

SARAH FOGEL, by her Parents ) CIRCUIT COURT  
and Next Friends, STUART M. )  
FOGEL and MICHELLE L. FOGEL, ) ANNE ARUNDEL COUNTY  
etc., et al., ) MARYLAND  
Plaintiffs, ) CASE NO. C-9629703 OT  
- vs - )  
WALTER LOCKHART, M.D., et al., )  
Defendants. )  
----- )

TRANSCRIPT OF DEPOSITION OF ROBERT  
CLANCY, M.D., taken by and before CARMEN T. SANTIAGO,  
Professional Reporter and Notary Public, at The Wood  
Center, 324 S. 34th Street, Philadelphia,  
Pennsylvania, on Wednesday, July 22, 1998, commencing  
at 2:15 p.m.

REPORTING SERVICE ASSOCIATES, INC.  
22nd Floor - Lewis Tower Building  
Philadelphia, PA 19102  
(215) 735-2332

1 A P P E A R A N C E S :

2

3

4 STEIN, MITCHELL & MEZINES, L.L.P.  
5 BY: GERARD E. MITCHELL, ESQUIRE  
6 1100 Connecticut Avenue, Northwest  
7 Washington, D.C. 20036  
8 Counsel for Plaintiff

7

8

9 WHITEFORD, TAYLOR & PRESTON, L.L.P.  
10 BY: JAMES R. CHASON, ESQUIRE  
11 210 West Pennsylvania Avenue  
12 Towson, Maryland 21204-4515  
13 Counsel for Defendant,  
14 Walter Lockhart, M.D.

10

11

12

13

14 GOODELL, DeVRIES, LEECH & GRAY, L.L.P.  
15 BY: THOMAS V. MONAHAN, JR., ESQUIRE  
16 Commerce Place  
17 One South Street  
18 20th Floor  
19 Baltimore, Maryland 21202  
20 Counsel for Defendant,  
21 Patuxent Medical Group

14

15

16

17

18

19

20

21

22

23

24

REPORTING SERVICE ASSOCIATES, INC.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24

I N D E X

WITNESS	PAGE
ROBERT CLANCY, M.D.	
By: Mr. Monahan	4, 37
By: Mr. Mitchell	34
By: Mr. Chason	81

- - -

E X H I B I T S

NUMBER	DESCRIPTION	PAGE MARKED	PAGE ATTACHED
1	Documents	7	n/a
2	Handwritten Notes	43	103



1 how many such hospital admissions you saw  
2 discharge summaries for?

3 A I couldn't give you a number off the  
4 top of my head, but I could just share with you  
5 that every year there were multiple admissions.

6 Q Are they all there in front of you?

7 A Yes, they are.

8 Q Let me take a look at what you've  
9 been provided. Go ahead and tell me what else  
10 you've been provided since the initial  
11 deposition.

12 A Well, the other was the opportunity  
13 and the request to reexamine the child, and  
14 that was several months ago. I prepared a  
15 report describing my physical findings at that  
16 time. The report was dated June 12th, 1998.

17 Q What was the date of the exam?

18 A Probably the same date. I've seen  
19 some summaries of opinions from medical experts  
20 from the defense side of the case, stating  
21 opinions about causation and life expectancy.  
22 For example, Dr. Mark Scher who is a child  
23 neurologist and there was a neonatologist. I  
24 don't remember the names. I have those in here

1 someplace as well.

2           This is a package of information I  
3 received on April 8th, 1998 from Mr. Malone.  
4 And these are sort of summaries of opinions  
5 from Stanley Graver who is a pediatrician and  
6 neonatologist, and Mark Scher, Herbert  
7 Grossman, pediatric neurologist, Michael  
8 Rothman, a neuroradiologist. And there's  
9 references to other opinions, but the opinions  
10 weren't supplied.

11 Q           Are there any other additional  
12 materials you've reviewed since May 30th, 1997  
13 that relate to this case?

14 A           The other issue was that the child  
15 had a scan done, an MRI scan done in, I believe  
16 in March.

17 Q           Of this year?

18 A           Of this year. And the particular  
19 purpose of the scan was to examine her cervical  
20 spine to see if there was any physical  
21 abnormalities of the cervical spine, and there  
22 were not.

23 Q           Did you look at the films or just the  
24 report?

REPORTING SERVICE ASSOCIATES, INC.

1 A Just the report.

2 Q Where was that done?

3 A I believe it was done -- well, what I  
4 used to call the D.C. Children's Hospital, now  
5 it's got another name. Children's National  
6 Medical Center in Washington.

7 Q Have you reviewed everything that's  
8 in this notebook that I'm looking at?

9 A Yes. Those are pretty much  
10 summaries.

11 Q I'm going to ask that this be marked  
12 as Exhibit Number 1.

13 (At this time, a document  
14 was marked for identification as  
15 Exhibit 1.)

16 BY MR. MONAHAN:

17 Q I gather Exhibit-1 was sent to you by  
18 Mr. Mitchell or someone in his office, correct?

19 A Correct.

20 Q And when did you get that?

21 A Well, I believe it was around  
22 January, this January. And I may be able to  
23 give you a more exact -- as I mentioned to you,  
24 I actually have a lot of medical records now

1 that are sort of the flesh part of these.  
2 These are just summaries that I did not bring  
3 with me. I don't have a cover letter here with  
4 this so, that's what I recall.

5 Q Do you know what medical records have  
6 been provided to you before you prepared your  
7 report of June 12th, 1998?

8 A Let me just look at the report for a  
9 second, see if I mention it.

10 MR. MITCHELL: When you say  
11 before, do you mean since the last  
12 depo, or do you mean inclusive of  
13 what he had already received.

14 MR. MONAHAN: Either one,  
15 however he sees fit to describe it.

16 THE WITNESS: I actually  
17 think I had the medical records when  
18 I saw her the second time in June,  
19 because part of the request was for  
20 me to make sense of the vasculitis  
21 story. I think I had the medical  
22 records then.

23 BY MR. MONAHAN:

24 Q Had you reviewed the records of her  
  
REPORTING SERVICE ASSOCIATES, INC.

1 treatment at Johns Hopkins in 1996 and 1997, at  
2 the time you prepared your June 12th, 1998  
3 report?

4 A I believe I had. Yes.

5 MR. MITCHELL: For the  
6 record, I think he had those same  
7 records when he ordered the first  
8 report.

9 THE WITNESS: I did not.

10 MR. MONAHAN: He did not,  
11 according to his deposition  
12 testimony.

13 MR. MITCHELL: Okay.

14 THE WITNESS: I was not  
15 even aware of the vasculitis problem  
16 at the time of the first deposition.  
17 I think I learned about it during the  
18 deposition.

19 BY MR. MONAHAN:

20 Q Since then, since that first  
21 deposition, however, I gather you've had a  
22 chance to see Johns Hopkins' records regarding  
23 the multiple admissions for treatment of  
24 vasculitis and its sequela, is that right?

1 A Yes.

2 Q And you've undoubtedly seen records  
3 where it's described as vasculitis of unknown  
4 etiology by the Johns Hopkins physicians?

5 A Yes.

6 Q Do you agree that it's still a  
7 vasculitis of unknown etiology?

8 A That's sounds fine to me. I think  
9 the diagnosis is really one that is offered by  
10 the pathologist having looked at the tissue.  
11 In her particular case, it was a lung biopsy,  
12 and the course that was described would be  
13 consistent with the vasculitis. I, by no  
14 means, am an expert in this, but what I know  
15 about vasculitis, it certainly made sense.

16 They did look for some of the usual  
17 suspects when vasculitis shows itself, and none  
18 of those were found. I don't personally relate  
19 the vasculitis to the events of birth or  
20 anything that happened in the neonatal period.  
21 So in the sense that I don't think anyone knows  
22 why she has it, it is idiopathic. Yes.

23 Q Idiopathic is a fancy way of saying  
24 we don't know the cause of it?

1 A Well, the joke is that the doctors  
2 are the idiots and the pathology is someplace  
3 they haven't looked, idiopathic.

4 MR. CHASON: I like that.

5 BY MR. MCNAHAN:

6 Q My wife says I don't have a sense of  
7 humor, so let me see if I can cut through the  
8 jokes and see if I can understand it. Is it  
9 true that idiopathic, as you've just used it,  
10 means that you don't have an explanation for  
11 the cause of the vasculitis?

12 A Right. And just, again, this is  
13 really terminology. There's always a reason  
14 for anything that happens in one sense, and if  
15 I could use an example. There are many  
16 children who end up developing a seizure  
17 disorder. And they come to the doctor and you  
18 do the scans, and you do the blood tests, and  
19 you do all the usual testing for why a child  
20 might have a seizure disorder.

21 And you end up with saying, well, I  
22 know she's got a seizure disorder. They  
23 witnessed the seizures, but I'll be darned if I  
24 know why this particular child has it at this

1 point in her life.

2           With time, some of those cases that  
3 were considered, quote, idiopathic, the cause  
4 is revealed in time. It turns out that there  
5 is a genetic background. They finally  
6 realized, well, the grandfather had the same  
7 thing as a genetic basis, or something shows up  
8 that wasn't clear.

9           It's not like it was a dumb luck sort  
10 of thing. There's always something behind  
11 these diseases, but there's just a lot of  
12 things where they don't really know what the  
13 fundamental mover is of the disease.

14 Q           Is it fair to conclude from what  
15 you've told me that there are disease processes  
16 that current state of medicine simply is not  
17 able to identify the cause, even though there  
18 is a specific cause?

19 A           Yes.

20 Q           Do you think that's the case with her  
21 vasculitis, that there must be some cause, but  
22 the current state of medical expertise just  
23 hasn't advanced far enough for us to be able to  
24 say what it is?

1 A I think that's basically what it is.

2 Q I notice from your report that you  
3 indicated that you believe it first came on in  
4 March of 1996. What was the clinical picture  
5 then that led you to that conclusion?

6 A Well, really, this was all hindsight  
7 from my point of view. At the time, I don't  
8 think anyone understood what was really going  
9 on. So it's not meant to be critical in any  
10 way, but the basic issue is that she was having  
11 what are called paroxysms of coughing. And a  
12 paroxysm is just an explosion of coughing. She  
13 would be sitting there and just start hacking  
14 and hacking and hacking.

15 And of course, with all the trouble  
16 she had had neurologically and knowing that she  
17 had trouble swallowing, the first ten choices  
18 on the list is that she's aspirating, she's  
19 aspirating, she's aspirating.

20 And they're figuring that when she's  
21 swallowing her saliva or refluxing food into  
22 her throat, or just trying to swallow food,  
23 it's all going down the wrong pipe and she's  
24 just coughing because of aspiration. And by

1 far, like I said, the top ten players on that  
2 list would all be choking and aspiration.

3 Q Since she's not a smoker?

4 A Since she's not a smoker. Right.

5 But then, as they say, the plot thickens  
6 insofar that she was also getting fevers that  
7 were unexplained, what are called FUOs, fevers  
8 of undetermined origin. And any one fever you  
9 can say, well, they must have had a virus and  
10 there was no rash, so it was just a virus.

11 But then when there's a pattern of  
12 having repeated fevers when there was no clear  
13 infection and so forth, and these paroxysms of  
14 coughing, they started to wonder if there was  
15 actually inflammation in her lung tissue. The  
16 inflammation triggered the fever. The  
17 inflammation triggering these bouts of  
18 coughing.

19 And it wasn't until she was so  
20 critically sick, and actually went so far as to  
21 get a lung biopsy that they were able to bring  
22 it into a single diagnosis, when you could look  
23 under the microscope and say, Oh, my God, the  
24 blood vessels are all inflamed, vasculitis,

1 even though they didn't know why they were  
2 inflamed. The cause was, quote, idiopathic.

3 Q And that diagnosis was arrived at in  
4 the latter part of 1996?

5 A Correct.

6 Q Have you reached a conclusion as to  
7 whether separate and apart from the vasculitis  
8 she does have a swallowing disorder?

9 A I believe she does, which is on a  
10 neurologic basis. And it's sort of part of the  
11 cerebral palsy picture that swallowing is a  
12 motor act and requires skill and coordination.  
13 Just like her arms and legs lack skill and  
14 coordination, the swallowing mechanism is  
15 defective also.

16 Q Are there hospitalizations that she  
17 has had before the diagnosis of vasculitis,  
18 which now with the benefit of hindsight, were  
19 probably due to the vasculitis and not due to  
20 aspirations from the swallowing disorder?

21 A They may very well be. It's actually  
22 hard to know. When they actually did some of  
23 these studies, swallowing studies, this is why  
24 they stayed on that path so long. They say,

REPORTING SERVICE ASSOCIATES, INC.

1 Well, what do you know? The swallowing is  
2 abnormal and she was refluxing some, but it all  
3 seemed to be out of proportion to the intensity  
4 of these paroxysms. They had a little bit of  
5 swallowing disorder and a lot of paroxysms.

6           And like I say, it wasn't until the  
7 fever showed up that they took a step back and  
8 saw the bigger picture that this was more than  
9 just a typical case. So it's hard to say that  
10 any one hospitalization was actually just the  
11 vasculitis. I mean, for all I know it could  
12 have been some of both.

13           For example, if she did aspirate,  
14 that would inflame the lungs. Her lungs are  
15 already affected by the vasculitis, so they  
16 share the same tissue. Aspirations would  
17 affect the lungs and so did the vasculitis. So  
18 there could be some commingling of affects with  
19 that.

20 Q           Do you think it's likely that, with  
21 the benefit of hindsight, some of the symptoms  
22 that in early, mid and into the fall of 1996  
23 were attributed to gastroesophageal reflux,  
24 were, in fact, symptoms of vasculitis?

1 A Again, it could well be. If you  
2 could sort of replay that whole tape knowing  
3 then what you knew before that they probably  
4 were coexisting at that point.

5 Q Have you reached any conclusions to a  
6 reasonable degree of medical probability, that  
7 any of the hospitalizations in 1996 were solely  
8 due to aspirations or solely due to  
9 gastroesophageal reflux or solely due to  
10 vasculitis?

11 A I really didn't -- when I read  
12 through the records, I was just looking for  
13 understanding. I wasn't really reading the  
14 records to assign for each hospitalization what  
15 was the culprit here. So I can't really sit  
16 here and say I have an opinion about that.

17 Q You do comment in your report of June  
18 12th, 1998 that her coughing spells culminated  
19 in a two-month hospitalization at the end of  
20 1996. That would have been when the biopsy was  
21 obtained and the diagnosis made, correct?

22 A That's correct.

23 Q And she, in fact, was so ill that she  
24 had to be on intensive life support at some

1 point during the hospitalization, correct?

2 A That's correct.

3 Q Do you agree that the symptoms that  
4 brought her to that extreme condition requiring  
5 intensive life support were due to vasculitis?

6 A Yes, I do.

7 Q Why do you say you're, by no means,  
8 an expert in vasculitis?

9 A Well, there's actually an entire  
10 field of medicine of so-called collagen  
11 vascular diseases, which falls under the rubric  
12 of so-called rheumatology. So there are  
13 physicians who spend their whole careers  
14 treating children with conditions like lupus,  
15 juvenile rheumatoid arthritis,  
16 keratoconjunctivitis, SICCA, things that are  
17 recognized as immune disorders. .

18 I certainly have patients with those  
19 disorders because many times, you know, there  
20 will be neurologic questions raised, but I,  
21 myself, am not the immunologist or  
22 rheumatologist that's the expert in that.

23 Q You're probably no older than me, so  
24 I don't say this to be insulting, but were

1 there subspecialties of pediatrics in  
2 immunology and rheumatology when you did your  
3 pediatric residency?

4 A Yes.

5 Q Did you have any rotation through  
6 those subspecialties?

7 A Yes, I did.

8 Q Is it fair to say, however, that  
9 since you started your pediatric neurology  
10 training, you have specialized in pediatric  
11 neurology?

12 A Yes.

13 Q Have you ever been an attending  
14 principally responsible for treating a patient  
15 with vasculitis, as opposed to having a  
16 consultant in rheumatology or immunology who  
17 you're relying upon to treat vasculitis?

18 A Occasionally. For example, one of  
19 these disorders goes by the name of pulseless  
20 disease, and it's a vasculitis of the aorta and  
21 the carotid arteries. And because of the  
22 vasculitis, these blood vessels thickened, and  
23 then the blood can't go through them, and these  
24 children have strokes and so forth.

1           So when the presentation of that  
2 disorder is stroke, they will end up on the  
3 neurology service, and you are their primary  
4 caregiver. Now, obviously we would be very  
5 dependent on the opinions of the rheumatologist  
6 to suggest diagnostic testing or how much  
7 steroids to give and so forth. But if it's a  
8 primary vasculitis, then I would not be taking  
9 care of their first line of treatment.

10 Q           Have you ever had any patients like  
11 Sarah Fogel with pulmonary vasculitis?

12 A           No.

13 Q           Have you ever had any patients like  
14 Sarah who have vasculitis in her extremities to  
15 the point that it led to amputation of toes or  
16 fingers?

17 A           As a consultant, yes. And the scene  
18 would be basically children who have heart or  
19 lung transplants. There's a large focus in  
20 this hospital for transplantation of organs,  
21 including heart and lungs. And there are other  
22 reasons for pulmonary vessels to be defective,  
23 and some of those are part of a systemic  
24 arteritis. One of my jobs is to take care of

1 the neurologic needs of those children, so I've  
2 had patients who have lost arms, for example,  
3 from vasculitis.

4 Q I gather from your report that you  
5 agree that her vasculitis has manifested itself  
6 in her lungs and in her peripheral circulation  
7 of her feet.

8 Do you have an opinion as to whether  
9 it has manifested itself anywhere else?

10 A I don't think it's actually  
11 manifested itself anywhere else. The point of  
12 that actually was that this was not a disease  
13 that was confined to the lungs. It actually  
14 had systemic manifestations, for example, the  
15 toes. So, it wouldn't surprise me, for  
16 example, if some time in the future there could  
17 be other manifestations. If it was in the  
18 heart and her toes, it might show up someplace  
19 else.

20 Q Are you able to say today that more  
21 likely than not it has not had any effect upon  
22 the vasculature of the brain?

23 A I don't think that's been  
24 specifically examined, really, to answer the

1 question one way or the other. In terms of,  
2 for example, doing an angiogram, that would be  
3 the gold standard test. And it's not that it's  
4 not done, but it's a painful test and there's a  
5 certain morbidity with it.

6           So unless there was really an issue  
7 that, Oh, this happened and we've got to get  
8 the answer to this question, just to do it  
9 because you want to know for academic reasons  
10 probably wouldn't be in the child's best  
11 interest.

12 Q           Do you consider yourself to be  
13 current as to the appropriate ways to treat  
14 vasculitis of this magnitude? I'm talking  
15 about your personal knowledge of the literature  
16 and so forth.

17 A           Well, in general, steroids are one of  
18 the mainstays of treatment. It's one of the  
19 first lines of treatments. And to that extent,  
20 I certainly agree that the steroids are the  
21 usual drug that the physician turns to for any  
22 kind of inflammation.

23           If it's asthma or, you know, even  
24 widespread poison ivy, you take Prednisone for

1 that. So it's certainly still one of the drugs  
2 of choice if it's tolerated. So I think that's  
3 a perfectly reasonable drug to have this child  
4 on.

5 Q Do you intend to offer any opinions  
6 concerning the prognosis for her vasculitis?  
7 And you probably understand I'm asking you if  
8 you have opinions that you can express to a  
9 reasonable degree of medical probability?

10 A No. I can't say that I have enough  
11 personal knowledge of the pulmonary vasculitis  
12 to talk about natural history and long-term  
13 responses.

14 Q And similarly, do you have opinions  
15 that you can express to a reasonable degree of  
16 medical probability as to how, if at all, it  
17 affects her life expectancy?

18 A Well, the only thing I can say at  
19 this point is that she clearly has responded to  
20 the steroids. That I think it would be one  
21 issue if they tried them and they pushed it to  
22 a high level, and they had no response. But  
23 they've actually had a good response in her  
24 particular case.

1           And, you know, part of the time when  
2 you give a drug and the person gets better, you  
3 want to pat yourself on the back and say, Well,  
4 my prescription of the drug is making her  
5 better. And the cynic always comes around and  
6 says, Well, a lot of these drugs are episodic.  
7 The illness waxes, and then it's going to wane.  
8 So you don't know whether it's the steroids  
9 making her better. It could have just been  
10 intended for this to resolve.

11           So one of the ways to answer that  
12 question is to take the person off the drug,  
13 and if it's really just the disease that's  
14 waning, then you take them off the drug and  
15 they continue to get better. On the other  
16 hand, if the disease flares up again, you're on  
17 stronger grounds to say, No, it really was the  
18 medications helping ameliorate this, and it  
19 wasn't just intended to take a turn for the  
20 better.

21           This actually happened in her insofar  
22 that they gave her a high dose. She seemed to  
23 respond, and they tapered quickly and she had a  
24 clear relapse. Put her back on the drugs and

1 her symptoms came back under control. So  
2 again, the real cynical person can say, That's  
3 all coincidence, but knowing that this is the  
4 drug for vasculitis and that this is sort of  
5 the nature of relapses with things like asthma  
6 and hyperimmune disorders, I think it's clear  
7 that the steroids are benefiting her.

8           So part of, you know, your question  
9 was what this does in the long run. And part  
10 of the answer is, Well, is she responding to  
11 the treatment? If there's no response to the  
12 treatment, that's bad for the patient or  
13 whatever the circumstances. She, actually, is  
14 doing pretty well with this treatment.

15 Q           The relapse you're describing is what  
16 you referred to in your report as having  
17 occurred in May of 1997?

18 A           That's right.

19 Q           To your knowledge, has she been on  
20 daily steroids ever since?

21 A           Let me see. I think it's alternating  
22 something or another. Let's see what it is.  
23 Yes, she's on daily steroids and what's  
24 alternating there is the dose. That's six

1 milligrams one day, and then a smaller dose.

2 So the answer to the question is she's on daily  
3 steroids.

4 Q And is it true that even with that  
5 daily dosage of steroids, she still requires  
6 continuous supplemental oxygen?

7 A Yes. Continuous in the sense that  
8 it's on a daily basis. I believe that they are  
9 in the process of trying to wean that away. I  
10 recall that perhaps for one hour in a day she's  
11 not on oxygen, and they're trying to gradually  
12 extend that time when she's off of oxygen. But  
13 she's on oxygen through the night and on a  
14 daily basis.

15 Q Have you seen any records suggesting  
16 she's ever been maintained on anything less  
17 than 23 hours per day of oxygen, since the  
18 vasculitis was diagnosed?

19 A No.

20 Q Would you agree the need for that  
21 oxygen is due to the effects of the vasculitis  
22 on her lungs?

23 A I think so. Yes.

24 Q Is it likely she will always require

1 the daily supplemental oxygen?

2 A I don't really know that to be the  
3 case. Really, it's going to depend on, in a  
4 way, what course the disease takes. And if  
5 it's put in remission and stays in remission, I  
6 can certainly conceive that they could wean her  
7 off the oxygen.

8 You know, frankly, for some of these  
9 things, the situation is on an average good day  
10 she's off the oxygen, but she catches a cold  
11 and her lungs are congested, and she's back on  
12 oxygen during times of illness or stress, and  
13 things like that.

14 Q Are you describing a scenario that  
15 might happen?

16 A Yes.

17 Q You're not suggesting that you know,  
18 to a reasonable degree of medical probability,  
19 that that's going to happen?

20 A No. I think I could certainly  
21 envision a day where she's off of oxygen,  
22 knowing there will be days when she has an  
23 illness or cold when she might have to go back  
24 on it, but right now she's on it.

1 Q Well, I'm here, as you know, to try  
2 and hear now what opinions you may express at  
3 trial. Is it fair to say that you don't hold  
4 yourself out as an expert in the treatment of  
5 vasculitis, and predicting its course to the  
6 point where you intend to come to court and  
7 say, I believe to a reasonable degree of  
8 medical probability, that she will have the  
9 following course at age five or six or seven?

10 A I will not be doing that.

11 Q As it relates to vasculitis?

12 A As it relates to vasculitis.

13 Q You've told us several times that  
14 this disease can wax and wane. Is it fair to  
15 say also that you're not going to come to court  
16 and say, I know it's not going to get worse?

17 A I don't think anyone can sit there  
18 and say that they know for a fact that it's not  
19 going to get worse.

20 Q How about to a reasonable degree of  
21 medical probability, is it also fair you're not  
22 going to come to court and say, I know to a  
23 reasonable degree of medical probability that  
24 it's unlikely to get worse?

1 A I'm not going to be saying that.

2 Q What is the path of physiology by  
3 which the vasculitis has injured her lungs to  
4 the point that she requires this supplemental  
5 oxygen?

6 A I'm not sure I can give you -- again,  
7 that's a little out of my field. Just to  
8 remind you, though, what the lung does, it's  
9 really a big sponge with blood vessels in it.  
10 So this is the space where oxygen meets the  
11 blood to exchange the carbon monoxide and the  
12 oxygen.

13 So blood supply is crucial to the  
14 lung. That's the whole reason to be there is  
15 to be a surface membrane to exchange gases. So  
16 here we have the vessels that inflamed. And  
17 the vessels are not able to regulate themselves  
18 and flow properly and so forth. So it's a  
19 critical finding for that tissue.

20 Q I note your report says that  
21 fortunately, she's not having any unusual or  
22 opportunistic infections. Now, you stated that  
23 after talking about the vasculitis and that  
24 she's on steroids.

1           Is she at a more compromised position  
2 in terms of fighting off infections by virtue  
3 of both having the vasculitis and being on  
4 steroids?

5           A           Mostly, in my opinion, the steroids.  
6 To a secondary extent, any person that has a  
7 lung disease, it's like the old smoker with  
8 emphysema. If he happens to get a plain old  
9 chest cold, it may be harder on them than if  
10 they were otherwise healthy.

11           So from a general point of view, a  
12 chest cold in her would be harder on her than a  
13 chest cold in an otherwise healthy youngster.  
14 But most of it has to do with the prednisone  
15 itself.

16           Q           And what is it about the steroids and  
17 prednisone in particular that make it harder  
18 for her to fight off infection and a cold?

19           A           The idea of prednisone is that it's  
20 suppressing the immune system. I mean,  
21 vasculitis in a very general sense is an immune  
22 attack on the body. It's like an arthritis.  
23 Your immune cells are attacking the lining of  
24 your joints. If you have a skin rash from

1 lupus, the immune system is attacking the  
2 tissues of your skin.

3           The steroids suppress the immune  
4 system to alleviate that attack. But in so  
5 doing, that same immune system is suppressed  
6 and fighting unwanted things like infections.

7 Q           If she gets an infection, is  
8 discontinuing steroids an option? And if so,  
9 what happens to the vasculitis?

10 A           Generally, they would not be  
11 discontinued. They might be lowered. But the  
12 key here would be more along the lines of early  
13 intervention with antibiotics, things like  
14 that. So another child, they might have a cold  
15 for a week before the mother bats an eyelash.  
16 This mother is going to be in the doctor's  
17 office looking for a penicillin prescription on  
18 the first day of an illness.

19 Q           Have you spoken to any of the  
20 physicians at Johns Hopkins who are involved in  
21 her care?

22 A           No.

23 Q           Have you been provided with any  
24 information concerning their opinions, other

1 than what's revealed in the medical records  
2 from Johns Hopkins?

3 A No.

4 Q Have you been advised that any of  
5 them will testify in this case?

6 A The only thing I have is the business  
7 about Mike Johnson who is at the Kennedy  
8 Kreeger Center.

9 Q So in my mind the question was more,  
10 has Mr. Mitchell or anybody for the Fogels,  
11 representing the Fogels, indicated that they  
12 expect to call any physicians from Johns  
13 Hopkins to testify?

14 A I know nothing about that.

15 Q Are there any opinions about Sarah's  
16 vasculitis that you expect to render at the  
17 trial in this case that we haven't talked  
18 about?

19 A I don't think so. I mean, my  
20 opinions have been basically the causation  
21 issue. Secondly, that the vasculitis is not  
22 connected to this. It's just, you know,  
23 unrelated, if you would, bad luck, another bad  
24 thing happened to this child. And we talked

1 about life expectancy in the first deposition.

2 MR. MITCHELL: Tom, are you  
3 going to move on to another topic?

4 MR. MONAHAN: After,  
5 probably, one more question.

6 MR. MITCHELL: Let me know  
7 then whether you want me to ask more  
8 questions about vasculitis or do it  
9 at the end. I have one or two  
10 questions on it, no matter how you do  
11 it.

12 MR. MONAHAN: Let me ask  
13 this question and I'll let you jump  
14 in.

15 BY MR. MONAHAN:

16 Q Does the fact that she has contracted  
17 this vasculitis in all likelihood shorten her  
18 life expectancy beyond the opinions that you  
19 expressed in the deposition of May 1997, when  
20 you were unaware of that diagnosis?

21 A No. If I've understood your  
22 question, my original opinion was that, pretty  
23 much based on her neurology, the degree of her  
24 cerebral palsy, the fact that she had the

1 feeding tube and those things, that  
2 realistically I thought a ten-year life span  
3 would be a reasonable estimate for her.

4 Because of the fact that she has  
5 really done well in the steroids and that her  
6 needs seem to be met, I didn't see where that  
7 was going to be shortened any further because  
8 of the vasculitis.

9 Q That's all I have on vasculitis. If  
10 you want to ask a few questions. Go ahead.

11 - - -

12 BY MR. MITCHELL:

13 Q If it's okay, yes. As I understand  
14 what you just told Mr. Monahan, you don't know  
15 of any causal connection between a traumatic  
16 neurological injury, which you opined about  
17 before at birth, and the inception of her  
18 vasculitis; is that right?

19 A Correct.

20 Q Did the neurological injury at birth,  
21 however, affect her respiratory centers so as  
22 to result in hypercapnia, excessive CO<sub>2</sub>, and  
23 difficulty breathing, hypotension and so forth?

24 MR. MONAHAN: At what time?

1 BY MR. MITCHELL:

2 Q Subsequent to the initial injury and  
3 intermittent thereafter.

4 A At the time of birth, in the days  
5 following birth, yes.

6 Q If she was born with a vasculitis  
7 potential, would these events, say the  
8 hypotension and the hypercapnia and so forth,  
9 tend to aggravate the vasculitis?

10 A I don't think so.

11 Q Now, as I understand it, the  
12 vasculitis would be affected, am I right, by  
13 subsequent periods of difficulty breathing?

14 MR. MONAHAN: I object to  
15 the form.

16 THE WITNESS: I'm not sure  
17 of the question he's asking.

18 BY MR. MITCHELL:

19 Q Well, if someone has vasculitis, is  
20 the vasculitis aggravated by any neurologically  
21 based or respiratory difficulties?

22 MR. CHASON: Objection.

23 MR. MONAHAN: You're  
24 talking about pulmonary vasculitis?

REPORTING SERVICE ASSOCIATES, INC.

1 MR. MITCHELL: Yes.

2 MR. MONAHAN: I object to  
3 the form.

4 MR. CHASON: Objection.

5 THE WITNESS: If I have a  
6 patient right in front of me who, at  
7 that moment, might develop vasculitis  
8 three years down the road, but  
9 actually has it, then if something  
10 else changes, carbon dioxide and  
11 oxygen, then that will affect blood  
12 flow to the lungs because it affects  
13 all of us. Those are physiological  
14 changes.

15 So for any of us, if I  
16 dialed up the carbon dioxide  
17 concentration in the room, it will  
18 have an effect on your pulmonary  
19 vessels, but that has to be as the  
20 vasculitis is actually in there. Not  
21 if it's, sort of, destined to happen  
22 two years from now. I wouldn't make  
23 any connection between them.

24 BY MR. MITCHELL:

REPORTING SERVICE ASSOCIATES, INC.

1 Q And you don't have an opinion when  
2 the vasculitis actually began?

3 MR. MONAHAN: I object to  
4 the form.

5 MR. CHASON: Objection.

6 THE WITNESS: Well, I think  
7 the symptoms of it appeared in early  
8 1996 with the fevers and the  
9 coughing. Now, how much earlier  
10 before there would there have been  
11 changes that you could see with a  
12 microscope that didn't affect the  
13 health of the child, I don't think  
14 anyone knows that.

15 MR. MITCHELL: Okay fine.  
16 That's it, Tom. Thanks.

17 MR. MONAHAN: Jim?

18 MR. CHASON: I'll just wait  
19 until you're finished, though I may  
20 have some questions on vasculitis.

21 - - -

22 BY MR. MONAHAN:

23 Q I'm going to ask you a couple things  
24 more on vasculitis, or at least one. Have you

REPORTING SERVICE ASSOCIATES, INC.

1 done any literature search specifically  
2 relating to the vasculitis in connection with  
3 this case?

4 A No.

5 Q Are you aware, one way or the other,  
6 whether there's literature that describes a  
7 causal connection between birth trauma and  
8 systemic vasculitis?

9 A Well, let me -- I hope this answers  
10 your question. It was my impression from  
11 reading the Hopkins records that, first of all,  
12 they were fairly shocked with the diagnosis.  
13 They know pulmonary vasculitis, but who has  
14 ever seen it in such a young child.

15 And that, really, few people could  
16 sit there and say, Well, my vast experience  
17 with pulmonary vasculitis and the children of  
18 this age, you know, X, Y and Z. I asked myself  
19 the question, might there be a connection  
20 between it. And I didn't go to the literature  
21 for this, but it would be beyond my personal  
22 belief to think that there would be.

23 I think of them as so separate and so  
24 distinct in their character, that it would

1 be -- I mean, if someone told me, I have a  
2 medical paper that says if you have birth  
3 trauma, you're going to get vasculitis, I  
4 probably wouldn't believe it.

5 Q You've specialized in pediatric  
6 neurology for how many years?

7 A Twenty.

8 Q And I think you told me you've never  
9 seen a child, an infant, with pulmonary  
10 vasculitis like this, correct?

11 A Correct.

12 Q Yet, I imagine you've seen an awful  
13 lot of children with problems that were  
14 attributed to birth trauma?

15 A Yes, I have.

16 Q Can you quantify, at all, how many  
17 such children you've seen in your twenty years?

18 A Hard to say. I think, actually, in  
19 my original deposition, I had prepared a review  
20 of birth trauma and how it expresses itself in  
21 newborns. I think we had thirty cases at that  
22 time, and that was for a fairly finite time  
23 interval. And I don't remember what it is now,  
24 but if you allow me for five years, five years

*AX01*

1 at CHOP, you looked at all the cases we  
2 considered to be traumatic and there were  
3 thirty of them.

4           Probably every couple of months  
5 there's admitted, to this hospital, a child  
6 that is traumatized to the point where they had  
7 seizures or they had a stroke or something  
8 disastrous had happened. So over twenty years,  
9 I would imagine seeing 120 such cases.

10 Q           Four times thirty?

11 A           Yes.

12 Q           Did that paper ever get published?

13 A           No.

14 Q           Have you called Dr. Axel and asked  
15 what's going on?

16 A           Well, actually, parenthetically, it  
17 turns out that his wife had cancer and died  
18 about three or four months ago. And he has got  
19 four little girls, and he was doing his job and  
20 taking care of his wife and his girls, so he  
21 was in no shape to do anything right now.

22           So I actually was calling him to find  
23 out where the heck is the manuscript, and it  
24 was the day his wife had died, my usual

1 excellent timing.

2 Q How horrible.

3 A So it's no further along than two  
4 years ago.

5 Q Let me ask the same question about --  
6 are you able to quantify a number of children  
7 you've seen with sequela of brain injury from  
8 birth asphyxia or hypoxia or asphyxia presumed  
9 to have occurred in the delivery process?

10 A I'll have to, in a sense, make an  
11 estimate on this because I don't keep  
12 particular records, but probably two or three  
13 asphyxiated kids for every physically  
14 traumatized kid. Far and away, asphyxia,  
15 however it came to pass, is much more common  
16 than physically traumatized brains.

17 Q And obviously in none of those have  
18 you seen pulmonary vasculitis like this?

19 A Correct.

20 Q All right. Let's move on. When you  
21 did your evaluation in June of this year, did  
22 you make any notes?

23 A Let me look. These were from my  
24 first examination, and you have copies of

1 these. These are notes from the medical  
2 records. I don't remember seeing any notes  
3 when I went through this this morning, but as I  
4 mentioned to you, I do have things at home. I  
5 don't really think I retained any notes on this  
6 exam.

7 Q Would you be willing to check?

8 MR. MONAHAN: And Gerry,  
9 would you agree to let us know if  
10 there are and provide them if there  
11 are any notes?

12 MR. MITCHELL: Yes.

13 THE WITNESS: I don't think  
14 I have any. Here they are.

15 BY MR. MONAHAN:

16 Q Can I just compare what you assumed I  
17 had with what I do have, to make sure that I'm  
18 as far as along as I should be.

19 A Yes.

20 Q Thank you. Can we mark then as  
21 Exhibit-2 the three pages of handwritten notes  
22 you gave me, which appear to be dated June 3rd,  
23 1998. Is that the actual date of the exam?

24 A If you bear with me, I can look in my

1 calendar because that's where I actually keep  
2 all this stuff, if it's important to you.

3 Q It's not that important.

4 A It probably is. It looks like the  
5 report is dated differently.

6 Q The report is dated June 12th.

7 A June 12th. So that's probably when  
8 it was typed or dictated.

9 (At this time, a document  
10 was marked for identification as  
11 Exhibit-2.)

12 BY MR. MITCHELL:

13 Q You have some other notes there,  
14 Doctor. What are they?

15 A Yes. This was from the review of the  
16 medical records, and I believe these were  
17 copied last time also.

18 MR. MITCHELL: Apparently,  
19 there weren't any exhibits marked in  
20 the last deposition.

21 BY MR. MONAHAN:

22 Q Correct. And there was another set  
23 of handwritten notes. What were they?

24 A Okay. One is the medical records.

REPORTING SERVICE ASSOCIATES, INC.

1 That was one. Two is the notes from the  
2 physical examination. And then the third set  
3 is what you just marked.

4 Q Since May 30, 1997, have you  
5 consulted with any other physicians concerning  
6 this case?

7 A No.

8 Q In your June 12 report, you noted  
9 that Sarah is cushingoid. What does that mean?

10 A Cushingoid refers to the appearance  
11 of a person who is taking steroids. And  
12 basically, it's the fat pads on the cheek. The  
13 steroids amplify fat cells, and so babies have  
14 fat cheeks. And so hers are unusually fat and  
15 their faces become more rounded. So the  
16 cushingoid appearance is that, sort of the  
17 Campbells' soup face, the big, round, puffy  
18 cheeks.

19 Q I gather that's a consequence of her  
20 taking the steroids required by the vasculitis?

21 A Yes.

22 Q Your report also says that you may  
23 further characterize her seizure disorder based  
24 upon results of a recent ambulatory EEG

1 monitoring. Have you done that?

2 A I've never received that.

3 Q Do you know why not?

4 A Not really. The issue was that she  
5 has these events. And actually, what the  
6 mother said is that the doctor calls them  
7 seizure-like episodes. And they are confused  
8 about whether this is really an epileptic  
9 seizure or if it's some other kind of abnormal  
10 brain attack, because they haven't really  
11 responded to the seizure medications.

12 And one way of deciding is to let the  
13 EEG run all day and all night and, you know,  
14 read the EEG when the event's actually  
15 happening, rather than try to read between the  
16 lines which is what's usually done. So that  
17 was from Anne Burgen, I believe, at Hopkins.

18 Q At the time of your deposition of May  
19 30, you told Mr. Farley -- I'm reading from  
20 page seventeen -- that this encephalopathy,  
21 which means injury to the brain, correct?

22 A Right.

23 Q Is not a progressive condition. It's  
24 a fixed injury to the brain. Is that still

1 your opinion?

2 A Yes.

3 Q Is there anything in the records from  
4 Johns Hopkins which has suggested to you that  
5 there has been progression of her brain injury?

6 A No.

7 Q If a patient has a birth-related  
8 injury from trauma, in your experience, that  
9 would lead to a fixed injury of the brain,  
10 would it not?

11 A Yes.

12 Q And in that sense, what that means is  
13 that within a week or several weeks or several  
14 months, you would see the injury that's  
15 occurred to the brain. And from that point on,  
16 you would expect it to be static; is that  
17 right?

18 A The injury is static, but the  
19 manifestation of the injury can change over  
20 time. So, let me give you a very simple  
21 example of this, and let's put it out of the  
22 context of this case so we don't get confused.

23 Let's imagine that it's just a birth  
24 asphyxia case, and the brain has been injured.

1 And in the first day of life, the baby is  
2 totally limp, low tone, floppy. So here's a  
3 brain injury and what's done is done, and it's  
4 set in stone, and whatever, it's not going to  
5 change.

6           The way it looks in the first couple  
7 of days of life is that it's low tone,  
8 hypotonic. As time passes, the natural  
9 progression of the, again, clinical look is  
10 actually they may go through a phase where the  
11 tone looks pretty good because they're  
12 ultimately destined to have high tone, so you  
13 start off with low tone.

14           The tone is increasing, and the high  
15 tone and low tone balance to the point where  
16 they go through a phase where everything looks  
17 pretty good. And then later, the child shows a  
18 little bit of high tone, and then more high  
19 tone. And by the time they're two years of  
20 age, they're stiff as a pretzel.

21           And then with a lot of physical  
22 therapy, the therapists are actually able to  
23 get them to take a few steps, but they're still  
24 very stiff. And by the time they're a teenager

1 and they've heavier and not as motivated,  
2 they're back in a wheelchair.

3           So here's one injury that has  
4 happened that has produced one damage scene to  
5 the brain. But the way it looks as a newborn,  
6 and six months, and at two years, and at twelve  
7 years may evolve sort of in that changing, the  
8 brain is still changing. I mean, the injury is  
9 not changing, but the child's brain is changing  
10 because they're supposed to change. It's a  
11 developing child. It's an evolving nervous  
12 system.

13           So the injury is fixed, but the  
14 manifestation of the disease may change. So  
15 seizures, for example, newborn seizures have  
16 one look. Later on as the child matures  
17 there's another kind of seizure, like an  
18 infantile spasm, which has happened in Sarah.

19           And then with time, another kind of  
20 seizure may show up, and it's not that the  
21 disease is changing. It's the way it's  
22 manifesting itself in that particular age and  
23 brain maturity.

24 Q           So you're talking about

REPORTING SERVICE ASSOCIATES, INC.

1 manifestations in terms of the clinical  
2 manifestations when one does a physical  
3 examination on the child?

4 A Yes. The clinical consequences of  
5 the injury. And again, the big picture is  
6 trying to distinguish conditions that are truly  
7 progressive like a tumor is progressive, or  
8 hydrocephalus gets worse with time, the water  
9 builds up more and more, and the child's brain  
10 is actually getting worse and worse.

11 So in the day before CAT scanning,  
12 for example, where if you wanted to really look  
13 at the brain, it was going to be pretty nasty.  
14 you would have to do an angiogram or something  
15 that would be painful and dangerous and  
16 invasive.

17 Now, we do scans left and right, but  
18 in the old days, the big decision was, Well,  
19 was this just something that happened. It's  
20 done, it's done, or is this is a tumor,  
21 hydrocephalus, metabolic disease, things that  
22 fundamentally change over time.

23 Q Now that we are in the era of CAT  
24 scans and MRIs, it would be unexpected, would

REPORTING SERVICE ASSOCIATES, INC.

1 it not, to see progressive changes in the brain  
2 in a patient, if the only cause of injury to  
3 the brain is a birth-related trauma?

4 A Well, I guess it depends on the  
5 character of the changes. In other words, if  
6 it was wholly new changes and had nothing to do  
7 with that, the answer would be yes. Even in  
8 the case of, again, a simple case like birth  
9 asphyxia where there's no medical/legal issues.

10 The baby is born at home, whatever  
11 happens happens, you do the scan the first day  
12 it has a certain look. Looks different a week  
13 later. Looks different a year later because  
14 again, the radiological expression of that  
15 damage does change over time.

16 So the question isn't whether there  
17 is any change. There's always some change, but  
18 whether they are consistent with the prime  
19 mover, the injury, the hemorrhage, the  
20 asphyxia, whatever.

21 Q Do you consider yourself an expert in  
22 interpreting MRIs of the brain?

23 A Well, I'm certainly experienced at it  
24 in the sense that it's part of the things that

1 I do. I obviously am not a neuroradiologist.  
2 I routinely confer with the neuroradiologist  
3 here to look at outside films or the films that  
4 are done here. So it's a little bit yes and a  
5 little bit no of an answer.

6 Q In your practice, do you ever order  
7 MRIs and CAT scans, and treat patients on the  
8 basis of your interpretation of those studies,  
9 without the benefit of a consult with a  
10 neuroradiologist?

11 A No.

12 Q Do you ever author reports?

13 A No.

14 Q Interpret MRI's or CAT scans of the  
15 brain?

16 A No.

17 Q Do you have any training in  
18 interpretation of fetal monitor traces?

19 A Rudimentary. Again, the usual. You  
20 have some exposure during medical school. I'm  
21 aware of the issues with fetal heart rate  
22 monitoring. I can look at them and know that  
23 the fetal heart rate is 150. I can recognize  
24 variability, but I'm not the guy standing in

1 the labor room calling it in real time. It's  
2 always retrospectively. It's always after OBs  
3 have looked at them and made their statements  
4 about them.

5 Q Again, I'm not trying to insult you.  
6 This just speaks to my ignorance, but were  
7 fetal monitor traces used during your medical  
8 school years?

9 A Yes. I was in medical school in  
10 1975. They were there. I must look  
11 particularly old today, I guess.

12 Q No. For some reason I was thinking  
13 that they weren't commonplace in 1975?

14 A They weren't commonplace, but I  
15 trained at Hopkins, so they had a high risk  
16 population.

17 Q Since you have specialized in  
18 neurology, have you pursued any further  
19 training and interpretation in field monitor  
20 traces?

21 A You know, the occasional lecture on  
22 fetal heart monitoring at a Grand Rollins, but  
23 that's hardly training. That's just attending  
24 a lecture.

1 Q How occasional is that? That's  
2 probably less than annually.

3 A Yes. Less than annually. A handful,  
4 five times maybe, national seminars, that kind  
5 of thing.

6 Q Five times in your career as a  
7 neurologist?

8 A In my professional life.

9 Q Have you ever had any training in  
10 attempting to reconstruct what a fetal monitor  
11 tracing would show after it was turned off,  
12 either based upon what came before or what was  
13 advent later in terms of the clinical picture  
14 of the job?

15 A No.

16 Q Are you aware of any literature that  
17 talks about how one can do that?

18 A No.

19 Q Have you ever heard any lecture on  
20 that subject?

21 A No.

22 Q Do you intend to offer opinions in  
23 this case about what the fetal monitor tracing  
24 likely would have showed if it had been left on

1 for the additional time between when it was  
2 shut off, and when this child was delivered?

3 A No.

4 Q Have you ever been asked to express  
5 any opinions or speculate on that subject?

6 A In this particular case?

7 Q In this case, what the fetal monitor  
8 strip would have shown between the time it was  
9 shut off and when the child was born?

10 A No.

11 Q Do you intend to offer any opinions  
12 in this case that the fetal monitor strip that  
13 actually exists, demonstrates evidence of  
14 neurologic injury to the child from a vacuum  
15 extraction? Does that question make sense to  
16 you?

17 Essentially, can you look at any of  
18 these panels and say, From this tracing, I can  
19 tell that there's neurologic insult going on to  
20 this child as a result of the vacuum  
21 extraction?

22 A I wouldn't know how to do that.

23 Q Have you been provided with any  
24 additional laboratory work, laboratory results

1 since your initial deposition, that in any way  
2 support in your opinion your conclusions that  
3 Sarah's neurologic problems are due to birth  
4 trauma?

5 A By laboratory, you mean, like, blood  
6 tests?

7 Q Correct, electrolight studies,  
8 anything of that nature?

9 A No, but in the same breath, you would  
10 not look to that answer from blood tests  
11 either.

12 Q How about any genetic testing? Have  
13 you been provided with any results of genetic  
14 testing since your initial deposition, which  
15 has either changed or added additional support  
16 to your conclusions?

17 A It's hard for me to remember now what  
18 I remember before and after that. What I  
19 remember is that there were some concerns for  
20 dysmorphism. In fact, they went to the  
21 geneticist at Hopkins, and the concerns were  
22 that her fingers were flat and that her skull  
23 didn't look right.

24 And not that you sweep those under

1 the rug, but aside from that, there's really no  
2 other genetic issues going on with her that  
3 they considered, like, a classic genetic  
4 syndrome, if you would. Really didn't come up  
5 with anything that could explain anything  
6 really.

7           The business about the head to some  
8 extent is mechanical in the sense that they  
9 were concerned that the sutures were overriding  
10 and her head was small. So if you look at any  
11 person with a small head, that's abnormal  
12 morphology. It's dysmorphic. The question is  
13 is it genetically driven dysmorphic.

14           So, I don't have any problem with  
15 people saying, Oh, your head is small, that's  
16 dysmorphic. Of course it is. It's abnormally  
17 formed. But in her particular case, it's  
18 because the brain's been damaged, and the whole  
19 head is small, and it's not small like in Down  
20 syndrome where all the Down syndrome children  
21 also have genetically determined small head.

22 Q           Did you assess whether or not she has  
23 an arched or high palate?

24 A           I examined her. I was not struck

1 that her palate was high-arched.

2 Q Is that something that's seen with  
3 genetic abnormalities?

4 A Yes, but also children with  
5 swallowing problems. I mean, just as a  
6 secondary effect of not having normal, you  
7 know, mouth action, swallowing, tongue  
8 movements, things like that.

9 Q If one is born with that, would that  
10 be too early a time for a swelling disorder to  
11 manifest itself in a high or arched palate?

12 A It could be. In other words, babies  
13 actually practice swallowing and breathing from  
14 the eleventh week.

15 Q So if one is born with that and it's  
16 due to a swallowing problem, it would lead one  
17 to conclude that the swallowing problem had  
18 existed early on in utero, wouldn't have just  
19 occurred at the time of delivery?

20 A Correct.

21 Q Is the current state of genetics  
22 research such that, in all likelihood, there  
23 are many genetic abnormalities for which we  
24 don't yet have the medical capability of making

1 the diagnosis?

2 A Yes.

3 Q Would you agree that the current  
4 state of genetic science is such that in all  
5 likelihood, the majority of genetic  
6 abnormalities are those for which we do not yet  
7 have the current medical knowledge to identify  
8 the specific cause?

9 MR. MITCHELL: I'm going to  
10 object to that. There's no  
11 foundation for that and I think it  
12 calls for speculation. How can you  
13 estimate a percentage about something  
14 you don't know anything about?

15 BY MR. MONAHAN:

16 Q Let me make it clear. If you take a  
17 whole population of kids who have dysmorphic  
18 features and birth anomalies for which there is  
19 no other explanation beside a genetic cause  
20 that's currently known, would you agree that  
21 the majority of those children, the current  
22 state of genetic testing would likely not  
23 identify a cause in the majority of them?

24 A Well, majority means I know there's

REPORTING SERVICE ASSOCIATES, INC.

1 more than 51 percent. I don't really know what  
2 the number is, but part of the issue though is  
3 when you say dysmorphic, what exactly you mean.  
4 So, for example, if I brought our geneticist in  
5 and examined you, okay --

6 Q You wouldn't have enough time.

7 A Again, the issues is that if you  
8 really start looking they would say, well, you  
9 didn't know it, but you've got an extra rib on  
10 that side, and this one ear is pinned down and  
11 the other one is free. They're picky, picky,  
12 picky people.

13 So they find little things and they  
14 are dysmorphic, and the question is sometimes  
15 where do you draw the line between just  
16 variability versus -- because we all know when  
17 you see what looks like a Down syndrome, we all  
18 know that's bad. It confers bad prognosis.  
19 Whatever happens in Down syndrome we know about  
20 that.

21 So most people have variations,  
22 anomalies, flat fingers, this, that and the  
23 other. And, yeah, they're dysmorphic, and of  
24 course they're genetic. Somehow your genes

1 told your fingers to be flat or whatever, but  
2 the question is is there really a disease  
3 behind that, or is that part of -- we're  
4 different people. No one's perfect. We all  
5 have little flaws, things like that.

6           So, when you have somebody, again,  
7 brain-wise who is so impaired as Sarah, and  
8 you're looking for, you know, a genetic  
9 explanation for brain disease, then it's got to  
10 be more than just, Oh, your fingers are a  
11 little flat. There are usually very unusual  
12 looking children and/or when you do the brain  
13 scan, they say, Well, holy cow, there's a whole  
14 part missing here, or you can really see the  
15 dysmorphic character of the brain.

16           So I don't see how you can take Sarah  
17 and say, Well, here she is so impaired, so  
18 severely and neurologically abnormal, and then  
19 all we've got dysmorphic is that her fingers  
20 are flat. You know, yeah, they are flat, but  
21 that's not why she's this way and the brain  
22 scan doesn't show that.

23           So I don't have any trouble with  
24 people raising that as a possibility. But, you

1 know, in the whole scheme of life, I would be  
2 completely unsatisfied to say that, Well, yeah,  
3 this is just another genetic syndrome. It  
4 would not satisfy me as a believable  
5 explanation.

6 Q Out of curiosity, was Down syndrome  
7 named and known well before anybody was able to  
8 identify the precise chromosomal explanation  
9 for it?

10 A Yeah. Hippocrates, I mean, you  
11 know -- and of course, it was the easiest one  
12 to identify because when you finally looked at  
13 the chromosomes, there's actually an entire  
14 extra chromosome. There's an extra chromosome  
15 21. The things they look for now is this  
16 little running base is on the wrong position.  
17 They're in the microscopic level with most of  
18 this stuff.

19 Q But that's an example where one can  
20 identify the child with a genetic defect, and  
21 for centuries that was possible before medical  
22 science caught up with the ability on a  
23 microscopic level to pinpoint the exact cause.

24 A That's exactly right. But then

1 again, Down syndrome is overtly dysmorphic, and  
2 they stand head and shoulders above the crowd  
3 or head and shoulders below the crowd, in their  
4 particular --

5 MR. MONAHAN: Off the  
6 record.

7 (At this time, a discussion  
8 was held off the record.)

9 BY MR. MONAHAN:

10 Q Based upon your review of additional  
11 records from anywhere, but in particular from  
12 Hopkins, do you intend to offer any opinions  
13 that any CT, MRI or other imaging of Sarah's  
14 brain, since the period immediately after birth  
15 has formed the basis for your conclusion that  
16 her injuries are due to birth trauma?

17 A In other words, things, like, well  
18 down the road?

19 Q I think we talked in May of 1997  
20 about what you believe is indicated by the CTs  
21 and MRIs done at Anne Arundel Medical Center in  
22 her first ten days or so of life. Do you  
23 intend to offer any opinions about any  
24 subsequent CT, MRI, or other brain imaging?

REPORTING SERVICE ASSOCIATES, INC.

1 A No.

2 Q I gather you haven't been advised of  
3 any opinions that Dr. Goldberg may have about  
4 CTs or MRIs from 1998?

5 A Correct.

6 Q And you, personally, haven't examined  
7 those?

8 A I've received the report faxed and  
9 that was it.

10 Q But that report, as I understand it,  
11 gave you information relating to whether she  
12 has a cervical spine injury?

13 A Correct.

14 Q But you're not relying upon it for  
15 any support for your opinions regarding the  
16 cause of her brain damage?

17 A That's correct.

18 Q In your experience, when children are  
19 born with CT or MRI evidence of bleeding in the  
20 subdural space, but not in any deeper aspect  
21 within the skull, would you agree that it would  
22 be unlikely that such a child would have brain  
23 damage?

24 A No. I wouldn't agree with that. I

1 mean, first, they don't have to have brain  
2 damage. There are children that do have  
3 subdurals, and it's not obliged for them to go  
4 on to have handicap, PCP, and so forth.

5           The subdurals to me are a sign that,  
6 well, trauma is what's behind this as opposed  
7 to, like, with your typical case of birth  
8 asphyxia or meningitis. You're not going to  
9 see a subdural hemorrhage. There's no reason  
10 for it to be there.

11           Part of it also has to come down with  
12 what you can't see with a CAT scan. So, for  
13 example, if you also see bruising or swelling  
14 or bleeding in the substance, sure.

15 Q           In the substance, meaning what?

16 A           In the substance of the brain, in the  
17 actual tissues of the brain as opposed to the  
18 space called the subdural space.

19 Q           And we can agree the subdural space  
20 is outside of the brain tissue?

21 A           Correct. It is not brain tissue, per  
22 se. And it is for that reason then, that you  
23 ask questions of a functional nature there.  
24 You say, Well, okay I can see with my eyes on

1 the scan there is some bleeding there.

2 Well, how is that brain behaving  
3 today? And you look at the child and the  
4 child's having seizures and is comatose. Well,  
5 the brain's not working real well today. And  
6 then you look at an electrical study, the EEG,  
7 and they say, Oh, it's a very low voltage or  
8 very weak signal. That brain's not working  
9 well today.

10 So really, to get to the whole story,  
11 it's never just a radiologist looking at a  
12 scan, but it's looking at the function of the  
13 child, the clinical picture and the imaging.

14 Q Is the Faulk cerebri also outside the  
15 brain?

16 A It's not brain substance. It's  
17 inside the head obviously, but it's not brain  
18 tissue. You don't think with the Faulk  
19 cerebri.

20 Q How about the transverse sinus? Is  
21 that also outside the brain tissue per se?

22 A Yes.

23 Q In your first report of April 30,  
24 1996, you made mention of Sarah's abnormal

REPORTING SERVICE ASSOCIATES, INC.

1 liver function tests after birth, that a liver  
2 ultrasound had showed a calcified portal vein  
3 that was felt to be secondary to portal vein  
4 thrombosis.

5 Do you recall which records you're  
6 referring to? Is this Hopkins?

7 A Hopkins, I believe.

8 Q Your report goes on to say, The most  
9 likely candidate for this was the prolonged  
10 presence of her umbilical artery catheter.  
11 It's not clear to me whether you are attempting  
12 to record someone else's opinion, or was this  
13 your opinion in April of 1996?

14 A I think I was parroting the medical  
15 records as much as anything there. You know,  
16 the whole issue is that in a newborn baby, the  
17 biggest blood vessel that is available goes  
18 through the umbilicus and up the aorta -- not  
19 the aorta, the vena cava. And this is where  
20 the portal vein connects. So if there's a  
21 blood clot there, it just propagates into the  
22 liver, basically. That's what I recall in the  
23 best sense of what was going on.

24 Q Do you agree with that opinion that

REPORTING SERVICE ASSOCIATES, INC.

1 the most likely candidate for the calcified  
2 portal vein was the prolonged presence of her  
3 umbilical artery catheter?

4 A I think it's probably the biggest  
5 single factor. And of course, the question is  
6 what's the whole condition of the child. So  
7 for example, if she was shocky, low blood  
8 pressure, things like that, when the blood  
9 pressure is low, blood doesn't flow as briskly.  
10 So it was more stagnant and more prone to  
11 clotting.

12 So, yes. There is a catheter in  
13 there, and any kind of foreign body will  
14 trigger a blood clot. But at the same time,  
15 things like slow, sluggish circulation is  
16 another factor, and it's hard for me now to  
17 know what's going on with that. But I think  
18 probably her medical condition, meaning her  
19 shockiness and the catheter together probably  
20 were the two main players in that.

21 Q At what point in time are you talking  
22 about?

23 A Right after birth. I mean, the  
24 catheter was in place right after birth, so

1 they could do the blood sampling and so forth.  
2 And she was critically ill, obviously, right  
3 after birth, so those were the times when the  
4 blood clot could form.

5 Q Do you intend to offer any other  
6 opinions to a reasonable degree of medical  
7 probability as to an explanation for the  
8 abnormal liver function studies or the findings  
9 on the ultrasound?

10 A No.

11 Q I'm not sure I understand the next  
12 statement you made where you say, quote,  
13 However, she underwent a coagulation workup  
14 which showed marginal decreases in both protein  
15 S and protein C, closed quote.

16 What is the significance of those  
17 proteins?

18 A Okay. Blood clotting is a balance of  
19 two forces. It's like a seesaw. And on the  
20 one hand, there are chemicals that create the  
21 blood clot. And on the other side, there are  
22 chemicals that dissolve the blood.

23 So as we sit here now, my blood is  
24 forming little clots here and there, but the

1 body also dissolves them. So it's a seesaw  
2 balance, sort of thing. You've probably heard  
3 of a condition called hemophilia, and these are  
4 the folks that they cut themselves shaving, and  
5 they can't stop bleeding because they lack that  
6 chemical necessary for bleeding.

7 Q Factor something?

8 A Factor 8 deficiency, von Willebrand's  
9 disease, there's a whole laundry list of  
10 conditions that lead to bleeders, hemophiliacs.  
11 And on the other side of the world from them  
12 are the people who are overly prone to blood  
13 clot. Their problem is just the opposite.  
14 They are too likely to form clots as opposed to  
15 too likely to bleed.

16 In the chemistry of this, there are  
17 these two substances that are known. Actually,  
18 there's a bunch of them, protein S and protein  
19 C. If you're deficient in these chemicals,  
20 then the result is that you're prone to blood  
21 clotting.

22 Now, the trick in this is the  
23 following. If you've already formed the blood  
24 clot, like, in this child. She's clotted the

1 blood in her portal vein, the clotted blood  
2 consumes protein S and protein C. It's stuck  
3 in that blood clot.

4           So then when you do a blood test and  
5 take a sample from the arm, they say, How much  
6 protein S and protein C do you have? Well,  
7 it's usually a little low because it's all  
8 stuck in the portal vein.

9           So at the time, they said, She's got  
10 calcium in the portal vein. Might this be one  
11 of these coagulation defects, knowing that  
12 nothing else has ever clotted on her. So they  
13 measured the protein S and protein C, and it  
14 was a little low. But then again, it's sitting  
15 there in the portal vein.

16           So that was basically ascribed to the  
17 fact that she had the clot, but was not the  
18 cause of the clot. Did you buy that?

19           Let me rephrase it this way. The  
20 question is which comes first? Is this one of  
21 these genetically determined, Oh, my God, like,  
22 hemophiliac, I'm prone to bleeding because I'm  
23 lacking this. Or is it, I'm genetically  
24 lacking in protein S and protein C, and

REPORTING SERVICE ASSOCIATES, INC.

1 therefore, I'm prone to bleeding.

2           If you're genetically programmed for  
3 this, you tend to have the kidneys, the liver,  
4 other things clot. So when they measured it,  
5 it was marginal. And it was attributed to the  
6 fact that those things were removed from her  
7 bloodstream and deposited into blood clot. So  
8 they never came out and said this is a  
9 genetically driven protein S or protein C  
10 deficiency.

11 Q           Your initial report of April 30th,  
12 1996 makes mention of a variety of metabolic  
13 testing performed, with the knowledge of the  
14 presence of metabolic acidosis. Are you  
15 referring again to Hopkins?

16 A           Yes.

17 Q           And your report goes on to say, This  
18 was certainly not conclusive, however. Do you  
19 intend to offer opinions to a reasonable degree  
20 of medical probability as to the cause of  
21 Sarah's metabolic acidosis?

22 A           Okay. So you're looking for  
23 clarification of that part, basically.

24 Q           Right.

REPORTING SERVICE ASSOCIATES, INC.

1 A Okay. This is the way the numbers  
2 worked out in this. She was metabolically  
3 acidotic. And that's one of these things where  
4 you do the test, and the numbers come out a  
5 certain way. You have metabolic acidosis.

6 So there was no getting around that.  
7 She had it. The question is why is she  
8 acidotic. It was not a respiratory problem  
9 because that's easy to check too. If it's a  
10 respiratory cause for acidosis, then you should  
11 have too much carbon monoxide in your  
12 bloodstream. The CO2 is fine.

13 If anything, they had overventilated  
14 her deliberately, and the CO2 level was  
15 actually low. So the acidosis was not from the  
16 carbon monoxide.

17 The next question is, well, maybe  
18 there's one of these chemical disorders. You  
19 know, there's, again, dozens of these  
20 different, you know, biochemical disturbances  
21 that can show up in newborn babies, propionic  
22 acidemia, methylmalonic acidemia, all these  
23 kind of biochemical things. Because as part of  
24 those, you also have metabolic acidosis.

1           And when they did the testing, they  
2 came up with nothing conclusive on that. And,  
3 in fact, I think probably what was the basis of  
4 the metabolic acidosis was the portal vein  
5 thrombosis, because the liver is your big  
6 chemical warehouse in your body, and it's  
7 what's managing all this acid based business.

8           So the main vein for the liver is  
9 clotted and she has metabolic acidosis. And as  
10 that resolved, the metabolic acidosis resolved.  
11 So I really think the metabolic acidosis was a  
12 result of the clotting of the vein in the  
13 liver.

14           And when they did the testing for the  
15 specific biochemical disorders, whatever they  
16 were, they didn't come up with anything  
17 definitive on that.

18 Q           Now, I gather the body at some point  
19 broke down the clot in the portal vein?

20 A           I mean, we'd have to gather that. I  
21 don't know that it was specifically imaged to  
22 show that it happened. It essentially always  
23 happens.

24 Q           If that didn't happen, wouldn't she

1 have gone on to some liver failure?

2 A Exactly. We have to assume that that  
3 happened.

4 Q If the metabolic acidosis is a  
5 consequence of that clot, would it follow that  
6 once the body dissolves that clot in the vein,  
7 it becomes patent and the metabolic acidosis  
8 would resolve?

9 A That's what happens. yes.

10 Q Are there any other opinions you have  
11 reached from your review of all the additional  
12 records from Hopkins, that you've been provided  
13 since your original deposition, as well as from  
14 seeing Sarah Fogel that we haven't discussed?

15 A I guess the only thought I had, I  
16 don't know if it's an opinion. It's just an  
17 observation about it, is that, you know, before  
18 this business about the vasculitis was known,  
19 obviously they were focused on reflux and  
20 aspiration because those are the things that  
21 can happen to children that so damage  
22 neurologically.

23 You know, so people say, Well,  
24 everyone knows that when you're brain damaged

1 you have trouble swallowing. You can aspirate  
2 and that's one of the things, they die early,  
3 things like that. So all the early  
4 hospitalizations were focused on that, she is  
5 aspirating, she is refluxing. This is going to  
6 do her in, basically.

7           And it really wasn't until time had  
8 passed that they realized that the real culprit  
9 was the vasculitis. Again, bad luck for Sarah.  
10 Not related etiologically to this. But I also  
11 felt though, that now that the vasculitis is  
12 under control with the steroids, she really  
13 hasn't had these crises.

14           So, in other words, she really isn't  
15 aspirating that bad or having so much bad  
16 reflux that I'm as worried as I was initially  
17 from that point of view. I have something else  
18 to worry about. I've got the vasculitis to  
19 worry about for her sake.

20           But at least her track record with  
21 respect to aspiration, which is probably the  
22 most dangerous thing for a brain damaged baby,  
23 is less. And I can't quantify how less, but  
24 it, again, just thinking about what her

REPORTING SERVICE ASSOCIATES, INC.

1 circumstances are now.

2 Q I think I've asked you this already.

3 On balance, do your conclusions about her life

4 expectancy essentially stay the same as what

5 you've told me previously in May of 1997?

6 A Yes, I do.

7 Q Have you testified recently on any

8 medical malpractice cases?

9 A Yes.

10 Q When did you last do that?

11 A Let me think of what this was. It

12 was just about two weeks ago in Wilmington,

13 Delaware, and it was Eric Miller versus, I

14 guess it's Kanaga, K-A-N-A-G-A.

15 Q Is that an individual or hospital?

16 A That was Dr. Kanaga, and I testified

17 on behalf of the plaintiff for causation. And

18 it was a baby who had 34 minutes of no heart

19 beat and is severely brain damaged right now.

20 Q Why did they need you?

21 A They didn't. No. There was nothing

22 difficult about it.

23 Q Who were the attorneys you were

24 involved with?

- 1 A Brant and Dalton.
- 2 Q Is that the plaintiff's attorney?
- 3 A Plaintiff's attorneys.
- 4 Q Who took your deposition?
- 5 A Her name, believe it or not, is
- 6 Nasczi, N-A-S-C-Z-I, something like that. It's
- 7 probably the longest deposition I've ever been
- 8 involved in my life.
- 9 Q Do you know her first name, just in
- 10 case there's another Nasczi in Delaware?
- 11 A I can't recall. I never got past the
- 12 Nasczi part.
- 13 Q Have you testified at all recently
- 14 for any defendant medical malpractice cases?
- 15 A Yes. It's a case called Tipjew,
- 16 T-I-P-J-E-W, versus Miller, and I just can't
- 17 think of the firm.
- 18 Q Where is that case?
- 19 A New Jersey.
- 20 Q Post and Schell, by any chance?
- 21 A No.
- 22 Q You don't recall the attorney?
- 23 A That's what I'm trying to think.
- 24 Q What was the nature of the --

REPORTING SERVICE ASSOCIATES, INC.

1 A That actually was a chorioamnionitis  
2 case. And then the last one was for the  
3 defense in a Virginia case. It was an  
4 arbitration panel thing, and the attorney's  
5 name was Frank Hilton.

6 Q I know Frank. He's out in  
7 Harrisonburg?

8 A Harrisonburg, Virginia.

9 Q Nice guy.

10 A And I cannot recall the name.

11 Q Did you ever work with Ron Hodges?

12 A I don't believe so.

13 Q What was the nature of that  
14 allegation in Mr. Hilton's case?

15 A This was an ancient case. The  
16 patient is now 25 years old, and the  
17 allegations were birth asphyxia. And it  
18 actually turned out that the youngster, the  
19 neonate, had a blood disorder called DIC,  
20 disseminating intravascular coagulation, and he  
21 had a stroke.

22 So his CAT scan had a stroke and he  
23 was bleeding. And they did the blood studies,  
24 and this and that. And the claim was that it

REPORTING SERVICE ASSOCIATES, INC.

1 was just another case of birth asphyxia, so it  
2 went to the arbitration panel.

3 Q What was the outcome?

4 A For the plaintiff.

5 Q Who was being sued?

6 A The doctor, mostly, but the hospital  
7 because the chain of command business about the  
8 nurse didn't recognize. Oh, they only had one  
9 fetal monitor at that time. It was, like, a  
10 1975 case, something like that, and there was  
11 one used on another patient, and the hospital  
12 should have had more machines to monitor this  
13 particular patient as well.

14 Q Was it UVA?

15 A No. The child was sent to UVA where  
16 the DIC was diagnosed.

17 Q Rockingham?

18 A Rockingham Memorial Hospital.

19 Q Can you think of any other cases  
20 which you've recently testified for defense?

21 A Not testifying, but a case for  
22 Fulbright and Jaworski, and it's a case in  
23 Texas. The child probably has a condition  
24 called pyruvate dehydrogenase deficiency, but

1 when it shows up in the newborn period, they  
2 have seizures and they're depressed and it  
3 mimics birth asphyxia. And I reviewed that and  
4 made a report on that. It has not gotten to  
5 the point of the deposition.

6 Q What do you charge for your time?

7 A \$300 an hour.

8 Q When you saw Sarah, she was still  
9 being fed through a gastrostomy tube?

10 A Yes.

11 Q Do you have an opinion as to whether  
12 that's going to be required permanently?

13 A I expect it to be. Yes.

14 Q Is it still your opinion that it's  
15 unlikely she will ever be ambulatory?

16 A Yes, it is.

17 Q Is it still your opinion that it's  
18 unlikely she will ever be continent of bowel  
19 and bladder?

20 A Yes. I expect her to be incontinent  
21 of bowel and bladder.

22 Q Those are all the questions I have.  
23 Thank you very much.

24 MR. CHASON: Let's go off

1 the record.

2 (At this time, a discussion  
3 was held off the record.)

4 - - -

5 BY MR. CHASON:

6 Q Doctor, we've talked a fair amount  
7 about vasculitis, and I'm only going to have a  
8 few questions. What are the known causes of  
9 vasculitis?

10 A The known causes would be, number  
11 one, infection. So if a germ, if you would,  
12 irritated the blood vessel, that would inflame  
13 it.

14 Q That would be a limited condition,  
15 once the infection resolved, the vasculitis  
16 would resolve?

17 A Actually, not necessarily. For  
18 example, the CMV virus is a -- not CMV -- HIV  
19 virus causes -- the AIDS virus causes a  
20 vasculitis that as long as it's alive, then the  
21 cell stays in there.

22 Q Does CMV cause vasculitis?

23 A Not that I'm aware of. It causes  
24 brain damage, but not vasculitis. Second thing

1 would be in the broad area of allergic  
2 vasculitis so that your system is allergic to  
3 something. And there's an antigen that  
4 triggers the response.

5           And then the third general area would  
6 be what is called autoimmune. And this is  
7 where somehow the body is instructing the  
8 immune attack against its own tissues. It's  
9 not a foreign tissue. It's not a germ or the  
10 pollen in the air, but a normal substance on  
11 the cell.

12           And probably there are some tumors  
13 that can produce, probably through immune  
14 mechanisms again. And that's my sum total  
15 knowledge of what would cause it.

16 Q           In Sarah Fogel's case, is this a  
17 vasculitis of unknown origin?

18 A           Yes.

19 Q           And I take it from something you said  
20 quite a while ago that there can be genetic  
21 causes. There can be genetic predisposition,  
22 if you will, to the occurrence of vasculitis?

23 A           Yes. I think that's true. And just,  
24 for example, quickly, have you ever heard of

1 this thing called lupus?

2 Q Yes.

3 A So, in lupus, you know, why does it  
4 attack this person and not that person. There  
5 are actually some blood types that if you  
6 happen to be this specific type of blood type,  
7 you're much more likely to get lupus than  
8 someone else.

9 So it's like your gene system has set  
10 you up as a sitting duck, if you would. The  
11 trigger still has to show up.

12 Q Was this patient's amputation of toes  
13 secondary to her vasculitis?

14 A I think so. Yes.

15 Q Do I understand correctly your  
16 earlier testimony that vasculitis is a  
17 condition which would probably exist throughout  
18 the body, but may manifest itself in particular  
19 areas of the body?

20 A I believe so. Yes.

21 Q Do you believe that chorioamnionitis  
22 existed at the time of birth in this patient?

23 A I'm not sure I remember that part  
24 real well. The baby was described as being

REPORTING SERVICE ASSOCIATES, INC.

1 foul smelling for sure, and that's supposed be  
2 one of the clues to look for that.

3 Q To look for chorioamnionitis?

4 A To look for chorioamnionitis, the  
5 infection that's making it smell bad. I don't  
6 believe there was ever fever, belly pain, white  
7 cells, a germ that was cultured that would have  
8 confirmed that.

9 Q Those are the kinds of things one  
10 would look for as signs and symptoms of the  
11 existence of an infection of the chorioamnion?

12 A So I suspect there could be this  
13 because there's foul-smelling there. Let's  
14 look into it. Is there fever? Is there belly  
15 pain? Do we culture a germ by sending the  
16 specimen to the lab, and the answer is no.

17 Q In mom or the baby?

18 A In the baby.

19 Q Would you look for those signs and  
20 symptoms in the mother?

21 A Yes.

22 Q And there's lab values?

23 A Yes.

24 Q And it's your testimony that those

1 were all normal?

2 A That's what I recall as I sit here  
3 now.

4 Q To what then do you attribute the  
5 foul smell which was described in which you've  
6 observed?

7 A I don't understand why it was foul  
8 smelling.

9 Q In a patient with vasculitis who is  
10 on steroids and responsive to steroids, does it  
11 occur that that patient can later fail to  
12 respond to steroids?

13 A It would have to -- I'd have to say  
14 yes, it's possible.

15 Q You've talked about your testimony  
16 from your prior deposition with regard to this  
17 patient's life expectancy. And as you probably  
18 don't know, I've gotten into this case late, so  
19 I'd like to ask you just a couple follow-up  
20 questions. When you talk about a ten-year life  
21 expectancy, is that from time of birth?

22 A No. It's, quote, from the present,  
23 meaning that the issue is that we'll just take  
24 a hypothetical patient. They are three years

1 old, and using these tables again from Grossman  
2 and whatever, you're saying from today, just  
3 like an insurance adjuster, well, from today,  
4 what are your chances of dying in the next five  
5 years, ten years, whatever.

6           So that statement of ten years would  
7 have been from the first time I saw her back in  
8 1996. What's your life expectancy today, ten  
9 years from today. So that would be, when I  
10 offered my opinion of ten years, it was from  
11 the time I had seen her.

12 Q           And by virtue of having lived until  
13 at least July 22, 1998. Does that, in your  
14 opinion, based on the studies that you referred  
15 to, mean that Sarah, as of today, continues to  
16 have a ten-year life expectancy?

17 A           Well, that's a good question.

18 Q           Do you have a good answer?

19 A           I was actually wondering if someone  
20 was ever going to ask me that because, first of  
21 all, I'd have to look and see where she is on  
22 the table. I'm assuming you know the table  
23 we're referring to, you know. And so they say,  
24 you're severely handicapped, no mobility, tube

1 feed, all that kind of stuff. So as of today,  
2 where are you?

3 I believe she's still in that same  
4 group, and we can look, but she's still in that  
5 age group. So I'd have to say still that it  
6 would have been as of 1996.

7 If I saw her for the first time today  
8 and never saw her before and I pulled the table  
9 out, I would say from today, from July 22nd on.  
10 But I'm not going to do that to you because my  
11 statement was I expected to see her live ten  
12 years from the time I first saw her next to the  
13 table. In fact, so far, she's done that.

14 Q So you hark back to the point which  
15 you expressed that opinion, and not revise that  
16 to be ten years from today?

17 A I would not do that.

18 Q I'm interested in your opinion with  
19 respect to the fact that this patient has what  
20 I think you've identified as an additional  
21 complicating factor. That is the vasculitis.  
22 As a result of the vasculitis, she's taking  
23 steroids which enhance the likelihood, as I  
24 understand your testimony, that she will

1 contract an infection?

2 A Correct.

3 Q Why is it that that does not, in your  
4 opinion, affect her life expectancy?

5 A You know, the first question is would  
6 vasculitis reduce life expectancy, and I would  
7 surely think it would. I have in mind that if  
8 there was no other factors involved, she just  
9 had vasculitis, she would probably live 30, 40  
10 years with vasculitis. So, the vasculitis  
11 isn't what's going to affect her life  
12 expectancy now, which is far more, in my mind,  
13 determined by her cerebral palsy.

14 So what's way down the line for her,  
15 vasculitis causing her death, I don't see that  
16 as a player for much longer. So that I would  
17 still think that what would take her life  
18 sooner would be the complications of her  
19 cerebral palsy.

20 Q Don't both her pulmonary vasculitis  
21 and the chronic use of steroids predispose her  
22 to, among other things, lung infections and  
23 pneumonia?

24 A Yes.

1 Q And it predisposes her to a greater  
2 degree than a similar patient who didn't have  
3 vasculitis, and was using chronic steroids,  
4 correct?

5 A Well, again, it might on paper, but I  
6 guess the point is that to examine the child, I  
7 don't know if you've ever seen her, but she  
8 looks amazingly robust, number one. Secondly,  
9 they have all this medical care that they're  
10 getting with the nurses.

11 And it's certainly the mother's --  
12 well, I can't speak for the mother's intention,  
13 but I know if this kid snuffles, she's going to  
14 be treated. So things that are, like,  
15 infections are treatable.

16 It's not like they're going to throw  
17 their hands up in despair. They've invested a  
18 lot in this child. So I don't really see the  
19 vasculitis as being life-threatening to her for  
20 a much longer period of time, than what is a  
21 very short period of time for her life. In  
22 other words, the next ten years or ten years  
23 from when I first saw her.

24 Q I understand what you've just told

REPORTING SERVICE ASSOCIATES, INC.

1 me. It does not, I think, really address what  
2 my question was. Let me try to rearticulate  
3 it.

4 All other things being equal, isn't  
5 it true that her vasculitis and her required  
6 use of chronic steroids, predisposes Sarah  
7 Fogel or increases her risk rather, would be a  
8 better term, of pneumonia over that which she  
9 would have if she didn't have those conditions  
10 and treatment?

11 A I think you'd have to say yes to  
12 that.

13 Q Isn't it true that one of the big  
14 risks for patients who have the underlying  
15 neurologic condition that Sarah has, isn't one  
16 of the big risks pneumonia, one of the major  
17 reasons for their early death?

18 A Well, aspiration pneumonia as opposed  
19 to, you know, pneumococcal pneumonia, for  
20 example.

21 Q So she's at increased risk by virtue  
22 of her neurologic condition for aspiration  
23 pneumonia, and she's at a separate increased  
24 risk by virtue of her vasculitis and chronic

1 steroid use for bacteriological and viral based  
2 forms of pneumonia?

3 A Correct.

4 Q Let me tell you where I'm going.

5 You're talking about imaging of the brain and  
6 the static nature of her injury, which you've  
7 opined about. Does there come a time in the  
8 development of the brain when, if injury to the  
9 brain is due to a hypoxic event at birth, you  
10 expect at some point in time that the imaging,  
11 the appearance on imaging of the brain stays  
12 the same from thence forward? Is there such a  
13 point in time?

14 A Probably, yes.

15 Q And at what ages?

16 A Maybe around age five.

17 Q What changes do you expect to be  
18 occurring if it is due to a hypoxic event at or  
19 around birth from ages two to five?

20 A Probably sort of the biggest  
21 biological factor that's changing is the white  
22 matter. The white matter is the insulation  
23 around the nerves. So, for example, a newborn  
24 infant has very little white matter. The eyes

1 have some, the feeding mechanism has some.

2           A lot of that comes into play between  
3 age two to five where you can see the changes  
4 in the white matter because it just wasn't  
5 there before. It wasn't visible on the scan  
6 before. And, of course, that assumes that  
7 there's no other medical factor going on.

8           So just to give you a little curve in  
9 this story because at one time, for example,  
10 Sarah took ACTH when she had these infantile  
11 spasms. And if I took ACTH and if you took  
12 ACTH, that actually produces visible changes in  
13 the appearance of the skin, and it's part of  
14 its character to do that.

15           She's taking steroids now. Steroids  
16 are like an oral form of the ACTH. It's a  
17 tricky situation because we have the lesion,  
18 the injury in a changing nervous system. And  
19 then to boot, there was a course of ACTH,  
20 there's the steroids.

21           And again, if you just go to medical  
22 literature and say, What does ACTH do to the  
23 scan, it will tell you there's changes. So  
24 it's not a super-simple situation for her.

REPORTING SERVICE ASSOCIATES, INC.

1 Q If I understand you correctly, in the  
2 normal child, one would expect on brain imaging  
3 from between the ages of two and five to see  
4 the presence of increasing amounts of white  
5 matter?

6 A Right.

7 Q Does one expect to see that in a  
8 patient which has suffered an anoxic or hypoxic  
9 event at birth?

10 A Yes. In other words, the timetable  
11 is still embedded that between the biological  
12 ages of two and five, that's when the white  
13 matter is supposed to show up and do its  
14 change. It's still trying to do what it was  
15 programmed to do.

16 Q Other than the increase in white  
17 matter which you have indicated would be  
18 apparent on imaging, between the ages of two  
19 and five, are there other changes in the brain  
20 which one would expect to see on imaging?  
21 Again, between the ages of two and five in the  
22 patient with a birth hypoxia.

23 A You might notice the so-called  
24 atrophy a little more, especially if the

1 patient has been having seizures. Again, I've  
2 complicated your question because you're saying  
3 everything else is unchanged, just plain birth  
4 asphyxia, nothing else.

5 I think you may appreciate some  
6 atrophy some more, if there's been a lot of  
7 seizures, which in and of themselves will  
8 produce some atrophy that can amplify that and  
9 make it more conspicuous.

10 Q After the seizures, would one expect  
11 to see atrophy?

12 A You would still expect to see atrophy  
13 between age two and five.

14 Q All right. I would like to get from  
15 you, and I don't necessarily have to have it  
16 before you leave, but I would like to get your  
17 current CV, if you have it available?

18 A I can print it up in a minute.

19 Q Do you expect -- again shifting gears  
20 to the fetal monitor strip. Will you be  
21 expressing any opinions with respect to whether  
22 or not the fetal monitor strip, at any time,  
23 demonstrates signs of fetal distress?

24 A It was my understanding that there

1 was never any sign of fetal distress on it, and  
2 not so much through experts making statements,  
3 but just reading the medical records. I don't  
4 think anyone -- it was never part of my, you  
5 know -- it was never a key ingredient to my  
6 analysis in the case.

7 Q Do you consider yourself an expert in  
8 the interpretation of fetal monitor strips?

9 A No.

10 Q I'm going to try to articulate this  
11 question. Well, I doubt that I'll do it, but  
12 work with me, if you will.

13 Is it correct to say that in the  
14 universe of children with cerebral palsy, that  
15 some of them may be due to birth trauma, that  
16 another portion of them may be due to a  
17 determinable genetic cause, and that another  
18 portion of them will be due to an  
19 undeterminable genetic cause?

20 A Sure. I mean, that's sensible.

21 Q Okay. Do you hold the opinion that  
22 those three categories cover all of the  
23 universe of causes of CP?

24 A Well, the other category is

1 undetermined acquired causes. So as an  
2 example, in the case of trauma, you pretend, if  
3 you would, that the baby is perfectly fine,  
4 destined to be 100 percent normal, and for  
5 whatever reason has severe trauma.

6           And so he's been -- that's an  
7 acquired disease. It's something from without  
8 coming into the child. Same thing could have  
9 been asphyxia or meningitis or a toxin, or  
10 something from without affecting the child.

11           And we know about a lot of those. I  
12 mean, if somebody has a cord prolapse for an  
13 hour, well, sure, that's enough to do it. I  
14 think there probably are acquired things that  
15 we're not very savvy about in that formula too.

16           So there's the genetic things we know  
17 about. Genetic things we don't know about.  
18 The acquired things we know about, and there's  
19 probably some acquired things we don't really  
20 know about.

21 Q           Are you familiar with any study or  
22 literature which identifies what percentage  
23 applies to each of those four categories of the  
24 universe of children with CP?

1 A I think it's been looked at from a  
2 number of different points of view, but my rule  
3 of thumb is that -- let's just say perinatal  
4 events, in other words, bad things that happen  
5 to kids right around time of birth probably  
6 accounts for 15 percent or 20 percent of  
7 cerebral palsy.

8 The rest of the world of cerebral  
9 palsy you look at the labor and delivery, and  
10 say that I can't see anything here that would  
11 have done this to the child. So whatever  
12 happened that wasn't a birth deal, it was  
13 either before birth or whatever is going on.

14 Q Or genetic?

15 A Or genetic. Only 15 or 20 percent of  
16 the world of CP is attributable specifically to  
17 perinatal acquired disorders.

18 Q And when you use that term, perinatal  
19 acquired disorders, are those the identifiable  
20 ones or does that include the unidentifiable  
21 causes?

22 A Identifiable.

23 Q So it's 15 to 20 percent are  
24 identifiable events that are not genetic. What

1 percentage are unidentifiable, not perinatal  
2 events that are not genetic? In other words, I  
3 want to get all four subcategories, if I can.

4 A Well, part of it is that since, for  
5 example, we talked a little about  
6 chorioamnionitis. This whole story about  
7 infection, people are just learning what the  
8 rule is. So a couple years ago, nobody would  
9 have mentioned that because it wasn't  
10 considered to be a player in this. So that's  
11 something that's not genetic. It is acquired.

12 And I think we're still learning what  
13 role, if any, this infection story plays in  
14 perinatal disease and CP and so forth. So part  
15 of it is that I don't really know what the  
16 answer is. I certainly, firmly believe that  
17 the vast majority of these kids have nothing to  
18 do with perinatal asphyxia or trauma or things  
19 like that.

20 Q Okay. I'd like to try to, if I can,  
21 get what percentage or what the percentages are  
22 between genetic versus non-genetic, just that  
23 one major division. What percentage of cases  
24 of CP involve genetic disorders, whether they

1 are known identifiable genetic syndromes, or  
2 not?

3 A           Okay. And again, I'm not citing any  
4 special literature, so I'm sure you could pull  
5 a book out and show me something different, but  
6 I think probably about a third of them are  
7 clearly genetic.

8 Q           So something approaching 50 percent  
9 of CP cases in your opinion, if I'm  
10 understanding you correctly, those fall under  
11 the category of non-genetic, but unknown  
12 etiology; is that right, if a third of them are  
13 genetic?

14 A           Identifiable genetic. In other  
15 words, you look at the kid and say, Oh, that's  
16 sector syndrome. That's genetic. That's why  
17 he has CP. A third are overtly genetic.

18 Q           A third are identifiable. Let me go  
19 back because that was not my question. My  
20 question was of all CP cases of the universe of  
21 CP cases, what percentage of them are genetic,  
22 whether they are known etiology or unknown  
23 etiology?

24           So, what portion of the 100 percent

1 is comprised of genetic causes, even if we  
2 don't know now what they are?

3 A Okay. Again, probably not answerable  
4 in the same light that if you say, This kid's  
5 dysmorphic, Down syndrome, whatever, he's  
6 genetic. Then there's other children where you  
7 say, It might be genetic. It's possible. I  
8 suspect it, but unless you have something more  
9 than that, it's just a speculation.

10 So in terms of things that are  
11 clearly, I'm comfortable it's genetic or  
12 acquired disease affirmed, or perinatal  
13 asphyxia trauma, that gets us into about half  
14 of them where you're pretty comfortable. And  
15 probably half where they might be genetic, but  
16 you just don't know. Truly don't know.

17 Q So 50 percent might be either  
18 unidentifiable genetic or unidentifiable  
19 acquired. Okay. But if I understand your  
20 earlier testimony, the vast majority of  
21 patients are not due to identifiable causes  
22 from birth trauma?

23 A Yes. That's true.

24 Q Are there incidents of metabolic

1 acidosis of unknown etiology?

2 A Yes, there are.

3 Q To your observation where Sarah's --  
4 on either of your examinations, were Sarah's  
5 fingers, to any degree, flattened?

6 A When I saw her, no. Now, especially  
7 the last exam because she was chubby from the  
8 steroid business. That would sort of blur your  
9 vision, but I didn't really appreciate anything  
10 dysmorphic about her when I saw her.

11 Q What about shape of her head?

12 A Well, again, she is microcephalic.  
13 And from a strict point of view that's  
14 formation that's not right. It's dysmorphic,  
15 but in terms of, well, does that tell me  
16 something genetic about her, no, it doesn't,  
17 because it's from acquired damage.

18 Q Thank you very much.

19 (Witness excused.)

20 (Deposition concluded at

21 4:35 p.m.)

22

23

24

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24

C E R T I F I C A T I O N

I, Carmen T. Santiago, Professional Reporter and Notary Public, do hereby certify that the foregoing is a true and accurate transcript of the stenographic notes taken by me in the aforementioned matter.

DATE: 7/28/98

CARMEN T. SANTIAGO

REPORTING SERVICE ASSOCIATES, INC.

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24

REPORTING SERVICE ASSOCIATES, INC.