

1 COURT OF COMMON PLEAS

2 CUYAHOGA COUNTY, OHIO

3 - - -

4 MATTHEW CHASE WAGONER, ETC. : CASE NO.
et al. : 497179

5 :
-VS- :

6 :
7 MARK R. EVANS, M.D, et al. :
8 - - -

9 Oral deposition of ROBERT RYAN CLANCY, M.D.,
10 was taken pursuant to Notice, held at ZANARAS REPORTING
11 & VIDEO, 1616 Walnut Street, Suite 300, commencing at
12 9:00 a.m., August 25, 2006, before Micheline Brown,
13 License No. XI 00230800, Certified Shorthand Reporter
14 and Notary Public, there being present:
15
16
17
18
19
20

21 - - -

22 ZANARAS REPORTING & VIDEO
1616 Walnut Street 2112 Bay Avenue
Philadelphia, PA 19103 Ocean City, NJ 08226
23 (215) 790-7857 (609) 398-6349
24

A P P E A R A N C E S:

BECKER & MISHKIND CO., L.P.A.

BY: MICHAEL F. BECKER, ESQUIRE (via videoconference)

134 Middle Avenue

Elyria, Ohio 44035

(440) 323-7070

Counsel for Plaintiff

MOSCARINO & TREU LLP

BY: JOHN T. BULLOCH, ESQUIRE

The Hanna Building

1422 Euclid Avenue, Suite 630

Cleveland, Ohio 44115

(216) 621-1000

Counsel for Defendant

I N D E X

WITNESS:	INTERROGATION BY	PAGE
Robert Ryan Clancy, M.D.		
	Mr. Becker	5

- - -

E X H I B I T S

EXHIBIT NUMBER	DESCRIPTION	PAGE
Exhibit 1	Notes	11
Exhibit 2	Notes	11
Exhibit 3	Notes	11
Exhibit 4A	Notes	11
Exhibit 4B	Notes	11
Exhibit 4C	Notes	11
Exhibit 5A	Notes	11
Exhibit 5B	Notes	11
Exhibit 5C	Notes	11
Exhibit 5D	Notes	11
Exhibit 5E	Notes	11
Exhibit 5F	Notes	11
Exhibit 6	Article	23
Exhibit 7	Curriculum Vitae	57
Exhibit 8	Notes	90
Exhibit 9	Notes	90
Exhibit 10	Notes	90
Exhibit 11	Notes	90
Exhibit 12	Notes	90
Exhibit 13	Notes	90
Exhibit 14	Notes	90
Exhibit 15	Notes	90

LITIGATION SUPPORT INDEX

DIRECTION TO WITNESS NOT TO ANSWER

PAGE	LINE	PAGE	LINE	PAGE	LINE
------	------	------	------	------	------

REQUEST FOR PRODUCTION OF DOCUMENTS

PAGE	LINE	PAGE	LINE	PAGE	LINE
------	------	------	------	------	------

STIPULATION

PAGE	LINE
5	1

1 (It is hereby stipulated and agreed
2 by and between counsel that, sealing, filing and
3 certification are waived; and that all
4 objections, except as to the form of the
5 question, be reserved until the time of trial.)

6 ROBERT RYAN CLANCY, M.D., after having been
7 first duly sworn, was examined and testified as
8 follows:

9 BY MR. BECKER:

10 Q. Good morning, Doctor, would you state your
11 full name for me, please?

12 A. My name is Dr. Robert Clancy.

13 Q. And what is your business address?

14 A. The Division of Neurology the Children's
15 Hospital of Philadelphia, 34th Street and Civic Center
16 Boulevard, Philadelphia, Pennsylvania 19104.

17 Q. Dr. Clancy, did you bring with you your
18 complete file that you have on this case?

19 A. Yes, I did.

20 Q. If you would, sir, would you, just kind
21 of, go through your file and tell me what medical
22 records, in general, you have, depositions, et cetera?

23 A. I'm going to move to get to the stack.

24 Q. That's fine.

1 MR. BULLOCH: It's fairly voluminous, Mike

2 BY MR. BECKER:

3 Q. And, Doctor, while you're looking at that
4 I gather from some old depositions of yours that, at
5 least at one point, you had a tendency to make notes on
6 the front of the depositions of the respective experts.
7 Did you do that in this case?

8 A. Yes. In all of them.

9 MR. BECKER: Okay. And, John, can we get an
10 agreement that we can have the front page of each
11 deposition marked after -- photocopied and then
12 marked as an exhibit after the depo?

13 MR. BULLOCH: Sure.

14 THE WITNESS: So I'll just read through
15 this. I have my billing for the case. The first
16 letter from Moscarino and Treu that lists the
17 material. A copy of my report that you have, my
18 Curriculum Vitae, and these are cover letters for
19 Dr. Wilhem's deposition, Dr. Robert Darnall, old
20 billings from couple years ago, additional
21 records from Dr. Cahigh, EMS report, University
22 of North Carolina and just correspondence about
23 the trial coming up, cover letter about
24 Dr. Adler's deposition, cover letter about

1 Dr. Barry Pressman's report. The announcement of
2 this deposition. I have one E-mail from, well,
3 from my secretary to call Mr. Ostra, an E-mail
4 from Mr. Ostra. The report from Dr. Raymond
5 Redline. I have notes from the medical records
6 which are attached

7 BY MR. BECKER:

8 Q. Those are your notes?

9 A. Yes, sir.

10 Q. Okay.

11 A. Just from the chronology and things like
12 that. Another E-mail from my secretary to call
13 Mr. Bulloch. The cover letter for Dr. Jonathan Cronin's
14 deposition, Dr. Cronin's report, a report from
15 Dr. Hermansen, Dr. Adler and Dr. Redline, another
16 E-mail, the medical chart of Dr. Cahigh, the cover
17 letter for Dr. Hermansen's deposition, the home movies;
18 I have a medical article regarding mitochondrial
19 disorders, receipts from old bills. I have notes that I
20 took when I re-reviewed the -- there was two volumes of
21 home movies, the VHS tape said 2004, I think version I
22 or number one, something like that. The second tape was
23 home movies 2004 number two. Report from Dr. Pressman,
24 notes from a volume I got recently that included the

1 time when Matthew was, I think, ten months and had
2 suspected meningococcal infection.

3 Here is the depositions that I have the
4 notes on. So I have Dr. Adler and there are notes on
5 the front of that. Dr. Cronin, there's notes on the
6 front of that, Dr. Bachman's deposition, there's notes
7 on the front of that. Dr. Joshua Alexander, there is a
8 few notes with that. Dr. Nelson, his recent deposition,
9 there's notes on that. I have the deposition, I guess
10 the first deposition of Dr. Wilhem, I don't have notes
11 on that. I have -- I have a stick-um on page 41, just
12 to look at that, and a stick-um on page 65. Here's the
13 deposition of Dr. Hermansen, there's notes on that;
14 Dr. Darnall. I have the mother's deposition. I have
15 not read it. I have no notes on it. I have the
16 deposition of Dr. Lilien with notes on it. These are
17 medical records, Dr. Cahigh's, this volume is sort of
18 all the material that related to his admission to
19 University of North Carolina, Chapel Hill, between
20 July 25, 2000 and August 2, 2000.

21 Now, the rest of the medical records so this
22 is the Developmental Evaluation Center and these are
23 from the Doshier Memorial Hospital, so these are
24 duplicates I believe. This is, this is the volume for

1 the meningitis, July 2000. This was the notes from the
2 Fairview Hospital when he was a newborn.

3 MR. BULLOCH: The records you mean?

4 THE WITNESS: The records. And this file is
5 the Preliminary Service Coordination Summary
6 Recommendation. This is a lot of his pediatric
7 and follow-up stuff. Dr. Cahigh's records. This
8 is the Wellcare Home Health records. These are
9 his newborn records I guess that's Parma
10 Hospital. And then I have a batch of outpatient
11 records from North Carolina. So this is David
12 Bachman's report, Dr. Rhoades, a GI, Joshua
13 Alexander, Dave Wallis, Richard Southerlin, Tracy
14 Irwin, therapy notes, neonatal developmental
15 clinic follow-up, social service letters and lab
16 work.

17 MR. BULLOCH: Again, Doctor, two times you
18 said, "notes," by that you mean records?

19 THE WITNESS: No. These are all medical
20 records. My handwritten notes are over here;
21 okay?

22 BY MR. BECKER:

23 Q. How many pages are your handwritten notes?

24 A. Well, the notes from the medical records I

1 think are about six pages or so and they're stapled
2 together and then I have, just, additional notes from,
3 again, like, looking at the movies or the home movies
4 and some other things.

5 MR. BULLOCH: Doctor, not to belabor the
6 point but I know Mr. Becker is going to ask for
7 copies of these so you need to refer to each one
8 and tell him how many pages, exactly, they are.

9 THE WITNESS: These are my original
10 handwritten notes from reviewing the medical
11 records and they are six pages long. I have,
12 again, my list for the billing time.

13 MR. BULLOCH: And that's how many pages?

14 THE WITNESS: This is one page for the
15 billing notes. I have three pages of notes from
16 the review, there was actually four video tapes.
17 there was the two home movies and then there was
18 one, the professional tape, the Day in the Life
19 of Matthew, and then there was a third tape but
20 it was really just an edited piece of the home
21 movies that were put together.

22 So anyhow these are my notes on that,
23 that's three pages. One page of notes just
24 reviewing the medical records from the episode of

1 meningococcal infection in July of -- or whenever
2 that was, July 2000.

3 BY MR. BECKER:

4 Q. I should ask you, Doctor, how is your
5 handwriting?

6 A. Not bad.

7 MR. BECKER: John, can we get an agreement,
8 so we don't make this deposition four hours long,
9 that if I can't read anything we can get a
10 subsequent interpretation?

11 MR. BULLOCH: Yeah, I mean, Mike, I'm
12 sitting here and I can read it; I'm sure you
13 won't have any trouble reading it, but, sure.
14 I'm sure the doctor will be happy to interpret
15 his notes for you if you need him to.

16 MR. BECKER: Okay.

17 (Whereupon, Exhibit 1, Exhibit 2, Exhibit 3,
18 Exhibit 4A, Exhibit 4B, Exhibit 4C, Exhibit 5A,
19 Exhibit 5B, Exhibit 5C, Exhibit 5D, Exhibit 5E,
20 and Exhibit 5F were marked for identification.)

21 MR. BULLOCH: Okay, Mike, for the record, we
22 have Exhibit 1, Exhibit 2, Exhibit 3, Exhibit 4A
23 through 4C and Exhibit 5A through 5F.

24 BY MR. BECKER:

1 Q. And, Doctor, I do not need you to go
2 through each page of the exhibit, but as to Exhibit 1,
3 tell me what that is just for the record, for two, et
4 etcetera?

5 A. Sure. So Exhibit 1 are my notes regarding
6 hospitalization for the meningococcal infection. Number
7 2 is my billing notes for the case. Three is a, what I
8 call consideration, sort of like summary of the time
9 line, the facts. Four is the notes on the home movies
10 and the professional Day in the Life, and then the
11 composite film, and then Exhibit 5 are the six pages of
12 notes that I took when I originally reviewed the case
13 couple years ago from the medical records.

14 MR. BULLOCH: And just for the record these
15 were marked in no specific order of priority.
16 They were just done between myself and the court
17 reporter.

18 MR. BECKER: That's fine.

19 BY MR. BECKER:

20 Q. Doctor, I know you've been deposed before
21 but just to be fair with you I want to review the ground
22 rules.

23 This is a question and answer session under
24 oath. It's important you understand the question that I

1 pose. If, for any reason, the question doesn't make
2 sense to you or is inartfully phrased, I want you to
3 stop me and tell me so and I would be most pleased to
4 attempt to rephrase or restate the question. Fair
5 enough?

6 A. Yes.

7 Q. However, unless you indicate otherwise to
8 me I'm going to assume that you fully understood the
9 question that has been posed and you are giving me your
10 best and complete answer today. Fair enough?

11 A. Yes.

12 Q. Is it safe for me to assume that you did
13 not look at the EEG strips themselves in this case, the
14 original strips?

15 A. That's correct. I only read the reports.

16 Q. Did you ask for the EEG strips for your
17 review?

18 A. No, I didn't.

19 Q. Did you look at the head films?

20 A. Yes, I did.

21 Q. When did you look at the head films?

22 A. Yesterday.

23 Q. For the first time?

24 A. Yes.

1 Q. Did you ask for the head films prior to
2 yesterday?

3 A. Actually I did and -- but only recently
4 and since I was meeting with Mr. Bulloch yesterday he
5 agreed just to bring them for me to look at them then.

6 Q. I noticed in some of your depositions,
7 Doctor, that you have a practice of reviewing head films
8 with Bob Zimmerman in your department or at CHOP;
9 correct?

10 A. Yes.

11 Q. Did you by chance review these films with
12 him?

13 A. No, I didn't.

14 Q. Have you discussed these films with Bob
15 Zimmerman?

16 A. No.

17 Q. Have you discussed your opinions with
18 anyone on this case other than counsel for Fairview
19 General Hospital?

20 A. No, I have not.

21 Q. Why did you ask for the films?

22 A. Just to see what they looked like, really,
23 because there were so many different interpretations of
24 them.

1 Q. Is your interpretation of these films
2 noted in any of the notes we've marked as exhibits?

3 A. No.

4 Q. What is your opinion of what the films
5 reflect?

6 A. Well, first of all, I've looked at one
7 film and that was the brain MRI that was obtained in
8 the -- right after birth. So that was the film taken
9 September 8, 1999, because I thought that was the most
10 relevant study with respect to, you know, the neonatal
11 course.

12 Q. Fair enough. What was your interpretation
13 if you have one?

14 A. Well, I certainly can see the lesions on
15 the study. I'm not in a position to say if they're
16 early neonatal fibers or coagulation necrosis with PVL
17 but I can see what other people are pointing to. I also
18 see that the basal ganglia areas appear normal on those
19 studies, which is obviously a relevant consideration for
20 this child because of the type of CP that he has.

21 Q. We'll talk about that. Let me ask you
22 straight up, Doctor, since it's not noted in your
23 report, do you hold an opinion, within a reasonable
24 degree of medical probability, as to the cause and

1 mechanism leading to Matthew's brain injury?

2 MR. BULLOCH: Objection

3 THE WITNESS: I do.

4 BY MR. BECKER:

5 Q. Okay. Is that opinion reflected in your
6 report; maybe I missed it?

7 A. Well, I do discuss the lactic acidosis and
8 concerns for a metabolic condition. Really, just after
9 the deposition of Dr. Wilhem, who talks about the
10 current condition of Matthew, that he is only taking a
11 couple steps, and then, again, looking back at the home
12 movies, it's really pretty clear that he's
13 deteriorating. He's not running a stable course or, you
14 know, gradual improvement as you expect with CP. And so
15 putting together, again, the type of CP and the lactic
16 acidosis, from my perspective, new knowledge, this is
17 not a static lesion but a progressive lesion, then, that
18 leads me to think that he has a metabolic condition such
19 as mitochondrial disorder.

20 Q. All right. So that was kind of a long
21 answer and I think you were giving me your explanation
22 as to why that opinion is not contained in your report.
23 Specifically, that you discern that this child, taking
24 what the life planner says, that you're presuming that

1 the child has a progressive motor disease and that would
2 cause you, because you're interpreting her comments as a
3 progressive motor disease, you're presuming, then, that
4 it, likely, is some type of a disorder, mitochondrial
5 disorder, that you just outlined?

6 MR. BULLOCH: Objection. Mike, I don't
7 believe he said this was a new opinion. I need
8 to object to that and I need to object because I
9 think there is, like, six parts to that question.

10 BY MR. BECKER:

11 Q. Well, Doctor, is your opinion about the
12 mitochondrial disorder reflected anywhere on your
13 report?

14 A. No. The word "mitochondrial disorder"
15 does not show up but the concerns about the consistently
16 elevated lactic acid, the mixed CP type, the fact that
17 he has a hearing loss and so forth, because at the time
18 I wrote this report in October 2004 it was my
19 understanding then, again, that he had a static lesion,
20 but I think the evidence now, is that it's not static,
21 it's been progressive and that's why I'm refining this
22 opinion today.

23 Q. Well, what evidence is it that it is
24 progressive and not static, other than what Cynthia

1 Wilhem says?

2 A. Well, first of all, I did, after I read
3 Wilhem's deposition, I looked back through the medical
4 records, and let me just stand up and find the one
5 because I think it's important.

6 So this is one of the medical records, it
7 looks like it was sent to me February 9, 2006 and it's
8 from the Developmental Center mostly. There is some
9 insurance information, communication notes, skilled
10 notes, but then we go to the physical -- physical
11 therapist and I'm looking at a report, this is from
12 Wellcare Home Health, Pediatric Physical Therapy
13 Evaluation. And it says, July 20, 2004 but it's
14 scratched out. Anyhow it's the PT report from Matthew
15 Wagoner, and he's four years ten months, and under
16 caregiver's concern it says, "Patient has regressed in
17 motor skills". And then further along that line, and
18 this is from -- the name of the therapist is Cathy
19 Poulos, P-O-U-L-O-S, physical therapist, "he can ascend
20 and descend stairs independently and demonstrated
21 independency in going up and down one step in-home that
22 divides two rooms. He cannot jump or hop. He attempts
23 to run but it is a fast walk pattern."

24 So that, that description by his therapist,

1 you know, combined with the fact that the mother is
2 saying he is regressing and actually in the Day in the
3 Life movie it shows him requiring assistance. I
4 remember there are little plates or something on the
5 floor. He had to be held up to step over those and then
6 Dr. Wilhem saying that she had seen him and then did a
7 telephone interview of the mother more recently, I don't
8 remember the date, saying that he couldn't take more
9 than two or three steps. You know, when you look at the
10 movie and then look at that they're describing two very
11 different children.

12 Q. Well, is -- are you assuming that the mom
13 is referring to motor regression or simply not advancing
14 in academics?

15 A. Well, this is the quote, it says,
16 "caregiver concerns, 'patient has regressed in motor
17 skills.'"

18 Q. You're reading me a physical therapist
19 note?

20 A. Yes, sir.

21 Q. Okay. My question, sir, was relative to
22 the mom. What did she report, if any, relative to motor
23 skills or was she specific?

24 A. Right. Just so; maybe I'm not making

1 myself clear. The mother is the caregiver. The
2 caregiver is the child, the mother, and so it's the
3 mother saying that the patient has regressed in the
4 motor skills.

5 Q. Well, has any doctor documented, any
6 physician documented that this child has regressed in
7 motor skills?

8 A. Not that I know of. Again, the only way
9 it was apparent to me was by really looking at the home
10 videos because they're very telling. I mean, I know
11 you've seen them and, you know, he's outside with the
12 swings. He's got a very lurchy, you know, he looks very
13 off balance but he's pretty much all over the yard.
14 There's a scene when they're out by the, the red pickup
15 truck and a basketball thing and he's in and out of that
16 and to go from that which you can clearly see that he's
17 abnormal. There is no doubt about that. You can
18 clearly see he is abnormal, but he is walking without
19 any assistive devices, you know, on rough terrain, he's
20 outside. To go from that to, like, he falls after two
21 or three steps, that's a big difference.

22 Q. This is difficult for me, Doctor, but I'm
23 going to ask you to assume, for the purpose of most of
24 my questions here, that this child, since I have seen a

1 very recent video of him, there's no difference from my
2 perspective and there's been no major difference in the
3 way this child moves around. If you saw a video today
4 that shows this child just as active as he was in the
5 Day in the Life would you retract that opinion?

6 MR. BULLOCH: Well, Mike, I'm going to have
7 to object because number one, we have repeatedly
8 asked for additional medical records. The last
9 medical records we know we received from your
10 office is 2004. You're under duty to
11 supplement your discovery responses, and those
12 films were obtained through discovery. We have
13 not seen this film. So to the extent that you've
14 got a late produced film, I'm going to object to
15 its production at trial. Go head, Doctor, if you
16 can remember what his question was.

17 THE WITNESS: Well, I do remember the
18 question. So the diagnosis of a mitochondrial
19 disorder is on fermise ground or at least it's
20 the more classic presentation when there's
21 deterioration. And again as a neurologist we
22 always, sort of, have our radar out for these
23 things. So the one article I brought is a
24 description of, you know, all right, we have

1 experience with kids with mitochondrial disease
2 and basically it says, yes, you know, the classic
3 picture there is things like extrapyramidal CP,
4 deafness, regression, lactic acidosis but in the
5 families they show, there are children that
6 behave like, sort of, conventional CP that
7 they're static. So that they look like they have
8 a lesion. It doesn't particularly evolve over
9 time.

10 So even if his condition is static,
11 it's still consistent with the mitochondrial
12 disorder and, again, the lactic acidosis numbers
13 are very -- I think they're very, important,
14 coupled, again, with the deafness, you know, the
15 neuro behavioral issues the child has, because
16 when you put that all together that's mostly
17 consistent with the mitochondrial disease and
18 that really needs to be pursued in Matthew.

19 BY MR. BECKER:

20 Q. Well, have any of his doctors in North
21 Carolina made that diagnosis?

22 A. The closest was Dr. Bachman who wrote
23 something like, I forget the exact words, persistent
24 lactic acidosis. I think he has a little note, I need

1 to pursue this, and I don't really know what happened to
2 that at that point. I don't know if it's been pursued
3 and I don't know, actually know, if he's been back to
4 see Dr. Bachman for that matter.

5 MR. BECKER: Doctor, why don't we mark as
6 Exhibit 6 this article that you brought with
7 you. You only brought one article, mitochondrial
8 disorder?

9 THE WITNESS: Yes, sir. So just for the
10 record, the title is Multiple Presentation of
11 Mitochondrial Disorders. It's from the Archives
12 of Diseases in Childhood 1999, Volume 81, pages
13 209 to 215 and the first doctor's name is
14 Nissenkorn, N-I-S-S-E-N-K-O-R-N.

15 (Whereupon, Exhibit 6 was marked for
16 identification by the court reporter.)

17 BY MR. BECKER:

18 Q. Doctor, is that the only medical journal
19 article that you brought with you today?

20 A. Yes.

21 Q. Now, are you able to rule out that
22 Matthew's RDS and its complications including
23 pneumothrosis is the etiology for his brain injury?

24 A. That's correct. I did not consider his

1 respiratory distress and pneumothrosis to be the cause
2 of any of his current problems.

3 Q. You are able to rule that out?

4 A. Yes.

5 Q. And the basis that you're ruling it out is
6 what, Doctor?

7 A. Well, first of all, if this is a quote
8 "asphyxia type injury" and by asphyxia I'll take it to
9 mean either hypoxic, ischemia, loss of perfusion or
10 combination of those. And taking this child's
11 gestational age to be 35 weeks. We have a lot of
12 experience on how children should behave acutely if
13 they've been exposed to an asphyxial event that's
14 powerful enough to actually cause damage, right then and
15 there, severe enough to lead to a permanent disability.

16 Now, I recognize that if it's a very, very
17 small preemie they may not show acute neurological
18 signs. They may not show multisystem malfunction and so
19 forth because it's a different biology at that point.
20 But certainly by 34 weeks that if the child has cardiac
21 arrest or pneumothorax or birth asphyxia or any other
22 mechanism that's going to be quote "asphyxiating" and
23 yet severe enough to cause permanent damage, then we
24 really need to see acute neurological signs right then

1 and there. Not days later or weeks later, but right
2 when the pneumothorax happened or the hypoxia was
3 documented.

4 Q. Are you aware that any experts on behalf
5 of the Plaintiffs have asserted that this child was
6 asphyxiated?

7 A. Well, again, I'm not saying birth
8 asphyxia. I don't mean at the moment of birth but you
9 know if a baby --

10 Q. Excuse me, Doctor.

11 MR. BULLOCH: Mike, let him finish his
12 answer.

13 MR. BECKER: John -- and I will let him
14 finish but I think we ought to go back and work
15 with some definitions because I want to make sure
16 we're on the same page.

17 BY MR. BECKER:

18 Q. So let's start with some definitions
19 Doctor, and maybe I'm using the wrong words in my
20 questions. What does asphyxia mean to you?

21 A. Right. Asphyxia refers to an
22 embarrassment or interruption of either oxygenation,
23 tissue oxygenation, perfusion, or both, and, again, I
24 didn't mean to get you -- I know when we hear the word

1 asphyxia we always think of in the delivery room. I
2 don't mean that at all. But realize that if someone
3 falls into a swimming pool and they're drowning, that's
4 a type of asphyxia; if a baby gets wrapped up in a bag
5 and can't breathe, that's a form of asphyxia. So what
6 I'm saying when I use the word asphyxia here has nothing
7 to do with labor and delivery in the OR or things like
8 that. But, you know, the issue that's on the table is
9 that he had a pneumothorax and what did that do to his
10 ability to deliver oxygen and blood to his brain. So
11 I'm using asphyxia to describe, like, the oxygenation,
12 perfusion aspects. And maybe I should stay away from
13 the word because most people immediately hear birth put
14 the word birth in front of asphyxia. So I'll stay away
15 from that word.

16 Q. What does hypoxia mean?

17 A. Well, if it's in reference to the blood
18 stream it means a low oxygen reading in the blood,
19 hypoxemia. And on the other hand, if you're referring
20 to the oxygenation of the tissue, that's tissue hypoxia,
21 a lack of oxygen in the tissue or lower than normal
22 oxygen in the tissue.

23 Q. And what does ischemia mean?

24 A. Ischemia is a loss of perfusion or the

1 actual flow of blood through the tissues of any part of
2 the body.

3 Q. What does HIE mean?

4 A. HIE is actually a clinical syndrome of --
5 the operating word there is encephalopathy. So there is
6 something pathological with the brain, encephalopathy,
7 and the clinical signs of the encephalopathy are due to
8 some combination of hypoxia and ischemia.

9 Q. And what is an EEG?

10 A. The EEG is the electroencephalogram or a
11 brain wave test.

12 Q. And what is a seizure?

13 A. A clinical seizure is a sudden abnormal
14 parasedral attack that is brought on by a temporary
15 electrical disturbance in the cortex of the brain.

16 Q. And what is something called subclinical
17 or occult seizure?

18 A. An occult seizure refers to the phenomenon
19 of a child having a seizure on the EEG but for whatever
20 reason doesn't provoke a visible clinical seizure in the
21 child or the adult for that matter.

22 Q. And is that the same thing as subclinical,
23 occult, one in the same, or is there something
24 different?

1 A. No. I consider them to be the same.

2 Q. Is it true that 80 percent of seizures are
3 subclinical or occult?

4 A. That sounds about right to me. That's
5 about what my experience is.

6 Q. And it true for -- to gain an EEG
7 confirmation of a seizure it should be done very close
8 to the acute event causing the brain injury?

9 A. Well, if you're looking for seizures it
10 should be as close to the time that you're actually, as
11 you say, suspecting the clinical seizures. So remember
12 that the concept is that the child did something
13 clinical to make the doctors worry. I think the baby
14 might be having a seizure. So then they call the EEG
15 people in and they do the EEG and they realize that,
16 sure enough, not only is the child having seizures, but
17 we're only detecting, you know, 20 percent of the
18 actual, 20 percent of the actual seizures. So that
19 doesn't mean that if you walk up to a healthy baby and
20 put an EEG on that they are, like, closet seizure kids
21 or they're secretly having seizures. These kids that
22 the EEG was done for a purpose because they have signs
23 of HIE or it could be, you know, something other than
24 that. It could be meningitis or hemorrhage but

1 something triggered the concern for seizures in the
2 first place. Then you realize, again, you are only
3 seeing a small fraction of them.

4 Q. The point of the question, Doctor, was,
5 that if you -- your best chance of getting the EEG
6 confirmation of a seizure is to have it done in a time
7 that's very close to when the acute event causes the
8 seizure, correct?

9 A. I do agree with that.

10 Q. Let's talk about the concept of
11 autoregulation. What does that mean, Doctor?

12 A. Autoregulation refers to the ability of
13 the nervous system to adjust its own cerebral blood flow
14 to maintain it relatively constant, even though the
15 blood pressure can fluctuate from low values to high
16 values.

17 Q. What are some things that can compromise
18 autoregulation?

19 A. Well, marked prematurity can certainly do
20 it. Sepsis, I suppose there are some drugs that can do
21 it. Children who do have HIV are, don't have the
22 wherewithal to regulate their own blood supply. So
23 there is a list of serious causes.

24 Q. How about hypoxemia from RDS, can that

1 compromise autoregulation?

2 A. Well, it depends on what degree of
3 hypoxemia. I don't know that a modest amount of
4 hypoxemia does. It's difficult to actually measure
5 these things in humans. For example, when they have
6 been done they've been done with things like Zion,
7 radioactive Zion, blood flow. So those types of studies
8 are not being done anymore.

9 Q. How about newborns that are on mechanical
10 ventilation, are they at risk of losing autoregulation?

11 A. As far as I know if it's just a matter of,
12 if their brains are normal and they're simply on
13 mechanical ventilation I'm not, personally, aware that
14 that stops autoregulation.

15 Q. What does the phrase passive pressure
16 cerebral circulation mean to you?

17 A. The passive pressure refers to the
18 behavior of a child that has lost the autoregulation.
19 So in this place cerebral blood flow will mirror the
20 profusion so if the blood pressure goes up, then,
21 passively, the circulation of the brain goes up and you
22 run the risk of actually creating a hemorrhage because
23 there is too much blood flowing through the brain. On
24 the other hand, if the blood pressure falls or the

1 profusion pressure falls then there is less blood being
2 delivered to the brain and you run the risk of an
3 ischemic injury.

4 Q. Now, I don't want to confuse things,
5 Doctor, but you recognize that one can have a brain
6 injury from either hypoxia or ischemia without a true
7 classic asphyxia, correct?

8 A. If you're talking about a real small
9 preemie that's true. But, again, when I originally --
10 you asked me about asphyxia, I said it's a combination
11 of either hypoxia or ischemia or something together. So
12 I don't know how I can answer the question, you know, if
13 you're hypoxic or ischemic to me that's asphyxia. Not
14 birth asphyxia but, you know, from a cardiac arrest or
15 smothering or anything else.

16 Q. Well, just, hypothetically, I guess,
17 because your use of, what I would respectfully say or
18 liberal use of the word asphyxia if you have a newborn
19 that is on mechanical ventilation and has a sudden bout
20 of bradycardia for 15 or 20 minutes but is not --
21 doesn't go on to multisystem organ injury or, you know,
22 major lactic acidosis, with a base deficit, that kind of
23 thing, you recognize that that condition can cause brain
24 injury?

1 A. No. See I would not accept that. In
2 other words I don't see, you know, I don't think it
3 matters if the child is five days old or one day old, or
4 is even, in fact, freshly delivered from the labor and
5 delivery room, but if that bradycardia was, you know,
6 severe enough and prolonged enough to damage the brain
7 then I do expect the majority of cases, not a hundred
8 percent, but the majority of cases will have the
9 multisystem but if the brain is acutely injured there
10 has to be signs of injury.

11 By what magic do you permanently damage
12 somebody and yet they are alert and active and have good
13 tone and all those things? That's not an acceptable
14 proposition in my mind.

15 Q. Would you expect to see an abnormal MRI
16 at, roughly, eight days old if there was a mitochondrial
17 cause?

18 A. Well, first of all, there can be and this
19 is described a little bit in this paper. Some of the
20 kids have white matter abnormalities. And I'm not
21 saying that those lesions on the MRI scan are from
22 that. Because, you know, as you know that, you know,
23 the whole, the whole pneumothorax and chest tubes and
24 the low oxygen reads were on August 25th and the child

1 looked okay clinically during that time, but, then,
2 later there were other concerns, and I think very
3 legitimate concerns, for infection when the child
4 behaved later, I don't remember exactly, seven or eight
5 or nine days, was less active and described as hypotonic
6 and so forth and then they were worried about the
7 infection. That's when they did the spinal tap and the
8 culture of the tubes and the white blood cell counts
9 that they didn't like. Because even though I realize
10 they did not confirm any particular virus or bacteria or
11 whatever, clinically they were very worried about
12 sepsis. So that MRI finding, you know, from '98, '99
13 that could have been related to that. It could have
14 been related to other things, I'm not really sure, but,
15 again, the main reason I wanted to see the scan was to
16 really look at the basal ganglia because that's a big
17 part of his disability, this chondroid cytolysis. I see
18 nothing wrong with the basal ganglia and I don't think
19 anyone described any basal ganglia lesion in the later
20 scans. I have not looked at them myself but I wanted to
21 at least look at the one that was done right after
22 birth.

23 Q. Do you understand, Doctor, that the basal
24 ganglia, the thalami that can be compromised secondarily

1 as a result of a primary white matter injury. Did you
2 learn that in your training?

3 A. No. I must have been sick that day.

4 Q. All right. Did you learn in your
5 training, Doctor, that for one to pick up, that you can
6 have a thalami injury without radiographic support. In
7 other words, there can be a microstructural injury to
8 the thalami without MRI support? Did you learn that?

9 A. Well, you know, you could certainly --so
10 let's pretend that a person has an MRI scan and they say
11 we looked at the basal ganglia and they looked fine.
12 And then the guy or the girl, whatever, dies and they
13 do, you know, their post-morbid examination and they'll
14 say, you know, we found microscopic findings in there.
15 I'm sure that's happened, but the point is that if you
16 have a clinically relevant finding, and, again, Matthew
17 Wagoner's chondroid cytosis is, by no means, subtle,
18 it's florid, then how does a microscopic thing affect,
19 you know, that's not even visible under your best
20 scanners and you have multiple scans and multiple
21 people, you know, the community radiologist, your
22 radiologist, his radiologist, no one is seeing anything
23 there. I don't think, I don't think that's acceptable.
24 On the other hand, we do know that kids that have

1 dysfunction, like kids with mitochondrial disorders,
2 that's one of their characteristic types of, you know,
3 disability. And they very often don't have, you know,
4 they have functional disturbances of the basal ganglia
5 but they don't have like, you know, scars and holes
6 necessarily. Some of them actually, over time, will
7 develop that. But they can be dysfunctional without
8 being abnormal structurally.

9 Q. When one thinks of extrapyramidal is that
10 pronounced correctly?

11 A. Extrapyramidal.

12 Q. Okay. You think of ball bar signs, you
13 look for ball bar signs?

14 A. Children who have extrapyramidal CP, for
15 whatever reason, often have ball bar signs, dysarthria,
16 drooling, trouble swallowing, sometimes, I don't know if
17 you consider reflex to be, sort of, a neurological sign
18 of that context, but they seem to go hand and hand
19 together.

20 Q. Which of those, if any, does Matthew have?

21 A. Well, he has -- you mean ball bar signs?

22 Q. Yes.

23 A. He has dysarthria, I really don't know
24 about the drooling. I couldn't tell. I don't remember

1 reading about it. But the dysarthria is probably the
2 most prominent one.

3 Q. What does that mean?

4 A. That he has difficulty with generating
5 distinct speech. It's not what he says but the way he
6 says it. The way he articulates his words.

7 Q. You're not suggesting that one can't have
8 a mixed CP from hypoxic, are you?

9 A. No. As a matter of fact, that's a known
10 combination in the setting of events such as terminal
11 bradycardia. So let's say a woman is in labor and the
12 heart rate suddenly goes down to 60 or below for an
13 extended period of time, then they will have a pattern
14 of brain injury that includes both the cortex, the
15 related white matter, the deep gray structures and they
16 are clearly encephalopathic. They will have seizures.
17 They don't feed. They are hypotonic and when all is
18 said and done they will have -- they may have a mixed CP
19 picture with elements of spasticity and extrapyramidal
20 features and dysarthria and that's certainly known but,
21 again, it's majorly catastrophic, you know, acute
22 profound asphyxia pictures.

23 Q. Well, you can get a mixed CP from, just
24 speaking generally now, you can have a partial prolonged

1 hypoxia and then superimposed on that would be a sudden
2 complete anoxia situation, correct?

3 A. Right, but again just to answer your
4 original question. If a child only had the acute
5 profound because the fact, again, we always focus on the
6 deep gray structures and the corpus callosum and so
7 forth but remember, they also have lesions in the
8 parietal cortex, the white matter between the basal
9 ganglia and the parietal cortex. So that's even without
10 prolonged partial those kids can have a mixture because
11 they have a combination of pyramidal traction,
12 extrapyramidal but yes, if there is a partial prolonged
13 asphyxia and then it's complicated by a terminal
14 bradycardia that would be another way of getting a mixed
15 CP pattern.

16 Q. You would agree, Doctor, that
17 radiographically the way HIE injuries appear are typical
18 global, bilateral and symmetrical?

19 A. I'm sorry, I wasn't listening. Could you
20 repeat.

21 Q. HIE, for an HIE radiograph picture they
22 typical appear as global, bilateral, symmetrical?

23 A. I think in general that's true.

24 Q. Can you tell me what the potential

1 deleterious or negative effects of pneumothorsis or
2 bilateral pneumothorsis would be on a child with
3 moderate RDS?

4 MR. BULLOCH: Objection.

5 THE WITNESS: Well, they embarrass the
6 circulation. They introduce an element of
7 respiratory compromise so that the lungs can't
8 aerate as well. You can't blow off the CO2 as
9 well. You can't oxygenate as well and if they
10 are large and unattended they can affect the
11 return of blood into the heart.

12 BY MR. BECKER:

13 Q. And if it affects the return of blood into
14 the heart how will that impact the child's perfusion to
15 the brain?

16 A. Well, it has to do that through general
17 blood pressure mechanisms. I mean, when the blood
18 leaves the heart it's not, you know, it's just going up
19 the aorta. Some is going to go to the arms and the body
20 and the heart itself and some is going to the brain. So
21 the segregate measure for that is still in the blood
22 pressure and, for example, the color of the baby's
23 face. So if there is a lack of oxygen going to the
24 head, including to the face, the, you know, the baby

1 will desaturate and that's really what the cyanosis is,
2 is when you have too much of deoxygenated hemoglobin.
3 So, theoretically, it can be very serious, but it has to
4 do its harm through measurable effects on blood
5 pressure, oxygenation and so forth.

6 Q. So if it was severe it could cause the
7 heart -- impact the function of the heart and drop in
8 blood pressure?

9 A. Yes.

10 Q. And if there is a drop in blood pressure
11 and if, in fact, there already may be a loss of
12 autoregulation, what is the impact of that on the
13 cerebral profusion to the brain?

14 A. The cerebral profusion will reduce. If,
15 again this is hypothetical, if the child has lost
16 autoregulation, for whatever reason, and there is a
17 significant loss in blood pressure that is driving the
18 blood to the head then, because it's a pressure passive
19 system there will be less profusion of the brain.

20 Q. And that can cause an ischemic permanent
21 brain injury?

22 A. Right. The pattern would be, the pattern
23 would be an ischemia pattern. I mean the radiologists
24 have to decide exactly -- what exactly an ischemic

1 pattern is. But that would be the principal mover of
2 the damage, would be the ischemia. So that's the
3 pattern you would look for.

4 Q. Doctor, I'm going read some things to you
5 from Volpe. I want to know if you agree or disagree
6 with them. Okay. I'm assuming you have Volpe in your
7 library?

8 A. Yes, I do.

9 Q. And is Volpe a neurology in newborns --
10 the leading textbook in the subspecialty field of
11 newborn neurology?

12 A. I think it probably is, yes.

13 Q. The perinatal brain can be deprived of
14 oxygen by two major pathogenic mechanisms: Hypoxemia,
15 which is diminished amount of oxygen in the blood supply
16 and ischemia, which is diminished amount of blood
17 profusion to the brain. Do you agree?

18 A. Yes.

19 Q. Ischemia is the more important of these
20 two forms of oxygen deprivation. Do you agree?

21 A. I mean, they're both important. I don't
22 think either one of them are trivial.

23 Q. Marked hypoxemia is required to produce
24 serious changes in brain energy state in the neonatal

1 adult (ph). Do you agree?

2 A. Yes.

3 Q. The principal biochemical mechanism of
4 cell death with hypoxemia, ischemia and asphyxia are,
5 presumably, very similar, if not identical, and are
6 initiated by oxygen deprivation. Do you agree?

7 A. If you're reading it accurately I will
8 agree with that.

9 MR. BULLOCH: Mike, I'm going to object to
10 you reading this out of a textbook without
11 putting a copy in front of the doctor so that he
12 can see the entire reference. I don't know if
13 you're picking and choosing. If you're reading
14 one paragraph out of five paragraphs.

15 BY MR. BECKER:

16 Q. Doctor, I'm reading from Chapter 6 on
17 Volpe entitled, Hypoxic-Ischemic, Encephalopathy
18 Biochemical and Physiological Aspects. Alterations and
19 cerebral blood flow are of prime importance for
20 understanding a neuropathological and neurologic
21 consequences of all varieties of parinatal asphyxia and
22 hypoxic-ischemic insults. Do you agree?

23 MR. BULLOCH: Objection.

24 THE WITNESS: Yes, I do

1 BY MR. BECKER:

2 Q. And, by the way, was Matthew a preterm
3 child?

4 A. Yes. I think, you know, I don't know
5 there is any consensus on this but I considered him to
6 be, basically, 35, maybe 36 weeks, so that's preterm.
7 Term is 37 or higher.

8 Q. Now, did you notice that there is a
9 Dubowitz assessment of him at 34 weeks?

10 A. I did notice that.

11 Q. What weight do you give to a Dubowitz
12 assessment?

13 A. You know, I don't do them anymore so I
14 can't -- I think he was a near-term baby and as I
15 mentioned, even for 34-week baby, that's still mature
16 enough that if you have brain damaging asphyxia you're
17 required to see acute encephalopathy signs.

18 Q. The margin of safety, at least in the
19 preterm fetus, and at least to a lesser extent in the
20 term fetus, is small at the lower end of the
21 autoregulation curve and points to the vulnerability to
22 ischemic brain injury with modest hypotension,
23 particularly in the preterm animal. Do you agree.

24 MR. BULLOCH: Objection

1 THE WITNESS: Right, but remember what you
2 said there, that you're at the bottom of the
3 profusion curve. If you're at the bottom of the
4 profusion curve that means, like, you're
5 teetering on the edge there and then further
6 reductions can be serious. So that's kind of
7 hard to disagree with that.

8 BY MR. BECKER:

9 Q. The impairment of autoregulation requires
10 only a 20-minute exposure to hypoxia and autoregulation
11 will not recover until seven hours after restoration of
12 neuromoxia?

13 MR. BULLOCH: Objection.

14 THE WITNESS: I don't know if is he talking
15 about laboratory animals or human beings there.

16 BY MR. BECKER:

17 Q. I think he's talking about neonatal
18 animals which includes laboratory animals.

19 MR. BULLOCH: Objection.

20 THE WITNESS: Well, you know, it may be
21 true, you know, in laboratory animals that they
22 measured them and, again, I don't know how severe
23 the hypoxia -- or anything like that, but I
24 think, probably, data that's not precise is not

1 describing humans.

2 BY MR. BECKER:

3 Q. Do you agree, Doctor, that merely because
4 hypoxia or hypoxemia is addressed that it is likely that
5 the impairment of autoregulation takes some time in the
6 human to recover, if you know?

7 A. I don't know. Again, it's very hard to
8 actually measure these things and that's the value of
9 the animal models, to at least give you some concept
10 that these symptoms don't turn on and off automatically,
11 but I really wouldn't have no idea in the human what the
12 true time course is for losing it or regaining it.

13 Q. Volpe comments that a pressure passive
14 state of cerebral circulation was observed both in
15 seriously asphyxiated full-term infants and mechanically
16 ventilated preterm infants; do you agree or disagree?

17 MR. BULLOCH: Objection

18 THE WITNESS: Right, and, again, though I
19 think you need to be very careful when he says,
20 "preterm," because I'm familiar with his -- he's
21 looking at this thing that, I forget the term he
22 used, like the jitter and the cerebral profusion
23 pressure. Those were really, at least I recall
24 them to be quite young babies, not 35-weekers

1 but, I don't know, 30-week or something like
2 that.

3 BY MR. BECKER:

4 Q. The neuropathol -- I'm up to Chapter 8 in
5 Volpe. The neuropathological features of neonatal and
6 hypoxic-ischemic encephalopathy vary considerably with
7 gestational age of the infant, the nature of the insult,
8 the type of interventions. Do you agree?

9 MR. BULLOCH: Objection

10 THE WITNESS: Yes.

11 BY MR. BECKER:

12 Q. Of particular importance in the genesis of
13 impaired cerebral blood flow and, thereby, cerebral
14 ischemia, is an impairment of cerebral vascular
15 regulation in the subset of premature infants who are
16 mechanically ventilated, often clinically unstable and a
17 clear exhibit of pressure passive cerebral
18 circulation. Do you agree?

19 MR. BULLOCH: Objection.

20 THE WITNESS: I do but, again, it's
21 important to know exactly the population of when
22 he says pre-term how premature.

23 BY MR. BECKER:

24 Q. He goes on to state, the potential reasons

1 for pressure passive cerebral circulation include
2 hypercardia or hypoxemia related to respiratory
3 disease. Do you agree or disagree?

4 MR. BULLOCH: Objection

5 THE WITNESS: I think that's true.

6 BY MR. BECKER:

7 Q. premature infants with pressure passive
8 cerebral circulation are at higher risk for development
9 of ischemic white matter injury. Do you agree?

10 MR. BULLOCH: Objection

11 THE WITNESS: Yes.

12 BY MR. BECKER:

13 Q. Even in the presence of intact cerebral
14 vascular autoregulation marks cerebral basal restriction
15 or systemic hypertension to the natural impairment of
16 cerebral blood flow to the cerebral blood vascular zones
17 resulting in cerebral white matter injury. Do you
18 agree?

19 MR. BULLOCH: Objection.

20 THE WITNESS: Yes.

21 BY MR. BECKER:

22 Q. I'm now up to Chapter 9 in Volpe. Let me
23 ask you, Doctor, does Volpe say that you need asphyxia
24 in any of his texts in either an hypoxemia or ischemic

1 process?

2 MR. BULLOCH: Objection. Mike, come on,
3 you're asking him what Volpe has written in his
4 entire lifetime now.

5 MR. BECKER: I'm asking if he knows whether
6 Volpe agrees with him on this proposition that we
7 need asphyxia?

8 MR. BULLOCH: Objection. I don't think he
9 ever said that, but go on.

10 THE WITNESS: I actually don't understand
11 the question. It was similar to your other
12 question, like, can you have asphyxia without
13 having hypoxia ischemia. In my mind asphyxia is
14 either hypoxia or ischemia or a combination of
15 them. So I just don't really understand the
16 concept that you're asking.

17 BY MR. BECKER:

18 Q. Fair enough. Chapter 9 is entitled,
19 "Hypoxic-ischemic Encephalopathy Clinical Aspects." Do
20 you agree with, that hypoxemia leads to brain injury
21 principally by causing myocardial disturbance and loss
22 of cerebral vascular autoregulation with ischemia the
23 major consequence?

24 MR. BULLOCH: Objection.

1 THE WITNESS: I think, you know, that
2 sentence was probably read properly and it sounds
3 reasonable.

4 BY MR. BECKER:

5 Q. The major causes or clinical settings for
6 serious hypoxemia include postnatal respiratory
7 insufficiency, secondary to severe RDS. Do you agree?

8 MR. BULLOCH: Objection

9 THE WITNESS: Severe RDS can cause bad
10 changes in O2 and CO2 but Matthew's wasn't
11 severe.

12 BY MR. BECKER:

13 Q. The major causes of serious ischemia is
14 marked hypoxemia of any cause. Do you agree?

15 A. Marked hypoxemia is not necessarily good.

16 Q. It should be noted that the majority of
17 infants who experience interrater hypoxic-ischemic
18 insults do not experience overt neonatal neurologic
19 features or subsequent neurological evidence of brain
20 injury. Do you agree?

21 MR. BULLOCH: Objection

22 THE WITNESS: I would have to look at that
23 whole context before agreeing with that. I just
24 don't know what the context is. Are you talking

1 about modest, non-damaging, hypoxia. Obviously,
2 you know, people lose track of the fact that if
3 you do a venous a puncture on a fetus and
4 actually measure their oxygen level it's, like,
5 30, because of their fetal blood and that's
6 hypoxia by our usual definition, but, you know,
7 we've all been there. All of us have gone
8 through that low oxygen fetal stage. So I don't
9 know that it is relevant to this case.

10 BY MR. BECKER:

11 Q. Periventricular white matter injury may
12 disturb subsequent cerebral cortical development. Do
13 you disagree with that?

14 MR. BULLOCH: Objection. Relevance.

15 THE WITNESS: No. I think that's probably
16 true.

17 BY MR. BECKER:

18 Q. Well, what would you consider, what part
19 of the brain would you consider the cerebral cortical
20 area?

21 A. Well, all of the surface of the brain is
22 the cortex. So, you know, the frontal lobes, the gray
23 matter of the frontal lobes and the temporal lobes,
24 parietal, occipital and so forth.

1 Q. Hypoxemia may lead to the disturbance of
2 cerebral vascular autoregulation and, as a consequence,
3 a passive pressure circulation. Do you agree?

4 MR. BULLOCH: Objection

5 THE WITNESS: Yes.

6 BY MR. BECKER:

7 Q. Under such circumstances the infant is
8 vulnerable to superimposed ischemic cerebral injury
9 with only modest decrease in arterial blood pressure.

10 MR. BULLOCH: Objection.

11 MR. BECKER: Do you agree?

12 THE WITNESS: I agree but again, you know,
13 Matthew's blood pressures are really, really
14 very, very good throughout that whole period.

15 MR. BECKER: We're going to talk about
16 Matthew's blood pressure in a moment.

17 THE WITNESS: Okay.

18 BY MR. BECKER:

19 Q. Concerning detection -- one second.
20 Concerning detection and causes of hypoxemia in the
21 infant with hypoxic-ischemic encephalopathy very
22 diligent surveillance is critical. Do you agree?

23 MR. BULLOCH: Objection

24 THE WITNESS: Yeah, again, I'm not sure of

1 the context but it sounds reasonable.

2 MR. BULLOCH: Mike, just to save me from
3 making objection every time you read something
4 from Volpe's can I have a continuing objection to
5 this line of questioning?

6 MR. BECKER: Sure.

7 BY MR. BECKER:

8 Q. Are you familiar with Barkovich who has
9 written a text, Pediatric Neuroimaging?

10 A. I know Dr. Barkovich. I have not read the
11 book.

12 Q. So do you recognize him as one of the
13 leading authorities in this country on newborn and
14 pediatric neuroimaging?

15 A. Yeah.

16 Q. I'm in Chapter 4, Barkovich says that a
17 number of different patterns of brain injury can be seen
18 as a result of the hypoxic-ischemic episodes in
19 neonates, infants and children. These patterns can best
20 be understood if interpreted as being a result of three
21 primary factors: Severity of hypotension, procuring the
22 brain at the time of injury and duration of the event.
23 Do you agree?

24 MR. BULLOCH: Mike, before he answers, since

1 I asked for a continuing objection as you were
2 reading through Volpe, I'd like a continuing
3 objection as you now read through Barkovich.

4 MR. BECKER: You can have one.

5 MR. BULLOCH: I would also like to know what
6 edition and the copyright date is that you're
7 reading from that particular text, because we all
8 know --

9 MR. BECKER: Standard of care is not an
10 issue here. I'm reading from Chapter 4 of the
11 most recent edition that came out in 2005.

12 BY MR. BECKER:

13 Q. Premature infants that suffer mild to
14 moderate hypertension typically sustain injury to the
15 periventricular white matter with sparing of the
16 subcortical white matter and cerebral cortex. Do you
17 agree?

18 A. Yeah, and, again, I think you are talking
19 about substantially young preemies there. Again, the
20 term "prematurity" covers a lot of territory. Anywhere
21 from 25 weeks to 36 weeks, technically. So I agree with
22 the statement but, you know, with the understand that he
23 is probably describing the very young preemie there.

24 Q. I will represent to you, professionally,

1 Doctor, he doesn't limit his age group for premature
2 infants in these statements. In contrast, term infants
3 who suffer similar degrees of hypertension sustain
4 injury in the watershed portion of the cerebral cortex
5 and in the underlying subcortical and periventricular
6 white matter. Do you agree?

7 A. I think that's generally true.

8 Q. Classically, this change in injury pattern
9 has been attributed to a change in location of
10 intravascular boundary zones. Do you agree with that?

11 A. Well, I actually don't. I mean, that's
12 part of the, part of the answer but it turns out there's
13 some interesting work that actually hasn't even been
14 published fully yet, that looks at things beyond just
15 the simple mechanics and plumbing of the brain and this
16 has to do with the distribution of what are called
17 ampter receptors and ampter receptors change very much
18 through the premature time beyond, you know, beyond term
19 and birth. So, you know, that is sort of a new concept
20 and it's still being studied a lot in humans and in
21 animals. So, you know, he is writing about, sort of,
22 like, you know, they always try to make these things fit
23 simple mechanical models. Like, this is the area of
24 where the profusion is lowest. It turns out there are

1 still other factors involved. So it's not that simple.

2 That's my only objection to it.

3 Q. He says that white matter damage of
4 prematurity is there for an injury associated with
5 abnormal neurological outcome in premature born children
6 and it's particularly common in those with hyaline
7 membrane disease. Do you agree with that?

8 A. With severe hyaline membrane disease you
9 get white matter injury and spastic dysplasia.

10 Q. Probably due to the maceration of both the
11 brain and it's vascular system, the pattern of injury
12 begins to change between 34 and 36 weeks cause
13 conceptionally as the regions at the highest risk for
14 injury extend peripherally to include the subcortical
15 white matter and cerebral cortex in the intra-arterial
16 boundary zones. Do you agree?

17 A. Right, but again this is the age group
18 that is pertinent to Matthew so you have the subcortical
19 white matter. You go all the way to the surface and
20 that's the cortex so that's got to be the injury. So
21 you have EEG abnormal, mental status changes, the white
22 matter right underneath that, the subcortical white
23 matter; we don't have that finding in him. So I don't
24 disagree with the statement but, just, again, for

1 relevance, this isn't described in his radiology
2 picture.

3 Q. And, maybe, either I misread it or you
4 didn't understand it. I'm saying it grows and extends,
5 by the time you get to 36 weeks and 37 weeks into that
6 region we just described. That's what that paragraph
7 says. Do you agree or disagree?

8 A. Can you just re-read it again. Maybe I
9 heard it wrong.

10 Q. Probably due to maceration of both the
11 brain and it's vascular system, the pattern of injury
12 begins to change between 34 and 36 weeks as the regions
13 at highest risk for injury extend peripherally to
14 include subcortical white matter and cerebral cortex.

15 A. Yeah, but realize what that is saying is
16 that for a real little preemie it's very ventricular
17 more or less, and now, between 34 and 36, where Matthew
18 is, injury at that age extends to the cortex and the
19 subcortical white matter. You know, if it's ischemic,
20 well, he doesn't have those changes.

21 Q. Well, if he has an ischemic pattern of
22 injury, assume it's true, would that be consistent with
23 what Barkovich is saying?

24 A. No. Barkovich is saying, you know, it's

1 not just deep now. It's going all the way out between
2 34 and 36 weeks, that's the pattern. And his white
3 matter changes are deeper, but they're not subcortical
4 and there is no cortical injury. I mean that's the way,
5 that's the way I read that -- understand that paragraph.

6 Q. Just to move on in the sake of time,
7 Doctor, he has a table where he is -- shows the pattern
8 extending to the parasagittal, at 36 weeks, region and
9 before that nothing documented as to 35 weeks and up to
10 34 weeks showing periventricular white matter as the
11 pattern of injury as mild to moderate hypertension.

12 MR. BULLOCH: Is there a question there,
13 Mike?

14 BY MR. BECKER:

15 Q. Yeah. My question is, assuming that's
16 true, Doctor, do you agree with that or disagree with
17 that?

18 A. You know it's fine. I really need to look
19 at the table. It's just hard to picture what you're
20 describing.

21 Q. Now, you used the phrase, earlier in this
22 deposition, of PVL and do you mean periventricular
23 leukomalacia or do you just mean white matter injury of
24 prematurity?

1 A. Well, I may have used that
2 non-specifically. I think of them as being --
3 basically, the generic term should be white matter
4 injury because that could be around the ventricles or
5 subcortical and so forth. PVL by definition has a
6 location, it's around the ventricle, it's
7 periventricular. And I'm not enough of a radiologist to
8 be able to look at white matter lesions and say that's
9 the location and the look of PVL versus coagulation
10 necrosis versus infection or inflammation. To me I'm
11 just lucky if I can see the white matter changes at all.

12 Q. Doctor, did you bring your current copy of
13 your Vitae with you?

14 A. Yes, I did.

15 MR. BECKER: Can we mark that as seven
16 please.

17 (Whereupon, Exhibit 7 was marked for
18 identification by the court reporter.)

19 BY MR. BECKER:

20 Q. Doctor, are there any articles that you've
21 written on there that deal with mitochondrial disorders?

22 A. No.

23 Q. Was that a no?

24 A. No.

1 Q. Are there any articles other than the one
2 article about ischemia to the spinal cord that you wrote
3 on the topic of HIE?

4 A. Well, my -- I have an article about the
5 metabolic effects of seizures in kids with HIE. That is
6 number 11. The articles about the seizures are mostly
7 about kids. The articles about the neonatal seizures
8 are mostly about HIE kids. I have article 17, we have
9 continuous intracranial pressure monitoring and serial
10 electroencephalographic recordings in severely
11 asphyxiated term neonates. And the one you mentioned
12 the hypoxic ischemia spinal cord injury. Twenty-four is
13 about acquired neuropathological findings in kids with a
14 heart defect, and those are ischemically, in term
15 babies. So it's relevant. It's not exactly that. I
16 think that's pretty much it.

17 Q. Doctor, can we agree that there is no
18 indication of what this child's blood pressure was or
19 heart rate was between 5:00 and 6:00 p.m. on the 25th
20 and between 6:00 and 8:00 p.m. on the 25th?

21 A. I'd have to look at the records to say
22 that.

23 Q. This is around the time when there was
24 suspected pneumothoraces, bilateral pneumothoraces,

1 putting in chest tubes?

2 MR. BULLOCH: Objection

3 THE WITNESS: There was a right-sided
4 pneumothorax and the right-sided chest tube is
5 put in and I understand there is a dispute about
6 the interpretation of the chest X-ray. There was
7 a left-sided pneumothorax also or pneumoinstinum
8 and I'm not going to have an opinion on that but
9 that he did later get a left-sided chest tube.

10 So this is around those times, yes.

11 BY MR. BECKER:

12 Q. Doctor, I want you to assume it's true
13 that between 5:00 and 6:00 p.m. there is no heart rate
14 or blood pressure or pulse ox recorded -- let me, there
15 is no blood pressure, heart rate recorded and between
16 6:00 and 8:00 p.m. there is no blood pressure and heart
17 rate recorded. Would that be what you have referred to
18 in other depositions as, kind of, a black hole of data
19 as to what was going on with the child during this
20 critical period of time?

21 MR. BULLOCH: Objection

22 THE WITNESS: Well, there is no numbers
23 written down. There is a black hole of, you
24 know, recorded data but, you know, these babies

1 are under visual observation and they don't look
2 good, they don't respond to them. I mean alarms
3 can go off. If there was a sudden bradycardia or
4 sudden hypoxia the kids are on machines to alarm
5 if that happens.

6 BY MR. BECKER:

7 Q. I think we have agreed that gradually the
8 seizure will fizzle out after an acute injury, correct?

9 A. I'm sorry, what did we agree on?

10 Q. That gradually a seizure will fizzle out
11 after the acute injury?

12 MR. BULLOCH: Objection. I don't recall any
13 discussion about that but go ahead, Doctor.

14 THE WITNESS: I mean when kids have seizures
15 acutely from a stroke or hemorrhage or HIE almost
16 always they will, you know, over hours or days
17 or, you know, some finite period of time, they
18 will stop the acute seizures.

19 BY MR. BECKER:

20 Q. Was this child a normal head size at birth
21 for a 34, 35-weeker?

22 A. Actually recall that it was 25th
23 percentile or so.

24 MR. BULLOCH: Feel free to look at the

1 records, Doctor.

2 THE WITNESS: My report recorded it to be
3 around the 25th percentile for age.

4 BY MR. BECKER:

5 Q. And did the mom have a complaint of
6 decreased feel of movement?

7 A. Not that I recall.

8 Q. And was there evidence of polymyodous?

9 A. Not that I recall.

10 Q. And the newborn exam when the child
11 arrived at Fairview was normal?

12 A. As far as I remember, yes.

13 Q. So we have a normal looking brain and
14 child at the time this child arrived at Fairview?

15 A. You mean normal looking exam?

16 Q. Yes.

17 A. When you said brain -- yes, as far as I
18 recognized, that the child, you know, had the
19 respiratory problems, which is why he was transferred,
20 but had a normal head circumference and had normal
21 muscle tone, activity and, I guess, alertness at that
22 point.

23 Q. About day 6 of life I believe that the
24 first note is a change in tone and change in activity.

1 Do you recall that?

2 MR. BULLOCH: What date, Mike?

3 MR. BECKER: I believe it's day 6 of life
4 when they first started charting decreased tone
5 and the decreased activity.

6 THE WITNESS: I, actually let me just get my
7 notes. So I have on day 10 -- no, I'm sorry, on
8 day 11 low tone and this is from my notes. So
9 actually I think on day 8 there was concerns
10 about the low sodium; on day 11 there was low
11 tone. The next day is when they found the
12 pneumonia was higher, 67.

13 BY MR. BECKER:

14 Q. And can increased elevated pneumonia be a
15 reflection of encephalopathy?

16 A. The other way around, an elevated
17 pneumonia can cause an encephalopathy. If you have a
18 bad liver and you drink too much or whatever those
19 people get pretty stuporous and that's, at least in
20 part, from the elevated pneumonia that their liver is
21 not clearing.

22 Q. Anyways, Doctor, around roughly day 6 or
23 day 7 the child started to demonstrate some abnormal
24 neuro signs which persisted throughout that neonatal

1 care. Do you agree or disagree?

2 MR. BULLOCH: Objection, Mike, you just said
3 it was day 11. Now you're trying to push it back
4 to, he said it was day 6. He never agreed it was
5 day 6. He said it was day 11.

6 BY MR. BECKER:

7 Q. Let's say day 11 then, Doctor.

8 A. Well, that's what I have in my notes, is
9 that the activity and tone was around day 11.

10 Q. Okay. That was noted at day 11 and did
11 that persist?

12 A. Yes, it did.

13 Q. And does the record reflect that that was
14 the basis for low tone and decreased activity, the basis
15 for the concern by Doctor Lilan of a neuro injury and
16 the reason he ordered an MRI?

17 A. That was my understanding. I think he got
18 a neuro consult in there, too.

19 Q. Doctor, I'm going back to this
20 mitochondrial disorder. Is there a specific test that
21 can be done to rule this in or rule this out, specific
22 blood work?

23 A. Well, there are specific tests, plural,
24 but this is a very, very complex metabolic disturbance

1 so you might imagine there is a lot of chemicals that go
2 into the normal working of a mitochondria. So there
3 could be a genetic thing, the oxidative enzymes,
4 transport enzymes. So the work-up, usually, is to have
5 a neuro metabolic specialist to see the child to look
6 for very specific things.

7 Q. And was that ever done with Matthew?

8 A. No. Just the screening test, which is the
9 lactic acid is the screening test for them and they were
10 positive.

11 Q. Have you ever suggested to defense counsel
12 that this child should have metabolic testing?

13 A. I did yesterday and I am today, too.

14 Q. So it's your opinion, Doctor, that this
15 child's condition is not related to any type of
16 infection or cytokine injury?

17 A. I don't think -- see, I don't think that
18 any of that explains Matthew right now. At least my
19 understanding of cytokine injury, which is, again, it's
20 a picture of spasticity and as the white matter is being
21 injured so that's the long track sign. So you have
22 spastic dysplasia or spastic quadriparesis. Again, when
23 you look at the videotapes I think the most visible part
24 of his disorder is the choroid cytolysis and then we have

1 the deafness and his neuro behavioral things and those
2 are pretty classic for mitochondrial disease. Whether
3 or not this is progressive, my understanding from the
4 recent stuff, we talked about before with Dr. Wilhem, is
5 that it sounds like there is a big difference. Even if
6 it's static, as you'll see in the description of the
7 mitochondrial disorders, that it's a very broad spectrum
8 of condition and that can include static lesions.

9 Q. Your speciality is within pediatric
10 neurology, EEG, electroencephalograms, correct?

11 A. No. I mean I'm a general child
12 neurologist and I have conditional training in EEG and
13 epilepsy. I run the epilepsy clinic. I certainly have
14 kids with mitochondrial disorders because some of them
15 have epilepsy, but when I attend the, you know, the
16 inpatient service it's whatever comes in. It could be a
17 stroke, a hemorrhage or, you know, whatever,
18 mitochondrial disorder. So I have, you know, 25 years
19 of experience here at CHOP. So I've seen a lot of
20 stuff.

21 Q. As to medical/legal you do about 70
22 percent defense, 30 percent plaintiff?

23 A. Something like that.

24 Q. You failed your boards in the EEG the

1 first time you took them?

2 A. Yes.

3 Q. Is it your opinion, Doctor, that the
4 lesions that both Dr. Nelson and Pressman see on the MRI
5 early on are not causing any dysfunction, either
6 motor-wise or cognitively, in this child?

7 A. So, I mean that's a really fair question.
8 I can't say that they're causing no issue whatsoever.
9 I guess what I'm saying is that they certainly do not
10 describe the predominant form of history of cerebral
11 palsy or the deafness or the lactic acid or the
12 behavioral stuff. So, usually, when you see white
13 matter lesions causing spasticity there's a whole lot
14 more there. The cyst and all that kind of stuff. So
15 I'm not really sure if this is contributing or not.
16 There is a possibility that there is but I don't really
17 think so.

18 Q. Did they ever measure this child's serum
19 prolactin?

20 A. Prolactin, I don't remember.

21 Q. If it was elevated what would that be an
22 indication of?

23 A. Well, prolactin can go up with hormonal
24 problems, pituitary problems, in a comatose state it can

1 increase after seizures. So there is a pretty broad
2 list of things. Some drugs can increase prolactin, too.

3 Q. Doctor, I just want to recap here. Your
4 opinions as to why you can rule out the complications of
5 RDS as the etiology of Matthew's current condition, you
6 feel there should still be, if it was hypoxemia combined
7 with loss of autoregulation, you feel there should still
8 be evidence of multi-organ injury, correct?

9 A. Yes.

10 Q. Do you feel that -- well, we now know that
11 two experts both see abnormalities in early MRIs so the
12 basis of a negative MRI that you reference in your
13 report I suspect is being withdrawn?

14 MR. BULLOCH: Objection because -- well,
15 I'll just object.

16 THE WITNESS: Right. I mean, I was basing
17 that on the medical records that, you know, the
18 hospital's reading of that and I don't think
19 they're normal. So I can't -- I have to withdraw
20 that.

21 BY MR. BECKER:

22 Q. Okay. And the fact that there was no
23 seizures or documented seizures, clinically, the fact
24 that seizures are very difficult to pick up in a newborn

1 and only occur, that can be appreciated clinically 20
2 percent of the time, that's not a strong basis for you
3 to say there wasn't an insult in this child, correct?

4 A. Well, you know, you don't have to have a
5 seizure to be asphyxiated. There are babies that are
6 asphyxiated that, even if you didn't continue to use EEG
7 monitoring, don't have seizures. You know, the
8 fundamental thing, even the multisystem, that's true, I
9 believe to be around 80 percent of cases of birth
10 asphyxia will have multisystem but it's not a hundred.
11 I can live without the seizures but I can't live without
12 the encephalopathy. I mean if you damage the brain,
13 right then and there, by pneumothorax and hypoxia and
14 all that, so severally that for the rest of this kid's
15 life is going to have the troubles that Matthew has, how
16 do you do that without having any neurological signs?
17 Not just seizures but, you know, changes --

18 Q. Well, when you say --

19 MR. BULLOCH: Wait a minute, Mike, let him
20 finish.

21 THE WITNESS: So, I mean, the cardinal signs
22 of then and there, right then and there, during
23 the pneumothorax, the mental status, the
24 activity, the tone, reactions to the nurses and

1 so forth. So that's my main objection to
2 connecting the pneumothoraces to his long-term
3 problem.

4 BY MR. BECKER:

5 Q. Now, then and there, during that day, was
6 he medicated with Valium and morphine?

7 A. Yes. He was given morphine for his
8 irritability and I just presume it had to do with the
9 pneumothorax. I guess it could hurt. So that -- but,
10 again, we're talking about, really, encephalopathy. A
11 35-week baby there is a difference between being given a
12 pain medication and being encephalopathic. I can't
13 imagine they couldn't tell the difference or would miss
14 an encephalopathy.

15 Q. Let's first see if we can agree that
16 medication can mask encephalopathy, potentially?

17 A. Well, if anything it would mimic
18 encephalopathy. If I gave you enough morphine, you
19 would be unconscious and not move or whatever. It is
20 not going to mask it. It would actually raise the
21 concern, why isn't this person active in moving around
22 and responsive. So it's really opposite, I would say.

23 Q. Well, can the irritability be a sign of
24 encephalopathy?

1 A. In some circumstances, it can. For
2 example, in meningitis the babies are irritable as a
3 non-specific cerebral sign because, I don't know, they
4 have a headache or the meningitis is irritating the
5 meninges but I don't think of, I don't think of
6 irritability as being part of, like, classic hypoxic
7 ischemia and encephalopathy.

8 Q. When you say, where is the encephalopathy,
9 are you saying that this child, if there was a hit on
10 this child due to the hypoxemia and the vulnerability to
11 loss of autoregulation, that this child should have
12 demonstrated what Volpe describes as the neonatal
13 neurological syndrome?

14 A. Yes. Definitely.

15 Q. That's what you're talking about?

16 A. Yes, yes and by neonatal neurological
17 syndrome -- again, you know, if this is a tiny, tiny
18 preemie I do not expect them to have altered mental
19 status, seizures or whatever. They can have plenty of
20 brain damage from hypoxia or ischemia when they are very
21 preemie. But for this child who is near term, 35 weeks
22 and, certainly, I know this is in the ACOG thing when
23 they define, you know, 35, it's 34 to term. You know,
24 if you have brain damage from an event right now, then

1 you must show some acute neurological signs right now.

2 Q. Which ACOG are you referring to?

3 A. The green thing, the, you know, that lays
4 out the criteria, but I only refer to that because they,
5 literally, state, for the neurologic signs, they
6 recognize, if it's a very young baby, you may not see
7 neurological signs in activity, seizures. But 34 weeks
8 to term or post term is where that's one of the cardinal
9 features for birth asphyxia. Again, this is not birth
10 asphyxia but it's still a type of asphyxia. You're
11 saying, you know, your hypothesis is impaired
12 autoregulation, hypertension, hypoxic, well, that's a
13 type of an asphyxial injury. So, how do you, how do you
14 injure somebody, you know, day after birth when, if it
15 was a day before, then you would really expect to see
16 those prominent clinical signs.

17 Q. Well, let's assume that this happened,
18 that, if you follow the Plaintiff's hypothesis here,
19 that there was insult and, maybe, multiple insults of
20 the combination of loss of autoregulation with
21 hypoxemia. What specific clinical signs would you
22 expect to see as evidence of the brain injury or the
23 encephalopathy. What specific neuro signs in a newborn
24 would you expect to see?

1 A. Again, so if it's significant enough to
2 cause brain damage you would expect, you know, a
3 striking degree of low tone, hypertone; a striking
4 degree of inactivity, unresponsiveness, up to coma. It
5 turns out that over half of the kids that are birth
6 asphyxiated do have seizures, but not all of them, so
7 you might have them you might not. Eighty percent of
8 the kids will have because, again, their whole body has
9 been exposed to this ischemia, you would expect to see
10 80 percent of the time, other organs involved, you know,
11 the usual thing, the kidneys, the gut, the liver, the
12 bone marrow.

13 Q. Doctor, I'm going to have to apologize for
14 about the last two minutes I'm having problems with my
15 sound and I have been playing with this and it's not
16 working. So if you could just either re-state that last
17 answer because I didn't hear it. I heard it in
18 segments.

19 MR. BULLOCH: Do you want the court reporter
20 to read back the answer.

21 MR. BECKER: Either way.

22 (Whereupon, the last answer was read back by
23 the court reporter.)

24 BY MR. BECKER:

1 Q. What you would expect to see, a noticeable
2 change in activity, a change in tone, and possibly
3 multisystem organ injuries?

4 A. Those, yeah those are included.

5 Q. Now, when a child is on a mechanical
6 ventilation does he receive any drugs to keep him calm?

7 A. I don't think it's automatic and some
8 babies seem to tolerate it more than others. It's not
9 uncommon for nurses to give drugs like Valium just to
10 reduce the "anxiety" of the experience but I don't think
11 it's a knee jerk reflex thing.

12 Q. There is a order, I think, around noon of
13 the 25th, for staff, a stat administration, I believe,
14 of Valium, not morphine, I think it was Valium. Do you
15 have any understanding as to what led up to that?

16 A. I don't. I don't recall that.

17 Q. Doctor, I think I understand where you're
18 coming from. I want to chat with you at the very end,
19 I'm just about done. I might have 15 minutes or so or
20 more. I want to talk about this article that you
21 co-authored with many other physicians at CHOP. And
22 it's entitled, "PVL is Common Following Neonatal Cardiac
23 Surgery." Do you recall the article?

24 A. Yes.

1 Q. In fact it was published in March of 2004
2 and before I get into this a little bit would you agree
3 with, at least, with Barkovich when he finally concluded
4 that this use of the word PVL has been too liberal and
5 it is has a very specific meaning and one should be more
6 talking about premature white matter injury to avoid
7 confusion. Would you agree with that?

8 A. Well, I probably agree that there is a lot
9 of different terms that are thrown around. Some are
10 better than others. But, you know, we're all talking
11 about acute lesions in the white matter, whether they
12 are premature babies or not. But I do understand he's
13 got this opinion, but --

14 Q. In the article you say you conclude that
15 hypoxemia and hypotension in the early post operative
16 period, particularly diastolic hypotension, may be
17 important risk factors for PVL, correct?

18 A. Yes.

19 Q. Now, in these children was there evidence
20 of multisystem organ injury?

21 A. I don't, I mean, yes, they're all cardiac
22 kids. So the picture -- please remember this, the
23 context here, these kids just had cardiac surgery and --

24 Q. Right but what you were discovering --

1 MR. BULLOCH: Mike, let him answer his
2 question, please.

3 THE WITNESS: Just so you understand,
4 though, these kids all do go through a cardiac
5 failure in the post-op period. We know that is
6 going to happen and, you know, it's called the
7 sag. So around 12 hours after surgery they get
8 hypertensive and things like that. So it is in
9 this context, and I'm not saying birth asphyxia.
10 You just said cardiac surgery. So they do have
11 falling blood pressures. That's why we look at
12 oxygenation of the blood and blood pressures.
13 Their lungs will often get stiffer. We often
14 confuse that with their cardiac output. Now, in
15 terms of correlating it with PVL the lowest
16 oxygen reading and the lowest blood pressures
17 were risk factors for those things being
18 developed in the post-op period.

19 BY MR. BECKER:

20 Q. At the end of the article there is a
21 communication between a doctor asking questions and
22 someone from your group, in this case a Dr. Gaynor,
23 responding and the doctor asked the question -- he makes
24 a statement, the doctor not part of the study, says, in

1 patients with postoperative hypoxemia in PAO2 of less
2 than 40 or post operative hypotension, both of which
3 were great points in your study, was there a correlation
4 when the PVL occurred, and Dr. Gaynor goes on to answer
5 in the affirmative. My question is I'm assuming PAO2
6 here means TAO2 and that is the gas oxygenation of less
7 than 40, correct?

8 A. Yes. That's TAO2.

9 Q. Now, and a diastolic blood pressure less
10 than 35, correct, for these kids?

11 A. Yeah, I don't remember the number but we
12 gave it as a cut point.

13 Q. And was there evidence Of THO2 of less
14 than 40 in this child at Fairview General Hospital that
15 is below your break point?

16 A. There was a single measurement below that.

17 MR. BULLOCH: Objection.

18 BY MR. BECKER:

19 Q. Do you know whether or not there is a
20 correlation or -- strike that. Can you correlate,
21 Doctor, oxygen saturation of 60 to 70 as to how that
22 would equate into a PAO2A? Are you familiar with any
23 formula based in your experience?

24 A. See I can't, that's not part of my

1 expertise so I can't answer that.

2 Q. Dr. Gaynor writes that we haven't looked
3 for PVL in neonates without cardiac disease but patient
4 population in which PVL was described is in the neonatal
5 intensive care unit, in preterm infants, and it has been
6 associated with a variety of factors with hypoxemia,
7 with respiratory distress syndrome in these infants and
8 with hypotension. Do you agree?

9 A. Right. Again, for preterm babies --
10 realize again Dr. Gaynor is a cardiac surgeon. He's
11 presenting to the Society of Cardiac Surgery and that
12 these are kids who have just had cardiac surgery, so,
13 like, the hypoxic -- you have to keep in mind, again,
14 these kids do have multisystem malfunction. They have
15 bad perfusion because they have just had major cardiac
16 surgery and, of course, Matthew didn't have any blood
17 pressure issues. He had the one reading below 40.

18 Q. Right, but what Dr. Gaynor is -- I guess I
19 would have to show you the article to be fair with you,
20 Doctor, but he is saying we haven't looked for PVL in
21 neonates without cardiac disease but the patient
22 population in which PVL is described he is referring to
23 the literature, clearly, in a neonatal intensive care
24 unit in the preterm infants that have been associated

1 with hypoxemia and with respiratory distress syndrome in
2 infants that's what he's saying.

3 MR. BULLOCH: Objection. You're assuming
4 what Dr. Gaynor is referring to in his question
5 at some point in time, but go ahead, Doctor.

6 THE WITNESS: Yes. When we discussed this
7 issue it's actually very important, I think,
8 because from a population point of view there is
9 a lot more preemies with PVL and handicapped,
10 like, real preemies, like, 30-weekers, and here
11 we have term kids that go into cardiac surgery
12 and they have this PVL that shows up. It's much
13 smaller. We don't really know how significant it
14 is, by the way. That gives us a chance to,
15 maybe, understand what's behind the PVL and the
16 preemie. So, you know, I think he's referring to
17 the literature there. I agree with that. He's
18 talking about most of what we know about PVLs and
19 little preemies in the NICU and all that sort of
20 stuff but this, sort of, extends our
21 understanding of the biology of PVL.

22 BY MR. BECKER:

23 Q. Clearly Matt was a preemie, correct?

24 A. He was, he was near term, 35 weeks. He is

1 right on the border. So, yes, he's a preemie but, you
2 know, just a pinch.

3 Q. He had moderate respiratory distress
4 syndrome and he was mechanically ventilated, correct?

5 A. Yes, sir, that's correct.

6 Q. He had, at least by reading the chart, two
7 bouts with pneumothorsis and there was evidence of
8 hypoxemia in his chart about this period of time,
9 correct?

10 A. Yes. He had some low readings, but I
11 don't consider them to be terribly low.

12 Q. Well, how low does it have to be and you
13 use this phrase in your report of "brain damaging
14 hypoxemia." How low does it have to be and what's your
15 authority for it?

16 A. So for a near term child I guess the
17 critical value would be around 20 and the authority for
18 that statement has to do with my work and experience
19 with kids that do have congenital heart disease, because
20 there are plenty of babies floating around that have a
21 big hole in their heart and the blue blood mixes with
22 the red blood and they have chronic hypoxia and they
23 have it until they undergo their cardiac surgeries days
24 or weeks later. Now, there are some critical forms of

1 congenital heart disease where you'll literally have the
2 mother give birth at our pediatric hospitals so the baby
3 can be taken directly from her room into the cardiac
4 operating theater, if we know that their cardiac lesion
5 is one that will produce this profound, severe, low
6 value.

7 So 20 is sort of the cut-off point for
8 crisis, but again you're talking about someone with, you
9 know, not just hypoxia but profusion. And Matthew's
10 blood pressures were good. His color was consistently
11 described as pink, his saturation were generally good.
12 They're not perfect but they're good. We have this one
13 point in time where you've got one PA02 value that falls
14 low and that's lower than you want it to be. I know
15 that, and he did have a pneumothorax, but if that
16 triggered his brain damage then where is the clinical
17 evidence with the encephalopathy. That's really the way
18 I analyze this case.

19 Q. Would you defer to a neuroradiologist on
20 the issue of whether one can have microstructural injury
21 to the deep brain, the thalami, the basal ganglia and
22 not have it appear on the MRI?

23 A. No, I wouldn't really, because I don't
24 think they're in position to judge that. I'm the doctor

1 that says, look, I just did an examination. This kid
2 has severe extrapyramidal features. Whether or not they
3 see it. Like I said, in metabolic disease the basal
4 ganglia don't work properly, they're dysfunctional, but
5 they may appear fine. If he's going to give me some
6 song and dance about invisible damage, I'm not going to
7 buy that. I will accept their reading if they say it
8 looks structurally normal but the interpretation that
9 really is a secret, hidden, cryptic kind of injury, I
10 think I'm the best judge of that.

11 Q. Well, if, in your experience, if seeing
12 edema in the deep brain of MRIs taken, one day or two
13 days of life and if, day of life 8, 10 or 15 they no
14 longer see any evidence of damage on the films, yet the
15 child has evidence of thalamic injury, is it wrong for
16 them to conclude that the injury is there but we know
17 that it is just not showing up?

18 MR. BULLOCH: Well, Mike, you just said that
19 they're seeing an image of the thalamus, and
20 you're talking about the neuroradiologist, and
21 then, in the same question, you're saying they're
22 not seeing any changes. I'm confused.

23 THE WITNESS: I understand his question.

24 BY MR. BECKER:

1 Q. No. I'm saying in my hypothetical,
2 Doctor, where they, I've had this, I'm not making this
3 up, in multiple cases. I can think of two where there
4 have been, early day 1 or day 2 of life, clear evidence
5 of edema deep in the brain, subsequent MRI on day 10 or
6 day 20 showing it normal and yet, today, the child is
7 showing mixed CP?

8 A. Right and here's -- and I think maybe
9 we're not really disagreeing but I've also seen cases
10 where, like right after birth, a day or two, you see
11 changes. They go through a phase, later, of
12 normalization and I don't know what that time period is,
13 I don't remember it but then when you do follow-up scans
14 when they are a year or two years old you do see, then,
15 like, the permanent scarring and atrophy and signal
16 changes of the basal ganglia. There could be a phase,
17 like, maybe, I don't know, for argument sake, ten days,
18 two weeks after birth where it could be the edema is
19 gone but then when you have follow-up studies you do
20 expect to see the changes. So we don't have changes on
21 our first scan and I forget how old the child was then.
22 His MRI scan was done on 9/8, so I guess he was 15 days
23 old, day of life 15, but we have got several scans after
24 that and they should have shown the basal ganglia

1 changes.

2 Q. Doctor, in your report when you say, I've
3 never seen the combination arise in practice, that is, a
4 mixed CP as a result of PVL, I think I know what you
5 mean but better explain it to me.

6 A. Right. The combination there, is a
7 combination carditis, is the classic combination of
8 extracranial CP and deafness. You know, this kid's
9 billow rate was never that high it was 13, 13.6
10 something like that, but I've never seen arise from PVL
11 alone a picture of extrapyramidal and pyramidal CP. I
12 expect those kids to have spastic forms and again when
13 you look at the videotapes the choroid acidosis is not
14 subtle it's actually extremely florid. So this is a
15 major contribution to his disabilities from the choroid
16 acidosis and I have not seen that on PVL.

17 Q. Well, where do you see the chordal, simply
18 in his head or do you see it in his arms as well?

19 A. Yeah. It's actually, there is a nice
20 scene, I think it was the first time video, where mom's
21 got both of the kids in their little high chairs and the
22 camera is facing them and the little girl is eating and
23 as Matthew tries to eat, you know, his head rotates. He
24 doesn't have the control. His hands rise and he is

1 trying to eat. All of these extra overflow movements
2 are part of the choroidal acidosis. And then in the day
3 in the life movie I think he is working with one of the
4 occupational therapist and he's in this, they have him
5 suspended from a sling and he's, like, supposed to be
6 getting these forms and putting them in the molds and
7 again his hands are rising and so forth. It's his head
8 trunk and arms. I don't really see it in his legs. His
9 legs doesn't seem to be as involved.

10 Q. How do you pronounce that again?

11 A. Extrapyramidal.

12 Q. Extrapyramidal it's got to be without
13 purpose, correct?

14 A. Correct.

15 Q. And you're assuming that his movements
16 while he was eating were without purpose?

17 A. I mean the movements, the extra
18 movements. He was trying to eat and the movements
19 didn't serve the purpose of eating. They would intrude
20 or interfere with the desire to act. So that's why they
21 are called involuntary, they're not under his voluntary
22 control. They arise within.

23 Q. Did you ask to examine this child?

24 A. No, I didn't. I did ask for an updated

1 video. I mean I asked if there was an updated video

2 Q. So if, in fact, his motor problems are
3 static, you would still maintain your position that this
4 kid's problem is from an undiagnosed metabolic disease
5 of some type?

6 A. Yeah, again the concept of the
7 mitochondrial disorder as being static is well
8 documented but, again, when you look at the whole
9 picture of the extrapyramidal CP, the deafness, the
10 lactic acidosis, the neuro behavioral things, that's
11 clearly within the diagnostic category of
12 mitochondrial. If it's progressive even deeper into
13 that territory but I am certainly uncomfortable with
14 that as a working diagnosis at this point.

15 Q. You're presuming that his lactic acidosis
16 persists?

17 A. Well, first of all, and I know you will
18 look at this paper, they don't have to have any lactic
19 acidosis. That can be an intermittent thing, but when I
20 read Dr. Bachman's deposition, remember, the first time
21 they did the lactic acid, they also did a pneumonia
22 level. Pneumonia is a very fussy thing, too. So if you
23 have a tourniquet or whatever you can get spurious
24 pneumonia readings. His pneumonia was very normal

1 thing. So that told me in the same blood draw he has a
2 normal pneumonia level and that's a sensitive test and
3 he's got a clearly high lactic. And then Dr. Bachman's
4 deposition he said, well, I wanted to be sure about this
5 so he wrote in the chart he did it in the morning. He
6 wasn't fussy. They didn't use a tourniquet to repeat it
7 and I don't remember the exact number. It was still
8 clearly high. I think the first value was 21 and the
9 second value was 17, but those are way elevated; 16 and
10 21 were the numbers. So I thought they did a good job
11 of, you know, being -- recognizing where the pitfalls
12 were in the technical aspect of drawing a lactic acid.
13 So again that shouldn't be there. Now, whether another
14 measurement would prove it, no. You need to go to the
15 next level now, which would be more invasive things,
16 genetic testing and really something that requires true
17 expertise in this.

18 Q. Well, is it your experience with children
19 with mitochondrial disorders that they generally
20 manifest this condition in the very early newborn
21 period?

22 A. Absolutely. Some -- I mean, again, when
23 you see the paper they talk about the experiences and
24 say what was the range of presentation, and some are

1 newborn and I don't even know that Matthew is
2 manifesting his metabolic disease as a newborn. I think
3 it's really later when they appreciate that he's got the
4 arcing and all the rest of that. That, to me, is when
5 it really manifests. To me the newborn period, again,
6 when he had the pneumothorax he didn't really show
7 anything specific neurologically and then later when he
8 was, like, 11 days old and had the hypertonia and all
9 that. They were worried about infection, the pneumonia,
10 the low sodium. They had a neurologist come in and he,
11 sort of, kind of, got a little better. He was certainly
12 better when he was discharged from the hospital than he
13 was at the presentation. So I don't really know when
14 the metabolic mitochondrial disorder really declared
15 itself, but at this point he's got all the hallmarks of
16 a mitochondrial disorder.

17 Q. So, Doctor, you would urge his treating
18 neurologist to consider that diagnosis?

19 A. Absolutely.

20 Q. And you cannot, you don't have an opinion
21 as to the brain injury that is shown in the early MRI,
22 how that's impacting him or can you sparse that out?

23 A. Yeah, I mean the white matter injury is
24 there. Again, I don't know if that's a, you know,

1 again, the radiologists disagree. Pressman said it was,
2 like, a profusion issue, like a low blood pressure
3 issue. Nelson said it was, like, coagulation as opposed
4 to something you get with cytokine injury. I'm not
5 going to offer an opinion on that. Although I can see
6 it, it's not terribly impressive in terms of there was
7 no cyst and so forth, and at least from my reading of
8 the following reports there is not a great deal of, you
9 know, there is little ditzels in there now. It's hard
10 for me to correlate that with, like, CP for example.

11 You know what we didn't talk about, you
12 brought up this paper that we did with the PVL and so
13 forth. Those kids don't have CP, by the way. I mean
14 they may, a lot of the cardiac kids have some
15 developmental issues, attention span issues, things like
16 that, but it's really rare for them to have cerebral
17 palsy. So you can have little white matter pouches and
18 they're not -- we don't know the full significance of
19 that. That's why I can't say from Matthew either.

20 Q. Well, you only looked at one film and it
21 was the early film. You didn't see how this injury
22 evolved?

23 A. No, I understand that. What I'm saying
24 is, when I read the reports they're not talking about

1 cysts in the white matter, things like that.

2 Q. You're referring to the original treating
3 doctor's reports, not depositions?

4 A. Correct, and I'm sure you know this, but
5 the correlation with white matter injury in preemies it
6 depends on, it's not just a touch of PVL. It's like, it
7 is supposed to be fairly extensive and there's much
8 higher correlation of CP if there's cysts in the white
9 matter. If there is cysts it means the tracts have died
10 and they have been filled with water. So we're using
11 PVL as a general blanket term but what correlates most
12 of the CP is the multi-cystic PVL and the kids that
13 don't have that, I'm not saying they are a hundred
14 percent normal, but it's less correlated with CP and to
15 my knowledge Matthew never had cystic white matter
16 lesions.

17 Q. Well, isn't it true, Doctor, that classic
18 PVL talks, connotes cysts around the ventricle. Not
19 within the white -- not deep in the white matter. They
20 talk about cysts, classic PVL and cysts around the
21 ventricles?

22 A. But it's cysts of the white matter that
23 surrounded the ventricle. That's PVL around the
24 ventricle and if you get lots and lots of it they

1 actually turn cystic but it's still cystic white matter
2 changes.

3 MR. BECKER: That's all I have.

4 (Whereupon, Exhibit 8, Exhibit 9, Exhibit
5 10, Exhibit 11, Exhibit 12, Exhibit 13, Exhibit
6 14 and Exhibit 15 were marked for identification
7 by the court reporter.)

8 (Whereupon, the deposition concluded
9 11:22 a.m.)

10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

I, Micheline Brown, a Court Reporter and
Commissioner of Deeds for the Commonwealth Of
Pennsylvania, do hereby certify the foregoing to be a
true and accurate transcript of my original stenographic
notes taken at the time and place herein before set
forth.

Micheline Brown
Court Reporter
Commissioner of Deeds

DATED: _____

(The foregoing certification of this transcript does not apply to any reproduction of the same by any means, unless under the direct control and/or supervision of the certifying shorthand reporter.)

ZANARAS REPORTING 1-215-790-7857 1-877-GO-DEPOS

INSTRUCTIONS TO WITNESS

— — —

Read your deposition over carefully. It is your right to read your deposition and make changes in form or substance. You should assign a reason in the appropriate column on the ERRATA SHEET for any change made.

After making any change in form or substance, and which have been noted on the following ERRATA SHEET, along with the reason for change, sign your name on the ERRATA SHEET and date it.

Then sign your deposition at the end of your testimony in the space provided. You are signing it subject to the changes you have made in the ERRATA SHEET, which will be attached to the deposition before filing. You must sign in the space provided. The witness need not be a Notary Public. Any competent adult may witness your signature.

Return the original ERRATA SHEET to John T. Bulloch, Esquire, 1422 Euclid Avenue, Suite 630, Cleveland, Ohio 44115. Court rules require filing within 30 days after you receive the deposition.

ZANARAS REPORTING 1-215-790-7857 1-877-GO-DEPOS

SIGNATURE PAGE

OF

ROBERT RYAN CLANCY, MD.

I hereby acknowledge that I have read the foregoing deposition dated August 25, 2006, and that the same is a true and correct transcription of the answers given by me to the questions propounded, except for the changes, if any, noted on the attached ERRATA SHEET.

SIGNATURE

ROBERT RYAN CLANCY, M.D.

WITNESSED BY:

DATE:

ADDRESS:

1 CASE: Matthew Chase Wagoner, et al. Vs. Mark R. Evans,
2 M.D., et al.

3 DEPOSITION OF: Robert Ryan Clancy, M.D.

4 TAKEN: August 25, 2006

5	PAGE	LINE	ERROR	CORRECTION	REASON
---	------	------	-------	------------	--------

6					
---	--	--	--	--	--

7					
---	--	--	--	--	--

8					
---	--	--	--	--	--

9					
---	--	--	--	--	--

10					
----	--	--	--	--	--

11					
----	--	--	--	--	--

12					
----	--	--	--	--	--

13					
----	--	--	--	--	--

14					
----	--	--	--	--	--

15					
----	--	--	--	--	--

16					
----	--	--	--	--	--

17					
----	--	--	--	--	--

18					
----	--	--	--	--	--

19					
----	--	--	--	--	--

20					
----	--	--	--	--	--

21					
----	--	--	--	--	--

22					
----	--	--	--	--	--

23					
----	--	--	--	--	--

24					
----	--	--	--	--	--

LAWYER ' S NOTES

LINE PAGE

ZANARAS REPORTING 1-215-790-7857 1-877-GO-DEPOS