

IN THE COURT OF COMMON PLEAS  
CUYAHOGA COUNTY, OHIO

1

2

3 TRACY ANN SMITH, Admin., etc., )

4 Plaintiff, )

5 vs. ) Case No. 327823

6 UNIVERSITY HOSPITALS OF ) JUDGE NANCY A.

7 CLEVELAND, et al., ) FUERST

8 Defendants. )

9

10

11 The deposition of STEPHEN DOUGLAS COLLINS,  
12 M.D., taken in the above entitled case, before  
13 Joyce Fancsalszki, CSR and Notary Public in and for  
14 the County of Cook and State of Illinois, on the  
15 16th day of January, 1999, at 2:03 p.m., at O'Hare  
16 International Airport, Chicago, Illinois, pursuant  
17 to notice.

18

19

20

21

22

23

24

25

1 PRESENT:

2 BECKER & MISHKIND  
3 By MS. JEANNE M. TOSTI  
4 Skylight Office Tower  
5 1660 West Second Street, Suite 660  
6 Cleveland, Ohio 44113  
7 (216) 241-2600

8 appeared on behalf of plaintiff;

9 MAZANEC, RASKIN & RYDER CO., L.P.A.  
10 By MS. COLLEEN H. PETRELLO  
11 100 Franklin's Row  
12 34305 Solon Road  
13 Cleveland, Ohio 44139  
14 (216) 248-7906

15 appeared on behalf of defendants  
16 Stephen Collins, M.D., and Mary Louise  
17 Hlavin, M.D.;

18 PRESENT VIA TELEPHONE:

19 WESTON, HURD, FALLON, PAISLEY & HOWLEY  
20 By MR. KENNETH A. TORGERSON  
21 Terminal Tower  
22 50 Public Square, Suite 2500  
23 Cleveland, Ohio 44113  
24 (216) 241-6602

25 appeared on behalf of defendant  
26 Lee J. Brooks, M.D.;

27 MOSCARINO & TREU, L.L.P.  
28 By MS. PATRICIA CUTHBERTSON  
29 The Caxton Building  
30 812 Huron Road, Suite 490  
31 Cleveland, Ohio 44115  
32 (216) 583-1000

33 appeared on behalf of defendant  
34 University Hospitals of Cleveland;

(Cont'd)

1   PRESENT\_VIA\_TELEPHONE\_ (Cont'd) :

2                   GALLAGHER, SHARP, FULTON & NORMAN  
3                   By MR. JACK O'DONNELL  
4                   Bulkley Building  
5                   1501 Euclid Avenue, 7th Floor  
6                   Cleveland, Ohio 44115  
7                   (215) 241-5310

8                   appeared on behalf of defendant  
9                   Michael Rowane, M.D.

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

26

27

1 I N D E X

2 WITNESS

3 Stephen Douglas Collis, M.D.

4 EXAMINED BY PAGE

5 Ms. Tosti 6

6 Ms. Cuthbertson 103

7

8

9 E X H I B I T S

10 Marked for First Reference  
Identification in Transcript

11 PLAINTIFF'S

12 <u>          </u>		
13       No. 1	5	14
14       Nos. 2A, 2B and 2C	5	38
15       No. 3	5	79
16       No. 4	5	
17       No. 5	5	69
18       No. 6	5	
19       No. 7	5	87
20       No. 8	5	89
21       No. 9	5	90
22       No. 10	5	93

23

24

1 (Documents marked as Plaintiff's  
2 Exhibits Nos. 1 through 10 for  
3 identification.)

4 MS. TOSTI: Could I have a stipulation from  
5 counsel that Ohio Rules of Civil Procedure will  
6 apply and that there is a waiver to any defects in  
7 notice or service?

8 MS. PETRELLO: Yes.

9 MR. TORGERSON: Right.

10 MS. TOSTI: Also, could I suggest that any  
11 time that you comment during the deposition that you  
12 indicate your name so that the court reporter can  
13 take it down.

14 MR. TORGERSON: Surely.

15 MS. CUTHBERTSON: No objection, Jeanne.

16 MS. TOSTI: Do we have Jack O'Donnell on  
17 the line?

18 MR. O'DONNELL: Yes, I'm here.

19 MS. TOSTI: Okay.

20 MR. O'DONNELL: No problem with that. I'm  
21 just trying to make sure I can hear the doctor. I  
22 didn't hear him when he was sworn in.

23 MS. TOSTI: Okay. I think we have the  
24 speaker up about as loud as we can get it at this

1 end. I don't know if you can make an adjustment on  
2 your phone.

3                   STEPHEN DOUGLAS COLLINS, M.D.,  
4 having been first duly sworn, was examined and  
5 testified as follows:

6                   EXAMINATION

7 BY MS. TOSTI:

8           Q     Doctor, would you please state your name  
9 and spell your last name for us.

10          A     Stephen Douglas Collins, C-o-l-l-i-n-s.

11          Q     And your home address?

12          A     1543 West Durham, D-u-r-h-a-m, Drive,  
13 Inverness, I-n-v-e-r-n-e-s-s, 60067, Illinois.

14          Q     And what is your current business address?

15          A     Abbott Laboratories, 200 Abbott Park Road,  
16 Abbott Park, Illinois 60064.

17          Q     Have you ever had your deposition taken  
18 before?

19          A     Yes, ma'am.

20          Q     How many times?

21          A     About six.

22          Q     And Doctor, what was the reason that your  
23 deposition was taken? And by that I mean, were you  
24 a defendant, a treating physician, an expert?

1           A     Expert witness.

2           Q     Each of those six times?

3           A     All of those times.

4           Q     Now, Doctor, I'm sure your counsel has  
5 explained to you some of the rules that we work  
6 under for deposition. I'm just going to review  
7 those for you. This is a question-and-answer  
8 session under oath, and it's important that you  
9 understand the question that I ask you. And if for  
10 any reason you don't understand it, if I phrased a  
11 question inartfully, please tell me, I'll be happy  
12 to repeat it or to rephrase it, otherwise I'm going  
13 to assume that you understood the question that I  
14 asked and that you're able to answer it.

15                 If at any point you wish to refer to the  
16 medical records that your attorney has brought with  
17 her, feel free to do so. This isn't a memory game  
18 at all. I would also ask that you provide all of  
19 your answers verbally. Our court reporter can't  
20 take down head nods or any type of hand motions.  
21 And at some point during this deposition, your  
22 counsel or one of the other defense counsels may  
23 enter an objection, you are still required to answer  
24 my question unless your counsel tells you not to do

1 so.

2 A I understand.

3 Q Now, at the time that you rendered care to  
4 Patricia Smith, were you an employee of a  
5 professional medical group?

6 A Yes, ma'am.

7 Q What was the name of that group?

8 A University Neurology Associated, Inc., or  
9 University Neurologists Associated, Inc.

10 Q And how many physicians were in that group?

11 A I'd guess about 12 to 15 neurologists.

12 Q And they were all neurologists?

13 A Yes, ma'am.

14 Q And who is your current employer?

15 A Abbott Laboratories, A-b-b-o-t-t.

16 Q What is your title and position at Abbott  
17 Lab?

18 A I'm an associate medical director. I'm not  
19 quite sure how to differentiate title and position.

20 Q Okay. Your title is associate medical  
21 director; is that correct?

22 A Yes, ma'am.

23 Q Okay. Is that a full-time position?

24 A Yes.



1 Q When did you begin working for Abbott Labs?

2 A June of 1997.

3 Q And as an associate medical director at  
4 Abbott Laboratories, what are your duties and your  
5 responsibilities?

6 A I supervise, direct, manage development of  
7 pharmaceuticals for neurologic disorders and  
8 specifically, primarily, epilepsy.

9 Q And what is the reason that you left your  
10 practice in Ohio and moved to Illinois?

11 A Various reasons. One was it gave me an  
12 opportunity to direct development of new medicines  
13 for people with epilepsy, which I couldn't really do  
14 in academics, and it was a new challenge.

15 Q And did you relocate at approximately the  
16 same time that you took the position with Abbott  
17 Lab?

18 A Yes.

19 Q Do you currently have a clinical practice  
20 where you see patients?

21 A No, ma'am.

22 Q And do you currently have hospital  
23 privileges anywhere?

24 A No, ma'am.

1           Q     At the time that you rendered care to  
2 Patricia Smith, were you under any type of a mental  
3 or physical disability?

4           A     No, ma'am.

5           Q     And other than for Abbott Laboratories, do  
6 you currently render professional services for any  
7 other entity?

8           A     No.

9           Q     At the time that you cared for Patricia  
10 Smith, did you provide professional services for any  
11 entity other than your medical group that you  
12 previously mentioned?

13          A     No.

14          Q     Have you ever been named as a defendant in  
15 a medical negligence case?

16          A     No.

17          Q     Have you ever had your hospital privileges  
18 called into question, suspended or revoked?

19          A     No.

20          Q     What states are you currently licensed to  
21 practice medicine in?

22          A     Illinois and Ohio.

23          Q     And at the time that you rendered care to  
24 Patricia Smith, you were licensed to practice in

1 Ohio; is that correct?

2 A That's correct.

3 Q Have you ever been licensed to practice in  
4 any other state besides Illinois or Ohio?

5 A Yes, California.

6 Q Has your medical license in any state ever  
7 been suspended, revoked or called into question?

8 A No, ma'am.

9 Q Now, Doctor, you mentioned previously that  
10 you had had your deposition taken as a medical  
11 expert six times before, correct?

12 A Approximately six.

13 Q Okay. Besides the six times that you had  
14 your deposition taken, how many times have you acted  
15 as an expert in a medical-legal proceeding?

16 A 'Would that include reading cases, as  
17 opposed to actually being deposed, but records being  
18 sent by a lawyer saying, What do you think of this  
19 thing?

20 Q Let me clarify the question then. Do you  
21 or have you in the past done medical-legal reviews  
22 on a fairly regular basis?

23 A I guess, yes.

24 Q Over the course of a year's time, say in

1 the last year, how many medical-legal reviews have  
2 you done?

3 A I haven't done any in the last year. Prior  
4 to that, maybe one or two a year.

5 Q And over how many years have you done  
6 approximately one or two a year?

7 A I have to do some arithmetic. Ballpark,  
8 10.

9 Q Do you recall, in the ones that you did  
10 most recently, you said not this year but the  
11 previous year, do you recall the allegations of  
12 negligence in those cases that you reviewed?

13 A Yes, I think I can remember most of them.  
14 I certainly recall what they asked me to do, I mean.

15 Q If you could tell me what that was?

16 A Basically, as an epileptologist, they asked  
17 me to review whether a diagnosis or an evaluation, a  
18 workup, if you will, was done correctly where  
19 diagnosis of epilepsy or seizures was made.

20 Q Have you ever done a medical-legal review  
21 involving seizures that occurred during sleep?

22 A I don't remember because -- I could  
23 certainly say that none of them were primarily  
24 seizures during sleep. Whether any of the patients

1 may have had a seizure during sleep is another  
2 matter.

3 Q Did any of the cases that you've acted as a  
4 medical-legal expert on involve any questions that  
5 dealt with obstructive sleep apnea?

6 A No.

7 Q Any deal with any type of a sleep disorder  
8 in conjunction with seizures?

9 A Not that I recall.

10 Q Have you ever testified in court?

11 A Yes, ma'am.

12 Q How many times?

13 A Twice, I believe.

14 Q And when were those two times that you  
15 testified?

16 A It was a while ago. I would guess about  
17 '95 or '96, maybe '94, '95, '96, in that ballpark.

18 Q And where did that testimony take place?

19 A One tools place in Cleveland and one in  
20 Florida.

21 Q And do you recall the allegations of  
22 negligence that were made in those two cases?

23 A The generalities. Do you want to hear  
24 them?

1 Q Yes.

2 A The one in Florida concerned whether  
3 seizures were occurring in a patient and whether any  
4 of the diagnoses made and the biopsies and other  
5 sort of medical evidence was consistent with a  
6 diagnosis of epilepsy. And the other was as to the  
7 causality of a head injury and someone's seizures.

8 Q The one that dealt with the causality of a  
9 head injury, was that the Florida case or the  
10 Cleveland case?

11 A That was the Cleveland case.

12 Q And do you recall who the plaintiff was in  
13 that case?

14 A No, I'm sorry.

15 Q How about in the Florida case, do you  
16 recall the name of the plaintiff?

17 A No, sorry.

18 Q Doctor, I've given you what has been marked  
19 as Plaintiff's Exhibit 1, which I believe is a copy  
20 of your curriculum vitae. And I would like you to  
21 please look over it and tell me if it's up to date  
22 and if there's any additions that you would like to  
23 make to it or any corrections.

24 A I understand. The home address is

1 incorrect. That obviously I've already gone over.  
2 The home phone is incorrect. My new home phone is  
3 (847) 963-8141. My business address is as I've  
4 already indicated.

5           The education section is all the same. The  
6 current position is updated with my position at  
7 Abbott Labs. My board certification is the same as  
8 in neurology. The license section needs to be  
9 updated because I now have an Illinois registration,  
10 my California I let lapse. I've still been a  
11 reviewer for these journals on and off.

12           The committees, I'm no longer on the  
13 American Heart Association, Indiana Chapter Grant  
14 Review Committee. I'm no longer on the Epilepsy  
15 Foundation, Northeast Ohio Chapter. I am still on  
16 the American Association of Neurology Practice  
17 Standards Committee. And obviously, the University  
18 Hospitals Committees I'm no longer a member of. I  
19 am still a reviewer for the National Institutes of  
20 Health, Epilepsy Branch.

21           Clinical research doesn't have much,  
22 there's no changes or additions there other than the  
23 fact that I run clinical trials now.

24           Teaching experience, that's all correct.

1 Professional societies is correct. The invited  
2 lecturer section is basically correct, certainly in  
3 all those which occurred, and since '97 I've had  
4 probably another 20 to 30 invited lectures.

5 Publications is incorrect because of  
6 several publications. Most notable is probably in  
7 Neurology this year, which was the position paper of  
8 the American Academy of Neurology on diagnosis and  
9 evaluation of epilepsy in women, which is the  
10 Practice Standards Committee communication on  
11 adequate practice standards for diagnosis of  
12 epilepsy.

13 And there's a ton of papers in preparation,  
14 but those don't really count much. And then there's  
15 some more book chapters.

16 Q Okay. I have a few more questions in  
17 regard to your C.V., so if you'd just keep that in  
18 front of you. The date that you have down for your  
19 medical school training, I believe, is 1984. Is  
20 that the date of completion?

21 A Yes, ma'am.

22 Q Do you currently hold any type of a  
23 position with Case Western Reserve University?

24 A No, ma'am.



1 Q In regard to your board certifications, I  
2 believe you have down that you are board certified  
3 in neurology; is that correct?

4 A That's correct.

5 Q Did you pass that on your first try?

6 A Yes, ma'am.

7 Q Under the area of clinical research, these  
8 particular studies, did they all involve studies  
9 with pharmaceuticals? Or let me rephrase that.

10 The research that is listed on your C.V.,  
11 what type of research did that involve?

12 A There's two general areas. There's basic  
13 science and there's clinical. The clinical has to  
14 do primarily with epilepsy pharmaceuticals and  
15 devices, that is, drugs or devices to stop seizures.

16 Q And would that be true for the ones that  
17 are listed under the Burroughs Welcome as well as  
18 the Wallace Pharmaceutical names?

19 A Yes, ma'am.

20 Q Do any of the publications that are listed  
21 on your C.V. deal with the subject matter of sleep  
22 apnea?

23 A No, ma'am.

24 Q Do any of them deal specifically with the

1 subject matter of seizures during sleep?

2 A No, ma'am.

3 Q Do any writings that you currently have in  
4 progress deal with either of those two subject  
5 matters?

6 A No, ma'am.

7 Q And have you ever participated in any  
8 research at any time dealing with the subject matter  
9 of sleep apnea?

10 A No, ma'am.

11 Q Have you ever taught or given a formal  
12 lecture or a presentation on the subject matter of  
13 sleep apnea?

14 A No.

15 Q What about just sleep disorders in general?

16 A No.

17 Q Would you tell me what you have reviewed  
18 for this deposition.

19 A I read the deposition of Dr. Rowane. And  
20 these medical records I went through, particularly  
21 mine, whatever you call this, you know, the book of  
22 them.

23 Q And I would like to know specifically what  
24 medical records. You reviewed your clinical notes;

1 is that correct?

2 A Yes, sorry, there's a list in the front.

3 It's, I guess, labeled 2, Dr. Collins' office chart,

4 and then I glanced through 1, 3, 4, 5, 6, 7.

5 MS. PETRELLO: She wants to know what these

6 are.

7 BY MS. TOSTI:

8 Q I would like to know what those numbers --

9 A Oh, I'm sorry, you want me to read them  
10 out. I'm sorry.

11 Q Yes.

12 A Dr. Rowane's office chart is 1,  
13 Dr. Collins' office chart is 2, Dr. Hlavin's office  
14 chart is 3, Dr. Brooks' office chart is 4, UHOC  
15 sleep study records is 5, UHOC records is 6, and  
16 autopsy report is 7.

17 MS. PETRELLO: Just for clarification for  
18 everybody, I put this together based on the  
19 records that I had, and it was just, since it  
20 was together, I sent it to him that way.

21 MS. TOSTI: Okay. And I would ask to take  
22 a look at this at some point when we're done  
23 with the deposition.

24 MS. PETRELLO: Okay. These are my records,

1       okay. So I've got little Post-its and  
2       highlights and so, you know, I'll represent to  
3       you that those are the office records, but  
4       you're not going to look at my copy.

5 BY MS. TOSTI:

6       Q     Did you review any films, such as a CT scan  
7       or MRI scan, of Patricia Smith?

8       A     No, ma'am.

9       Q     Have you seen the death certificate and  
10      autopsy of Patricia Smith?

11      A     I saw the autopsy report. I don't know if  
12      the death certificate, if it was in --

13            MS. PETRELLO: It's not.

14      A     No, I did not.

15 BY MS. TOSTI:

16      Q     But you have reviewed the autopsy?

17      A     Yes, ma'am.

18      Q     Was that recently?

19      A     The last week or so, within the last week.

20      Q     And contained in those records, you have  
21      had an opportunity to look at the polysomnogram  
22      results?

23      A     Yes, the reports.

24      Q     Yes.

1           A       Yes.

2           Q       You mentioned that you did read  
3 Dr. Rowane's deposition; is that correct?

4           A       Yes, ma'am.

5           Q       Did you review Dr. Hlavin's deposition?

6           A       Deposition? No.

7           Q       What about Dr. Martin's deposition?

8           A       No, ma'am.

9           Q       Have you consulted with any physicians in  
10 preparation for this deposition?

11          A       No.

12          Q       Since this case was filed, have you  
13 discussed this case with any physicians?

14          A       No.

15          Q       Have you, since this case was filed,  
16 discussed it with anyone other than with counsel?

17          A       My wife.

18          Q       Now, the notes that are contained in  
19 Ms. Petrello's records, are those the notes from the  
20 clinical office visits that Pat Smith had with you?

21          A       Yes.

22          Q       Do you have any personal notes or a  
23 personal file on this case?

24          A       No, ma'am.

1           Q     Have you ever generated any personal notes  
2 on this case?

3           A     No.

4           Q     Are there any textbooks within your area of  
5 expertise that you go to for information from time  
6 to time in clinical practice?

7           A     Sure.

8           Q     What would be one of those texts that you  
9 would go to to look for information to help you in  
10 your clinical practice?

11          A     Well, I don't do clinical practice, but you  
12 mean when I was in clinical practice?

13          Q     Correct.

14          A     Textbook of Epilepsy by Elaine Wiley would  
15 be a source. And then the three-volume set by  
16 Pedley and Engel, which I have chapters in, so of  
17 course I go to.

18          Q     You have chapters in the three-volume set?

19          A     Yes, ma'am.

20          Q     Are those listed on your C.V.?

21          A     Probably not. Yes, actually they are. Oh,  
22 at this time, the time my C.V. was prepared, it says  
23 "To be published Spring of '97." They finally did  
24 get them out.

1 Q Where are you looking at?

2 A It would be No. 3 and 4, Epilepsy in  
3 Pregnancy, Collins, S.D., Yerby, Ramsay, and then  
4 the chapter below that, Teratogenesis. And the  
5 other would be the chapter in Manual of Obstetrics  
6 that I wrote on Neurology of Pregnancy.

7 Q Do you consider the texts that you just  
8 previously mentioned, the Textbook of Epilepsy and  
9 the three-volume set of Pedley to be authoritative?

10 A No. I mean they're references I go to.  
11 But I think what you're talking about is, is there  
12 one thing I can go to and it's like the bible. No,  
13 there isn't any such beast. I wish there was.

14 Q Doctor, is there any specific professional  
15 article or publication that you believe has  
16 particular relevance to the issues in this case?

17 A No, I can't think of one article, if that's  
18 what you're saying.

19 Q What is obstructive sleep apnea?

20 A Well, I can give you a general definition.  
21 I'm not a sleep doctor, so my understanding in that  
22 area is thin. But it's presumably a structural  
23 mechanical obstruction of airflow during sleep.

24 Q And to your knowledge, what are the risk

1 factors for obstructive sleep apnea?

2 A Obesity, I presume some sort of congenital  
3 defect, you know, born with something wrong with the  
4 windpipe, larynx, et cetera. That's all I know.

5 Q Do you know if hypertension is associated  
6 with obstructive sleep apnea?

7 A Yes, I believe with severe sleep apnea  
8 hypertension is associated.

9 Q What about macroglossia, enlarged tongue,  
10 is that associated with obstructive sleep apnea?

11 A I don't know. I mean, anything in the  
12 airway which could occlude would presumably be, so I  
13 guess I -- it's not my area.

14 MS. PETRELLO: Doctor, I don't want you to  
15 guess. If you know, you know; if you don't, you  
16 don't.

17 A I don't know.

18 BY MS. TOSTI:

19 Q And Doctor, what are the signs and symptoms  
20 of obstructive sleep apnea in an adult?

21 A I'm not sure. Again it's not my area.  
22 Certainly one that can be is snoring. With severe  
23 sleep apnea, you get excessive daytime sleepiness,  
24 people who cannot resist falling asleep. I don't



1 mean drowsiness, I mean absolutely cannot resist  
2 falling asleep and have multiple episodes of that.  
3 That's what comes to mind at the moment.

4 Q So if somebody was talking on the phone and  
5 they fell asleep while they were on the phone, would  
6 that be an example of excessive daytime sleepiness?

7 A Not necessarily. It would have to be a lot  
8 more than that. I've fallen asleep on the phone. I  
9 don't have sleep apnea.

10 Q How is sleep apnea diagnosed?

11 A By a sleep doctor. A series of tests are  
12 run. And that includes electrical tests and some  
13 tests of oxygenation and CO2 levels, generally, I  
14 think.

15 Q And then based on the results of the test,  
16 there is a diagnosis made?

17 A Yes, I would presume, like everything else.

18 Q And presuming that there is a diagnosis of  
19 severe obstructive sleep apnea, how is that treated?

20 A I do not know. I know from medical school  
21 that --

22 MS. PETRELLO: That's okay. You've  
23 answered the question.

24 THE WITNESS: Okay.

1 BY MS. TOSTI:

2 Q Doctor, have you ever heard of CPAP  
3 therapy, continuous positive air pressure therapy?

4 A Yes.

5 Q What is that?

6 A It's a mask placed over the face where  
7 there is positive pressure put over the airways.

8 Q Have you ever heard that as being utilized  
9 as a treatment for severe obstructive sleep apnea?

10 A Yes.

11 Q Have you ever heard of bi-level positive  
12 airway treatment?

13 A No.

14 Q How about surgical intervention for the  
15 treatment of severe obstructive sleep apnea?

16 A Yes.

17 Q Are there any complications that you are  
18 aware of associated with severe obstructive sleep  
19 apnea in an adult?

20 A Severe hypertension and, I believe, the  
21 risk for cardiomyopathy, that is, thickened heart,  
22 enlarged heart.

23 Q Anything else that you're aware of?

24 A Well, the excessive daytime sleepiness. I

1 think they have an increased risk of accidents  
2 during the day, i.e., fall asleep in the middle of  
3 their driving, stuff like that.

4 Q Doctor, what is hypoxia?

5 A Actually I don't know the formal  
6 definition, embarrassingly enough. It's a decrease  
7 from some normal range of oxygenation of the blood.

8 Q Can hypoxia in some cases cause seizures?

9 A Severe hypoxia, normally we would call  
10 anoxia, can lead to seizures.

11 Q Does hypoxia lower the threshold for  
12 seizures?

13 A Severe hypoxia can, yes.

14 Q If the brain doesn't get enough oxygen, can  
15 that cause a seizure to occur in some instances?

16 A Yes, a generalized seizure.

17 Q In the course of your practice, have you  
18 seen patients who have had seizures caused by  
19 hypoxia?

20 A Severe hypoxia, yes. For example, the  
21 patient who is in a car accident and has the ability  
22 to breathe stopped, i.e., anoxia, no breathing.

23 Q Doctor, would you agree that severe  
24 obstructive sleep apnea may in some cases cause a

1 patient to experience episodes of severe hypoxia  
2 during sleep?

3 A I'm sorry, run that one by, I just, I  
4 didn't catch it, sorry.

5 Q Would you agree that severe obstructive  
6 sleep apnea may in some cases cause a patient to  
7 experience episodes of severe hypoxia --

8 A Yes.

9 MR. TORGERSON: Objection.

10 BY MS. TOSTI:

11 Q -- during sleep?

12 A Yes.

13 MR. TORGERSON: Same objection.

14 MS. PETRELLO: If you know, go ahead.

15 A I said, "Yes."

16 BY MS. TOSTI:

17 Q And if severe hypoxia occurred during  
18 sleep, that could cause a seizure to occur, correct?

19 MR. TORGERSON: Same objection.

20 A Very severe hypoxia, protracted, lack of  
21 oxygen, could lead, yes.

22 BY MS. TOSTI:

23 Q Doctor, do you know whether patients with  
24 severe obstructive sleep apnea are at increased risk

1 for cardiac arrhythmias?

2 MR. TORGERSON: Objection.

3 A I do not know.

4 BY MS. TOSTI:

5 Q Okay. Are there any life-threatening  
6 complications associated with severe obstructive  
7 sleep apnea that you're aware of?

8 MR. TORGERSON: Objection.

9 A I would guess with the severe hypertension  
10 and with the cardiomyopathy, if that occurs, both  
11 could lead to life-threatening. And obviously, if  
12 you're in an accident during the day, that's  
13 life-threatening.

14 BY MS. TOSTI:

15 Q Doctor, if a person had seizures because of  
16 severe obstructive sleep apnea, can seizures be  
17 life-threatening?

18 MR. TORGERSON: Objection.

19 MS. PETRELLO: Objection.

20 A That's two questions. The occurrence of  
21 seizures due to sleep apnea would be unusual, rare.  
22 In all my years of practice, I don't remember any  
23 time seeing a patient either sent to me by the sleep  
24 doctors or me sending somebody because of that

1 concurrence. But if your postulate was, could it  
2 possibly happen and therefore in a seizure could you  
3 have bodily damage, the answer would be yes.

4 BY MS. TOSTI:

5 Q Doctor, in the course of your practice,  
6 have you ever treated adults, an adult patient, with  
7 severe obstructive sleep apnea?

8 A Have I treated them for it or somebody who  
9 had it as part of their multiple problems?

10 Q Well, let's start with, have you ever  
11 diagnosed a patient, based on appropriate testing,  
12 ever diagnosed them with obstructive sleep apnea?

13 A No.

14 Q In the course of treating patients, have  
15 you treated patients that have obstructive sleep  
16 apnea?

17 A Yes.

18 Q Were you the person managing the treatment  
19 for the obstructive sleep apnea?

20 A No.

21 Q What type of physician would normally be  
22 doing that type of treatment for the patient in  
23 regard to the sleep apnea?

24 MR. TORGERSON: Objection.

1           A     A sleep doctor. And to sort of translate  
2     that, that means usually a pulmonologist, adult or  
3     pediatric, or a neurologist subspecialty trained in  
4     sleep medicine.

5     BY MS. TOSTI:

6           Q     And you have no special training in sleep  
7     medicine; is that correct?

8           A     That's correct.

9           Q     Have you ever ordered polysomnograms for  
10    any of your patients?

11          A     Never.

12          Q     Doctor, would you agree that an  
13    oxyhemoglobin desaturation that falls to 60 percent  
14    during sleep in a patient with severe obstructive  
15    sleep apnea is alarming?

16                   MR. TORGERSON: Objection.

17                   MS. PETRELLO: Objection. If you know.

18          A     Yes.

19     BY MS. TOSTI:

20          Q     If a patient's oxyhemoglobin desaturation  
21    falls to 60 percent during sleep, could that cause a  
22    hypoxic seizure?

23          A     Probably not.

24                   MR. TORGERSON: Objection.

1           A       Probably not. The reason for that is that  
2 the number of people with sleep apnea is really  
3 large and many of them have desaturations at that  
4 range or below and that's not a reason for referral  
5 to an epilepsy center, that is to say, you don't see  
6 that as a referral pattern, so no.

7 BY MS. TOSTI:

8           Q       Doctor, if a patient had coronary artery  
9 disease in addition to having desaturations to  
10 60 percent, could a patient that develops a  
11 ventricular arrhythmia develop seizures from hypoxia  
12 to the brain?

13                   MR. TORGERSON: Objection.

14                   MS. CUTHBERTSON: Object to form.

15                   MS. PETRELLO: Objection, again -- if you  
16 know -- and form.

17           A       In the first -- it's a multiple-part  
18 question. So the first part of it was something  
19 about the risk for hypoxia and cardiac disease  
20 arrhythmias, and I don't know about that.

21                   The second part of the question was if you  
22 have an arrhythmia, can you have a seizure? Yes, if  
23 you have a thrombus, a clot, which goes to the brain  
24 and you stroke, for example.



1 BY MS. TOSTI:

2 Q Doctor, if you have a sustained ventricular  
3 arrhythmia, can't that cause a patient to go into a  
4 hypoxic seizure?

5 MR. TORGERSON: Objection.

6 A No --

7 MS. PETRELLO: Objection.

8 A -- because you'd have to have an anoxic  
9 condition, that is, relatively low oxygen, for  
10 example. And a brief burst of ventricular  
11 fibrillation will not, in the normal person, cause a  
12 seizure. If it's sustained, if you have ventricular  
13 fibrillation going on for 30 seconds, a minute, two  
14 minutes, and you have no blood flow, that is, no  
15 oxygen and blood flow to the brain, yes, you could  
16 have a generalized tonic-clonic seizure and that  
17 would be an anoxic seizure.

18 Q What is your definition of anoxic?

19 A "A" always means, in medical terms, absence  
20 of, complete zero, or 100 percent.

21 Q Absence of what?

22 A Anything. You put it in front of any word  
23 and it means not there. So here it's anoxic means  
24 no oxygen, boom, zero.

1           Q     So you're saying that a person can only  
2 have a hypoxic seizure if their PO2 is zero? I want  
3 to understand what you're saying here.

4           A     Right. You're positing this hypoxic  
5 seizure. And in general, to have a seizure, your  
6 oxygen has to go extremely low, like zero. Now, I  
7 don't know if it could be at 10 percent or  
8 15 percent because we don't normally measure that.  
9 But in general, seizures which occur in the  
10 situation of low oxygen occur when breathing stops,  
11 so a cardiac arrest or sustained ventricular  
12 fibrillation, things like that, not from brief  
13 periods of relative drop of oxygen.

14          Q     Okay. And is there a particular time  
15 interval that you would say would be the point in  
16 time when a person may develop a seizure?

17          A     A window, if you will?

18          Q     Yes.

19          A     I would guess that this would be about a  
20 minute. Certainly not seconds. We all hold our  
21 breath. You can go underwater for 30 seconds to a  
22 minute and you don't have a seizure.

23          Q     Now, when you're saying about a minute, are  
24 you saying that a person stops breathing for a

1 minute, or are you talking about a sustained  
2 ventricular rhythm that lasts for about a minute may  
3 then cause a person to have a hypoxic seizure? I  
4 want to make sure that I understand what you're  
5 saying. What does that minute refer to?

6       A     Right. Well, certainly a minute of  
7 complete no breathing, one to five minutes is the  
8 general area in which you get cerebral damage  
9 sufficient for seizures, which is also enough  
10 cerebral damage that you can pick it up on  
11 neurologic exam. I don't know the period of time  
12 that you'd need to have sustained ventricular  
13 fibrillation to have a similar anoxia occur. My  
14 guess it would be 30 seconds --

15           MS. PETRELLO: Doctor, I don't want you to  
16 guess.

17       A     Okay. So prolonged, a minute say.

18 BY MS. TOSTI:

19       Q     Have you ever ordered CPAP for a patient?

20       A     No.

21       Q     Have you ever referred a patient for  
22 surgery because of sleep apnea?

23       A     No.

24       Q     When an adult patient has a new onset of

1 seizures, how should that patient be worked up?

2 What's the standard, appropriate way to approach  
3 that problem?

4 A Well, the first thing you do, obviously, is  
5 take a history and try to find out if there is an  
6 obvious etiology for it. For example, the person  
7 just did cocaine. Then you would do a physical exam  
8 looking for something that might clue you to a  
9 structural lesion, for example, a tumor. Then you  
10 do certain lab tests, again looking for, for  
11 example, infection or an altered metabolic state, a  
12 person with bad diabetes. And then you would do a  
13 structural test, like a CT scan or an MRI, and a  
14 physiologic test, like an EEG.

15 Q Why would you try to determine the etiology  
16 of the seizure?

17 A For those patients, for those adults and  
18 children that you can determine an etiology, and  
19 unfortunately that's in the minority of patients,  
20 that may help guide in therapy and certainly help  
21 guide your ideas of whether it is going to be a very  
22 difficult to treat epilepsy or whether it's going to  
23 be an easier to treat epilepsy.

24 Q Do you have an independent recollection of

1 Patricia Smith --

2 A Yes.

3 Q Let me finish my question.

4 MS. PETRELLO: Let her finish her question.

5 A Sorry.

6 BY MS. TOSTI:

7 Q -- aside from what you reviewed in the  
8 medical records?

9 A Yes.

10 Q Now, you spoke to Dr. Rowane about Patricia  
11 Smith when she was seen in the Family Practice  
12 Center after her second seizure; is that correct?

13 MS. PETRELLO: Wait. Just for  
14 clarification, is this before he ever saw her?

15 MS. TOSTI: Yes.

16 MS. PETRELLO: Put a time reference on  
17 this.

18 THE WITNESS: I see.

19 BY MS. TOSTI:

20 Q Prior to the time that you saw her in your  
21 office, you spoke to Dr. Rowane about Patricia Smith  
22 after she was seen in the Family Practice Center for  
23 her second seizure; is that correct?

24 A I don't think so. I think I spoke with

1 Dr. Martin first. If I remember correctly, I was  
2 called, and my understanding was she was in the ER,  
3 or maybe it was the Family Practice Center, after  
4 having had this second event, and I spoke with the  
5 resident, Dr. Martin, first. In fact, I don't  
6 remember if I spoke with Dr. Rowane ever or whether  
7 if my conversations were with Dr. Martin.

8 Q Okay. Doctor, I'm going to hand you what I  
9 have marked as Plaintiff's Exhibit 2A, 2B and 2C,  
10 and I would like, if you can, for you to identify  
11 that particular document for us.

12 A The 2A is my note when I saw her 11/3/95, I  
13 guess, yes.

14 Q Now, Doctor, about midway through the  
15 paragraph under History of Present Illness --

16 A Yes.

17 Q -- there is a sentence there that says "She  
18 was then sent over to the Family Practice Clinic  
19 where Dr. Rowane did some blood work and discussed  
20 her care with me."

21 A You're right. You're right.

22 Q Okay. Now, did you write this document,  
23 Doctor?

24 A Yes, ma'am.

1           Q     And did you not indicate in this particular  
2 document that you discussed her care with  
3 Dr. Rowane?

4           A     I guess I did. I thought it was  
5 Dr. Martin, but you're right.

6           Q     Doctor, Dr. Rowane's record, I believe,  
7 indicates that there was a discussion with you on  
8 October 5. Does that date seem appropriate as to  
9 when you spoke with him, approximately?

10          A     Probably about right.

11          Q     Now, do you have a recollection of also  
12 talking to Dr. Martin?

13          A     Well, it may be that I was confusing the  
14 two. Oftentimes when you get the phone calls, they  
15 say "Dr. Martin," "Dr. Rowane," so obviously I can't  
16 remember which one was which, sorry.

17          Q     But you generated this particular note  
18 close to the time that you saw Patricia Smith,  
19 correct?

20          A     Yes.

21          Q     And so probably your recollection at that  
22 time is better than what your recollection is now?

23          A     Certainly.

24          Q     Now, do you recall receiving a phone call,

1 or did you talk to one of the doctor's face to face?

2 A This was a phone call.

3 Q What was the content of that discussion?

4 What information were you given about Patricia Smith  
5 at that point in time?

6 A All right. They paged me. I was in a  
7 local restaurant with my team, actually, and they  
8 wanted some advice about where to go with her care.  
9 And so they reviewed the history, some portion of  
10 which is here. I don't remember exactly what they  
11 told me. They certainly mentioned the waking up  
12 with the paramedics, et cetera. And they said, did  
13 I think she should be started on therapy; and I said  
14 yes, because it certainly sounded like she had had  
15 seizures.

16 Q So you recommended that she be started on  
17 medication; is that correct?

18 A Yes.

19 Q And that was the Dilantin that was ordered?

20 A Yes, ma'am.

21 Q Did you make any other recommendations  
22 besides that she should be started on medication?

23 A That she have an EEG. I was trying to see  
24 back here if -- yes, and they had already done a CT



1 scan at another hospital and that was reported as  
2 "normal."

3 Q Was a referral made to you during that  
4 conversation? Did they say that they were going to  
5 send her to you to take a look at her and evaluate  
6 her?

7 A I don't remember.

8 Q Now, the family practice records indicate,  
9 Dr. Martin wrote a note that says that Patricia  
10 Smith was to see you as soon as possible. Did you  
11 ever suggest to Dr. Rowane or to Dr. Martin that  
12 Patricia Smith should be seen by you as soon as  
13 possible?

14 A I don't believe so. My memory of this was  
15 that they just wanted some general guidance on her.  
16 I said get the EEG and put her on some medicine.

17 Q Now, in the family practice notes of  
18 October 5 it indicates that she was scheduled to see  
19 you on November 3 of 1995. Is that in fact the date  
20 that you saw her?

21 A Yes, the note says 11/3/95.

22 Q And you're referring to your typewritten  
23 clinical notes that are on Exhibit 2A, correct?

24 A Yes, ma'am.

1           Q     Doctor, in a patient with a new onset of  
2     seizures such as Patricia Smith had, where the cause  
3     is unknown, is it usual to wait a month before  
4     evaluation by a neurologist, is that typical?

5           A     Where there's no mass lesion, where a CT  
6     scan has been done and there is no mass lesion, and  
7     where an EEG is scheduled appropriately, that is  
8     within a week or so, I would say it would be usual  
9     to see the patient within one to three months by the  
10    neurologist.

11          Q     Now, the visit of November 3 of 1995, was  
12    that the first visit that you had with her?

13          A     Yes, ma'am.

14          Q     Doctor, now, these notes were generated  
15    after you saw her, correct?

16          A     Correct.

17          Q     I believe at the top of the page there is a  
18    date of November 16, 1995 on Exhibit 2A?

19          A     Yes.

20          Q     And this visit was actually November 3 of  
21    1995; is that correct?

22          A     Correct.

23          Q     Can you tell me why these particular notes  
24    are dated almost two weeks after this visit

1 occurred?

2       A     Yes, it was the sort of typical time to get  
3 a dictation corrected, et cetera. And that's why I  
4 believe I say in here that I called Dr. Rowane. So  
5 yes, in my plan on the third page, that day, "I have  
6 called Dr. Rowane and discussed her case."

7       Q     And you're referring to Plaintiff's  
8 Exhibit 2C there, correct?

9       A     Yes, ma'am.

10      Q     So were these notes dictated at or about  
11 the time of the clinical visit on the 3rd?

12      A     Immediately after seeing the patient.

13      Q     Okay. And then you just have a typing lag  
14 time for the dictation to then be transcribed?

15      A     Yes.

16      Q     And that was fairly typical as to what  
17 occurred when you had patient visits in regard to  
18 getting the typewritten copy completed?

19      A     Yes. But I always called the doctor.  
20 There were two standing orders. One is that when I  
21 saw a patient that the nurses would make sure that I  
22 wasn't getting another patient immediately so I'd  
23 always call a doctor. And the other standing order  
24 was any time a doctor called, I was to be paged

1 immediately. My pager was on seven days a week,  
2 24 hours a day.

3 Q When you would see a patient, did you  
4 generate any handwritten notes in addition to the  
5 ones that you dictated?

6 A Yes.

7 Q And in Patricia Smith's case, did you do  
8 handwritten notes?

9 A Yes.

10 Q Where are those handwritten notes?

11 A Back in the files of the University  
12 Neurology Associated, Inc. They would be exactly  
13 this.

14 MS. TOSTI: We had made a production of  
15 documents for all records, so I will --

16 MS. PETRELLO: I didn't know about it.

17 MS. TOSTI: -- I will at this time make a  
18 formal request for the handwritten notes of  
19 Dr. Collins.

20 MS. PETRELLO: Let me just go off the  
21 record for a minute here.

22 (Discussion off the record.)

23 MS. TOSTI: Back on the record.

24 Q Now, how is it that Patricia Smith came

1 under your care then? You don't recall a direct  
2 referral at the time that you spoke with Dr. Rowane  
3 or Dr. Martin, so how is it that she came to you?

4 A Well, she wasn't under my care, I was  
5 consulting. My guess is that when the conversation  
6 occurred, I usually would end by saying, you know,  
7 if I can help you any further, and they decided to  
8 send her over to me. The issue that comes up in  
9 here is in that first paragraph on Plaintiff's  
10 Exhibit 2A, it says she took one 300 milligram dose,  
11 did not take a second or third, somehow or other  
12 spoke with Dr. Rowane who started her again, and she  
13 had a bunch of questions. So my guess would be that  
14 they said, "Well, look, we'll send you to the  
15 epilepsy clinic and have somebody see you there."

16 Q You don't have any recollection of that  
17 though specifically?

18 A No.

19 Q And you did not receive a referral note on  
20 this patient; is that correct?

21 A No, ma'am.

22 Q And you don't recall any additional phone  
23 call from Dr. Rowane or Dr. Martin other than that  
24 initial one when there was discussion about whether

1 she should be started on treatment?

2 A No, ma'am.

3 Q And what was your understanding as to why  
4 Patricia Smith came to you that particular day, on  
5 November 3 of '95?

6 A My memory is that she was upset, like  
7 everyone is when they hear they have epilepsy, that  
8 she had not taken the Dilantin religiously, and she  
9 wanted to be able to talk with an expert and also  
10 wanted some help with forms for her work.

11 Q Was it your impression she was confused  
12 about the way she was supposed to take this  
13 medication?

14 A No. People, when they get a diagnosis of  
15 epilepsy, are, as you can expect, just unbelievably  
16 upset, because their whole life changes. They can't  
17 drive, oftentimes their employers will fire them or  
18 not keep them on, their family mistreats them, on  
19 and on.

20 Q How many times did you see Patricia Smith?

21 A I believe twice. Is there another? I saw  
22 her on 11/3/95 and 2/8/96.

23 Q And did anyone accompany her to either of  
24 those two visits?

1       A       I don't believe so. It's possible that she  
2   had a daughter or something or some family member  
3   the second time, but I don't remember. Normally,  
4   had someone, I would have, up at the top of my  
5   office note, it would have said, you know, Patricia  
6   Smith and her daughter Belinda, or whatever, you  
7   know.

8       Q       Now, in regard to the 11/3/935 visit, what  
9   was the clinical history that you received from  
10  Patricia Smith at that visit?

11      A       It was a 42-year-old woman who had no early  
12  risk factors for seizures, that is, cerebral palsy,  
13  something like that. And she had had an event a  
14  couple months prior, after some alcohol and a cough  
15  medicine, when she had a loss of consciousness and  
16  came to with the family surrounding her. She had  
17  had urinary incontinence. And for some reason or  
18  other that was not further evaluated. She or the  
19  family didn't take her to a hospital.

20             Then the second seizure happened a month  
21  later, that would be, say, October, this time  
22  without the Champale, without the alcohol or the  
23  sleeping medicine, and she was sleeping with her  
24  daughter in the bed and the mother awoke with

1 activity, which was presumably generalized  
2 tonic-clonic, that is, a generalized seizure, with  
3 sort of typical signs and symptoms, foaming and  
4 urinary incontinence, then came to, was taken to  
5 St. Luke's hospital, a CT scan was performed, and  
6 then sent over to see Dr. Rowane, I guess that  
7 morning. They did some blood work and at that time  
8 they, that is, the family practitioners, called  
9 me -- that would be the history -- for the proximate  
10 situations.

11 My history of her was, is that she also had  
12 what sounded like simple partial seizures over  
13 perhaps the year or so, which were quite frequent  
14 and during the day.

15 Q And when you describe simple partial  
16 seizures, what are you speaking of?

17 A The seizures can be thought of as focal or  
18 generalized. So basically the analogy I would give  
19 you is that the brain has many, many millions of  
20 radio stations; and in general, they work just fine.  
21 They all talk to each other. And just like driving  
22 around, you can hear one station and drive away, you  
23 hear another. If you think back to the old analog  
24 radios, where you twist the dial slightly, you get



1 static. And if you twist it a little bit more, you  
2 get more static. And finally, you can't hear the  
3 station.

4           Epilepsy, or I should say a seizure, is  
5 when a small area of the brain has a dysfunction and  
6 all the cells there start firing away, kind of like  
7 having a million radio stations all going at once in  
8 one place. Now, if that area is a very tiny area,  
9 it probably won't upset much. If it's a little  
10 bigger area, because the brain is mapped out so that  
11 one portion of the brain regulates another part of  
12 the body, for example, the left side of the brain  
13 runs the right side of the body, you get a small  
14 area of cortex, that outer rind of the brain, which  
15 was seizing, then you might have jerking, for  
16 example, of the right hand. If it gets a little  
17 larger, then you might have an inability to  
18 communicate, a complex partial seizure. A person  
19 would be apparently incommunicado, if you will, and  
20 not be aroused during that time. If it spreads  
21 more, you have a generalized seizure, a generalized  
22 tonic-clonic seizure.

23           And in her case, she was describing  
24 episodes which sounded like a small area, a focal

1 area of the brain, was discharging, leading to the  
2 "sensations," which came on rapidly, lasted a few  
3 seconds, happened up to daily, and then went away.  
4 And so that would be a simple or a focal seizure.

5           When I went on, I couldn't find any other  
6 signs or symptoms for any other types of simple  
7 partial or complex partial seizures other than those  
8 episodes.

9           Q     Now, Doctor, the head rush that she  
10 described, were you able to determine that that  
11 wasn't something such as menopausal symptoms?

12          A     That would not be characteristic of  
13 menopausal symptoms.

14          Q     Like a heat flash?

15          A     Right. That would not be typical for what  
16 women would describe as a menopausal symptom.

17          Q     When she described a head rush, what  
18 exactly -- was that her term?

19          A     Yes. And that's actually the classic  
20 description people with epilepsy have, is they say,  
21 "Oh, I have a head rush." It's the aura. It's the  
22 beginning of that simple partial seizure. Very hard  
23 to characterize. There is a differential for it  
24 certainly, it could be panic attacks, et cetera, but

1 it's very classic. It's upsetting to people because  
2 it comes on rapidly, they have no control, and then  
3 it goes away.

4 Q And in her case were you able to rule out  
5 that this was not an anxiety attack?

6 A No. That is I was not able to rule out.  
7 Sorry.

8 Q Now, both of the episodes that she  
9 described that were seizure-like in description  
10 occurred when she was asleep, or in her sleep,  
11 correct?

12 A That sounds right.

13 Q Is that correct according to your notes?

14 A Yes. I'm presuming in the first episode in  
15 September she was asleep. It was not clear. I  
16 couldn't clarify that. The second one was clearly  
17 asleep. The first is that she had the alcohol, she  
18 took some cough medicine, and then she came to and  
19 she couldn't tell me the scenario right before that.

20 Q Doctor, I'd like you to take a look at  
21 Plaintiff's Exhibit 2C, and under the area of  
22 Assessment in No. 1, it says "Clinical"?

23 A Yes.

24 Q And doesn't the sentence there say that

1 "The patient had two generalized tonic-clonic  
2 seizures in sleep, without a clear precipitant'!?

3 A That's right.

4 Q So Doctor, the description that she gave to  
5 you and the information that you had was that these  
6 both occurred in her sleep; is that correct?

7 MS. PETRELLO: That's not what he said.

8 A I think they were. That is in my  
9 assessment I presumed, I went ahead and made the  
10 leap of logic that they were probably in sleep. But  
11 you'd asked me, Did I know they were in sleep? No,  
12 I didn't know the first one was.

13 BY MS. TOSTI:

14 Q Well, obviously, you weren't there for  
15 them, Doctor, but the information that you had at  
16 the time that you wrote your note, you did not  
17 mention that it was a presumption, did you?

18 A No, but in notes oftentimes you don't. I  
19 think they were probably in sleep.

20 Q Now, when you were told that both the  
21 episodes occurred during sleep, did it raise a  
22 concern that the seizures may be caused by a sleep  
23 disorder?

24 A No.

1           Q     And what was the basis for your opinion  
2     that these were not caused by a sleep disorder?

3           A     Generally sleep and seizures, that  
4     correspondence, comes with the inherited or  
5     generalized epilepsies. And they usually occur with  
6     large numbers of other warning flags. So, for  
7     example, juvenile myoclonic epilepsy of Janz, the  
8     seizures occur within 15 minutes of waking up. Or  
9     people who have the childhood epilepsies may have  
10    seizures occur on the onset of sleep, that is, the  
11    first 10-15 minutes as you're going from drowsy into  
12    sleep. But it is not uncommon at all for many  
13    people to have their seizures during the middle of  
14    the night without any association of a sleep  
15    architecture problem.

16          Q     So when you saw her, after the description  
17    that both of these occurred during sleep, it didn't  
18    raise a suspicion that a sleep disorder was involved  
19    as an etiology to the seizures; is that correct?

20          A     That's correct. It would be  
21    extraordinarily unusual to have that conjuncture of  
22    things occur.

23          Q     Was any history you were given at that  
24    visit of November 3 consistent with obstructive

1 sleep apnea?

2 MS. PETRELLO: If you know.

3 A One piece was. At the end of the visit,  
4 literally as she was going out the door, she said,  
5 and this is under Exhibit 2C, she said that her  
6 daughter said she snores. And so my memory of this  
7 was that I asked her, like, "How much snoring?" And  
8 she said, "Snoring." And I said, "Well, do you have  
9 other problems?" And I asked her about excessive  
10 daytime sleepiness sorts of affairs, falling asleep  
11 driving is the classic.

12 BY MS. TOSTI:

13 Q And how did she respond to those questions  
14 that you asked?

15 A In the negative.

16 Q That she did not have excessive daytime  
17 sleepiness, is that what she told you?

18 A Correct.

19 THE WITNESS: Is it okay to call a break  
20 for a men's room for a couple seconds here?

21 MS. TOSTI: Yes. We're going to take a  
22 5- or 10-minute break right now.

23 (Recess taken.)

24 MS. TOSTI: Okay. We're ready to go back

1 on the record. Is everybody there?

2 MS. CUTHBERTSON: We're here. Cuthbertson  
3 is here.

4 MR. TORGERSON: Yes.

5 BY MS. TOSTI:

6 Q Doctor, the two episodes of generalized  
7 seizures that were described to you by Patricia  
8 Smith, would it be correct to describe those as a  
9 grand mal. type seizure?

10 A Yes. The modern term is generalized  
11 tonic-clonic, but in parlance it would be grand mal,  
12 certainly.

13 Q And at the time that you saw her on  
14 November 3 of '95, what diagnostic test results did  
15 you have available?

16 A I speak on 2A about some labs. And I'm  
17 guessing those were electrolytes and the CBC, the  
18 cell blood count. I'm know sure if we have a copy  
19 of what I had then or not with me.

20 MS. PETRELLO: That's what I'm looking for.

21 I don't.

22 BY MS. TOSTI:

23 Q So you think you had some blood work?

24 A Yes, ma'am.

1 Q What else?

2 A They had verbally told me about the CT scan  
3 before, but I didn't have the report. And I want to  
4 see about the EEG. I probably had a verbal on the  
5 EEG report, but I don't have the actual chart chart  
6 with me, so it's a little hard to tell.

7 Q And in regard to the information that you  
8 had on those --

9 A Sorry, could I just --

10 Q Sure, go ahead.

11 A I probably did have the EEG report which  
12 was performed 10/10/95.

13 Q In regard to the EEG report, what was the  
14 results of the EEG?

15 A The impression was "This EEG is within  
16 normal limits for a person of this age in the awake,  
17 drowsy and light sleep state."

18 Q Nothing abnormal that you are aware of in  
19 regard to that EEG?

20 A No.

21 Q And in regard to the information that you  
22 were given about the CT scan --

23 A Yes.

24 Q -- anything abnormal about the CT scan that



1 you're aware of? And I understand you didn't have  
2 the report.

3 A It was discussed with me as normal. Right,  
4 on Exhibit 2C, Anatomic, "As above, CT scan was said  
5 to be normal."

6 Q Now, during this visit of November 3, you  
7 did a physical examination of Patricia Smith; is  
8 that correct?

9 A Yes, ma'am.

10 Q And what were your findings on the physical  
11 exam?

12 A I didn't weigh her, but she told me she  
13 weighed 220 pounds, and from inspection that was  
14 pretty clear. And I did not have a blood pressure  
15 cuff large enough for her arm. Her neurologic exam  
16 revealed an abnormality, and that is to say that she  
17 had a focal decrease in fast finger movements on the  
18 right, indicating to me a potential structural  
19 lesion, focal lesion, on the left side of the brain.

20 Q Now, the notation that you have under  
21 Physical Exam, there was nothing remarkable; is that  
22 correct?

23 A You mean the general physical?

24 Q Just the general physical.

1       A       Well, she was massively obese. She was  
2   5 foot 1, so she was very large. I didn't have a  
3   blood pressure cuff big enough for her.

4       Q       But the notation that you made on this  
5   particular set of notes was the general exam was  
6   unremarkable, correct?

7       A       I understand, yes, I'm sorry.

8       Q       That's okay. Did she have any physical  
9   characteristics that would be consistent with a  
10   diagnosis of obstructive sleep apnea?

11      A       Obesity.

12      Q       And when you were told her, as she was  
13   leaving, that you would speak with Dr. Rowane to  
14   determine if it would be useful to do a further  
15   sleep evaluation, did you tell her anything else  
16   about sleep problems or the type of sleep problems  
17   she may have?

18      A       No. I left that for the sleep doctor.

19      Q       So there was no discussion of what a sleep  
20   study might show or anything like that?

21      A       I was pretty specific about not going into  
22   that because I never order them. I let the sleep  
23   doctor figure out if one was necessary and which  
24   ones and all that.

1           Q     Doctor, grand mal or generalized  
2 clonic-tonic type seizures can be life-threatening;  
3 is that correct?

4           A     Absolutely.

5           Q     And you would agree, I believe earlier you  
6 said that hypoxia can decrease the threshold for  
7 seizures; is that correct?

8           A     Severe hypoxia can, correct.

9           Q     And in Patricia Smith's case, if she indeed  
10 had a type of epilepsy, you, as a neurologist, would  
11 consider episodes of hypoxia to place her at  
12 increased risk for seizures, correct?

13          A     No. In fact, that was a two-part question.  
14 The first one I'm trying to remember.

15               MS. PETRELLO: Well, why don't you let her  
16 ask the question again.

17               THE WITNESS: Okay.

18 BY MS. TOSTI:

19          Q     Doctor, you felt that Patricia Smith had  
20 some type of an epilepsy; is that correct?

21          A     By definition she had epilepsy. The  
22 definition is two seizures, so she had epilepsy.

23          Q     And previously you stated that hypoxia can  
24 decrease the threshold for seizures, correct?

1           A       Severe hypoxia can.

2           Q       And in Patricia Smith's case, wouldn't you  
3 agree that if she became hypoxic at night when she  
4 slept that that would place her at increased risk  
5 for seizures?

6           A       I understand --

7                   MR. TORGERSON:  Objection.

8           A       I understand your question now.  No, I do  
9 not.

10          BY MS. TOSTI:

11          Q       And why not?

12          A       If she had this condition, the severe sleep  
13 apnea, and that went on for some time, because  
14 presumably it doesn't just happen instantaneously,  
15 then I would have expected a protracted history of  
16 nighttime seizures.  And in fact what she was  
17 telling me was daytime events, which I took to be  
18 simple partial seizures, and then two nighttime  
19 events, one of which potentially had the precipitant  
20 of alcohol and cough medicine.  And sort of unspoken  
21 there is some of the ingredients in cough medicines  
22 can drop the seizure threshold.  Many, many, many  
23 people have sleep apnea.  I don't know how many, but  
24 it's enough to run a lot of sleep centers around.

1 And those people don't go to epilepsy centers,  
2 they're not seen by epileptologists with some  
3 discordant amount of seizures. So putting those two  
4 things together, the daytime episodes, simple  
5 partial seizures, and these two generalized  
6 seizures, and a physical exam which demonstrated an  
7 abnormality, which then later was shown on MRI to be  
8 there as a focal lesion, consistent with a reason  
9 for epilepsy, I don't believe that her sleep apnea  
10 was a contributing factor to those.

11 *a* And you don't believe that if she had  
12 epilepsy that hypoxia at night would have any effect  
13 on lowering her threshold for seizures during sleep?

14 A In her situation you're asking?

15 Q Correct.

16 A Yes, I believe that did not.

17 Q A 50 percent oxyhemoglobin desaturation  
18 would have no effect as to her seizure threshold; is  
19 that correct?

20 A To a medical certitude, no, I do not.  
21 Because if it would, then she would have had lots of  
22 them.

23 Q Well, Doctor, doesn't everyone have to have  
24 a starting point when they develop seizures?

1           A     Once you get it going, unfortunately,  
2     seizures beget seizures. And once you have a risk,  
3     for example, alcohol, unfortunately, then that tends  
4     to recreate the situation. If she had had large  
5     numbers of seizures at night that would be  
6     consistent. But again, the daytime seizures don't  
7     go for that, they don't argue for that. She's  
8     having simple partial seizures during the day,  
9     presumably she has a mass lesion, and that fits,  
10    excuse the expression, all in a package.

11          Q     My question, Doctor, though, was assuming  
12    she has some type of epilepsy --

13          A     Right.

14          Q     -- and she's suffering from oxyhemoglobin  
15    desaturations down to 60 percent at night, it's your  
16    opinion that that would not increase the risk for  
17    seizures?

18          A     It would not considerably increase the  
19    risk, that's correct.

20          Q     Would it increase it at all?

21                MR. TORGERSON: Objection.

22          A     It might increase it some. But in my  
23    experience of many years of epilepsy, I don't  
24    remember ever having seen, of the thousands of

1 patients I've seen, that scenario.

2 BY MS. TOSTI:

3 Q Well, Doctor, how many of those patients  
4 had sleep apnea associated with their epilepsy?

5 A Now, you're asking a different question.  
6 You're saying, Can hypoxia cause it?

7 Q I'd like you to answer the question. Of  
8 the patients that you have treated with epilepsy  
9 over the course of years, what percentage, just a  
10 ballpark, of those patients had obstructive sleep  
11 apnea?

12 A Well, I'd have to guess. I was the head of  
13 epilepsy at the V.A., and most of my patients were  
14 male, obese and elderly, so they're at increased  
15 risk for sleep apnea. So I guess probably  
16 20 percent of my patients had sleep apnea.

17 Q They were diagnosed with sleep apnea?

18 A Well, I don't know about that. But I'm  
19 saying risk factors for.

20 Q No, Doctor, I'm saying that were diagnosed  
21 with sleep apnea, that you knew had sleep apnea.

22 A I'm not sure what that number would be.  
23 It's so low from one perspective that, of course, I  
24 didn't send them for the evaluations.

1           Q     Okay. Then, Doctor, how can you say that  
2     sleep apnea and oxygen desaturations during sleep  
3     have no effect when you have nothing to base it on  
4     as to whether there is an increase in seizures of  
5     patients that have epilepsy and sleep apnea?

6           MR. TORGERSON: Objection.

7           MS. PETRELLO: Objection. He has a lot to  
8     base it on. And I think he's already answered  
9     this question. But go ahead, Doctor.

10          A     Well, first of all, there are lots of  
11     patients who have epilepsy who get relative hypoxia  
12     and they don't get seizures necessarily when they  
13     have decreased oxygenation that is temporary. And  
14     what's unstated here is that this woman does not  
15     have a 60 percent desat all the time. She goes down  
16     into that and comes back up. So all sorts of  
17     children and adults who have reasons to have  
18     hypoxia, relative hypoxia, don't necessarily seize  
19     all the time.

20                 Second of all, as I'd stated, in my  
21     practice, and it was pretty extensive, those two  
22     didn't come together. Nor am I aware in epilepsy of  
23     any correlation. Epileptologists don't call sleep  
24     doctors all the time saying, please, see this



1 patient for sleep apnea because I think it's causing  
2 seizures. It just doesn't happen. Could it happen?  
3 Of course, anything can happen. But it is not  
4 usual, it's not frequent. And a lot of patients  
5 have severe sleep apnea. I saw patients at the V.A.  
6 who were on CPAP ordered by the doctors in my  
7 epilepsy clinic. And how many? A few. But clearly  
8 there were a lot of sleep patients, because the  
9 clinic was next to us, and they didn't get sent  
10 over. There was no barrier. There was literally  
11 3 feet between the clinics, and they weren't sending  
12 a lot of patients over.

13 BY MS. TOSTI:

14 Q Why were they on CPAP?

15 A Presumably for their sleep apnea.

16 Q And what was the CPAP supposed to do for  
17 them?

18 A It increases the oxygen and decreases the  
19 CO<sub>2</sub>. And actually, I'd forgotten, there's a couple  
20 other reasons why it's a relative thing. Maybe I  
21 have to go back to the biology. In her EEG, they  
22 did something very typical in all EEGs, they had her  
23 hyperventilate. The way that you get somebody to  
24 seize is to hyperventilate as opposed to

1 hypoventilate. And so they did that with her. They  
2 had her breathe rapidly for three minutes. And that  
3 decreases, that clears the CO<sub>2</sub>. And the reason  
4 that's important is when CO<sub>2</sub> goes down, that is the  
5 obverse of O<sub>2</sub>, oxygen, when carbon dioxide goes  
6 down, that decreases the threshold for people to  
7 seize. Actually, rising CO<sub>2</sub> is probably the body's  
8 defense to stop a seizure. And so, for example,  
9 when you see a person with a grand mal seizure and  
10 they turn "blue," that's the body's defense to stop  
11 the seizure. So high CO<sub>2</sub>, which you get in somebody  
12 with severe sleep apnea -- when the O<sub>2</sub> goes down,  
13 obviously the CO<sub>2</sub> has to go up -- could be argued to  
14 be protective in fact.

15           In her EEG, they hyperventilate her, that  
16 doesn't cause a seizure. And furthermore they went  
17 through light sleep. And so those sleep situations  
18 I talked about early, the early stages of sleep, the  
19 drowsiness did not elucidate a seizure. So her EEG  
20 was normal. It is typical for adults, and it is in  
21 fact usual, for adults who have new onset epilepsy  
22 to have normal EEGs, not the contrary.

23       Q     Correct me if I'm wrong, but I thought I  
24 heard just now that it is protective against

1 seizures to have low oxygen levels and --

2 A No.

3 Q -- high CO2 levels?

4 A What I said was it's protective to have  
5 high CO2. So that's why when you do an EEG, you  
6 hyperventilate, you blow off the CO2, you lower the  
7 CO2. That, if someone has a tendency toward  
8 seizures, may precipitate a seizure.

9 Q But when you hyperventilate, you are not  
10 reducing the oxygen levels, correct?

11 A That's right.

12 Q The oxygen levels actually stay up and may  
13 even increase somewhat, correct, when you  
14 hyperventilate?

15 A They would not increase significantly, no;  
16 but they stay normal.

17 Q They stay normal?

18 A Right.

19 Q Doctor, when you saw Patricia Smith on  
20 November 11, were you able to determine the cause of  
21 her seizures?

22 A No.

23 Q What was within your differential  
24 diagnosis?

1           A       Leading it was a mass lesion, as I  
2   indicated from the clinical and anatomic sections.  
3   Second of all would be so-called cryptogenic, which  
4   means that no etiology can be found. The reason for  
5   that is the majority of adults who come in to see a  
6   physician, who have had two seizures, i.e., who have  
7   epilepsy, no etiology can be found, neither EEG nor  
8   structural.

9           Q       And you did not think that at any time,  
10   either on the first visit or the second visit, that  
11   oxyhemoglobin desaturation during sleep may be  
12   causing her seizures?

13          A       I did not.

14          Q       Now, did you in fact discuss, after the  
15   November 3 visit, the sleep problems with  
16   Dr. Rowane?

17          A       No. Other than what was captured by the  
18   telephone call where I told him that she was snoring  
19   and I thought she should see a sleep doctor.

20          Q       When did you talk with Dr. Rowane?

21          A       I believe it was immediately after I saw  
22   her because, first of all, that was my pattern of  
23   practice and, second of all, I always dictated right  
24   afterwards, because if I didn't, then it was too

1 much of a backup. And as I say, in Plan, I've  
2 called Dr. Rowane and discussed her case.

3 Q So it's likely that you discussed the sleep  
4 evaluation with Dr. Rowane on November 3 sometime,  
5 correct?

6 A Yes, ma'am.

7 Q Did you ever suggest to Dr. Rowane that her  
8 seizures may be caused by oxyhemoglobin desaturation  
9 during sleep?

10 A I don't believe so. I don't -- no, I don't  
11 remember that at all. What I remember was being  
12 worried about having a mass lesion and she should  
13 get an MRI.

14 Q Did you advise him to refer her for a sleep  
15 study to see if she was having oxyhemoglobin  
16 desaturations during sleep?

17 A No. I referred, I recommended she be seen  
18 by a sleep doctor.

19 Q Doctor, I'm going to hand you what has been  
20 marked as Plaintiff's Exhibit No. 5, which I believe  
21 is the referral from the University Family Medicine  
22 Foundation for the sleep study?

23 A Yes, ma'am.

24 Q And I'd like you to take a look at the

1 middle of the page where it says "Diagnosis."

2 A Yes.

3 Q And I believe Dr. Rowane has testified he  
4 filled out this form.

5 A Yes.

6 Q And he writes "No. 1, seizure disorder;  
7 No. 2, rule out nocturnal hypoxia," and then there's  
8 a reason for the referral, and he wrote "This  
9 patient has been recently diagnosed with seizure  
10 disorder. Request evaluation for sleep study, as  
11 concerns patient may desaturate as etiology for  
12 seizure disorder. Workup requested. Dr. Steven  
13 Collins." Do you see that?

14 A I do.

15 Q Do you know of any reason why Dr. Rowane  
16 would put this information on a referral form for a  
17 sleep study stating you were requesting a workup?

18 A Because I think in parlance I had  
19 recommended sleep evaluation, and he took that to  
20 mean a sleep study. He also puts on here the  
21 specialist that he must have worked with,  
22 Dr. Rosenberg, who was the sleep doctor in neurology  
23 at University Hospitals.

24 Q And in regard to concern patient may

1 desaturate as etiology for seizure disorder, is that  
2 something that you told him?

3 A I do not believe so.

4 Q And do you know of any reason why he would  
5 put that particular information on this form?

6 A I presume he linked the snoring and the  
7 need for sleep evaluation with the seizures.

8 Q So you believe, it's your understanding  
9 this information had to have come from him, it  
10 didn't come from you?

11 A That's correct.

12 Q Do you know Dr. Carl Rosenberg?

13 A Yes.

14 Q Did you ever discuss Patricia Smith's case  
15 with Dr. Rosenberg?

16 A No.

17 Q Do you know if he works with the sleep  
18 center at University Hospital?

19 A Yes, I believe he's the director now  
20 actually.

21 Q Did you ever tell Dr. Rowane to refer  
22 Patricia Smith to Dr. Rosenberg?

23 A I don't believe so. It's possible that I  
24 said, "Why don't you get a sleep evaluation by

1 Dr. Rosenberg," or I could have said, "Why don't you  
2 get a sleep evaluation because she's snoring," but I  
3 don't formally remember.

4 Q And you never talked to Dr. Rosenberg about  
5 Patricia Smith?

6 A No, ma'am.

7 Q Did you ever talk to Dr. Brooks about  
8 Patricia Smith?

9 A No, ma'am.

10 Q Did you advise Dr. Rowane to get a sleep  
11 study?

12 A No, ma'am. I recommended he get a sleep  
13 evaluation. And the first I knew about it was after  
14 I was contacted about this case and I saw that there  
15 was a sleep study performed and it was abnormal.

16 Q So you were not aware that she even was  
17 going to have a sleep study done?

18 A No, in my second note, I believe I knew  
19 that she had gotten one. Yes, on my February 8,  
20 1996 note, I said, "She had multiple questions as  
21 well as problems with sleep. That has been  
22 evaluated by Dr. Rowane in the Sleep Lab here and I  
23 am not aware of those results." And then in the  
24 letter to him, I may have -- no, I didn't say



1 anything about sleep. In the letter basically I  
2 said that I fixed the problem I can fix and she's  
3 discharged from my care, i.e., her seizures are  
4 controlled.

5 Q When you spoke with Dr. Rowane in regard to  
6 Patricia Smith's sleep problem and you suggested a  
7 sleep evaluation, did you ever discuss who would  
8 follow up on those results?

9 A No, I wouldn't need to. He was the primary  
10 doctor. He had referred her for me to see her for  
11 epilepsy for a couple of visits. It was not within  
12 my domain. I don't know how to treat people for  
13 sleep.

14 Q Now, you advised Dr. Rowane that she should  
15 be placed on Dilantin to control her seizures; is  
16 that correct?

17 A Yes, ma'am.

18 Q And you did that when you talked with him  
19 on the phone when she was being seen in the Family  
20 Practice Center, correct?

21 A Yes.

22 Q And then when you saw her on November 3,  
23 did you reevaluate as to whether or not this  
24 Dilantin was being prescribed effectively for her?

1           A     Yes.

2           Q     And you did a Dilantin level on her or had  
3     at least some information of a Dilantin level?

4           A     Right, two things. She had had some drawn  
5     with a serum level of 4.4 to 4.9. There are a  
6     number of people who are adequately controlled at  
7     that level. But since she was a slight -- she had  
8     the possibility of being a so-called  
9     hypermetabolizer, that is, to run through it  
10    quickly, so I sent her down to check to see if it  
11    was in the same ballpark.

12          Q     And you had another blood study done; is  
13    that correct?

14          A     Yes. Well, I asked her to do it. As my  
15    note says, if that doesn't actually happen, it  
16    should happen when Dr. Rowane sees her next.

17                We are looking to see if there's a lab from  
18    that day. Yes, here we go. Yes, she went down and  
19    had a phenytoin in the same range that she had had  
20    before.

21          Q     And in regard to being within a therapeutic  
22    range, was she within what's considered a  
23    therapeutic range?

24          A     Yes.

1           Q     Now, if you take a look at the sheet that  
2 you are referring to, what is the date of that  
3 particular blood work that was drawn?

4           A     11/3/95.

5           Q     If you look at the range that's given on  
6 that sheet, does she fall within the range given on  
7 the sheet?

8           A     She falls within the reference -- does she  
9 fall within the reference value?

10          Q     On the sheet.

11          A     Right. No, she does not fall in the  
12 reference value area. That's different than  
13 therapeutic.

14          Q     Okay. Now, in regard to the therapeutic  
15 level, what would be the appropriate therapeutic  
16 level for her?

17          A     Whatever level she maintained in steady  
18 state in the absence of seizures. It is a clinical  
19 definition.

20          Q     So at the time that you saw her on  
21 November 3, because she had not had any new  
22 seizures, it was your opinion that she was being  
23 controlled at the level of medication that she was  
24 on?

1           A     I believe so, but let me just check to see.  
2     You know what, this is not stated in here. But in  
3     my letter of February 8, 1996, it says "Since her  
4     serum levels were fairly low, as I remember in the 3  
5     or 4 range, we would recommend that she be increased  
6     in dose to 200 twice a day." So it probably wasn't  
7     very well noted by me, but my guess is in that  
8     telephone conversation of 11/3/95 I recommended they  
9     go to twice a day. Oh, I'm sorry, I did. It's  
10    underlined. "I asked her to increase her Dilantin  
11    dose to two by mouth, p.o., b.i.d."

12          Q     And that was on the visit that was made on  
13    February 8 of '96?

14          A     No, actually that was the 11/3/95. It's in  
15    the Plan section, sorry.

16          Q     Okay. Now, when you then saw her on  
17    February 8, did you have another Dilantin level to  
18    look at to determine whether she was at a level that  
19    you were happy with?

20          A     No, apparently not.

21          Q     And from the perspective of managing a  
22    patient, how often should you be doing those  
23    Dilantin levels?

24          A     It's extremely variable. If a patient is

1 not seizing and they're not showing any signs of  
2 toxicity, a range would be anywhere from once a year  
3 to once every few years. If a patient is seizing,  
4 that would be a reason to follow up on it.

5 Q So on February 8 when you saw her, I  
6 believe that was the date --

7 A Yes.

8 Q -- you were happy with the level of  
9 medication that she was on and didn't make any  
10 recommendations to increase that since she hadn't  
11 had any additional seizures that you were aware of?

12 A Correct. And because she wasn't having any  
13 toxic symptoms, so she was in a therapeutic range.

14 Q Doctor, will Dilantin have any effect in  
15 controlling seizures caused by hypoxia?

16 A Dilantin can treat anoxic seizures.  
17 Poorly, but it can. No drug works well.

18 Q So it can reduce --

19 A The likelihood.

20 Q -- the risk for seizures?

21 A Correct. They're very, very difficult to  
22 treat. Classically, people are on very high doses  
23 of multiple medications.

24 Q Would it be appropriate to say that it

1 raises the threshold for seizures?

2 A Correct.

3 Q Now, Doctor, I just want to clarify  
4 something which I think you may have already. You  
5 indicate in your notes of February 8, I believe --  
6 let me make sure I have the right one here. On one  
7 of your notes you had indicated that you wanted to  
8 increase -- oh, here we go. On Plaintiff's  
9 Exhibit 2C --

10 A Yes.

11 Q -- the underlined sentence on that  
12 particular page says "I asked her to increase her  
13 Dilantin dose to two, p.o., b.i.d., if she has toxic  
14 symptoms." Is that a typographical error?

15 A That is a typographical error. It should  
16 be if she does not have toxic symptoms.

17 Q Okay. Now, what was the reason that you  
18 saw her on February 8?

19 A That was a follow-up. I believe the  
20 recommendation, or the referral, was for one to two  
21 or maybe three visits. And so that was the second  
22 visit, at which time her seizures were controlled, I  
23 had nothing else to offer in her care.

24 Q So it was specifically for follow-up on the

1 seizures?

2 A Yes. I guess it was by recommendation from  
3 Dr. Hlavin, who January 5 says, "I am checking the  
4 Dilantin level today and have instructed patient she  
5 should schedule follow-up with Dr. Collins in  
6 epilepsy clinic."

7 Q Now, your note indicates on Plaintiff's  
8 Exhibit 3, which is the office notes from  
9 February 8, which -- have I given you a Plaintiff's  
10 Exhibit 3?

11 MS. PETRELLO: He can look at mine.

12 It's all right, we've got it right here.

13 BY MS. TOSTI:

14 Q That you spent 45 minutes with her  
15 discussing multiple questions, correct?

16 A Correct.

17 Q What questions was she asking you at that  
18 visit?

19 A I may not remember all of them. A lot of  
20 them had to do with epilepsy and with having  
21 seizures and her driving.

22 Q Okay. Now, the note also indicates that  
23 you talked to her about problems with sleep,  
24 correct?

1           A       Correct.

2           Q       What specifically did you discuss with her  
3 regarding the problems with sleep?

4           A       I believe it was that she was saying she  
5 was having difficulty getting to sleep or snoring,  
6 but I can't quite remember, sorry.

7           Q       And did you make any recommendations to her  
8 regarding those problems?

9           A       No, ma'am. I was presuming Dr. Rowane  
10 would follow up on it.

11          Q       Did she give you any other information  
12 other than the fact that she was snoring at night?

13          A       As I say, I don't remember if it was  
14 snoring that she was complaining about or difficulty  
15 getting to sleep because she was upset.

16          Q       Did she tell you that she had undergone a  
17 sleep study the day before?

18          A       I believe that's the reason I say in there  
19 "That has been evaluated by Dr. Rowane in the Sleep  
20 Lab here."

21               MS. PETRELLO: And just for the record, so  
22 it's clear. This note doesn't say that he was  
23 aware that she had a sleep study, it's just that  
24 she was evaluated at a sleep lab.



1 BY MS. TOSTI:

2 Q And I asked if she had told you that she  
3 had had a sleep study?

4 A I believe what she said was she was seen by  
5 the sleep docs. But I don't know, I don't remember  
6 exactly what she said.

7 Q And you didn't have any results from the  
8 sleep study that was done from her at the time that  
9 you saw her on February 8?

10 A No, ma'am. Nor ever.

11 Q Now, you had a conversation with  
12 Dr. Hlavin, according to your February 8 note; is  
13 that correct?

14 A That's correct.

15 Q And what did Dr. Hlavin tell you about  
16 Patricia Smith?

17 A That there was a lesion consistent with a  
18 meningioma on the frontal skull base and that she  
19 was just going to follow up on it because it was  
20 fairly small.

21 Q And did you discuss any concerns with  
22 Dr. Hlavin about Patricia Smith having desaturations  
23 of her oxyhemoglobin while she slept at night?

24 A I don't remember that.

1 Q Did Dr. Hlavin raise any issues that the  
2 fact that she was having oxyhemoglobin desaturations  
3 during sleep as a possible source of her seizures?

4 MS. PETRELLO: Objection. That assumes  
5 that somebody knew she was having them.

6 MS. TOSTI: I asked if she raised that in a  
7 conversation, he can answer yes or no.

8 A No, not to my memory.

9 Q Now, are you aware of the fact that neither  
10 the radiologist nor Dr. Hlavin was able to rule out  
11 that there may be an exostosis, or an overgrowth of  
12 bone, that was showing up on the x-ray rather than  
13 any type of a calcified tumor?

14 A Correct.

15 Q You were aware that that was a possibility?

16 MS. PETRELLO: When? When? Wait.

17 BY MS. TOSTI:

18 Q At the time that you talked to Dr. Hlavin,  
19 were you aware that that was a possibility?

20 A I don't remember. I don't remember the  
21 conversation well enough, if she got into  
22 differential. I doubt she did. But probably the  
23 discussion was that she probably has a meningioma  
24 there, it's so small that the operative risk is

1 greater than doing anything, we'll just follow up on  
2 a regular basis with CT scans.

3 Q And at some point in time did you learn  
4 that neither Dr. Hlavin nor the radiologist was able  
5 to rule out that this was an overgrowth of bone and  
6 not a calcified meningioma?

7 A In the MRI report I think it said that  
8 there was a differential. I don't know that I ever  
9 got the MRI. I think it was sent straight to  
10 Dr. Hlavin and they never called me back.

11 MS. PETRELLO: She's not talking about what  
12 I told you.

13 THE WITNESS: Right.

14 A I don't think I ever got the MRI until it  
15 came in this package.

16 BY MS. TOSTI:

17 Q Okay. So do you know whether or not you  
18 had that information at any point when you were  
19 seeing Patricia Smith?

20 A I believe I didn't.

21 Q Doctor, you said that you have reviewed the  
22 autopsy of Patricia Smith, correct?

23 A Yes, ma'am.

24 Q And you are aware that on autopsy there was

1 no tumor found, no calcified meningioma on autopsy  
2 of the brain; is that correct?

3 A That's correct. That's not unusual. They  
4 usually do not necessarily go through every  
5 millimeter of the meninges. Unfortunately, when you  
6 take the top of the skull off, the meninges are  
7 damaged.

8 Q But, Doctor, you agree that there is  
9 nothing on that autopsy that says that they found  
10 any type of a meningioma?

11 A Certainly.

12 Q Doctor, did you have any additional  
13 clinical findings on Patricia Smith when you saw her  
14 on February 8, in addition to what you had  
15 previously told us about from the November 3 visit,  
16 anything new or different?

17 A No.

18 Q And at that point in time on February 8  
19 what was your plan of care for Patricia Smith?

20 A I had discharged her from my clinic.

21 Q And at the point on February 8 of '96, when  
22 you saw her, what was within your differential  
23 diagnosis for her?

24 A Leading was a frontal lesion, structural

1 lesion. Second would be cryptogenic epilepsy.

2 Q And did you give Dr. Rowane any guidelines  
3 as to how he should continue to manage her?

4 A I don't remember, other than the  
5 recommendations that she should stay on the  
6 Dilantin. And the recommendations that Dr. Hlavin  
7 had made, which were not mine, of course.

8 Q And the continued management on Dilantin,  
9 this would not fall to you to do --

10 A That's correct.

11 Q -- this would go back to Dr. Rowane in the  
12 Family Practice Center?

13 A That's correct.

14 Q Did you anticipate that he would be in  
15 consult with you any further after you saw her on  
16 February 8?

17 A No, ma'am.

18 Q And you had no plans to see her unless they  
19 sent her back to you; is that correct --

20 A That's correct.

21 Q -- after February 8? Now, at any time  
22 after you saw her on February 8 and prior to the  
23 filing of this case, did you receive the results of  
24 Patricia Smith's sleep study?

1           A     No, ma'am.

2           Q     You never received the printed copy of the  
3 final report on the sleep study?

4           A     No, ma'am.

5           Q     When is the first time that you saw the  
6 final printed report?

7           A     When these medical records were sent to me.

8           Q     And that was provided by counsel?

9           A     Yes.

10           MS. PETRELLO: Yes.

11                 While she's looking for whatever she's  
12 looking for, I just want to ask the other  
13 lawyers, are you going to have any questions?  
14 The reason why I'm asking is it's about  
15 4 o'clock Chicago time, and Jeanne and I have a  
16 5:10 flight. We're just curious here, do you  
17 guys have a lot of questions?

18           MR. TORGERSON: I don't have any questions.

19           MS. PETRELLO: I'm sorry?

20           MR. TORGERSON: I don't anticipate asking  
21 any questions.

22           MS. TOSTI: I'm going to be done probably  
23 in another 10 minutes or so.

24           MS. CUTHBERTSON: I just might ask one or

1 two things.

2 MR. O'DONNELL: I may have one or two.

3 MS. PETRELLO: Okay, thanks.

4 BY MS. TOSTI:

5 Q Doctor, I'm handing you what has been  
6 marked as Plaintiff's Exhibit No. 7, which I believe  
7 is the overnight polysomnogram report. If you look  
8 at the area that is marked on the Referred By line,  
9 it indicates, I believe, Dr. Rowane and Dr. Collins.  
10 Do you see that?

11 A I do.

12 Q Your name is down as a referring physician.  
13 Doctor, wouldn't you expect if you were a referring  
14 physician that you would receive a report from this  
15 study?

16 MR. TORGERSON: Objection.

17 A I would.

18 BY MS. TOSTI:

19 Q And it's your testimony that you never  
20 received a report from this study, correct?

21 A No. Sorry, no, I did not receive a report.  
22 If anyone, Dr. Rosenberg would have gotten one  
23 because the referral had his name as the referring  
24 neurologist.

1           Q     Now, Doctor, I'd like you to take a look at  
2 the summary at the bottom of the page and just read  
3 through that. You've had an opportunity to review  
4 these records, but I'd like you to take a look at  
5 the summary at the bottom of the polysomnogram  
6 report for a second.

7           A     Yes.

8           Q     Doctor, would you agree that based on this  
9 report Patricia Smith should have been offered  
10 treatment for severe obstructive sleep apnea?

11                   MS. PETRELLO: Objection. If you know.

12                   MS. CUTHBERTSON: Objection.

13                   MR. TORGERSON: Same here.

14           A     It's not my area of practice. Certainly it  
15 says severe and that looks bad.

16 BY MS. TOSTI:

17           Q     And Doctor, if you received a report like  
18 this on one of your patients, what would you do?

19           A     I would call the physician who did it and  
20 say, What does this mean and what do you do and how  
21 soon do you do it?

22           Q     And would you refer the patient to someone  
23 else, then, to do whatever needed to be done for  
24 this patient?



1           A     Yes.

2           Q     Now, Doctor, I'm going to hand you what has  
3     been marked as Plaintiff's Exhibit 8, which has a  
4     title on it, "School Employees Retirement System."  
5     It's a two-page document. I believe the original  
6     may have been a double-sided document.

7           A     Yes.

8           Q     And I'd like you to take a look at it and  
9     tell me if you've seen that document before?

10          A     Sure have.

11          Q     And the title on this says "Attending  
12     Physician's Report," correct?

13          A     Yes.

14          Q     And on the second page, did you fill out  
15     that information?

16          A     Yes.

17          Q     And did you sign this report as an  
18     attending physician?

19          A     Yes.

20          Q     Now, at the time that you saw Patricia  
21     Smith, you told her that she could no longer drive  
22     her school bus -- that was her employment was driver  
23     of a school bus -- and one of the things that you  
24     told her was that because of her seizure disorder

1 she should not be driving a school bus anymore; is  
2 that correct?

3 A That's correct.

4 Q Did you anticipate at any time in the  
5 future she would be able to continue to drive?

6 A Probably not.

7 Q So it's unlikely that she would ever be  
8 able to continue with that career option?

9 A That's correct.

10 Q Now, Doctor, I'm going to hand you what has  
11 been marked as Plaintiff's Exhibit 9, which is a  
12 copy of a page from the Family Practice Center, and  
13 you've had an opportunity to look at those earlier  
14 with your counsel.

15 MS. PETRELLO: Objection. I don't think he  
16 said he reviewed it, he said he skimmed his  
17 records. So why don't you take a minute and  
18 look at it.

19 BY MS. TOSTI:

20 Q I'm providing you with a copy now. And I  
21 would like you to look at the entry marked with the  
22 No. 2 that's just below the middle of the page.  
23 We're looking at a page of the Family Practice  
24 Center notes that has a date at the top of 3/25/96.

1           A     Right.

2           Q     And I believe Dr. Rowane indicates in his  
3 note that he called your office and that you were to  
4 call back to discuss the results of Patricia Smith's  
5 severe obstructive sleep apnea. Do you see where  
6 I'm referring to?

7           A     I do.

8           Q     Now, does your office keep a copy of phone  
9 messages when they come into the office?

10          A     Transiently they do. They're not  
11 permanent. It's not like a telephone record, you  
12 know, computerized and filed and all that stuff.

13          Q     Do they have a logbook that has like a  
14 carbonizing sheet where you receive one message off  
15 the top and then there's a carbonized sheet that  
16 remains in the book?

17          A     Usually it's the pink slips and -- first of  
18 all, this is not actually my particular -- that's  
19 the general neurology number. And the standard was  
20 that the physician would call in and ask for me, or  
21 whomever, and they would send the call back to my  
22 secretary who would write out on a pink sheet the  
23 name of the doctor, et cetera, et cetera. And as I  
24 said earlier, the standing order was that I was

1 paged. Now, if I wasn't in town -- and I don't know  
2 if I was in town, nor do I have calendars back that  
3 far -- but if I was in town, I was paged instantly.  
4 And if the doctor couldn't hold on, then a number  
5 was obtained to call them back. If I wasn't in  
6 town, then one of the other physicians in the group,  
7 Dr. Wirtz, Dr. Rosenberg, doctor whomever, would be  
8 asked to handle the call

9 Q Is Dr. Rosenberg an associate of yours?

10 A Yes.

11 Q He was in the same practice with you?

12 A He's in the same practice.

13 Q To your knowledge, did Dr. Rosenberg see  
14 Patricia Smith?

15 A I don't know.

16 Q Did you ever receive a phone message on  
17 March 25 of '96 asking you to call Dr. Rowane to  
18 discuss the test results?

19 A I don't believe so.

20 Q And you never called Dr. Rowane to discuss  
21 Patricia Smith's sleep study results; is that  
22 correct?

23 A No. I don't know why I would. I mean had  
24 someone called me like this and said, you know,

1 there's this bad report, I would have said, boy,  
2 that's too bad, talk to one of the sleep docs, I  
3 don't know what to do about it.

4 Q Doctor, I'm handing you what has been  
5 marked as Plaintiff's Exhibit No. 10, which I  
6 believe is another page from the Family Practice  
7 records. The date at the top is April 1 of '96.  
8 And I'd like you to look at the very top of it where  
9 I believe Dr. Rowane has written "Called Dr. Collins  
10 office again" -- and he has recorded a number of  
11 43192 -- "regarding sleep study with severe  
12 obstructive sleep apnea, arousal and oxyhemoglobin  
13 as low as 60 percent. Dr. Collins given my beeper  
14 number and will call back." Do you see that?

15 A I do.

16 Q Did you receive a message from Dr. Rowane  
17 on April 1 of 1996?

18 A I don't believe so. In fact, I believe the  
19 American Academy of Neurology meeting was going on  
20 at that time, which was not in Cleveland.

21 Q Now, do you know of any reason why a phone  
22 message from Dr. Rowane would not have been passed  
23 on to you?

24 A None. If I wasn't there, obviously. In

1 which case it would absolutely go to whoever was  
2 available, so-called on-call, which, going backwards  
3 in time, I wasn't on-call. The reason they called  
4 me was as an epileptologist, not because I was just  
5 a random on-call. But we always had an attending  
6 physician on-call. And when physicians called  
7 particularly, we got back to them. Somebody got  
8 back to them.

9 Q Who was taking phone messages at this  
10 number?

11 MS. PETRELLO: If you know.

12 A I don't remember her name, I'm sorry.  
13 That's the general neurology number. It starts with  
14 an S.

15 MS. PETRELLO: That's all right if you  
16 don't remember.

17 THE WITNESS: As long as she doesn't know  
18 that I don't remember her name.

19 BY MS. TOSTI:

20 Q Is this individual an employee of  
21 University Neurologists Associates?

22 A Yes.

23 Q And she would be an employee of your  
24 medical group at that time?

1           A     Yes.

2           Q     And the policy regarding phone messages  
3 that came in to that particular number, if you would  
4 review that again, that person would take the  
5 message and then what would happen?

6           A     Actually, normally what would happen, if it  
7 went in to 43192, which was not my number per se,  
8 they would call my secretary who would decide  
9 whether it's a patient or whether it was a  
10 physician, whomever. And then if it was a  
11 physician, I was to be paged instantly.

12          Q     And if you were not available?

13          A     Whomever covered for me. And we always had  
14 coverage. In an epilepsy clinic you cannot not have  
15 coverage. Sorry about the double negative.

16          Q     Would you agree that if an employee failed  
17 to pass on a message to a physician that that would  
18 be improper and could cause harm to a patient?

19               MS. PETRELLO: Objection.

20               MS. CUTHBERTSON: Object.

21          A     Certainly.

22 BY MS. TOSTI:

23          Q     If Dr. Rowane had been able to get through  
24 to you, is there anything that you would have told

1 him in regard to Patricia Smith's sleep study?

2 MS. CUTHBERTSON: Object to form.

3 MS. PETRELLO: Objection.

4 A I would have recommended he call Dr. Brooks  
5 or Dr. Rosenberg.

6 BY MS. TOSTI:

7 Q So you would have recommended a referral to  
8 someone else?

9 A Correct.

10 Q When did you learn that Patricia Smith had  
11 died?

12 A I don't know the exact date. It was from  
13 counsel.

14 Q So it was after this case was filed?

15 A Yes, ma'am.

16 Q Do you know whether her death occurred  
17 during sleep?

18 A No.

19 Q After she died, did you speak at any time  
20 to any of the family members?

21 A No, ma'am.

22 Q Did you talk to Dr. Rowane, Dr. Hlavin,  
23 Dr. Brooks or Dr. Martin about Patricia Smith's  
24 death at any time?



1           A     No.

2           Q     Do you think the fact that Patricia Smith  
3 was known to have had two seizures during sleep and  
4 also found to have oxyhemoglobin desaturations to  
5 60 percent during sleep contributed in any way to  
6 her death?

7                   MR. TORGERSON:  Objection.

8                   MS. PETRELLO:  Objection.  If you know.

9           A     Based on my reading the autopsy report, is  
10 that what you're asking me?

11 BY MS. TOSTI:

12          Q     I'm just looking to note whether you have  
13 any opinions on that.  And if you don't, you can  
14 tell me you don't.

15          A     There's this difficult thing of how much  
16 I'm guessing here, and I'm trying to figure --

17                   MS. PETRELLO:  Well, we don't want you to  
18 guess, not at all.  So you don't know.

19          A     Then no.

20 BY MS. TOSTI:

21          Q     Do you have an opinion as to whether  
22 Patricia Smith received appropriate follow-up for  
23 her severe obstructive sleep apnea?

24                   MR. TORGERSON:  Objection.

1 MS. CUTHBERTSON: Objection.

2 MR. O'DONNELL: Note an objection here.

3 MS. PETRELLO: Objection. If you know.

4 A The answer is no, I don't know.

5 BY MS. TOSTI:

6 Q Do you find any fault with the fact that  
7 her sleep study was done on February 7 of '96 and  
8 she received no treatment for severe obstructive  
9 sleep apnea with oxyhemoglobin desaturations to  
10 60 percent through the time of her death on April 8  
11 of '96?

12 MR. TORGERSON: Objection.

13 MS. CUTHBERTSON: Objection.

14 MS. PETRELLO: And I'm going to make an  
15 objection because he's already testified that  
16 the first time he ever saw this stuff was when I  
17 showed it to him, which was just a couple months  
18 ago. He's already testified that he's not a  
19 sleep doctor. So to the extent that you can  
20 answer that question, go ahead.

21 MR. O'DONNELL: I'm going to object.

22 A I've lost the question somewhat.

23 MS. TOSTI: Do you want to read it back,  
24 please.

1 (Question read.)

2 A No.

3 BY MS. TOSTI:

4 Q Do you have an opinion as to what the  
5 likely cause of Patricia Smith's death was?

6 MS. PETRELLO: Same objection. To the  
7 extent that you know.

8 A I'm going to say no, because it's in areas  
9 that I'm not expert in.

10 MS. CUTHBERTSON: I didn't hear that  
11 answer.

12 A I apologize. I'm going to say no, because  
13 it involves conjecture in areas that I'm not an  
14 expert in.

15 MS. CUTHBERTSON: Thanks, Doctor.

16 BY MS. TOSTI:

17 Q Do you blame Patricia Smith in any way for  
18 her own death?

19 A Blame? No.

20 Q And Doctor, you'd agree that Patricia Smith  
21 was entitled to standard and appropriate care  
22 regardless of whether she was fat or thin, correct?

23 A Yes, ma'am.

24 MS. PETRELLO: Objection.

A Yes.

2 BY MS. TOSTI:

3 Q Are you critical of the care rendered to  
4 Patricia Smith by any other health care provider?

5 A No.

6 Q Doctor, do you intend to return to  
7 Cleveland for the trial of this matter?

8 A Do I want to?

9 Q No, I asked you, Doctor, my question was,  
10 do you intend to return to Cleveland for the trial  
11 of this matter?

12 MS. PETRELLO: We've not even discussed  
13 that.

14 A I don't see why I should be going. I'm not  
15 a sleep doctor and none of this was within my  
16 purview. Are you asking is it legally possible to  
17 make me go? I don't know.

18 BY MS. TOSTI:

19 Q I asked if it was your intention to return.

20 MS. PETRELLO: We haven't even discussed  
21 this.

22 A I don't want to.

23 BY MS. TOSTI:

24 Q In regard to the trial, do you intend to

1 express any opinions at trial beyond those that  
2 we've discussed today?

3 MR. TORGERSON: Objection.

4 MS. PETRELLO: Objection. I'm not even  
5 going to let him answer that because he's not  
6 here as an expert. We haven't had any expert  
7 reports. If you produce an expert report that  
8 criticizes him, then he will have opinions,  
9 okay. And he's not here --

10 BY MS. TOSTI:

11 **a** My question was, at the present time, do  
12 you have an intention to express any opinions at  
13 trial beyond those discussed today?

14 MR. TORGERSON: Objection.

15 MS. PETRELLO: Objection. Same objection.  
16 He can't even answer that question because he  
17 doesn't even understand the legal significance.  
18 So you have my objection. And he's not going to  
19 answer it.

20 MS. TOSTI: You're instructing him not to  
21 answer?

22 MS. PETRELLO: Right. Because I don't know  
23 what your intentions are. And he may very well  
24 have opinions. And you're asking an obscure

1 question that he can't possibly answer.

2 MS. TOSTI: You're saying in the future,  
3 I'm saying right now.

4 Q Doctor, do you have any additional opinions  
5 that you intend to express at trial that we haven't  
6 discussed?

7 MS. PETRELLO: And again, he's not an  
8 expert, he's not answering the question, move  
9 on.

10 MR. TORGERSON: Objection.

11 MS. TOSTI: I have no further questions,  
12 and I will defer to other counsel at this point.

13 MR. TORGERSON: This is Torgerson on behalf  
14 of Dr. Brooks. I have no questions and reserve  
15 my rights at some future point.

16 EXAMINATION

17 BY MS. CUTHBERTSON:

18 Q Dr. Collins, I represent University  
19 Hospitals of Cleveland. You mentioned that you  
20 talked to Dr. Martin on one occasion. Did we  
21 refresh your recollection that your conversation  
22 with Dr. Martin on that day, I think it's October 5,  
23 was actually with --

24 A I may have been wrong.

1 Q -- Dr. Rowane?

2 A I apologize, I thought it was Martin. But  
3 I don't know these guys, you know, that is to say  
4 they're not like my bosom buddies, so I couldn't  
5 differentiate that well.

6 a Have we covered your entire memory of that  
7 October 5 conversation?

8 A Yes, ma'am.

9 Q Do you recall any other conversations with  
10 Dr. Martin?

11 A No, I do not.

12 Q Okay. And I take it you didn't talk with  
13 any other residents other than Dr. Martin that you  
14 can recall about Patricia Smith's care?

15 A No, I did not.

16 Q And one thing I wanted to ask just for  
17 clarification. I couldn't tell whether you were  
18 expressing an opinion or were saying that you had no  
19 opinion regarding the question as to whether you  
20 thought that the fact that Patricia Smith had two  
21 seizures during sleep and desaturations contributed  
22 to her death. Did you have an opinion about that,  
23 or was your opinion that no, those things were not  
24 related?

1 MS. PETRELLO: Do you remember the  
2 question?

3 A Yes, I sort of remember the question. And  
4 it's hanging on such a thin thread of how many  
5 angels on a pin, I'm having a hard time remembering  
6 the -- I do not believe that her death was related  
7 to the hypoxia. I think that's what you're asking  
8 me, right?

9 Q Yes, I think that's exactly Jeanne's  
10 question.

11 A Yes, right. No.

12 Q Is your answer again you don't think  
13 that --

14 A As far as I know, they were not related.

15 MS. CUTHBERTSON: Thanks a lot, Doctor,  
16 that's it for me.

17 THE WITNESS: Thank you.

18 MR. O'DONNELL: Dr. Collins, this is Jack  
19 O'Donnell in Cleveland for Dr. Rowane. I don't  
20 have any questions specifically for you, but I  
21 just want to take one second to ask whoever can  
22 answer this, I think I'm missing Exhibits 3, 4,  
23 and 6.

24 MS. PETRELLO: Could you speak just a tad



1 louder, we're having a real hard time hearing  
2 you.

3 MS. TOSTI: Let me answer that. I  
4 premarked exhibits and I didn't use all of the  
5 ones that I had, so it may very well be that  
6 some of the numbers are not sequential. And  
7 we'll make sure that whatever we actually used  
8 will be attached to the deposition and you'll  
9 get a copy of them.

10 MR. O'DONNELL: Okay. Thank you. I'm  
11 sorry to waste the doctor's time in asking that  
12 particular question. No questions.

13 MS. TOSTI: I have no more questions. I'm  
14 done.

15 MS. CUTHBERTSON: Are you going to have  
16 this typed up, Jeanne?

17 MS. TOSTI: Yes.

18 MS. PETRELLO: All right, Doctor, you have  
19 the right to read this. He will read it.

20 (WITNESS EXCUSED.)

21

22

23

24

1 STATE OF ILLINOIS)  
2 ) S.S.  
3 COUNTY OF C O O K)


4 I, JOYCE FANCSALSZKI, CSR and Notary Public  
5 in and for the County of Cook and State of Illinois,  
6 do hereby certify that on the 16th of January, 1999,  
7 at 2:03 p.m., at O'Hare International Airport,  
8 Chicago, Illinois, the deponent STEPHEN DOUGLAS  
9 COLLINS, M.D., personally appeared before me.


10 I further certify that the said Stephen  
11 Douglas Collins, M.D., was by me first duly sworn to  
12 testify and that the foregoing is a true record of  
13 the testimony given by the witness.

14 I further certify that the deposition was  
15 terminated at 4:30 p.m.

16 I further certify that I am not counsel for  
17 nor related to any of the parties herein, nor am I  
18 interested in the outcome hereof.

19 In witness whereof, I have hereunto set my  
20 hand and seal of office this 29th of January, 1999.

21   
22

  
Notary Public

23

24 CSR No. 084-003068 - Expiration Date: May 31, 1999.