

IN THE COURT OF COMMON PLEAS
OF CUYAHOGA COUNTY, OHIO

MARY LOU ZIMMERMAN,

et al.,

Plaintiffs,

vs.

Case No.

THE CLEVELAND CLINIC

399411

FOUNDATION, et al.

Defendants.

- - - - -

Deposition of JOHN P. CONOMY, M.D.,
called for examination under the
statute, taken before me, Kimberly K.
Hargis, RPR, a Notary Public in and for
the State of Ohio, at the offices of
Linton & Hirshman, 700 West St. Clair
Avenue, Suite 300, Cleveland, Ohio, on
Saturday, May 4, 2002 at 9:45 o'clock
a.m.

- - - - -

SCANNED
3/16/03

Page 2

1 APPEARANCES:

2 .
3 On behalf of the Plaintiffs:4 Linton & Hirshman, by
5 ROBERT LINTON, ESQ.
6 700 West St. Clair Avenue
7 Suite 300
8 Cleveland, Ohio 44114
9 (216) 771-580010 .
11 On behalf of the Defendants:12 Reminger & Reminger Co.,
13 L.P.A., by
14 ALAN PARKER, ESQ.
15 113 St. Clair Avenue, N.E.
16 7th Floor
17 Cleveland, Ohio 44114
18 (216) 687-1311
19 ----
20 .
21 .
22 .
23 .
24 .
25 .

Page 3

1 - - - - -
2 (Thereupon, Deposition
3 Exhibits-1and2 were
4 marked for identification.)
5 - - - - -6 JOHN CONOMY, M.D., of lawful age,
7 called for examination, as provided by
8 the Ohio Rules of Civil Procedure, being
9 by me first duly sworn, as hereinafter
10 certified, deposed and said as follows:

11 EXAMINATION OF JOHN CONOMY, M.D.

12 BY-MR.PARKER:

13 Q. Dr. Conomy, will you state
14 your full name for the record?

15 A. My name is John Paul Conomy.

16 Q. And your professional
17 address?18 A. My professional address is
19 2709 Franklin Boulevard, Cleveland,
20 44113.21 Q. And can you just outline for
22 me what your current professional
23 activities are?24 A. Yes. I can give you an
25 example of a typical week. I schedule

Page 4

1 out patients on Mondays, Wednesdays and
2 Fridays. Mondays and Wednesday are my
3 regular patient load, and then I devote
4 Fridays to new patients. This leaves
5 Tuesday and Thursdays free to pursue a
6 number of other activities. However,
7 even though that's a schedule there's
8 hardly a typical week largely because of
9 the overflow of patients' needs.10 In addition to those
11 scheduled office days, which are full
12 days, I see persons in a variety of
13 other settings, hospitals, extended care
14 facilities, occasionally nursing homes,
15 on rare but happy occasions -- for me
16 at least -- their own home.17 This leaves additional
18 time to pursue writing, seminar
19 preparation, publications, and the
20 occasional pursuit of issues such as
21 we're involved in today.22 Q. What is your medical
23 specialty?24 A. My medical specialty is
25 neurology.

Page 5

1 Q. And are you board certified
2 in that field?

3 A. Yes, I am.

4 Q. Do you have any other board
5 certifications?6 A. I'm certified by the American
7 Board of Forensic Medicine.8 Q. And is that a -- what is the
9 American Board of Forensic Medicine?10 A. It's a recently recognized
11 specialty board, and its aim is to
12 provide board certification as the other
13 medical and surgical specialties do for
14 people who are involved in the
15 preparation of and presentation of
16 medical matters for purposes of the
17 administration of justice in some way.18 Q. Did you sit for a board
19 certification examination?20 A. It's partially sat and
21 partially grandfathered. Now it does
22 involve training and education and
23 examination and attestation of
24 proficiency, but it does not for persons
25 who can show, at this point at least,

Page 6

1 the formal residency.

2 Q. What is your professional
3 training in forensic medicine?

4 A. I've been trained as I said
5 in neurology and in neuropathology, and
6 I've been involved throughout my career
7 in the presentation of matters in courts
8 and their extension largely as a
9 function of the people I see, many of
10 whom are injured.

11 Q. Have you had any formal
12 training in forensic medicine?

13 A. Beyond what I've told you,
14 no.

15 Q. Okay. It sounds to me as
16 though the forensic medication --
17 forensic medicine in your case is
18 largely something that you have acquired
19 by way of experience as opposed to
20 formal training?

21 A. The formal training consisted
22 of law school. I certainly that would
23 portend to that, although it's far more
24 forensic than it is medical.

25 Q. Okay. What do forensic

Page 8

1 Q. Have you ever practiced
2 neurosurgery?

3 A. No, I have not.

4 Q. Have you had any formal
5 training in neurosurgery?

6 A. Formal training consisting of
7 rotations throughout periods of
8 training, yes, I have, but I don't hold
9 myself out to be a neurosurgeon or a
10 specialist in neurosurgery.

11 Q. Your rotations through
12 neurosurgery, how many weeks or months
13 or years would that be?

14 A. Oh, goodness, out of a
15 period of training that was four years
16 long, I would think that about four
17 months of that was in neurosurgery
18 rotations in medical school and, you
19 know, association with neurosurgery
20 after that, but let me emphasize again,
21 I am not a neurosurgery.

22 Q. Have you ever performed any
23 neurosurgical procedures?

24 A. I've certainly assisted at
25 them, not only in capacity as a medical

Page 7

1 medicine specialists do?

2 A. Basically as I've defined it
3 they do, over a large number of
4 specialties some of which are medical
5 and some of which may not be, such as
6 toxicology or dentistry, analyze
7 material that is being prepared for some
8 aspect of the administration of law.

9 Q. Do you hold yourself out as
10 an expert in forensic medicine?

11 A. To the extent that it deals
12 with neurology and with the nervous
13 system, I do.

14 Q. Do you believe that anyone
15 who holds certification in forensic
16 medicine is say by definition an expert
17 in forensic medicine?

18 A. No, not necessarily any more
19 than a person with certification in
20 anything is a specialist in some aspect
21 of it.

22 Q. Are you board certified in
23 any fields other than neurology and
24 forensic medicine?

25 A. No, I'm not.

Page 9

1 student and medical and then
2 neurological house officer, but in a
3 former life when I was an operating room
4 technician and laboratory assistant in
5 neurosurgery I did, yes.

6 Q. Okay. In your practice in
7 neurology in your current life, do you
8 perform any neurosurgical procedures?

9 A. No, I don't.

10 Q. When did you last observe
11 the performance of a neurological --
12 neurosurgical procedure?

13 A. Well, it was within the last
14 year. It had to do with an exposure
15 craniotomy of masses that were not known
16 to be neoplastic or infectious. It was
17 simply a bystander role.

18 Q. And was it of a patient of
19 yours?

20 A. Yes.

21 Q. Have you ever performed
22 cingulotomies?

23 A. No.

24 Q. Have you ever performed
25 capsulotomies?

1 A. No, I have not performed
2 them myself.
3 Q. Have you ever performed any
4 kind of stereotactic surgery?
5 A. I've not performed it myself.
6 I've certainly assisted at the
7 performance of such things.
8 Q. Okay. Would that have
9 included the last procedure that you
10 observed?
11 A. No, it would have included
12 forms of leucotomy or lobotomy.
13 Q. Okay. When did you have
14 that experience?
15 A. When I was about 18 years
16 old.
17 Q. What were the circumstances?
18 A. I was employed by the
19 Cleveland Clinic Foundation as an
20 operating room technician. I worked for
21 Dr. W. James Gardener, who did from
22 time to time perform transorbital
23 leucotomies in persons afflicted with a
24 variety of mental disorders.
25 Q. And when are we talking

1 about?
2 A. Well, I'd like you to think,
3 Mr. Parker, that these were the good old
4 days. They were before your embryonic
5 start I would think in the -- when did
6 I work there? Just before medical
7 school, this would have been about 1959,
8 60, somewhere in that area.
9 Q. And for how long did you
10 observe those procedures?
11 A. I worked in that capacity
12 for a couple of years in one way or
13 another.
14 Q. And I'm sorry, what were the
15 procedures you told me? I wrote down
16 one word, but I'm not sure it's what
17 you said.
18 A. They were called transorbital
19 leucotomies.
20 Q. All right. Have you ever
21 performed limbic leucotomies?
22 A. No, I've never performed
23 myself, I've never performed any
24 psychosurgical procedure myself.
25 Q. Okay. What is the

1 difference, if you could outline for us,
2 between the specialty of neurology and
3 neurosurgery?
4 A. If you can name 250 things
5 that commonly go wrong with the nervous
6 system, about 20 of them are diseases
7 that lend themselves to surgical
8 treatment. The drainage of blood clots,
9 the drainage of abscesses, the treatment
10 of certain tumors, and so forth. The
11 rest of them are not amenable to
12 surgical treatment. Those are the
13 province of neurology, although there
14 may be some surgical role in some and
15 medical role in others. There's a great
16 overlap say in the treatment of brain
17 tumors. In the treatment of epilepsy
18 there is some, treatment of Parkinson's
19 disease there is some. But neurologists
20 treat the other 200, 230 forms of
21 illnesses. One is a medical and one is
22 a surgical specialty, but these are
23 highly complementary specialties and
24 have been from the inception of both.
25 Q. I want to ask you, if you

1 could, to outline the distinctions
2 between the practice of psychiatry and
3 neurology?
4 A. Again, there is a great deal
5 of overlap there as well because the
6 same organ that is responsible for the
7 maintenance, the exercise, the stability
8 of mood, of thought, and of behavior is
9 also responsible for motility, strength,
10 sensation, vision and the like. So that
11 in the disorders that one might see as
12 a psychiatrist and neurologist as well
13 as a neurosurgeon, the overlap at times
14 is quite great.
15 Q. All right. Do you practice
16 psychiatry?
17 A. I'm trained in psychiatry.
18 I don't practice it as a formal sort of
19 thing. I mean to tell you that anyone
20 who deals with the nervous system
21 practices some kind of psychiatry,
22 whether it's conscious or not. And I
23 do.
24 Q. Okay. Are there patients
25 that you treat that you refer to

1 psychiatrists?

2 A. Yes.

3 Q. Can you give me some general
4 guidelines as to what kind of patient
5 should be seeing a psychiatrist as
6 opposed to having their care followed
7 primarily by the neurologist?

8 A. Well, persons whose mental
9 life is such that it's so distressful
10 for one reason or another that it
11 interferes with their ability to
12 function, even in a marginally normal
13 way as their role in life may dictate
14 as a parent, a student, a child, an
15 elderly person who might benefit from
16 what psychiatry has to offer are
17 referred to psychiatrists by me.

18 Q. Okay. Do you treat
19 obsessive compulsive disorder?

20 A. I see people with obsessive
21 compulsive disease. I don't see them
22 because they have that disorder, but it
23 happens that that's the way it is.
24 They may have that as a concomitant
25 illness to some other illness. It may

1 be that certain people with compulsions
2 are thought to have neurologic disease
3 and some of them do.

4 Q. And I guess what I'm trying
5 to understand is that you may see
6 patients with any number of medical or
7 psychiatric conditions yet not be the
8 primary treater for those conditions.
9 Are you -- do you typically treat
10 patients for obsessive compulsive
11 disorder?

12 A. I don't treat them for it.
13 I see patients with it who may have
14 some neurologic disturbance in
15 association with it or as part of that
16 disease. I'm really thinking of people
17 with Tourette's syndrome, of which I
18 follow several.

19 Q. And typically what medical
20 specialty would address itself to the
21 treatment of obsessive compulsive
22 disorder?

23 A. Psychiatry would.

24 Q. And to the extent that this
25 case involves how Mrs. Zimmerman's

1 obsessive compulsive disorder manifested
2 itself and how it was treated, to what
3 degree do you defer to psychiatrists and
4 neurosurgeons as to those treatment
5 decisions and the appropriateness of
6 those treatment decisions?

7 A. Yeah, well, I would think
8 that the appropriate treatment needs to
9 be left in terms of drug treatment,
10 psychotherapy, behavioral therapy, and
11 the like to psychiatrists. When it
12 comes, however, to the manipulation of
13 brain substance, this transcends the
14 bounds of psychiatry and enters the
15 province of other persons including
16 neurology.

17 Q. Okay. Does that province
18 also include neurosurgery?

19 A. Yes, it does.

20 Q. All right. I've been
21 provided a couple of reports from you.
22 I'm going to show you what has been
23 marked as Exhibit 1 to your deposition.
24 Can you tell me what that is?

25 A. Yes, this is a report dated

1 December 27th, sent to Mr. Linton
2 regarding Mary Lou Zimmerman. It was
3 done after what you may have as Exhibit
4 2, which was a report of history and
5 physical examination of Mrs. Zimmerman
6 done by me on the 13th of October,
7 2000.

8 Q. Okay. And you have thus
9 identified Exhibit 2 as a report of a
10 physical examination; is that correct?

11 A. Yes.

12 Q. Have you prepared any other
13 reports in connection with this
14 litigation?

15 A. No.

16 Q. Directing your attention to
17 Exhibit 1, were there any drafts of this
18 report?

19 A. No, there are no drafts of
20 this. It's done on computer, so that
21 as I -- and it's done by me, so as it's
22 being done, it's revised for spelling
23 and content and so forth, but there's
24 not another version of this hanging out
25 someplace.

Page 18

1 Q. Before Exhibit 1 was
2 finalized in its current version, was it
3 circulated to anyone?

4 A. No, sir, it was not.

5 Q. With respect to Exhibit 2,
6 are there any other drafts of Exhibit 2
7 that exist?

8 A. None.

9 Q. And before it was finalized
10 in the form that's represented by
11 Exhibit 2, was it circulated to anyone
12 other than yourself?

13 A. No, it was not. It was
14 double spaced, corrected for spelling
15 and composition, and then finalized and
16 signed by me.

17 Q. Okay. Do these two reports
18 fairly address the subject matters that
19 you anticipate testifying to in this
20 case?

21 A. Well, not in a confining
22 way. I think they state the broad
23 outlines of my opinions in the matter,
24 and I continue to hold them. They
25 also, particularly Exhibit 1, open the

Page 20

1 not known. Hence, it constituted an
2 investigative procedure.

3 The third point flows from
4 this. Had it been identified as that,
5 as it should have been, then
6 institutional guidance, heightened
7 standards of review, and in particular
8 an assiduous application of developments
9 of the doctrine of informed consent
10 would have been carried out. So I
11 think that these things are missing
12 elements in the approach to her.

13 Q. Let me see if I have heard
14 your criticisms fairly. I've heard you
15 tell me about three categories of
16 problems that you see in terms of
17 standard of care. First category I
18 heard you discuss was that Mrs.
19 Zimmerman's analysis was not
20 comprehensive and systematically
21 performed by people with the expertise
22 to perform the evaluation?

23 A. That's correct.

24 Q. The second area I've heard
25 of criticism is that the treatment that

Page 19

1 door to a number of other issues that
2 aren't fully fleshed out in that report.

3 Q. Okay. I take it from my
4 review of this, of these reports, that
5 you are critical of the standard of care
6 exercised in the care and treatment of
7 Mrs. Zimmerman at the Cleveland Clinic?

8 A. Yes.

9 Q. Can you tell me please in
10 what respects you believe the standard
11 of care was breached?

12 A. In the following way: I
13 believe that the treatment that Mrs.
14 Zimmerman was subjected to and received
15 at the Cleveland Clinic Foundation,
16 while well intended, was procedurally
17 defective in the following ways:
18 First, that the analysis of her
19 situation was not done in a
20 comprehensive and systematic manner by
21 people expert in what ailed her.

22 Secondly, she was
23 subjected to a form of innovative
24 therapy done in a combinational way, in
25 a unique sense, the outcome of which was

Page 21

1 was rendered was innovative therapy
2 performed in the unique way with an
3 unknown outcome, thus putting it in the
4 category of an investigative procedure?

5 A. Correct.

6 Q. And the third thing that I
7 heard from you maybe flows from the
8 second one, and that is that the
9 procedure should have been subjected to
10 heightened standards of review including
11 a heightened requirement for informed
12 consent?

13 A. That's correct.

14 Q. Is that a fair statement of
15 the standard of care opinions you hold?

16 A. Yes.

17 Q. And are there any other
18 standard of care opinions that you
19 anticipate testifying to?

20 A. I think what else I may have
21 to tell you are a derivative of those
22 things.

23 Q. Did Mrs. Zimmerman suffer
24 from obsessive compulsive disorder?

25 A. Yes.

Page 22

1 Q. And was it refractory?

2 A. That point is less clear.
3 Certainly she suffered from this for a
4 very long time. Certainly it was
5 impairing her ability to function in her
6 ordinary life role. Certainly it had a
7 telling effect and a negative one on her
8 own happiness, her own situation in life
9 and that of those around her,
10 particularly her husband.

11 Having said all of that,
12 and in spite of the I think it's about
13 70 pounds of records that I've been
14 privileged to see, there had been
15 efforts at treatment over time, some
16 have been sustained and some have not
17 been sustained. The one element of
18 treatment -- of comprehensive systematic
19 treatment that has not been sustained,
20 or at least I have very little record
21 of it, is behavioral modification. I
22 know that to be a major form of therapy
23 in the treatment of obsessive compulsive
24 disorder or obsessive compulsive
25 disease. It seems to be a missing

Page 24

1 offer to you in this respect. While
2 she's been treated with a number of
3 drugs and combination of drugs over
4 time, a careful, ad seriatim controlled
5 experience with drugs, particularly more
6 lately appearing drugs, could have been
7 systematically carried out.

8 Q. What medications are you
9 referring to?

10 A. I'm referring to specifically
11 to a variety of serotonin uptake
12 inhibitors, certain anticonvulsants, and
13 certain other psychotropic agents, of
14 which there are a very large number.

15 Q. Which ones do you think were
16 most indicated as a trial for this
17 patient?

18 A. I think she's probably
19 received many that are indicated. In
20 what combination and with what sequence
21 is the issue rather than the names of
22 the drugs. She's received I'm sure more
23 drugs than I can remember to tell you
24 about.

25 Q. Well, are you going to be

Page 23

1 piece. Again in spite of the length of
2 treatment, a large number of treaters,
3 and a serious mental illness, the notion
4 of comprehensive systematic treatment is
5 not closed. I think it's open and a
6 large piece of it does not seem to be
7 there.

8 Q. Did you have any
9 understanding as to why behavior
10 modification was not a substantial
11 course of treatment for her?

12 A. No, I don't. Don't at all.

13 Q. Do you have any impressions
14 as to whether it was attempted with her?

15 A. I think there are a couple
16 notes about it. I don't remember which
17 doctor is responsible. I have no notion
18 of its content, it's duration, or its
19 effect.

20 Q. Okay. Is there any other
21 kind of treatment that you feel perhaps
22 should have been explored in greater
23 depth before she was referred for
24 psychosurgery?

25 A. Yes, and it's what I would

Page 25

1 testifying that a particular course of
2 action along these lines should have
3 been undertaken?

4 A. Not particular drugs. My
5 point is that under the guidance of
6 informed people, a systematic approach
7 to the use of single and multiple drug
8 -- combinations of various drugs needs
9 to be carried out over sufficient time
10 to really judge their adequacy. This
11 does not name specific drugs, of which
12 there are probably 20 or 30.

13 Q. Okay. Were there any other
14 sustained courses of treatment that were
15 available to her that you believe should
16 have been attempted?

17 A. Well, she did have treatment
18 sustained over time, whether it's
19 sustained in sufficient intensity and in
20 proper combinations are questions that I
21 would have. They're not definitely
22 answered from the record.

23 Q. Are you critical of Dr.
24 Donley's referral of she and her family
25 to the Cleveland Clinic?

Page 26

1 A. No, I'm not.

2 Q. Do you believe that Dr.
3 Donley should have undertaken more
4 sustained courses of treatment for her?

5 A. Well, no, I think that Dr.
6 Donley did what Dr. Donley could do.
7 And he was looking for additional
8 expertise and help in taking care of
9 her. He went to the Internet to find
10 out about it.

11 Q. How long had Mrs. Zimmerman
12 suffered with obsessive compulsive
13 disorder?

14 A. I think elements are
15 mentioned in her early adult life. When
16 this really started, I don't know.
17 There's variable expressions in the
18 chart about when it might have been.

19 Q. I often see reference to
20 about a 30-year history. Does that seem
21 reasonable?

22 A. Again, that's I think a fair
23 surmise. Whether it began one day when
24 she was shaving her legs as it said
25 occurred, I don't know. There may have

Page 27

1 been elements -- there frequently are --
2 going back to much earlier in life.

3 Q. What was your impression as
4 to how disabling obsessive compulsive
5 disorder was for her?

6 A. At times it was very
7 disabling. It didn't permit her to
8 leave her own home, and it didn't permit
9 her to actually have the freedom of her
10 own home. Largely it had to do with
11 germs and her relationship to the
12 potential presence of dirt or germs.

13 Q. Do you have an understanding
14 as to when she was last employed?

15 A. No, I don't.

16 Q. Do you have an understanding
17 of whether she was employed at the time
18 that she ultimately sought treatment
19 from the Cleveland Clinic?

20 A. No, I don't.

21 Q. Do you have any impression
22 as to whether she was employable in the
23 last year or two before her coming to
24 the Cleveland Clinic?

25 MR. LINTON: Objection.

Page 28

1 You may answer.

2 A. I really don't know. I
3 surmise not, but it's simply a surmise.
4 I don't have facts to back up that
5 notion.

6 Q. In addition to the obsessive
7 compulsive disorder being at times quite
8 disabling for her lifestyle, did she
9 suffer any other psychiatric or
10 psychological problems?

11 A. Epilepsy is not a
12 psychological problem, although it may
13 be at the root of some. She has a
14 history of a seizure disorder. Not a
15 severe one. Evidently well controlled.
16 Beyond that she wasn't, as I recall, an
17 unhealthy lady.

18 Q. Did you notice any bouts of
19 depression?

20 A. Well, depression is certainly
21 a part of her disorder. At times
22 depression is very hard to get at in
23 persons with obsessive compulsive
24 disease. It's there very often and I
25 think that it kind of ripples through

Page 29

1 this. It's, if I can say, it's
2 axiomatic in persons with obsessive
3 compulsive disease that depression is a
4 cyclic and at times major element, and
5 it's tied up in the kind of self
6 loathing people with obsessive
7 compulsive disease do. Their image of
8 themselves and their insight. They
9 frequently have symptoms that not only
10 disable them, but damage others around
11 them. So depression is a concomitant
12 and very at times very major element of
13 obsessive compulsive disease.

14 Q. Was it for her?

15 A. Again, it's hard to say.
16 It's threaded through her record. There
17 is the focus at least in the record
18 about her compulsive behavior rather
19 than the depressive element, but there's
20 certainly evidence of it in the -- in
21 these lengthy records as well.

22 Q. Did you note whether there
23 was any history of suicidal ideations or
24 suicidal attempts?

25 A. Both.

Page 30

1 MR. LINTON: At any time?
2 You're talking about any time in her
3 history?

4 MR. PARKER: Yes.

5 A. Yes, I think there were
6 both. There's a record of nihilistic
7 thinking, of rumination. I think there
8 were acts as well involving pills and
9 threats of cutting, so forth.

10 Q. How significant was that in
11 terms of the severity of this woman's
12 disorder?

13 A. Well, it's a, you know, a
14 threat against one's life is a cry for
15 help in her as well as it is in anyone
16 that has such ideas and who will even
17 attempt such acts. It's a serious
18 problem, yes.

19 Q. Okay. In your report that
20 was identified as Exhibit 1, the
21 December 27, 2001 report, you list
22 materials that were reviewed prior to
23 generating this report?

24 A. Yes.

25 Q. Is that a comprehensive list

Page 32

1 infection that she developed?

2 A. Depends on what you have to
3 ask me. Treatment of brain infections
4 is part of neurology as well as other
5 specialties, but, you know, I don't know
6 what you might have in mind.

7 Q. What I'm most concerned with
8 is whether or not you have any
9 criticisms of the standard of care
10 employed in the treatment of the
11 infection.

12 A. No, I know there are
13 infectious disease experts involved and
14 I would think that's sufficient. But am
15 I somewhat knowledgeable about brain
16 infections, yes, I am.

17 Q. Do you anticipate expressing
18 opinions with regard to the source of
19 the organisms that infected Mrs.
20 Zimmerman, or do you think that falls
21 more predominantly into the sphere of
22 the infectious disease specialists who
23 will be testifying?

24 A. I think it falls more
25 specifically under their sphere, but I

Page 31

1 of materials that you reviewed?

2 A. Yes, I believe it is. I'm
3 trying to think if there's been any
4 additional things since. I did see a
5 deposition from Dr. Lichtin. And as far
6 as I can recall the other things are
7 here. I did see a report from Dr.
8 Gildenberg. Is that here? And
9 depositions from Dr. Jenike, Dr. Rees
10 Cosgrove.

11 MR. LINTON: You mean
12 reports?

13 THE WITNESS: Reports,
14 yes, not depositions, reports and CVs.

15 Q. Have you reviewed any reports
16 or depositions of infectious disease
17 experts in this?

18 MR. LINTON: Pozanski.

19 A. Yes.

20 Q. Did you review a report of
21 Dr. Pasansky or deposition of Dr.
22 Pozanski?

23 A. A report of Dr. Pozanski.

24 Q. Will you be addressing any
25 issues as to the treatment of the

Page 33

1 can't anticipate what you may or may not
2 ask me. I don't know.

3 Q. Okay. Do you treat brain
4 abscesses?

5 A. Yes, I take care of patients
6 with brain abscesses, but I can tell you
7 it's never a solitary act nor is it for
8 anyone. It usually involves neurology,
9 neurosurgery, infectious disease, and
10 frequently other specialties because
11 it's a very serious illness.

12 Q. Okay. Going back to any
13 additional materials that you have
14 reviewed, is there any other that you
15 haven't already disclosed to me?

16 A. I don't think so. I've got
17 the largest suitcase I could find here
18 with everything in it. I don't --
19 again, I don't think so, but again
20 there's so much there that if you were
21 to ask me about a specific piece of
22 paper on a specific day, I think this
23 list and what I've told you is pretty
24 comprehensive about what I've reviewed.

25 Q. What I'm going to do is take

1 about a five or maybe ten-minute break,
2 take a look briefly at your files and
3 see if I have follow-up questions as to
4 the specific materials.

5 A. Mr. Parker, if you can
6 review that stuff in five or ten minutes
7 then you have a mind that operates
8 faster than the speed of light. With
9 what efficiency, I don't know. And I
10 will tell you, Mr. Parker, that I made
11 one yellow mark in one chart in one of
12 those volumes on one part of one piece
13 of paper.

14 MR. LINTON: Your task is
15 to find it.

16 Q. I was about to say, if
17 that's the case, if you can tell me
18 that document or find it, it may be
19 less than five or ten minutes.

20 A. I plead amnesia.

21 MR. PARKER: Let's take a
22 break.

23 (Recess had.)

24 BY MR. PARKER:

25 Q. I think we did this in about

1 ten minutes, but I don't pretend to have
2 read these.

3 A. I'll pretend to have read
4 them.

5 Q. Okay. I am interested in
6 having you read some handwriting that I
7 can't read.

8 A. Sure, absolutely.

9 Q. On an enclosure letter of
10 March 26th, 2002, from Mr. Linton to
11 you. In which defense expert reports of
12 Dr. Cosgrove, Dr. Jenike and Dr.
13 Pozanski were forwarded to you. You
14 have some seven handwritten points and
15 if you could simply read that into the
16 record, I would appreciate it.

17 A. Yes, I'd be happy to. It
18 calls upon my facility to read my own
19 handwriting, which may be only slightly
20 better than yours to read my
21 handwriting.

22 There's a note to file on
23 the top, which meant I'm putting it in
24 a file. Then it says, Brain infection
25 secondary to sepsis not likely, not sick

1 and multiple organisms. Then there's a
2 parenthesis and the word nosocomial,
3 meaning from the hospital. And I think
4 that MCP, it does not signify male
5 chauvinist pig, it's Mark C. Pozanski.
6 I think it had to do with some relation
7 to his report, and at this point I'm
8 not sure exactly what.

9 The timing equals postop
10 infection. That notion is mine. The
11 timing and presentation connotes
12 something that derived from the
13 operative experience.

14 The number two point is
15 what is experience GRC? That's GRC
16 meaning Dr. Cosgrove and MP, Dr.
17 Pozanski, with psychosurgery. The
18 question is of tautology. To me, I'm
19 aware of certainly Dr. Cosgrove's name
20 and his writing from some of the
21 literature in the subject, but it had to
22 do with specificity. And I wasn't aware
23 of Dr. Pozanski's experience with
24 postoperative neurosurgical infections
25 and frankly am still not.

1 Third point is, What is
2 done in their own institution -- this
3 means the Harvard system -- regarding
4 Institutional Review Board or other
5 review. And then I have parentheses,
6 patient protection, because this is
7 largely what those boards function in
8 doing.

9 Number five, to Jenike,
10 the preop review of Pozanski was
11 piecemeal, incoordinative and
12 effectually nonexistence. That comment
13 is an opinion of mine.

14 Number six, J plays on
15 benefit -- that probably means Jenike --
16 instead of the hazard of combined
17 procedures.

18 Number seven, evaluation
19 in, quote, their institution -- again
20 this means the Harvard system -- as a
21 coordinated effort. Those are the
22 points.

23 Q. Okay. Your first point in
24 which you write brain infection
25 secondary to sepsis not likely, not

1 secondary, multiple organisms, what do
2 you mean by that?

3 A. Do you want me to take that
4 whole comment, because it should really
5 all flow together and you read only a
6 part of it.

7 Q. Go ahead.

8 A. Brain infection secondary to
9 sepsis not likely, not sick, multiple
10 organisms nosocomial, and then the
11 initials MCP.

12 Timing is that of a
13 postoperative infection. Persons who
14 develop brain infections as a result of
15 systemic infections have a source
16 outside of the nervous system for that
17 infection. Those infections frequently
18 involve the heart valves, the urinary
19 tract, at times the gastrointestinal
20 tract or lungs. Less -- very uncommonly
21 the skin. But they are sick because
22 prior to the institution of a focal
23 brain infection, they've got an
24 infectious source and infection in their
25 bloodstream. These are metastatic

1 infections, if you will.

2 If you look at persons
3 with severe urosepsis or say a heart
4 valve infection and subacute bacterial
5 endocarditis or acute bacterial
6 endocarditis, for instance, these
7 persons are very, very sick before they
8 develop a brain abscess.

9 Persons with a primary
10 brain abscess, meaning it started this,
11 don't show this prodromal illness which
12 is characteristically severe. The
13 timing in which this -- the evidence for
14 this evolved certainly within a couple
15 weeks after the surgical procedure was
16 identified point to a period of
17 smoldering or incubation, if you will,
18 in which infectious organism came to
19 prey largely upon dead brain tissue. In
20 the site of the lesions in which brain
21 tissue was destroyed or extirpated in
22 the course of the attempted correction
23 of her psychopathy.

24 Nosocomial means that
25 these organisms have originated in the

1 hospital and not out on the street.
2 Klebsiella infection walking in from the
3 street can occur, but they occur in
4 people with immunodeficiency problems,
5 with known sources of infection or in
6 the hospital. They are organisms,
7 Klebsiella particularly, that are far
8 more likely to pervade the interstices
9 of the hospital than they are say from
10 somebody's home. Klebsiella comes from
11 the intestinal tract, a fecal organism.
12 It's an uncommon cause of an infection
13 out on the streets if you will. Less
14 -- not frequent, but less common in
15 hospitals as well.

16 Staph organism infections
17 are problematic in hospitals. They may
18 occur on the skin of anyone, patient,
19 care giver, surgeon, whoever. So they
20 are the kinds of things that occur in
21 hospitals rather than de novo infections
22 that somebody is likely to pick up in
23 their home.

24 She is infected with both
25 organisms, which makes anyplace other

1 than the hospital in my opinion
2 unlikely. And the timing points to the
3 time around surgery as the temporal
4 connection for this problem.

5 Q. Let me ask you some
6 follow-up on some of the issues you
7 raised in that answer. Nosocomial
8 infection means it's hospital related in
9 some manner?

10 A. That's what it means.

11 Q. Does it mean that there was
12 negligence or breach in the standard of
13 care by the hospital?

14 A. It doesn't point to
15 negligence per se.

16 Q. Okay. So a person can have
17 a nosocomial infection. Doesn't mean
18 it's the hospital's fault in the sense
19 of negligence or breach of standard of
20 care?

21 A. No. It certainly raises the
22 issue, but there's nothing conclusory
23 about the organism equals negligence,
24 no.

25 Q. Okay. Let me ask this to

1 see if I understand it. Is it true
2 that brain abscess can be seeded or
3 inoculated from bacteremia?

4 A. Yes, it can, but for the
5 reason I've given you, I think it's very
6 improbable here. In fact, I think it's
7 very, very improbable.

8 Q. Okay. I understand. I'm
9 just trying to understand that that can
10 happen.

11 A. It's possible.

12 Q. All right. Is it true that
13 following the surgery that Mrs.
14 Zimmerman had, there would be necrotic
15 tissue in her brain as part and parcel
16 of the surgical procedure?

17 A. Yes, there is.

18 Q. Is Mrs. Zimmerman
19 immunosuppressed following surgery?

20 A. Not in a general sense. The
21 areas of surgery are immunosuppressed.
22 There's dead tissue with no blood supply
23 to them. That is absolute
24 immunosuppression because there's no
25 access to an in vivo type of immunologic

1 the brain was inoculated with these
2 organisms at the time of surgery or
3 following surgery?

4 A. I think it's more likely to
5 have occurred at the time of surgery,
6 but that's just my opinion.

7 Q. Okay. Is that a matter
8 on which the infectious disease
9 specialists perhaps is more appropriate
10 for them, or is that something that that
11 you anticipate testifying to at trial?

12 A. I anticipate saying not only
13 if you ask me, I think it's more
14 properly the province of infectious
15 diseases people. You asked me my
16 opinion and that's my opinion.

17 Q. So I'm not surprised at
18 the time of trial, tell me the basis
19 for your opinion that it was more likely
20 inoculated at the time of surgery.

21 A. First of all, I think
22 infections tracked down from an external
23 source are very, very uncommon. So I
24 think there's that piece of it. The
25 notion that these are organisms that are

1 response. There's only attack from the
2 periphery.

3 Q. Does she have any
4 medications that would diminish her
5 immunosuppressant abilities?

6 A. Not really. I suppose one
7 can argue that this or that or the
8 other drug has this or that effect, but
9 in my opinion, no.

10 Q. Okay. If I recall
11 correctly, your second numbered point --

12 A. Yes, can I read it to you.

13 Q. Sure.

14 A. What is the experience of
15 Rees Cosgrove and Dr. Pozanski with
16 psychosurgery.

17 Q. All right. As you read
18 these initially I numbered them wrong so
19 I guess I'm still on your first point.

20 A. Sure.

21 Q. I wanted to ask about --
22 may I see it for a minute. At the end
23 of your first written point it says
24 timing equals postop infection. Do you
25 have an opinion as to whether or not

1 common in hospital acquired infections
2 is another piece of it. Just didn't
3 happen from somewhere else. And, you
4 know, again I can't overlook devitalized
5 dead tissue as an itis for organisms
6 that somehow got put there. So having
7 said that, I again would defer to people
8 who have spent much more of their life
9 studying infectious diseases than I
10 have.

11 Q. Okay. Earlier you were kind
12 enough to outline areas of breach of
13 standard of care. I want to follow up
14 on that. The first area you criticized
15 had to do with the comprehensive and
16 systematic analysis of this patient.
17 What in your opinion needed to be in
18 place in order to comprehensively
19 systematically analyze this patient?

20 A. I can answer your question,
21 but my answer to the question -- and I
22 want to answer it that way -- does not
23 include the set of circumstances and
24 facts that would prompt the answer I'm
25 giving you. Having said that, let me

1 say that that system of analysis would
 2 have involved a comprehensive analysis
 3 among persons with special training,
 4 special interest, special knowledge of
 5 psychosurgery and the features
 6 surrounding it. Those would be
 7 certainly a review by persons in
 8 psychiatry, neurosurgery, neurology,
 9 psychology including testing, and others
 10 who have some expertise to offer
 11 regarding the appropriateness of an
 12 intended procedure with respect to the
 13 person about to undergo it who would do
 14 their own analytic piece and then would
 15 meet together to try to make some
 16 decision about how to proceed and
 17 whether to proceed.

18 Q. Are you telling me that one
 19 of each of those specialties would have
 20 to review?

21 A. I'm not being so specific
 22 that I would say that it must be this
 23 bunch of people all of the time. It
 24 would be a bunch of people, a group of
 25 people working together who have

1 training, experience, and knowledge
 2 about such patients, such procedures,
 3 such outcomes, such risks, and so forth,
 4 who would work together in communication
 5 to do that kind of analysis and to
 6 judge safety and propriety for such a
 7 person.

8 Q. Would I be correct in
 9 understanding that your essential point
 10 is that a review be done by people with
 11 special interest and knowledge in the
 12 field of psychiatric surgery?

13 A. Who then communicate with
 14 each other, yes, who come up and
 15 determine a plan of how to proceed.

16 Q. Okay. If such a review had
 17 occurred in this case, would Mrs.
 18 Zimmerman have been a candidate for
 19 surgery?

20 A. I can't tell you what such a
 21 group of people may have decided.
 22 That's speculative depending on how that
 23 group would function and what they
 24 looked at as criteria, calling to mind
 25 that there are pieces not only analytic

1 but advisory, and the advice they give
 2 is couched in what they know of risk
 3 and outcome and so forth.

4 You know, I would simply
 5 have to leave the question open. I
 6 can't tell you what a group of people
 7 may have thought. They may have picked
 8 up on the issue of a lack of sustained
 9 systematic measured treatment outcomes.
 10 They may have said, well, this could be
 11 the case. Let's make sure all of these
 12 things are done in a certain order and
 13 certain sequence and see where we stand
 14 and then revisit this problem. That is
 15 a frequent outcome of such analytic
 16 exercises. They may have said this
 17 woman already has a seizure disorder and
 18 such experience might pose a special
 19 risk. They may have said -- again I
 20 don't know -- that in spite of lucidity,
 21 of lack of cognitive impairment as she's
 22 said to have, her depression, her sense
 23 of self-loathing and her low self-esteem
 24 and self-worth are such that she's
 25 really not competent to make this

1 decision. Let's look at what outcomes
 2 happen on treatment again.

3 It really leaves the field
 4 open. And I can tell you that these
 5 things can and do happen under
 6 circumstances of such analysis. Can I
 7 tell you that they might not have said
 8 yes, this is quite the thing to do,
 9 let's just go ahead now and do it? You
 10 know, that's a possibility as well, but
 11 not having undergone the exercise, not
 12 knowing the constitution of these
 13 people, not knowing what their own
 14 professional attitudes would be, I can't
 15 tell you what would have been said. I
 16 know it would have been more thoughtful,
 17 more careful, more systematic, and hence
 18 safer for her no matter what the
 19 decision would have been.

20 Q. But ultimately it's true,
 21 isn't it, that you don't know what the
 22 results or recommendations would have
 23 been if there'd been a systematic and
 24 comprehensive review?

25 A. For their piece of it, no.

1 I don't know what her response to their
2 recommendation and her family's response
3 to their recommendations might have been
4 either. This is a very complicated,
5 time intensive, labor intensive kind of
6 thing involving many people whose
7 ultimate outcome I can't judge. You
8 know, it is literally beyond
9 speculation.

10 Q. By beyond speculation you
11 mean highly speculative?

12 A. It's beyond my ability to
13 speculate, but it's still speculation.

14 Q. Okay. The second criticism
15 that you had earlier raised was that
16 ultimately this was an investigative
17 procedure?

18 A. Yes.

19 Q. I'm assuming -- correct me
20 if I'm wrong -- I'm assuming that where
21 that leads us in our discussion is that
22 if it were an investigative procedure,
23 then it should have been subject to the
24 reviews of an Institutional Review
25 Board; is that what you're getting at

1 there --

2 A. Yes, it's getting at it, but
3 again your question to me precludes the
4 issue of why this is investigative at
5 all.

6 Q. And I will ask about that
7 later. Right now I'm simply trying to
8 find out where that takes us.

9 A. Yes.

10 Q. If this is an investigative
11 procedure, the consequence of that is
12 Institutional Review Board supervision?

13 A. Not necessarily Institutional
14 Review Board itself. There are other
15 ways that institutions deal with this.
16 The issue, however, becomes one of
17 procedural justification and diminution
18 of risk. The Institutional Review Board
19 in most institutions is the best
20 equipped body to do this and that's
21 usually where the responsibility lies.
22 But there may be special panels as well
23 who bear in an institutional sense the
24 same responsibility for that kind of
25 review to say. To say it is always

1 done by an Institutional Review Board is
2 not quite correct, but it is the
3 Institutional Review Board in most
4 institutions which bears the
5 responsibility for the regulation of
6 such things within the walls of the
7 institution.

8 Q. Okay. Will you be telling a
9 jury that Mrs. Zimmerman's surgery
10 should have been presented to the
11 Institutional Review Board?

12 A. It should have been reported
13 to the Institutional Review Board or
14 some other board so constituted as to
15 look into the issues of justification of
16 safety.

17 Q. Okay. I'm going to spin off
18 for a minute the concept of other boards
19 and ask specifically whether or not this
20 procedure in your opinion should have
21 gone to an Institutional Review Board?

22 A. It should have gone to the
23 Institutional Review Board or some board
24 persons who are imbued by the
25 institution, as part of the

1 Institutional Review Board or not, with
2 the responsibility to do just what I
3 told you and that is to look into the
4 issues of justification, of procedural
5 fulfillment, and safety for persons
6 undergoing an innovative procedure.

7 Q. I note that among the
8 materials that you were provided for
9 review was a portion of the Code of
10 Federal Regulations, 45 CFR 46 and the
11 Belmont Report?

12 A. Yes.

13 Q. Do you anticipate telling the
14 jury that under the Code of Federal
15 Regulations Mrs. Zimmerman's surgery
16 should have been under the auspices of
17 the Institutional Review Board?

18 A. The Code of Federal
19 Regulations is problematic for two
20 things. One is its length, and the
21 other is the assumption that everybody
22 knows what research is. The Code of
23 Federal Regulations when they define
24 research simply lift the definition out
25 of the Oxford dictionary. That does not

Page 54

1 address the issues of what should be
2 research. They say what is research, as
3 if it's a factual thing that everybody
4 understands all of the time. That's not
5 the operation of the real world. My
6 point rather is that what this woman
7 underwent should have been designated an
8 investigative procedure.

9 Q. Under 45 CFR 46, should Mrs.
10 Zimmerman's surgery have been under the
11 auspices of an Institutional Review
12 Board?

13 A. Predicated on what I told
14 you, yes. Whether it's the IRB or some
15 derivative is not the issue, but it
16 should have been something that garnered
17 institutional oversight and protection.

18 Q. Does 45 CFR 46 establish the
19 requirements of Institutional Review
20 Boards and establish what is to go
21 before the Institutional Review Board?

22 A. It establishes the procedural
23 aspects of what Institutional Review
24 Boards ought to do almost solely
25 assuming that a decision has been made

Page 56

1 research is. But it does not say what
2 in the mind of an investigator ought to
3 constitute research that ought to come
4 and should come and must come to an
5 Institutional Review Board. It doesn't
6 define that.

7 Q. Under the terms of research
8 as defined by 45 CFR 46, do the
9 regulations require that Mrs.
10 Zimmerman's surgery be under the
11 auspices of the Institutional Review
12 Board?

13 A. In my opinion, yes. A
14 correct reading of that fascicle of
15 federal law means yes to me. That is
16 my opinion.

17 Q. And what do you mean by a
18 correct reading?

19 A. A correct review is this:
20 It demands an analysis involving --
21 beginning with the investigator and then
22 involving the institution as to whether
23 or not someone is doing research.
24 Without that you can't bring anything to
25 anybody. So it becomes, I think, moot

Page 55

1 somehow that what is going on
2 constitutes research. That is not just
3 the job of the Institutional Review
4 Board. That's a job of the
5 Institutional Review Board or its
6 designees, the institution, and the
7 investigator, and to overlook any one of
8 those elements is wrong.

9 Q. Does 45 CFR 46 define
10 research?

11 A. Only in the sense that the
12 Oxford dictionary does.

13 Q. Does it define research?

14 A. In that sense, yes. It
15 defines what research is. It does not
16 define what research should be and
17 whether a person ought to bring this
18 issue to the IRB itself. That's what
19 we're talking about here, not whether
20 they use the Oxford dictionary
21 definition. They certainly did.

22 Q. It sounds to me like you
23 disagree with the definition they used.

24 A. No, I don't disagree with
25 the definition of research. It is what

Page 57

1 to define what research is if the notion
2 never occurred to a person.

3 Q. Okay. And that takes us
4 back -- I don't mean to belabor this,
5 but it's an important point as you might
6 well imagine -- it takes us back to
7 whether or not the federal regulation
8 has a definition of research. Does it?

9 A. Yes, it's the same as the
10 Oxford dictionary, which is where they
11 got it.

12 Q. And you apparently believe
13 that that is too restrictive a
14 definition of research?

15 A. No, it's a fine definition
16 of research once you've know what
17 research is. It does not tell you --
18 nowhere in the CFR does it say,
19 investigator, here is what you should do
20 under these circumstances. That's not
21 what the CFR addresses. It assumes that
22 you know what research is and that's
23 what you're doing, and then spins out
24 over thousands of pages certain
25 qualifications and regulations as to

Page 58

1 what it is ought to be done. A good
2 deal of it is given over to the
3 function of Institutional Review Boards.

4 Q. What is research in your
5 opinion?

6 A. Certainly I'd agree with the
7 definition once you've established it.
8 But how you get to the notion that
9 you're doing research is not in that
10 definition. That demands other tests.

11 Q. What is your definition of
12 research?

13 A. Okay. I'm sure -- well, I
14 don't know, but I can imagine you've
15 been well educated in the course of
16 these depositions as to this point, and
17 I don't mean to be redundant. Forgive
18 me if I am. It has to do with
19 something, a concept that is called
20 equipoise. And equipoise is something
21 that is known say in the literature
22 about ethics, I would think law in some
23 respects, and about the principles
24 governing the actions of investigative
25 medicine or research. Laid out simply

Page 59

1 it's this: If one is employing a new
2 drug, procedure, or technique, the
3 outcome of which is in some sense
4 unknown, outcome being benefit, outcome
5 being risk, outcome being whatever you
6 choose to have, that is clearly research
7 or ought to be. It may be done for
8 therapeutic benefit, but it has these
9 other -- the unknown hanging off of it.

10 The other condition has to
11 do with things that are known, but are
12 being put to a new combination or a new
13 use. The answer to effectiveness or
14 risk or outcome, an honest answer in the
15 mind of an investigator when asked what
16 will this do, if an element to the
17 answer is I don't know, then that
18 triggers the kinds of protections and
19 procedures that govern investigative
20 medicine.

21 It's the latter that was
22 done here. Questions that are asked
23 about treatment to which the answer is I
24 don't know or I can't find out or
25 there's no experience, some form of I

Page 60

1 don't know, become the province of
2 investigative medicine. They do this
3 ethically and they certainly do it
4 legally. Do they -- does this conform
5 to a textbook definition of research?
6 In the end it does, but not at the
7 beginning. That kind of thinking, that
8 kind of calculus needs to go on in the
9 mind of an investigator first. Then if
10 the answer is I don't know, that
11 triggers the kind of procedures and
12 safeties for patients that we've talked
13 about.

14 How an institution chooses
15 to spin this out doesn't need to be
16 done just one way. I think the
17 principles however of justification and
18 safety are those that arise. Who is
19 best prepared to do this? It's the
20 Institutional Review Board, the ethics
21 committee, a special constituted group.

22 Q. I want to see if I
23 understand what you're telling me. Are
24 you saying that if one poses the
25 question what will the outcome of this

Page 61

1 procedure be, and the answer is I don't
2 know, that that question and answer
3 takes us into the field of research?
4 A. If, not -- I don't know is
5 the answer to a lot of questions. If
6 however you're using innovation to do
7 this, a thing or combination of things
8 which were not done either in that
9 combination or for this purpose before,
10 that's a predicate to I don't know. I
11 don't know what will happen to you when
12 you walk out the door to me. It has to
13 do with something other than a general
14 ignorance of the future. It has to do
15 with ignorance of the outcome predicated
16 on what it is you've posed.

17 Q. At what point does
18 uncertainty as to outcome become so
19 significant that a procedure falls under
20 the term research?

21 A. If again you're using things
22 that haven't been done before or using
23 them in a combination for which there's
24 no experience and the outcome is
25 unknown, it's the predicate that matters

Page 62

1 here. Let's assume that, God forbid,
 2 you in the course of your travels are
 3 mauled and have severe bilateral acute
 4 subdural hematomas and need to be
 5 operated. I don't know what the outcome
 6 is going to be, but the treatment used
 7 to treat you with this is not innovative
 8 and not unknown. It's taking blood
 9 clots out of your head through a
 10 craniotomy. The predicate here is
 11 you're using a standard -- accepted
 12 standard for treating a terrible
 13 condition, the outcome of which is
 14 unknown. If, however, your condition is
 15 to be treated with immersion in ice
 16 cubes followed by surgery done through
 17 two little tubes in your ears, both of
 18 them standard treatments for certain
 19 things, that combination poses an
 20 unknown outcome and certainly risk to
 21 you, then that becomes investigative.
 22 What I've posed to you is not
 23 particularly a good idea. I mean, I
 24 don't mean to cite bad ideas as
 25 examples, but I don't think bad ideas

Page 63

1 should be examples. The issue is not
 2 the unknown quality of the outcome
 3 alone, it's the outcome related to the
 4 predicate you've proposed to get to the
 5 outcome at all.

6 Q. So the trauma physician that
 7 treats me in that horrible hypothetical
 8 is doing so under circumstances you
 9 would call research?

10 A. No, no, no, no. Only if
 11 he's using ice cubes and little tubes in
 12 your ears.

13 Q. Okay. That's what I'm
 14 getting at. If he's using ice cubes
 15 and little tubes in my ears, standard
 16 well accepted procedures in their own
 17 right, but if he's using it in that
 18 situation that's going to demand IRB?

19 A. It demands a heightened level
 20 of justification and when done in
 21 institutions who do this, whoever the
 22 institution designates, usually it's the
 23 IRB.

24 Q. Okay.

25 A. And somebody needs to inform

Page 64

1 you or the people around you that what
 2 we're doing here is not what's generally
 3 done. We don't know exactly what's
 4 going to happen. There's always an
 5 investigator bias. The general idea is,
 6 but we think it will help. And it may
 7 or may not help, but the honest answer
 8 in the mind of that investigator when
 9 you say what is the outcome of ice
 10 cubes and little tubes in the ears with
 11 respect to this condition, the answer is
 12 I don't know.

13 Q. Okay. In this case if Dr.
 14 Barnett's procedure had been submitted
 15 to an IRB, would the procedure have been
 16 performed?

17 A. It comes down a few steps in
 18 the line because we haven't talked about
 19 consent of her family to that.

20 Q. Let me ask a different
 21 question then that takes that out. If
 22 the -- I don't mean to cut you off, but
 23 you're absolutely right.

24 A. I'm getting hoarse from
 25 answering your questions, so hopefully

Page 65

1 at the end of your interlude a question
 2 will emerge.

3 Q. I hope so too. If the
 4 procedure that Dr. Barnett performed had
 5 been submitted to an Institutional
 6 Review Board, would the Institutional
 7 Review Board have prohibited the
 8 procedure?

9 A. I can tell you what I think
 10 it would have -- a duly constituted
 11 board of experts would have done. They
 12 would have developed criteria; they
 13 would have developed a procedure over
 14 time for analysis; they would have
 15 looked for exclusionary criteria; and
 16 they would have come with Dr. Barnett to
 17 creating a set of criteria or
 18 circumstances that needed to be
 19 fulfilled before the surgery would be
 20 carried out. That's -- I'm 100 percent
 21 certain that that would have been done.

22 Q. That would have to be done
 23 under the regulations?

24 A. It would have to be done
 25 under an ethical imperative about how to

Page 66

1 treat patients. That's what the
2 regulations address. I want to make
3 sure we're talking about which one is
4 the horse and which one is the cart.
5 The regulations are the cart. So that
6 that would have certainly have been
7 done. And Dr. Barnett working with the
8 IRB or some duly constituted board,
9 again I don't want to say this is only
10 the IRB, if the IRB is what you have in
11 place to deal with these things, fine,
12 then it's the IRB. If the institution
13 has created something else, then it's
14 something else. It's the function that
15 I address. There would have emerged
16 from this a set of principles and
17 criteria to be fulfilled before a person
18 could be considered and during the
19 course of intended surgery.

20 Q. Okay.

21 A. Had that been done, I don't
22 think she would have had the surgery
23 certainly at this time. And whether she
24 would have had it at all, I don't know.

25 Q. Okay. So let's see if I'm

Page 67

1 hearing your opinion correctly. If Dr.
2 Barnett's procedure that was ultimately
3 done had been submitted to an IRB or
4 some other duly constituted review
5 board, it's your opinion that there
6 would have been criteria and procedures
7 developed, but is it true that
8 ultimately you don't know whether she
9 would have undergone the procedure or
10 not? Let me say that differently.
11 Ultimately you don't know whether or not
12 the procedure would have been permitted
13 to be performed?

14 A. I don't mean to be
15 unresponsive to your question, but I
16 don't want to miss another important
17 piece here. That committee, whoever its
18 name may be, let's call it the IRB,
19 would have also developed a set of
20 criteria for informed consent.

21 Q. Right.

22 A. About this.

23 Q. And I want to address that.

24 A. I again don't mean to
25 preempt your question, but it's part of

Page 68

1 the procedure and what they do. Again,
2 I don't pretend to know what exactly
3 would have happened. I can tell you
4 what I think would have probably
5 happened. Had she and her family been
6 appraised of the consequences, the
7 possible consequences, possible in that
8 they can and do happen, given that,
9 given the holes in systematic analysis
10 in spite of the length of her illness,
11 I suspect there would have been great
12 pause on her part and the part of her
13 family to undergo this procedure. That
14 is my opinion. But are there other
15 potential outcomes, yes, there's an
16 array of them that could have happened.

17 Q. Are you going to go so far
18 as to say that she and her family would
19 not have had the procedure or is that
20 speculation?

21 A. I don't know how to answer
22 it. I'd like to hear her family's
23 answer and I don't know what they would
24 have answered. I didn't ask them. I
25 don't know what their answer would be.

Page 69

1 Had they known what they should have
2 known, would they have then proceeded?
3 I don't know.

4 Q. Okay. Before this case have
5 you ever testified on the subject of
6 surgeries for psychiatric conditions?

7 A. No, I never have. I've seen
8 such patients. I've cared for such
9 patients. I'm familiar with them, but
10 I've never given testimony on this
11 subject before.

12 Q. Your report, Exhibit 1, has
13 as part of it kind of a brief synopsis
14 of the history of various kinds of
15 surgeries for psychiatric conditions.

16 A. Yes.

17 Q. I assume that that is review
18 that you did for this case?

19 A. No, it's not. I've had a
20 long history in human behavior and its
21 connection to the structure and the
22 function of the brain. It's one that I
23 continue to exercise and I have really
24 had a lifelong interest, far preceding
25 my matriculation in medical school.

Page 70

1 Q. Do you know Dr. Rawlings,
2 the neurosurgery expert of the plaintiff
3 in this case?
4 A. No.
5 Q. Have you reviewed his report?
6 A. I've not.
7 Q. Do you know the plaintiff's
8 infectious disease expert, Dr. Kerr or
9 Dr. Martinelli?
10 A. No.
11 Q. Do you know Dr. Martinelli?
12 A. Yes.
13 Q. Do you know Dr. Malone, Dr.
14 Don Malone?
15 A. I've met him. Dr. Barnett I
16 know well and I like, by the way, and
17 like greatly.
18 Q. Is Dr. Barnett a physician
19 of integrity in your opinion?
20 A. Yes, he is.
21 Q. Is there any doubt in your
22 mind that his treatment was intended for
23 and an attempt to help the patient?
24 A. Oh, no doubt. The intent is
25 benevolent here.

Page 71

1 - - - - -
2 (Thereupon, Deposition
3 Exhibit-3 was marked
4 for identification.)
5 - - - - -
6 Q. Showing you what's been
7 marked as Exhibit 3, can you identify
8 that please?
9 A. Yes, this is the course of
10 my life, Mr. Parker.
11 Q. Your curriculum vitae, I
12 think, is the fancy name for it?
13 A. But I didn't invent it.
14 Some Roman did.
15 Q. Is it current?
16 A. Current as of January. I've
17 revised it recently. There are some
18 more publications, committee
19 appointments, that sort of thing, but
20 it's not been changed otherwise.
21 Q. Okay. And you were kind
22 enough before the deposition to tell me
23 where I can get the most recent version
24 of your CV?
25 A. Yes, if you call Cynthia

Page 72

1 Forte, F O R T E, she will send you one
2 instantly through the magic of
3 cyberspace.
4 MR. LINTON: Why don't we
5 go ahead and have her send a copy to me
6 and I'll provide you a copy.
7 MR. PARKER: That will be
8 fine. I appreciate that.
9 THE WITNESS: I don't know
10 if she's redone it.
11 MR. LINTON: You're not
12 sure if it's actually available.
13 THE WITNESS: No, because
14 it's not due to be redone until June,
15 so I don't know if she's done it or
16 not.
17 BY MR. PARKER:
18 Q. Have you published in the
19 medical literature anything on
20 cingulotomy?
21 A. No.
22 Q. Anything on capsulotomy?
23 A. No, not on those surgical
24 procedures.
25 Q. Anything on subcaudate

Page 73

1 tractotomy?
2 A. No, not on any psychosurgical
3 procedure yet.
4 Q. Do you have plans to?
5 A. I don't know. This has been
6 a stimulating case.
7 Q. What research have you
8 performed to understand the
9 neurosurgical issues in the case if any?
10 A. Well, you know, I don't want
11 to be overly specific. I'm familiar
12 with the surgical, psychologic,
13 psychiatric and neurologic literature
14 which is continuous. I mean, this stuff
15 appears in all sorts of conference
16 proceedings and in journals that I read.
17 So there's plenty of it out there. And
18 it's my own familiarity with the
19 relationship between brain and behavior
20 over the years. It's a long time --
21 Q. Do you know Dr. Peter
22 Breggin?
23 A. I know the name. I know
24 that Breggin has been one of the
25 contributors to the area of

Page 74

1 psychosurgery and particularly the
2 controversy that surrounded it not for
3 10 years or 20 years or 15 years or 60
4 years, whether it's 12 or 2,000 years, I
5 don't know. This is a -- this is an
6 area that attracts special attention and
7 should because it's surgery with the end
8 result that there's biological
9 alteration on a person. It's not like
10 gallbladder surgery or an amputated
11 foot. Something that's serious and
12 technically difficult and so forth. The
13 effects are far different.

14 Q. Have you reviewed Dr. Breggin
15 report in this case?

16 A. I have not.

17 Q. Have you reviewed his
18 deposition testimony?

19 A. No, sir, I've not.

20 Q. Dr. Breggin pretty freely
21 admits that he does not believe in the
22 clinical use of psychosurgery.

23 A. Well, again --

24 Q. Do you agree, disagree, have
25 comment on that?

Page 75

1 A. I don't know if it's a fair
2 characterization of what he has to say.
3 I've never talked to him and I can't
4 tell you I've ever read everything he's
5 ever written.

6 I know that he's a critic
7 in regards to certain aspects and
8 outcomes. I've read enough literature
9 involved in surgical mind-altering
10 behavior, altering of psychiatrically
11 ill people. He's not the only one.
12 Dr. Breggin has great company and
13 respected company throughout the world.
14 I can't speak to him beyond that.

15 I can tell you I'm not a
16 participant in the argument as to pros
17 and cons. I think psychosurgery is
18 something that needs extremely careful
19 analysis in terms of its science, in
20 terms of its underpinnings and what we
21 understand of the brain.

22 It has a history of
23 enthusiasts and detractors throughout
24 time. This is not a new paradigm.
25 This is not a new situation. This is

Page 76

1 not a new problem in spite of advances
2 in neuroimaging or drugs. It's a
3 persistent one.

4 I think the thing that
5 really makes it persistent is the
6 willingness, the ambition, the at times
7 the arrogance -- I'm not speaking of Dr.
8 Barnett, I'm speaking in a historical
9 sense -- of people to use brain surgery
10 to alter psychopathy, mental illness,
11 bad behavior, social problems, errant
12 politics. I recognize all of that as
13 being part of the argument here, so I'm
14 aware of it. I don't have a particular
15 side to take, pro or con, my beliefs or
16 so forth. I think that it's an area
17 that demands very, very special
18 attention and special safeguards if ever
19 to be performed.

20 Q. Have you reviewed the psycho
21 -- the report of the Psychosurgery
22 Commission from I believe the mid 1970s?

23 A. The Belmont Report and the
24 things that followed?

25 Q. Not the Belmont Report.

Page 77

1 A. The 1977 stuff, yes, I've --
2 well, again, I think I probably read all
3 of it in pieces over time, but again
4 this shows one of the gyrations in this.

5 Q. What do you mean?

6 A. 1977 came along because of
7 the CAT scanner. People could find
8 targets so-called more easily than they
9 could with ventriculography and
10 encephalography, so there's this
11 recrudescence as to why we ought to be
12 doing psychosurgery with a great social
13 response at that time following upon
14 civil rights, following upon political
15 expression to a sense in our country and
16 in places throughout the world, so there
17 became again a division of people's
18 attitudes about it, not only
19 professionally but particularly.

20 They would cite, for
21 instance, the work of Orlando Andy, who
22 is a very fine neurosurgeon from the
23 University of Mississippi who did
24 involuntary psychosurgery on juvenile
25 delinquents and so forth, being a great

Page 78

1 medical, moral, medical and social
 2 problem. Louis Stokes proposed
 3 legislation about that time -- he wasn't
 4 alone -- saying it should never be done
 5 at all or done with such safeguards that
 6 it made it unlikely largely on the fear
 7 of suppression of minorities and
 8 prisoners and so forth.
 9 There was a spade of
 10 medical cases and law cases about the
 11 same time that gave a peak to the
 12 persons who would oppose this. And then
 13 things kind of went along for a while,
 14 kind of died out. The recommendations
 15 that came out in 1977 were attended to,
 16 but really not implemented and I think
 17 in a very strong way until new scanning
 18 and isotopic and localizing procedures
 19 came along again. That made the
 20 arguments revived. They are not new.
 21 None of them are. Before 1977 there
 22 were the 1950s. 50,000 psycho surgeries
 23 of one kind or another had been done by
 24 then, but along came powerful
 25 psychotropic drugs which spoiled both

Page 79

1 the need and the enthusiasm of many
 2 people, not all, to do them.
 3 This is psychosurgery done
 4 on the brain. There has been
 5 psychosurgery done on the ovaries and
 6 other organs in excess of what has been
 7 done on the brain. Well intended,
 8 accepted by many, argued about and now
 9 passe. What will happen to
 10 psychosurgery in the future? I don't
 11 know. It's an interesting and
 12 challenging paradigm. There are those
 13 who say, and I agree with them in many
 14 senses, that the performance of
 15 manipulation, surgical and otherwise,
 16 exceeds what we really know about the
 17 structure and the function of the human
 18 brain. And until there's more parity, I
 19 think these arguments are going to
 20 persist and that your grandchildren and
 21 mine may find themselves in a similar
 22 discussion some day.
 23 Q. Okay. I'll get off this
 24 literature topic in just a moment. Let
 25 me just make sure I understand correctly

Page 80

1 that you have not performed any specific
 2 review of medical literature in
 3 preparation for this report or your
 4 opinions?
 5 A. I've done general reading
 6 about it, but it's a continual kind of
 7 thing.
 8 Q. In terms of staying
 9 up-to-date as neurologist and in the
 10 fields you're interested in?
 11 A. Yeah, again it has to do
 12 with my own interest in behavior. I'm
 13 a member of the American Academy of
 14 Neurology behavioral committee where
 15 this comes up, I can tell you, literally
 16 at every meeting.
 17 Q. You have given depositions
 18 before?
 19 A. Yes, I have.
 20 Q. About how many times?
 21 A. Oh, gosh, probably 300
 22 depositions in the course of my brief
 23 life.
 24 Q. Over what period of time?
 25 A. Since I've had a brief life.

Page 81

1 The first deposition I ever gave was to
 2 the prosecutor of the city of St. Louis
 3 because some man had the poor taste to
 4 try to shoot me with a gun when I was
 5 sewing up his head in the emergency room
 6 when he actually intended to shoot a
 7 policeman. I was simply an unfortunate
 8 bystander.
 9 Q. Oh, dear.
 10 A. Scared the hell out of me,
 11 the shot did, but to be presented with
 12 a document with black marks around the
 13 edge of it, I was sure I was being sent
 14 to jail about something. I took it to
 15 my chief resident, chief surgical
 16 resident, a man named Solomon who made a
 17 paper airplane with it, told me he had
 18 a lot of those, sailed it across the
 19 room. That was the first one when I
 20 was 25 years old.
 21 The next was a woman who
 22 had tardive dyskinesia and I still
 23 follow her. When I saw her I was about
 24 30 years old. She still has tardive
 25 dyskinesia and I still take care of her.

Page 82

1 So forth.

2 Most of them have not
3 been in the -- none of them have had to
4 do with psychosurgery and most have not
5 been anything touching medical
6 malpractice. They've involved a
7 personal injury, grantsmanship and
8 institutions, institutional reviews of
9 credentials, and on and on and on.
10 They've involved a lot of things. But
11 I suspect over the course of 38 years
12 it's probably within that number.

13 Q. Can you give me a reasonable
14 estimate of how many times you've been
15 deposed when you were a medical expert
16 in a malpractice case?

17 A. I don't know. I'm guessing
18 50. The number could be a plus, minus
19 on either side of that. I don't know.

20 Q. How many cases have you
21 reviewed as an expert in a medical
22 malpractice case?

23 A. Ever?

24 Q. Yes.

25 A. I don't know, probably 150

1 us that you know him well. Have you
2 ever known him to intentionally mis-
3 a patient?

4 A. No.

5 Q. In your report on page 2 you
6 have analysis and opinions, and the
7 first paragraph describes in summary
8 fashion some of the consequences to Mrs.
9 Zimmerman. Can you tell us which of
10 her conditions resulted from infection
11 versus those that resulted from the
12 procedure itself had it not been
13 complicated by infection?

14 A. No, I think there's a
15 contribution certainly from infection.
16 It becomes very difficult to weigh that
17 contribution because the infection and
18 the original lesions, particularly those
19 in the region of the anterior limb of
20 the anterior capsule, come wrapped in
21 the same skin.

22 It's my opinion that her
23 major deficits flow from the performance
24 of the surgery itself, the psychosurgery
25 itself. The presence of infection and

Page 83

1 over again nearly 40 years.

2 Q. Those times you've testified
3 in that kind of case as an expert, do
4 you have an estimate of the percentage
5 plaintiff versus --

6 A. I have not only a
7 percentage, but a trend over time. I've
8 seen more people at the behest of the
9 defense than I have at the behest of
10 plaintiffs. I've written more reports
11 at the behest of defense than I have
12 plaintiff. I have to a point about
13 four or five years ago given more
14 testimony or at least equal testimony
15 for defense and plaintiffs.

16 Then something happened.
17 The defense cases, I don't know if it's
18 a matter of selection or changes in the
19 operations of the defense bar, they tend
20 to go away far more frequently for
21 defense than they do for plaintiffs. So
22 recent testimony I think has clearly
23 favored plaintiffs, but I'm not sure how
24 much it has to do with me.

25 Q. Dr. Barnett you were telling

1 its additional damage are contributors.
2 It's very difficult for me to separate
3 one from the other.

4 Q. So if we can propose the
5 hypothetical situation of Mrs. Zimmerman
6 undergoing the surgery without
7 complication of infection, do you have
8 an opinion as to what she would be
9 lining now?

10 A. Mr. Parker, I can, you know,
11 throw up a number and we can both sit
12 here and shoot at it, but if I were
13 asked to parse it out it would be
14 80/20, 75/25, but the smaller number is
15 allocated to her infection. Not that it
16 wasn't serious and quite dreadful, but I
17 think the major culprit here are the
18 lesions put in the region of the
19 anterior limb near the interior capsule
20 on both sides of her brain.

21 Q. Why do you say that?

22 A. Because of what is there in
23 an anatomic way, what's known about the
24 consequences of such lesions in other
25 individuals, at least some of the time

Page 85

Page 86

1 and at least a very substantial
2 percentage of the time.

3 Q. Have you seen and examined
4 other patients who underwent
5 cingulotomy?

6 A. Yes, I have.

7 Q. How many?

8 A. It's a small number, maybe
9 three or so. And I've seen them
10 because they've had seizures. I was not
11 asked to analyze other aspects of their
12 behavior and some of the behavioral
13 derivatives were unavoidably prominent,
14 probably because I'm sensitive to look
15 for them. These are, you know, people
16 who are a bit more torpid or sleepy or
17 apathetic than they were before perhaps,
18 in a sense improved vis-a-vis what went
19 on prior to this infliction, the
20 infliction of impairment. That's what
21 this does. Therapeutic in a sense,
22 beneficial perhaps, but certainly I see
23 people many times because things don't
24 go well. They go badly.

25 Q. Sure.

Page 87

1 A. I'm not sure I can give you
2 a representative cross-section of things
3 gone right. Happy, doing well, don't
4 need a doctor people don't come to me.

5 Q. Sure. There's a selection
6 bias in terms of the patients you see
7 because they're there because they're
8 having problems.

9 A. There's a selection bias for
10 all of us, certainly.

11 Q. Have you had occasion before
12 seeing Mrs. Zimmerman to have patients
13 who had undergone anterior
14 capsulotomies?

15 A. Yes.

16 Q. Are there any particular
17 aspects of Mrs. Zimmerman's behavior or
18 personality that you can say, no, that's
19 the infection process and completely
20 unrelated to surgery?

21 A. Can I tell you that the
22 apractic and spastic disturbance of her
23 gait, the neurologic impairments in her
24 legs are due completely to one or
25 partially one to the other, I can't.

Page 88

1 Q. Okay. What about the
2 reverse, is there anything about her
3 findings on examination that you can say
4 are absolutely the result of the
5 surgical procedure and not the
6 infection?

7 A. No, what I can tell you is
8 that her composite picture, her
9 appearance as a person, her findings on
10 examination are consistent with large
11 bilateral lesions in that portion of the
12 frontal lobe occupied by the anterior
13 limb of the internal capsule of both
14 sides of the brain. The effects
15 particularly on her gait are more
16 derivative of lesions in the cingulum.
17 Beyond that, which one was due to a
18 bacterial infection, which one was due
19 to the production of lesions, of the
20 coagulative lesions in her brain is a
21 kind of speculation in which I could not
22 engage with comfort nor with certainty.

23 Q. Okay. Have you reviewed any
24 CT images of Mrs. Zimmerman's brain?

25 A. I did see a CT and think it

Page 89

1 was from Kansas City at some point. It
2 came and it went. And I recall seeing
3 the lesions in the anterior brain and
4 these were, I think, preoperative. I
5 don't know if there were any
6 postoperative films of her visit to the
7 neurosurgeon, whose name I don't recall,
8 in Kansas City after she was discharged
9 from Cleveland Clinic.

10 MR. LINTON: We also
11 showed you the CD images that were
12 provided.

13 THE WITNESS: Yes.

14 MR. LINTON: Which I
15 understand are not complete records.

16 MR. PARKER: That's
17 correct. The CD that -- I'm not sure,
18 I've been out of town, but at the time
19 I left town I was told we had gotten to
20 you the CT images that I have, but I
21 don't believe that that is every slice
22 of CT every time a CT was done.

23 MR. LINTON: Do you know
24 when we're going to get all the CTs on
25 CD as well as all the CTs on film which

1 we've been requesting for some time now?

2 MR. PARKER: It is my
3 intention --

4 MR. LINTON: We're nine
5 days from trial.

6 MR. PARKER: It is my
7 intention that you have all the CTs. I
8 thought that it would be easy for them
9 to get all the CTs on CD. That may not
10 be the case, but I am trying to get you
11 all the CT images. If I can get them
12 to you on CD and on film, I will. But
13 I intend to get you all those images.

14 MR. LINTON: Do we know
15 by when?

16 MR. PARKER: I do not.
17 Off the record.

18 (Discussion off record.)

19 BY MR. PARKER:

20 Q. Let's go back on. What is
21 your understanding of the location of
22 Mrs. Zimmerman's brain abscess?

23 A. It was in the region of the
24 anterior cingulum and I believe on the
25 right side.

1 A. Assuming what you told me,
2 let me make that assumption. This is
3 not a challenge to your recollection or
4 veracity, but I'll assume those are the
5 facts. She has had transgression of the
6 anatomic structures of her head which
7 leave the possibility open for how
8 things get out. They'll follow the path
9 of least resistance, and there's no
10 obligation for puss to find its way
11 either right or left. Simply out.

12 Q. Okay. Are there tracks or
13 paths of communication that would permit
14 puss from an abscess on the right side
15 to get to the incision sites on the
16 left?

17 A. It may because puss has the
18 capacity to make its own egress. It
19 destroys tissue in the way. It gets
20 out and it will simply take what's
21 available.

22 Q. Is it possible in Mrs.
23 Zimmerman's case that in addition to the
24 infection at the brain abscess she also
25 had superficial wound infections?

1 Q. All right. Yesterday I was
2 deposing the plaintiff's experts in
3 infectious disease and at the risk of
4 characterizing the testimony, they were
5 without explanations that they were very
6 comfortable with about why an abscess on
7 the right side would be associated with
8 draining of puss on incision sites on
9 the left side.

10 MR. LINTON: I'll object
11 to the characterization. Why don't you
12 just ask him the question.

13 Q. Do you know how that can
14 happen?

15 A. You know, infections track
16 through places where they can seep out.
17 I mean, if everything in your icebox
18 melts, the goop may run into your
19 basement under the left side of the room
20 and the leak was on the right side of
21 the room. I don't know. Again, I
22 don't have an explanation for it.

23 Q. Is there communication from
24 the location on the right side to the
25 incision site on the left side?

1 A. Anything is possible. She
2 could have had that.

3 Q. Do you have an opinion one
4 way or the other whether that, in fact,
5 happened in this case?

6 A. I don't know. There's a
7 note. It's almost two weeks postop that
8 she was picking at her scalp, but a lot
9 of confused people will pick at things.
10 Picking is preventable if that's what
11 happened. I'm not of the opinion that
12 Mrs. Zimmerman through some condition of
13 picking caused her infection. That's
14 not my notion, but I know it's been
15 expressed.

16 Q. Do you have an understanding
17 of what limbic leucotomy is?

18 A. Yes.

19 Q. What is it?

20 A. It's a combination of
21 cingulotomy and lesions undercutting the
22 infrabasal white matter and some nuclear
23 structures that are under the head of
24 the caudate between the lateral portion
25 of caudate and putamen.

Page 94

1 Q. Is one component of the
2 limbic leucotomy a cingulotomy?

3 A. No. Pardon me. I'm sorry.
4 Cingulotomy is a part of it. Anterior
5 capsulotomy is not a part of it.

6 Q. Okay. Is part of a limbic
7 leucotomy aimed at fibers en passage?

8 A. En passage. Unfortunately
9 the fibers en passage are mixed in with
10 structures that are fibers that are not
11 en passage.

12 Q. What's meant by the term? I
13 got the term en passage from your
14 report, fibers en passage?

15 A. Means they're on the way
16 from one place to another. For
17 instance, the local electric company and
18 your light bulb.

19 Q. What are those fibers?

20 A. Which ones?

21 Q. The fibers en passage?

22 A. They're all over the brain.
23 Do you have a specific place that you
24 have in mind?

25 Q. No, I'm trying to understand

Page 96

1 treatment or extirpation or lesioning of
2 some kind. Again, it's something with
3 an extremely long history in
4 neurosurgery and other branches of
5 medicine including neurology.

6 Q. Is the fact that this
7 surgery was stereotactically guided,
8 does that make it experimental?

9 A. Not necessarily, no. The
10 use of a stereotactic apparatus does not
11 dictate whether this is an experiment or
12 not.

13 Q. Does the fact that Dr.
14 Barnett's procedure was a combined
15 procedure, does that in and of itself
16 make it research?

17 A. Not a combined procedure.
18 Limbic leucotomy is a combined
19 procedure. What he does is a unique
20 combination of things as far as I can
21 tell. I don't know of a case
22 collection or reports of the particular
23 combination of cingulotomy and anterior
24 capsulotomy done in a single setting of
25 a bilateral fashion. If there is, I

Page 95

1 what -- are these fibers part of a
2 discrete structure or are they simply
3 interconnections?

4 A. You really can't take a
5 picture of the brain and say that here
6 are some passing from here to there.
7 The notion of where they originate and
8 where they pass is determined by other
9 techniques other than eyesight. It's
10 not how you can analyze where they're
11 going in the brain.

12 Q. But the limbic leucotomy in
13 part is directed towards fibers on
14 passage?

15 A. Yes, as is capsulotomy and
16 other forms of operation. Those are all
17 tracts. They're -- tracts by definition
18 are fibers going from one place to
19 another.

20 Q. What's meant by the term
21 stereotactic surgery?

22 A. Well, it is the use of a
23 device of some kind which will allow a
24 3-D view of targeting, in this case in
25 the brain, where you wish to supply some

Page 97

1 would very much appreciate being
2 illuminated.

3 Q. On page 4 of your report,
4 I'm going to go back to our discussion
5 earlier about research, on page 4 of the
6 report, you make the statement that the
7 question of whether a medical treatment
8 of any kind constitutes research
9 involves arises when one or both of the
10 following conditions are met. And you
11 list a couple of conditions. The first
12 condition that you raise is when the
13 procedure is designed or should be
14 designed at least in part to answer the
15 question of whether a treatment is safe
16 and effective. Where do you get that
17 definition from?

18 A. This is really what I've
19 posed to you in terms of the equipoise
20 test. Where do I get it from? There's
21 a large body of literature regarding it.
22 I suppose if I were compelled and
23 rewarded to produce it for you, I would.
24 But it approaches those conditions. The
25 conditions are two again. Perhaps the

Page 98

1 word "or" shouldn't be there because
2 it's not just the safety aspects of
3 doing this. It's the notion of newness
4 or of innovation, the outcomes, risks
5 and benefits of which are answered in
6 the honest opinion of the investigator
7 with the answer I don't know, or should
8 be answered that way. That is the
9 first condition.

10 And in which there is the
11 anticipation of substantial risk. Here
12 the substantial risk is very much up
13 front. It involves biologic alteration
14 of the person. And the answer to the
15 first question in my opinion is I don't
16 know. I'm not sure how it could be any
17 other answer quite frankly.

18 Q. Would I find the definition
19 that you have placed in your report,
20 would I find that in 45 CFR 46?

21 A. No, CFR 45 does not approach
22 this.

23 Q. Okay. The --

24 A. CFR 45.14 does not guide the
25 individual investigators as to what or

Page 100

1 I don't know. It has to do with the
2 sections pertaining to the protection of
3 subjects and human beings in research.
4 I'm not sure that's quite the right
5 name, but is it addressed? In fact, a
6 great deal of the regulations are
7 addressed to that. Again assuming that
8 the preliminary question, is this
9 research, answer yes, has been made.
10 Then that series of protections becomes
11 triggered.

12 Q. I want to ask a few
13 questions about your examination of Mrs.
14 Zimmerman.

15 A. Yes.

16 Q. How many times have you
17 examined her?

18 A. Well, once to date and that
19 was on the 13th of October. Should I
20 be called to testify regarding her, I
21 would appreciate the opportunity to
22 examine her again.

23 MR. LINTON: You have to
24 go to Kansas for that.

25 A. If I don't have to go to

Page 99

1 what not that person can or should do.
2 It is related to Institutional Review
3 Boards in which those predatory
4 questions -- not predatory --
5 predatory have already been answered.
6 They have defined research because
7 they've lifted a few words out of the
8 Oxford dictionary.

9 Q. I understand.

10 A. But they don't approach the
11 moral and ethical and scientific
12 decisions that have to be made in the
13 heart and mind of a person or persons
14 before they can bring anything to an
15 institution or review board.

16 Q. I understand your opinion in
17 that regard. The second arm of the
18 definition you place in your report is
19 when the intended procedure entails
20 unknown but substantial risks to the
21 individual person?

22 A. Yes.

23 Q. Does that arm appear in 45
24 CFR 46?

25 A. Yeah, but if you ask me why,

Page 101

1 Kansas. I'll go to Missouri.

2 But, you know, I assume
3 there may be issues of convenience and
4 other things involved, but, you know, I
5 think the beginning of knowledge about a
6 patient is their history and their
7 examination over time.

8 Q. To date you've examined her
9 once?

10 A. Yes.

11 Q. Where was that done?

12 A. In my office.

13 Q. Do you have any handwritten
14 notes of that examination?

15 A. No, I characteristically
16 don't make them.

17 Q. And I note from the
18 dictation or I note from this report
19 that it's dictated the day of the
20 examination?

21 A. It's dictated immediately
22 from the time she and her family left
23 the room to go home and I went to my
24 office. There is no hiatus.

25 Q. Part of your history included

Page 102

1 a statement that you attribute to Mr.
2 Zimmerman. I'm looking at page 3 right
3 at the top of the page. The third
4 sentence?

5 A. Yes.

6 Q. The family was not well
7 informed as to what it was that was
8 going on with her according to Mr.
9 Zimmerman.

10 Are you simply relating
11 that by history, or is that a matter on
12 which you intend to express opinions?

13 A. No, this is a matter of
14 history. If I were asked to explain
15 what I meant by it or where the
16 statement came from, I would certainly
17 do that. I can tell you the statement
18 reflects his contribution -- the wife is
19 really not able to give a history --
20 and his daughter's as well that they
21 were troubled over the condition of
22 their mother. She was obviously sick
23 and not moving and not talking and not
24 continent, having medical problems that
25 they couldn't quite get answers to, and

Page 103

1 that's what that statement was. They
2 felt they were not well informed about
3 what was going on with her medical and
4 mental condition. The statement is from
5 them.

6 Q. Have you reviewed the
7 deposition testimony of the family
8 members and various health care
9 providers in order to determine the
10 degree of consistency in the
11 recollection about family discussions
12 with the health care providers and
13 things like that?

14 A. No, I've not seen that, but
15 a feature of human life I'd not be
16 surprised to find any and find it as an
17 exponential function of the people you
18 talk to. So this again, this statement
19 simply reflects that the family's
20 expression to me that they were not
21 informed well of what was going on with
22 their wife and mother.

23 Q. And you have known Dr.
24 Barnett for some time, I take it. Does
25 it sound consistent with how he

Page 104

1 practices medicine that he would
2 withhold information from the family?

3 MR. LINTON: Objection.

4 A. I don't think it's a matter
5 of withholding. He's busy, he's in the
6 operating room, his time is pressured.
7 May not have time to stop by or it may
8 be an inconvenient time when they're
9 there. I don't know. I don't think
10 that he made -- it would be hard for me
11 to imagine Dr. Barnett made a special
12 plot to avoid dealing with the family.
13 Granted there's a tendency I think among
14 all of us to kind of shy back from
15 confrontations with families of people
16 who, for one reason or another, are not
17 doing well. It's uncomfortable for a
18 physician to do that. So might there
19 have been some reluctance? Yeah, but I
20 don't think Dr. Barnett is the kind of
21 person who deliberately misinforms a
22 family including the kind of
23 misinformation that comes with isolating
24 them.

25 Q. We could probably agree that

Page 105

1 these kinds of situations are very
2 stressful for the family as well?

3 A. They're stressful for
4 everyone. Dr. Barnett is faced with a
5 patient who is not doing well at all,
6 and the family is dealing with what they
7 perceive as avoidance and a lack of
8 information.

9 Q. I want to ask you a few
10 questions about specific findings on
11 your examinations. You mention an
12 infrequent blink of her left eye and the
13 appearance of a mild facial diplegia?

14 A. Yes.

15 Q. What would explain that?

16 A. Well, she's had damage with
17 the innervation of the mimetic, if you
18 will, musculature of her face, but this
19 again is a consequence of lesioning the
20 cortical tracts bilaterally which are
21 part and parcel of the fibrous en
22 passage in the region of the anterior
23 limb of the internal capsule, so her
24 face is not very mobile. When she
25 blinks she's more likely to blink with

Page 106

1 the left eye rather than the right as
2 I'm demonstrating.

3 Q. You also note that she shows
4 uninhibited blinking to -- I can't say
5 the word, glabellar --

6 A. Glabellar.

7 Q. -- tapping and pursing
8 movements to percussion about her lips.
9 What's the significance of that?

10 A. Pursing. It's an inability
11 to learn. I won't demonstrate on Mr.
12 Linton; I'll demonstrate on myself. If
13 I were not me but another person and I
14 as a neurological examiner were to do
15 this on the glabellum, which is this
16 area --

17 Q. Above the bridge of the
18 nose?

19 A. I would blink a couple times
20 and then I'd stop blinking, wondering
21 what is this foolish person doing? What
22 I've done is habituated to that
23 stimulus. It was novel and surprising
24 for the first one, two, or three times,
25 but after that I've learned that in

Page 108

1 What it connotes is some
2 sort of dysfunction or damage to the
3 frontal lobes. It is not specific for
4 leucotomies of some kind or another. It
5 is not specific for any particular
6 disease and occurs in a variety of them,
7 but it always points to the same place.
8 It points to the frontal lobes of the
9 human brain.

10 Q. What about the uninhibited
11 blinking to the glabellar tapping?

12 A. Same thing. Adult mature
13 frontal lobes, well functioning and
14 properly constructed, don't allow that
15 to happen.

16 Q. Have you ever served on an
17 Institutional Review Board?

18 A. Yes.

19 Q. When?

20 A. I've served on several. In
21 the military service, on University
22 Hospitals, Cleveland Clinic, IRBs in
23 other places, in medical groups.

24 Q. Do you currently serve on
25 any?

Page 107

1 spite of an unusual circumstance,
2 nothing bad is going to happen to me,
3 and I quit blinking. It involves
4 learning at a very fundamental level.
5 At a reflex level, if you will. It's
6 different than pursing the lips. She
7 does not learn. Each stimulus is novel.
8 It's like the first time all the time.
9 And if I had to do it once or five
10 times or 500 times, she'll blink.

11 The business of pursing is
12 the elicitation of a primitive reflex.
13 It is built in the human brain but
14 overcome with a maturation of the
15 frontal lobes. It is essential to the
16 well-being of a newborn because without
17 this sucking reflex, when contactual
18 stimulation occurs around the area of
19 the mouth, they tend to seek it as if
20 in grabbing a nipple. With damage to
21 the frontal lobes from anything, from
22 any kind of illness or condition or
23 dysfunction you could care to mention,
24 that reflex becomes recrudescant. It is
25 a so-called primitive reflex.

Page 109

1 A. No.

2 Q. Have you served as a
3 principal investigator in any research
4 projects?

5 A. Yes.

6 Q. In what -- can you paint for
7 me the picture of the types of things
8 that you have been an investigator on?

9 A. Yes, I've been investigator
10 in a number of drug trials that have to
11 do with stroke, hypertension, its
12 effects on the brain, diabetes, and
13 epilepsy, pain. Most of those have been
14 drug trials. Not all of them have been
15 drug trials. Some of them have looked
16 at the development of procedures and
17 instrumentation for measurement of
18 neurologic function.

19 Q. I noted that you were
20 provided with a copy of Dr. Lichten's
21 deposition?

22 A. Yes.

23 Q. Did you have any particular
24 criticisms of matters he discussed?

25 A. Not in a general way. If

Page 110

1 you have specific questions I'd be happy
2 to try to respond to them, but I don't
3 have a blanket report on his deposition
4 to offer you.

5 Q. In the materials you reviewed
6 there were really just a handful of
7 pages that you have specifically tabbed.
8 I assume they're your tabs, these bright
9 yellow tabs?

10 A. Can I take a look?

11 Q. Certainly. That's what I'm
12 going to want you to do. So turn them
13 around so you can take a look at them.
14 But my first question is are those tabs
15 placed by you?

16 A. I believe so. I will own
17 them. They look like my yellow paper,
18 but again this is fungible yellow
19 stick-ems.

20 Q. I understand that. I'm
21 trying to find out if you can tell me
22 the significance of your having tabbed a
23 page from the IRB guidelines book that
24 you were provided.

25 A. Well, this page is tabbed

Page 112

1 A. Yeah.

2 Q. The next page that you have
3 tabbed appears to be from the same book.
4 Can you identify what that is?

5 A. This is from the
6 Institutional Review Board Guidebook,
7 and it's a companion to the Code of
8 Federal Regulations.

9 Q. What is the significance of
10 what you have tabbed there?

11 A. Well, okay. It has to do
12 with tagging a paragraph that states,
13 The fact that much biomedical research
14 was conducted for the purpose of
15 evaluating new treatment or therapy
16 leads to two problems for IRBs.

17 Without reading all of
18 this, I can tell you what the problems
19 are. One is jurisdiction and the other
20 is safety. Those are the problems.

21 Q. What do you mean?

22 A. Jurisdiction is to let the
23 IRB know somehow where their
24 jurisdiction ends. And it's a
25 legalistic term, but it's what's used in

Page 111

1 because of the paragraph that begins,
2 The distinction between research and
3 treatment can become blurred in patient
4 care settings. A great deal of our
5 discussion today has dealt with this
6 blurry area. Blurry doesn't mean it's
7 impenetrable. It's not and it can't be.
8 It's simply the way that IRBs and people
9 connected with them as investigators or
10 members need to think and know how to
11 behave.

12 The Code of Federal
13 Regulations does very little, by the
14 way, to dispel the blur. They accept
15 the blur. Investigators can't. They
16 have to find their way through it.
17 They have to be able to answer in the
18 end, should this be research or not.

19 Q. I'm looking at your notebook
20 upside down, but it looks as though the
21 page you're looking at is out of the
22 Belmont Report?

23 A. This is out of -- it's
24 Institutional Administration.

25 Q. Okay.

Page 113

1 this legalistic textbook. This is not a
2 textbook of medicine. The answer to
3 that is, if it constitutes research,
4 then it's the province under the
5 jurisdiction of the IRB. It doesn't
6 tell the IRB or people how to solve
7 that problem. I've tried to pose a way
8 of doing that for you, which I think is
9 a reliable way, safe for investigators,
10 safe for institutions, and safe for
11 people to do. It is not my invention.
12 There's a world of people who use this.

13 And the other has to do
14 with issues of safety that rest in the
15 end upon the implications and the
16 implementation of doctrine of informed
17 consistent. Predicatory to that
18 implementation is the definition of a
19 bona fide question to ask or should be
20 asked. That's what this says.

21 Q. Okay. Next page that you
22 have a note on?

23 A. I don't think -- I'm not
24 sure where this got stuck, but it's on
25 -- it is from the Belmont Report and it

Page 114

1 addresses the same base, the boundaries
2 between practice and research. It's a
3 very nice exposition of saying what
4 those boundaries are without telling you
5 how to deal with them. It's largely an
6 ethical sort of things. It talks about
7 principles of autonomy, competency,
8 justice, benevolence, and so forth,
9 basic ethical principles to be applied
10 in performance of research involving
11 human beings.

12 MR. PARKER: Before I
13 move to the next topic, the first couple
14 of passages that Dr. Conomy described to
15 me came from a book entitled Guidebook
16 of -- I forget what. Bob, do you
17 anticipate having testimony from that
18 book at trial or showing him passages of
19 that to the jury?

20 MR. LINTON: I don't
21 know.

22 MR. LEUTHOLD: Okay. Then
23 at the end I'll be asking for a copy of
24 that publication.

25 MR. LINTON: I'm sure you

Page 116

1 to clarify that what we were reading a
2 few minutes ago and what you're
3 commenting on a few minutes ago was not
4 the Belmont Report per se. I believe
5 it's called Guidebook for Institutional
6 Review Boards?

7 MR. LINTON: He's
8 commenting from both. He first did the
9 guidebook, then he did the Belmont
10 Report. He's getting ready to go back
11 to the Belmont Report.

12 A. It's a product of both the
13 Bush Senior and Clinton administrations.
14 That's when it was done.

15 Q. Okay.

16 A. The Belmont Report is
17 earlier.

18 Q. That's right.

19 A. Okay.

20 Q. I understand that. I want
21 to go to the next page that you have
22 marked and simply find out the
23 significance of that page being marked.

24 A. Okay. I'm not sure it has
25 any significance. This was stuck on a

Page 115

1 have it, but you're welcome to have a
2 copy of whatever you want here.

3 MR. PARKER: I'm not
4 positive whether I have that particular
5 book in that particular version or not.
6 The Belmont Report I do, so I'm not
7 going to ask for what he's reading from
8 now. Let's go on to the same page.

9 THE WITNESS: The Belmont
10 Report.

11 MR. PARKER: Well, we'll
12 -- now let's make sure I understand
13 correctly. Right now you're reading
14 from the Belmont Report. But this --

15 MR. LINTON: That was the
16 guidebook.

17 MR. PARKER: The
18 guidebook.

19 THE WITNESS: You can get
20 it off the Web. That's where this came
21 from. I mean, it's published as a book
22 too. You can get it a lot of places.

23 MR. LINTON: We'll copy
24 it for him. We'll find out.

25 MR. PARKER: I just want

Page 117

1 page, and I'm not sure what I had it
2 stuck on, but it's a page that talks
3 about boundaries between practice and
4 research.

5 Q. It's a page out of the
6 Belmont Report?

7 A. Yes, it is.

8 Q. Okay.

9 A. It's not only a page. It's
10 the next several pages because it's a
11 long dissertation.

12 Q. Okay.

13 A. The next is the Code of
14 Federal Regulations, Title 45, that has
15 to do with the protection of human
16 subjects.

17 Q. And then the last page that
18 you have marked in that notebook?

19 A. It's general requirements for
20 informed consent. This is not an
21 original piece of work. It's the 10
22 principles cited by the Nuremberg trials
23 under the principles of informed
24 consent. They didn't just sit there and
25 make this stuff up. They had general

Page 118

1 requirements for informed consent. They
2 have the arrangement made a little bit
3 differently than the original
4 publication did, but the 10 elements in
5 the original Nuremberg publication are
6 all here and they're here in order.

7 Q. What's the document you're
8 reading from?

9 A. It's from CFR 45, part 46,
10 and this is a 1991 version.

11 Q. Okay.

12 A. Of such.

13 Q. And then in one of the other
14 black binders of materials you've
15 reviewed, we have a few more pages
16 marked with yellow tabs. Can you tell
17 me what the first page is that you have
18 marked.

19 A. Page 72 of the deposition, I
20 think it's a two-part deposition from
21 Dr. Rezai. There's something on this
22 page that might be under the tag.

23 Q. This is the April 2, 2001
24 deposition?

25 A. Can I look at this for a

Page 120

1 only done on appropriate candidate?

2 Answer: That's our intention.

3 There are a number of
4 comments like this that have to do with
5 multi-disciplinary teams, and then there
6 are some more that have to do with what
7 a person needs to be told. This goes
8 on to say do you need a psychologist,
9 should you have a neurosurgeon, do they
10 need to be performed, on and on. It
11 really covers things we've covered in a
12 portion of today's deposition.

13 Q. Okay. The next marker is
14 also?

15 A. Page 98.

16 Q. Dr. Rezai's deposition of
17 April 2, 2001, page 98, and just what's
18 the significance?

19 A. That's correct, Mr. Parker.
20 It has to do with success rates about
21 gamma knife surgery with particular
22 reference to obsessive compulsive
23 disease and the use of the Yale Brown
24 Obsessive Compulsive Index. It's a
25 standardized method of scoring that

Page 119

1 minute and try to remember what I
2 thought was important. You know, a lot
3 of this has to do with the availability
4 of yellow stickers more than it does
5 succinctness or importance. Sometimes I
6 have yellow stickers and sometimes I
7 don't. If I have yellow stickers while
8 I'm reviewing something on an airplane,
9 you're going to see a lot of yellow
10 stickers. If I don't have any, then
11 you don't see them.

12 Q. I can relate.

13 A. Not everything I thought was
14 important is marked with one of these
15 things. Okay. This talks about two
16 things. It talks about informed
17 consent. It's on all the page so I
18 don't have a particular sentence. It's
19 this section of his deposition.

20 Q. I'm just trying to find out
21 what the significance of that marker is?

22 A. Let me ask, would you agree
23 that the multi-disciplinary team puts in
24 place a system of checks and balances to
25 make sure that the surgery being done is

Page 121

1 attempts to numerically evaluate the
2 severity of symptoms, obsession,
3 compulsion, associated psychopathy,
4 depression and the like, with a standard
5 scale. I'm familiar with the scale. I
6 don't use it routinely in my practice,
7 but he's talking about the relative
8 success rates of certain procedures,
9 about cingulotomy and capsulotomy. And
10 if I may summarize Dr. Rezai's
11 testimony, because it's lengthy, he said
12 essentially they had about the same
13 success rates.

14 He was asked further about
15 the success rate of combined cingulotomy
16 and capsulotomy, and he didn't know that
17 and he didn't know of any literature
18 that pointed to the utility of that
19 particular innovative -- that word is
20 mine, not Rezai's -- combination of
21 procedures in treating this illness. He
22 wasn't aware of experience with that.

23 Q. Okay. The next page that
24 you have marked appears to be from Dr.
25 Rezai?

Page 122

1 A. Dr. Rezai, but this is the
2 September 14th, 2001, second part of
3 this deposition.

4 Q. It's around page 120 that
5 you have a marker and just what was the
6 general significance of that testimony?

7 A. It addresses his notion of
8 risk, and it's talking basically about
9 combined procedures and his own
10 experience with brain stimulation and
11 brain extirpation and a variety of
12 illnesses. They're talking about at
13 this point about combined capsulotomy
14 and anterior cingulotomy. They've
15 talked about a lot of other things and
16 it's a good deal of jumping around as
17 many depositions, including today's.

18 When he's asked about
19 this, he's asked about the combined
20 procedure, he said, I do not believe
21 that was the thing to do for my
22 patient, so that's why I didn't include
23 it. And why not? Answer: Because I
24 think it may carry an increased risk in
25 my opinion.

Page 123

1 He's asked about increased
2 benefits and in all fairness and he says
3 there may be, but he wasn't sure.

4 Q. Okay. The next document
5 that you have tabbed?

6 A. Yes, okay. I have -- this
7 is an affidavit.

8 Q. You actually have a tab
9 though on a particular page?

10 A. Okay.

11 Q. Identify what it is that's
12 been marked.

13 A. These are procedures.

14 MR. LINTON: This is the
15 Clinic's response to our Sixth Request
16 for Production of Documents, and it
17 specifically sets forth who was on the
18 IRB as of December 1998.

19 Q. Is there a particular reason
20 that's marked?

21 A. I want to know who they were
22 and who I knew.

23 Q. Okay. The next marking is
24 also attached to that document request
25 and this contains the Stagno study on

Page 124

1 cingulotomy that was submitted and
2 approved by the IRB in 1994. Is there
3 a particular reason that that page is
4 marked?

5 A. Well, that they had a study
6 on cingulotomy someplace. I don't know.
7 I've not seen it. And the CV of the
8 gentleman who is currently the chairman
9 of the IRB.

10 Q. I have not studied your
11 curriculum vitae you were kind enough to
12 -- actually Bob was kind enough -- to
13 give me at the beginning of this
14 deposition.

15 A. I'd be kind enough to do the
16 same thing. I'm at least as kind as
17 Bob.

18 Q. You absolutely are. Even
19 before the deposition started you gave
20 me that phone number where I could get
21 the updated copy that Bob is going to
22 get for me and provide to me, but the
23 reason I do this lengthy introduction is
24 because I'm apologizing in advance for
25 having to ask some basic questions.

Page 125

1 A. Quite all right. I'm
2 pleased that you do.

3 Q. You've already told me about
4 employment at the Cleveland Clinic in a
5 prior life you called it. Have you
6 served at the Cleveland Clinic --

7 A. Yes, I don't want to call it
8 a prior life. I really don't expect
9 recreation, but I was chairman from 1975
10 to '92, and I was operating room
11 technician and file clerk when I was a
12 teenager, so I've had a bimodal life.
13 I think it's a wonderful institution,
14 and my appreciation for them and what I
15 was able to do with many people working
16 in that group was a wonderful experience
17 and experience of a lifetime.

18 Q. Can you tell me what the
19 circumstances were that led to your
20 departure?

21 A. I didn't want to be a
22 department chairman the rest of my life.
23 I can't imagine you want to take
24 depositions the rest of your life.
25 Being a department chairman is a

Page 126

1 wonderful job. The average lifetime of
2 a department chairman at that level is
3 four years, and I did it for 17. I did
4 what I set out to do and more. And
5 then I just didn't want that life
6 anymore.

7 Q. Your reports are on a
8 letterhead called Health Systems Design.
9 What does that company do?

10 A. Well, company, I mean, I
11 wish it were quite like General Motors,
12 but it's not. It's me. It's a matter
13 of convenience that keeps my work and my
14 life organized. And it's devoted to a
15 number of things I do that touch upon
16 medicine, some upon law, that do not
17 deal directly with the care of patients.
18 That is not a medical organization.
19 It's a way for me to collect and to
20 keep in an orderly way for my own
21 purposes as well as the IRS and a
22 number of other things, such things as
23 consultation work of a variety of kinds,
24 publication, lecturing, and the
25 occasional appearance before you here

Page 128

1 you clinical practice of medicine?

2 A. It means the care of a
3 person with whom you've established by
4 one means or another direct, open,
5 implied or not, a patient physician
6 relationship with everything that tails
7 off of it, confidentiality,
8 availability.

9 MR. LINTON: I sense
10 we're going to another topic. I need
11 to take a two-minute break.

12 MR. LEUTHOLD: I think I
13 have one more question on this topic.

14 Q. Of your total professional
15 time, how much is spent in clinical
16 medical care?

17 A. I can tell you over the
18 course of a year, it's about 80 percent
19 in clinical work and 20 percent in other
20 things, but day-to-day it's sometimes
21 more or less. Today I'm not going to
22 do much clinical work except I'll visit
23 a hospital on the way home.

24 MR. LINTON: Today is
25 Saturday by the way for the record.

Page 127

1 today.

2 Q. Is it fair to say it's your
3 work other than your clinical medical
4 work?

5 A. Yeah, it's not the only
6 nonclinical work. I've developed the
7 same kind of separateness for people I'm
8 asked to see to evaluate personal
9 injuries, some for law firms, but
10 predominantly for work-related
11 organizations, for life planners, for a
12 whole variety of things, some of whom
13 are involved in litigation and most of
14 whom are not. And the reason for that
15 is to see that the billing for such
16 things which are not medical care, they
17 touch upon medicine in a fundamental
18 way, but they don't involve a patient
19 physician relationship, do not get
20 billed to health care providers and to
21 Medicare in places where I don't think
22 the billing ought to go. So it's my
23 effort to keep things straight. That's
24 what this is too.

25 Q. What does the term mean to

Page 129

1 MR. PARKER: We'll take
2 that break.

3 (Recess had.)

4 Q. Doctor, you've been very
5 forthcoming about specific procedures
6 and reviews that you feel should have
7 been done in this case. But I want to
8 ask this. Ultimately you have opinion
9 irrespective of the procedures and
10 reviews that you feel should have been
11 done. Do you have an opinion as to
12 whether or not for this patient
13 psychiatric surgery was appropriate and
14 indicated?

15 A. Let me deal with both of
16 them. Indicated would pend a thorough
17 review of a prior treatment and the
18 systematic fulfillment of criteria by a
19 group of experts. I don't think it was
20 indicated by the validation of
21 indicators. That's what I would use as
22 a guide rather than some surmise or
23 heartfelt opinion of my own. I'd rather
24 rely on data.

25 Indicated is another level

Page 130

1 of problem. What was done here --

2 Q. I'm sorry, I thought you
3 were just discussing indicated.

4 A. Indicators. I'm sorry.
5 Appropriateness was the other branch
6 that you asked me about.

7 Q. Yes.

8 A. In terms of obsessive
9 compulsive disorder, even pending that
10 kind of a review, the appropriate
11 procedures, at least as I understand it
12 by a standard of medical care, are
13 cingulotomy or limbic leucotomy or one
14 or another procedure, not this
15 combination of procedures. So I have
16 reservations as well about the
17 appropriateness of the procedures that
18 were done. My answer then is to
19 respond in the negative over both
20 branches of that question.

21 Q. I'm going to ask you if you
22 agree with the following statement:
23 Research means a systematic
24 investigation including research
25 development, testing, and evaluation

Page 132

1 therapy to particular individuals?

2 A. As far as it goes. The
3 purpose of practice also includes
4 somehow, some way the advancement of the
5 goals of medicine.

6 Q. Okay. Do you agree with the
7 following statement: The term research
8 designates an activity designed to test
9 a hypothesis, permit conclusions to be
10 drawn, and thereby to develop or
11 contribute to generalizable knowledge?

12 A. That's an operational
13 definition. It is what research does.
14 It does not say how you get to the word
15 leading to that operational definition,
16 how a thing operates.

17 Q. Does innovation in and of
18 itself constitute research?

19 A. Not necessarily. I think
20 when you look at the specifics of
21 research that pertain to human beings,
22 there is that kind of innovation in
23 which the outcome can be clearly
24 perceived. The use of two Band-Aids,
25 for instance, versus one on certain

Page 131

1 designed to develop or contribute to
2 generalizable knowledge.

3 A. That's what the word means.

4 Q. Okay.

5 A. You've given me an Oxford
6 English Dictionary first definition, of
7 which are many more to follow if you
8 care to look at where they got that
9 one. But that's what the word means.

10 Q. Do you agree with the
11 following statement: The term practice
12 refers to interventions that are
13 designed solely to enhance the
14 well-being of an individual patient?

15 A. Again, that's what the word
16 means, but it has no exclusivity
17 vis-a-vis research, that is it does not
18 the antithesis of research. One can do
19 both simultaneously and frequently do.

20 Q. Okay.

21 A. One does.

22 Q. Let me ask if you agree with
23 the following statement: The purpose
24 of medical practice is to provide
25 diagnosis, preventative treatment, or

Page 133

1 cuts. I don't want to use an
2 oversimplified example, but that would
3 be one. When innovation has an outcome
4 that can't be ascertained, verified,
5 clearly perceived, that is research and
6 whether there is the additional
7 component of the cognizable substantial
8 risk to the person undergoing it
9 triggers what institutions should do
10 relative to research, call it innovative
11 medicine, call it exploration medicine,
12 call it expansion. There are a number
13 of words. They constitute the same sort
14 of things in terms of their moral
15 imperative.

16 Q. In a similar vein, does the
17 fact that a procedure is new or untested
18 or different automatically place it in
19 the category of research?

20 A. No, it doesn't. Again,
21 let's look at the invention of a new
22 toothbrush. It may do what the old
23 toothbrush does, may do it supposedly
24 better, but there's no real consequence
25 to individuals along the way. Or its

Page 134

1 use may be, you know, clearly intended
2 with no risk.

3 Once the answer to the
4 question does it work becomes I don't
5 know and substantial risk anticipated,
6 then the question changes. Then it is
7 research under the spirit of clinical
8 research.

9 Q. Have you had any
10 communication with Tracy Wingate, do you
11 know who that is?

12 A. Is that a life care --

13 Q. Yes?

14 A. Somewhere in these multiple
15 volumes is a care plan, but I've had no
16 correspondence or contact, no.

17 Q. Have you specifically
18 reviewed the life care plan?

19 A. I've seen it. I have to
20 have it in front of me if I were asked
21 to answer questions about specifics on
22 it.

23 Q. Okay.

24 A. I looked it over. It's part
25 of the medical record.

Page 135

1 Q. Okay. I understand you've
2 looked at it. But I take it that as
3 you sit here today you don't understand
4 it to be your role to be the person
5 testifying as to this particular needs
6 in the life care plan?

7 MR. LINTON: I don't
8 think that's necessarily true.

9 A. I would answer the same
10 thing myself had I the opportunity. I
11 think vis-a-vis her neurologic
12 impairment and disability, it is my
13 role.

14 Q. Okay. When did you review
15 the life care plan?

16 A. Before I wrote a report.
17 It's embodied somewhere in these
18 records.

19 Q. Was it before your evaluation
20 or after your evaluation?

21 A. After all the -- review was
22 done after my evaluation of her. I did
23 not read a thing until I completed my
24 evaluation of her.

25 Q. Did you review the life care

Page 136

1 plan before or after your standard of
2 care report?

3 A. I think it was part of that
4 because it came incorporated in one of
5 these volumes.

6 Q. Okay.

7 A. There's again those -- that
8 collection incorporates everything that
9 I was sent. Sometimes it's an addendum
10 to somebody else's file.

11 MR. LINTON: We can check
12 the dates to make sure.

13 A. I don't know at what point I
14 did that, but it's part of the medical
15 records.

16 Q. Does Mrs. Zimmerman have any
17 chronic diseases or ailments or
18 conditions other than those that are
19 specifically related to the surgery.

20 A. I'm taking time to look over
21 my report. She's basically a healthy
22 lady and has at least known to me no
23 life threatening or limiting condition.

24 Q. Do you have an opinion as to
25 her expected duration of her life?

Page 137

1 A. Well, given proper care it
2 should be a normal span in terms of
3 years, but certainly not in terms of
4 quality of her life.

5 Q. What is she not getting in
6 terms of proper care now that she needs?

7 A. I don't know. I don't know
8 what kind of care she's getting now. I
9 don't know how she is now.

10 Q. Is she at heightened risk of
11 developing any significant diseases or
12 conditions that would affect her life
13 expectancy?

14 A. I don't think so. Left to
15 her own devices, issues of accidents and
16 derivative of clumsiness, weakness, and
17 poor judgment, I think need to be at
18 least surmised, but they can be
19 addressed with proper care and
20 treatment. It doesn't -- her condition
21 does not put her at greater risk for
22 the usual killers, heart disease,
23 cancer, the like.

24 Q. What do you charge for
25 review, preparing reports, testimony, et

1 cetera?

2 A. I charge \$500 an hour for
3 everything.

4 Q. Mrs. Zimmerman was on
5 antibiotics at the time of her surgery?

6 A. I think that's routine to
7 give people undergoing stereotactic
8 procedures a dose of one or another sort
9 of antibiotic.

10 Q. What are the effects of that
11 dosing of antibiotics with respect to
12 the development of infection?

13 A. Well, hopefully it's
14 preventative. That is the hope and
15 expectation rather than the fact.
16 Infections occur in spite of that.

17 Q. Are infections a risk of all
18 surgical procedures?

19 A. Yes.

20 MR. LINTON: Objection.

21 Q. Can the risk of infection be
22 eliminated by appropriate techniques or
23 is it a matter of minimizing?

24 MR. LINTON: Objection.
25 Talking about any infection or the

1 not a standard of care on the infection
2 issues. We had two of those yesterday.

3 MR. PARKER: He won't be
4 expressing an opinion as to whether or
5 not the probe was contaminated?

6 MR. LINTON: Correct.

7 MR. PARKER: Or whether
8 or not the skin was contaminated?

9 MR. LINTON: Correct. He
10 told you his opinion concerning timing,
11 but not cause.

12 MR. LEUTHOLD: That's fine.
13 He won't be giving an opinion as to
14 whether or not surgical prep was
15 appropriate?

16 MR. LINTON: Correct.

17 MR. PARKER: Usually when
18 I'm done I think of 100 more questions,
19 but right now I'm out of them. I
20 appreciate your being here today and
21 responding to my questions. And I do
22 want to get either a copy or the web
23 page site. I'll be happy to print my
24 own copy of that guideline book. I may
25 have it, but I don't recognize the book

1 infection in this case? The Klebsiella
2 and --

3 MR. PARKER: My question
4 stands as asked.

5 MR. LINTON: Same
6 objection. He is not here to give
7 standard of care opinions on the issue
8 of infection.

9 A. A sepsis is a principle of
10 surgery and medical care is designed to
11 eliminate infections. In effect,
12 infections are minimized by procedures
13 of sterility and prophylactic care.

14 Q. Do you have an opinion as to
15 whether or not the surgical probe was
16 contaminated?

17 MR. LINTON: Objection.
18 He's not here on the standard of care
19 as an infectious disease expert. He's
20 not giving opinions. This is improper.

21 MR. PARKER: Okay. If
22 he's not giving an opinion on that, then
23 I'll move on.

24 MR. LINTON: He's giving
25 causation and timing opinions, and he's

1 entitled that way.

2 MR. LINTON: I have a
3 couple follow-up questions.

4 EXAMINATION OF
5 JOHN P. CONOMY, M.D.
6 BY-MR. LINTON:

7 Q. First of all, Dr. Conomy,
8 for the record I took a break to go to
9 the rest room. You and I have not
10 talked at any time since Mr. Parker has
11 been examining you about the subject
12 matter of your testimony, have we?

13 A. We have not.

14 Q. Mr. Parker was asking you
15 questions from the Belmont Report,
16 whether you agreed or disagreed with
17 them, and he did not complete the
18 questions. I want to put that in front
19 of you and ask if you agree or disagree
20 with these statements. First of all, he
21 omitted the term experimental when he
22 read the statement, The fact that a
23 procedure is, quote, experimental in the
24 sense of new, untested, or different
25 does not automatically place it in the

Page 142

1 category of research.

2 You agree with that
3 statement?

4 A. Yes, I do.

5 Q. Do you agree if the further
6 statements from the Belmont Report that
7 were not read to you: Radically new
8 procedures of this description should,
9 however, be made the object of formal
10 research at an early stage in order to
11 determine whether they are safe and
12 effective. Thus, it is the
13 responsibility of medical practice
14 committees, for example, to insist that
15 a major innovation be incorporated into
16 a formal research project.

17 Do you agree with that
18 statement?

19 A. I do.

20 Q. Research and practice may be
21 carried on together when research is
22 designed to evaluate the safety and
23 efficacy of a therapy. This need not
24 cause any confusion regarding whether or
25 not the activity requires review. The

Page 143

1 general rule is that if there is any
2 element of research and activity, that
3 activity should undergo review for the
4 protection of human subjects.

5 Do you agree with the
6 remaining statements I just read to you
7 from the Belmont Report?

8 A. Yes, I agree with it. I
9 endorse it heartily. I think it's the
10 iteration in a legal document, if you
11 will, of an ethical principle guiding
12 medicine, particularly an innovation in
13 institutions which have a penchant, an
14 admirable penchant to innovation.

15 Q. Would you agree that the
16 procedure that Dr. Barnett performed on
17 Mary Lou Zimmerman was experimental in
18 the sense of it being new, untested, or
19 different?

20 A. Yes.

21 Q. Mr. Parker did not ask you
22 to go into any details about what was
23 required in the way of informed consent,
24 but do you have an opinion first of all
25 whether or not given the fact this was

Page 144

1 experimental, that it was novel, it was
2 untested, that it did require heightened
3 informed consent requirements?

4 MR. PARKER: Objection.

5 A. My answer, if I understand
6 the question, is that this is the type
7 of procedure that should have been
8 designed research and hence a heightened
9 attention to all of the principles
10 aforementioned should have been applied.
11 Having said that, I recognize that in
12 one of Dr. Barnett's notes there's a
13 note that to the effect a procedure
14 discussed with the patient and family.
15 That's not enough to know about how
16 these things are fulfilled.

17 I can tell from you my
18 own experience that when a procedure is
19 dignified by having an element of
20 research in it and institutional
21 committees become designated, these
22 things are spelled out in paper for
23 people to read and write. There is
24 frequently the use of an auditor witness
25 to make sure that patients and families

Page 145

1 understand exactly what these words
2 mean. Frequently medical words and
3 unavoidably medical words. So this is
4 what I mean by heightened attention to
5 the principles of informed consent.
6 They are written out and they are
7 certain that they are all understood.
8 Those principles are 10 in number and
9 I've already referred to them.

10 Q. Would that include the fact
11 that this combined procedure presents
12 increased risk to the patient?

13 A. Well, it provides an unknown
14 risk. The question -- the answer to
15 the question how risky is this, is I
16 don't know.

17 Q. How about success rates?

18 A. I don't know that either.
19 If there's nothing written about it or
20 published about it and people who are
21 recognized experts in the field of brain
22 stimulation, brain extirpations,
23 psychosurgery don't know either, I don't
24 know how the answer can be otherwise
25 than I don't know the answer to the

Page 146

1 question. Certainly my answer is I
2 don't know.

3 Q. We'll ask Mr. Zimmerman if,
4 in fact, he and his wife would have
5 consented to the procedure under those
6 circumstances. My question to you is
7 this: Do you have an opinion within a
8 reasonable degree of medical probability
9 as to whether a reasonable patient, had
10 they been told what was required, would
11 have consented to this combined novel,
12 untested, experimental procedure?

13 MR. PARKER: Objection.

14 A. My answer in all candor is
15 no. I think the question may never
16 have been reached because the whole
17 process of preliminary fulfillment and
18 the exposition of indicators isn't here.
19 That would have to be done first before
20 you even get to the question.

21 Q. Let me stop you just so --
22 let me stop you to make sure we're
23 clear of the question. My question was
24 simply do you have an opinion and you
25 said no. Are you saying you don't have

Page 148

1 you.

2 Q. (By Mr. Leuthold) I think I
3 heard you say that there was nothing
4 written about success rates for these
5 kinds of surgery.

6 A. There's plenty written about
7 the success rates of these kinds of
8 surgeries.

9 MR. LINTON: Separate
10 surgeries.

11 MR. PARKER: I'm asking
12 the question. Thank you.

13 MR. LINTON: I don't want
14 you to get confused. I'm assuming you
15 mean separate cingulotomy and
16 capsulotomy.

17 MR. PARKER: I'm
18 following up the specific statement I
19 heard him say. That's all my questions
20 is intended to do.

21 MR. LINTON: We need to
22 be specific on what you mean by
23 procedures.

24 MR. PARKER: You can ask
25 your questions.

Page 147

1 an opinion or are you answering no to
2 the ultimate issue?

3 MR. PARKER: Objection.

4 A. To the ultimate issue, not
5 to the question.

6 Q. The question first of all is
7 do you have an opinion?

8 A. Yes.

9 Q. What is that opinion, Doctor?

10 MR. PARKER: Objection.

11 A. That a reasonable person
12 would have at this point replied in the
13 negative. My response also includes the
14 notion that this is a procedure; it is
15 not an instant decision. It is not
16 irrevocable. It needs to be made in
17 stages and first stage is to do what's
18 preliminary; that is to ensure the
19 adequacy over time, quality and
20 intensity of treatments that do not
21 cause permanency and potential injury to
22 a person in terms of biological
23 alteration in the sense that surgery
24 does.

25 MR. LINTON: Okay. Thank

Page 149

1 MR. LINTON: I'm going to
2 object.

3 MR. PARKER: I'm going to
4 ask another question now.

5 MR. LINTON: I'm going to
6 object to the form of the question
7 because there's been no evidence at all
8 by anybody including the Cleveland
9 Clinic or its experts that there's any
10 literature concerning the combined
11 procedures at the same time in the same
12 segment. There's a request to admit
13 that has been answered in the
14 affirmative by court cord deeming that
15 commission. I don't want to confuse the
16 issue here. That's all. When you use
17 something like procedure, vaguely, it's
18 needs to be defined.

19 MR. PARKER: Are you
20 through?

21 MR. LINTON: I'm through.

22 MR. PARKER: Okay. I
23 was finished with my questioning until
24 you asked some and I heard a statement
25 and I'm following up to a statement.

Page 150

1 I'm going to follow up to another one.
2 Q. I heard you discuss
3 heightened requirements of informed
4 consent being necessary in this case,
5 correct.

6 A. Yes.

7 Q. And I take it that you were
8 referring at least in part to the fact
9 that if the procedure falls within the
10 federal regulations that we've
11 discussed, then there is an explicit
12 requirement for written informed
13 consent; is that true?

14 A. Most of the time. Certainly
15 in this case there would be. If it's a
16 procedure that involves minimal risk,
17 that is blood drawing or data
18 collection, then written informed
19 consent is not the rule. But in the
20 circumstances of this matter, written
21 informed consent is the rule.

22 Q. Okay. Now I want to ask you
23 this: If we set aside the federal
24 regulations that we've discussed, isn't
25 it true that Ohio law recognizes

Page 152

1 A. Yes, but again this is not
2 an appendectomy.

3 Q. Okay. Before this case have
4 you ever testified on the subject of the
5 Belmont Report?

6 A. Not the Belmont Report. I
7 have, if I may anticipate the next
8 question, about issues of informed
9 consent, but I can't cite the cases and
10 matters to you. I know it's come up.

11 Q. Well, I don't want to
12 anticipate the next question because
13 that wasn't anywhere near the next
14 question.

15 A. I can save us time.

16 MR. LINTON: Actually I
17 don't know if you're saving us time by
18 asking yourself more questions.

19 Q. That's exactly the problem is
20 you're not saving us more time. I want
21 to make sure I'm clear. You have not
22 previously testified on the subject of
23 the Belmont Report?

24 A. No, I have not.

25 Q. Have you ever served on the

Page 151

1 informed consent to be a process and
2 does not specifically require written
3 forms?

4 A. Yes and no. Informed
5 consent in general may not require a
6 written document. For commonly employed
7 procedures involving substantial risk,
8 Ohio and other states do not necessarily
9 require written informed consent.
10 Many institutions use it in spite of the
11 absence of a mandate of law in doing
12 that. There is to my knowledge no
13 exception in Ohio law for research
14 involving human beings. The reliance
15 still is on the federal regulation when
16 it comes to that.

17 So in this circumstance I
18 don't think Ohio law would waive --
19 you're asking me a legal question -- I
20 don't think the application of Ohio law
21 would waive the need for written
22 informed consent.

23 Q. I'm not arguing otherwise.
24 I'm simply trying to clarify what Ohio
25 law requires in a nonresearch situation.

Page 153

1 expert on the subject of Belmont Report?

2 A. Not with respect to
3 psychosurgery, no.

4 MR. PARKER: Thanks.
5 That's all I have. Thank you.

6 MR. LINTON: I want to
7 clarify the record.

8 Q. Mr. Parker was using the
9 term procedure or procedures. Let me
10 clarify. Dr. Conomy, are you aware of
11 any literature in which a capsulotomy
12 and a cingulotomy have been performed at
13 the same time in the same setting on a
14 patient with OCD?

15 A. No. I'm aware of their
16 combinational effects performed for
17 other reasons like tumor removal or
18 frontal lobe injury due to trauma, but
19 not for the purposes of psychosurgery.
20 I know of no such literature.

21 When I responded to his
22 question about procedures, I was not
23 responding to any knowledge I might have
24 -- of which I have none by the way --
25 about psychosurgery consisting of

1 cingulotomy and anterior capsulotomy
2 done bilaterally on the same person in
3 the same study. I know of no such
4 experience.

5 MR. LINTON: Thank you.

6 EXAMINATION OF

7 JOHN P. CONOMY, M.D.

8 BY-MR.PARKER:

9 Q. What's the physiologic
10 relationship between the subcaudate
11 tract and the anterior capsule?

12 A. Let me try to respond in the
13 following way: The anterior capsule
14 contains a number of fibers that deal
15 with behavior and memory among other
16 functions. They tend to run from the
17 cingulum through a portion of the
18 anterior internal capsule to other
19 targets in the brain, variety of places,
20 some of them eventually winding up a few
21 in the temporal lobe, some in the basal
22 nuclei in the forebrain, and in the
23 thalamus that run through that
24 particular loop. The anterior limb of
25 the interior capsule also contains a

1 anterior limb of the internal capsule.

2 What about the subcaudate tract?

3 A. The subcaudate tracts arise
4 underneath the caudate nucleus and kind
5 of underneath the putamen. They are in
6 proximity to the anterior limb of the
7 internal capsule, but separate from it,
8 not by which you can see by your naked
9 eye. You can't. But in terms of what
10 is really going on in terms of highways
11 and the traffic going over them, those
12 tracts largely arise in cell systems
13 originating in the prefrontal area,
14 particularly the basal forebrain in the
15 cortex, the part of the brain that's
16 right above your eyes and above your
17 nose. Also there are fibers running
18 through that area that come from certain
19 target zones in the dorsal medial
20 portion of the thalamus, ultimately in
21 some temporal lobe structures. But it's
22 also a region that contains intermixed
23 and intermingled with those fibers en
24 passage and inseparable from it nuclear
25 structures, the septal nuclei, the

1 number of other important tracts. Its
2 extirpation by any means damages by and
3 large fibers en passage; at least that's
4 its intent. It's surrounded by nuclear
5 structures, however, that have to do
6 with volitional movement, with strength,
7 continence of bowel and bladder.
8 They're all in the same place. When
9 one deals with lesions in the anterior
10 portion of the internal capsule, one
11 deals with this. Those fibers,
12 particularly those -- some coming from
13 the cingulum, but others come from
14 prefrontal area of the frontal lobe,
15 particularly its medial portion, have to
16 do with certain other aspects of one's
17 emotional behavioral life, such as the
18 initiation of activity including speech,
19 the maintenance of attention, the
20 ability to synthesize memory. So things
21 get very complex when you talk about the
22 fiber structure of the anterior limb of
23 the internal capsule much less what's
24 around it.

25 Q. You've discussed so far the

1 nucleus of diagonal band of Broca,
2 B R O C A, the substantia nomina, which
3 is white matter. It's kind of
4 interdigitated in those tracts and
5 inseparable from it. So the notion that
6 in a subcaudate tractotomy is one of
7 just cutting tracts is never quite true
8 because you can't avoid what else is
9 there. It is a somewhat separate, not
10 entirely discrete portion of cells and
11 fiber systems which have to do with
12 certain aspects of behavior.

13 Q. Okay. Do you know Dr.
14 Cosgrove, Rees Cosgrove?

15 A. Not personally. I know his
16 work. He writes a great deal about
17 this subject.

18 Q. Dr. Jenike?

19 A. Jenike I know. Again, I
20 don't know him personally, but I know
21 his work. He's one of the I think
22 outstanding people in the field of
23 obsessive compulsive disease, a
24 psychiatrist.

25 Q. And Dr. Cosgrove, do you

Page 158

1 know of his reputation in the field of
 2 neurosurgery?
 3 A. No, I wouldn't assume it's a
 4 good reputation, but I don't have a
 5 personal knowledge of that.
 6 MR. PARKER: Thanks.
 7 THE WITNESS: You're
 8 welcome.
 9 MR. PARKER: I'm done.
 10 MR. LINTON: He will be
 11 testifying on the life care plan. If
 12 you want any questions about that or
 13 not.
 14 MR. PARKER: Off the
 15 record.
 16 (Discussion off record.)
 17 MR. LINTON: I thought it
 18 was abundantly clear by now that Dr.
 19 Conomy will testify to Mrs. Zimmerman's
 20 neurological deficits and will testify
 21 to the life care plan as reasonable and
 22 necessary based on his examination.
 23 MR. PARKER: Okay. I'm
 24 satisfied with the record.
 25 .

Page 159

1 CEFARATTI GROUP FILE NO. 6703
 2 CASE CAPTION: MARY LOU ZIMMERMAN, et al.
 3 V. THE CLEVELAND CLINIC FOUNDATION, et
 4 DEPONENT: JOHN P. CONOMY, M.D.
 5 DEPOSITION DATE: MAY 4, 2002
 6
 7 (SIGN HERE)
 8 The State of Ohio,)
 9 County of Cuyahoga) SS:
 10 Before me, a Notary Public in and
 11 for said County and State, personally
 12 appeared JOHN P. CONOMY, M.D., who
 13 acknowledged that he/she did read
 14 his/her transcript in the above-
 15 captioned matter, listed any necessary
 16 corrections on the accompanying errata
 17 sheet, and did sign the foregoing sworn
 18 statement and that the same is his/her
 19 free act and deed.
 20 IN TESTIMONY WHEREOF, I have
 21 hereunto affixed my name and official
 22 seal at , this
 23 day of , A.D. 2002.
 24
 25 Notary Public Commission Expires

Page 160

1 . ERRATA SHEET
 2 PAGE LINE CORRECTION
 3 .
 4 .
 5 .
 6 .
 7 .
 8 .
 9 .
 10 .
 11 .
 12 .
 13 .
 14 .
 15 .
 16 .
 17 .
 18 .
 19 .
 20 .
 21 .
 22 .
 23 .
 24 .
 25 .

Page 161

1 CERTIFICATE
 2 .
 3 State of Ohio) SS.:
 4 County of Geauga)
 5 I, Kimberly K. Hargis, a Notary
 6 Public within and for the State of Ohio,
 7 duly commissioned and qualified, do
 8 hereby certify that the within named
 9 witness, was duly sworn to testify the
 10 truth, the whole truth and nothing but
 11 the truth in the cause aforesaid; that
 12 the testimony then given by the witness
 13 was by me reduced to stenotypy in the
 14 presence of said witness; afterwards
 15 transcribed, and that the foregoing is a
 16 true and correct transcription of the
 17 testimony so given by the witness.
 18 I do further certify that this
 19 deposition was taken at the time and
 20 place in the foregoing caption
 21 specified.
 22 I do further certify that I am
 23 not a relative, counsel or attorney for
 24 either party, or otherwise interested in
 25 the event of this action.

1 I am not, nor is the court
2 reporting firm with which I am
3 affiliated, under a contract as defined
4 in Civil Rule 28 (D).

5 IN WITNESS WHEREOF, I have
6 hereunto set my hand this day of
7 , 2002.

8 .

9 .

10 .

11 .

12 Kimberly K. Hargis, Notary Public
13 within and for the State of Ohio

14 .

15 .

16 .

17 .

18 My commission expires June 15, 2006.

19 .

20 .

21 .

22 .

23 .

24 .

25 .