

Scanned

State of Ohio,)
County of Cuyahoga.) - - -

Doc 114

IN THE COURT OF COMMON PLEAS

CARL J. WILLIAMS, et al.,)
Plaintiffs,)
vs.) Case No. 253,137
MERIDIA HURON HOSPITAL,)
et al.,)
Defendants.)

DEPOSITION OF JOHN PAUL CONOMY, M.D.
Monday, April 1, 1996

The deposition of JOHN PAUL CONOMY, M.D., a witness, called for examination by the Plaintiffs under the Ohio Rules of Civil Procedure, taken before me, Diane M. Stevenson, a Registered Professional Reporter and Notary Public in and for the state of Ohio, by agreement of counsel, at the offices of Reminger & Reminger Co., LPA, The 113 St. Clair Building, Cleveland, Ohio, commencing at 9:50 a.m., the day and date above set forth.

Diane M. Stevenson, RPR, CM
Morse, Gantvers & Hodse

1000

(



1 APPEARANCES:

2 On behalf of the Plaintiffs:

3 John A. Lancione, Esq.
4 Becker & Mishkind Co., LPA
5 Skylight Office Tower
6 1660 West 2nd Street, Suite 660
7 Cleveland, Ohio 441138 On behalf of the Defendant,
9 Meridia Huron Hospital:10 James L. Malone, Esq.
11 Reminger & Reminger Co., LPA
12 The 113 St. Clair Building
13 Cleveland, Ohio 44114

14 On behalf of the Defendant, Dr. Boyd:

15 Anna L. Carulas-Moore, Esq.
16 Jacobson, Maynard, Tuschman & Kalur
17 1001 Lakeside Avenue, Suite 1600
18 Cleveland, Ohio 44114

19 - - -

1 JOHN PAUL CONOMY, M.D.

2 A witness, called for examination by the
3 Plaintiffs, under the Rules, having been first
4 duly sworn, as hereinafter certified, was
5 examined and testified as follows:

6 CROSS-EXAMINATION

7 BY MR. LANCIONE:

8 Q. Would you state your full name for the record,
9 Doctor.

10 A. My name is John Conomy.

11 Q. Doctor, you know the rules. If you don't
12 understand a question I ask you, please tell me,
13 and I will rephrase it **so** that we can be sure
14 that you give an answer to a question you
15 understand.

16 A. Yes.

17 Q. Doctor, you are a neurologist?

18 A. That's correct.

19 Q. Board certified?

20 A. Yes.

21 Q. When were you certified, Doctor?

22 A. In 1972.

23 Q. Doctor, could you tell me the nature of your
24 current clinical practice?

25 A. I see patients on an outpatient schedule three

1 days per week. I see between 30 and 70 persons
2 per week, depending on the nature of the schedule
3 that week. I see patients in consultation and in
4 hospital, as well.

5 I also do some administrative work in the
6 medical group that I am in. I am the Director of
7 the Office of Professional Affairs for Innova
8 Medical Services.

9 Q. What hospitals do you currently have admitting
10 privileges?

11 A. University Hospitals of Cleveland, Deaconess
12 Hospital, Southwest General Hospital.

13 Q. Where is your clinical office where you see
14 patients on an outpatient basis?

15 A. My primary office is at the Ridgemark Medical
16 Building on Northcliff Avenue.

17 Q. This Health Systems Design at 75 Quail Hollow
18 Drive, first of all, what is Health Systems
19 Design?

20 A. Health Systems Design is a consulting corporation
21 of which I am the president, the only employee,
22 chief cook and bottle washer and cleaning
23 service. It deals with all of those things that
24 I do outside of the practice of medicine, particu-
25 larly consulting work with medical institutions

1 and medical groups, teaching, writing, lecturing,
2 and the occasional law-related work that I do.

3 Q. What percentage of your professional time is
4 dedicated to the active clinical practice of
5 neurology?

6 A. Approximately 80 percent or more.

7 Q. What percentage is dedicated to teaching, if any?

8 A. Well, it is variable, and it is hard to estimate.
9 But I think over the year probably it averages 10
10 percent or so.

11 Q. And administrative and other matters related to
12 Health Systems Design would constitute the other
13 ten percent?

14 A. Generally evenings and weekends, five or ten
15 percent. It is not a major activity.

16 Q. What about research, do you do any medical
17 research?

18 A. Not any longer. I did for a period of time. I
19 was heavily involved in research relative to
20 Alzheimer's and epilepsy, and occasionally
21 stroke-related studies now. But I am not heavily
22 involved in research any longer.

23 Q. In your clinical practice, do you have any
24 partners or people that you practice with?

25 A. I am a member of a medical group. There are

1 approximately 60 members within that group. They
2 are people with whom I am closely associated.

3 Q. What is the name of that group?

4 A. It is called Innova Medical Services.

5 Q. Does that provide a large or a broad spectrum of
6 medical services in different specialties?

7 A. Yes, it does.

8 Q. So in addition to you, as a neurologist, there
9 are other subspecialists?

10 A. About half of the group are primary care
11 specialists, and the other half are a variety of
12 medical and surgical specialists.

13 Q. How long have you been affiliated with Innova?

14 A. For the past three years.

15 Q. Prior to that, who were you with?

16 A. I was Chairman of The Cleveland Clinic Department
17 of Neurology for 18 years prior to that. And
18 through the course of time I have also been
19 associated with University Hospitals of
20 Cleveland. I hold a Professorship of Clinical
21 Neurology at that institution.

22 Q. Do you currently hold any administrative
23 positions now?

24 A. As I said, I am Director of the Office of
25 Professional Affairs for our medical group.

1 Q. Other than Innova?

2 A. No.

3 Q. Doctor, what professional societies or
4 associations do you belong to?

5 A. I belong to many of them. I will mention some.
6 The American Medical Association, the American
7 Academy of Neurology, the American Neurological
8 Association, the Society for Neuroscience,
9 Clinical Neuroscience Society, and Society of
10 Clinical Neurologists. I am a member of the
11 Stroke Council of the American Heart Association,
12 as well.

13 Q. Has the topic of strokes been a specialty area of
14 interest for you in your practice?

15 A. Yes, it has been.

16 Q. I don't have your CV, so I haven't had a list of
17 your peer review publications, but approximately
18 how many publications of that nature have you
19 authored?

20 A. There are approximately 150 publications, I think
21 half a dozen books, and perhaps a dozen more book
22 chapters.

23 Q. Any books specifically dedicated to the topic of
24 stroke?

25 A. Yes.

1 Q. Which ones?

2 A. There is one on cerebrovascular disease. I was a
3 series editor. It was written by me with
4 colleagues of mine. That was published by
5 Elsevier, E L S E V I E R, North Holland Press, I
6 believe, in the early 1980s. And there are
7 several book chapters dealing with stroke and
8 stroke-related problems, also, in addition to a
9 number of clinical papers.

10 Q. Are the stroke chapters identified in your CV?

11 A. Yes, they are.

12 Q. Doctor, in your career as a neurologist, how many
13 medical malpractice cases have you reviewed for
14 attorneys?

15 A. I think over the years, Mr. Lancione, I have
16 probably been asked to look at 100 cases.

17 Q. Over what period of time would that involve?

18 A. Well, since I have had a medical degree.

19 Q. Which was when?

20 A. 1964.

21 Q. During that same time frame, Doctor, how many
22 depositions have you given in medical malpractice
23 cases?

24 A. I suspect I have given about 50.

25 Q. How many times have you testified at trial,

1 either bench or jury trial?

2 A. Far fewer. Half a dozen or less.

3 Q. Doctor, as far as parties for whom you testify,
4 what is the ratio of plaintiff versus defendant?

5 A. Well, it is quite easily split, but I want to
6 tell you that not all of those are malpractice
7 cases, in fact, the minority of them are. A lot
8 of them have to do with other issues in medicine
9 other than medical malpractice.

10 Q. Which cases are we talking about, the 100 or so
11 cases you have been involved in as an expert, or
12 the 50 or so depositions, or the six or fewer
13 trial testimony?

14 A. All of the above.

15 Q. My initial question was specifically directed to
16 medical malpractice cases.

17 A. I would say of the court appearances, half of
18 them it seems to me have involved medical
19 malpractice, perhaps two-thirds. I don't know
20 precisely. Of the depositions, I would think,
21 again, about half or less have involved medical
22 malpractice.

23 Q. And of the overall cases you reviewed involving
24 litigation, about the same, about half?

25 A. About the same, yes.

1 Q. And as far as in medical malpractice cases alone,
2 what is the percentage of cases you have done for
3 plaintiff versus defendant?

4 A. It is evenly divided.

5 Q. I thought you said "easily divided" before.

6 A. Evenly.

7 Q. Okay. Approximately how many cases, medical
8 malpractice cases, have you reviewed for my old
9 firm, Spangenberg, Shibley, Traci, Lancione &
10 Liber?

11 A. I suspect I have reviewed a half a dozen. I hope
12 you don't ask me to name them all. I can't.

13 MR. MALONE: Actually, **you**
14 probably shouldn't name them. Some of them might
15 be cases that you haven't been disclosed as a
16 reviewer, unless you testified.

17 A. Fortunately, I have a faulty memory and couldn't
18 do it even if you asked.

19 Q. Have you reviewed any medical malpractice cases
20 for Howard Mishkind of my firm?

21 A. Yes, I have.

22 Q. How many, approximately?

23 A. I don't know if I have done any since he has been
24 with your current firm. He asked me to look at
25 one case recently, but I didn't feel I could be

1 helpful to him.

2 When Mr. Mishkind was with Mr. Weisman, I
3 had one. Perhaps it was more than one, but I
4 recall one case with him.

5 Q. How about for the Reminger & Reminger law firm,
6 how many medical malpractice cases have you
7 reviewed for them?

8 A. I suspect half a dozen over the years.

9 Q. What about for the firm of Jacobson, Maynard,
10 Tuschman & Kalur?

11 A. They have become associated with Physicians
12 Insurance Companies, **so** I am not sure about
13 them. I would be guessing to tell you six, but I
14 think it is probably somewhere in that range.

15 Q. With whom do you have your medical malpractice
16 insurance?

17 A. PIE.

18 Q. Have you had any contact with Anna Carulas
19 concerning testifying in this case in the event
20 that Meridia Huron Hospital is dismissed from
21 this lawsuit?

22 A. No, I have not.

23 Q. Doctor, what is the rate scale that you use for
24 your work in medical malpractice cases and
25 testifying and reviewing?

3 A. It is \$350 an hour for any purpose.

2 MR. MALONE: That is in good
3 weather. But this is a blizzard, so we are
4 probably going to have to add on a little bit to
5 compensate for the hassle.

6 Q. What about trial testimony; is that the same?

7 A. The same.

8 Q. Can you tell me the percentage of your income
9 that is derived from work in medical malpractice
10 cases?

11 MR. MALONE: I will show an
12 objection. Doctor, you can answer that if you
13 wish. I mean, it is up to you. I don't think
14 you have to answer it.

15 A. It is about five or six percent of my income
16 every year.

17 Q. Thank you. In this case do you plan on
18 testifying live in trial?

19 A. If I am asked to do so and it is possible for me
20 to do so, yes.

21 Q. Doctor, let's turn now to your report and your
22 opinions in this case concerning Carl Williams.

23 MR. MALONE: Everybody has a copy
24 of the record.

25 Q. In the second full paragraph on the first page

1 you just mention some things, gonorrhea, duodenal
2 ulcer disease, and allergy to aspirin as far as
3 his history. What is the significance of those,
4 if any, with respect to his current problems?

5 A. Well, it is simply a review of his health. Those
6 things were noticed as part of his history. He
7 has duodenal ulcer disease, which is a factor in
8 treating people prophylactically for arterial
9 disease, because many of the agents used to do it
10 can irritate that.

11 The history of gonorrhea may or may not have
12 had something to do with the reason he was being
13 treated, the mechanical penile prosthesis. The
14 allergy to aspirin becomes figurative in the
15 prophylaxis of vascular disease.

16 But the most important point in that history
17 is his long-standing history of heavy cigarette
18 smoking and very serious chronic obstructive
19 pulmonary disease or emphysema.

20 Q. You note that his blood pressure at the time of
21 admission was 116 over 80. Do you consider this
22 to be a baseline normal blood pressure for this
23 patient?

24 A. It is hard to say what his baseline normal blood
25 pressure was because there is some variability in

1 blood pressure. Suffice it to say there is no
2 clear evidence in the record of severe or
3 constant or outstanding hypertension. His blood
4 pressure did fluctuate, however, from numbers
5 slightly lower than this to many that were
6 somewhat higher.

7 Q. During what period of time?

8 A. During both his hospitalizations.

9 Q. Do you have any information about his blood
10 pressure prior to his admission on December 19,
11 1991?

12 A. No, I don't.

13 Q. The nursing note that Mr. Malone referenced in
14 his letter to you on page two at 1:45 where his
15 blood pressure was 170 over 106, pulse was 120,
16 and his respirations were 37, do you consider
17 those to be abnormal vital signs for this
18 patient?

19 A. They are abnormal for any patient.

20 Q. Do you have an opinion as to the cause of those
21 abnormal vital signs?

22 A. I would have to turn to the note. Mr. Malone's
23 introductory letter to me is not part of the
24 medical record. While introductory, it is not a
25 source document.

1 Q. All right. That is 12/21/91 at 11:45?

2 A. Yes.

3 Q. The ones that I have highlighted in blue?

4 A. Yes.

5 Q. Do you have an opinion as to the cause of those
6 abnormal vital signs?

7 A. Well, he was extremely short of breath. I
8 suspect that the elevation of blood pressure,
9 tachycardia, and increased respiratory rate have
10 to do with a manifestation of his lung disease.

11 Q. Doctor, is there any other note in the chart
12 besides the note for this December 19 to
13 December 21, 1991 admission that indicates that
14 the patient was ever short of breath?

15 A. I believe on that entry there is clear notation.

16 Q. My chart may not be organized as well as yours,
17 so if you want to go into yours or work with Jim
18 -- in fact, that is my daughter's note right
19 there from vacation.

20 MR. MALONE: It says, "I love
21 Dad." That was part of the hospital chart.

22 A. I suspect that that is true, informative, and I
23 compliment you, and I will turn to my chart,
24 which does not contain such things.

25 Again, without belaboring every time it is

1 mentioned, I am looking at the discharge summary
2 from the first admission. There is a history of
3 shortness of breath, so those terms are used.

4 And his history of chronic obstructive
5 pulmonary disease is noted in that record. It
6 was noted at the time of admission, as well.

7 Q. As far as a nursing note or a finding by any of
8 the medical staff that was caring for the patient
9 during that hospital stay -- I know that it may
10 be part of his history prior to going into the
11 hospital that may indicate some shortness of
12 breath, but as far as any indication by a nurse
13 or an observation by a nurse, can you point to
14 any in the chart?

15 A. Well, there is observation by doctors. Do you
16 want to count those, or just nurses?

17 Q. Let's start with the nurses first.

18 A. Do you want to hand me the nursing note so --

19 Q. Well, I have tabs and notes all over mine.

20 A. Well, then let me see what you are looking at.

21 Q. I am asking you -- I don't find any. I would
22 like to know where you are finding these notes of
23 shortness of breath.

24 A. I have already mentioned to you I think a doctor
25 is as credible as a nurse of shortness of breath.

1 It is mentioned repeatedly. This man had chronic
2 obstructive pulmonary disease and is a smoker for
3 50 pack years. That is good enough for me.

4 Q. On page two of the surgical history and physical
5 as far as under the heading of "Present Illness,"
6 there is a note there that the patient denies
7 complaints of shortness of breath?

8 A. Also states chronic obstructive pulmonary
9 disease, 50 pack years.

10 Q. You are saying just because he has COPD and has
11 smoked 50 pack years he is going to have
12 shortness of breath?

13 A. Yes, I go to the cross, absolutely.

14 Q. In a patient with COPD, can abdominal distension
15 cause shortness of breath?

16 A. Well, if abdominal distension is severe enough,
17 it can cause shortness of breath in anyone.

18 Q. In your report in the third full paragraph you
19 said in the third sentence, "At the time of his
20 discharge, asthma was again noticed, and he was
21 visibly short of breath."

22 A. Yes.

23 Q. Did this patient have asthma, as well?

24 A. Yes, he does.

25 Q. Could this shortness of breath be due to his

1 distended abdomen?

2 A. I think not.

3 Q. Why not?

4 A. Because abdominal distension is not a necessary
5 condition in a person who enters the hospital
6 with a history of 50 pack years of smoking, is
7 known to have chronic obstructive pulmonary
8 disease, and has wheezes and rales when he is
9 examined. So I don't think it is necessary to
10 read in another factor. That is enough.

11 Q. Well, didn't this patient have abdominal
12 distension at the time of discharge?

13 A. Yes, he did.

14 Q. So you are saying you don't believe that his
15 abdominal distension had anything to do with the
16 shortness of breath at the time of the discharge?

17 A. No, not at that point I don't believe it did.

18 Q. Do you have an opinion as to the cause of his
19 abdominal distension that was noted at the time
20 of discharge?

21 A. Yes.

22 Q. What is that?

23 A. Well, he had an illness. I suspect it had to do
24 with the medications that he was given for
25 discomfort after his penile surgery. It may have

1 had to do with manipulation during the surgery
2 itself. It is a common condition following
3 pelvic and abdominal surgery.

4 Q. Do you have an opinion as to when the ileus
5 developed?

6 A. During his first hospitalization, yes.

7 Q. So it is your opinion that prior to his discharge
8 on December 21, 1991, he had post-operative
9 ileus?

10 A. Yes. In retrospect I would say that, yes.

11 Q. In retrospect?

12 A. Yes.

13 Q. As far as there being sufficient signs and
14 symptoms of post-operative ileus prior to his
15 discharge on December 21, 1991, do you feel that
16 that was a diagnosable condition prior to his
17 discharge on the 21st?

18 A. Yes, I do. It is a common condition diagnosis.

19 Q. In this patient, was it diagnosable in this
20 patient?

21 MS. CARULAS-MOORE: Note my
22 objection.

23 A. Yes.

24 Q. Are you critical of anybody for not making the
25 diagnosis of post-operative ileus prior to his

1 discharge on December 21, 1991?

2 A. Well, although the words aren't used, he is known
3 to be uncomfortable, distended, he is given a
4 suppository, so although the word "ileus" may not
5 have been used, I think the condition was recog-
6 nized, and he was treated for it. He was given a
7 suppository.

8 Q. Is that an acceptable treatment for ileus, the
9 administration of a suppository?

10 A. Again, you are dealing with an area of the human
11 body that is about four feet south of where I
12 spent most of my professional career, thank you.

13 But I am aware of it as a universal source
14 of complaint and discomfort, and it is extraordi-
15 narily common to be a bit constipated and a bit
16 bloated after a surgical procedure such as this.

17 Q. Do you feel that you can give opinion testimony
18 based on a reasonable medical probability
19 concerning ileus -- do you feel you possess the
20 expertise to opine about that to a jury?

21 A. It is a general medical problem. I don't profess
22 to be a medical expert in gastroenterology or
23 urology, but it is a common problem in hospital-
24 ized patients to be constipated and bloated.
25 That is not a reason, in my opinion, to keep

1 people in the hospital, standing on its own.

2 If the inability to have a fulfilling bowel
3 movement were a condition of retaining people in
4 the hospital, every bed in the United States of
5 America would be filled today and never empty for
6 the rest of eternity.

7 Q. Is ileus a good condition to keep a person in the
8 hospital, post-operative ileus?

9 A. It depends. I mean, ileus, as I said, is an
10 extraordinarily common event. Most ileus will
11 solve itself without the necessity of interven-
12 tion, and certainly without the sorts of
13 extraordinary illness that arose here.

14 Q. So would you feel that it would have been
15 acceptable, had the diagnosis of post-operative
16 ileus been made, for Dr. Boyd to discharge this
17 patient even though he had made a definitive
18 diagnosis of post-operative ileus?

19 A. Yes, I do.

20 Q. In a patient with a post-operative ileus, will
21 bowel sounds be audible?

22 A. It depends on the degree of ileus, its intermit-
23 tency or constancy. It might be hypoactive. It
24 may be absent. They may be rushed from time to
25 time.

1 Q. Sluggish, that is hypoactive?

2 A. It is hard to sound sluggish.

3 Q. Did you note in the chart --

4 A. Hypoactive, yes.

5 Q. Did you note sluggish bowel sounds?

6 A. Yes.

7 Q. The passage of the glycerin suppository tweaked
8 with minimum amount of stool, does that
9 constitute a bowel movement in this patient?

10 A. Well, it is a disgusting notation, it is not a
11 bowel movement. It is the anal expectoration of
12 something that was put there in an effort to have
13 him move his bowel. It is not a bowel movement.

14 Q. Do you have an opinion as to the cause of this
15 patient's respiratory decompensation?

16 A. Yes.

17 Q. What is it?

18 A. Severe long-standing chronic obstructive
19 pulmonary disease.

20 Q. Do you have an opinion as to the cause of this
21 patient's left lower lobe pneumonia?

22 A. The same. He doesn't aerate or ventilate his
23 lungs in anything approaching normal fashion. He
24 is a sitting duck for pneumonia.

25 Q. Do you believe that this patient was septic at

1 any time during his second hospitalization?

2 A. Yes, I do.

3 Q. Do you have an opinion as to the cause of his
4 sepsis?

5 A. Again, his lungs are less than a sterile field,
6 and I suspect the source of the sepsis was his
7 respiratory tree.

8 Q. Did his respiratory acidosis and carbon dioxide
9 retention have anything to do with the sepsis?

10 A. They have everything to do with the lung disease.
11 They grow as branches of the same tree, if you
12 will.

13 Q. Do you have an opinion as to the cause of his
14 neurological complications, the seizure and the
15 stroke?

16 A. Well, you have just precluded my answer, so I
17 will agree with your summary, he had a stroke.

18 Q. Do you agree that he had seizures?

19 A. Yes.

20 Q. Do you agree that he had a stroke?

21 A. Yes.

22 Q. What kind of stroke?

23 A. The kind that comes from arterial occlusion, a
24 vessel in his brain.

25 Q. What was the cause of the arterial occlusion? Do

1 you have an opinion as to that?

2 A. Yes, I do.

3 Q. What is the opinion?

4 A. Well, he is not young. He stands on the brink of
5 being elderly. He may or may not be hypertensive,
6 Based on a review of his blood pressures, I don't
7 know. It is extraordinarily likely that he has
8 coronary heart disease, based on his cardiograms.

9 So the combination of those things and heavy
10 cigarette smoking, all of which are risk factors
11 for stroke; age, heart disease, smoking, possibly
12 his blood pressure, form the substrate for stroke
13 in him as they do for most other patients who
14 have strokes.

15 Q. **As** far as the actual mechanism, was it a clot or
16 was it some other obstructing mechanism for this
17 stroke?

18 A. That is a bit more difficult to say. But under
19 these circumstances, the usual cause is
20 obstruction of an extracranial blood vessel. The
21 same obstructive process may happen to vessels
22 inside the brain. I am not able from this record
23 to say which is more likely.

24 Q. Do you have an opinion as to whether his
25 neurological problems are permanent?

1 A. Yes, I do.

2 Q. What is your opinion?

3 A. I have not seen him, but I suspect that some
4 degree of paralysis and other neurologic
5 impairments are likely to be permanent.

6 Q. Doctor, are you saying that even had this patient
7 not had his penile implant surgery that he would
8 have had a stroke in 1992?

9 A. What I am saying is this: He is a person that
10 comes loaded with risk factors for stroke. It is
11 not possible for me to link up the events of his
12 ill health, penile implant, ileus, repeated
13 pulmonary decompensation, and sepsis that ends in
14 stroke. Whether he would have or would not have,
15 I don't know.

16 But I don't believe that this series of
17 events is causally linked to his stroke.

18 Q. Would you agree that it would be impossible to
19 predict prior to his penile implant surgery that
20 he was going to have a stroke?

21 MR. MALONE: Objection. He has
22 already answered this at length. He is loaded
23 with risk factors for stroke. He can answer. I
24 am sure he can handle the question.

25 A. Would you mind restating the question?

1 Q. Do you agree it was impossible to predict that
2 this patient was going to have a stroke in
3 January of 1992 prior to having his penile
4 implant surgery?

5 A. It would be impossible to predict time. I don't
6 think it would be entirely impossible to predict
7 likelihood, given the risk factors that I have
8 already mentioned. He is a candidate for stroke
9 and for heart attack, given his history. Now,
10 when those things could have happened could have
11 been at any time.

12 Q. It could have been ten years from January of '921

13 A. No, I don't think ten years, if you add in
14 advancing age with all of the other risk factors,
15 it shrinks things down, it enlarges the likeli-
16 hood from a lower to a higher risk number.

17 Again, he is laden with risk factors for
18 vascular disease, of which stroke is one
19 manifestation.

20 Q. So it is your opinion that at some time in this
21 man's lifetime he was going to have a stroke had
22 he not had one in January of 1992?

23 A. Again, that would be knowledge in the mind's eye
24 of The Almighty alone. It is more likely that he
25 would drop dead of a heart attack. But stroke is

1 not unlikely, either, In fact, it is very likely.

2 In fact, which one when, I can't tell you.

3 Q. Was the complication of a stroke a foreseeable
4 event prior to this implant surgery?

5 A. I believe not.

6 Q. I am sorry?

7 A. I believe not.

8 Q. These risk factors for stroke, were they factors
9 that should have been taken into consideration by
10 Dr. Boyd prior to this penile implant surgery?

11 A. They have been, and there is no reason for me to
12 think that they are not. These risk factors are,
13 in general, not a contraindication for a local
14 operation for the insertion of a penile prosthesis,
15 or procedures like it.

16 Q. Do you feel that his respiratory decompensation
17 put him at higher risk for a stroke than he had
18 before he had respiratory decompensation?

19 A. Well, he has apparently had respiratory decompen-
20 sation going right along. It happened during his
21 first hospitalization.

22 The respiratory decompensation itself is not
23 going to cause the arterial lesions that lead to
24 stroke, A combination of events that may occur
25 during the course of respiratory decompensation,

1 profound prolonged hypotension may, but that
2 didn't happen to him, either.

3 Q. Hypotension does increase the risk for stroke?

4 A. Yes, it does.

5 Q. Does sepsis increase the risk for stroke?

6 A. It may if, again, it is associated with profound
7 and prolonged hypotension, hypertension, or
8 associated with infection of a heart valve,
9 which, again, are not conditions pertinent to
10 Mr. Williams.

11 Q. Can sepsis lead to hypercoagulation?

12 A. It may.

13 Q. Doctor, have you reviewed any of the reports of
14 any of the plaintiffs' experts in this case?

15 A. Mr. Malone showed me a report or two. I have not
16 reviewed them. I came in and saw I think reports
17 obtained by you from various people. I have not
18 studied them.

19 MR. MALONE: I showed him a report
20 by the guy from Baltimore, Marguilies, and from
21 Dr. Sodeman, the guy from Toledo. But **that** was
22 showed to him this morning.

23 I said, "Here, this is what they seem to say
24 happened." Surprisingly, he didn't change his
25 opinion when he glanced at them.

1 BY MR. LANCIONE:

2 Q. Doctor, did you do any research in any literature
3 prior to rendering your opinions to Mr. Malone in
4 this case?

5 A. No, I didn't, Mr. Lancione.

6 Q. Is there anything in any medical literature that
7 supports your opinions that you can specifically
8 point to here today?

9 A. Other than pointing to a body of it, no.

10 Q. Doctor, had this patient not had respiratory
11 decompensation beginning around the 23rd of
12 December of 1991, would he have had a stroke in
13 January of 1992?

14 A. That is impossible for me to say. It is nice and
15 somehow rational to think that the so-called
16 "stress," I put that in quotes, of severe and
17 recurrent illness, as well as other stresses,
18 lead to stroke.

19 When that hypothesis is put to the test, it
20 tends not to bear up. Strokes, it would appear,
21 can, even under these circumstances, be random
22 and unconnected events in a person who is prone
23 to having them.

24 Q. I am just having a little difficulty understand-
25 ing your opinion as to why you do not feel there

1 is any causal relation between the complications
2 this gentlemen had after his penile implant
3 surgery and the stroke.

4 Are you saying, and I asked this before, but
5 I really don't understand your answer, I am going
6 to ask he again --

7 MR. MALONE: I am going to object
8 to the speech by counsel.

9 MR. LANCIONE: I am trying to get
10 to the bottom of it.

11 MR. MALONE: I understand what you
12 are doing. Let me protect the record. Those
13 kinds of speeches have no places in the transcript
14 You can ask your questions, and give final
15 argument to the jury.

16 MR. LANCIONE: All right, Jim.

17 MR. MALONE: Thanks.

18 BY MR. LANCIONE:

19 Q. Now, had the patient not had his respiratory
20 decompensation and not had this septic picture
21 that we were shown, are you saying that he still
22 would have had a stroke in January of 1992?

23 A. I am saying for the following reasons -- again, I
24 am not sure of January of 1992. He could have
25 had one in January of 1991 or never. I don't know

1 that -- the cause of stroke is arterial obstruc-
2 tion. Its basis is atherosclerosis. That is not
3 caused by sepsis and not caused by pulmonary
4 decompensation.

5 Mr. Williams has a set of illnesses, all of
6 which are severe, but not necessarily connected.

7 Q. Assuming that he would have had an uncomplicated
8 post-operative course following the penile
9 implant surgery, was discharged without any
10 complaints, without post-operative ileus, can you
11 say, based upon reasonable medical probability,
12 that he would have had a stroke in January of
13 1992?

14 MR. MALONE: Object. Again, this
15 has been asked and answered I think several
16 times. But please, Doctor, go ahead.

17 A. Well, he is just as stroke-prone without the
18 ileus and without the penile prosthesis as he was
19 with those things. I can't say for sure.

20 Mr. Williams carries a heavy pack of risk
21 factors for stroke and heart disease.

22 Q. And it was these risk factors and not any of his
23 complications, including the respiratory
24 decompensation, the sepsis, that caused the
25 stroke?

6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- -
MR. MALONE : Do you want to read
it?

THE WITNESS: Yes, please.

- - -

(DEPOSITION CONCLUDED.)

JOHN PAUL CONOMY, M.D.

- - -

CERTIFICATE


State of Ohio,)
) SS:
County of Cuyahoga.)

I, Diane M. Stevenson, a Registered Professional Reporter and Notary Public in and for the State of Ohio, duly commissioned and qualified, do hereby certify that the within-named witness, JOHN PAUL CONOMY, M.D., was by me first duly sworn to testify the truth, the whole truth and nothing but the truth in the cause aforesaid; that the testimony then given by him was by me reduced to stenotypy in the presence of said witness, afterwards transcribed by means of computer-aided transcription, and that the foregoing is a true and correct transcript of the testimony as given by him as aforesaid.

I do further certify that this deposition was taken at the time and place in the foregoing caption specified, and was completed without adjournment.

I do further certify that I am not a relative, employee or attorney of any party, or otherwise interested in the event of this action.

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my seal of office at Cleveland, Ohio, on this 17th day of April, 1996.



Diane M. Stevenson, RPR, CM
Notary Public in and for
The State of Ohio.

My Commission expires October 31, 2000.