

Certified Copy

IN THE COURT OF COMMON PLEAS
STARK COUNTY, OHIO

SANDRA J. SHONK, ET
AL.,

Plaintiffs,

vs.

DOCTORS HOSPITAL OF
STARK COUNTY, ET AL.,

Defendants.

Case No.
2001 CV 01895

DEPOSITION OF

MARC COOPERMAN, M.D.

Taken at the offices of
Marc Cooperman, M.D.
250 Deer Creek
Powell, Ohio 43065

on October 2, 2003, at 10:31 a.m.

Reported by: Sara S. Fuller, RPR/CRR

--0--

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

APPEARANCES:

J. Thomas Henretta
ATTORNEY-AT-LAW
401 Quaker Square
120 East Mill Street
Akron, Ohio 44308
(330) 376-7800

on behalf of the Plaintiffs

VIA TELEPHONE:

Pamela Loesel
WESTON, HURD, FALLON, PAISLEY & HOWLEY
2500 Terminal Tower
50 Public Square
Cleveland, Ohio 44113-2241
(216) 687-3225

on behalf of the Defendant,
Daniel J. Cain, D.O.

--0--

STIPULATIONS

It is stipulated by and between counsel for the respective parties that the deposition of MARC COOPERMAN, M.D., the witness herein, called by the Defendant under the applicable Rules of Civil Procedure, may be taken at this time by the notary pursuant to agreement of counsel; that said deposition may be reduced to writing in stenotypy by the notary, whose notes thereafter may be transcribed out of the presence of the witness; and that the proof of the official character and qualification of the notary is waived.

--0--

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

INDEX OF EXAMINATION

BY MR. LOESEL:

PAGE

5

INDEX OF EXHIBITS

EXHIBIT

DESCRIPTION

PAGE

1	CV of Cooperman	7
2	Client information packet sheet with handwritten notes	40

1 MARC COOPERMAN, M.D.

2 being first duly sworn, as hereinafter certified,
3 deposes and says as follows:

4 EXAMINATION

5 BY MR. LOESEL:

6 Q As I already mentioned, my name is
7 Pam Loesel and I represent Dr. Cain in this
8 case, Dr. Cooperman.

9 Can you state your full name for the
10 record.

11 A Marc Cooperman.

12 Q And have you ever had your
13 deposition taken before, Dr. Cooperman?

14 A Yes.

15 Q Okay. Just to go through some of
16 the formalities, I do ask that you please
17 answer all of my questions verbally, and try
18 to stay away from saying uh-huh or hmm-hmm,
19 or nodding your head. Of course, I can't
20 see a nod of the head on this end.

21 Also, I do ask that if a question is
22 unclear, please let me know that and I will
23 either rephrase the question or have the
24 court reporter repeat it, whatever is

1 necessary. Is that fair?

2 A Yes.

3 Q Okay. And finally, if, for some
4 reason, you get beeped and need to answer a
5 call or have to take a break, let me know,
6 okay?

7 A That's fine. Thank you.

8 Q Okay. Thank you.

9 Dr. Cooperman, I received a
10 curriculum vitae from Mr. Henretta's office,
11 and it appears that you had sent this
12 curriculum vitae to them in January of 2003.
13 I know you cannot see a copy of what I have
14 here. There are approximately eight pages
15 to this curriculum vitae.

16 Have you updated your CV since
17 January of 2003?

18 A Yes, I did it just relatively
19 recently.

20 Q Okay. And do you have a copy of
21 your current CV there with you today?

22 A I can certainly make one.

23 Q Okay. I would ask that you do so,
24 and then I would like the court reporter to

1 mark that as defendant's first exhibit,
2 okay?

3 A Yes. And the changes are relatively
4 small. There are no additional
5 publications.

6 Q Okay. Can you tell me to the best
7 of your recollection what those changes
8 would be?

9 A An addition would be that I'm now a
10 surgical consultant for the Veterans
11 Administration Hospital clinic in Columbus.

12 Q Okay. Anything else?

13 A You'd have to tell me what hospital
14 privileges you have on yours.

15 Q Okay. The hospital privileges that
16 this CV indicates are Ohio State University
17 Medical Center East Campus, which is active.

18 A Yes.

19 Q Okay. Mount Carmel, it looks like
20 East Hospital, active.

21 A That's changed to courtesy.

22 Q Okay. And Mount Carmel Medical
23 Center is courtesy?

24 A Yes.

1 Q And Riverside Methodist Hospital,
2 honorary.

3 A Yes.

4 Q Okay. And are there any additional?

5 A The Veterans Administration would be
6 active.

7 Q Okay. Nothing else beyond that?

8 A No.

9 Q Okay. Can you briefly give me a
10 little bit of information regarding your
11 educational background.

12 A Yes. I did my undergraduate at Yale
13 University and got a bachelor's degree in
14 1969. I then went to medical school at
15 Stanford and received my MD in 1973. I then
16 did the first two years of my general
17 surgery residency at the University of
18 Chicago, and then completed it at Ohio State
19 in 1977.

20 Q why did you change schools?

21 A Residencies?

22 Q Yes.

23 A They had a pyramid after the end of
24 two years where they cut from 12 people to

1 four, and I wasn't offered a spot there as
2 one of the four.

3 Q Okay. So then you went on to OSU
4 and completed your residency there?

5 A Yes.

6 Q Okay. And your current practice is
7 located where?

8 A It's at 1492 East Broad Street,
9 Columbus, Ohio. And it's suite 1403.

10 Q And what does your current practice
11 consist of?

12 A Well, it consists of a variety of
13 things. One of the things that I should
14 tell you is that until March of 2001, I had
15 a very busy, active general surgery
16 practice, doing between 500 and a thousand
17 cases a year. In March of 2001, I had a
18 heart attack and was out completely until
19 August of 2001. I then started going back
20 and seeing patients in the office, follow-up
21 patients, doing some pre and postoperative
22 care and consultations, but I did not go
23 back to do -- to doing major surgery. And I
24 have not gone back to doing that.

1 Q You have not to this date?

2 A That's correct. The only procedures
3 that I am currently doing are relatively
4 minor procedures in the office. And at the
5 VA, we're doing -- in the operating room,
6 we're doing minor procedures, things that
7 can be done under local anesthesia. But I
8 have no plans, and my cardiologist says that
9 there's no way that I'm going to be going
10 back to doing major abdominal surgery.

11 Q Now, when you say that you're
12 currently doing minor procedures under local
13 anesthesia, what type of minor procedures
14 are you referring to?

15 A They would be things like -- like
16 pomas, cysts, biopsies, skin tumors.

17 Q And at the time that you were
18 performing surgery, I guess, up until March
19 of 2001, what types of surgeries were you
20 generally performing at that time?

21 A Well, I did the whole variety of
22 general surgical procedures. I probably did
23 a hundred laparoscopic cholecystectomies a
24 year. I did hernia repairs. I did colon

1 resections. I did pancreatic resections. I
2 did a lot of very complicated surgery,
3 because I had been on the surgical faculty
4 at Ohio State until 1985. And that was a
5 major tertiary referral center, so I had a
6 lot of complicated patients that were sent
7 in. And when I went into private practice,
8 a lot of the referring doctors from smaller
9 towns surrounding Columbus continued to send
10 those types of cases that they didn't want
11 to do at the local hospitals.

12 So I did a fair amount of major
13 pancreatic surgery, inflammatory bowel
14 disease, that type of thing. I did not do
15 vascular surgery since 1978. I did it for
16 one year after I finished my training, but I
17 didn't do vascular surgery, and I did not do
18 thoracic surgery.

19 Q At this time, you said that you have
20 a position as a surgical consultant for VA
21 Hospital. What percentage of your time do
22 you currently spend at the VA Hospital?

23 A Well, of my professional time?

24 Q Yes.

1 A I don't know. It's hard -- it's
2 really hard to give you a number, because I
3 spend anywhere from four to eight hours a
4 week there, and I spend four hours a week
5 seeing follow-up patients in my office. And
6 then I do disability evaluations for State
7 Teachers Retirement and School Employees
8 Retirement and the Public Employee
9 Retirement System.

10 Q And how much time do you spend a
11 week doing that?

12 A Gosh. That's probably -- it's
13 variable, anywhere from three to 10 hours.

14 Q That's per week?

15 A Yes.

16 Q Okay.

17 A And then I also do some outside peer
18 review for a group called Quality Management
19 Consultants, which is a division of a law
20 firm in Columbus called Bricker & Eckler.
21 And what they'll do is if a hospital has a
22 peer review problem that they can't handle
23 internally, they'll ask for consultants from
24 the outside to look at the cases or look at

1 their systems. And I do some of that. And
2 that can take anywhere from zero to 30 hours
3 a week, just depending upon what the project
4 is. So it's really hard for you to -- for
5 me to give you straight percentages.

6 Q Now, this outside peer review work
7 that you do, is that primarily reviewing
8 medical records in cases that have been
9 filed?

10 A No, not cases that have been filed.
11 These are cases that are -- it has nothing
12 to do with medical malpractice. These are
13 cases that have fallen out in a hospital's
14 internal peer review process, patient -- for
15 example, they may have patients that have
16 had to go back to the operating room, or
17 patients that are readmitted within 30 days.
18 And if they have a pattern, or even if they
19 have one case that they're extremely
20 concerned about, and the hospital is small
21 enough that the medical staff can't do its
22 own internal peer review objectively, then
23 they'll get outside people to give them an
24 opinion.

1 But it can also be things like, for
2 example, there was a hospital in Ohio where
3 a general surgeon made the allegation that
4 the operating room was unsafe. And so the
5 head nurse from an operating room and I
6 spent a couple of days reviewing their
7 procedures. We spent a day on site
8 observing the function of the operating
9 room, and then we spent time after that
10 doing a report and meeting with
11 administration about ways that the operating
12 room could be improved.

13 Q How many of those cases do you tend
14 to do each month?

15 A What, for the Quality Management
16 group?

17 Q Yes.

18 A It's extremely variable. I would
19 say on an average, it would be two or three
20 cases a month, because what will happen is I
21 won't have any for a month or two, and then
22 I'll be sent a batch of 10 cases from one
23 hospital, for example. So to try to average
24 it out, I would say it would be a couple --

1 two to three a month.

2 Q And is this primarily hospitals that
3 you're dealing with, then?

4 A Yes. My report goes to the medical
5 executive committee of the hospital.

6 Q And is it always in Ohio, or is it
7 in other locations?

8 A The majority of it has been in Ohio,
9 but there have been -- there has been one
10 hospital in Pennsylvania that I did it for,
11 and there is a hospital in Idaho.

12 Q And you said you also did some
13 disability evaluations. What would that
14 consist of?

15 A That consists of reviewing a
16 teacher's medical records, seeing the
17 patient in the office and doing a history
18 and physical examination, and then preparing
19 a written report.

20 Q And is this to recommend retirement,
21 or disability, or what specifically would
22 the -- would this examination be for?

23 A It would be for disability, as to
24 whether the teacher or the school employee

1 was able to continue to perform the job that
2 they were in and the duration that that
3 disability is -- would be likely to last.

4 Q Now, you also said that you did
5 follow-up with patients. What type of
6 patients would you be seeing in follow-up?

7 A Well, from my 25 years of my busy
8 practice, there are a lot of women with
9 breast cancer that I followed for
10 recurrence, patients who I've done colon
11 resections on, stomach resections. And
12 these people all would come back at varying
13 intervals to be seen. And there are also a
14 large number of women with fibrocystic
15 breast disease that will come in and have me
16 do their annual examination.

17 Q If any of those individuals were
18 to -- were requiring at this point in time
19 any additional surgical interventions, is it
20 your practice at this point in time, then,
21 to refer them out to somebody else?

22 A Yes, it would be.

23 Q Do you have any kind of
24 administrative responsibilities with the VA

1 Hospital?

2 A No.

3 Q And have you admitted any patients
4 to the VA Hospital within, say, the past
5 year?

6 A Well, the VA Hospital does -- at
7 this point, does not have inpatient beds.
8 It's strictly an outpatient facility.

9 Q Okay. So when you say you have
10 privileges there, what would those
11 privileges be for?

12 A They would be to see patients and
13 perform procedures on them there.

14 Q On an outpatient basis?

15 A Yes.

16 Q And I assume that the privileges
17 that you have at Ohio State University
18 Medical Center, when you say active
19 privileges, those are admitting privileges.

20 A Yes.

21 Q Have you admitted any patients to
22 OSU Medical Center in the past year?

23 A Yes.

24 Q Okay. And what would those patients

1 have been admitted for?

2 A The most recent, which is probably
3 about six months ago, was referred in with a
4 gastric outlet problem. And I did the
5 initial evaluation and management of the
6 patient, and it turned out that he needed
7 surgery. And my former partner did that.

8 Q Now, you mentioned that you are in a
9 private practice. Do you have partners
10 associated with you in that practice?

11 A Not currently. When I had my heart
12 attack, I was in a partnership with one
13 other general surgeon. And we had a
14 relationship where we took all of the income
15 and put it in a pot and split it equally.
16 When I stopped doing major procedures, there
17 was no way that we could have an equitable
18 relationship that way, so we dissolved the
19 partnership. And then I had -- and I just
20 had an office-sharing relationship with him,
21 where I paid part of the rent and paid the
22 secretary, but we didn't -- no longer had a
23 partnership.

24 Q Okay. What was the name of the

1 physician who was your partner?

2 A Dr. John Schwarzell.

3 Q How do you spell his last name?

4 A S-c-h-w-a-r-z-e-l-l.

5 Q And what was the name of your
6 corporation that you had established as
7 partners?

8 A It was Surgical Associates of
9 Columbus.

10 Q Does that corporation exist any
11 longer?

12 A Yes. He's -- well, it's not a
13 corporation, it's a partnership. And he
14 still has it.

15 Q But you're no longer a partner in
16 Surgical Associates of Columbus; is that
17 correct?

18 A That's correct.

19 Q And at this point in time, I assume,
20 then, that you're independent and not
21 associated with any kind of partnership.

22 A That's correct.

23 Q Are you board certified?

24 A Yes.

1 Q In what areas?

2 A In general surgery.

3 Q And have you renewed that
4 certification recently?

5 A I've been recertified twice. The
6 most recent would be on the CV. It was
7 sometime in the 1990s.

8 Q I think -- according to the CV, it
9 says 1996. Does that sound correct?

10 A Yes.

11 Q Okay. And how often do you have to
12 be recertified?

13 A Every 10 years.

14 Q At this point in time, 10 years out
15 from '96, are you planning on being
16 recertified?

17 A Yes.

18 Q And what do you have to do to be
19 recertified?

20 A You have to take a written
21 examination.

22 Q And are there any practice hour
23 requirements for recertification?

24 A I don't know the answer to that. I

1 guess I'm going to find out.

2 Q You also had a list of publications
3 in your curriculum vitae. And I believe you
4 testified that there were no additional
5 publications since January of 2003; is that
6 correct?

7 A Yes.

8 Q Okay. And I assume you do not have
9 a copy of your CV in front of you, correct?

10 A That's correct.

11 Q Okay. And I know this is probably
12 difficult to do, but out of your memory
13 right now, are there any publications that
14 you have done in the past that would be
15 relative to the issues in this particular
16 case?

17 A No.

18 Q And I believe that you said you also
19 had some academic appointments; is that
20 correct?

21 A Yes.

22 Q Are those still current?

23 A Yes.

24 Q And where are they?

1 A Well, I'm the clinical assistant
2 professor at Ohio State in surgery, and I'm
3 also on the teaching faculty at Mount
4 Carmel.

5 Q Okay. What responsibilities do you
6 have with the clinical assistant professor
7 of surgery at OSU?

8 A Well, now they're relatively
9 minimal. If I would admit a patient, the
10 surgical residents would see the patient
11 with me, and there would be some bedside
12 teaching involved. What I'm anticipating,
13 and what I've discussed with the chairman of
14 the department at Ohio State, is that as the
15 volume of outpatient surgery increases at
16 the VA, that we may be able to rotate
17 surgical residents over there to do some of
18 that surgery under my supervision, but that
19 hasn't happened yet.

20 Q Okay. And what about the teaching
21 faculty at Mount Carmel?

22 A That's the -- that would be the
23 same. Again, admitting patients, I would
24 work with the residents taking care of the

1 patient, but I've also -- they have me
2 participating in their mock board exams,
3 where -- to prepare the residents to take
4 their oral boards, they have groups of two
5 doctors ask the types of questions that
6 they'll experience on their boards, and then
7 evaluate them afterwards.

8 Q How often do you do that?

9 A That's a once-a-year thing.

10 Q Okay. How often have you served as
11 an expert in reviewing medical malpractice
12 cases?

13 A I look at probably 15 cases a year.
14 I used to probably look at 30, but it's
15 decreased to the point I think it's about 15
16 in a given year.

17 Q Until what point in time did it
18 start decreasing?

19 A After my heart attack.

20 Q And out of those cases, how many of
21 those cases have been reviewed for a
22 plaintiff?

23 A It's about half and half.

24 Q How many times have you testified at

1 trial?

2 A Not very often. Some years none. I
3 would think maybe the most would be three or
4 four that would have happened in a year.

5 Q And how many years have you been
6 working as an expert in medical malpractice
7 cases?

8 A I think the first time I was asked
9 to review a case was in the early 1980s,
10 when I was on the faculty at Ohio State.

11 Q And at what point in time were you
12 up to reviewing approximately 30 cases a
13 year?

14 A For the several years prior to my
15 heart attack.

16 Q Would you say throughout the 1990s,
17 you would have been reviewing cases at
18 approximately -- at a rate of approximately
19 30 per year?

20 A No, but I would say for the last
21 half of the 1990s probably at that rate.

22 Q And at the early part of the 1990s,
23 what number of cases would you be averaging
24 per year?

1 A I don't know, maybe 15 or 20.

2 Q Hello?

3 A Hello.

4 Q Did you hear my question?

5 A Yes. I said maybe 15 or 20.

6 Q Okay. I didn't hear your answer.

7 Thank you.

8 A I'm sorry.

9 Q And how many times have you had to
10 issue a report for the cases that you've
11 reviewed?

12 A Relatively infrequently.

13 Q Approximately how many times have
14 you been deposed in those cases that you've
15 reviewed?

16 A I would say it has varied between
17 two and 10 times a year. It's extremely
18 variable.

19 Q Have you published in any journals
20 for expert service?

21 A No.

22 Q Have you published anywhere for
23 expert service?

24 A No. I've never advertised, and I've

1 never been a member of any expert referral
2 service.

3 Q How is it that you've been acquired
4 so many cases per year?

5 A Well, I think initially it was based
6 on the subject matters of my publications.
7 And after that, it was simply word of mouth.
8 I mean, I've had -- given a deposition, and
9 then been contacted six months later by the
10 opposing counsel and asked if I would review
11 a case for him.

12 Q Have you worked for any other
13 Cleveland or Akron area attorneys?

14 A Yes. I've worked for the Reminger &
15 Reminger firm up in Cleveland. I've done a
16 couple of cases for them.

17 Q Okay. For any plaintiff's counsel
18 up in the Cleveland or Akron area?

19 A I have a long time ago. There was
20 an attorney, and I don't remember his name,
21 from Cleveland who sent me a case, but I
22 don't remember the case and I don't remember
23 his name. It's been over five years ago.

24 Q Okay. Have you ever done any work

1 for Mr. Henretta prior to this?

2 A I honestly don't know. I was
3 trying -- I was thinking about that
4 yesterday, and I honestly don't know the
5 answer to that. If I -- if I have, it --
6 maybe it's been one other case, but it
7 certainly would not have been more than
8 that.

9 Q Doctor, have you ever been named as
10 a defendant in a medical negligence, medical
11 malpractice case?

12 A Yes.

13 Q How many times?

14 A I think seven.

15 Q And of those seven cases, how many
16 of them went to trial?

17 A Two.

18 Q And was that in Franklin County?

19 A Yes.

20 Q And what was the outcome of those
21 two cases?

22 A They were both unanimous defense
23 verdicts.

24 Q And of the two cases that went to

1 trial, what were the issues in those two
2 cases?

3 A One case was a patient who presented
4 with a very atypical presentation of
5 appendicitis. He had right upper quadrant
6 pain, and we thought he actually had
7 gallbladder disease. And he was admitted to
8 the hospital, and it took us two or three
9 days to figure out that he needed to be
10 operated on. And he had a ruptured
11 appendix. In fact, it had probably ruptured
12 while he was in the hospital. And he did
13 fine after the surgery, with the exception
14 of a superficial wound infection. And the
15 allegation was that he should have been
16 diagnosed earlier.

17 Q And what was the defense of that
18 case?

19 A The defense of the case was that it
20 was a very unusual presentation
21 appendicitis, and one shouldn't have been
22 expected to make the diagnosis based on the
23 way he presented.

24 Q And the second case, what were

1 issues in that?

2 A The second case involved a patient
3 who had been a patient of Dr. John Minton,
4 who is a surgical oncologist in Columbus at
5 Ohio State. And Dr. Minton was killed in an
6 automobile accident, and his widow asked me
7 to take over his practice. And we did do
8 that, and we inherited about 5,000 files.
9 And in there, there was a patient that
10 Dr. Minton had operated on a couple of
11 months before he died, and had biopsied
12 duodenal tumor, which had come back
13 malignant. And Dr. Minton had been aware of
14 that, and for some reason, had never called
15 the patient or brought him back for a
16 definitive operation.

17 well, that patient presented to our
18 group about a year later with metastatic
19 disease, and when we operated on him, he was
20 unresectable. And the allegation in that
21 case is that we should have gone through
22 every one of those 5,000 files and
23 identified that patient and called him.

24 Q And you said you got a defense

1 verdict on that case also?

2 A Yes.

3 Q And your defense of the case was?

4 A The defense of the case was that it
5 would have been impossible to have gone
6 through all of those records in a timely
7 fashion, and that every patient of
8 Dr. Minton's had been sent a letter, asking
9 that patient to contact us, and this
10 particular patient hadn't until he got sick
11 a year later.

12 Q You said you also were named in
13 approximately five other cases; is that
14 correct?

15 A Yes. I was dismissed from all of
16 them.

17 Q Okay. So none of those cases were
18 settled?

19 A I've never paid a penny.

20 Q And of those cases that you were
21 dismissed from, do you recall if any of
22 those cases had anything to do with
23 laparoscopic procedures?

24 A One case had to do with a

1 thoracoscopy that was performed by an
2 expartner. And I was the first assistant on
3 the case.

4 Q And what were the allegations in
5 that case?

6 A Well, the patient had a tumor, which
7 turned out to be benign, in his lung. And
8 Dr. Slinger took that out and got into
9 bleeding and ended up having to do a
10 pneumonectomy on the patient to stop the
11 hemorrhage. And I was simply there in the
12 operating room holding the camera. So I
13 don't know what the allegations were against
14 me, but, you know, I was dismissed from that
15 relatively early in the case.

16 Q Did you ever hear about the outcome
17 of the case?

18 A Dr. Slinger settled it.

19 Q Okay. And none of the other cases
20 had anything to do with laparoscopic
21 procedures, then?

22 A No. There's one case that's still
23 pending, actually, which is -- started out
24 as a laparoscopic case. It was a lady that

1 needed a Nissen fundoplication, and
2 Dr. Slanger attempted to do it
3 laparoscopically and was unable to and
4 converted it to an open and did the
5 procedure. And, again, I was the first
6 assistant on the case. It was done over 10
7 years ago, and apparently she recently
8 noticed some problem with her incision. I
9 fully expect to be dismissed from that, as
10 well.

11 Q Okay. But that one is currently
12 pending?

13 A Yes.

14 Q And that's in Franklin County?

15 A Yes.

16 Q What do you currently charge for
17 deposition?

18 A I charge \$500 an hour with a \$1,500
19 minimum.

20 Q And what are your fees for reviewing
21 records and producing a written report?

22 A I charge \$250 an hour.

23 Q And what is your charge for trial?

24 A \$3,500, plus expenses.

1 Q And have you been asked to testify
2 at the trial of this matter?

3 A I had assumed that I would be, and I
4 plan to.

5 Q Okay. That was my next question.
6 You anticipated it.

7 THE WITNESS: Can we take a
8 two-minute break?

9 MR. LOESEL: Absolutely. Go ahead.

10 (Break taken.)

11 BY MR. LOESEL:

12 Q Dr. Cooperman, how were you first
13 contacted for this case?

14 A I don't remember. I would assume it
15 would have been by telephone and asked if I
16 would be willing to review the case. And
17 then I would have received a letter along
18 with the medical records.

19 Q Okay. Do you have any
20 correspondence or letter from -- letters
21 from plaintiffs' counsel in a file with you?

22 A I do.

23 Q Hello?

24 A Yes.

1 Q Yes, you do?

2 A Yes.

3 Q Okay. Can you tell me how many
4 letters you have there first.

5 A Just one.

6 Q Was that one?

7 A Yes.

8 Q Okay. And essentially, what is the
9 content of that letter? Is it just an
10 "enclosed is" type of letter, or is there a
11 description of facts in the case, or what?

12 A It's very brief. I'll just read it
13 to you.

14 Q Okay.

15 A "Dr. Cooperman, at your earliest
16 convenience, please advise whether or not
17 you can render an opinion regarding the
18 enclosed materials, client information
19 packet on Sandee Shonk and complaint.
20 Thanks for your attention to this matter."

21 Q And what is the date of that letter?

22 A December 7th, 2001.

23 Q And what materials did you receive
24 at that time to review?

1 A I received a copy of the complaint,
2 and I received the medical records of her
3 three hospitalizations.

4 Q Okay. And can you give me the
5 admission date for each of those
6 hospitalizations, please.

7 A July 28th, 2000.

8 Q Okay.

9 A Then there was an emergency room
10 visit at Mercy Medical Center on September
11 24th, 2000. And then there's medical
12 records from Doctors Hospital of Stark
13 County from September 29th, 2000. There's
14 an emergency room visit at Doctors Hospital
15 on October 1st, 2000, and then there was
16 another surgical record from Doctors
17 Hospital from March 19th, 2001.

18 Q Anything else?

19 A There's medical records of
20 Dr. Conklin of Perry Hill Surgeons. Then
21 there were some medical records from Mercy
22 Medical Center from 1995.

23 Q And are those emergency room visits
24 or admissions?

1 A I honestly don't remember.

2 Q Do you have them there with you
3 right now?

4 A I do. I think it had to do with a
5 delivery.

6 Q Okay. And were there any other
7 records?

8 A No.

9 Q Okay.

10 A At a subsequent time, I also
11 received two depositions.

12 Q And those depositions are of whom?

13 A One was of Ms. Shonk, and the other
14 was Dr. Cain.

15 Q And do you recall how long ago you
16 received those depositions?

17 A Mrs. Shonk was quite some time ago.
18 Dr. Cain's was only in the past couple of
19 days.

20 Q And at the time that you produced
21 your first report, which I believe is dated
22 January 5th of 2002, had you looked at any
23 of the deposition testimony?

24 A I don't remember.

1 Q You can't recall?

2 A No. If I had, it would only have
3 been Ms. Shonk's.

4 Q It appears that -- I think
5 Mrs. Shonk's deposition was taken on
6 February 22nd of 2002. Given that, is it
7 likely that you had not reviewed her
8 deposition at the time you produced your
9 first report in January of 2002?

10 A I think it's extremely likely.

11 Q Okay. So you would have received
12 that after you authored your report,
13 correct?

14 A Yes.

15 Q Okay. And I guess I'll ask this,
16 too: Had you received all of the records
17 that you just indicated to me, the medical
18 records, prior to authoring the January of
19 2002 report?

20 A Yes.

21 Q Okay. Have you reviewed any other
22 materials that have been provided to you by
23 Mr. Henretta in preparation for your
24 deposition today?

1 A Yes.

2 Q And what would that be?

3 A At some point, I was sent a copy of
4 Dr. Shalowitz's report.

5 Q And this would be an expert report
6 from Dr. Shalowitz?

7 A Yes.

8 Q Okay.

9 A And then --

10 Q Anything else?

11 A Yes. This morning, Mr. Henretta
12 showed me your expert's report.

13 Q And which expert reports would those
14 be?

15 A From a Dr. Grischkan.

16 Q Any other reports?

17 A That's all that I've seen.

18 Q Okay. Has any of the new material
19 that you've received since you authored your
20 January 5th, 2002 report changed any of the
21 opinions in that report?

22 A No.

23 Q Now, you also authored another
24 report on September 12th, 2003, correct?

1 A Yes.

2 Q How did that come about?

3 A Mr. Henretta contacted me and asked
4 me about the issue of informed consent and
5 whether I felt that her consent had been
6 appropriate and adequate. And I felt that
7 it hadn't, and he asked me to supplement my
8 initial report with a brief second report.

9 Q And at that time, did you have the
10 deposition of Ms. Shonk to review?

11 A Yes.

12 Q And at that time, did you have the
13 expert report from either Dr. Shalowitz or
14 Dr. Grischkan to review?

15 A Well, I didn't have Dr. Grischkan's,
16 but I did have Dr. Shalowitz.

17 Q And at that time, had you had
18 Dr. Cain's deposition to review?

19 A No.

20 Q Since you've received some
21 additional information from the time that
22 you authored the September 12th, 2003
23 report, has that other information changed
24 your opinion in that report in any way?

1 A No.

2 Q Have you done any kind of literature
3 search or literature review in preparation
4 for either writing your report, for
5 reviewing this case, or for your deposition
6 today?

7 A No.

8 Q Have you done any kind of literature
9 review in any way related to this case?

10 A No, I have not.

11 Q Dr. Cooperman, did you take any
12 notes as you reviewed records and prepared
13 for this case?

14 A The only notes that I have is on the
15 content page for the client information
16 packet. From the original reports that were
17 sent to me, I made just some chronologic
18 notes.

19 Q Is that on one page?

20 A Yes.

21 Q Do you have a way to make a copy of
22 that one page and have the court reporter
23 then mark that as my next exhibit?

24 A Sure, I'd be happy to.

1 Q Okay. We can do that after the
2 deposition is over to save time, if you'd
3 like --

4 A Okay.

5 Q -- okay?

6 How many notes are on that page,
7 Dr. Cooperman? Is it quite extensive?

8 A No, they're very brief. None of
9 them are interpretive. They're all just
10 really chronology of what happened.

11 Q Okay. Is it something that would
12 take quite a while for you to just read to
13 me quickly, or not?

14 A I don't think it would take very
15 long.

16 Q Okay. If you could, I'd like you to
17 at least kind of read through what you have
18 written there.

19 A 7-28, tubal by Dr. Cain. Veress
20 needle. 1 trocar. Bright bleeding. Blood
21 pressure dropped to 60. Injuries to right
22 iliac artery. Branch of iliac vein. And
23 through-and-through ileal injury.

24 Dr. Conklin did repair. No postop

1 complications.

2 Then in reference to the ER visit of
3 September 24th, my note says abdominal pain.
4 white count equals 13,000. CAT scan showed
5 abdominal wall thickening and thickened
6 omentum. Patient discharged.

7 Then under medical records from
8 9-29, 2000, my note says I&D. Abdominal
9 wall abscess. 2 centimeter cavity. 5 to 10
10 cc's pus. General anesthesia. Cultures
11 showed staph.

12 Then from emergency room records
13 from 10-1, just says wound packing change,
14 parenthesis weekend.

15 Then next under radiology reports
16 from 3-15, CAT scan of abdomen and pelvis.
17 Small amount pelvic fluid. Small bilateral
18 ovarian cysts.

19 Next, surgery reports from 3-19,
20 draining sinus abdominal wall just below
21 umbilicus, dash, suture removed.

22 And those are all of my notes.

23 Q Okay. Thank you.

24 I had a little difficulty hearing.

1 Did you say at the last note on 7-28, no
2 postop complications?

3 A Yes.

4 Q Okay. And you have no other notes,
5 then, other than the ones you just read to
6 me, Dr. Cooperman?

7 A That's correct.

8 Q Okay. Did you have any draft
9 reports that you put together from either
10 the January 5th or the September 12th
11 report?

12 A No, I didn't do a draft.

13 Q So you didn't do any kind of
14 preliminary report for Mr. Henretta to
15 review?

16 A That's correct.

17 Q Who typed up your report?

18 A I did.

19 Q Okay. And you haven't issued any
20 additional reports other than the January
21 5th, 2002, and the September 12th, 2003
22 reports?

23 A That's correct.

24 Q Okay. And have you consulted with

1 any other physicians or other experts with
2 regards to the issues in this case?

3 A I did briefly discuss the case with
4 Dr. Schwarzell.

5 Q Okay. And what was that discussion?

6 A I just asked -- I asked him about
7 the vascular injury and whether he felt that
8 it could occur, you know, within the
9 standard of care. And he agreed with me
10 that it didn't. It was a very brief
11 discussion.

12 Q Okay. Anybody else?

13 A No.

14 Q What did you do to prepare for your
15 deposition today?

16 A I read through the records again,
17 and I reread the highlighted portions of the
18 depositions, and I reread my reports.

19 Q When you said you read highlighted
20 portions of the deposition, are those
21 portions that you highlighted or someone
22 highlighted for you?

23 A That I highlighted, just to make it
24 easier for me to prepare for something like

1 this.

2 Q And what parts of Ms. Shonk's
3 deposition were of interest to you?

4 A Well, I'd have to go through the
5 whole deposition with you. Do you want to
6 do that?

7 Q I guess if you can tell me, in
8 general, what aspects you were looking for
9 as you reviewed her case, that would be
10 sufficient -- her deposition. I'm sorry.

11 A Well, I have some of the
12 discussion -- or the questions to her
13 regarding consent for the procedure and what
14 she thought could be the complications.
15 I've highlighted some of the things that she
16 said were the damages that she suffered.

17 I was just trying to highlight
18 things that I thought were substantive
19 versus those that were not.

20 Q Okay. Did you look at similar types
21 of things when you reviewed Dr. Cain's
22 deposition?

23 A Yes. I mean, for example, I didn't
24 highlight anything about prior malpractice

1 history or his education, because I didn't
2 think that I would want to go back and
3 reread that again.

4 Q Okay. Is there any additional
5 information, Dr. Cooperman, that you feel
6 would -- you would like or that you feel
7 would be helpful for you in this case?

8 A No.

9 Q And are any of the opinions that
10 you've expressed in the two reports that
11 you've authored based on any kind of
12 assumptions?

13 MR. HENRETTA: Objection.

14 Go ahead.

15 A No. Well, the opinion that I
16 expressed in the letter about the informed
17 consent was really based on the consent
18 form, substantially. So I -- I didn't make
19 any assumptions regarding that.

20 Q Okay.

21 A And I guess I really don't
22 understand your question regarding the
23 other.

24 Q Well, you know what an assumption

1 is, correct --

2 A Yes.

3 Q -- versus an actual piece of
4 information or a fact that you would find in
5 a medical record, correct?

6 A Yes.

7 Q Okay. And I guess my question,
8 again, is when you authored -- I mean, you
9 already said there were no assumptions in
10 your 2003 report. When you offered your
11 January 5th, 2002 report, at that time, were
12 there any assumptions that you had to make
13 in order to come to your opinion?

14 A No.

15 Q No?

16 A No, it was all based on the medical
17 record.

18 Q Doctor, what is a laparoscopic
19 procedure?

20 A Well, a laparoscopic procedure is a
21 procedure that is done through small
22 incisions using a fiberoptic camera and
23 ports through which instruments can be used
24 within the abdominal cavity rather than a

1 laparotomy, where a large incision is made.

2 Q And as a surgeon, what types of
3 tools or devices do you use for a
4 laparoscopic procedure?

5 A Well, there's a whole huge spectrum
6 depending upon what you're doing. I mean,
7 there's staplers and graspers, and there are
8 dissectors. But, I mean, you use -- and
9 there are various different ways of
10 insufflating the abdomen and different types
11 of trocars. So I don't know how to answer
12 your question.

13 Q Okay. That's fair.

14 Traditionally, what techniques do
15 you use or have you used in the past with a
16 laparoscopic procedure to insufflate the
17 abdomen?

18 A The two techniques that I've used
19 have been the Veress needle, which I've used
20 in patients who have not had previous
21 abdominal surgery.

22 Q And why is that?

23 A Why do I use it just in that group
24 of patients?

1 Q Yes.

2 A Because in patients who have had
3 prior abdominal surgery, there's an
4 increased likelihood that they can have
5 adhesions with bowel stuck to the abdominal
6 wall, and you could cause an injury
7 inserting the needle.

8 Q And when you say "insufflate," what
9 do you mean?

10 A Insufflate means to deliver the
11 carbon dioxide into the peritoneal cavity.

12 Q And what is the purpose of
13 insufflating the abdomen?

14 A It's to create a safe work space by
15 elevating the abdominal wall up away from
16 the abdominal viscera.

17 Q And how do you gauge or know when
18 sufficient CO₂ has been insufflated into the
19 abdomen?

20 A In two ways. One, you can perfuse a
21 good pneumoperitoneum and feel the tension
22 on the abdominal wall. And also, by the
23 pressure measurement after the CO₂ is put
24 in, that it should be about 15 millimeters

1 of mercury. But I didn't finish one of my
2 other answers.

3 Q Right. I know. You said you used
4 the Veress needle, and you also had a second
5 means of insufflating the abdomen; is that
6 correct?

7 A Yes, that's correct.

8 Q And what is your second way?

9 A The second is the open technique,
10 using a Hasson, H-a-s-s-o-n, catheter.

11 Q And can you spell the name of the
12 catheter for me?

13 A H-a-s-s-o-n.

14 Q And how is that performed?

15 A You make a small incision, either
16 above or below the umbilicus and carry it
17 down through the subcutaneous tissue and the
18 facia, and open the peritoneum so that you
19 can -- you're actually looking into the
20 peritoneal cavity. And you insert the port
21 under direct vision, and then you suture the
22 tissue around the port so that you have an
23 adequate seal so that you don't lose gas.

24 Q What percentage of your laparoscopic

1 procedures have you used the Veress needle
2 versus the Hasson catheter?

3 A Probably 50/50.

4 Q And why would you choose one over
5 the other?

6 A Well, as I said earlier, I would try
7 the -- I would try the Veress needle in
8 patients who had not had prior abdominal
9 surgery, and I would use the Hasson catheter
10 either if -- either if I was unsuccessful in
11 getting good placement of the Veress needle,
12 or in patients who had had prior surgery.

13 Q Now, in this particular case,
14 Dr. Cain used a Veress needle, correct?

15 A Yes.

16 Q Are you critical of him at all in
17 his use of a Veress needle in this case?

18 A No.

19 Q Okay. And are you critical at all
20 with regards to how he used the Veress
21 needle in this case?

22 A Yes.

23 Q So you have no criticism surrounding
24 the Veress needle procedure?

1 A No. I think that a lot of surgeons
2 are getting away from using the Veress
3 needle and going for the Hasson because it's
4 a safer technique. But use of the Veress
5 needle is certainly well in the standard of
6 care.

7 Q Okay. Doctor, you're a general
8 surgeon; is that correct?

9 A Yes.

10 Q And you are not an ob/gyn?

11 A That's correct.

12 Q And have you ever done any tubal
13 ligations?

14 A Yes.

15 Q You have?

16 How long ago?

17 A Gosh, I don't know. 15 years ago
18 maybe. I've done two or three of them in my
19 entire career. They were all done open as
20 part of other procedures, where the woman
21 had requested that it be done but was
22 primarily being operated on for another
23 reason.

24 Q You've never done a laparoscopic

1 tubal ligation?

2 A That's correct.

3 Q Have you ever had to convert any of
4 your laparoscopic procedures to an open
5 laparotomy?

6 A Of course.

7 Q Under what circumstances?

8 A Well, a variety of circumstances.
9 Patient -- the leading one is that the
10 anatomy isn't clear through the laparoscope,
11 either due to acute inflammation or
12 adhesions from prior surgery.

13 Q How many times have you, in your
14 career, had to convert a laparoscopic
15 procedure to a laparotomy?

16 A I would say between 5 and 10 percent
17 of the time. Probably closer to the 5
18 percent.

19 Q And have you had to convert the
20 procedure -- well, strike that. I'm going
21 to go back a little bit.

22 What kind of complications are there
23 with a laparoscopic procedure?

24 A Well, I mean, that's sort of

1 encyclopedic. There can be infection, there
2 can be bleeding, there can be damage to
3 structures within the abdominal cavity.
4 There can be cardio or ventilatory problems
5 based on the CO₂. I mean, there are a lot
6 of complications that can be associated with
7 laparoscopy.

8 Q And the ones that you've named are,
9 in general, the ones you're -- that are
10 known and in literature?

11 A Yes.

12 Q And when you say "damage to
13 structures in the abdominal cavity," what
14 exactly -- what structures are you referring
15 to?

16 A Well, it depends where you're
17 operating. I mean, you can end up with
18 injury to the intestine. There's certainly
19 bile duct injuries that are reported during
20 laparoscopic cholecystectomy. You can have
21 vascular injuries, bleeding that can be
22 impossible to control laparoscopically.

23 Q So all of that would be included in
24 what you mean by "structures in the

1 abdominal cavity"?

2 A Yes.

3 Q It would be including vessels, then?

4 A Yes. Now, I'm not saying that these
5 complications should occur, but I'm saying
6 that they're certainly reported as
7 occurring.

8 Q And, Doctor, would you agree that
9 just because a complication does occur, that
10 it doesn't necessarily have to be negligence
11 or malpractice?

12 A Yes.

13 MR. HENRETTA: Object -- let me get
14 the objection in.

15 Go ahead.

16 A Yes, I agree with that as a general
17 statement.

18 Q Okay. Now, you said that you've had
19 to convert 5 to 10 percent of your
20 laparoscopic procedures to an open
21 laparotomy, correct?

22 A Yes.

23 Q Have you ever had to convert any of
24 your procedures because of a complication?

1 A There was one case that I converted
2 because I saw bile leakage, and I wanted to
3 make certain that I didn't have a major
4 ductile injury.

5 Q And what did you find?

6 A I found that there was a small
7 injury to the right hepatic duct, which I
8 fixed with one suture.

9 Q And how did that injury to the duct
10 occur?

11 A The gallbladder was stuck to it, and
12 I think it was a cautery injury, taking the
13 gallbladder out.

14 Q Now, you didn't mention cautery
15 injuries as one of the complications. Is
16 that also a complication?

17 A Well, I mean, you can injure things
18 with the cautery or you can injure it with a
19 sharp instrument. I wasn't trying to break
20 them down.

21 Q Okay. And in a laparoscopic
22 procedure, I've read that this is a blind
23 procedure; is that correct?

24 A Well, the insertion of the Veress

1 needle and the insertion of the first trocar
2 are essentially a blind procedures.

3 Q And when we say a "blind procedure,"
4 what does that mean?

5 A It means you can't see where the tip
6 of that instrument is going.

7 Q Now, when you converted your one
8 hepatic duct laparoscopy that we just talked
9 about to an open, at that time, did your
10 patient end up filing a suit against you for
11 malpractice?

12 A No.

13 Q Doctor?

14 A I said no.

15 Q Okay. I'm sorry.

16 What type of trocar do you use in
17 your laparoscopic procedures?

18 A Disposable trocar from U.S.
19 surgical.

20 Q Does that trocar have a shield on
21 it?

22 A Yes.

23 Q What is the purpose of the shield?

24 A To protect the underlying structures

1 so that the blade snaps back into the device
2 as soon as it enters the abdominal cavity.

3 Q And that's supposed to protect the
4 underlying structures from any kind of
5 injury?

6 A Yes.

7 Q Have you ever heard of the
8 disposable trocars with the shields failing?

9 A Not personally, but I imagine it
10 could happen.

11 Q And if the shield were to fail, it
12 could potentially cause an injury?

13 A Potentially.

14 Q I didn't hear you, Doctor.

15 A I said yes, potentially.

16 Q Okay.

17 A But, again, even if the shield
18 failed, unless you inserted the trocar with
19 excessive force, you really shouldn't injure
20 another structure.

21 Q I -- you cut out on me, so I didn't
22 hear your complete answer. Can you repeat
23 that for me one more time, please?

24 A I said even if the shield failed,

1 unless you inserted it with excessive force,
2 you really shouldn't hit underlying
3 structures.

4 Q And when you say "excessive force,"
5 what do you mean?

6 A Well, inserting it harder and deeper
7 into the abdominal cavity than you should.

8 Q And how do you measure excessive
9 force?

10 A I guess it's just something you
11 learn by doing a lot of them. And you
12 simply want to insert the trocar until
13 you're in the peritoneal cavity. Once
14 you're in, then you want to take your trocar
15 out and advance the sheath without it.

16 Q How do you know you're in the
17 peritoneal cavity?

18 A You can feel it pop in, and you can
19 tell -- there's a little lever on the side
20 that vents the trocar, and if you open that
21 and air escapes, you know that you're in.

22 Q Is that then kept open as you insert
23 the trocar?

24 A No, you close it.

1 Q Okay. So at what point in time do
2 you know when to open the vent?

3 A As soon as you feel that you're in.

4 Q So as a rule, there's a different
5 feeling in the trocar itself once the trocar
6 is in?

7 A Yes, you can feel it pop through the
8 abdominal wall.

9 Q Okay. Now, when you say a
10 measurement of force, I believe you said you
11 can tell because you've done a lot of them.
12 Is that based on each individual physician's
13 own experience?

14 A No. I mean, I think that, you know,
15 you apply a general steady force to it,
16 sufficient to go through the, you know,
17 abdominal cavity. I can't give you a
18 measurement of the number of joules of
19 injury or something to tell you what that
20 force is or pounds per square inch.

21 Q So it is on an individual basis,
22 then?

23 A Yes.

24 Q And how many procedures do you -- if

1 you can say -- do you feel a physician -- a
2 surgeon needs to perform, laparoscopic
3 procedures, to have a feel for the amount of
4 force?

5 A Most hospitals require a surgeon to
6 do five cases being proctored by another
7 surgeon before he's given privileges. So I
8 guess that would be the prevailing number of
9 cases that is felt necessary, you know, to
10 be certain someone has adequate skills. I
11 mean, there's no question there's a learning
12 curve in doing laparoscopic procedures, and
13 that the incidence of complications has been
14 reported to be higher, you know, in the
15 first 10 or 20 than it is later on in a
16 surgeon's practice.

17 Q And when we talk about
18 complications, when, during a laparoscopic
19 procedure, a complication such as an injury
20 to a vessel or a bowel occurs, does that
21 become an emergency?

22 A Yes.

23 Q And why is that?

24 A Well, certainly if you've got an

1 injury to a major vessel, you have to
2 control the bleeding to prevent the patient
3 from exsanguinating. If you have an injury
4 to the intestine, it's not such an immediate
5 life-threatening emergency, but, again, you
6 have to recognize it and repair it to
7 prevent infectious complications occurring
8 as a result.

9 Q And the way to do that is to convert
10 to an open procedure?

11 A Generally, that's what's required.
12 I mean, you can have bleeding, for example,
13 from the cystic artery, taking out the
14 gallbladder, that you can control
15 laparoscopically by placing a clip on it.
16 And I'm sure that there's some advanced
17 laparoscopic surgeons who could have a bowel
18 injury and who could suture that injury
19 laparoscopically. I think the overwhelming
20 majority of surgeons and gynecologists,
21 however, would convert to an open procedure
22 to repair it.

23 Q Now, in this particular case,
24 Dr. Cain did convert to an open procedure,

1 correct?

2 A Yes.

3 Q And are you critical of him for
4 converting to an open procedure at the time
5 that he did?

6 A Absolutely not. It was essential
7 that he do it, and he recognized the
8 complication promptly and dealt with it
9 appropriately.

10 Q And this was, at that point in time,
11 a life-threatening situation, potentially?

12 A There's no question that it was.
13 The patient became hypotensive, with her
14 blood pressure dropping to 60.

15 THE WITNESS: I need another quick
16 break.

17 MR. LOESEL: Okay. Go ahead,
18 Doctor.

19 (Break taken.)

20 BY MR. LOESEL:

21 Q Doctor, what's hypertrophy?

22 A Hypertrophy just means an
23 enlargement, such as hypertrophy of a muscle
24 after exercise, the muscle gets larger.

1 Q How is hypertrophy related to an
2 incision?

3 A It's not, as far as I know.

4 Q Okay. So you've never heard of
5 that?

6 A I've never heard that term applied.

7 Q Okay.

8 A I mean, you can have a hypertrophic
9 scar.

10 Q And what is a hypertrophic scar?

11 A Well, it's like a keloid. It's a
12 scar that's larger and thicker than normal.

13 Q What causes that?

14 A It's caused really by the
15 individual's way of healing. For example,
16 it's very common in blacks to have
17 hypertrophic scars.

18 Q So it has nothing to do with the way
19 the incision is made?

20 A Well, it can. I mean, I think if
21 you have a wound infection and an incision
22 that's allowed to heal by secondary
23 intention, you're much more likely to have a
24 widened, thicker scar than one that is

1 closed primarily.

2 Q Okay. I'm going to go on to your
3 first report from January 5th of 2002.

4 A Okay.

5 Q And you have in the first paragraph,
6 I think, kind of a summary of the background
7 of the case; is that correct?

8 A Yes.

9 Q Okay. And those are the facts that
10 you pulled out of the records, correct?

11 A Yes.

12 Q Okay. And then at the second
13 paragraph, I believe, you then have stated
14 some of your opinions; is that correct?

15 A Yes.

16 Q Okay. And the first opinion that
17 you have is that Dr. Cain fell below the
18 standard of care in the technical
19 performance of Mrs. Shonk's laparoscopy,
20 correct?

21 A Yes.

22 Q What do you mean by "technical
23 performance"?

24 A That the insertion of the trocar was

1 done improperly, resulting in the injury to
2 the artery, the vein, and the small
3 intestine.

4 Q And how was the insertion done
5 improperly?

6 A It had to have been inserted with
7 excessive force and deeper than it should
8 have been to injure those structures, and,
9 in fact, also rather than going in straight,
10 was placed in at an angle, off to the right.

11 Q Now, you said, first off, that there
12 was excessive force, correct?

13 A Well, it just has to be. I mean, in
14 order -- this is one of those injuries that
15 simply shouldn't occur if the trocar is
16 inserted properly, in the absence of
17 abnormal anatomy, and there's no evidence.
18 And, in fact, Dr. Cain in his deposition
19 said that there were no anatomic
20 abnormalities.

21 Q Did you find that there were any
22 anatomic abnormalities in your review of the
23 records?

24 A No.

1 Q Was Ms. Shonk a candidate for a
2 laparoscopic procedure --

3 A Yes.

4 Q -- in your review of the records?

5 A Yes. There was no contraindication
6 to or attempting to perform this
7 laparoscopically.

8 Q How did you determine, then, that
9 excessive force was used in the insertion of
10 the trocar?

11 A Because there has to be in order for
12 it to get to the point where it did. If you
13 have an adequate pneumoperitoneum, and
14 Dr. Cain described that the pressure was 12
15 to 15 millimeters of mercury, and that he
16 had put in 4-1/2 liters of CO₂, that should
17 have produced an adequate pneumoperitoneum.
18 so in order for this injury to occur, you
19 simply have to put this thing in excessively
20 hard to traverse the entire pneumoperitoneum
21 visceral structures and skewer the iliac
22 artery.

23 Q So is it your testimony, then, that
24 excessive force was used strictly based on

1 the injury occurring?

2 A Yes, that's basically it. What I'm
3 basically saying is that this type of injury
4 does not occur absent improper insertion of
5 the trocar.

6 Q Now, you also said something about
7 the wrong angle; is that correct?

8 A Yes. According to the vascular
9 surgeon, Dr. Conklin, this injury occurred
10 in the distal one-third of the iliac vessel,
11 which places it well off the midline. So
12 this trocar was put in at an angle.

13 Q How is the trocar to be inserted?

14 A Well, I don't think that there's any
15 set guideline as to what angle it should be
16 applied at. Some people say that you put
17 them in at a 45-degree angle. Other
18 surgeons put them in directly, essentially
19 at a 90-degree angle. But you do put them
20 in to the midline rather than putting them
21 in at an angle, and you do put them in
22 simply to get into the peritoneal cavity.

23 In order for this injury to occur,
24 this patient -- this trocar would have had

1 to have been inserted at least 8 or 10
2 inches -- 6 to 8 inches, anyway, beyond
3 where it should have been.

4 Q why is that?

5 A Well, this was not a skinny girl.
6 She weighed 160 or 170 pounds, as I recall
7 from the record. And you have the
8 pneumoperitoneum, which would have elevated
9 the abdominal wall several inches farther.
10 And the abdominal cavity, from one side to
11 the other, probably measures 10 to 12 inches
12 from front to back. And the arteries run in
13 the retroperitoneum.

14 So he went completely through the
15 abdominal wall, through the entire
16 peritoneal cavity, and then into the
17 retroperitoneum in order to cause this
18 injury. And that's just a trocar that's
19 been inserted too far.

20 Q Do you know what kind of trocar
21 Dr. Cain used?

22 A No, I don't.

23 Q You didn't see that in his
24 deposition testimony?

1 A well, I may have, but I don't
2 recall.

3 Q What standard size trocar should be
4 used in a laparoscopy?

5 A well, he used -- that was a
6 12-millimeter trocar, which is appropriate
7 for the camera. There's nothing wrong with
8 the size of the trocar.

9 Q Okay. And there's nothing wrong
10 with using a disposable trocar?

11 A No, absolutely not.

12 Q Are you critical of anything else
13 with regard to Dr. Cain's performance of the
14 surgery?

15 A No.

16 Q You have no other opinion, then,
17 with regard to the surgery itself?

18 A That's correct.

19 Q Now, you also went ahead and
20 authored a second report on September 12th
21 of 2003, correct?

22 A That's correct.

23 Q And one of the questions I have is:
24 Did you have an opportunity to review the

1 consent form that Ms. Shonk signed?

2 A Yes.

3 Q Okay. Are you familiar with either
4 of those forms in your personal practice?

5 A Give me a minute to find them.

6 Q Okay.

7 (Pause in proceedings.)

8 A Yes, I've got these forms.

9 Q Okay. And there are two different
10 ones, correct?

11 A Yes.

12 Q Okay. Have you seen either of those
13 forms in your practice at all?

14 A Well, not identical to this, but,
15 you know, basic operative consent form
16 that's similar to these.

17 Q Okay. And given the fact that
18 you're not an ob/gyn and you don't perform
19 tubal ligations, the one consent to
20 sterilization form, which is a form from the
21 Ohio Department of Human Services, that
22 would not be a form that you traditionally
23 would use in your old practice, correct?

24 A No. That's correct.

1 Q Okay.

2 A I think on the cases where I did
3 tubals, I did have to obtain a separate
4 consent form for that to be done, but it
5 wasn't a Ohio State form like this.

6 Q Okay. And what was the purpose of
7 that separate consent form?

8 A It was, again, that the patient
9 understood what the purpose of the procedure
10 was and that it was going to be permanent
11 sterilization. And I think that on the
12 cases that I did, there's a 30-day waiting
13 period as part of the hospital policy on
14 that.

15 Q So you're familiar with that type of
16 waiting period?

17 A Yes. I think whenever you're going
18 to do something with those kinds of
19 consequences that's elective, it's
20 reasonable to have the patient have some
21 time.

22 Q Okay. And you have no criticisms of
23 the use of that particular consent form or
24 the consent for operation procedure form,

1 correct?

2 A That's correct.

3 Q Okay. And based on the fact that
4 Ms. Shonk has signed both forms, that -- you
5 have -- I mean, you're not questioning the
6 signature on the form or the fact that she
7 is the one who actually looked at and signed
8 these forms?

9 A No, not at all.

10 Q Okay. Whose responsibility on the
11 medical staff is it to review a consent form
12 with a patient?

13 A The physician.

14 Q And in this case, I believe, if you
15 read Dr. Cain's testimony, he did indicate
16 that he reviewed the forms with Ms. Shonk,
17 and Ms. Shonk also testified in her
18 deposition that he reviewed the form with
19 her; is that correct?

20 A Yes.

21 Q Okay. So you're not critical of
22 that process either, correct?

23 A No. My only criticism is that any
24 patient who's undergoing a laparoscopic

1 procedure needs to be made aware that the
2 procedure could end up being an open
3 procedure, where they have a significantly
4 larger incision than what they're
5 anticipating, and that any consent for a
6 laparoscopic procedure should include the
7 fact that the patient has been told about
8 the possibility of conversion, which was not
9 done in this case, or it certainly was not
10 documented.

11 Q Okay. Now, are you saying there has
12 to be actual language in the consent form
13 itself?

14 A Yes, that's my opinion.

15 Q And what specific language has to be
16 in the consent form, based on your opinion?

17 A Well, my standard consent for a
18 laparoscopic cholecystectomy would say --
19 when I was saying the procedure, I would say
20 laparoscopic cholecystectomy slash possible
21 open cholecystectomy, so they would consent.
22 Or if I was doing a diagnostic laparoscopy,
23 it would be laparoscopy slash possible open
24 laparotomy. So the patient was clearly

1 consenting to the possibility that the
2 procedure could have to be converted.

3 Q Now, is this something -- is this a
4 form that is provided to you through the
5 hospital that you would use?

6 A No, this is something that I would
7 write in when I -- when you write in what
8 the operation is that they're consenting
9 for.

10 Q Okay. So do you have the consent
11 for operation procedure form in front of you
12 that Sandra Shonk signed?

13 A Yes, I do.

14 Q Okay. So I want to understand you
15 correctly. Are you saying, then, that on
16 the one line that Dr. Cain wrote in
17 "laparoscopic tubal ligation," you would
18 have written something different?

19 A I would have, or when he wrote in
20 the things that could happen, for example,
21 damage to uterus, tubes, ovaries, or
22 surrounding structures, e.g., bowel,
23 bladder, ureters, he should also have
24 written in possible need for open

1 procedure --

2 Q okay.

3 A -- or something to that effect.

4 Q Now, when you -- you read the words
5 damage to uterus, tubes, ovaries, or
6 surrounding structures, for example, he has
7 bowel, bladder, ureters, what does that mean
8 to you?

9 A Well, it would mean something
10 different to me as a surgeon than it would
11 to the average patient.

12 Q What would it mean to you as a
13 surgeon?

14 A Well, to me, it would mean that
15 injury to those structures would probably
16 necessitate an open procedure, but that
17 would not be intuitive for the average
18 patient.

19 Q And when it says damages to those,
20 what does that mean, the word "damages"?

21 A It means injury.

22 Q Pardon?

23 A Injury.

24 Q And what does "injury" mean?

1 A Well, it could mean cauterization
2 burn, it could mean tearing them, it could
3 mean cutting them with an instrument.

4 Q Infection?

5 A Well, I wouldn't think that
6 infection would really be part of damage.

7 Q When you have a consent form that
8 you write on, is this a consent form that
9 you put together in your own office, or is
10 this a consent form that has been put
11 together by the institution that you're
12 performing the surgery in?

13 A We use the institutional consent
14 forms.

15 Q Okay. So any additional information
16 that is placed on the form by you is in
17 addition to whatever that institution has on
18 the form; is that correct?

19 A Yes.

20 Q Okay. And when you go through a
21 consent form with your patient, do you read
22 it verbatim to them?

23 A No.

24 Q How do you go through it?

1 A I tell them what procedure that
2 they're consenting to. Then I tell them
3 what the most likely significant
4 complications are. And then I ask them if
5 they have any questions.

6 Q And do you have all of those things
7 listed on the form that you explain to them
8 because you didn't read it to them verbatim,
9 or are some of the things just addressed
10 verbally?

11 A Well, the things that are written on
12 the form that I think are very -- that are
13 essential are gone over verbally. Anything
14 that I think is important to discuss with
15 them verbally is also written on the form.

16 Q So essentially every part of your
17 verbal communication with the patient is
18 handwritten out as you speak?

19 A No.

20 Q Was that a yes?

21 A No, that was a no.

22 Q Okay.

23 A I mean, I don't transcribe my
24 conversation.

1 Q Okay. So is it possible that some
2 of your conversation is not written on that
3 form?

4 A Yes, but anything -- any part of the
5 conversation that was important would be
6 also written on the form.

7 Q Doctor, on this form, I think it's
8 in the fourth paragraph, and I think
9 Dr. Cain's name is written on the one line
10 in that paragraph -- do you see that?

11 A Yes.

12 Q -- it states, "If any unexpected
13 condition occurs during the operation or
14 procedure which, in my doctor's opinion,
15 needs treatment in addition to or different
16 from that to which I give consent, I will
17 allow my doctor to do at that time whatever
18 he or she believes is in my best interest."

19 What does that mean to you?

20 A Well, it's a blanket consent to do
21 whatever's necessary in an emergency
22 situation.

23 Q Okay. And what would an emergency
24 situation be?

1 A Well, it would be an instance such
2 as occurred in this case, a major vascular
3 injury or bowel injury that would require a
4 different incision. On the other hand, if
5 this patient had been told that she might
6 require an open procedure, she might say,
7 well, I don't want to have a tubal ligation
8 so badly that I am going to risk having a 6-
9 or 10-inch incision on my abdomen. I'm not
10 going to have the procedure at all. I mean,
11 for example --

12 Q If she wanted to have a complete
13 sterilization -- and I know you're not an
14 ob/gyn -- what other alternatives would she
15 have had?

16 A A whole variety of birth control
17 methods.

18 Q Okay. But if she didn't want to
19 take birth control and she wanted something
20 permanent, what options would she have?

21 A Well, there wouldn't be any other
22 permanent options other than this, but
23 she -- but all I'm saying is that it's
24 entirely possible that a patient would say,

1 well, I don't want a big incision. I'm very
2 vain about my appearance. I'm just not
3 going to do this. If this is a possibility,
4 I'm not going to do it. For example, there
5 are patients who, under no circumstances,
6 will allow you to transfuse them. And if
7 you say to them it's a possibility that
8 during this operation, you may need to be
9 given blood, they may say, well, then I'm
10 not having the operation. So that's why you
11 need to cover these things with the patient,
12 so they can make an informed decision.

13 Q Is it possible, Doctor, that
14 information can be covered verbally that is
15 not written on the form?

16 A Yes. But there's no testimony that
17 the discussion of converting to an open
18 procedure was specifically discussed with
19 the patient. And that's -- I didn't find
20 any evidence of that in Dr. Cain's
21 deposition or in Ms. Shonk's.

22 Q So you didn't see anything yourself
23 when you went through them?

24 A No.

1 Q Okay. If this testimony was there,
2 would you have a different opinion?

3 A Well, if he said that I specifically
4 talked to her about the possibility of
5 conversion to open, and she said that he
6 didn't, then I guess that's something for a
7 jury to decide.

8 Q Okay. If he did have that
9 conversation but it's not specifically
10 written out on the form, is that a breach of
11 the standard of care?

12 MR. HENRETTA: Objection.

13 A I'm not sure that I know the answer
14 to that. I think the important thing is
15 what's conveyed to the patient, whether it's
16 verbally, you know, or in writing.

17 Q Okay.

18 A I mean --

19 Q If it was presented verbally, it
20 would not be a breach of the standard of
21 care?

22 A I think that's correct. But I think
23 Dr. Cain said that he didn't specifically do
24 that.

1 Q Doctor, are you also prepared to
2 give opinions with regard to issues of
3 proximate causation in this case?

4 A Yes.

5 Q And what are those opinions?

6 A Well, the opinion, I guess, is
7 several fold. One is that as a result of
8 the injury to the vessel, she required a
9 significantly prolonged hospital stay
10 compared to what she would have had had it
11 not occurred. She was intubated for a
12 period of 24 hours, which is unpleasant and
13 would not have been required absent the
14 injury. She received four or five units of
15 blood and a couple units of fresh frozen
16 plasma, which she would not have required,
17 although there's no evidence at all that she
18 had any complications from that.

19 Q Okay.

20 A It's my belief that the wound
21 infection, the wound abscess, would not have
22 occurred to a degree of probability if it
23 had just been a laparoscopic procedure and
24 the operative field hadn't been contaminated

1 by the intestinal injury. So she wouldn't
2 have required the second and third operative
3 procedures that she had to drain the abscess
4 and to deal with the infected suture.

5 Q Let me go back a little bit. I want
6 to talk about what you just said. You
7 mentioned the intubation.

8 Is intubation common in a
9 laparotomy?

10 A Before I answer that, can I go back
11 to another question?

12 Q A question I asked you?

13 A Yeah.

14 Q Okay.

15 A Well, I mean, we were discussing the
16 informed consent issue and as to whether
17 there had been verbal discussion of
18 conversion to an open. And in Dr. Cain's
19 deposition, on Page 36, Line 1, the question
20 was asked: "Did you tell her that? Did you
21 give her an option of a laparotomy?"

22 Answer: "We didn't discuss laparotomy."

23 Q Okay. And that's what you're basing
24 your opinion on?

1 MR. HENRETTA: Which opinion? I
2 guess let me just object to that question.

3 Q Doctor, did you answer?

4 A The answer's yes.

5 Q Okay. Can we go back to my other
6 question?

7 A Sure. About intubation?

8 Q Yes. For a laparotomy, is
9 intubation common?

10 A Yes. If you're going to have a
11 laparotomy, the patient's intubated during
12 the procedure, just as the patient is for a
13 laparoscopy.

14 Q Okay.

15 A But the -- in the absence of major
16 complications, patients are extubated either
17 in the operating room or in the recovery
18 room shortly after the procedure.

19 Q Is that with a laparotomy also?

20 A Yes.

21 Q Okay. Were there any complications
22 from the intubation?

23 A No, other than having an
24 endotracheal tube down your windpipe is very

1 unpleasant for most patients.

2 Q And how long was that in?

3 A 24 hours.

4 Q Is that a standard amount of time, a
5 short amount of time, or what, with regards
6 to intubation in this type of case?

7 A Well, in a patient who suffered a
8 major complication like this and large
9 volumes of transfusion, it certainly is not
10 an excessive time for a patient to be
11 intubated. But it's -- it is very excessive
12 for a standard laparotomy or laparoscopy.

13 Q And you said there were no
14 complications from the transfusion; is that
15 correct?

16 A That's correct. And there were no
17 complications either from the intubation. I
18 mean, she hasn't ended up with a tracheal
19 stenosis or anything like that.

20 Q Right.

21 Now, you mentioned that she ended up
22 having to have a couple of returns as a --
23 and after she was discharged from the
24 hospital. And those return visits had to do

1 with, I believe, an abscess; is that
2 correct?

3 A Yes.

4 Q What is an abscess?

5 A An abscess is a collection of pus.

6 Q How does that occur?

7 A Well, bacteria enter the tissues and
8 cause an infection.

9 Q And at what point in time did
10 bacteria enter the tissue?

11 A At the time of the procedure.

12 Q And what was -- how was this abscess
13 handled? what did they do?

14 A It was initially treated by opening
15 it and draining it and packing it, which was
16 the appropriate way to handle it.

17 Q Okay.

18 A And then you allow it to heal in,
19 and then my understanding is that she had an
20 area that kept opening and closing, and
21 eventually they operated on that and traced
22 it down to an infected suture that they
23 removed. And once they did that, the
24 infection cleared up completely.

1 Q Now, how could a suture cause this
2 problem?

3 A Because it's a foreign body, and
4 it's difficult to eradicate an infection
5 where a foreign body is there, particularly
6 if it's a braided suture. Bacteria get into
7 the intricacies of the suture material, and
8 antibiotics simply won't clear it up.

9 Q Are sutures supposed to dissolve?

10 A They -- some do and some don't. I
11 think the type that she had were supposed to
12 dissolve, but they can take months to do
13 that.

14 Q What causes some sutures not to
15 dissolve?

16 A Well, some are made of materials
17 that are designed to be permanent, but I
18 can't tell you why this suture hadn't
19 dissolved in six months.

20 Q Do you have any idea if this was a
21 dissolvable suture? I believe somewhere in
22 Dr. Conklin's note, he did indicate it was a
23 dissolvable suture. Do you have any idea
24 why it would not have dissolved if it was?

1 A No, I don't. And I agree with you,
2 that I saw in his note that he did say it
3 was a dissolvable suture.

4 Q Okay. And you don't know why it
5 wouldn't have dissolved in this particular
6 case, then?

7 A No.

8 Q Did you answer, Doctor?

9 A I said no, I don't know.

10 Q Okay. I'm sorry. Every now and
11 then I can't hear you.

12 A I'm sorry.

13 Q I think it's the phone. I don't
14 think it's you.

15 Are there any other causation
16 opinions that you haven't expressed to me
17 that you plan to express?

18 A No, other than, you know, she has
19 a -- an incision going from her xiphoid to
20 her pubis that she wouldn't have had absent
21 the vascular injury.

22 Q Are there any other opinions that
23 you're planning to express at the trial of
24 this matter that you have not expressed yet

1 today or in your report?

2 MR. HENRETTA: Let me just object.

3 Go ahead, Doctor.

4 A No.

5 Q Okay. And are you going to be
6 asking for any additional material to review
7 prior to the trial of this matter, Doctor?

8 A No.

9 Q Okay.

10 MR. HENRETTA: There's another
11 expert's testimony.

12 Q And is it your intention to offer
13 the same opinions at trial that you've
14 offered here today?

15 MR. HENRETTA: Well, you know,
16 there's going to be -- your expert has not
17 been deposed.

18 MR. LOESEL: Okay, Tom.

19 MR. HENRETTA: Right? Isn't there a
20 doctor -- I can't pronounce his name --
21 Grischkan --

22 MR. LOESEL: Grischkan.

23 MR. HENRETTA: -- Grischkan, he has
24 not been deposed.

1 MR. LOESEL: Okay.

2 MR. HENRETTA: So if he is -- when
3 he is deposed, I would favor Dr. Cooperman
4 with a copy of that deposition transcript.

5 MR. LOESEL: Okay. And I guess if
6 Dr. Cooperman's opinions were to change
7 prior to the trial of this matter based on
8 that deposition testimony, I would ask that
9 you please make me aware of that before the
10 trial of this matter.

11 MR. HENRETTA: Of course.

12 MR. LOESEL: Is that fair?

13 MR. HENRETTA: Of course it's fair,
14 and I will.

15 MR. LOESEL: Doctor?

16 THE WITNESS: Yes, of course.

17 MR. LOESEL: Thank you.

18 MR. HENRETTA: Okay.

19 BY MR. LOESEL:

20 Q Doctor, are you familiar with
21 Dr. Cain at all?

22 A No, I'm not.

23 Q Okay. Are you familiar with
24 Dr. Grischkan?

1 A No.

2 Q Are you familiar with Dr. Method
3 Duschon?

4 A No.

5 Q No?

6 Are you familiar with Dr. Conklin,
7 the vascular surgeon?

8 A No, I'm not.

9 Q Okay. And are you familiar at all
10 with Dr. Shalowitz?

11 A No.

12 Q Okay.

13 MR. HENRETTA: Pam, what was the one
14 doctor's name you mentioned?

15 MR. LOESEL: Method Duchon?

16 MR. HENRETTA: Yes. Is he set to
17 give testimony?

18 MR. LOESEL: Yes. He's scheduled
19 also.

20 MR. HENRETTA: Well, what I said for
21 Dr. Grischkan applies to him, as well.

22 MR. LOESEL: Okay, Tom.

23 MR. HENRETTA: All right.

24 MR. LOESEL: And I guess my same

1 request as to any testimony from Dr. Duchon
2 stands the same as I asked for
3 Dr. Grischkan, if there's a change in
4 opinion.

5 MR. HENRETTA: Absolutely.

6 MR. LOESEL: okay?

7 MR. HENRETTA: Okay.

8 MR. LOESEL: Doctor, I'm going to
9 look at my notes real quick and see if I
10 missed anything. I think I'm done. Just
11 give me a minute, please.

12 THE WITNESS: Okay. Take your time.

13 (Break taken.)

14 MR. LOESEL: I think that's it,
15 Dr. Cooperman. Thank you.

16 THE WITNESS: Okay. Thank you very
17 much.

18 MR. LOESEL: Tom?

19 MR. HENRETTA: He won't waive.

20 --0--

21 (Defendants' Exhibits 1 and 2 marked.)

22 --0--

23 Thereupon, the testimony of
24 October 2, 2003, was concluded at 12:30 p.m.

1 *Attach to the deposition of MARC COOPERMAN, M.D.
2 SHONK ET AL. v DOCTORS HOSPITAL, ET AL.
Case No. 2001 CV 01895

3 STATE OF OHIO :
4 COUNTY OF _____ : SS:

5 I, MARC COOPERMAN, M.D., do hereby
6 certify that I have read the foregoing
7 transcript of my deposition given on October
8 2, 2003; that together with the correction
9 page attached hereto noting changes in form
10 or substance, if any, it is true and
11 correct.

12 _____
13 I do hereby certify that the
14 foregoing transcript of MARC COOPERMAN, M.D.
15 was submitted for reading and signing; that
16 after it was stated to the undersigned
17 notary public that the deponent read and
18 examined the deposition, the deponent signed
19 the same in my presence on this _____ day
20 of _____, 2003.

21 _____
22 NOTARY PUBLIC
My commission expires:

CERTIFICATE

STATE OF OHIO :
SS:
COUNTY OF FRANKLIN :

I, Sara S. Fuller, RPR/CRR, a
Notary Public in and for the State of Ohio,
duly commissioned and qualified, do hereby
certify that the within-named MARC
COOPERMAN, M.D. was first duly sworn to
testify to the truth, the whole truth, and
nothing but the truth in the cause
aforesaid; that the testimony then given was
reduced to stenotypy in the presence of said
witness, afterwards transcribed; that the
foregoing is a true and correct transcript
of the testimony; that this deposition was
taken at the time and place in the foregoing
caption specified.

I do further certify that I am not
a relative, employee or attorney of any of
the parties hereto; that I am not a relative
or employee of any attorney or counsel
employed by the parties hereto; that I am
not financially interested in the action;
and further, I am not, nor is the court
reporting firm with which I am affiliated,
under contract as defined in Civil Rule
28(D).

In witness whereof, I have
hereunto set my hand and affixed my seal of
office at Columbus, Ohio, on this 8th day
of October, 2003.

Sara S. Fuller
Sara S. Fuller, RPR/CRR
Notary Public, State of Ohio.

My commission expires: March 19, 2008

CURRIVULUM VITAE
MARC COOPERMAN, M.D.

OFFICE ADDRESS: 1492 East Broad Street
Suite 1403
Columbus, Ohio 43205
Phone: (614) 252-0789
Fax: (614) 846-1367

HOME ADDRESS: 250 Deer Creek
Powell, Ohio 43065
Phone: (614) 888-4497
Fax: (614) 846-1367

SOCIAL SECURITY NUMBER:
213-48-7949

DATE OF BIRTH: September 30, 1947

DEGREES: B.A. – 1969 Yale University
M.D. – 1973 Stanford University


SPECIALTY: General Surgery

LICENSURE: State of Ohio, 1977

BOARD CERTIFICATION:
National Board of Medical Examiners, 1974
American Board of Surgery, 1978
Recertified, 1988, 1996

RESIDENCY: Jr. Assistant Resident in Surgery, 1973-1975
University of Chicago

Sr. Assistant Resident in Surgery, 1975-1977
Ohio State University



CURRICULUM VITAE, PAGE TWO
MARC COOPERMAN, M.D.

APPOINTMENTS:	Assistant Professor of Surgery Ohio State University	1977-1982
	Associate Professor of Surgery Ohio State University	1982-1988
	Clinical Professor of Surgery Meharry Medical College	1987-1992
	Director, Division of Trauma Saint Anthony Medical Center	1986-1990
	Chief Of Surgery Park Medical Center	1990-1999
	Clinical Asst. Professor Surgery, OSU	2000-
	Surgical Consultant, VA Med Center	2003-.....

HOSPITAL APPTS:	Ohio State University Hospital East	Active
	VA Medical Clinic	Active
	Mount Carmel East Hospital	Courtesy
	Mount Carmel Medical Center	Courtesy
	Riverside Medical Center	Honorary

MEMBERSHIPS:	American College of Surgeons
	The Society for Surgery of the Alimentary Tract
	Association for Academic Surgery
	Society of University Surgeons
	Central Surgical Association
	Southwestern Surgical Congress
	American Gastroenterological Association
	Collegium International Chirurgiae
Societe Internationale de Chirurgiae	

HONORS:	Outstanding Faculty Service Award	1979
	Ohio State University	

PUBLICATIONS:

1. Cooperman M, Stinson E, Griep R, Shunway N: Survival and Function after Left Ventricular Aneurysmectomy.
JOURNAL OF THORACIC AND CARDIOVASCULAR SURGERY 69(2):321-328, 1975
2. Cooperman M, Deal KF, Wooley CF, Evans WE: Spontaneous Aortocaval Fistula with Paradoxical Pulmonary Embolization
AMERICAN JOURNAL OF SURGERY 134:647-649, 1977
3. Cooperman M, Clark M, Evans WE: The Use of Doppler Ultrasound in Selection of Patients for Below the Knee Amputation.
REVIEW OF SURGERY, 1977
4. Evans WE, O'Dorisio T, Molnar W, Martin EW, Wooley CF, Cooperman M: Intraoperative Localization of Intestinal Arteriovenous Malformation.
ARCHIVES OF SURGERY 113 (4): 410, 1978
5. Cooperman M, Clausen KP, Hecht C, Lucas JG, Keith L: Cillous Adenomas of the Duodenum.
GASTROENTEROLOGY 74 (6): 1285-1297, 1978
6. Cooperman M, Martin EW, Keith K, Pace WG, Pflug WG, Evans WE, Carel L: Use of Doppler Ultrasound in Intestinal Surgery.
EUROPEAN SURGICAL RESEARCH Vol. 10, Supplement 1, 98-99, 1978
7. Martin EW, Cooperman M, Molnar J, Pace W, Thomford N, Carey L: Post-Operative Observations of Fifty Selective Distal Splenorenal Shunts.
EUROPEAN SURGICAL RESEARCH Vol. 10, Supplemental 1, 85, 1978
8. Lobe T, Martin EW, Cooperman M, Evans WE, Wasko J: Abdominal Aortic Surgery in the Presence of a Horseshoe Kidney.
ANNALS OF SURGERY 188: 71-78, 1978
9. Evans WE, Cooperman M: The Significance of Asymptomatic Unilateral Carotid Bruits in Preoperative Patients.
SURGERY 83: 521-522, 1978
10. Cooperman M, Pflug B, Martin WE, Evans WE: Cardiovascular Risk Factors in Patients with Peripheral Vascular Disease.
SURGERY 84: 505-509, 1978
11. Cooperman M, Martin EW, Evans WE: Significance of Asymptomatic Carotid Bruits.
ARCHIVES OF SURGERY 113:1139-1340, 1978
12. Martin ED, Cooperman M, Minton JP: A Retrospective and Prospective of Serial CEA Determination in the Early Detection of Recurrent Colon Cancer.
EXCERPTA MEDICA 439:88-91, 1978
13. Lobe T, Cooperman M, Evans WE: Bilateral Carotid Anuerysms Occurring in Association with an Anuerysm of the Abdominal Aorta.

14. Cooperman M, Pace W, Martin EW, Pflug B, Keith L, Evans WE, Carey L: Determination of Viability of Ischemic Intestine by Doppler Ultrasound. SURGERY 83 (6): 705-710, 1978
15. Martin EW, Molnar J, Cooperman M, Pace W, Thomford N, Carey L: Observation on Fifty Distal Splenorenal Shunts. SURGERY 84: 379-383, 1978
16. Cooperman M, Martin EW, Schroeder P, Evans WE: Treatment of the Totally Occluded Abdominal Aorta. JOURNAL OF VASCULAR SURGERY 12: 5, 1978
17. Satiani B, Cooperman M, Clark M, Evans WE: An Assessment of Carotid Phonoangiography and Oculoplethysmography in the Detection of Carotid Artery Sclerosis. AMERICAN JOURNAL OF SURGERY 136: 618-621, 1978
18. Cooperman M, Martin EW, Satiani B, Clark M, Evans WE: Detection of Deep Vein Thrombosis by Impedence Plethysmography. AMERICAN JOURNAL OF SURGERY Vol. 137: 252-254, 1979
19. Martin EW, Cooperman M, King G, Rinker L, Minton JP, Carey L: A Retrospective Study of Serial CEA Determinations in the Early Detection of Recurrent Colon Cancer. AMERICAN JOURNAL OF SURGERY Vol. 137: 167-169, 1979
20. Savrin R, Clausen K, Martin EW, Cooperman M: Appendicitis: Chronic - Recurrent - Subacute. AMERICAN JOURNAL OF SURGERY Vol. 37 (3): 355, 1979
21. Martin EW, Cooperman M, King G, Noltimier J: A Comparison of the Retrospective and Prospective Studies Using Serial CEA Determinations to Detect Early Recurrent Colon Cancer. CHEMISTRY, BIOLOGY, CLINICAL APPLICATION, VOLUME II, LEHMAN, FRANK-GUNTER, EDITORY, ELSEVIER/NORTH HOLLAND PUBLISHERS 81-85, 1979
22. Cooperman M, Martin EW, Evans WE, Carey L: Assessment of Blood Supply in Operations Upon the Colon by Doppler Ultrasound. SURGERY, GYNECOLOGY, AND OBSTETRICS Vol. 149: 15, 1979
23. Savrin RA, Cooperman M, Martin EW: Clinical Application of Carcinoembryonic Antigen in Patients with Colorectal Carcinoma. DISEASES OF THE COLON AND RECTUM Vol. 22 (4): 221, 1979
24. Cooperman M, Martin EW, Evans WE, Carey L: The Use of Doppler Ultrasound in Intraoperative Localization of Intestinal AV Malformation. ANNALS OF SURGERY 190 (1): 24-26, 1979
25. Ferrara J, Ellison C, Martin EW, Cooperman M: Correction of Hypersplenism Following Distal Splenorenal Shunt. SURGERY 86: 570-573, 1979

27. Cooperman M, Pace WG, Martin EW, Pflug B, Keith L, Evans WE, Carey L: Determination of Viability of Ischemic Intestine by Doppler Ultrasound
1979 YEARBOOK OF SURGERY, Yearbook Medical Publishers, 1979
28. Martin EW, Molnar J, Cooperman M, Pace WG, Thomford NR, Carey L: Observations on 50 Distal Splenorenal Shunts.
1979 YEARBOOK ON SURGERY, Yearbook Medical Publishers, 1979
29. Cooperman M, Martin EW, Keith L, Carey L: The Use of Doppler Ultrasound in Intestinal Surgery.
AMERICAN JOURNAL OF SURGERY 138: 856-859, 1979
30. Martin EW, Catalano P, Cooperman M, Hect C, Carey L: Surgical Decision Making in the Treatment of Pancreatic Pseudocysts, Internal vs. External Drainage.
AMERICAN JOURNAL OF SURGERY 138: 821-824, 1979
31. Anderson E, Carey L, Cooperman M: Colostomy Closure - A Simple Procedure.
DISEASES OF THE COLON AND RECTUM 22: 466-468, 1979
32. Cooperman M, Martin EW, Carey L: Evaluation of Ischemic Intestine by Doppler Ultrasound.
AMERICAN JOURNAL OF SURGERY Vol. 138 (1): 73, 1980
33. Cooperman M, Martin EW, Carey L: Determination by Doppler Ultrasound of Intestinal Viability in Venous Infarction.
ANNALS OF SURGERY Vol. 191 (1): 57, 1980
34. Martin EW, Cooperman M, Carey L, Minton JP: Sixty Second-Look Procedures Indicated Primarily by Rise in Serial Carcinoembryonic Antigen.
JOURNAL OF SURGERY RESEARCH 28: 389-394, 1980
35. Cooperman M, Farrar WB, James AG: The Surgical Management of Papillary and Follicular Carcinoma of the Thyroid.
ANNALS OF SURGERY Vol. 192 (6): 701, 1980
36. Cooperman M, Fabri PJ, Martin EW, Carey L: EEA Esophageal Stapling for Control of Bleeding Esophageal Varices.
AMERICAN JOURNAL OF SURGERY Vol. 140 (6): 821, 1980
37. Martin EW, Joyce S, Lucas J, Clausen K, Cooperman M: Colorectal Carcinoma in Patients Less than 40 Years of Age: Pathology and Prognosis.
DISEASES OF THE COLON AND RECTUM Vol. 24 (1): 25-28, 1981
38. Cooperman M, Ferrarra JJ, Carey L, Thomas FB, Martin EW, Fromkes JJ: ERCP in the Evaluation of the Nonjaundiced Patient with the Post-cholecystectomy Syndrome.
ARCHIVES OF SURGERY 116: 606-609, 1981
39. Cooperman M, Ferrarra JJ, Carey L, Thomas FB, Martin EW, Fromkes JJ: Idiopathic Acute Pancreatitis: The Value of ERCP.
SURGERY 90 (4): 666, October, 1981

41. Tingwald GR, Cooperman M: Inguinal and Femoral Hernia Repair in Geriatric Patients. SURGERY, GYNECOLOGY, & OBSTETRICS 154: 704-706, May, 1982
42. Cooperman M: Peritoneal Lavage in Acute Pancreatitis. CONTROVERSIES IN ACUTE PANCREATITIS Ed. by L. F. Hollender, Springer-Verlag Berlin Heidelberg, 193-195, 1982
43. Cooperman M: Pancreatic Abscess. CONTROVERSIES IN ACUTE PANCREATITIS Ed. by L. F. Hollender, Springer-Verlag Berlin Heidelberg, 324-326, 1982
44. Birken GA, Cooperman M: Gastroenterologic Surgical Emergencies Following Surgery. SURGICAL GASTROENTEROLOGY 1: 51-53, 1982
45. Anderson ED, Mandelbaum DM, Ellison EC, Carey L, Cooperman M: Open Packing of the Peritoneal Cavity in Generalized Bacterial Peritonitis. THE AMERICAN JOURNAL OF SURGERY 145 (1): 131, January, 1983
46. Wanamaker SR, Cooperman M, Carey L: The Use of EEA Stapling Instrument for Control of Bleeding Esophageal Varices. SURGERY 94 (4): 620, October, 1983
47. Huber DF, Martin EW, Cooperman M: Cholecystectomy in Elderly Patients. AMERICAN JOURNAL OF SURGERY 146 (6): 719-722, December, 1983
48. Carey L, Ellison EC, Cooperman M: Surgical Aspects of the Pancreas. PRACTICE OF SURGERY General Surgery 3, Chapter 1, Hagerstown, MD, Harper & Row 1979, Revised in 1984.
49. Cooperman M, Ellison EC, Carey L: Pancreatic Pseudocysts. PANCREATIC DISEASE - DIAGNOSIS AND THERAPY Chapter 13, Grune & Stratton, Inc., New York, p. 247, 1982
50. Cooperman M: Complications of Appendectomy. SURGICAL CLINICS OF NORTH AMERICA 63 (6): 1233-1247, W. B. Saunders Co., Philadelphia, December, 1983
51. James AG, Cooperman M, Farrar WB: Tumor of the Thyroid and Parathyroid. SURGICAL ONCOLOGY Chapter 20, pp. 405-429 McGraw Hill Book Co., New York, 1984
52. Cooperman M: Surgical Treatment of Pancreatitis. BOCKUS GASTROENTEROLOGY, 4th Edition, WB Saunders Co., Philadelphia/ London/ Toronto Vol. 6, pp. 4040-4049, 1985
53. Cooperman M: Determination of Intestinal Viability by Doppler Ultrasound. TECHNIQUES FOR SURGEONS Ed. O'Leary JP and Woltering EA, John Wiley & Sons, New York, pp. 128-130, 1985
54. Larson LC, Fromkes JJ, Cooperman M: ERCP in the Management of Pancreatic

CURRICULUM VITAE
MARC COOPERMAN, M.D.
PAGE SEVEN

55. Vaccaro, P. Zollinger, RW, Sharma, H. Cooperman, M. Massive Upper Gastrointestinal Hemorrhage from an Arteriovenous Malformation of the Stomach.
JOURNAL OF CLINICAL GASTROENTEROLOGY 7 (4): 285-288, 1985.
56. Walker, JT, Johnson B, Fritz W, Vacarro PV, Cooperman, M.: Abdominal Aortic Aneurysms in Elderly Patients.
VASCULAR SURGERY Vol. 20, No. 2, 1986.
57. Cooperman, M.: Intestinal Ischemia
CURRENT THERAPY IN GASTROENTEROLOGY AND LIVER DISEASE, 2nd Edition.
pub. By B.C. Becker, Inc, Philadelphia/Toronto, 1986.
58. Park, J. Fromkes, JJ, Cooperman, M: Acute Pancreatitis in Elderly Patients.
AMERICAN JOURNAL OF SURGERY 152 (6): 638-642. December, 1986.
59. Cooperman, M, Beaver, B.: Intraoperative Techniques on Bowel Obstruction Associated With Vascular Occlusion.
CLINICAL SURGERY INTERNATIONAL.
60. Hoover, EL; Natesha, RK; Cooperman, M; Hasett, J: Right Upper Quadrant Calcification: Porcelain Gallbladder Disease.
AMERICAN FAMILY PHYSICIAN: 45 (5): 2171-4. May 1992.
61. Guy J, Ghany A, Schlanger R, Cooperman, M. Guy, J.: Splenectomy for Lifethreatening Spontaneous Splenic Infarct in Myelo and Lymphoproliferative Malignancies.
Abstract 1801, p.544, Proceedings ASCO, Vol. 14, 1995.

BOOKS:

Cooperman, M. (ed) **INTESTINAL ISCHEMIA** . Mt. Kisco, New York. Futura Publishing Co. 1983. (authored chapters "Intestinal Viability" and "Intestinal Volvulus.")

CLIENT INFORMATION PACKET

SANDEE SHONK
Injuries sustained 7/28/00

Medical records of Doctors Hospital of Stark County dated 7/28/00-8/2/00.....	7/28 TUBAL BY DR. CAN. NEEDS NEEDLE - 1 TROCAR - BRIGHT BLEEDING I3P160 INJURIES TO ILLIAC ARTERY - BRANCH OF ILLIAC VEIN Outpatient report of Aultman Hospital + THROUGH + THROUGH ILEAL INJURY. DR. CONKLIN dated 7/28/00.....	1
Test results of the Cleveland Clinic Foundation dated 7/28/00.....	1) 1) REPAIR - NO POST-OP COMPLICATIONS.....	2
Emergency room records of Mercy Medical Center dated 9/24/00.....	CT. SHOWED ABD. WALL THICKENING + THICKENED OMENTUM. PT DISCHARGED	4
Medical records of Doctors Hospital of Stark County dated 9/29/00.....	IT. I. D. ABD. WALL. ABSCESS - 2 CM. CAVITY - 5-10 CL PUS GEN ANESTHESIA CULTURES - STAPH	5
Emergency room records of Doctors Hospital of Stark County dated 10/1/00.....	WOUND. PAINING. CHANGE (WEEKEND)	6
Radiology reports of Doctors Hospital of Stark County dated 3/15/01.....	CT. OF ABD. + PELVIS - SMALL AMT. PERIC. FLUID - SMALL BILATERAL OVARIAN CYSTS.	7
Surgery records of Doctors Hospital of Stark County dated 3/19/01.....	DRAINING SINUS ABD. WALL JUST BELOW UMBILICUS - SUTURE (REMOVED)	8
Medical records of Dr. Conklin of Perry Hill Surgeons dated 7/28/00-4/13/01.....		9
Medical records of Mercy Medical Center.....		10
dated 12/16/95-12/17/95.....		10a
dated 1/21/98.....		10b
dated 5/1/00-5/3/00.....		10c
Case damage audit.....		11
Photographs		12

