

1 IN THE COURT OF COMMON PLEAS

2 COUNTY OF SUMMIT

3 RUBY J. NANCE,) CASE NO. CV 99 02 0596
4 Executrix, et al.,)
5 Plaintiffs,) EXCERPT OF TRANSCRIPT
6 vs.) OF PROCEEDINGS
7 SYED ALI, M.D., et al.,) EXAMINATION OF
8 Defendants.) LAWRENCE COOPERSTEIN,
M.D.

9 - - -

10 APPEARANCES:

11 TIMOTHY F. SCANLON, Attorney at Law,
12 KEVIN P. HARDMAN, Attorney at Law,
On Behalf of the Plaintiffs.

13 GARY A. BANAS, Attorney at Law,
14 On Behalf of the Defendants.

15 - - -

16
17 BE IT REMEMBERED that upon the hearing
18 of the above-entitled matter in the Court of Common
19 Pleas, Summit County, Ohio, before the Honorable
20 John R. Adams, Presiding, and commencing on
21 January 10, 2000, the following proceedings were
22 had, being an Excerpt of Transcript of Proceedings:

23 TRACY L. ROWLAND, RPR
24 Official Court Reporter
Summit County Courthouse
25 Akron, Ohio 44308

OFFICIAL COURT REPORTER - C.A.T.

1 January 12, 2000

2 * * * * * (Beginning of Excerpt) * * * * *

3 THE COURT: Ladies and gentlemen
4 of the jury, to accommodate the schedule of
5 this particular witness, he's going to be
6 taken out of order. He's going to be called
7 on behalf of the Defendant at this time.

8 Sir, before you're seated, please stand
9 while I administer the oath, please. Raise
10 your right hand.

11 - - -

12 LAWRENCE COOPERSTEIN

13 a witness herein, called on behalf of the
14 Defendant, having been first duly sworn as
15 provided by law, was examined and testified
16 as follows:

17 THE COURT: Please be seated in
18 the witness stand. Keep your voice up and
19 use the microphone so the jury can hear your
20 testimony, please.

21 Mr. Banas.

22 DIRECT EXAMINATION

23 BY MR. BANAS:

24 Q. Doctor, give us your full name.

25 A. Lawrence Cooperstein.

1 Q. Your business address?

2 A. My business address is Department of
3 Diagnostic Imaging, UPMC, Shadyside Hospital,
4 Pittsburgh, Pennsylvania. The street address
5 is 5230 Center Avenue, 15232.

6 Q. Doctor, so the jury will understand your
7 qualifications, will you start at
8 undergraduate school and take us to the time
9 that you started practicing diagnostic
10 radiology, if that's your specialty?

11 A. Yes, it is. I graduated from Princeton
12 University, Princeton, New Jersey, in --

13 MR. BANAS: Is that a little
14 loud?

15 THE COURT: Just a little bit.

16 THE WITNESS: I'll sit back.

17 MR. BANAS: Most people don't
18 speak up.

19 THE WITNESS: I graduated with
20 a bachelor's degree in 1975, then went to
21 the University of Rochester Medical School
22 and graduated with the M.D. degree in
23 1979. Following that I came to Pittsburgh,
24 did an internship at the University
25 Hospital, followed by a residency in

1 diagnostic radiology, which I completed
2 in 1983.

3 I then did a fellowship which lasted
4 four months in musculoskeletal imaging.
5 Two months of that was spent at the
6 Florida -- University of Gainesville, two
7 months at University of California,
8 San Diego.

9 Following that I took a position on the
10 staff, University Hospital Pittsburgh.

11 BY MR. BANAS:

12 Q. What's the difference between a residency and
13 a fellowship?

14 A. A residency is the basic training in
15 diagnostic radiology. It covers all areas of
16 diagnostic radiology. The fellowship was
17 further training in a more specialized part
18 of that.

19 Q. Are you board certified?

20 A. Yes, I am.

21 Q. Briefly, how does one become board certified?

22 A. One has to take a written examination which
23 is taken over two days which covers all
24 different areas of diagnostic imaging. That
25 written test also includes a section on

1 radiographic physics.

2 Then six to nine months later one can
3 take the oral examination, which is given
4 over one day in which one has to sit with
5 individual examiners, eight or nine
6 different sections, one-on-one, looking at
7 different sorts of X-ray cases and discussing
8 those.

9 One has to pass the written and pass
10 the oral in order to be board certified.

11 Q. You did that?

12 A. Yes.

13 Q. On the first time?

14 A. Yes.

15 Q. Do you teach?

16 A. Yes, I do.

17 Q. Do you teach residents?

18 A. Yes.

19 Q. Have you written for the literature?

20 A. Yes, I have.

21 Q. Explain to the jury "written for the
22 literature," what that means, by way of
23 publication, articles, chapters, whatever it
24 is.

25 A. I've written several book chapters, different

1 books that have been published in the last
2 ten years or so in different areas of
3 radiology. In terms of papers, I've been
4 author or coauthor on probably 20 to 25
5 different articles in what are called peer
6 review journals.

7 Q. What are peer review journals?

8 A. Peer review journals, one has to submit the
9 article to the journal. The article is sent
10 out to reviewers who are experts in the field
11 who have to agree that the paper is
12 reasonable, deserves to be published, and
13 then the paper, if it gets through peer
14 review, gets published in the journal.

15 Q. Doctor, is more than 50 percent of your time
16 spent in the active practice of diagnostic
17 radiology?

18 A. Yes, it is.

19 Q. And so the jury understands, tell me
20 essentially what you do on a day-to-day
21 basis.

22 A. On a daily basis I may interpret 100 to 150,
23 sometimes even more, different radiographic
24 studies. That may be a mix of routine
25 X-rays, as well as more specialized things

1 such as CAT scans, ultrasounds, MRI
2 particularly. I may be involved with some
3 procedural things, different sorts of
4 injections, biopsies, things such as that.

5 Q. Are you an interventional radiologist?

6 A. Not specifically. I do take part in some
7 procedures that would probably be called
8 interventional, but I don't bill myself as an
9 interventional radiologist.

10 Q. Doctor, some time ago Sue Ellen Salsbury came
11 to your office and asked to you look at a
12 series of CT films, did she not?

13 A. Yes, she did.

14 Q. I'm going to hand this packet of films to
15 you which is marked as Defendant's
16 Exhibit A. You don't have to look at them
17 unless you want to. Do you know what's in
18 there?

19 A. Yes, I do.

20 Q. And tell the jury what is in there and how
21 this all happened.

22 A. Ms. Salsbury came to my office and really had
23 not told me why she was coming. She produced
24 these CT images and just asked me to
25 interpret them. What she was trying to do

1 was simulate the normal working environment.

2 She gave me one case after another,
3 asked me my interpretation. We did this in
4 my office. I put each case up individually
5 on the view box and gave her my
6 interpretation of each case in sequence.

7 Q. Now I'm going to hand you Defendant's
8 Exhibit C. Can you tell me what that is?

9 A. That looks like my interpretations of the
10 five CT -- I'm sorry, it's more than five --
11 six CT scans that she brought that day.

12 Q. I'm going to show you Defendant's Exhibit D.
13 Can you tell me what that is?

14 A. That's the same thing with the names blocked
15 out.

16 Q. Right. Because of confidentiality for the
17 patients, we have blocked out the names of
18 the people whose CT's you read, correct?

19 A. Correct.

20 Q. Now, Doctor, you've indicated that this was a
21 way to simulate how you would actually do it
22 in practice. Is this, from your standpoint,
23 a reliable way of looking at a set of CT
24 films to determine exactly how they should be
25 read?

1 A. Yes. I think it's important to do this. The
2 problem, of course, is when you receive a
3 case from an attorney to look at, you know
4 there's something there, and therefore you're
5 prejudiced.

6 By looking at them in this way, I
7 believe we're trying to get rid of that
8 prejudice. I didn't know which case when I
9 first looked at this was the case in
10 question. I just looked at them one right
11 after the other.

12 Q. Now, let's stop there for a moment and change
13 our focus and we'll come back. I understand
14 that you and I have never dealt before this
15 particular case. Is that true?

16 A. That's correct.

17 Q. But I understand that you have done two or
18 three matters for people in my firm?

19 A. Yes, that's correct.

20 Q. Do you do plaintiff's work also?

21 A. I have done some, yes.

22 Q. All right. Now, let's go back -- let's
23 assume that you're a plaintiff's expert and a
24 plaintiff's lawyer sends you a set of films.
25 Is there any sort of bias built into that

1 when a plaintiff's lawyer would send you a
2 set of films?

3 MR. SCANLON: I object, Your Honor.

4 THE COURT: Sustained.

5 BY MR. BANAS:

6 Q. Well, Doctor, when you receive a set of films
7 from either a defendant or a plaintiff's
8 lawyer, is there anything built into that by
9 way of the fact that you know a known
10 plaintiff's or a known defense lawyer sends
11 you something to look at?

12 A. Well, sure, there's always a bias.

13 Q. All right.

14 A. Lawyers don't send me cases to look at unless
15 there's either something that was felt to be
16 missed or a missed diagnosis, there's a
17 lawsuit already in place or one being
18 contemplated. Sure, there's definitely a
19 bias.

20 Q. When you went through these six -- and I
21 think you indicated you didn't know which was
22 the case that we're here in this courtroom
23 about?

24 A. That's correct, I did not.

25 Q. I think because of the pieces of paper we

- 1 have it was number 3, correct?
- 2 A. Correct.
- 3 Q. Tell the jury how you read number 3. Do you
- 4 need the papers?
- 5 A. No, I believe I remember.
- 6 Q. All right. Do you need the films?
- 7 A. No, I remember the case also. When I first
- 8 looked at that case, I interpreted the images
- 9 as showing evidence of bullous emphysema.
- 10 That is a form of chronic obstructive
- 11 pulmonary disease. I believe that's all I
- 12 saw on those particular images.
- 13 Q. All right. Now, we know also since we've
- 14 been in this courtroom -- and I believe at
- 15 the deposition were lawyers from Summa, Akron
- 16 City, perhaps on the phone, but there was
- 17 also a lawyer from Kaiser present at the
- 18 deposition, and as well as Mr. Scanlon, who
- 19 is sitting here, correct?
- 20 A. Correct.
- 21 Q. Now, did you have any information other than
- 22 the CT scans, which is Exhibit A?
- 23 A. My recollection is I had no other clinical
- 24 information.
- 25 Q. For instance, let's assume you have something

1 that shows bladder cancer, and I'm not sure
2 whether you had that or not. Does bladder
3 cancer, is that something that you would
4 expect to see metastasize in the lungs?

5 A. That would be very uncommon.

6 Q. Doctor, tell the jury as you looked at that
7 set of films what you saw by way of -- well,
8 how would you read it, particularly with
9 regard to anything in the lungs?

10 A. I would have read the scan as showing
11 evidence of emphysema. There were some
12 bullous changes, there were large air spaces
13 in the upper lungs, particularly on the
14 right, if I remember correctly. And I would
15 have reported out no other abnormality.

16 Q. Did you see anything that would indicate
17 there was a lesion somewhere in the lungs?

18 A. I did not.

19 Q. What did you see, if you saw anything, in
20 that area?

21 A. I saw what I interpreted to be normal
22 pulmonary vessels. The lung is highly
23 vascular. There are normal structures which
24 one can see in the lungs, and that's how I
25 interpreted those images.

- 1 Q. We've been told by another radiologist that
2 it is impossible for that to be pulmonary
3 vessels. Is that true? In other words, what
4 this expert said is that you cannot read that
5 area as pulmonary vessels. Do you agree with
6 that?
- 7 A. I completely disagree.
- 8 Q. Now, at the conclusion of what you did, were
9 you ultimately shown a film from 1995?
- 10 A. Yes, I was.
- 11 Q. All right. What was different in the '95
12 film, the CT scan of June of '95 as opposed
13 to the June of '94 CT scan?
- 14 A. The second scan showed a mass in the lower
15 part of the left lung.
- 16 Q. Now, when you go back and look at the '94
17 film, what happens?
- 18 A. After I had seen the mass on the second scan,
19 I went back to the first scan and at that
20 point I could detect a small abnormality on
21 the same area.
- 22 Q. Based upon reasonable medical certainty,
23 Doctor, did Dr. Ali fall below the standard
24 of care when he read the CT scan of June of
25 1994 as he did, without seeing this

1 particular lesion? First of all, do you have
2 an opinion?

3 A. Yes, I do.

4 Q. What is your opinion?

5 A. My opinion is that he did not fall below the
6 expected standard of care, that indeed his
7 interpretation was reason within the expected
8 standard of care.

9 Q. Let's assume, let's assume that somebody
10 gives him a copy of a chest film of October
11 of 1993 that shows either a summation shadow
12 in the same area or a possible lesion. Would
13 that be helpful to somebody like Dr. Ali in
14 reading these films?

15 A. It certainly could have been, yes.

16 Q. What does that do to a radiologist such as
17 yourself when you're looking at that set of
18 films?

19 A. It directs your attention to that particular
20 area and probably makes you look at it just a
21 little bit harder.

22 Q. Now, Doctor, I'm going to tell you, the size
23 of the slices are what size, roughly?

24 A. Do you mean the actual sheet of film?

25 Q. On the film, what are they, about 4 by 5?

- 1 A. You're upside down.
- 2 Q. I'm always upside down. What is it?
- 3 A. Well, they're probably 3 or 4 inches, by the
4 same.
- 5 Q. And when you're looking for the lesion such
6 as you're looking for, now that you know the
7 1995 CT scan, looking back at the 1994 CT
8 scan, what are you looking at? What is the
9 rough size of this as you look at it, which
10 you determine to be pulmonary vessels?
- 11 A. Oh, it's a centimeter, just a centimeter or
12 maybe just slightly larger.
- 13 Q. All right. Now, let's assume that we blow
14 that up. Let's assume that we blow up slice
15 21 and put it on the screen. Are we going to
16 see a difference?
- 17 A. Oh, I suspect everybody will see it, and it
18 will look enormous.
- 19 Q. Is this the way radiologists like Dr. Ali
20 look at things, or do you look at the slices
21 here?
- 22 A. We look at them just as they are here. We
23 don't blow up individual images on screens.
- 24 MR. BANAS: May I have a moment,
25 Your Honor?

1 THE COURT: Yes, sir, you may.

2 MR. BANAS: You may

3 cross-examine.

4 THE COURT: Counsel.

5 CROSS-EXAMINATION

6 BY MR. SCANLON:

7 Q. Dr. Cooperstein, part of the work that you do
8 involves serving as an expert witness or
9 consulting in what I believe you would call
10 medical legal cases; am I right?

11 A. That is correct.

12 Q. Now, in that work that you do, you are
13 basically a spokesperson for the physicians
14 in these cases, aren't you?

15 A. On the cases that I've reviewed for the
16 defense, yes.

17 Q. Well, you told this jury that you've done
18 some work for patients who have been
19 misdiagnosed. Do you recall that testimony?

20 A. Yes.

21 Q. In fact, in all the years that you have been
22 serving as an expert witness or a consultant,
23 you've been contacted twice on behalf of
24 patients, haven't you?

25 A. It's actually three.

1 Q. Yesterday afternoon in Pittsburgh you gave
2 testimony under oath, didn't you, Doctor,
3 that there were two such cases?

4 A. I did, and after it was over -- actually on
5 my drive home I remember that there have
6 actually been three.

7 Q. Three. Out of what, Doctor, 100?

8 A. I doubt it's 100, but I'd say probably
9 somewhere in the 50 to 100 range.

10 Q. And in fact, you have a rather close working
11 relationship with the law firm who represents
12 Dr. Ali in this case, don't you?

13 A. Only in the sense that they've asked me to
14 look at a few cases over the years. I'm not
15 sure I would characterize it as a close
16 relationship, but yes, I've done some expert
17 review for them.

18 Q. They've asked you to look at a lot of cases,
19 haven't they, Doctor?

20 A. Again, I'm not sure how many is a lot, but
21 yes, I've looked at cases for them. That is
22 true.

23 Q. More than 15?

24 A. Oh, I don't think that's true. I'm sure it's
25 less than 15.

1 Q. What did you testify to on that exact point
2 yesterday afternoon, Dr. Cooperstein?

3 A. I don't recall a number that I testified to,
4 but I can tell that you I can almost
5 certain -- I'm almost certain that I've not
6 reviewed 15 cases for that particular firm.

7 Q. It's a number, isn't it?

8 A. Pardon?

9 Q. It's a lot, isn't it?

10 MR. BANAS: I object. He's
11 already testified.

12 THE COURT: Answer the question,
13 Doctor. I'll allow it, go ahead.

14 THE WITNESS: Again, I don't think
15 I'd characterize it as a large number. I
16 think you're trying to portray it as
17 something more than it is.

18 BY MR. SCANLON:

19 Q. How about 10 to 12?

20 A. I'll go with that.

21 Q. In addition to that, Doctor, you have been a
22 spokesperson for the very company that is a
23 defendant in this case, Akron Radiology,
24 Incorporated; isn't that true?

25 A. I believe that a couple of the cases that

- 1 I've looked at have involved Akron
2 Radiology. But again, I don't consider
3 myself a spokesperson.
- 4 Q. All right. Then I'll withdraw that word.
5 Yesterday afternoon under oath you indicated
6 that you had testified or appeared as an
7 expert witness consultant for five or six
8 cases for this same company, didn't you?
- 9 A. No, I don't believe I said that. I believe I
10 was asked how many times I had been asked to
11 defend or look at cases which Akron Radiology
12 was involved, and I believe I answered I
13 wasn't sure of the number but I didn't think
14 it was very many.
- 15 Q. Five or six sound about right?
- 16 A. I think probably less than five, but not very
17 many.
- 18 Q. We are here because of the interpretation of
19 a CT scan of the chest of Vernon Nance on
20 June 6th, 1994, correct?
- 21 A. Yes.
- 22 Q. And that CT scan was in fact misinterpreted,
23 wasn't it?
- 24 A. A small abnormality was overlooked on that
25 scan, yes.

- 1 Q. This patient was misdiagnosed, wasn't he?
- 2 A. Yes. The scan was interpreted as normal and
- 3 I've already stated in retrospect I can see a
- 4 small abnormality.
- 5 Q. But the wrong interpretation of that CT scan
- 6 resulted in a misdiagnosis of a man who in
- 7 fact had a malignant tumor in his lung at
- 8 that time; isn't that true?
- 9 A. Did you say "misdiagnosis" or "missed
- 10 diagnosis"?
- 11 Q. "Mis."
- 12 A. M-i-s?
- 13 Q. Yes.
- 14 A. He was interpreted as having bullous
- 15 emphysema. That's correct. I believe the
- 16 diagnosis of malignancy might be a missed
- 17 diagnosis. I don't believe he had a
- 18 misdiagnosis.
- 19 Q. The diagnosis was missed on June 6, 1994,
- 20 wasn't it?
- 21 A. Correct.
- 22 Q. Now, Doctor, and in asking you this next
- 23 question I'm not attempting to test your
- 24 memory. You are welcome to look at this CT
- 25 scan. On how many pictures of that CT scan

1 of June 6th, 1994 does that tumor appear?

2 A. My recollection is it is on one and partially
3 seen on a second.

4 Q. And how many pictures are we talking about
5 altogether, or slices, whichever, I should
6 say?

7 A. That I don't know without actually counting
8 them. A standard scan would probably have at
9 least one more sheet of film than this, maybe
10 even two. There's 12 on a sheet, so we're
11 probably talking somewhere between 24, 36
12 images.

13 Q. And on how many of those images or slices or
14 pictures do the pulmonary veins appear?

15 A. I'm not sure I can specifically answer that
16 question, but probably on every single slice
17 there were pulmonary vessels.

18 Q. Thank you. Now, there's no question, is
19 there, Doctor, that the mass that was found
20 in June of 1995 is the same growth in the
21 same place that was missed by Dr. Ali in June
22 of 1994, is there?

23 A. That is correct.

24 Q. Now, the fact -- and this is a general
25 question, Doctor; I recognize your field is

1 radiology. The fact that a growth or
2 enlargement of a tumor in a lung has occurred
3 between June of 1994 and June of 1995 is not
4 a good thing for the patient, is it?
5 A. In general, no.
6 Q. Now, you mentioned the emphysema, and --
7 which was a diagnosis that was correctly
8 made, correct?
9 A. Yes.
10 Q. And as I recall, Dr. Cooperstein, you
11 referred to emphysema in the lungs, plural,
12 correct?
13 A. I may have.
14 Q. In fact, it's only in one of the lungs, isn't
15 it?
16 A. I'd have to review the scan to be certain,
17 but I remember there being bullous disease, I
18 think in the right upper lobe. Whether or
19 not there were changes on the left I don't
20 remember with certainty. I suspect that
21 anybody with those sorts of changes in one
22 lung probably has changes in the other,
23 whether they're apparent on the films or not.
24 Q. But this patient did not have it in the left
25 lung, did he?

1 A. Oh, I don't know for certain. As I said, I'd
2 have to review the scan to see what the
3 evidence on the scan showed. But I can tell
4 you that somebody with emphysema to that
5 degree in one lung, if one were to
6 pathologically analyze the other lung, you'd
7 probably find evidence of it in the other
8 lung.

9 Q. Let me refer you -- to refresh your
10 recollection, Doctor, we were together in
11 your office in Pittsburgh last July 21 --
12 excuse me, July 21st, 1998.

13 A. Yes. It's "Cooperstein," by the way, not
14 "Cooperstein."

15 Q. I'm sorry.

16 A. Thank you.

17 Q. You've had a chance to review this in
18 preparation for today?

19 A. Yes, I have.

20 Q. Let me refer you to page 15 of your
21 deposition, and if you want to get this in
22 context, do you recall me asking you in
23 general terms about how emphysema appeared on
24 the lungs?

25 A. At the top of page 13 you asked me, "In

1 layman's terms, what would you see on the CT
2 scan that would lead you to the
3 interpretation of bullous emphysema?" Is
4 that what you're referring to?

5 Q. Yes, sir. That's the background. So we had
6 a discussion of how it would appear on the CT
7 scan; am I correct?

8 A. Correct.

9 Q. Then on page 15, Doctor, do you remember this
10 question being asked by me and this answer?

11 Question, "So that this will mean
12 something to me when I read the transcript,
13 the darkened area on the right of image
14 number 9 is what you described as healthy, a
15 normal appearance?"

16 Did I read that correctly?

17 A. Yes.

18 Q. And your answer, Doctor, "On the right side
19 is really his left lung, since the image is
20 reversed. His left is to your right. So,
21 yes, I would say the upper part of the left
22 lung is normal. The upper part of the right
23 lung, which is on your left, is not, in that
24 it contains these multiple large air
25 spaces."

- 1 Did I read that correctly, Doctor?
- 2 A. Absolutely.
- 3 Q. All right. The films that you were given to
- 4 look at and included, as I understand it, six
- 5 CT scans -- correct?
- 6 A. Correct.
- 7 Q. Were they all of the chest?
- 8 A. I believe that five were of the chest. I
- 9 think one was of the abdomen.
- 10 Q. And incidentally, Doctor, you've told the
- 11 jury that this was the best way to do it,
- 12 because when an attorney representing a
- 13 family or a doctor gives you an X-ray or an
- 14 image to look at, you have a heightened sense
- 15 of suspicion, correct?
- 16 A. There is a heightened sense of suspicion,
- 17 yes.
- 18 Q. And these were given to you by a woman by the
- 19 name of Sue Ellen Salsbury?
- 20 A. Correct.
- 21 Q. Well, who was Sue Ellen Salsbury?
- 22 A. She is an attorney.
- 23 Q. So when you looked at these you knew there
- 24 was something wrong with one or more of
- 25 those, didn't you?

1 A. I suspected that was the case, the difference
2 being of course that I didn't know which one
3 it was, nor did I know what the abnormality
4 in question was. Oftentimes an attorney will
5 call and say, "I have this case I'd like you
6 to look at, involves a missed case of lung
7 cancer. Such and such was missed on this
8 particular case. See what you think."

9 The case comes, you know what to look
10 for. You can find it in an instant. It does
11 not, in my estimation, simulate the real
12 world. It would be nice if every case came
13 with the answer provided, but that isn't the
14 way it happens.

15 Q. But that's your job, isn't it?

16 A. My job is to accurately to the best of my
17 ability interpret images, including CT
18 scans. But unfortunately nothing is
19 100 percent.

20 Q. And that was Dr. Ali's job, wasn't it?

21 A. He does the same job that I do.

22 Q. Patients rely on your interpretations?

23 A. Certainly.

24 Q. And referring physicians rely on it?

25 A. Certainly.

1 Q. Now, you and Mr. Banas have used the word
2 "standard of care" in this case. We've all
3 used the word "standard of care" in this
4 case, Doctor.

5 What did the standard of care require
6 Dr. Ali to do in reading this patient's CT
7 scan in June of 1994?

8 A. I believe the standard of care requires him
9 to give as accurate and as correct a reading
10 as humanly possible.

11 Q. Well, Doctor, let me say it my way and see if
12 you agree.

13 The standard of care required him to do
14 basically two things. First of all, it
15 required him to do a complete examination of
16 the CT scan, correct?

17 A. I would agree with that.

18 Q. Secondly, it required him to make an accurate
19 interpretation of that CT scan. Isn't that
20 true?

21 A. I would agree with that.

22 Q. You have not been shown the -- any of this
23 man's previous chest films, correct?

24 A. Correct.

25 Q. You have not been shown any of the subsequent

- 1 scans or films?
- 2 A. I did see the second CT scan.
- 3 Q. You did not see the test of his head in
- 4 September of 1995?
- 5 A. No.
- 6 Q. Or the test of his head -- or brain. It's
- 7 the same thing, right?
- 8 A. Sure.
- 9 Q. In April of 1996?
- 10 A. I did not.
- 11 Q. Or in May of 1996?
- 12 A. No.
- 13 Q. Now, Doctor, you said that in looking at
- 14 these CT scans -- and incidentally, Doctor,
- 15 before I go there let me ask you this. We've
- 16 talked about centimeters and millimeters in
- 17 this case. A centimeter is about 4/10 of one
- 18 inch, isn't it?
- 19 A. Yes, that's correct.
- 20 Q. .3937 inches?
- 21 A. I'll accept that.
- 22 Q. And a millimeter is an awful lot smaller than
- 23 that, isn't it?
- 24 A. Sure.
- 25 Q. A centimeter is -- correct me if I'm wrong,

1 Doctor, but it's 1/100 of a meter. Am I
2 right or wrong on that?

3 A. 10 millimeters to a centimeter, 100
4 centimeters in a meter.

5 Q. So if a centimeter is .4 inches, 1 millimeter
6 is .004 inches, correct?

7 A. No. If a centimeter is 0.4 inches, a
8 millimeter is .04 inches. I think you added
9 an extra zero.

10 Q. All right. I won't quarrel with you. Pretty
11 small?

12 A. It's small.

13 Q. And, Doctor, I noticed that in one of these
14 readings right here that you put in front of
15 the jury, case number 5 -- do you have the
16 original? Mr. Banas, do you have the small
17 version of that exhibit?

18 MR. BANAS: Here they are. Which
19 one do you want? Take the one with the names
20 out.

21 BY MR. SCANLON:

22 Q. I'll show you Exhibit D, Doctor. In case
23 number 5 you found a growth in the kidney as
24 small as 5 millimeters, right?

25 A. That's correct.

- 1 Q. And that same case, you found a 1 centimeter
2 nodule or growth in that patient's left lung,
3 didn't you?
- 4 A. Correct.
- 5 Q. Now, Doctor, I want you to assume, if you
6 will, please, that the tumor in Vern Nance's
7 left lung that was missed by Dr. Ali was
8 2 centimeters by 1.8 centimeters. I'm asking
9 you to assume that. Can you do that?
- 10 A. Sure.
- 11 Q. I put a little white cutout on Exhibit 146,
12 Doctor. Does that look like about 2
13 centimeters by 1.8 centimeters.
- 14 A. If you say it is, I'll accept it. I don't
15 have a ruler with me.
- 16 Q. Here's a ruler, Doctor. That has both inches
17 and centimeters, Doctor. I believe the
18 centimeters are on the top of the ruler. If
19 you'd like to check those measurements.
- 20 A. Your measurements are correct. That little
21 white cutout measures 2 by 1.8 centimeters.
- 22 Q. So that if Dr. Vogel is correct in his
23 testimony that the lesion or growth or tumor
24 that was missed by Dr. Ali measures
25 2 centimeters by 1.8 centimeters, this white

- 1 cutout on Exhibit 146 represents the size of
2 the tumor that was missed, correct, Doctor?
- 3 A. If you accept those numbers.
- 4 Q. Now, I started to ask you about these
5 studies. You said that you thought it was
6 right to do it that way because it simulated
7 the normal working environment?
- 8 A. As close as one can get to that, yes.
- 9 Q. Is that really true, Doctor?
- 10 A. Yes.
- 11 Q. You read CT scans daily with no clinical
12 information about the patient?
- 13 A. Usually we have a little bit of clinical
14 information, but there are plenty of times
15 when I would read with very limited or no
16 clinical information, yes.
- 17 Q. And the reason that you do that, Doctor, is
18 that without regard to whether you're
19 provided with a lot, a little or no clinical
20 information, you recognize that your
21 obligation in interpreting that CT scan is to
22 look at it completely and carefully, correct?
- 23 A. Sure.
- 24 Q. All right. And you felt comfortable for your
25 role in the case in looking at six CT scans

1 with no clinical information at all?

2 A. I felt comfortable looking at those scans and
3 giving interpretation.

4 Q. With no clinical information, Doctor?

5 A. I believe that -- I didn't have much in the
6 way of clinical information. I believe in a
7 couple of the scans, and I'd have to look at
8 it again, I think there are little bits of
9 writing which may give a very little hint of
10 clinical information, but I would
11 characterize that as minimal, at best.

12 Q. Now, every man or woman who does what you do
13 for a living knows that when a referring
14 physician asks for a CT of the chest, in all
15 probability that referring physician is
16 asking you to look in the lungs to see
17 whether there is a growth or mass or lesion
18 in the lung; isn't that true, Doctor?

19 A. I would not say that's true all the time. I
20 think there are lots of indications for CT
21 scanning of the chest. That is certainly one
22 of them, and it's a common one, but there are
23 plenty of scans that we do, not specifically
24 looking at the lungs.

25 Q. I know that. I think I said CT's of the

1 chest, Doctor. Let me try to turn it
2 around.

3 A. Well, you say CT's of the chest. There's
4 more in the chest than just the lungs.

5 Q. When you interpret a CT of the chest of a
6 patient, Doctor, do you look for growths or
7 masses or lesions or nodules in the lungs?

8 A. Yes.

9 Q. Every time?

10 A. Sure.

11 Q. With or without clinical information?

12 A. Yes.

13 Q. And therefore, that means, doesn't it,
14 Doctor, that one of your functions in looking
15 at and interpreting a CT of the chest is to
16 rule in or rule out a possible mass in the
17 lung? Isn't that true?

18 A. That is one of the things we do, yes.

19 Q. That's what Dr. Ali was expected to do?

20 A. Sure.

21 Q. With or without clinical information?

22 A. Yes.

23 Q. Doctor, the jury knows that two chest films
24 were taken of this patient at Kaiser
25 Permanente in May of 1994 and that on the

1 basis of findings made in those chest X-rays
2 this patient was sent to Dr. Ali's company
3 for a CT of the chest. Do you understand
4 that also?

5 A. Yes.

6 Q. And do you understand that it was the
7 radiologist who looked at those chest films
8 of May 4th and May 12th, 1994, that made the
9 recommendation for a CT scan? Do you
10 understand that?

11 A. Yes.

12 Q. Now, Doctor, in general terms, would you tell
13 me why a radiologist, having made suspicious
14 findings or potentially suspicious findings
15 on chest X-ray would suggest or want a CT to
16 be done of that patient's chest?

17 MR. BANAS: I'm going to object.
18 There's no such evidence before this jury.

19 THE COURT: Approach for a
20 second, counsel, one second.

21 - - -

22 (Whereupon, a conversation was
23 held at side bar off the record.)

24 - - -

25 THE COURT: I'm sorry, ladies

1 and gentlemen. Objection is overruled.

2 Mr. Scanlon, you can proceed, sir.

3 BY MR. SCANLON:

4 Q. Doctor, I'm going to show you an enlargement
5 of a Kaiser Permanente X-ray report of
6 May 12th, 1994. Do you see that date?

7 A. Yes, May 12th of 1994, yes.

8 Q. Now, have you ever been permitted to -- have
9 you seen this report?

10 A. I have seen the report. I have not seen the
11 films.

12 Q. All right. Now, tell the jury whether or not
13 in that report the radiologist at Kaiser
14 Permanente, after reporting what she was
15 seeing in the lung, recommended CT scan.

16 A. She suspected, according to that report, not
17 actually an abnormality in the left lung.
18 What she was suspicious of in that report was
19 what she has described as a subtle density in
20 the left posterior mediastinum. That's not
21 actually in the lung.

22 Nonetheless, she suspected an
23 abnormality and suggested a CT scan for
24 further evaluation.

25 Q. So she recommended a CT scan?

1 A. Correct.

2 Q. Now, in general terms, Doctor, why would a
3 radiologist such as Dr. Urso at Kaiser
4 Permanente suggest a CT scan under those
5 circumstances?

6 A. CT scan would be another way of evaluating
7 the lungs -- or the chest. It would be a way
8 of either confirming that an abnormality was
9 present or proving that an abnormality was
10 not present. It's a more sophisticated,
11 perhaps, test of the chest.

12 By the very nature of the exam it
13 probably shows more detail in particular
14 areas, and therefore it's quite common if one
15 suspects an abnormality in a chest X-ray to
16 go ahead and get a CT scan to clarify it.

17 Q. Doctor, it's pretty tough to explain to this
18 jury, isn't it, how Dr. Urso at Kaiser
19 Permanente could see an abnormality in the
20 left lung on that plain old chest X-ray and
21 Dr. Ali could not see it on the sophisticated
22 CT scan, isn't it?

23 A. I've not seen the films, so I can't give you
24 an explanation. I think when you refer to
25 the "plain old chest X-ray," it's not quite

1 that unsophisticated an examination. But I
2 don't have a great explanation as to why an
3 abnormality was seen on the chest X-ray and
4 not on the CT scan.

5 Q. Well, when you say you don't have a great
6 explanation, you don't have any explanation,
7 do you?

8 A. Without seeing the previous films, I don't
9 have an explanation, no.

10 Q. Well, page 30, Doctor, of your deposition, do
11 you remember this question being asked by me
12 and this answer being given by you?

13 Question, "Now, how is it that
14 Dr. Urso, who interpreted these plane films
15 of the chest, was able to see a density, a
16 possible lesion in the left lower lung in
17 these plane chest films, and Dr. Ali was not
18 in the CT of the chest?"

19 Did I read that correctly?

20 A. Yes.

21 Q. And your answer, "I'm not sure I have a good
22 answer. I don't know."

23 A. You've read it correctly. I think that's
24 what I've just said.

25 MR. SCANLON: I have nothing

1 further, Your Honor.

2 THE COURT: Thank you,

3 Mr. Scanlon.

4 Mr. Banas, any redirect, sir?

5 REDIRECT EXAMINATION

6 BY MR. BANAS:

7 Q. In reference to that, not having seen the
8 chest film, would that have helped you, if
9 you had ever seen the chest films, which you
10 know Dr. Ali did not see?

11 A. I think it might have helped, yes.

12 Q. Does everybody who looks at films like you
13 read them 100 percent every time?

14 A. I wish that were true, but I don't know
15 anybody who reads them 100 percent, all of
16 the time, no.

17 Q. What did you see at this point where it is
18 now called a lesion in the 1995 film? What
19 did you see it as?

20 A. I'm sorry?

21 Q. What did you notice in this area that in 1995
22 became bigger?

23 A. Oh, looking back -- once I had the second
24 scan and saw a mass, I went back to the first
25 scan and I could see what I then realized was

1 a small nodule that subsequently grew.

2 Q. And what did you think it was when you first
3 read it?

4 A. I thought it was normal.

5 Q. All right. And is that within the standard
6 of care?

7 A. I believe it is.

8 Q. Well, now, obviously Mr. Scanlon wants
9 everybody to read every film 100 percent and
10 not ever make a mistake. Is that within the
11 standard of care?

12 A. I wish it were, but reality is it is not.

13 Q. Doctor, you've talked about -- or you were
14 asked about a 5 millimeter nodule on the
15 right kidney. Is there something special
16 about seeing something that small with the
17 right kidney?

18 A. Sure. It's not just size that allows you to
19 see things. It's size, but it's also what
20 does the structure around it look like. And
21 a kidney cyst on a CT scan is a very low
22 density, it's very black, and it's
23 highlighted against the rest of the kidney
24 which is a different density.

25 It's not only size; it's contrast

1 resolution between two structures. For
2 instance, if there is a little calcification
3 on the lung, which is not uncommon to see,
4 you can probably perceive that calcification
5 smaller than a millimeter simply because it's
6 so different than the structures around it.
7 Q. In this instance I think what you indicated
8 what you were seeing were pulmonary vessels
9 in the area that ultimately became this
10 lesion?
11 A. I believe it is.
12 Q. That's the standard of care?
13 A. I believe it is.
14 Q. One last question. You were asked a series
15 of questions about a deposition yesterday.
16 A. Yes.
17 Q. I think you were asked questions about a
18 Mr. Lawrence Scanlon.
19 A. That's correct.
20 Q. Do you understand Lawrence Scanlon to be the
21 brother of Tim Scanlon?
22 A. Yes.
23 MR. BANAS: That's all I have.
24 THE COURT: All right. Anything
25 further, counsel?

1 RECROSS-EXAMINATION

2 BY MR. SCANLON:

3 Q. That deposition, that testimony yesterday,
4 Doctor, was taken in another case involving
5 Akron Radiology?

6 A. Now I'm trying to remember who the radiology
7 group involved was. It may indeed have been
8 Akron Radiology.

9 Q. Doctor, CT is a pretty sensitive device,
10 isn't it?

11 A. That's correct.

12 Q. It can -- depending upon what the structure
13 is, where it is, it can see structures as
14 small as 1 to 2 millimeters, can't it?

15 A. Again, depends not only on size but on
16 contrast differences.

17 Q. But otherwise the answer is yes?

18 A. Under the right circumstances, with the right
19 contrast differences, yes.

20 Q. Was it Dr. Ali's obligation to this
21 patient -- did the standard of care require
22 him to see what was there to be seen, Doctor?

23 A. Well, as I've testified here, the standard of
24 care in my opinion is to give as accurate and
25 as complete a reading as possible. I don't

1 think anybody sees 100 percent.

2 I don't think anybody reads every
3 single scan throughout his or her entire
4 career 100 percent correctly, and to hold
5 people to that sort of standard and to make
6 that the standard of care makes the practice
7 of medicine and radiology impossible.

8 So my concept of the standard of care
9 is as I've said. It seems to differ from
10 yours somewhat, but that's my opinion on what
11 it entails.

12 Q. A tumor that big ought to be seen?

13 MR. BANAS: Well, I object.

14 THE COURT: Overruled.

15 THE WITNESS: I disagree.

16 MR. SCANLON: I have nothing
17 further. Thank you, Doctor.

18 THE COURT: Mr. Banas, quickly.

19 FURTHER REDIRECT EXAMINATION

20 BY MR. BANAS:

21 Q. This size that we're seeing, are these the
22 areas that you have interpreted being
23 pulmonary vessels, as opposed to a lesion?

24 A. I'm not sure I totally understand your
25 question.

1 Q. Well, apparently -- I have it upside down.
2 Mr. Scanlon has made a big deal out of size,
3 and what I'm suggesting is, is this the area
4 or the size or whatever where, when you
5 looked at Dr. Ali's CT scan, you determined
6 those were pulmonary vessels as opposed to a
7 lesion?

8 A. Oh, correct.

9 MR. BANAS: I have nothing
10 further.

11 THE COURT: Thank you, Doctor,
12 you may step down, sir.

13 MR. SCANLON: Thank you, Doctor.

14 * * * * * (End of Excerpt) * * * * *

15

16

17

18

19

20

21

22

23

24

25

EMERSHAW, MUSHKAT & SCHNEIER

ATTORNEYS AND COUNSELORS AT LAW

437 QUAKER SQUARE
120 E. MILL ST.
AKRON, OHIO 44308(330) 376-5756
FAX (330) 762-5980George J. Emershaw
Barbara S. Mushkat
Bernard Schneier
Terry L. Bower (CO., L.P.A.)
Frank M. PignatelliLeslie S. Grasse
Timothy Kelly
Deborah A. Ziegler
Jean A. Garrett
Melissa D. BerryDATE: January 20, 2000
TO: Donna Kolis
FAX #: 216-861-4300 621-4959
FROM: Melissa Berry
of pages (including cover): 44

RE:

The information contained in this fax transmission is Attorney communication and privileged. This message may be and Attorney - Client Communication and as such is privileged and confidential. If the reader of this message is not the intended recipient or any agent of the intended recipient, you are hereby notified that you have received this document in error and that any review, dissemination, distribution, or copying of this message is strictly prohibited. If you have received this communication in error, please notify us immediately by telephone and we will make arrangements for return of the original at no cost to you. Thank You!

If there is a problem with this transmission, or if you have not received all of the pages, please call our office at (330) 376 - 5756.

Original:

Not Sent

Mailed

Overnight Mail