

> - (scanned)

State of Ohio, )  
County of Cuyahoga. ) SS:

IN THE COURT OF COMMON PLEAS

NATALIE TREPKA, etc., et al., )  
 )  
 ) Plaintiffs, )  
 vs. ) Case No. 287335  
 )  
 DANA SAVOCA, )  
 )  
 ) Defendant. )

- - - - -

THE DEPOSITION OF ROBERT C. CORN, M.D.  
FRIDAY, NOVEMBER 15, 1996

- - - - -

The deposition of Robert C. Corn, M.D., called by the Plaintiffs for examination pursuant to the Ohio Rules of Civil Procedure, taken before me, the undersigned, Charles A. Cady, Registered Professional Reporter and Notary Public within and for the State of Ohio, taken at the offices of Robert C. Corn, 850 Brainard Road, Highland Heights, Ohio, commencing at 9:10 a.m., the day and date above set forth.

**WANOUS REPORTING SERVICE**

55 PUBLIC SQUARE  
1225 ILLUMINATING BUILDING  
CLEVELAND, OHIO 44113  
(216)861-9270

## 1 APPEARANCES:

2 On behalf of the Plaintiffs:

3 William Hawal, Esq.  
4 Spangenberg, Shibley & Liber  
5 2400 National City Center  
6 Cleveland, Ohio 44114

7 On behalf of the Defendant:

8 Lynn A. Lazzaro, Esq.  
9 Meyers, Hentemann, Schneider & Rea Co., LPA  
10 2121 The Superior Building  
11 Cleveland, Ohio 44114  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

1 ROBERT C. CORN, M.D.

2 of lawful age, called by the Plaintiffs for  
3 examination pursuant to the Ohio Rules of Civil  
4 Procedure, having been first duly sworn, was  
5 examined and testified as follows:

6 EXAMINATION OF ROBERT C. CORN, M.D.

7 BY MR. HAWAL:

8 Q Doctor, would you please state your full name.

9 A My name is Robert Curtis Corn. C-o-r-n.

10 Q Do you have a file on Natalie Trepka there on  
11 your desk?

12 A Yes.

13 Q May I see what you have?

14 A Sure.

15 Q Thank you. You have records, a stack of  
16 records, that were produced by the Cleveland  
17 Clinic, true?

18 A Yes.

19 Q A stack of records from University Hospital?

20 A Yes.

21 Q A separate grouping of Cleveland Clinic ER  
22 department records?

23 A Yes.

24 Q An operative report of Dr. Graham?

25 A Yes.

1 Q Dr. Graham's report, true?

2 A Yes.

3 Q Dr. John Shaffer's records?

4 A Yes.

5 Q Parma Community General Hospital records?

6 A Yes. That's Clinic.

7 Q Some additional Clinic records and a page of Dr.  
8 Shaffer's office records?

9 A That probably fell off of this group here.

10 Q Right. Then we have two reports that you  
11 authored in the case?

12 A Right.

13 Q And we have a patient questionnaire that was  
14 filled out at the time of the examination by  
15 Natalie Trepka?

16 A Right.

17 Q And x-rays, correct?

18 A Right.

19 Q Do you have correspondence with Mr. Lazzaro?

20 A No.

21 Q What happened to the correspondence?

22 A I never keep the correspondence.

23 Q Why is that?

24 A Because it's always asked for at the time of the  
25 deposition and I always empty out my files.

1 Q All right. Well, it's always asked for at the  
2 deposition and you always empty out your file.  
3 I'm not sure I'm following what --

4 A I do not keep it as part of the permanent  
5 record. Once I read them I discard them and do  
6 not keep them as part of my records.

7 Q What is the purpose of discarding them so that  
8 they're not available at the time of your  
9 deposition?

10 A Because they have nothing to do with my records.

11 Q All right. What was your role, as you  
12 understand it, with respect to becoming involved  
13 in examining Natalie Trepka and reviewing the  
14 medical records?

15 A My role as an independent examiner was to review  
16 the medical records and x-rays subsequent to the  
17 examination, to evaluate Natalie Trepka and  
18 assess her current condition and to serve as the  
19 defense's expert in reviewing the medical  
20 records and interpreting it for them and to give  
21 them, so to speak, a second opinion of the  
22 records.

23 Q And was that initial request outlined in a  
24 letter format from Mr. Lazzaro to you requesting  
25 you to examine Natalie Trepka?

1 A I don't know if this was done by a phone call or  
2 by a letter.

3 Q All right Was it your understanding that one  
4 of the questions was in addition to determining  
5 the degree of disability as a result of this  
6 automobile accident. You were also determining  
7 what injuries were proximately caused by the  
8 automobile accident?

9 A I'm not sure that was ever stated in a phone  
10 call or writing That's typically what I will  
11 do and what I know is called for

12 Q I mean has that your understanding going in  
13 that when you first saw Natalie Trepka that was  
14 one of the issues that you would probably be  
15 addressing?

16 A Probably. yes

17 Q All right And did you address that in your  
18 first letter report. dated January 12. 1996?

19 A I think so

20 Q And feel free to refer to it if you need to

21 Was your report of January 12. 1996 intended  
22 to fairly and accurately summarize your opinion  
23 and conclusions after reviewing the records and  
24 examining Natalie Trepka?

25 A Yes, with the exception of not having the

1 complete Cleveland Clinic file at that point in  
2 time.

3 Q What did you not have from the Cleveland Clinic  
4 file, as you understood it, at that point?

5 A I did not see -- I did not believe I had all the  
6 x-rays and the scans from the second workup  
7 related to Dr. Graham's care and treatment. At  
8 this point in time, I don't remember.

9 Q All right.

10 A But there was additional -- I think I had part  
11 of the medical records and I asked for the  
12 complete records and that was subsequently sent  
13 to me.

14 Q All right. Did you articulate in your report  
15 that you believed that you needed additional  
16 materials or records to be able to or to be in a  
17 position to provide Mr. Lazzaro with your  
18 opinions in the case?

19 A No. I believed I had enough information to  
20 present some opinions. I had not had the  
21 opportunity of looking at everything as of yet.  
22 But I completed a report pending the arrival of  
23 the Cleveland Clinic's records, which sometimes,  
24 as you're aware, may take months to get ahold  
25 of.

1 Q Well, you did have the Cleveland Clinic chart  
2 that existed as of the time of Natalie's  
3 examination, you were simply waiting for x-rays?

4 A I do not believe I had the entire Cleveland  
5 Clinic chart, nor did I have any specific  
6 opinion letters from Dr. Graham, and I did not  
7 have the x-rays. That's what I can remember at  
8 this point in time.

9 Q The materials that would have been forwarded  
10 from Mr. Lazzaro to your office would have been  
11 forwarded in a fashion that they usually would  
12 be accompanied by a letter that would outline  
13 what he provided to you at what point in time?

14 A No. It would rarely include that.

15 Q How do records usually come from Mr. Lazzaro's  
16 office to you?

17 A Well, I'm not sure there is a usually. I would  
18 say frequently I do not have the records, all  
19 the records, available at the time of the  
20 evaluation. And so what I would do is see the  
21 patient, take the same history and physical I  
22 would with any new patient coming to the office,  
23 then wait to form a report or formulate an  
24 opinion until I get all the records I need.

25 Q At the time you examined Natalie Trepka and saw



1           whatever records you had reviewed as of that  
2           time, did you come to a conclusion that the  
3           fracture fragment that was removed surgically by  
4           Dr. Graham was not caused by the initial trauma,  
5           from the automobile accident?

6       A       My initial impression was that it was probably  
7           not, but I had not seen the x-rays yet and I  
8           didn't even know what Dr. Graham was talking  
9           about.

10      Q       And what prompted your conclusion that it was  
11           probably not caused by the initial trauma?

12      A       In that it was not picked up on any of the  
13           initial scans that were performed that picked up  
14           the minor scaphoid fracture. And that kind of  
15           test would pick up any acute bony injury, and it  
16           did not.

17      Q       And where in your first report did you  
18           articulate anywhere your opinion that it was  
19           probably not caused by the initial automobile  
20           accident?

21      A       I'm not sure that that specific opinion was  
22           stated in that report

23      Q       Let's focus for a moment on your impressions in  
24           your first report.

25                   Would impressions be something that would,

1 in other words, be your diagnosis?

2 A Yes

3 Q All right So you diagnosed Natalie, on the  
4 basis of your examination and your review of the  
5 records, as having resolved contusions?

6 A Yes

7 Q You determined that she had a scaphoid fracture  
8 and chronic wrist pain of unknown etiology?

9 A Correct.

10 Q And you determined in your opinion that it was  
11 uncertain whether this unilateral fracture that  
12 Dr Graham treated surgically was a traumatic  
13 abnormality responsible for the chronic pain  
14 that you diagnosed?

15 A Well, my opinion is that the unilateral fracture  
16 was not the source of the pain in that the pain  
17 continued afterwards, in that answers your  
18 question

19 Q All right Do you remember Natalie Trepka?

20 A Not with any detail

21 Q Did you determine that she was fully cooperative  
22 in terms of providing you with an honest history  
23 and cooperative from the standpoint of providing  
24 you with whatever assistance you required at the  
25 time of the physical examination?

1 A I don't remember any problems

2 Q I mean if that was a problem you would have  
3 certainly noted what in your records and you  
4 would have put it in your report?

5 A If it has significant, I probably would have

6 Q Well, you indicate in your physical examination  
7 that you found her to be pleasant and  
8 cooperative, true?

9 A That's what it indicates, yes

10 Q Do you believe that she has pain in her wrist?

11 A I don't know

12 Q Well, I mean you're a doctor, you have to make  
13 certain conclusions on the basis of what your  
14 patients tell you, true?

15 A Correct

16 Q Are you always able to determine an organic  
17 basis for a patient's complaints of pain, or are  
18 there patients that you have that you believe  
19 have a legitimate reason for pain and you as a  
20 physician just cannot determine a cause?

21 A To answer that complex question, I would have to  
22 say that over 95 percent of my patients that I  
23 treat have an organic reason. There are  
24 people that obviously have pain that I can't  
25 explain, but it's not a large portion of them

1	Q	
2	A	
3	Q	
4	A	
5	Q	
6	A	
7		
8	Q	
9	A	
10		
11	Q	
12	A	
13		
14		
15	Q	
16	A	
17	Q	
18	A	
19		
20		
21	Q	
22		
23		
24	A	
25		

1           There's no indication and no incidence of  
2           arthritis or long-term complications if there is  
3           no displacement and the fracture heals  
4           anatomically.

5       Q       What is the reason for Natalie Trepka's loss of  
6           motion in her wrist?

7       A       I don't think she's working hard enough on her  
8           rehabilitation.

9       Q       I see. Okay. And what causes loss of motion  
10          following this kind of an injury to the degree  
11          that Natalie Trepka has? What is the anatomic  
12          reason for loss of motion?

13      A       It is so rare in a displaced scaphoid fracture  
14          to see any decreased motion I won't even begin  
15          to tell you. I don't know. I've never seen  
16          stiffness this long after a nondisplaced minor  
17          wrist fracture.

18      Q       In terms of Natalie Trepka, have you reviewed  
19          her physical therapy records?

20      A       Whatever is in there, in the records.

21      Q       Have you reviewed them?

22      A       If they're in the records that were sent. I  
23          don't specifically at this point in time  
24          remember reviewing the physical therapy records.  
25          They were not isolated as a separate entity;

1           they were mixed up with the other chart.

2       Q       Okay. What is the cause of her complaints of  
3           right elbow pain?

4       A       I'm not really sure. Both of her elbows seemed  
5           to click. Again, it's a subjective symptom that  
6           she expresses. But there really wasn't any  
7           objective abnormality other than the clicking.  
8           But both of them clicked, so I don't know.

9       Q       Do you believe that she has pain in her right  
10          elbow?

11      A       I don't know whether she does or she doesn't.

12      Q       Is there an anatomic basis for it?

13      A       For what, the pain?

14      Q       Pain in her elbow.

15      A       No.

16      Q       Other than a physical manipulation of the elbow,  
17          did you do any other examination of the elbow?

18      A       I measured her motion. I did a complete  
19          orthopedic examination of her elbow.

20      Q       You reviewed the imaging studies of the right  
21          wrist?

22      A       I reviewed all the studies that were forwarded  
23          to me of the right wrist from all the  
24          institutions.

25      Q       Is it your opinion that the imaging studies that

1           were taken prior to Natalie Trepka going to see  
2           Dr. Graham were such that it would have been  
3           impossible for there to be a fracture fragment  
4           caused by the initial trauma and not show up on  
5           the imaging studies that you reviewed?

6       A       "Impossible" is an extreme.

7       Q       Sure.

8       A       I do know -- I would never say "impossible,"  
9           "never" or "always." But I would say it's at  
10          such a low rate that I would think it would be  
11          way beyond the realm of clinical relevance. I  
12          have never personally, in 17 years of practice  
13          or in my review of the literature, ever seen a  
14          fracture that was not picked up on a bone scan  
15          or on an MRI scan or on x-rays for as long as  
16          this one was and have it directly related to the  
17          original injury.

18      Q       Is it your testimony that the views that were  
19           shown on those imaging studies should have  
20           picked up the fracture fragment if it was there?

21      A       Particularly the bone scan should have picked up  
22           some sort of fracture or injury to that side of  
23           the wrist, and it did not.

24      Q       And who would be in the best position to make  
25           that kind of a judgment, a radiologist or

yourself?

A I think an orthopedic surgeon and a radiologist would be equally qualified, since orthopedic surgeons should read x-rays involving the musculoskeletal system as well as a radiologist.

Q You mean "as well" --

A Equally.

Q -- meaning equally well?

A Equally well.

Q Equally qualified?

A Equally qualified to read musculoskeletal x-rays, absolutely.

Q Do you have a subspecialty in orthopedic surgery?

A I'm an orthopedic surgeon. There is no subspecialty.

Q I mean Dr. Graham --

A Other than hand surgery.

Q Dr. Graham, for example, primarily focuses his practice on the upper extremities; is that your understanding?

A That's my understanding, yes.

Q Do you have such a focus in your practice?

A I can't afford to do that. I'm a general orthopedic surgeon. I take care of all areas



1 and most aches and pains.

2 Q Do you know Dr. Graham?

3 A No.

4 Q Do you know of him?

5 A Not really, other than this case.

6 Q Did you make any inquiry of your orthopedic  
7 colleagues, as a result of your knowledge that  
8 Dr. Graham was arriving at opinions that were  
9 different than yours, as to his standing among  
10 your peers in this community?

11 A No.

12 Q Do you know Dr. John Shaffer?

13 A Yes.

14 Q What is his standing is as an upper extremity  
15 orthopedist in this community?

16 A He's probably one of the top four or five  
17 recognized upper extremity orthopedic surgeons  
18 in probably the northern half of the United  
19 States.

20 Q Dr. Alan Gurd, do you know him?

21 A Yes.

22 Q And what is his standing as an orthopedic  
23 surgeon in this community?

24 A Dr. Alan Gurd is a pediatric orthopedic surgeon  
25 who has a wonderful reputation. I did my

1                   residency at the Clinic, --

2       Q       Right.

3       A       -- so I know some of the older folks that are  
4               there.

5       Q       Did you apply for a fellowship at the Clinic?

6       A       I don't understand your question.

7       Q       Did you apply for a fellowship at the Clinic?

8       A       I was a fellow at the Clinic for four years.

9       Q       I thought you said you did your residency at the  
10              Cleveland Clinic?

11      A       They call your four-year fellowship a residency  
12              there. You mean my postgrad?

13      Q       Right.

14      A       They have no postdoctoral fellowships or  
15              postresidency fellowships when I completed my  
16              training there.

17      Q       Did you do a fellowship anywhere?

18      A       No.

19      Q       Is Rockwood and Green's a well-respected  
20              textbook on orthopedic injuries in your field of  
21              expertise?

22      A       Rockwood and Green is a fracture textbook.

23      Q       Right.

24      A       It's not on orthopedic injuries.

25      Q       Well, is it a well-respected, well-recognized

1 text dealing with the subject of fractures?

2 A Yes.

3 Q You do these defense medical examinations with  
4 some degree of frequency, do you?

5 A I do them on a routinely scheduled basis.

6 Q And what is the routine?

7 A A maximum of two a week in the typical schedule.

8 Q So if we were to try to basically make a  
9 qualified judgment as to the frequency of  
10 examinations that you perform for defense  
11 attorneys or insurance companies, they would  
12 average two a week?

13 A I would say, well, there are two a week  
14 traditionally over the past four or five years  
15 that I have seen for nontreatment exams. I  
16 would say at least about 60 percent of those  
17 were for defense.

18 Q Would you say it would be perhaps as high as 90  
19 percent for the defense?

20 A Over that entire period?

21 a Yes.

22 A No. That's somewhat high.

23 Q What about the last two years?

24 A The last two years I would say would probably be  
25 75, 80 percent for the defense.

1 Q And again over the last two or three years it  
2 would be twice a week?

3 A Correct

4 Q No more than that?

5 A Usually twice a week unless there's an  
6 emergency or something has been missscheduled or  
7 somebody needs to be seen more rapidly or that  
8 type of thing.

9 Q In your second report, Doctor, you indicate that  
10 there was no indication on the pathological  
11 specimen that this was related to trauma?

12 A Correct

13 Q What do you mean by that?

14 A There was nothing that looked like hemorrhage or  
15 fracture healing or anything that looked like it  
16 was a fracture

17 Q How do you know that? Have you --

18 A That's what the pathologist stated

19 Q He it your experience that pathologists, when  
20 they are sent a specimen of bone like this  
21 postoperatively, that they will make a  
22 determination that this was a traumatic or  
23 nontraumatic fracture?

24 A They will make that distinction on the basis  
25 of -- well, first of all, they don't know it's a

1 fracture They'll look at the fragments and see  
2 if there are changes compatible with old or  
3 recent trauma And you can do that on a  
4 specimen You can't relate that to one  
5 particular episode or incident but you can  
6 state whether it is traumatic whether there's  
7 scar tissue or whether there's bleeding around  
8 there.

9 Q You have not seen the fragment correct?

10 A No I have not seen it

11 Q You are coming to a conclusion based upon the  
12 absence of any statement concerning whether it's  
13 a traumatic or nontraumatic fragment if you  
14 will just from looking at the pathology report?  
15 A Well looking at the pathology report looking  
16 at the negative bone scan and looking at the  
17 negative studies

18 Q No I'm focusing my attention not only on the  
19 pathology the fragment itself

20 You are concluding that because the  
21 pathologist does not say it is a traumatic  
22 fracture or traumatically induced fragment that  
23 that must mean that it is not traumatically  
24 induced?

25 A I'm not sure I understand your question I'm

1 looking for the report

2 The pathology report indicates only a  
3 fragment of trabecular bone and cartilage

4 There's no indication really other than it was a  
5 normal appearing piece of bone and cartilage  
6 that was excised

7 Q You're saying that it is reasonable to conclude  
8 because of this report that if the pathologist  
9 felt that it was traumatically induced, he would  
10 have indicated findings consistent with that?

11 A If there was findings consists with either old  
12 trauma or recent trauma. I'm sure that it would  
13 be within the standard of care to report that.

14 Q Have you considered speaking with the  
15 pathologist or with Dr Graham or with the  
16 radiologist who had anything to do with Natalie  
17 Trepka's care to see if you could reconcile the  
18 differences of opinion that you have with Dr  
19 Graham. For example?

20 A I don't believe that's appropriate or necessary  
21 or called for with an independent evaluation  
22 why would it be inappropriate?

23 A It would tend to have me more involved with her  
24 medical care and I'm not allowed by agreement  
25 between the Bar Association and the Academy of

1 medicine to take that kind of involvement

2 Q Okay If this was not a traumatically induced  
3 fracture fragment. What is the probable

4 explanation for its existence in your opinion?

5 A I have no explanation for what it is or why --  
6 obviously, it wasn't the cause of her pain If  
7 you didn't have this fracture it would heal in a  
8 shorter period of time completely than the  
9 scaphoid fracture would have So the initial  
10 care and treatment for the scaphoid fracture  
11 which is a much harder fracture to treat and  
12 with a much higher clinical failure of healing  
13 rate it would have been more than adequate  
14 enough time for this fracture to heal

15 So therefore and because of the negative  
16 bone scan I think this is something that she  
17 may have done or injured when she was a child  
18 and it has nothing to do with this, with this  
19 injury.

20 Q You're saying that this fracture fragment has  
21 caused or may have been caused prior to this  
22 scaphoid fracture?

23 A Or subsequent to it I don't know It's just  
24 if it didn't show up on the x-rays and it didn't  
25 show up on the bone scan which is the most

1 sensitive test there is for metabolic activity.  
 2 then it is not of the same age as the scaphoid  
 3 fracture

4 Q All right. Then how was it missed? If it was  
 5 there before don't has it missed on the bone scan  
 6 or the other radiographic studies that were done  
 7 postaccident?

8 A I don't believe it was missed. I just don't  
 9 think it was there or felt to be clinically  
 10 significant. The bone scan is a physiological  
 11 test. It's a radiological test, but it's  
 12 physiological because it monitors the amount of  
 13 bone turnover. Here there has been a fracture or  
 14 ongoing abnormality that involves destruction or  
 15 healing.

16 The fact that it is cold in this area.  
 17 Which means that there are no signs of metabolic  
 18 activity. Would mean that it is of an age that  
 19 is either older or more fresh than the fracture  
 20 that is apparently healing

21 Q Are you aware of a history of intervening trauma  
 22 after this accident?

23 A No. I am not

24 Q And what kind of trauma would be sufficient to  
 25 cause a fracture fragment like this?



1       A       I don't think it's a fracture fragment. Dr.  
2       Graham thinks it's a fracture fragment.

3       Q       What do you think it is?

4       A       It's a slight bony fragment of calcification in  
5       the soft tissue.

6       Q       Who would be in the better position to determine  
7       whether it's a fracture fragment, the person who  
8       does the surgery and sees it and removes it or  
9       you, coming in after the fact and looking at  
10      records?

11      A       Well, that's a tough question to ask, because  
12      I'm sure Dr. Graham, being that he was there, is  
13      going to have his own opinions of what the  
14      tissue looked like. However, if you base your  
15      opinions totally objectively and looking at  
16      the -- that is, not having a subjective feeling  
17      of defending yourself for operating or not  
18      operating on someone and you're doing it  
19      strictly by the book, where you have a negative  
20      bone scan, which means there was no fracture,  
21      then you're going to have a completely different  
22      answer.

23      Q       Your feeling is that Dr. Graham is defending  
24      himself or defending his decision to operate on  
25      this patient?

1 A I have no idea what Dr. Graham is doing.

2 Q Ultimately you conclude at the end of your  
3 second report that, in your opinion, there  
4 remains some doubts that the bone that Dr.  
5 Graham removed was related to a traumatic  
6 fracture?

7 A Correct.

8 Q Is that your ultimate conclusion?

9 A That's my ultimate opinion, yes.

10 Q Some doubts, correct?

11 A There are doubts in my mind whether this is  
12 related for the reasons that have been  
13 previously stated.

14 Q What is bone marrow edema syndrome?

15 A I have no idea.

16 Q What is a Wilhelm neurectomy?

17 A I don't know. I believe it's to remove a nerve,  
18 a portion of the posterior interosseous nerve,  
19 to alleviate pain. It causes numbness, but it's  
20 a nerve resection for pain control. And beyond  
21 that I don't know much about it. I don't know  
22 who Wilhelm was or anything about where the  
23 procedure originated from.

24 Q If this fracture fragment was caused by trauma  
25 in this accident, how would it appear different

1 than it did when Dr. Graham removed it, in terms  
2 of its appearance to a pathologist or to an  
3 orthopedic surgeon? What would be the different  
4 characteristics that this fracture fragment  
5 would have --

6 A I don't know.

7 Q -- from what it did have?

8 A I don't know. I don't know if it would have any  
9 characteristics that far after, after an injury.  
10 Although, I do believe you would see some signs  
11 of healing or an attempt at healing. It's an  
12 area of the body that heals extremely rapidly  
13 and extremely well.

14 Q Natalie Trepka does have a scar on her right  
15 wrist?

16 A Yes.

17 Q Do you know if that scar, the appearance of that  
18 scar, can be improved cosmetically through any  
19 kind of plastic surgical procedure?

20 A I have no idea.

21 Q What is your hourly rate for serving as an  
22 expert witness in these cases currently?

23 A Hourly rate for what?

24 Q For review of records and authoring a report.

25 A I do not have an hourly rate for that. I have

1 an hourly deposition rate. But the charges for  
2 the IME's and letters have to do with the  
3 complexity and the amount of time it takes to  
4 prepare the report.

5 Q What are the parameters for the review of  
6 records, examination and preparation of a  
7 report?

8 A I'm not sure what you mean by "parameters."

9 Q Well, you say you don't have an hourly rate.  
10 And --

11 A I don't have an hourly rate. I have a range of  
12 charges for that time.

13 Q That's what I'm talking about. What is the  
14 range?

15 A I would say 700 to 1900 dollars, unless it's got  
16 a huge amount of medical records.

17 Q And what would be the rate for this kind of a  
18 review?

19 A I don't have that, but I could probably get that  
20 for you by the time we go to the next deposition  
21 or trial.

22 Q All right. I would request that that be at hand  
23 at that point in time.

24 A I'm sure Mr. Lazzaro can get that for you, too.

25 Q And what is your hourly rate for a deposition?

1 A \$900 an hour.

2 Q And what is the justification -- I mean what do  
3 you base \$900 on?

4 A It's a blended rate between what we would be  
5 doing in the operating room and what we'd be  
6 making in our office, in the office seeing  
7 patients for an hour.

8 Q What is your rate if you see patients in the  
9 office? What would an hour office visit be,  
10 even though you probably don't have an hour  
11 office visit?

12 A Well, we looked at the average fees that we  
13 would generate during the course of an hour's  
14 worth of work in the office and an hour's worth  
15 of work in the operating room and we came up  
16 with \$900 an hour.

17 Q How much do you charge for an office visit for a  
18 patient?

19 A What type of visit and what type of service?

20 Q Just a general orthopedic surgery complaint,  
21 someone comes in complaining of knee pain. I  
22 mean --

23 A Knee pain, anywhere between 100 and 125 dollars.

24 Q Have you ever had a court reduce your fee for a  
25 deposition?

1 A Yes.

2 Q Is that something that has happened more than  
3 just on one or two occasions?

4 A I don't think it's happened more than four  
5 occasions in 10 years.

6 Q How often do you do depositions?

7 A Whenever they're needed.

8 Q I'm sure of that. But how often would that  
9 arise?

10 A I would say usually twice a week. This is the  
11 second deposition this week. So this is --  
12 usually on Mondays and Thursday afternoons. And  
13 I don't remember what we would be doing this  
14 for, why it was scheduled today, but it was  
15 scheduled a long time ago.

16 Q Yes, it was originally scheduled because of a  
17 trial.

18 A I see.

19 Q Do you do worker's compensation exams for  
20 employers or for the Bureau?

21 A Both.

22 Q And how often do you do those?

23 A Maybe one a day, one an office day. So it would  
24 be two a week.

25 Q You're in the office here -- or you're in the

1 office twice a week?

2 A I'm in the Highland office twice a week. And  
3 alternate weeks I'm in my Euclid office, where  
4 we had this -- I think you were there at the  
5 time of that evaluation.

6 Q Right. Right.

7 A So I'm there one or two afternoons a week, over  
8 at Euclid.

9 Q So you do two independent medical examinations  
10 for defense attorneys or insurance companies and  
11 you do two examinations per week, on average,  
12 for the industrial claims?

13 A Correct.

14 Q Okay. You have had some experience with the  
15 Meyers-Hentemann law firm, Mr. Lazzaro?

16 A Some experience? You mean I've reviewed cases  
17 for them?

18 Q Right.

19 A Yes.

20 Q Going back how far?

21 A Late 1980s.

22 Q Would it be fair to say that you've done  
23 hundreds of these for that firm?

24 A I don't know. Over 10 years it's hard to put a  
25 number on that.

1 Q Well, would it be fair to say that you  
2 do in excess of 50 per year for them?

3 A I don't know if that's true.

4 Q Do you ever see any of those attorneys socially?

5 A Meyers-Hentemann? No.

6 Q Do you ever lecture to trial lawyer groups?

7 A Yes.

8 Q Tell me about that?

9 A I have given one lecture -- I can't remember the  
10 date. December of 1987 -- where I participated  
11 in the Medical Institute for the Cuyahoga County  
12 Trial Lawyers Association. That's probably the  
13 last time I did that. I probably did more  
14 during the early '80s.

15 Q Do you ever lecture to insurance people,  
16 casualty insurance companies or claims  
17 adjustors, that type of involvement?

18 A I have given two lectures in 17 years with the  
19 Keller-Curtin law firm. And I believe they  
20 videotaped the last one I did, which was, I  
21 think, two years ago. And I believe that they  
22 published that and have circulated that. But I  
23 think it's only been two actual, physical  
24 lectures in 17 years.

25 ( When a phone call comes in to schedule an



1 in the present medical exam from, for example,  
 2 someone like Mr. Lazzaro, is that kept in an  
 3 appointment book that is separate and apart from  
 4 your patient appointment book?

5 A It is not part of the patient appointment book  
 6 We usually keep those in the computer, and then  
 7 after the examination they're sort of wiped off

8 And is that on your instructions?

9 Yes.

10 That you don't want records kept on?

11 A I don't think it's necessary to keep those kind  
 12 of records.

13 Specifically because you don't want lawyers  
 14 digging around for them, correct?

15 MR LAZZARO: Objection.

16 A I don't want to have that, those facts,  
 17 available in any way, shape or form other than  
 18 having to review tens of thousands of charts. I  
 19 just don't have that available.

20 Q You recognize, of course, that the frequency  
 21 with which an examiner such as yourself aligns  
 22 himself with one particular side or another is a  
 23 relevant inquiry to determining a person's disease?

24 MR LAZZARO: Objection.

25 A I understand from a legal standpoint that it is

1           certainly an area that can raise some questions  
2           and you can certainly question it. And I feel  
3           that I'm under oath, I'm telling the truth,  
4           there's no reason to keep any other accounts or  
5           records.

6       Q       And that's also the reason why you discard  
7           correspondence with attorneys that send these  
8           records to you, correct?

9       A       I discard the correspondences from plaintiff's  
10          attorneys that send me clients for workman's  
11          comp or other records. I do not keep them as  
12          part of the formal records. And I was told to  
13          do that many, many years ago, at least a decade  
14          ago, by a plaintiff's attorney.

15      Q       And you've been asked repeatedly in depositions  
16          since that time in most cases for correspondence  
17          and *you* always give the same answer, "I don't  
18          keep them," correct?

19      A       That's exactly correct. You can thank Mr. Leon  
20          Plevin for that suggestion.

21      Q       Doctor, we started this deposition at 9:10 a.m.,  
22          correct?

23      A       I don't know. I wasn't keeping track.

24      Q       Well, that's what my watch indicated.

25      A       That's probably correct.

1 Q And it is now 9:50, is that correct?

2 A Yes.

3 Q Okay.

4 MR. HAWAL: And I'm going to  
5 end the deposition at this point and thank you.

6 THE WITNESS: I'll waive  
7 signature.

8

9 - - - - -

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25


1 THE STATE OF OHIO, ) SS: CERTIFICATE  
2 COUNTY OF CUYAHOGA. )

3 I, Charles A. Cady, a Notary Public within and  
4 for the State of Ohio, duly commissioned and qualified,  
5 do hereby certify that the within-named witness,  
6 Robert C. Corn, M.D., was first duly sworn to  
7 testify the truth, the whole truth and nothing but the  
8 truth in the cause aforesaid; that the testimony then  
9 given by him was by me reduced to stenotypy in the  
10 presence of said witness, afterwards transcribed on a  
11 computer/printer, and that the foregoing is a true and  
12 correct transcript of the testimony so given by him, as  
13 aforesaid.

14 I do further certify that this deposition  
15 was taken at the time and place in the foregoing  
16 caption specified.

17 I do further certify that I am not a  
18 relative, counsel or attorney of either party, or  
19 otherwise interested in the event of this action.

20 IN WITNESS WHEREOF, I have hereunto set my hand  
21 and affixed my seal of office at Cleveland, Ohio, on  
22 this 21<sup>st</sup> day of November 1996.

23   
24 Charles A. Cady, Notary Public  
25 within and for the State of Ohio  
My Commission expires November 3, 1999





SPANGENBERG, SHIBLEY & LIBER

ATTORNEYS AT LAW

JOHN D. LIBER  
ROBERT A. MARCIS  
PETER H. WEINBERGER  
WILLIAM HAWAL  
PETER J. BRODHEAD  
DENNIS R. LANSDOWNE

JOHN R. LIBER, II  
CATHLEEN M. BOLEK  
JUSTIN F. MADDEN  
STUART E. SCOTT

February 14, 1997

CRAIG SPANGENBERG  
(RETIRED)  
NORMAN W. SHIBLEY  
(1921-1992)  
DONALD P. TRACI  
(RETIRED)

Richard Alkire, Esq.  
1370 Ontario Street  
First Floor  
Cleveland, Ohio 44113

RE: Dr. Robert C. Corn

Dear Rick:

Enclosed is a transcript of the deposition of Dr. Corn which we had discussed.

Sincerely,

William Hawal

WH:klh  
Enclosure