

578

1
2
3

6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

State of Ohio,)
County of Cuyahoga.) ss:

- - -

IN THE COURT OF COMMON FLEAS

- - -

FETES A. FRCTE, et al.,)
Plaintiffs,)
vs) Case No. 104081
PAUL G. BEGNAUD,) Judge Robert Lawther
Defendant.)

- - -

DEPOSITION OF ROBERT CORN, M.D.
Thursday, April 30, 1987


- - -

R. W. M.

The deposition of ROBERT CORN, K.C., a
witness, called for examination by the Defendant
under the Ohio Rules of Civil Procedure, taken
before me, Diane M. Stevenson, a Registered
Professional Reporter and Notary Public in and
for the State of Ohio, by agreement of counsel,
at the offices of Robert Corn, M.D., 850
Brainard Road, Highland Heights, Ohio,
commencing at 5:25 p.m., the day and date above
set forth.

- - -

Diane M. Stevenson, RPR
Morse, Gantverg & Hodge



1 **APPEARANCES :**

2 On behalf of the Plaintiffs:

3 Steven L. Gardner, Esq.
4 McDonald, Hopkins & Hardy Co., L.P.A.
5 1100 East Ohio Building
6 Cleveland, Ohio 44114

7 On behalf of the Defendant:

8 Roger Williams, Esq.
9 Keller, Scully & Williams Co., L.P.A.
10 330 Hanna Building
11 Cleveland, Ohio 44114

12 ALSO PRESENT:

13 Tim Palcho, Video Operator

14 - - -

15 -

16
17
18
19
20
21
22
23
24
25 Diane M. Stevenson, RPR
 Morse, Gantverg & Hodge

1 MR. WILLIAMS: Before we begin the
2 examination of Dr. Corn, initially let the
3 record reflect that this is the deposition of
4 Dr. Robert Corn which is being taken pursuant to
5 notice, and it would be my understanding that

8 notice would be waived; is that correct?

9 MR. GARDNER: That's correct.

10 MR. WILLIAMS: And it is also my
11 understanding that you will waive the
12 requirement of the filing of the transcript as

15 MR. GARDNER: That is correct.

16 MR. WILLIAMS: Further let the
17 deposition reflect or let the record reflect
18 that this deposition is being taken upon direct
19 examination in order to preserve the doctor's
20 testimony for use at that time of the trial of
21 this action which has been brought by Mr. Peter
22 Prete and his wife Vera Prete against my
23 clients, Paul and Edward Begnaud, said action
24 bearing case number 104081 before the Honorable
25 Judge Robert Lawther in the Court of Common

Diane M. Stevenson, RPR
Morse, Gantverg & Hodge

1 Fleas for Cuyahoya County, Ohio.

2 - - -

3 ROBERT CORN, M.D.

4 A witness, called for examination by the
5 Defendant, under the Rules, having been first
6 duly sworn, as hereinafter certified, was
7 examined and testified as follows:

8 DIRECT EXAMINATION

9 BY MR. WILLIAMS:

10 Q. Doctor, you have been sworn, and I would ask you
11 to please state your full name for the record?

12 A. Robert Curtis Corn.

13 Q. Doctor, what is your current professional
14 address?

15 A. 850 Brainard Road in Highland Heights, Ohio.

16 Q. Are we at that address here today?

17 A. Yes.

18 Q. Doctor, what is your profession?

19 A. I am an orthopedic surgeon.

20 Q. When were you first licensed to practice
21 medicine in the State of Ohio?

22 A. 1976.

23 Q. Again, your specialty in the field of medicine
24 is orthopedic surgery; is that correct?

25 A. That is correct.

Diane M. Stevenson, RPR
Morse, Gantverg & Hodge

1 Q. Doctor, would you please explain to the ladies
2 and gentlemen of the jury what is involved with
3 that specific specialty?

4 A. Orthopedic surgery is that branch of medicine
5 which deals with the medical and surgical
6 management of disorders and injuries to the
7 musculoskeletal system.

9 joints, ligaments. It includes the
10 subspecialties of spinal surgery, arthritis
11 surgery, sports medicine and hand surgery.

12 Q. Doctor, are you Board certified in orthopedic
13 surgery?

14 A. Yes, I am.

15 Q. When did you become so Board certified?

16 A. In 1980.

17 Q. In 1980, Doctor, what was involved in the Board
18 certification of an orthopedic surgeon?

19 A. To become Board certified, the candidate had to
20 complete an approved residency approved by the
21 American Board of Orthopedic Surgery.

22 After the completion of the residency
23 requirements, there was a requirement that the
24 candidate had to be in practice for one calendar
25 year, and after that year the candidate sat for

1 an examination which included both oral and
2 written examination over a two-day period.

3 After satisfactorily completing the
4 requirements and passing the examination, then
5 the candidate was deemed Board certified.

6 Q. Doctor, would Board certification be one of the
7 highest, if not the highest, achievement
8 attainable in your specialty?

9 A. Yes, it is.

10 Q. Doctor, if you would, would you please give the
11 ladies and gentlemen of the jury a little of
12 your educational background including college
13 through medical school as well as your
14 internships, residencies, etc. up to the present
15 time?

16 A. I received my Bachelor of Science in biology
17 from the Albright College in Reading,
18 Pennsylvania. I received that degree in 1971.

19 Then I attended the Hahneman University
20 School of Medicine from 1971 through 1975 and
21 received my MD degree from that institution in
22 June of 1975.

23 I then came out to the Cleveland, Ohio area
24 and completed my residency in orthopedic surgery
25 at the Cleveland Clinic Hospital in June of

1 1979, and from August of 1979 to the present I
2 have been in the private practice of orthopedic
3 surgery.

4 Q. So you have been in private practice approaching
5 now about eight years?

6 A. That's correct.

7 Q. Doctor, are you a member of any medical

8 A. Yes, I am.

10 Q. Of what organizations or societies are you a
11 member?

12 A. I am a fellow in the American College of
13 Surgeons, a member of the American Academy of
14 Orthopedic Surgeons, the American Coard of .
15 Orthopedic Surgery, the American Medical
16 Association, Ohio State Medical Association, the
17 Cleveland Academy of Medicine, the Orthopedic
18 Research Society, as well as a variety of other
19 smaller national and international
20 Organizations.

21 Q. Do you have staff or courtesy privileges at any
22 Greater Cleveland area hospitals?

23 A. Yes, I do.

24 Q. At which hospitals, Doctor?

25 A. I am chief of orthopedic surgery at the Huron

1 Road Hospital. I have staff privileges at
2 Euclid General hospital, Hillcrest Hospital and
3 at the Mt. Sinai Medical Center. I also have
4 courtesy privileges at the St. Vincent Charity
5 Hospital.

6 Q. Are you involved in teaching at all in your
7 field?

8 A. Yes, I am.

9 Q. In what capacity?

10 A. I am a clinical instructor in orthopedic surgery
11 at the Case Western Reserve University, and an
12 assistant professor of orthopedic surgery at the
13 Ohio College of Podiatric Medicine.

14 Q. Are you involved in any publications in your
15 field?

16 A. Yes.

17 Q. What publications have you been involved with?

18 A. I have a variety of publications encompassing
19 the fields of arthritis, trauma, and metabolic
20 bone disease.

21 Q. Doctor, would you please tell the ladies and
22 gentlemen of the jury whether you had an
23 occasion to examine the Plaintiff in this
24 particular matter, Peter Prete, at my request?

25 A. Yes.

1 Q. When did that examination take place?

2 A. The examination was on March 11, 1987.

3 Q. Where did that examination take place?

4 A. Here in my office.

5 Q. Doctor, as part of your office records, do you
6 have a copy of your report which you prepared
7 and which is dated April 6, 1987 with regard to
8 your examination of the Plaintiff, Peter Prete?

9 A. Yes, I have the report.

10 Q. Does that report document your findings upon
11 that examination?

12 A. Yes, it does.

13 Q. Doctor, feel free to refer to that report and
14 any other records you have available during your
15 testimony in answering any of my questions as
16 well as those of counsel for the Plaintiff.

17 Doctor, upon your first meeting with
18 Mr. Prete, did you obtain a history?

19 A. Yes, I did.

20 Q. Was Mr. Prete accompanied by his attorney at the
21 time of the examination?

22 A. Yes, Mr. Gardner was present throughout the
23 entire examination.

24 Q. Doctor, what was the history which was
25 provided? I realize it is a little lengthy, but

1 if you could tell us the history which was
2 provided?

3 A. I will be glad to. I'm going to be teking this
4 mostly directly reading from my report.

5 Mr. Prete presented with a history that he
6 was a driver of an AMC Spirit motor vehicle that
7 was stopped in a line of traffic approximately
8 the third car back at an intersection.

9 He was not wearing a seat belt or shoulder
10 restraint device. A rear-end collision
11 occurred. At the moment of impact he attempted
12 to grab the steering wheel in order to quote!
13 "brace," end of quote, himself.

14 At the moment of impact he was thrown
15 forward and, quote, "actually lifted up," erid of
16 quote. He struck the metal above the windshield
17 with the bridge of his nose and sustained an
18 abrasion to his forehead.

19 As his car was thrown forward, it struck
20 the car in front of him. This caused him to be
21 thrown backwerds, and it felt like, quote,
22 "someone hit me with a slab of steel in my
23 neck," end of quote.

24 Initially he, quote, "stayed put and didn't
25 try to move," end of quote. His neck was

1 painful but he was not quite sure what to do at
2 that time.

3 A son who was approximately six or seven
4 years old in the back seat was not wearing a
5 seat belt and apparently the child was crying
6 and he was concerned about the child.

7 The child bruised one of his legs, but
8 Mr. Prete could not recall which leg was
9 injured. Mr. Prete's wife **was** a passenger in
10 the front seat of the car and she allegedly,
11 quote, "cracked the windshield with the right
12 side of her head," end of quote.

13 - MR. GARDNER: Objection move to
14 strike.

15 A. She was **not** wearing a seat belt, either.

16 He was taken by ambulance with a back brace
17 and a shoulder strap to the emergency room at
18 the Lakewood Hospital.

19 At that time he was x-rayed and an
20 evaluation was performed. He **was** released from
21 the hospital after the evaluation and was not
22 admitted.

23 The primary evaluation was for his neck and
24 his, quote, "painful right wrist." He **was** given
25 a list of instructions.

1 His first medical contact was with
2 Dr. Culley, his family practitioner, who has
3 treated him for previous problems.

4 He was seen approximately one week after
5 the accident. He also stated that he lost
6 approximately one day of work after the
7 accident.

8 During the first week, quote, "things were
9 difficult," end of quote. He stated there was
10 a, quote, "loss of feeling," end of quote, in
11 the right side of his neck and shoulder region
12 as well as a, again, quote, "loss of feeling,"
13 end of quote, in both of his upper extremities
14 as well as the anterior aspect of his thigh.

15 He was given some medicine which he thinks
16 was a muscle relaxant and this was by
17 Dr. Culley. Because of failure to improve with
18 the medicine, he was referred to Dr. Russell
19 Elmer who is an orthopedic surgeon at Lakewood
20 Hospital approximately one week later. No new
21 x-rays were taken.

22 Dr. Elmer examined him and felt physical
23 therapy was recommended primarily in the form of
24 heat and traction, Mr. Prete also stated that
25 he received a, quote, "Cortisone shot or

1 lubricant," end of quote.

2 The headaches that he started experiencing
3 shortly after the accident began to worsen. The
4 traction and physical therapy were unsuccessful
5 in relieving his problems and, in fact, the
6 traction worsened his headache.

7 The accident occurred on 5/12/84, and he
8 continued with Dr. Elmer for about a year before
9 he was first hospitalized at Lakewood Hospital
10 on May 7, 1985.

11 He was hospitalized from May 7 through May
12 20, 1985. During this first year he also tried
13 a-number of home remedies to relieve the pain in
14 his hand--this is his right hand--and he wore
15 gloves or taped the hands to protect them or
16 taped the thumbs, actually, to protect them.

17 I asked him why he was admitted in 1985 and
18 he said that this was because of a, quote,
19 "extreme loss of sensation on the right side of
20 my body," end of quote. He was not sure how
21 long he was out of work.

22 Dr. Elmer's diagnostic workup included a CT
23 scan as well as a myelogram, which were
24 apparently--and there was no improvement. I
25 don't recall whether Mr. Prete stated what the

Diane M. Stevenson, RPR
Morse, Gantverg & Hodge

1 results were.

2 On September 23, 1985 he sought a second
3 orthopedic opinion with Dr. Henry Bohlman,

4
5
6
7
8
9 millimeters of room in a slightly narrowed C5-6
10 disk space," and that is Dr. Bohlman's quote.

11 He recommended an anterior spinal fusion.

12
13
14 operating room the following day on 11/12/85.
15 The surgery performed **was** an anterior cervical
16 disectomy. That is a removal of the disk and a
17 fusion at the C5-6 level. Apparently the
18 post-operative course was fairly smooth and he
19 was discharged on November 16, 1985.

20 Immediately after the surgery, Mr. Frete
21 reported a definite relief in his headache and
22 neck pain, although he continue6 to have a
23 significant amount of pain in his right arm,
24 specifically in his right thumb.

25 He had continuing pain and Dr. Bohlman

Diane M. Stevenson, RPR
Morse, Gantverg & Hodge

1 referred him to one of his associates,
2 Dr. Michael Keith, who is an orthopedic surgeon
3 that specializes in hand surgery at University
4 Hospitals.

5 Dr. Keith felt that his diagnosis was a,
6 quote, "grade two sprain, collateral ligament
7 sprain, of his thumb as well as a contusion to
8 the metacarpal phalangeal joints."

9 Dr. Keith also, according to his report,
10 felt that there was no instability or arthritis
11 present and that it would, quote, "probably
12 remain a low grade problem," end of quote.

13 At this time I noted in the course of the
14 interview that--or up to this time, I should
15 say, the patient, Mr. Prete, had a somewhat
16 secure--

17 MR. GARDNER: Objection.

18 A. --attitudetoward the residuals of injury.

19 MR. GARCNER: Move to strike.

20 Q. Well, why don't we ask--let me just ask you,
21 then, Doctor: You have given us the history
22 then as portrayed by Mr. Prete up until the
23 point of his seeing Dr. Keith; is that correct?

24 A. After he saw Dr. Keith.

25 Q. After he saw Dr. Keith, okay. And all of what

1 you have now testified to would have been
2 statements given to you by Mr. Prete; is that
3 correct?

4 MR. GARDNER: Objection.

5 A. Yes, with the exception of the description that
6 Dr. Bohlman and Dr. Keith used in their medical
7 reports.

8 Q. So when you talked about the fairly narrow
9 spinal canal at C5-6 level with 13 millimeters
10 of room and a slightly narrowed C5-6 disk space,
11 that would have been from Dr. Bohlman's report?

12 A. That's correct.

13 Q. And when you talked about Dr. Keith indicating,
14 "Probably remain a low grade problem," that
15 would also be a quotation from Dr. Keith?

16 A. From his report, that's correct.

17 Q. As well as the quotation you gave about a grade
18 two collateral strain, right and left thumbs
19 with contusion to the metacarpal phalyngeal
20 joint. That would have been a quote from
21 Dr. Keith?

22 A. Yes, that's correct.

23 Q. As far as the other quotes that you have noted
24 in recounting your history as given by
25 Mr. Prete, those would have been direct quotes,

1

2

A.

3

Q.

4

5

6

7

8

9

10

A.

11

Q.

12

13

14

15

A.

16

Q.

17

18

19

20

21

22

A.

23

24

25

Q.

RT
HUMB
ARM
SHOULDER

1 observed at that point as far as Mr. Prete's
2 demeanor 'in his recounting of the history?

3 MR. GARDNER: Objection. Move to
4 strike.

5 A. At this point in the course of the interview, I
6 was trying to assess basically where he was
7 right now.

8 We started talking and I was concerned
9 about the adjectives and the way that Mr. Prete
10 was describing his current medical condition,
11 and it was at this point when I started
12 uncovering from his history that he was involved
13 in a chronic pain management program.

14 He seemed to be initially reluctant to talk
15 about this, but once he understood that I
16 understood what his problem was with it, he
17 began to be a little bit more free in explaining
18 to me what was being done, what the game plan
19 was, so to speak, of the pain management
20 center.

21 And he was very cooperative, it is just
22 that there was a change in his attitude when I
23 started talking about the chronic pain syndrome,
24 the psychological aspect of it.

25 MR. GARDNER: Move to strike.

Diane El. Stevenson, RPR
Morse, Gantverg & Hodge

1 Q. Doctor, you are familiar with the chronic pain
2 management program; is that correct?

3 A. Yes.

4 Q. How are you familiar with that program?

5 A. I am the orthopedic consultant and one of the
6 three members of the Pain Panel at the Hiram
7 Road Chronic Pain Management Program.

8 Q. To the best of your knowledge, would that be a
9 similar program as that that is instituted at
10 the University Hospital?

11 MR. GARDNER: Objection.

12 A. It is a little tighter group. I am familiar
13 with the University program. I have sent
14 patients over to that particular program prior
15 to the establishment of our own program, and I
16 am familiar with it, and it is a similarly based
17 program, multi-faceted type of approach which
18 uses a great deal of medical and surgical
19 specialties including orthopedics, neurology,
20 neurosurgery, psychology, psychiatry, and
21 physical therapy.

22 I think that all quality pain management
23 programs are involved with this approach. It is
24 the only way to handle a problem such as this.

25 Q. Then at that point then did Mr. Prete continue

1 with his history with regard to the pain
2 management program?

3 A. Yes. I'm not meaning to say that he was
4 uncooperative with this. I think that he acted
5 almost embarrassed about this, which I don't
6 think is appropriate. It is something that
7 obviously he had or he has, and I was trying to
8 approach it as a medical problem as I would a
9 broken bone.

10 I have a lot of respect for people who are
11 going through chronic pain type of syndrome. I
12 deal with them on a daily basis. I see very,
13 very complex cases, and I recognized certain
14 characteristics in his descriptive pattern, and
15 I just basically wanted to find out why, you
16 know, why he used the adjectives he did.

17 And then we got into a little discussion,
18 which is basically the next part of the history,
19 primarily about the chronic pain aspect, not so
20 much about the neck injury and not so much about
21 the surgery and not so much about the thumb, so
22 much as to find out exactly how extensive this
23 chronic pain's syndrome he had was.

24 He also stated that he was quite new in the
25 program and had only been in it a month, but

1 with the medications prescribed, there was
2 already some improvement, and I thought this was
3 significant.

4 Q. Did he then go on and give you a little history
5 of what the course of treatment was or what his
6 problems were that led him-- .

7 A. Yes.

8 Q. Okay. What did he relate to you?

9 A. Now, I can't remember exactly--5.1: of this is
10 not all from his history. To make the report
11 readable and more organized, some of this was
12 taken from the hospital records from University
13 Hospital, including Dr. Kriegler and the
14 psychologist's reports as well as Dr. Bohlman's
15 reports.

16 So this is all not specifically verbatim
17 from him, but it will flow a little bit better
18 because the whole story comes together a little
19 better in my mind.

20 Q. Okay, well, why don't you go ahead, then?

21 A. Basically because of the failure to completely
22 improve the way Dr. Bohlman had expected,
23 Mr. Prete was referred to Dr. Jennifer Kriegler,
24 who is a neurologist and the director of the
25 Chronic Pain Management Program at University

Hospitals.

According to the medical records, there was no question in Dr. Kriegler's mind that this was, in fact, what Mr. Prete was suffering from. *not asking Boston as Boston expected*

To confirm this, I basically set some guidelines to determine exactly where his level of pain was at the time of my examination in March of 1987.

One of the problems or one of the complex problems of chronic pain patients is that the way they describe levels of pain and extremes of pain have to be quantitated, and it is this quantitation of pain or that is the amount of pain which can be followed, because basically pain is subjective. There is no way that an examiner can rate pain, it is how pain is rated by the chronic pain patient.

Chronic pain patients always have somewhat unusual ways of describing pain, in their levels of intensity, and it is these levels of intensity that are not well correlated to objective signs, but to the patients they are quite real.

I basically set some guidelines with

Diane M. Stevenson, RPR
Morse, Cantverq & Hodoe

1 Mr. Prete at this time to learn what he felt,
2 what his level of pain or what his subjective
3 level of discomfort **was**, and I set some
4 guidelines where I described the pain at a level
5 one being a pain that was **just** barely
6 perceptible, just sort of like a tickle, just
7 sitting in the background, and a pain level on
8 the scale of ten as a level of pain that is such
9 an intense, excruciating **p**; in that no human
10 being can stand that pain for more than five
11 seconds.

12 Most patients with normal, and I say quote,
13 unquote, "normal" orthopedic pain very rarely
14 get above a seven or eight. Ten is never used
15 in a patient who does not have a chronic **pair**,
16 syndrome, or very rarely, if ever, and it is
17 usually substantiated by a rather significant
18 problem such as pain post-operatively, but this
19 was not a post-operative situation at this
20 point.

21 He described his headaches as those exactly
22 as felt prior to his surgery. They were diffuse
23 and aching in nature and they were primarily in
24 the occipital region in the back of the skull
25 and radiated anteriorly across the skull.

1 At the time of the interview, his level of
2 pain was at a one, but at times, or usually, the
3 pain level was between, quote, "five and six."

4 Occasionally it was a nine or a ten.

5 Concerning his neck pain, there was persistent
6 right-sided pain which he described as diffuse
7 and aching, in other words, poorly localized, as
8 we3.1 as a radiating pain into the right upper
9 back region.

10 This he described as a pain center. This
11 is obviously a description that was given to him
12 by the pain program, chronic pain management
13 program, to **help** keep the vocabulary similar.
14 This is the same thing that we use in our
15 program.

16 There was a diffuse burning pain or a
17 quote, "sharp pain," end of quote, which he felt
18 virtually every day in the posterior right upper
19 back and shoulder region.

20 He would rate it as a three on a good day,
21 but usually on the five or six level. These
22 were his descriptive numbers.

23 The pain seemed to be aggravated by
24 physical tension, or by the use of a telephone,
25 that is keeping the head tilted using a

1 telephone so both hands were free.

2 So there was a second type of pain, which
3 was diffuse, aching neck pain which seemed to be
4 improved with some of the medications.

5 The Naprosyn, which is an anti-inflammatory
6 medication, that was prescribed by one of the
7 physicians at the University. The pain was felt
8 usually in the one to **two** range, but as bad as
9 four, a level four. Naprosyn seemed to, quote,
10 "give him more movement," or "give me more
11 movement," end of quote.

12 The last area of concern were his thumbs.
13 He stated that he felt there was **absolutely no**
14 use of his thumbs whatsoever. He said that,
15 quote, "the use of it," meaning his right thumb,
16 "died three years ago," end of quote.

17 The pair, at the **time** of the evaluation was
18 a two or three, but the pain was, quote,
19 "usually a ten or more," end of quote.

20 Q. Did you review with him at that time, Doctor, as
21 part of the history, the current medications he
22 was taking?

23 A. Yes, I did.

24 Q. What did he advise you as to those medications?

25 A. At that time he was taking a drug called

1 Pamelor, which is a major tranquilizer. He
2 wasn't taking it as a tranquilizer. It is used
3 in people with major psychiatric diseases, but
4 not at the low level that he was using it, or at
5 the time of the evaluation.

6 I'm not sure what he was using later on,
7 but he was just using it for sleep, in other
8 words, to assist him in sleep. There is
9 evidence in the pain literature, chronic pain
10 literature, that the combination of a nonsteroid
11 anti-inflammatory medication such as Naprosyn
12 and the major tranquilizer, such as Pamelor, act
13 together, and this was somewhat successful and
14 he felt that there was improvement in his pain
15 just simply being on these two medications.

16 He said that there was a distinct
17 improvement in his overall symptoms on the
18 medicine, and his ability to sleep was also
19 improved. He was wearing no neck brace at the
20 time of the evaluation.

21 Q. Did you review his past medical history with
22 him, also?

23 A. Yes, I did.

24 Q. What did that reveal?

25 MR. GARDNER: Objection.

Diane M. Stevenson, RPR
Morse, Gantverg & Hodge

1 A. Well, the past medical history, which is part of
2 a normal history and physical evaluation
3 revealed-- he stated there were no previous
4 injuries to his spine, but he did say he injured
5 his right thumb while playing football, quote,
6 "back in high school," end of quote.

7 Q. Did you then perform a physical examination,
8 Doctor?

A. Yes, I did.

10 Q. What did that reveal upon physical examination?

11 A. On physical examination of the portion of the
12 interview during the evaluation, he appeared a
13 somewhat apprehensive male who had, in my
14 opinion, a typical affect of a chronic pain.
15 patient, that is, being somewhat reticent and
16 over protective.

17 MR. GARDNER: Object. Move to
18 strike.

19 A. His gait pattern was normal, that is, he had no
20 limp. He was able to arise from a sitting
21 position as well as ascend and descend the
22 examining table in normal a fashion.

23 Examination of his neck and upper back
24 revealed no spasm, no dysmetria. In other
25 words, the muscular movement was coordinated.

1 There was one area of soreness to palpation
2 in the right rhomboid, which is just to the
3 inner side of the shoulder blade, the wing bone
4 on the right side, and this is the area that he
5 described as the, quote, "pain center."

6 Q. Did you then examine more fully the cervical
7 spine?

8 A. Yes, I did.

9 Q. What did that reveal, that examination?

10 A. The range of motion **of** the cervical spine showed
11 very minimal limitations in forward flexion and
12 extension, left rotation and right lateral tilt.

13 Right rotation and left lateral tilt caused
14 some discomfort in the right upper back as well
15 as in the trapezius muscle, which is a big
16 muscle along the side of the neck.

17 (Thereupon, a discussion was had off
18 the record.)

19 BY MR. WILLIAMS:

20 Q. Doctor, at the point where you indicated about
21 the right rotation and left lateral tilt causing
22 some discomfort, then what else did you examine
23 him with or for?

24 A. There was a four-inch motion of both shoulders,
25 and this was in all directions.

1 There was a well-healed scar on the left
2 side of his neck compatible with the surgical
3 history.

4 His scapular area, that is the shoulder
5 blades, had a normal amount of motion, and on
6 neurologic examination including sensation,
7 motor and reflex testing in both upper
8 extremities was normal.

9 I also measured circumferentially both arms
10 and forearms at precise distances from the
11 elbow, and the right arm **was** slightly larger by
12 approximately one centimeter, which **was**
12 compatible with his right-handedness.

14 There was a full range of motion of his
15 elbows, wrists, and small joints of the hand.

16 Q. Doctor, if we could go back into the cervical
17 spine examination, you indicated that there was
18 very minimal limitations in forward flextion,
19 extension, left rotation and right lateral
20 tilt.

21 Can you show the ladies and gentlemen of
22 the jury how you test for that?

23 A. Okay. Basically what I ask the patient to do,
24 and I observe from the side for the front/back
25 motions, and from the back for the side/side

1 motions, the flexion, that is bending forward
2 putting the chin maximally to the chest, he was
3 just about able to do that.

4 Hyperextension or extension would be back,
5 looking upward toward the ceiling. Right
6 rotation is turning the head to the right, left
7 rotation is turning the head to the left.

8 Right lateral tilt, trying to put the right
9 ear on the right shoulder, left lateral tilt,
10 trying to put the left ear on the left shoulder.

11 Q. You indicated, I believe in your report, that
12 there was no dysmetria noted. What is
12 dysmetria.

14 Q. Dysmetria is another word for coordination. In
15 other words, the movement was coordinated. It
16 wasn't staccato or favoring or one muscle group
17 not working. The motions were essentially
18 normal. The excursions and the muscle
19 contractions appeared to be normal.

20 Q. You also indicate a full range of motion of both
21 shoulders. How would you test for range of
22 motion in the shoulder?

23 A. Well, basically I do the shoulder both actively
24 and passively. Actively, meaning asking the
25 patient to move the arm to its fullest extent,

1670 149 1324
such as this is forward flection, this is abduction, that is going to the side, external rotation is going this way, internal rotation is going this way, and extension is bringing the arm behind.

I also have my hand on the individual to assist them in seeing how much or if there is a difference between active and passive motion.

Q. And you also indicated that protraction and retraction of the scapula were performed normally.

A. In other words, I ask them to roll the shoulders forward and roll the shoulders backwards. If there is paralysis or a problem with any particular muscle group, the motion is not coordinated.

Q. You were able to perform a neurological examination?

A. Yes.

Q. To the best of your ability, that was normal; is that right?

A. Yes.

Q. Now, you indicated there was also full range of motion with both elbows, wrists and small joints of the hand. How do you test for that?

1 A. Well, basically the elbow has a number of the
2 set motions. It has elbow flexion, which is
3 bending the elbow. Extension is a palm-up
4 motion called supination, a palm-down motion
5 called pronation.

6 The wrist flexes and extends, moves the
7 radial side or thumb side, which is the ulnar
8 side, and basically the motion I use in the hand
9 is watching the patient grip, and the ability to
10 have coordinated motion in the thumb, the thumb
11 and fingers.

12 Q. And there was full range of motion for both
13 hands?

14 A. Yes.

15 Q. Doctor, did you then go on and examine the
16 thumbs specifically?

17 A. Yes. Now, when I first asked him to use the
18 thumb, use the hand, he was holding the thumb
19 out like sort of like in a protected fashion,
20 but on specific motions he was able to move the
21 thumb.

22 There was a deformity at the base of the
23 thumb, down at the carpal/metacarpal joint--
24 I'm sorry, the metacarpel/phalyngeal joint, this
25 joint basically at this level, which appeared to

DR Resto
Fornio
Definitely

1 be an old--sort of like an old stoving injury,
2 sort of like I see probably 10 or 15 of these a
3 week from old injuries that occurred ages ago,
4 usually most commonly when the bones are still
5 forming or the joints are still forming.

6 He was able to move the thumb fully,
7 although when asked to use his hand in a
8 composit fashion, that is, making a fist, he
9 sort of curled the thumb up to try to protect
10 it.

11 Most people when they make a fist, they
12 don't curl their thumb under the fingers. They
13 do it like this, in other words, they do this to
14 try to protect their thumb. That is what he did
15 when asked to use the composit motion.

16 I also examined the ligaments, that is
17 testing--stressing the ligaments in the
18 different ways, and there was no instability
19 detected in the thumb-side direction, little
20 finger-side directi'on or rotationally, that is
21 twisting and rotating them.

22 Q. Did you then go on and examine the lumbosacral
23 spine?

24 A. Yes.

25 Q. What did that examination reveal?

1 A. Basically the back examined perfectly normally.
2 He was able to flex down to the ankle level, and
3 neurologically both lower extremities were
4 normal by neurologic examination.

5 Q. Doctor, have you detailed all the tests which
6 you performed upon your examination of Mr. Frete
7 on that date?

9 Q. Are all those tests approved and accepted within
10 your field and performed by other orthopedic
11 surgeons?

12 A. Yes.

13 Q. Doctor, did you have sufficient time in which to
14 perform a full and complete orthopedic
15 evaluation of this particular patient?

16 A. Yes.

17 Q. Obviously the history portion of the examination
18 took quite a bit of time. Would that be a fair
19 statement?

20 A. It is a fair statement, yes.

21 Q. Doctor, did you also have an opportunity to
22 review additional medical records available to
23 you either prior or subsequent to your
24 examination which I forwarded to you?

25 A Yes. There was no review of information prior

1 to the history and physical, and all the medical
2 records were reviewed prior to the preparation

Q. Did you indicate what records that you had!
5 available to you to review?

6 A. I don't know what the sequence of their arrival
7 was. I know that there was not the reports from

9 from Dr. Ashenburg, who is the assistant
10 professor, Department of Psychiatry and
11 Neurology. This is directed to the pain
12 clinic. Reports from Dr. Jennifer Kriegler who
13 is the director of the pain clinic, a letter to
14 Dr. Gardner from Dr. Eohlman--

15 MR. GARDNER: That you, Doctor, I
16 take that as a compliment.

17 A. Oh, did I say doctor? Mr. Gardner, I'm sorry.
18 Well, it is a compliment, then I won't retract
19 it.

20 A letter between Dr. Kriegler--there is a
21 variety of letters, correspondences between the
22 treating physicians, a letter from Michael
23 Keith, office records from Lakewood Hospital. I
24 assume these are Dr. Elmer's records. I didn't
25 review those prior to the deposition.

Emergency room reports from Lakewood Hospital, admission reports from Lakewood Hospital, and the chart record from University Hospitals from Mr. Prete's inpatient stay.

Q. Doctor, based upon your examination of Mr. Prete and from the oral history provided by him and from the records which you reviewed, are you able to make a diagnosis within a reasonable degree of medical certainty as to the injury that he allegedly sustained at the time of the accident?

A. Yes.

MR. GARDNER: I'm going to object.

Q. What is your opinion, Doctor?

A. The basis of my examination and history, I believe that Mr. Frete sustained a flexion/rotation injury to his cervical spine, that is his neck, a herniated C5-6 disk, the status post anterior disectomy and fusion.

I think he sprained both of his--my opinion is that he sprained both of his thumbs, the right thumb at the most was injured in the grade two collateral ligament injury, and that he had a chronic pain syndrome.

Q. Doctor, what is a grade two collateral ligament

1 injury?

2 A. A grade two collateral ligament injury is
3 basically a mild stretching of the capsule and
4 supportive structures about a join. It doesn't
5 have to be necessarily the thumb, it could be
6 any joint.

7 Q. And Doctor, based upon your examination, the
8 oral history and the records which you reviewed,
9 were you able to make a diagnosis as to
10 Mr. Prete's condition at the time of your
11 examination?

12 A. Those were my--the examination, was that your
13 question?

14 Q. Well, the original question was the type of
15 injury, but I take it then that is a compilation
16 of both the injury that he originally sustained
17 and his current condition?

18 A. That's correct.

19 Q. And Doctor, would this diagnosis be based upon
20 the assumption that all the medical records
21 which you reviewed as well as the oral history
22 provided by Mr. Prete were true and complete
23 statements?

24 A. Yes.

25 Q. Doctor, as a result of your examination and

1 again as a review of all the records as well as
2 the oral history provided by him, are you able
3 to express an opinion within a reasonable degree
4 of medical certainty as to the prognosis for
5 this individual as it exists at that time of
6 your examination?

7 A. Yes, I have an opinion.

8 Q. What is your opinion?

9 A. My opinion was--

10 MR. GARDNER: Objection. Go ahead.

11 A. My opinion was that the prognosis was excellent
12 for a good relief of his residual pain, a good
13 relief from the symptoms of the chronic pain
14 syndrome, just on the basis of the patient's
15 complaints, his apparent personality, his
16 psychological approach to his problem, and the
17 early good results with the medication. I felt
18 the prognosis was excellent.

19 Q. Doctor--

20 MR. GARDNER: Move to strike.

21 Q. I had provided you with some records, but I
22 believe you also requested from Mr. Gardner
23 additional records; is that correct?

24 A. Yes, those are primarily the records from the
25 Chronic Pain Management Program.

1 Q. And Mr. Gardner did, in fact, provide those
2 records and you did have an opportunity to
3 review those?

4 A. The records that I state⁶ before were those
5 provided by Mr. Gardner.

6 Q. And obviously then that all became part of your
7 report which you' have testified about today?

8 A. Yes.

9 Q. Did you find any objective evidence,
10 ongoing orthopedic problems or orthopedic
11 disease?

12 A. NO.

13 Q. -

14 MR. GARDNER: Objection. Move to
15 strike.

16 Q. As far as the right hand or the right thumb, did
17 you find any objective evidence of any ongoing
18 significant problem there?

19 MR. GARDNER: Objection.

*Legament
strain not
sign.*

20 A. No.

21 Q. As far as specifically the right thumb is
22 concerned, Doctor, do you have an opinion within
23 a reasonable degree of medical certainty as to
24 the prognosis for the right thumb?

25 MR. GARDNER: Objection.

1 A. The prognosis and my opinion would be that the
2 right thumb should be perfectly fine. There was
3 absolutely no evidence of any instability,
4 arthritis, or any objective evidence of any
5 treatable orthopedic conditions.

6 So it was my opinion that the pain from
7 there was primarily due to the chronic pain
8 syndrome, and having a good overall long-term
9 prognosis, I felt that that was also a good
10 prognosis.

11 Q. You also had an opportunity, I believe, Doctor,
12 to review Dr. Keith's report; is that correct?

13 A. Yes.

14 Q. I believe that you agree with Dr. Keith that
15 this is a low grade injury?

16 A. Absolutely.

17 Q. You also, I believe, indicated that according to
18 Dr. Keith's interpretation, there was no
19 arthritis or instability noted; is that correct?

20 A. Yes. Well, Dr. Keith, I believe, had x-rays and
21 he stated the results of those x-rays, and that
22 was the basis of my opinion.

23 Q. And that would conform with your physical
24 examination of Mr. Prete's right thumb and hand?

25 A. Absolutely, yes.

1 Q. Doctor, have you had to take out time from your
2 busy orthopedic practice in order to present
3 testimony this afternoon?

4 A. Yes.

5 Q. You will charge my office for the time that you
6 have had to take out from your practice and
7 obviously not see any patients to present this
8 testimony; is that correct?

9 A. Yes, that is correct.

10 MR. WILLIAMS: Thank you, Doctor. I
11 don't have anything further.

12 - - -

13 CROSS EXAMINATION

14 BY MR. GARDNER:

15 Q. Doctor, my name is Steve Gardner, and I'm Peter
16 and Vera Prete's attorney, and at this time I
17 would like to just take a look at your office
18 file which is in front of you and I would
19 suggest we go off the record while I take a look
20 at this.

21 (Thereupon, a discussion was had off
22 the record.)

23 Q. (Continuing.) Thank you. Dr. Corn, I have
24 reviewed your file, and I'm holding it in my lap
25 and there is probably 12 feet between us and I'm

Diane M. Stevenson, RPR
Morse, Gantverg & Hodge

off camera.

2 If at any time in order to answer any of my
3 questions you need your file back to review it,
4 I'll hand it back to you, **will** try and minimize
5 the inconvenience.

6 Doctor, you examined Mr. Prete at the
7 request of Mr. Williams and his office, correct?

8 A. Yes.

9 Q. When the initial arrangement **was** made for you to
10 see Mr. Prete for Mr. William' office, how was
11 that handled because I don't find any letter of
12 reference arranging the appointment in your
13 file? Is there such a letter?

14 A. I don't recall. I don't remember.

15 Q. Is there typically in the cases which you
16 examine--

17 A. Some of the time. Some of the times. Usually
18 they **will** call the office and then will send the
19 medical records.

20 I think there was some hold up for the
21 records in this case. I don't remember. There
22 was a vacation or something that happened. I
23 really don't recall why **all** the information
24 wasn't sent.

25 Q. So there was no letter from Mr. Williams'

1 office?

2 A. I don't have a letter. I may--I don't recall
3 seeing a letter, and my secretary would take
4 care of that.

5 Q. If you had it, would that ordinarily be in the
6 file, if there was one?

7 A. No. If it was not part of the communication for
8 medical record, it would not be part of the
9 medical record'.

10 Q. Is there then another file that pertains to
11 Mr. Frete?

12 A. No, that is it.

13 Q. Doctor, you examined Mr. Prete on one and only
14 one occasion; is that correct?

15 A. Yes.

16 Q. Mr. Prete, you are not his treating physician;
17 is that correct?

18 A. No, I have never been his treating physician.

19 Q. Doctor, how many examinations have you done,
20 would you say, for Mr. Williams' office, that
21 being the law office of Keller, Scully and
22 Williams?

23 FIR. WILLIAMS: Objection. Go ahead.

24 A. I would say probably over the last two years,
25 probably 30, 35.

1 Q. So that is more than one a month, on an average?

2 A. Yes.

3 Q. Doctor, what are your fees for providing the
4 examination of a party to an injury lawsuit?

5 A. Well, it is not only standard for an injury
6 lawsuit, the standard fee is for independent
7 medical evaluation, and the base fee is \$500 for
8 the evaluation and report.

9 I~~s~~ there were extensive medical records or
10 if there were--I don't do it by the inches, but
11 if there was extensive medical, then it would be
12 more just on the basis of how much time it took
13 to complete the report, but there is a standard
14 base fee which is \$500.

15 Q. Do you recall whether this was a standard base
16 fee exam?

17 A. Standard base fee exam, yes.

18 Q. Doctor, you have indicated that you would be
19 charging for the time involved, for the time
20 involved in taking this testimony as the doctors
21 charged for their time in the depositions that I
22 took of Dr. Kriegler, Dr. Bohlman and
23 Dr. Keith.

24 What are your charges per hour of
25 deposition testimony?

Diane M. Stevenson, RPR
Morse, Gantverg & Hodge

1 A. Deposition testimony is \$450 for the first hour
2 and \$100 an hour or any part of that hour after
3 that.

4 Q. That starts from the time that you first meet
5 with Mr. Williams or whoever the attorney is
6 before you commence the deposition?

7 A. It usually starts at the time of the beginning
8 of the deposition.

9 Q. And, in fact, you had an opportunity to meet
10 with Mr. Williams and go over this file before
11 we began the deposition; is that not correct?

12 h. Well, actually we met ahead of time. It was
13 mostly anecdotal and not about this case, but we
14 did have an opportunity to go over a couple
15 aspects of this case prior to the commencement
16 of the deposition.

17 Q. Doctor, are you in any disagreement with the
18 diagnosis made by Mr. Prete's treating
19 physicians based upon your review of the records
20 and your examination?

21 A. No.

22 Q. So there is no dispute about his medical
23 condition, it is what it is?

24 A. As stated before, using the diagnosis that I
25 made, yes. If there is anything else that is

1 new that I'm not aware of, it would be difficult
2 to comment on, but on the basis of what I said
3 in my report I will stand by what I said in my
4 report.

5 Q- So then either your diagnosis confirms what his
6 doctor said his diagnosis **was-**, or vice versa,
7 whichever came first, the chicken or the egg?

8 A. I came to my diagnosis independently of their
9 opinions, and our opinions are quite similar.

10 Q. And for the record, Doctor, based upon the
11 history that Mr. Frete gave to you in his course
12 of treatment and his medical records, it is
13 uncontroverted that the injuries which you found
14 as your diagnosis were sustained in the
15 automobile accident of May 12, 1984?

16 MR. WILLIAMS: Objection.

17 Q. Is that not correct?

18 MR. WILLIAMS: Objection.

19 A. I'm not quite sure I understand what you mean.

20 Q. Doctor, is there any doubt in your mind that his
21 injuries, his C5-C6 disk which was repaired by
22 anterior diskectomy and fusion and his grade two
23 collateral ligament strains in his thumbs and
24 the chronic pain syndrome were directly and
25 proximally caused by the automobile collision of

1 May 12, 1984?

2 MR. WILLIAMS: Objection.

3 A. I believe his neck injury--he injured his neck
4 at the time of the collision. I am not totally
5 convinced that the CT disk problem was totally
6 related to the accident.

7 I have nothing to--it is just that it is
8 unusual for a disk not to be more symptomatic or
9 picked up. After all, he did have a negative CT
10 scan and a negative myelogram in the area at the
11 Lakewood Hospital.

12 Q. Doctor, would you please review the medical
13 records and find, if you will for us, please, a
14 negative myelogram from the Lakewood Hospital?

15 A Can we go off the record, because it may take a
16 while?

17 Q. Well, Doctor, let me give you my professional
18 representation that a myelogram was done at the
19 Lakewood Hospital which found a bulging C5-C6
20 disk.

21 A. Oh, did it? Okay.

22 MR. WILLIAMS: Why don't we go off
23 the record and if that is the case then we
24 will--

25 (Thereupon, a discussion was had off

Diane M. Stevenson, RPR
Morse, Cantverq & Hodqe

1 the record.)

2 A. I stand corrected that there was, in fact, a
3 positive myelogram with an extra dural defect at
4 the C5-6 level.

5 I think to answer your question, I'm not
6 sure, although I have no way of proving
7 otherwise, that the actual herniated disk was
8 directly related to the accident, although the
9 accident was competent to produce it.

10 Q. Without anything else that would suggest that it
11 came from another cause, you would have no
12 reason to dispute that it came from the
13 automobile collision?

14 A. No, what I'm saying is that it **may** have been
15 made symptomatic. At the least, it **was** made
16 symptomatic. In other words, at least it would
17 have aggravated a preexisting disk disease.

18 At the most, it was caused by the
19 accident. I don't know. I don't think anybody
20 knows, but it became symptomatic from the
21 accident.

22 Q. In other words, when you say "symptomatic," it
23 began to cause him problems which ultimately led
24 to his having to have the surgery?

25 A. I believe so, yes.

1 Q. And *you* are not aware of any trauma to his neck
2 which caused or aggravated whnt his neck was
3 between the time of the accident and the time he
4 had surgery?

5 A. I'm not aware of anything else, no.

6 Q. Doctor, you didn't **make** any tape recording of
7 your examination of Mr. Prete when you did your
8 examination, did you?

9 A. No.

10 Q. So when you rely on--when you include direct
11 quotes in your report, you are taking those from
12 some handwritten notes, I take it, that you made
13 at the time of the examination?

14 A. That's correct.

15 Q. And Doctor, you don't take shorthand; is that
16 also correct?

17 A. Yes.

18 Q. You don't take down everything the man says word
19 for word; is that correct?

20 A. No, not everything the man says. If there is a
21 specific interesting quotation, I will take that
22 down word for word, yes.

23 Q. And you are interested in descriptions of
24 symptoms and pain. Is that part of your
25 relationship with--being consultant to the pain

1 clinic at Huron Road Hospital?

2 A. I'm sorry, I'm not quite sure I understand.

3

4 that you paid particular attention to the
5 adjectives that Mr. Prete used.

6

7 Q. Is that because of your association with the
8 pain clinic and that has something to do with
9 diagnosis or treatment in the pain clinic?

10 A. Well, I think I'm a little bit more sensitive to
11 specific adjectives simply because of the volume
12 and the variety of cases that we do see, so it.
13 is easier for me to recognize symptoms of a
14 condition when you see a lot of it.

15 I don't pretend to be an expert in
16 management of chronic pain, but I think I see
17 enough of it, and certainly more than the
18 average physician sees in his practice, to be
19 able to identify this condition without *any*
20 difficulty and be very secure with that
21 diagnosis.

22 Q. Doctor, when you say "typical affect" of a
23 chronic pain' patient, what exactly are you
24 describing?

25 A. The affect is the way someone -- the way you see

1 someone, whether he is high-strung, relaxed,
2 confident, insecure, keeps a straight face,
3 doesn't use any facial expressions, doesn't
4 smile, didn't laugh, that all goes in affect, so
5 it is a subjective observation of the observer,
6 of the trained observer.

7 So usually it is a reflection of the
8 patient's comfort in a certain situation, the
9 patient's relationship to himself and to you, as
10 a physician.

11 I'm not saying that all chronic pain
12 patients have this. I'm just saying that there
13 are normal patients that have the same effect to
14 and affect is the way a patient come across,
15 Q. So in other words, that affect which you wrote
16 in your report, typical affect of a chronic pain
17 patient, that is subjective, that is your,
18 opinion, so to speak?

19 A. Yes, it is always my opinion. The diagnosis is
20 my opinion and what I observe is my opinion.

21 Q. Much in the same way that patients relate to you
22 when you are doing an examination whether they
23 have pain or don't pain, that is subjective to
24 them.

25 By the same token, you don't hesitate to

1 use subjective kinds of observations in your
2 examination and your reports?

3 A. No, my observations are objective. My
4 interpretation are subjective. What I observe,
5 I observe. How I interpret that observation is
6 subjective.

7 But all physicians and all attorneys have
8 to be subjective. You have to draw conclusions
9 based upon your own fund of knowledge and your
10 own experience in the field.

11 Q. Doctor, in your notes don't you also make a
12 reference that Mr. Prete is a chronic pain
13 syndrome personality type? It is on a different
14 piece of paper. It is also typed notes.

15 A. Yes.

16 Q. What is a chronic pain syndrome personality
17 type?

18 A. Basically the same type of affect. Somewhat
19 reticent to discuss things initially, but then
20 opens up when he sees sympathy toward the cause
21 or toward, you know, his cause so to speak or
22 his pain, the type of adjectives that are used
23 as I think I testified on already.

24 There are a variety. If you want me to
25 make a list of them, I probably could, but I

1 dictated that note immediately after walking out
2 of the room with him that day.

3 That **connotes** to me, sort of in shorthand,
4 so to speak, about what he came across as, just
5 to give me--because I didn't know how long it
6 was going to take me to get all the records and
7 when I was going to write the report, so I had
8 to have something that was sort of a buzz word
9 to remind me of what the whole situation was.

10 Q. So you found him to be sort of withdrawn into
11 himself?

12 A. I think after we started talking about the drugs
13 and the chronic pain medicine, I think he began
14 to be, but I satisfied myself in my ability to
15 get him out of that mind set to able to talk
16 about it, because I basically try to be fair.

17 Q. He cooperated fully with you?

18 A. Oh, absolutely. I had no problems with his
19 level of cooperation. I think he was a very
20 nice gentleman and very honest and I think he
21 was really sincere in how he was describing what
22 he felt.

23 Q. Doctor, were you aware that Mr. Prete had only
24 started--I'm going to call it inpatient
25 treatment at the Pain Center at University

Hospitals, but what I'm actually describing is when he went there and spent like five hours at a shot there the first time that he had done that was I think the day before you had seen him.

A. Yes, I think I testified that, and I was very impressed. I don't think it was that. I think he had seen them once. I don't remember precisely because I didn't think that was that significant to remember, but he had seen them, started on the medication, but had first started the counseling aspect of it very, very shortly prior to my evaluation, and I felt this was significant that, you know, he was very, very positive that this was going to be the thing and this really gave him--they understood what his problem was, and I felt that his attitude toward it was superb.

I wish most of our patients had his attitude toward alleviating it with this chronic pain management approach. I think it was very positive.

Q. Doctor, one of the criteria for categorizing a patient as a chronic pain syndrome is pain that persists longer than six months where there is

1 no underlying **orthopedic** or medical thing that
2 an orthopedic surgeon or medical doctor can do
3 for the patient; is that **correct**?

4 A. I don't think that is particularly true. I
5 think you have to differentiate between chronic
6 pain anti a chronic pain syndrome.

7 Chronic pain is pain that persists greater
8 than six to eight weeks that can or cannot be
9 explained on the basis of, in my particular
10 specialty, an orthopedic problem or neurological
11 problem.

12 A chronic pain syndrome is a psychological
13 or psychiatric disorder in which there is pain
14 described by the patient that is above and
15 beyond that which is found by objective testing
16 or by what a standard patient with that
17 particular abnormality **would** complain of.

18 I'm not sure that is coming across right,
19 but usually they describe pain in a specific
20 sort of-- what some doctors **would** consider an
21 exaggerated fashion, but in essence that is what
22 they are really feeling.

23 In other words, what they are saying
24 doesn't correlate with what they should feel on
25 the basis of their objective disease.

1 I think Dr. Bohlman caught this soon after
2 he expected complete resolution, and they
3 started having pain again. He had Mr. Prete go
4 to Dr. Kriegler, which is what I would do in a
5 similar type of situation.

6 So what I'm trying to say in my answer is
7 that there is a difference between chronic pain
8 and the chronic pain syndrome.

9 Q. All right. Doctor, is changing the medication
10 as Dr. Kriegler did from Motrin to Naprosyn
11 appropriate treatment for a patient beginning a
12 pain center program?

13 A. In general, I think -- I don't particularly use
14 either one of those medications.

15 Q. That is a matter of choice among physicians?

16 A. What I think is important was the combination,
17 that is the use of a non-steroidal anti-
18 inflammatory medication and a major tranquilizer
19 has a potentiated affect. In other words, one
20 potentiates the other one in the actual brain
21 receptors, the actual chemical receptors in the
22 brain.

23 I particularly use Trilisate, which is an
24 aspirin-like product. It has less side effects
25 than Motrin and Naprosyn. I don't use Naprosyn

1 simply because it has some toxic effects when
2 used for long periods of time at high levels.

3 So it is just a matter of choice, but I think
4 they were appropriate choices.

5 Q. Is a side effect of Naprosyn internal bleeding?

6 A. A side effect, a complication of all anti-
7 inflammatory medication can be intestinal
8 bleeding. Although hemorrhage is unusual, minor
9 bleeding is fairly common.

10 Q. And that shows up as blood in the stool?

11 A. It may, yes. They have many other things that
12 can cause blood in the stool, but it is not
13 uncommon to see it just with the use of
14 anti-inflammatory medications.

15 Q. Doctor, I was paying particular attention to
16 your direct testimony, as I'm sure the members
17 of the jury were, and when you were reading from
18 page three of your report you had stated-- and I
19 trust that the record will bear me out on this,
20 but I'm not going to ask the court reporter to
21 go back and find it-- in the third small
22 paragraph, the top paragraph carries over from
23 the page before and the third paragraph after
24 that you wrote, "Past medical history failed to
25 reveal previous trauma to his neck and upper

1 back region." That is what your report says.

2 Now, Doctor, while you were testifying I
3 wrote down the word "spine" because I think you
4 said "spine" when you were talking rather than
5 neck.

6 A. I don't recall but I stand by my report, neck
7 and upper back. That's where the major portion
8 of his symptoms are.

9 Q. So the low back problem that the guy had years
10 before was immaterial as far as this case is
11 concerned?

12 A. I don't think it has much relevance, no.

13 Q. Because you have Dr. Elmer's office records in
14 front of you and that does, in fact, talk about
15 a low back problem.

16 A. I was not concerned with that.

17 Q. Okay. My point is that, had you asked him about
18 low back, he would have told you about it, but
19 when you said "spine," spine goes from top to
20 bottom and I just want to clear up any
21 misconception that there may be.

22 A. Well, the major if not all of his symptoms were
23 confined to the upper aspect of his spine and
24 not the lower aspect of his spine. I simply
25 examined the lower aspect of the spine just to

1 get an idea of what normal for him was.

2 Doctor, you treat your own patients on the basis
3 of rheir subjective complaints; do you not?

4 No. I treat patients on the basis of their
5 complaints, my findings during physical
6 examination, and x-rays when appropriate.

7 Q. Well, Doctor, you do, do you not, treat your
8 patients when you do an examination and they
9 tell you it hurts, that is a subjective thing.

10 I think you testified that that kind of
11 symptom, part of an examination when someone
12 tells you it hurts, that's a subjective finding
13 or subjective complaint.

14 You ask your patients when it hurts, don't
15 you?

16 A. Is that the question?

17 Q. Yes.

18 A. Yes, I ask the patients where it hurts.

19 Q. When they tell you if it hurts or doesn't hurt,
20 that's a subjective kind of thing because you
21 can't see or feel it?

22 A. That's correct, but I don't base my treatment
23 just on what they say.

24 Q. Certainly not totally on that, it is part of
25 everything else.

1 A. That's correct.

2 MR. GARDNER: Off the record.

3 (Thereupon, a discussion was had off
4 the record.)

5 BY MR. GARDNER:

6 Q. Doctor, would you tell the jury what the side
7 effects are of a cervical myelogram?

8 MR. WILLIAMS: Objection. Go ahead.

9 A. I'm not a radiologist, but--

10 Q. Have you ordered myelograms for your patients?

11 A. Yes.

12 Q. When you see your patients after myelograms,
13 what are some of the common side effects?

14 MR. WILLIAMS: Objection.

15 A. Common side effects would include post-operative
16 nausea, maybe some post-operative headaches.

17 With the current material that they are
18 using now, all of the material is absorbed, so
19 they are water soluble. I'm not sure what
20 variety he had.

21 There can be seizures with the medication,
22 due to the medication, but that is about all.

23 Q. Severe post-myelogram headache would not be that
24 uncommon, would it, Doctor?

25 MR. WILLIAMS: Objection.

Diane M. Stevenson, RPR
Morse, Gantverg & Hodge

1 A. You know, I don't see the headache that often,
2 but it is reported in the literature.

3 Q. Doctor, it is significant, isn't it, and I think
4 you testified on direct examination that it was
5 significant, that Mr. Prete appeared to improve
6 after just a short time on the new medication in
7 the pain program; is that correct?

8 A. Yes.

9 Q. What do you mean by that? Why is that
10 significant?

11 A. Well, that helped to let me know that the
12 prognosis was favorable. Usually, and to
13 understand this, and I will try to keep it
14 simple, to understand how the brain pain .
15 receptors work, it is sort of like a socket.
16 Only one plug can fit in a socket at a time. If
17 you had a bigger plug in a bigger socket where
18 there would be more of an effect, then that
19 would be the same equivalent of combined
20 medications having an additive effect together.

21 I think that after being on the medication
22 for a fairly short period of time, which I
23 believe was about a month--I can't recall the
24 exact dates. I think it is my report. I'm not
25 sure.

1 You probably have found it, but it was on a
2 minimal time. There was a good early result
3 with the medication just by chemically changing
4 the brain's ability to handle pain or to handle
5 these chemical mediators that cause pain or that
6 is interpreted as pain.

7 it was a very good prognostic sign. In
8 other words, without any psychological therapy,
9 without really working on a psychiatric or
10 psychological basis or working on a personality
11 basis, there was already an improvement.

12 One of the theories about this is that the
13 reason that people have different pain
14 thresholds is because the brain--everybody's
15 brain or central nervous system secretes
16 different concentrations in different amounts of
17 chemicals that are grouped called endorphins
18 which are endogenous inside-produced opium-like
19 medication.

20 People with higher pain thresholds secrete
21 more of these, and there is some evidence,
22 although it is purely experimental and it is in
23 animals, it is not in humans because there are
24 not too many human volunteers of this. They
25 take biopsies of the brain and study the aspect

1 that the combination of these drugs enhance the
2 endorphin production or make the endorphins work
3 for efficiently, so to speak.

4 These can cause less pain and are
5 interpreted as improvement in the patient's
6 subjective complaints.

7 Q. Did Mr. Prete appear to you to be motivated to
8 concur his pain?

9 A. Absolutely, no question about that. As I
10 testified previously, I wish most of our chronic
11 pain patients had that kind of attitude because
12 it is an excellent attitude.

13 - MR. GARDNER: Off the record.

14 (Thereupon, a discussion was had off
15 the record.)

16 BY MR. GARDNER:

17 Q. Doctor, did you have Mr. Prete take his shirt
18 off, remove his neck tie for your examination?

19 A. I believe so, yes.

20 Q. Were you present in the room when Mr. Prete put
21 his neck tie back on and shirt back on?

22 A. I doubt it. I really don't remember.

23 Q. Well, I will represent to you professionally
24 that you were during part of the time that he
25 put his tie back on.

1 Did you happen to notice how he used his
2 fingers to put his tie back on or button his
3 shirt.

4 MR. WILLIAMS: Objection.

5 A. No, I didn't.

6 Q. If Mr. Prete didn't really use his thumbs but
7 just use? his forefingers to put his neck tie on
8 and button his shirt, you failed to observe that
9 as part of your examination?

10 MR. WILLIAMS: Objection.

11 A. I was finished with the examination at that
12 point. I did observe that he **was** using--I think
13 I stated that previously, that he was not using
14 his thumbs appropriately. I think I stated that
15 previously.

16 Q. Did you **discuss** with Mr. Prete what kinds of
17 work he has to do and what kinds of equipment he
18 has to use?

19 A. Yes.

20 MR. GARDNER: Okay, Doctor, I think
21 that is all I have. Mr. Williams may have some
22 additional questions. Thank you very much.

23 MR. WILLIAMS: No, I have no further
24 questions, either. Doctor, **would** you waive your
25 right to review the videotape and also waive

1 your right to read the transcript of this
2 deposition.

3 THE WITNESS: Yes.

4 MR. WILLIAMS: Thank you, Doctor, I
5 have nothing further.

6 MR. PALCHO: Will Counsel waive
7 filing of the tape?

8 MR. GARDNER: Yes.

9 MR. WILLIAMS: Yes.

10 - - -

11 (DEPOSITION CONCLUDED.)

12 (SIGNATURE WAIVED.)

13 - - -

CERTIFICATE


State of Ohio,)
) SS:
County of Cuyahoga.)

I, Diane M. Stevenson, a Registered Professional Reporter and Notary Public in and for the State of Ohio, duly commissioned and qualified, do hereby certify that the within-named witness, ROBERT CORN, M.D., was by me first duly sworn to testify the truth, the whole truth and nothing but the truth in the cause aforesaid; that the testimony then given by him was by me reduced to stenotypy in the presence of said witness, afterwards transcribed by means of computer-aided transcription, and that the foregoing is a true and correct transcript of the testimony as given by him as aforesaid.

I do further certify that this deposition was taken at the time and place in the foregoing caption specified, and was completed without adjournment.

I do further certify that I am not a relative, employee or attorney of any party, or otherwise interested in the event of this action.

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my seal of office at Cleveland, Ohio, on this 4th day of May, 1987.


Diane M. Stevenson, RPR
Notary Public in and for
The State of Ohio.

My Commission expires October 26, 1990.

Diane M. Stevenson, RPR
Morse, Gantverg & Hodge

NAME: COOK, Rosalee

DATE: 8/20/90

ADDRESS:

D.O.I.:

PHONE:

EMPLOYER:

REFERRED BY:

IC#

Patient evaluated for an **IME** DOI 4/98/88. Essentially she was rearended. The details are in the enclosed chart note.

PHYSICAL EXAMINATION was entirely within normal limits. There was no **spasm** or dysmetria. Full ROM in both her neck and upper back, shoulders, elbows, wrists and hands. No signs of any neurological impingement. SLR to 90° in both the sitting and supine positions.

Most of her low back discomfort was just to the left center of midline, radiating into the sacroiliac joint. This is what she called her buttocks. She has had no medical care recently. She was pregnant until approximately June 8, 1990 when she delivered her second child. She also was in a previous **MVA** injuring her **neck** and low back in 1983. She states there has been no problems for the last 5 years.

Will complete an **IME** after I review the additional medical records. (RCC/bn)

B

