

— 449 —

)

)

)

)

)

COMPUTERIZED TRANSCRIPTION BY
BISH & ASSOCIATES, INC.
812 Key Building
Akron, Ohio 44308-1318
(330) 762-0031
(800) 332-0607
FAX (330) 762-0300
E-Mail: stenos@raex.com

APPEARANCES:

On Behalf of the Plaintiff:

Eshelman Legal Group

By: Thomas R. Wyatt, Attorney at Law
27 South Forge Street
Akron, Ohio 44308

On Behalf of the Defendant:

Messrs. Keller and Curtin
Co., L.P.A.

By: Phillip A. Kuri, Attorney at Law
159 South Main Street - Suite 920
Akron, Ohio 44308

ALSO PRESENT:

Jon Jastromb, Video Technician

- - -

I N D E X

Exhibit No. Page / Line

- - -

Witness DX CX RDX RCX Further

Robert Corn, M.D. 4 25

- - -

1 (Off the video record:)

2 MR. KURI: Initially let the record
3 reflect that this is the deposition of Dr. Robert
4 Corn which is being taken pursuant to notice, and
5 that it is my understanding that the statutory
6 and procedural formalities of notice, service and
7 the filing of this deposition will be waved; is
8 that correct?

9 MR. WYATT: Yes.

10 (On the video record:)

11 ROBERT C. CORN, M.D.,
12 of lawful age, a Witness herein, having been
13 first duly sworn, as hereinafter certified,
14 deposed and said as follows:

15 MR. KURI: This deposition is being
16 taken upon direct examination in order to
17 preserve the Doctor's testimony, Dr. Robert-Corn,
18 for use at time of the trial brought by Dana
19 Schertz against my client, Todd Fisher.

20 This action is bearing case number
21 98-03-1200 before the Honorable Judge Murphy in
22 the Court of Common Pleas Summit County, Ohio.

23 DIRECT EXAMINATION

24 BY MR. KURI:

25 Q. Doctor, would you please state your full

1 name for the record?

2 A. My name is Robert Curtis Corn, C-o-r-n.

3 Q. And what is your current professional
4 address?

5 A. My main office address is at 850
6 Brainard Road in Highland Heights, Ohio.

7 Q. Are we at that address here today?

8 A. Yes.

9 Q. Doctor, what is your profession?

10 A. I'm an orthopedic surgeon.

11 Q. When were you first licensed to practice
12 medicine in the state of Ohio?

13 A. In 1976.

14 Q. It is my understanding that your
15 specialty in the field of medicine is orthopedic
16 surgery, correct?

17 A. Correct.

18 Q. Would you please explain to the ladies
19 and gentlemen *of* the jury what is involved with
20 that specific specialty?

21 A. Orthopedic surgery is the branch *of*
22 medicine which involves the medical and surgical
23 treatment of diseases, disorders, injuries and
24 tumors of the musculoskeletal system.

25 We deal with a number of -- a wide

1 variety of the age groups from young children to
2 the more senior members of our societies. We
3 deal with problems related to injuries, both soft
4 tissues and bone, degenerative conditions,
5 developmental conditions, the conditions that
6 necessitate reconstructive type of surgery,
7 whether it's related to sports or degenerative
8 conditions, joint replacements, hand surgery,
9 spinal surgery. These are all -- fall into the
10 realm of orthopedic surgery.

11 Q. Are you board certified?

12 A. Yes.

13 Q. When were you board certified, Doctor?

14 A. I was certified on -- in September of
15 1980.

16 Q. What is involved in board certification
17 of an orthopedic surgeon?

18 A. Orthopedic surgery involves a step-wise
19 process. You have to complete a residency, which
20 is a training program, in which you basically
21 learn the ropes.

22 You learn how to make appropriate
23 orthopedic diagnosis, how to interpret x-rays,
24 what tests that are appropriate, and then you
25 also learn surgical techniques.

1 And as you learn more and you develop
2 more responsibility, you get to do more so that
3 by the later stages of the training you're
4 actually doing most **of** the surgeries done at the
5 training facilities.

6 After that you -- I had to be in the
7 clinical practice of orthopedic surgery **for** one
8 calendar year in one geographical location.
9 During that time a peer review took place.
10 Doctors would watch you in the operating room.
11 The would review what you're doing. You would go
12 through some interviews, and then would you sit
13 for a series of oral and written exams.

14 And after passing the exams and
15 fulfilling the other requirements, you would be
16 board certified.

17 Q. Is board certification one of if not the
18 highest achievement attainable in your specialty?

19 A. Yes.

20 Q. Would you please give the ladies and
21 gentlemen of the jury a little of your background
22 including college through medical school as well
23 as your internships and residencies up to the
24 present time?

25 A. I received my bachelor **of** science in

1 biology from the Albright College in Reading,
2 Pennsylvania in 1971. I then moved back to my
3 home town, Philadelphia, Pennsylvania, where I
4 attended the Hahnemann University School of
5 Medicine from 1971 to 1975.

6 I received my M.D. degree in 1975. I
7 then moved out here to Cleveland where I started
8 my orthopedic residency at the Cleveland Clinic.
9 I was at the Clinic from 1975 to the middle of
10 1979 when I graduated from the program.

11 And from August of 1979 to the
12 present I have been a private practice orthopedic
13 surgeon working primarily on the east and
14 southeast side of Cleveland.

15 Q. Are you a member of any medical
16 organizations, societies or associations?

17 A. Yes.

18 Q. What are those, Doctor?

19 A. I am a Fellow in the American Academy of
20 Orthopedic Surgeons, a Fellow in the American
21 College of Surgeons, a Fellow in the American
22 College of Forensic Medicine and the American
23 College of Forensic Examiners.

24 I'm a member of the Orthopedic
25 Research Society, the Cleveland Orthopedic

1 Society, the American Medical Association, our
2 Ohio State and our local medical associations as
3 well as a number **of** other groups.

4 Q. Do you have staff and courtesy
5 privileges at any area hospitals?

6 A. Yes.

7 Q. What are those?

8 A. We have active staff privileges at the
9 Meridia Hospital System, which November 1st will
10 be called the Cleveland Clinic Health Care
11 System. That includes the Hillcrest Hospital,
12 the Euclid Hospital and Huron Hospital.

13 I also have privileges at University
14 Hospitals, Bedford Medical Center, the Lake
15 County Hospital Systems and the PHS, Mount Sinai
16 Hospital System.

17 Q. Are you involved in any teaching or
18 publications in your specific field?

19 A. Yes, **I** am currently **a** clinical
20 instructor in orthopedic surgery at the Case
21 Western Reserve University **School of** Medicine,
22 and **I** have had a number of publications over the
23 years but most of them were **in** the early years **of**
24 my practice.

25 Q. Doctor, as part **of** your professional

1 practice do you have occasion to examine
2 individuals who are not your patients for the
3 purposes of evaluation including for the purposes
4 of consultation, second opinion evaluation in
5 legal matters and/or Bureau of Workers'
6 Compensation proceedings?

7 A. Yes.

8 Q. Would you please tell the ladies and
9 gentlemen of the jury whether you had an occasion
10 to examine the Plaintiff in this particular
11 matter, Dana Schertz, at my request?

12 A. Yes, I did evaluate him.

13 Q. When did that examination take place and
14 where did it take place it?

15 A. It took place earlier this month on
16 October 9th of 1998 at our Summit County office.

17 Q. As part of your office records do you
18 have a copy of the report prepared and dated
19 October 18th, 1998 with regard to your
20 examination of the Plaintiff, Dana Schertz --
21 Schertz and your findings upon that examination?

22 A. Yes, I do.

23 Q. Doctor, feel free to refer to that
24 report or any other records you have available
25 during your testimony in answering any of my

1 questions as well as those of counsel for the
2 Plaintiff.

3 A. Sure.

4 Q. Upon your first meeting with Dana
5 Schertz did you obtain a history?

6 A. I did.

7 Q. And what was that history?

8 A. The history was that there was a motor
9 vehicular accident that he was involved in in
10 November -- on November 26th of 1996. This
11 occurred in the Akron/Cuyahoga Falls vicinity.

12 He was at a red light. He was
13 rear-ended. During the course of the excursion
14 after he was hit, apparently he went forward and
15 backwards, and he believes that his right
16 shoulder may have been injured when he was coming
17 in a recoil or in a backward direction.

18 He stated that the driver seat was
19 slightly bent, and there was some mechanism
20 problem that they found when they tried to do the
21 repair work.

22 He initially had some neck
23 discomfort, upper back discomfort. He was
24 evaluated at the scene but basically his wife
25 drove him home. He was first seen that -- later

1 that day at the Akron City Hospital emergency
2 room.

3 The primary complaints were neck and
4 low back, and he had some right arm numbness.
5 That was not the major source of worry at that
6 point in time, but they didn't -- he didn't have
7 any specific pain in his right shoulder at that
8 time.

9 Basically only other care he -- he
10 has had was with Dr. Raymond Acus, an orthopedic
11 surgeon in the Akron/Cuyahoga Falls area. He saw
12 the other -- Dr. Acus about two weeks or so after
13 the accident, maybe a week or so after the
14 accident.

15 The appropriate x-rays were done. He
16 was started on physical therapy, and he basically
17 was managed conservatively for a period of time
18 for what was felt to be a traumatic tendinitis.
19 That is an inflammation of a tendon from a blunt
20 injury to the shoulder.

21 Ultimately after physical therapy did
22 not eliminate the symptoms he had an **MRI** scan in
23 February of '97. This did not show a full
24 thickness tear but was compatible with either
25 tendinitis or a partial thickness tear **of** his

1 rotator cuff, and he ended up going through a
2 surgical procedure, an arthroscopic surgical
3 procedure, in which there was a debridement and
4 inspection done of the right shoulder area.

5 The diagnosis was tendinitis and
6 arthritis in the acromioclavicular joint, which
7 is the joint between the collarbone and the
8 scapula. That was the so-called Mumford
9 procedure where he actually physically removed
10 part of the joint, Dr. Acus did that.

11 Post-operatively he went through
12 therapy again and actually did fairly well
13 through the early months of 1998. There was an
14 incident in either early June or late May of 1998
15 where he rolled over in bed and had a flare-up of
16 his shoulder.

17 He has had some problems with his
18 shoulder since that time, but initially he seemed
19 to be doing well, and that's basically the
20 history that was presented.

21 Q. Doctor, did you discuss his past medical
22 history with him as well as his employment
23 history?

24 A. Yes, I did.

25 Q. And what did that reveal, Doctor?

1 A. He said he didn't have any previous
2 problems with his right shoulder. He did have a
3 previous knee injury in which he developed a very
4 severe post-op complication from.

5 His employment history was that he
6 was in the sales capacity selling fire control
7 systems and extinguishers.

8 Q. Doctor, did you perform a physical
9 examination?

10 A. Yes.

11 Q. What were your findings upon
12 examination?

13 A. The physical exam revealed a pleasant
14 41-year-old male who did not appear in any acute
15 distress, that is he didn't appear to be in a
16 tremendous amount of discomfort. However, he did
17 have some ongoing symptoms and some ongoing
18 stiffness in his right shoulder area. What I did
19 was' basically limit the exam.

20 He had some neck and back problems.
21 Originally they went away very quickly and were
22 not the source **of** any ongoing problems, and so **I**
23 didn't really look at those areas. The right
24 shoulder was really the primary area of concern.

25 The exam of the right shoulder showed

1 two of the three arthroscopic incisions. I
2 couldn't find the third one. He had some
3 soreness in the front of the shoulder to
4 palpation in the region of the acromioclavicular
5 joint. That is the collarbone scapular joint.

6 He had actually a fairly good range
7 of motion but a definite diminished movement of
8 the right shoulder.

9 Normally there is a 180 degrees of
10 movement forward. He had 160, so he was lacking
11 about 20 degrees of movement which is about ten
12 percent, ten, 15 percent from his movement. And
13 he had about 140 degrees of abduction, which is
14 the movement out -- out to the -- to the side.

15 He had good rotational movement
16 inward, but he had discomfort rotational movement
17 outward. He also had some discomfort when he did
18 a cross-over sign. And these were all signs of
19 what I felt were mild frozen shoulder, some scar
20 tissue that had built up since the -- probably
21 since the June early -- June, late May of 1998
22 incident when he -- when the shoulder flared up
23 again.

24 There was no atrophy of the arm, in
25 other words, the muscle size and function

1 appeared to be normal other than the stiffness.
2 No instability or abnormal movement was noted in
3 the shoulder, although there was definite
4 discomfort in a very reproducible area which is
5 compatible with my -- my diagnosis. And there
6 was really no other abnormalities.

7 So basically he was a little bit
8 stiff. He had resolved his neck, upper back and
9 low back problems, and he had a minor degree of
10 stiffness in his shoulder.

11 Q. Doctor, have you detailed all the tests
12 performed?

13 A. Yes.

14 Q. And are these tests approved and
15 accepted within your field and performed by other
16 orthopedic surgeons?

17 A. Yes, they are.

18 Q. Doctor, did you have sufficient time in
19 which to perform a full and complete orthopedic
20 evaluation of this particular patient?

21 A. Yes.

22 Q. Doctor, did you have an opportunity to
23 review additional medical records available
24 either prior to or subsequent to your examination
25 of the Plaintiff in this particular matter?

1 A. There were records reviewed that were
2 done after the examination.

3 Q. Doctor, what records did you have
4 available to you?

5 A. The records reviewed included the Akron
6 City Hospital records, Dr. Acus' office notes and
7 letters, the operative and outpatient records
8 from the St. Thomas Hospital, and the results of
9 MRI scan were reviewed.

10 Q. Doctor, could you please go through some
11 of the records and the findings that you -- that
12 you found in there that were significant
13 regarding your evaluation?

14 A. The -- initial problems that they were
15 registered in the emergency room were fairly
16 typical for a motor vehicular collisions. The
17 shoulder was not a primary area of concern, but
18 that is not terribly unusual if there a
19 non-structural injury, in other words, if there
20 is a physical tear of, let's say, the rotator
21 cuff or some other tendon or fracture, it would
22 be virtually immediate pain.

23 I do agree with Dr. Acus that this
24 was probably a tendonitis and that it didn't
25 develop instantaneously. It was probably a soft

1 tissue sprain or strain, and essentially the
2 appropriate care and treatment was rendered by
3 both the ER and by Dr. Acus.

4 He tried to manage it conservatively,
5 which is the appropriate thing to do. When it
6 really wasn't getting as good as it should have
7 been getting with the appropriate treatment, then
8 an MRI scan was ordered, and that was
9 appropriate.

10 Because the failure to improve and
11 the questionable findings on the MR, the surgery
12 was performed, again, his choice, Dr. Acus'
13 choice was an arthroscopic procedure.

14 It was an appropriate -- an
15 appropriate, accepted procedure, although my
16 personal bias is to do it in an open procedure
17 because you can just see more and do more, but I
18 have really no criticism if someone is a good
19 arthroscopic surgeon to do this procedure. And
20 he did well post-operatively for a period of
21 time.

22 He -- I think that the records
23 clearly indicate there was appropriate surgical
24 procedure and appropriate medical care rendered
25 for what developed after the injury, and I think

1 he still needs to go through some additional
2 treatment to get rid of some more of the
3 stiffness in his shoulder.

4 Q. Doctor, based upon your review of the
5 records and your discussions with the Plaintiff,
6 were you able to review the type of therapy or --
7 or the types of programs the Plaintiff underwent
8 after surgery and even before surgery to try and
9 alleviate some of his problems?

10 A. The therapeutic measures for this were
11 to maintain motion, to work on strength and
12 hopefully to diminished inflammation.

13 Part of the problem with Mr. Schertz
14 was the fact that he could not tolerate
15 anti-inflammatory medicine as it bothered his
16 stomach. So that really makes things go a little
17 bit slower.

18 But the therapy was appropriate that
19 he had preoperatively. The therapy was
20 appropriate postoperatively. I believe it only
21 when on for a number of months after the surgery,
22 and he really from that point on did not have any
23 other therapy.

24 Since the flare-up in June -- late
25 May or early June of '98 he has not had any

1 additional therapy. There was a Cortisone shot
2 administered but no formal therapy for stretching
3 or to eliminate the -- the developing stiffness
4 that occurred after this flare-up.

5 Q. Doctor, from your examination of the
6 Plaintiff, from the oral history provided by him,
7 from the records reviewed were you able to make a
8 diagnosis within a reasonable degree of medical
9 certainty as to his condition at the time of your
10 examination?

11 A. Yes.

12 Q. And what was that?

13 A. My impression was that he had a resolved
14 neck, mid and low back stretching or pulling
15 injuries of the muscles known as a strain. He
16 had a probable sprain of the right shoulder and
17 developed a post-traumatic impingement syndrome,
18 which was what -- exactly what Dr. Acus' concept
19 was.

20 He initially did well and then had a
21 flare-up and now has a mild -- very mild
22 stiffness sometimes called a frozen -- partial
23 frozen shoulder, and that's basically where he is
24 today.

25 Q. Doctor, when -- when you say a

1 "flare-up," in this situation I think the
2 records in June of 1998 indicate that he rolled
3 over on his shoulder.

4 How does that type of process affect
5 the Plaintiff to date?

6 A. I didn't know that that incident had
7 occurred when I saw him because I had not had the
8 opportunity to review the records, so I didn't
9 get to question him on that.

10 But what probably happened was that
11 he rolled over, and he pinched or caught a piece
12 of either scar tissue from surgery or part of the
13 lining of the joint may have been pinched.

14 I don't know, and I'm not sure
15 anybody knows exactly what it was because really
16 no other diagnostic tests were done after that
17 time. But it did set up another type of pain
18 pattern, and that has been persistent with some
19 stiffness since that time.

20 Q. Doctor, would the diagnosis that you
21 gave be based upon the assumption that all the
22 medical records which you reviewed as well as all
23 the oral history provided by the individual were
24 true statements?

25 A. Yes.

1 Q. Doctor, again, based upon the results of
2 your examination, your review of the records and
3 history provided by the Plaintiff, are you able
4 to express an opinion within a reasonable degree
5 of medical certainty as to the prognosis for this
6 individual at the time that you examined him?

7 A. Yes, I was.

8 Q. And what is that prognosis?

9 A. My prognosis was that, and remains, that
10 the long term -- in the long term he can be
11 improved. I do not believe that the diminished
12 range of motion is permanent in that he had
13 better motion after surgery.

14 I do believe that with an appropriate
15 therapy program and including a maintenance
16 program, that is to keep the shoulder stretched
17 out, that a significant improvement in both
18 subjective pain and stiffness as well as
19 objective stiffness can be realized.

20 I think he can get a lot better than
21 he is. He may not be 100 percent, but I think he
22 will be definitely be improved in a better
23 functional range of movement with less pain. I
24 do not think he has reached his maximum
25 improvement.

1 Q. Doctor, based upon the records you
2 reviewed and your discussions with the Plaintiff
3 was that type of therapy initiated for the length
4 of time necessary or at the proper times for Mr.
5 Schertz to obtain a maximum medical improvement?

6 A. Well, I believe that the therapy that
7 was done and ordered for him was appropriate, but
8 he really has not had any treatment other than
9 home -- whatever he is doing at home exercises,
10 and he wasn't able to clearly define that to me,
11 since about three months after the surgery.

12 So he really has not had any therapy
13 since this June 1998 aggravation or flare-up.

14 Q. Doctor, based upon your physical
15 examination of the Plaintiff you have described
16 some minor lacks of movement in the arm and in
17 the shoulder area.

18 Can you discuss the functional level
19 of those -- those movement problems?

20 A. The deficiency in movement would be only
21 in the extremes **of** overhead work or prolong work
22 above his shoulder level. Anything from
23 approximately head level down could be done
24 without any difficulty. **He** had full painless
25 movement.

1 It was only when you get up to where
2 the stiffness was catching that he was
3 uncomfortable. That was very reproducibly noted
4 during the examination, and this is where the
5 therapy needs to be worked on, in other words, to
6 stretch out that stiffness.

7 He had it stretched out before, and
8 there is no reason why that can't be improved.

9 Q. Doctor, at the time that the findings
10 you just discussed, his overhead movements, that
11 was upon your physical examination at the time
12 you looked at him, correct?

13 A. Right.

14 Q. Now, can those findings be improved by
15 physical therapy and what level of improvement
16 would you expect to find from the physical
17 therapy?

18 A. Again, the therapy should improve that
19 by 'at least 60 to 70 percent, so he should
20 probably get up into the 170, mid 170 range for
21 inflection and probably the mid 160 range, 170 --
22 almost 170 in his ability to go outward, and he
23 would also improve in his ability to rotate
24 outward.

25 This is what I would do for him if he

1 was under -- coming to me for a second opinion
2 and for treatment. That's what I would work on
3 for at least three to six months before I would
4 consider any other injections or any other
5 treatment.

6 Q. Doctor, you have had to take out time
7 from a very busy orthopedic practice in order to
8 present testimony this -- this morning, and I
9 would like you to advise us as to whether or not
10 you will charge for the time that you had to take
11 out from your practice and obviously not see any
12 patients in order to present this testimony?

13 A. Yes.

14 MR. KURI: Thank you, Doctor. At
15 this time I don't have any further questions.

16 CROSS-EXAMINATION

17 BY MR. WYATT:

18 Q. Doctor --

19 A. Yes.

20 Q. -- I'm over here.

21 A. I know.

22 Q. Okay. You're looking right in the
23 camera. It's kind of throwing me off a little.

24 Have you been trained to look into
25 the camera?

1 MR. KURI: Objection, move to strike.

2 THE WITNESS: No.

3 BY MR. WYATT:

4 Q. Okay. I just -- it's a little
5 disconcerting to me. Maybe other people are used
6 to it.

7 You have got your file on Mr. Schertz
8 there in front of you?

9 A. I do.

10 Q. Okay. Does that contain all the records
11 you reviewed in preparation for your testimony
12 today?

13 A. Yes.

14 Q. Okay. Can we take a look at that
15 record?

16 A. Sure.

17 MR. WYATT: Can we go **off** the record
18 for a minute.

19 (Discussion had off the record.)

20 BY MR. WYATT:

21 Q. Doctor, Mr. Schertz became or came to
22 you as a result of Mr. Kuri requesting you
23 examine him; **is** that correct?

24 A. Yes.

25 Q. What kind of contact did you receive

1 from Mr. Kuri's office in reference to that
2 referral, you receive a letter, a telephone call?

3 A. I don't know who was scheduled.
4 Typically there is a phone call to schedule it.
5 There may have been an introductory letter, but I
6 don't typically keep any letters, any
7 communication.

8 Q. Do you -- do you know whether or not **Mr.**
9 Kuri sent you a letter concerning Mr. Schertz?

10 A. I don't remember.

11 Q. Okay. If you did receive a letter,
12 would you have destroyed that?

13 A. I don't typically keep it, so I guess I
14 would have destroyed it. I don't keep any legal
15 communications in the charts.

16 MR. WYATT: Okay. Mr. Kuri, did you
17 send Dr. Corn a letter?

18 MR. KURI: Move to strike. This
19 isn't my deposition. Objection.

20 MR. WYATT: Okay. We will request
21 that.

22 BY MR. WYATT:

23 Q. You have all the records there in front
24 of you that you say you reviewed in preparation
25 for your testimony here today?

1 A. Yes.

2 Q. One thing, and maybe I'm -- maybe I'm
3 just being a little picky or I don't understand
4 the medical significance of it, but you testified
5 that when Mr. Schertz went to the emergency room
6 he did not have any complaints of shoulder pain,
7 is that your testimony?

8 A. Yes.

9 Q. And that if he did have complaints of
10 shoulder pain, that would be significant in
11 determining the nature and extent of the injury,
12 is that true?

13 A. No, that is not what I said. I said if
14 there was a structural damage such as a torn
15 tendon or a fracture, those symptoms are
16 typically immediate --

17 Q. Okay. So --

18 A. -- but the fact that it started a couple
19 days later is more toward what -- essentially
20 what Dr. Acus believes, that this was an
21 inflammatory condition related to the trauma, but
22 nothing was torn or fractured related to the
23 trauma.

24 Q. Well, let me -- I'm trying to even
25 remember what the question was. I -- I recall

1 the question being that if there were complaints
2 immediately of pain in the shoulder, that would
3 indicate that that's a more serious injury?

4 A. I mean, it may have. I don't -- I don't
5 know.

6 Q. Okay. In fact, Doctor, you're incorrect
7 in that, in fact, Mr. Schertz did complain of
8 pain immediately and did complain of pain in the
9 emergency room, is that true?

10 A. The --

11 Q. I put it right there on top for you,
12 right underneath your report.

13 A. He -- it looks like he may have said
14 that to the --

15 Q. Triage nurse?

16 A. -- triage nurse or the secretary, but
17 the doctor did not think that was significant.

18 Q. Well, wait a minute. We don't know what
19 the doctor thought.

20 A. Well, the doctor's notes are here.

21 Q. Wait, wait, wait. The doctor doesn't
22 say what he did or didn't think was significant.

23 In fact, in that triage note, and
24 let's go back to the triage note, read to me what
25 that triage note says in reference to pain in the

1 right shoulder and the right arm.

2 A. Complains of neck, back, right shoulder
3 pain, right arm numbness.

4 Q. Okay. So that is a complaint of pain in
5 the right shoulder?

6 A. It says right shoulder pain, yes.

7 Q. Okay. Thank you. That's different than
8 what your testimony was earlier, I believe?

9 A. Well --

10 Q. Okay. Just yes or no.

11 A. It is different that -- that I did not
12 remember that, that's correct.

13 Q. Okay. Thank you. So he complained of
14 pain immediately and not a couple days later as
15 you testified earlier?

16 A. Well, he did make a --

17 Q. Just yes or no.

18 A. He made a note -- or there was a note by
19 the triage nurse that he did have shoulder pain.
20 It was not mentioned by the doctor.

21 Q. Okay. But it -- we will let the jury
22 sort that out.

23 MR. KURI: Object. Move to strike.

24 BY MR. WYATT:

25 Q. Now, you have other addresses for your

1 business?

2 A. No, this is my main business address. I
3 have two satellite offices that I spend -- that
4 are part-time offices.

5 Q. You saw Mr. Schertz at one of those
6 satellite offices?

7 A. Correct.

8 Q. And where are those two satellite
9 offices located?

10 A. One is at the Meridia Euclid Hospital in
11 Euclid, Ohio, and the other is at the Meridia
12 Medical Center campus in Sagamore Hills.

13 Q. All right. Now, you testified that
14 you're an orthopedic surgeon, but if I understand
15 correctly, you last performed any sort of surgery
16 in 1994; is that correct?

17 A. No, that's not true. Last surgery. I did
18 was last Friday. I had two cases last Friday. I
19 haven't done any spinal surgery since 1994 -- or
20 early 1995, but it's around that time period.

21 Q. Okay. So you quit doing neck and back
22 surgery in 1994 and 1995?

23 A. I stopped doing neck surgery in 1981,
24 but I stopped doing low back surgery in either
25 late 1994 or early 1995.

1 Q. When was the last time you did a
2 shoulder surgery similar to the one performed on
3 Mr. Schertz?

4 A. I don't do arthroscopic surgery. The
5 last shoulder surgery I did was a couple weeks
6 ago. It was a Friday two weeks ago or three
7 weeks ago.

8 Q. What patient was that?

9 A. Her name -- I don't think that's
10 appropriate to say on the record. I will be glad
11 to discuss that with you off the record, but
12 there is a certain patient confidence that has to
13 be maintained.

14 Q. I -- I understand. And you are a
15 clinical instructor at Case Western Reserve
16 University?

17 A. Correct.

18 Q. You don't actually do classroom teaching
19 but as people come through?

20 A. Correct.

21 Q. All right. Now, in your review of the
22 records of Mr. Schertz and your conversations
23 with Mr. Schertz you do agree that he injured his
24 shoulder in this accident?

25 A. Yes.

1 Q. Okay. And you do agree that he suffered
2 this impingement syndrome as a result of this
3 accident?

4 A. Probably, yes.

5 Q. Probably, I mean --

6 A. I didn't see him before the surgery, **so**
7 -- but I'm going by Dr. Acus, and I think that's
8 why I'm saying probably.

9 Q. So you would defer to Dr. Acus on
10 that --

11 A. Yes.

12 Q. -- point? And you agree that this
13 shoulder surgery that was performed was necessary
14 as a result of this accident?

15 A. It was necessary because he didn't
16 improve from the injuries he sustained in the
17 accident, so yes.

18 Q. Okay. And in your review of the records
19 and the conversation with Mr. Schertz, everything
20 that Dr. Acus did was reasonable and necessary as
21 a result of this accident?

22 A. Yes.

23 Q. And you agree that Mr. Schertz still has
24 pain and limitation of motion as a result of this
25 accident?

1 A. Well, as a -- I'm not sure it's directly
2 related to the accident, but it was -- he would
3 certainly -- probably related to residuals of the
4 surgery.

5 Q. And the surgery was related to the
6 accident?

7 A. Correct.

8 Q. Okay. And in your examination of him
9 you found that Mr. Schertz did have limitation of
10 motion?

11 A. He did.

12 Q. He was not able to abduct his hand or
13 his arm on the right arm all the way to 190
14 degrees?

15 A. 180 degrees.

16 Q. Okay. And he was not able to internally
17 rotate his arm without pain?

18 A. I think it was external rotation that
19 was more painful.

20 Q. But he had pain on both internal and
21 external rotation?

22 A. Pain on external rotation it says in my
23 report.

24 Q. All right. And what was the other
25 limitation of motion?

1 A. Flexion, his ability to move forward.

2 Q. And again, that's the result of the
3 injuries he suffered in this accident?

4 A. Well, indirectly. I think it's more
5 related because he has not had any therapy since
6 this flare-up or whatever happened in June, and
7 that it's not entirely clear to me what happened
8 to me at that point in time.

9 Q. Well, Doctor, those limitations he had
10 follow the surgery, if you reviewed the records
11 indicate those are the same limitations he had
12 prior to the surgery, correct?

13 A. I don't remember those numbers but that
14 would probably be -- I think -- I think he was
15 worse before the surgery.

16 Q. Okay. I mean --

17 A. The surgery definitely helped him at
18 least --

19 Q. All right.

20 A. -- both objectively and subjectively --

21 Q. So he had limitation before and some
22 residual limitation after?

23 A. No, he did well after it. He had almost
24 full movement, at least according to Dr. Acus, in
25 early 1998, but after this June incident the

1 motion was lost again.

2 Q. Okay. Let me ask -- let me ask the
3 question again. He had limitation before the
4 surgery and he also had limitation after the
5 surgery; is that correct?

6 A. In the generic sense, yes, but that's
7 not entirely accurate.

8 Q. He has limitation today, correct?

9 A. He did earlier this month.

10 Q. And in all probability he has not gotten
11 better spontaneously since your examination?

12 A. Probably not, unless he has gone through
13 some therapy.

14 Q. So he has limitation today following
15 that surgery?

16 A. Yes.

17 Q. Thank you. Now, I'd like you to assume
18 that Mr. Schertz has pain when he attempts to
19 thr'ow a ball, is that consistent with your
20 findings?

21 A. Yes.

22 Q. I'd like you to assume that he has pain
23 when he attempts to shoot a basketball, is that
24 consistent with your findings?

25 A. If he's shooting overhand, yes.

1 Q. All right. I would like you to assume
2 he has pain if he were to attempt to do a pushup,
3 is that consistent with your findings?

4 A. Not particularly.

5 Q. I'd like you to assume he has pain with
6 any overhead movement when he attempts to place
7 anything on a shelf, is that consistent with your
8 findings?

9 A. Yes.

10 Q. I'd like you to assume that he has pain
11 when he attempts to mow his lawn in using his --
12 his right arm, is that consistent with your
13 findings?

14 A. Not particularly.

15 Q. Okay. I'd like you to assume that he
16 has pain when he attempts to ride a bicycle, is
17 that consistent with your findings?

18 A. No, not typically.

19 Q. Okay. What would -- so if he has pain
20 in doing those activities, you'd say that that's
21 something different than what you found?

22 A. It was not what I found, and it would
23 not have been due to the limitation of movement
24 that he had. The other things, the throwing, the
25 basketball, the overhead stuff is, but nothing

1 that's at shoulder level or below.

2 Q. Raising your arms, your right arm to
3 where you felt his limitations start, could you
4 raise your arm and show us at what point he would
5 start to have pain in overhead activities?

6 A. About -- I'm not sure where he said he
7 had pain, but this -- I was objectively measuring
8 how far he could go, and it was at about this
9 level.

10 Q. Okay. Doctor, in your review of the
11 records of Dr. Acus I believe it's Dr. Acus'
12 opinion that his continued problems are not a
13 result of incomplete physical therapy but, in
14 fact, his problems are because he has
15 inflammation and fibrous tissue around the
16 subacromial bursa, did you find that in those
17 records?

18 A. I don't remember that.

19 Q. If that was Dr. Acus' opinion, would you
20 agree or disagree with that?

21 A. I agree on the probable etiology of it,
22 but I don't -- what was the first part again? I
23 didn't -- I wasn't -- I didn't remember what the
24 first thing you said. The subacromial bursitis?

25 Q. Yes.

1 A. You know, it probably is stemming from
2 that area --

3 Q. All right.

4 A. -- but that can -- that's where the
5 adhesions typically take place. That's
6 probably -- yeah, that probably is where it's
7 coming from.

8 Q. Okay. And that again would be related
9 to the injuries and the surgery he experienced
10 following this accident?

11 A. More toward the surgery, but in that the
12 surgery was from the injury, I guess you're gonna
13 make me say that, so I will agree with you.

14 Q. Okay. Now, the only thing that you had
15 any question about, then, was this incident where
16 he rolled over in bed.

17 You think that may have been a factor
18 in his pain today?

19 A. Absolutely.

20 Q. Okay. And that would have -- his
21 rolling over that would have somehow aggravated
22 the shoulder?

23 A. Well, it probably did, because he was
24 doing well up to that point, at least according
25 to Dr. Acus. He hadn't seen him or doing

1 anything for six months, and that really changed
2 his clinical picture dramatically, and he doesn't
3 appear to have recovered from that since that
4 time.

5 Q. Now, do you -- do you consider that a
6 separate accident or injury or do you consider
7 that an ongoing part of the injuries he suffered
8 from this accident?

9 A. I don't know.

10 Q. You don't know?

11 A. I'm not -- it doesn't sound like a lot
12 to reinjure something, but I -- I have had -- I
13 have seen that in my own patients that I have
14 done shoulder surgery with, and they do something
15 months if not sometimes years afterwards and
16 they, you know, they always attribute it to
17 whatever caused the original problem, but I'm not
18 sure that that's true.

19 This may have been a whole different
20 incident where he could have pinched the bursa
21 and set up a whole new series of inflammation. I
22 just don't know.

23 Q. Doctor, you ought to be able to roll
24 over in bed and not injure your shoulder,
25 shouldn't you?

1 A. I'm sure he's rolled over many times
2 between the time of the surgery and this
3 incident. Why it happened that time, it's not
4 really well documented and, you know, I didn't
5 ask him anything about that --

6 Q. Okay.

7 A. -- because I didn't know about that
8 until after he had left, **So** I really can't tell
9 you anything more than what Dr. Acus has in his
10 records.

11 Q. Well, let me ask you again: You ought
12 to be able to roll over in bed and not injure
13 your shoulder, shouldn't you?

14 A. Yes, I would think so.

15 Q. All right. That's a normal, daily
16 activity?

17 A. For most people, yes.

18 Q. Okay. Well, the vast majority of
19 people, correct?

20 A. Yes.

21 Q. And because he rolled over in bed and we
22 ought to be able to **do** that with injuring
23 ourselves and because he rolled over in bed and
24 did injure his shoulder, and that's the shoulder
25 that was operated on, with a reasonable degree of

1 certainty and probability, then, Doctor, wouldn't
2 that be related to this injury and not a separate
3 event?

4 A. I don't know. I really don't have an
5 opinion on that. I didn't get a chance to ask
6 him anything, and it's very poorly defined in the
7 medical records.

8 Q. Well, Dr. Acus does have an opinion and
9 Dr. Acus attributes that to the injury he
10 suffered in this accident.

11 Would you agree or disagree with
12 Dr. Acus?

13 A. When did he say that in the medical
14 records? I didn't pick that up.

15 Q. He doesn't say that in the medical
16 records. He says that in his testimony. Would
17 you agree or disagree with Dr. Acus?

18 A. I don't have an opinion one way or
19 another.

20 Q. Dr. Acus is a board certified orthopedic
21 as you are, do you know that?

22 A. He probably is, yes.

23 Q. And because Dr. Acus has treated Mr.
24 Schertz numerous times over the last two years
25 would you agree that Dr. Acus is in a better

1 position to render an opinion concerning Mr.
2 Schertz's condition than you would be?

3 A. Well, I think he has a little bit
4 different perspective than I do, and on certain
5 periods of time I'm sure his opinions are much
6 more accurate, but certainly the time that I saw
7 him, you know, Acus didn't see him at that point
8 in time, so he is certainly entitled to his
9 opinions.

10 And I can't just agree or disagree
11 because I just didn't get an chance to do my own
12 -- my own questions, so I really don't have a
13 comment on that. I don't know.

14 Q. Doctor, you would agree that in order to
15 properly evaluate a patient it's necessary to
16 treat a patient more than once or at least
17 numerous visits and numerous treatments put-you
18 in a better position to render an opinion as to a
19 diagnosis than simply one visit?

20 A. I don't think that's true --

21 Q. Okay.

22 A. -- because you could **do** a diagnostic
23 test and somebody else never did that before and
24 you'll what's going on and the other guy didn't.

25 Q. That didn't happen in this case, did

1 it? You didn't do a test that Mr. Acus --

2 Dr. Acus has never done, have you?

3 A. I -- there is no indication that
4 Dr. Acus found what I found at the time -- around
5 the time of my examination --

6 Q. What's the --

7 A. -- so I don't know.

8 Q. What -- what did you find that Dr. Acus
9 didn't find?

10 A. I don't know what Dr. Acus found because
11 I didn't see any notes in and around the time
12 that I had saw -- seen -- I saw him.

13 Q. Okay. So you're saying as of that
14 particular day you were in the best position to
15 judge his -- his condition?

16 A. That was the only opinion that I was
17 supposed to render, what he had on that
18 particular day.

19 Q. How much did you charge Mr. Kuri's firm
20 for this examination?

21 A. I don't have the exact figure, but I
22 think it was about \$1700.

23 Q. \$1700?

24 A. Yes.

25 Q. And on that day did you perform any

1 other IME's?

2 A. I don't recall.

3 Q. In fact, you saw one of my client --
4 another one of my clients, Wendy Ankrom, on that
5 day, do you recall that?

6 A. No, I don't.

7 Q. Doctor, just so we have an idea as to
8 the nature and extent of your involvement in
9 performing independent medical exams, can you
10 tell us how many independent medical exams you
11 have performed this year?

12 A. No, I can't. I can tell you how many I
13 have done this month, but I can't tell you how
14 many I have done this year because I haven't
15 counted them prior to the beginning of the month.

16 Q. Okay. How many have you done this
17 month?

18 A. 21.

19 Q. 21. Would that be on average the number
20 of IME's you performed in the months preceding?

21 A. I don't know.

22 Q. You don't know. In fact, Doctor, you
23 don't -- you don't even have records prior to
24 October of this year as to how many IME's you
25 performed?

1 A. Prior to August I don't, but I don't --
2 again, I don't have any specific records of the
3 IME's prior to October 1st, yes, that's correct.

4 Q. Okay. Because, in fact, you destroyed
5 any records you may have had concerning any IME's
6 you performed prior to October of this year?

7 A. No, that's not true. We only get rid of
8 the cases that have been settled or resolved. I
9 have all the cases in our files of the active or
10 non-resolved cases.

11 Q. Okay. Well, let's back up for a
12 second. Do you know how many times you **have**
13 testified for Mr. Kuri's firm since 1991?

14 A. No, I do not.

15 Q. Do you know how many times you testified
16 for the Defendant since 1991?

17 A. I have no idea.

18 Q. Would it be ten times, 20 times, 30
19 times, a hundred times?

20 A. I'm sure it's well over a hundred times.

21 Q. Okay, well over a hundred times. And
22 when you would testify you would typically
23 testify on behalf of the Defendant?

24 A. If I was asked to review a case from **the**
25 Defendant, yes, but I do obviously do testifying

1 on my own patients or patients that Plaintiff
2 attorneys send me to see.

3 Q. Do you know what the percentage of
4 Defense versus Plaintiffs it **is**?

5 A. I'm sure it's way in the majority for
6 the Defense. I don't have the exact statistics.

7 Q. Okay. And, in fact, you have testified
8 for insurance companies?

9 A. Indirectly.

10 **a.** Okay. Well, in fact, you have testified
11 for the Regional Transit Authority on a regular
12 basis?

13 A. I'm not sure how regular, but I **do** maybe
14 eight or ten a year.

15 Q. Okay. And you've testified for the
16 Allstate Insurance Company?

17 A. Well, either directly or indirectly,
18 yes.

19 MR. KURI: Object, move to strike.

20 BY MR. WYATT:

21 Q. Okay. You've testified for the Meridia
22 Insurance Company?

23 A. I -- I don't -- again, I don't
24 specifically know who the clients are to the
25 Defendants are. I don't know which is an

1 uninsured insured motorist and which is a primary
2 Defendant so -- and I don't keep track of that,
3 but I've probably done over the years for most of
4 the insurance companies that are servicing Ohio.

5 Q. Progressive?

6 MR. KURI: Object, move to strike.

7 BY MR. WYATT:

8 Q. Progressive?

9 A. Progressive I have seen clients that
10 indirectly or directly came from them.

11 Q. State Farm?

12 A. Yes.

13 Q. Nationwide?

14 MR. KURI: Continue objection, move
15 to strike.

16 Q. Nation -- Nationwide?

17 A. Yes.

18 Q. Okay. And then you've also performed
19 testimony of these exams for independent
20 businesses independent of who their insurance
21 company is?

22 A. I'm sorry, independent?

23 Q. Businesses independent of who their
24 insurance company is?

25 A. Independent businesses and also in

1 reference to their Workmans' Compensation stuff,
2 too, yes.

3 Q. Now, when you testify for somebody, do
4 you keep that file or do you destroy it?

5 A. I keep the file until I have concluded
6 that particular case, in other words, once the
7 cases are resolved, we don't keep any records of
8 that.

9 Q. Now, these people when they come to you,
10 they are coming to you in part because you are a
11 medical doctor, when they come to you to be
12 examined?

13 A. I don't understand your --

14 Q. They come to you to be examined because
15 you are a medical doctor?

16 A. I'm an orthopedic specialist. That's
17 why -- probably they come or I'm asked to see
18 them.

19 Q. Okay. And you're obligated to maintain
20 those records?

21 A. No, I'm not obligated to maintain the
22 records. They're not patient records. There is
23 no obligation to -- unless they're my own,
24 treating patients, I have no obligation to them
25 at all.

1 Q. Okay. **So** after you see these people you
2 destroy the records?

3 A. No, that's not what I said. That's the
4 third time you've asked me that. I said after
5 the cases are concluded I either like -- let's
6 say today, I would say to the -- the attorney, **do**
7 you need the records, and he says yes, he takes
8 everything with him. That's the way **I** -- it's
9 been done in the past.

10 Q. Now, there is no record of how many
11 IME's you've performed in the last ten years,
12 there is no record at all?

13 A. No, there is not.

14 Q. That's because you destroy your
15 appointment books; is that correct?

16 A. I do not keep my appointment books,
17 that's correct.

18 Q. Okay. Keeping, destroying, same word,
19 right?

20 A. Well, that's your -- your choice of
21 words, not mine.

22 Q. Okay.

23 A. But we are keeping the appointment books
24 as **of** October -- I'm sorry, actually as of August
25 of this year.

1 Q. Well, Doctor, in fairness to you and in
2 fairness to Mr. Kuri I'm looking at the
3 transcript of a proceeding performed or done
4 before Judge Nancy Russo --

5 A. Excuse me, can we go off the record,
6 please?

7 Q. Yeah.

8 (Discussion had off the record.)

9 (Off the video record:)

10 MR. WYATT: Let's strike that last
11 question.

12 (On the video record:)

13 BY MR. WYATT:

14 Q. Doctor, you testified that this last
15 month of October you performed 21 independent
16 medical exams?

17 A. So far this month, yes.

18 Q. All right. Do you have any more
19 scheduled this month?

20 A. I have -- let's see, today is
21 Wednesday. I have one today and one tomorrow and
22 two if they show up on Friday.

23 Q. One today, one tomorrow --

24 A. And these are scheduled. The other ones
25 were performed.

1 Q. Okay. So that would put you at 25 a
2 month -- this month?

24 or 25.

4 Q. Okay. And is that representative
5 typically of how many IME's you perform in a
6 month?

7 A. It is no representative, no.

8 Q. Is that high, low?

9 A. I really don't know.

10 Q. Okay.

11 A. It seems high to me, but I don't -- I
12 don't have any other specific data.

13 Q. Okay. And you said your average charge
14 per IME is \$1700?

15 A. No. They range from about 900 to about
16 1850 now. I would say the bulk of them are in
17 the 1300 to \$1700 range --

18 Q. Okay. So --

19 A. -- depending on how long it takes and
20 how -- how involved it is.

21 Q. Now, that's -- that's for the exam
22 itself?

23 A. No, no, no. That's for the whole
24 thing. That's for the exam, the review of the
25 medical records, the writing of the report, **the**

1 review of the medical literature, the rewriting
2 of the report, about the three, four, five hours
3 it takes to produce the final product. There is
4 just one bill for that entire service.

5 Q. What about your testimony here today,
6 how much is that?

7 A. That's a separate. That's \$900 an hour
8 and that's been the same for years.

9 Q. Okay. And if I was the one to take your
10 deposition, that is how much it would cost me?

11 A. Unless we would work something out ahead
12 of time.

13 Q. Okay. How many times do you think
14 you've testified this year?

15 A. I have no idea. I have testified eight
16 times this month so far. One of them was
17 Plaintiff, the rest of them were defense.

18 Q. **So** one day -- one to seven ratio, is
19 that typically the ratio in general of how many
20 times you testified for the Defense versus the
21 Plaintiff?

22 A. Well, it varies from month to month. It
23 depends on when my patients' cases may come up.
24 But I would still say it's the majority of them
25 are for the Defense simply just because that's

1 what's needed.

2 Q. Okay. All right. Let's go back to Mr.
3 Schertz then and rap this up.

4 You feel that the pain that Mr.
5 Schertz is having now is limitation of motion
6 related to scar tissue in his shoulder, is that
7 true?

8 A. Probably some degree of scar or
9 inflammatory tissue in his shoulder, yes.

10 Q. Now, you testified earlier about -- and
11 the word arthritis is kind of -- is a bogeyman, I
12 think, because people don't typically understand
13 it.

14 When you talked about arthritis, what
15 you're really talking about in this case is the
16 normal wear and tear that a person experiences as
17 they get older; is that correct?

18 A. You know, I don't know in Mr. Schertz's
19 case what it was, but he had definite arthritis
20 in his **AC** joint which preceded the injury, and
21 that's one of the things that was addressed
22 during the surgery that Dr. Acus performed.

23 Q. We're not -- we're not -- Doctor, listen
24 to me, please, and in fairness to me, I want you
25 to listen to my question and answer my question

1 and not answer the question you think I asked or
2 give an explanation of the answer.

3 Doctor, arthritis -- the term you
4 used, arthritis, as it relates to a shoulder
5 joint or a knee joint or any other joint really
6 refers to the natural aging process that one
7 experiences as they get older, is that what you
8 mean by arthritis, yes or no?

9 A. No, that's not what I mean by
10 arthritis. Arthritis has a lot of different
11 etiologies and --

12 Q. Wait, wait, wait. Stop. Okay. There
13 are different kinds of arthritis then?

14 A. Yes.

15 Q. All right. If someone has some wearing
16 down or degeneration of joint is that the type of
17 arthritis that occurs as one gets older?

18 A. Well, as one gets old or if one has had
19 excessive stress or excessive usage at a --

20 Q. Okay.

21 A. -- at a younger chronological age.

22 Q. Now, because you did not actually see
23 Mr. Schertz's shoulder and because you did not
24 actually see what was in his joint, you can't say
25 whether or not that was an normal aging or normal

1 wear and tear, can you, just yes or no?

2 A. I have no idea.

3 Q. Okay. Dr. Acus who actually performed
4 the surgery would be in a better position to
5 testify concerning that -- just yes or no?

6 A. I don't know. He certainly would have a
7 better idea of what he found. I'm not sure if
8 his concept of why it was there would be any
9 different than mine --

10 Q. Okay.

11 A. -- but what it looked like, certainly he
12 would be able to tell you that --

13 Q. so you --

14 A. -- that doesn't necessarily mean that's
15 where it came from.

16 Q. All right. But you would defer to him
17 in at lease as to what's there?

18 A. Sure.

19 Q. Okay. And is there any indication in
20 your review of all the medical records you have,
21 and your attorney has had access to all the
22 medical records, and your review of your -- your
23 examination of Mr. Schertz, is there any
24 indication prior to this accident that Mr.
25 Schertz ever had any long term or pain related to

1 in arthritic changes in any other injury in his
2 right shoulder?

3 MR. KURI: Object and move to
4 strike. Doctor -- I am not Dr. Corn's attorney.
5 BY MR. WYATT:

6 Q. Okay. I'm sorry. Doc, in your review
7 of all the records provided to you by Mr.
8 Curtin's firm and your testimony or your
9 conversations with Mr. Schertz and your review of
10 the medical records and everything you have seen
11 in this case, have you seen anything that
12 indicated that Mr. Schertz prior to this accident
13 had any long-term, chronic or problems in his
14 right shoulder prior to this accident, just yes
15 or no?

16 A. No.

17 Q. Okay. Now, Doctor, is-- and I'm almost .
18 done here. As I understand it as we stand here
19 tod'ay it is your opinion that Mr. Schertz either
20 has to go through physical therapy or he may need
21 a surgery to remove a part of the acromion?

22 A. I'm not sure what the surgery would
23 involve. I don't think that's real high on my
24 list, but I would say for sure some physical
25 therapy and some ongoing maintenance exercise

1 that he does every day for the rest of his life.

2 Q. Okay. **So** you're talking either physical
3 therapy or perhaps a surgery if that doesn't
4 work?

5 A. Some sort of surgical procedure if it
6 doesn't work, yes.

7 Q. Versus Dr. Acus' opinion that physical
8 therapy will not work and Dr. Acus is of the
9 opinion that he's going to need to have the bursa
10 removed, which is the fibrotic tissue you
11 referred to earlier, do you disagree with that
12 opinion?

13 A. Well, I guess I do disagree with that
14 opinion. I would not worry about the surgery at
15 this point in time but what's -- and if the
16 surgery was necessary, I would probably have to
17 remove the same tissue he is describing as well
18 as some other tissue.

19 Q. Okay. **So** you two are not in sharp
20 disagreement about what needs to be done in order
21 to --

22 A. I -- I don't think we're in much
23 disagreement at all, although he probably has a
24 little bit better defined opinion than I do
25 because he has, you know, he saw what it looked

1 like before.

2 Q. Okay. And the only disagreement is that
3 he thinks physical therapy will not work and you
4 think physical therapy will work?

5 A. Oh, I know physical therapy will
6 definitely improve him. I don't know if it will
7 cure it, but it will definitely significantly
8 improve it.

9 Q. So as it relates to Mr. Schertz's
10 condition today, even with the physical therapy
11 he will have some permanent limitation in that
12 shoulder?

13 A. It would not be unusual to have an
14 extraordinarily minor limitation but certainly
15 better functional movement than he has now, and
16 he could do the sports activities at a higher
17 level than he can attempt to do it now. But in
18 all likelihood he will not about 100 percent.

19 Q. Okay. And even if that were to be
20 successful, it is also your opinion that he's
21 still going to have to do maintenance exercises
22 --

23 A. Absolutely.

24 Q. -- that if he doesn't do them he is going
25 to slip back into where he was?

1 A. Well, I don't know if he's going to slip
2 back where he was, but we think certainly if he
3 didn't it would be a lot of waste of time to go
4 through a lot of additional theory and the time
5 and the effort and what's necessary to get
6 better, I think that, you know, you don't want to
7 go through that again. It's not pleasant.

8 Q. So if he doesn't do that for the rest
9 much his life, he is going to continue to have
10 limitation he has today?

11 A. I'm sorry. I don't understand what you
12 mean.

13 Q. If he does not **go** the through the
14 maintenance exercises and the physical therapy
15 that you discussed, if he does not do that every
16 day he will --

17 A. I'm not saying every day. I'm saying
18 maybe two to three days a week, two to three
19 times a week once he gets the movement.

20 Q. **All** right. If he does not do that, he
21 will slide back into where he is today?

22 A. I'm not sure exactly where he will be,
23 but it will definitely not stay at the maximum
24 improved level.

25 Q. And all this is conjecture and -- and

1 you really don't know for sure whether or not
2 even what you suggest will work will work?

3 A. That's correct. I mean, this is what a
4 reasonable orthopedic surgeon that deals with
5 these problems -- I don't know nothing works 100
6 percent of time.

7 Q. Okay.

8 A. This would be my best orthopedic
9 prognosis, and if Mr. Schertz, who was a very
10 nice fellow, if he had come under my care at that
11 point in time, that's what I would do with him.

12 Q. But he didn't, did he?

13 A. No, that was not purpose.

14 Q. And, in fact, when this case is over if
15 Mr. Schertz came back to you there wouldn't even
16 be a record of his visit, would there?

17 MR. KURI: Object, move to strike.

18 THE WITNESS: I would keep a record
19 of it, yes.

20 BY MR. WYATT:

21 Q. Wait, wait, wait, wait, wait, wait.

22 Let's go back here. Doctor, you testified when
23 these cases are over, these records are no longer
24 in your office?

25 A. Correct.

1 Q. All right. So when this case is over if
2 Mr. Schertz came back to you there wouldn't even
3 be a record of this visit, would there?

4 A. Well, I'm under court order to keep
5 everything at this point in time so for this case
6 I --

7 MR. KURI: Object, move to strike as
8 irrelevant in discussing matters which is highly
9 inflammatory to the jury.

10 MR. WYATT: Mr. Kuri, he opened the
11 door.

12 MR. KURI: You opened the door.

13 MR. WYATT: No, no, no, no, no. This
14 doctor testified that he's under a court order to
15 maintain this record. He just said it. I didn't
16 ask him. I didn't lead him. I didn't --

17 MR. KURI: My objection is on the
18 record.

19 BY MR. WYATT:

20 Q. All right. Well, Doctor, prior to that
21 court order you referred to, after this case was
22 over, you would destroy these records, wouldn't
23 you?

24 A. I would probably give them back to Mr.
25 Kuri.

1 Q. You would no longer have them in your
2 office?

3 A. Correct.

4 MR. KURI: Continue line of
5 objection, move to strike on this whole issue.

6 BY MR. WYATT:

7 Q. Okay. So if he came back to you, you
8 would have this record but the only reason why
9 you have the record is because the courts ordered
10 you to keep that record?

11 MR. KURI: Objection.

12 THE WITNESS: Or if I get it from
13 your office or Mr. Kuri's office, then I would
14 have it, yes.

15 BY MR. WYATT:

16 Q. Okay. Now --

17 A. I think I would remember him well .
18 enough, though, that I know where to go from this
19 point on.

20 Q. Now, your opinion he needs more physical
21 therapy, did you tell Mr. Schertz that?

22 A. I may have.

23 Q. May have. If he was to testify that you
24 didn't tell him that, would you --

25 A. It would have been -- it would have been

1 inappropriate for me to -- to discuss medical
2 care with him, although what I would do as a
3 physician, I would suggest that he would discuss
4 physical therapy with his doctor. But it's not
5 my obligation nor is it my responsibility to give
6 him any recommendations.

7 Q. So even though you thought you knew
8 something that might help him, you didn't tell
9 him and you didn't tell doctor -- Dr. Acus, did
10 you?

11 A. It wasn't beyond the scope, and it's
12 beyond the limitations of the examination, that I
13 should not get involved in the patient care.

14 Q. It's not what you were being paid for?

15 A. That's not what I was hired to do.

16 MR. WYATT: Okay. Thank you. No
17 further questions.

18 MR. KURI: I don't have any further
19 questions.

20 VIDEO TECHNICIAN: We are off the
21 record.

22 (Off the video record:)

23 VIDEO TECHNICIAN: Doctor, you have a
24 right to review this videotape to prove its
25 accuracy or you may waive that right.

1 THE WITNESS: I will waive my right.

2 VIDEO TECHNICIAN: Will all counsel
3 agree to waive any filing of the videotape?

4 MR. KURI: Yes.

5 - - -

6 (Deposition concluded at 10:10 o'clock a.m.)

7 - - -

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25