

<p>1 IN THE COURT OF COMMON PLEAS 2 CUYAHOGA COUNTY, OHIO</p> <p>3 CHRISTOPHER S. LONG, etc., ) 4 Plaintiffs, ) 5 vs ) Case No. 321518 6 CLEVELAND CLINIC FOUNDATION ) 7 Defendant. ) 8 9 10 --- - - 11 DEPOSITION OF DELOS M. COSGROVE, M.D., 12 TUESDAY, FEBRUARY 16, 1999 13 - - - - - 14 The deposition of DELOS M. COSGROVE, M.D., 15 the Witness herein, called by counsel on behalf of 16 the Plaintiff for examination under the statute, 17 taken before me, Vivian L. Gordon, a Registered 18 Diplomate Reporter and Notary Public in and for 19 the State of Ohio, pursuant to agreement of 20 counsel, at the offices of The Cleveland Clinic 21 Foundation, 9500 Euclid Avenue, Cleveland, Ohio, 22 commencing at 8:00 o'clock a.m. on the day and 23 date above set forth. 24 25</p>	<p>Page 1</p> <p>1 DELOS M. COSGROVE, M.D., a witness herein, 2 called for examination, as provided by the Ohio 3 Rules of Civil Procedure, being by me first duly 4 sworn, as hereinafter certified, was deposed and 5 said as follows: 6 EXAMINATION OF DELOS M. COSGROVE, M.D. 7 BY-MR. BECKER: 8 Q. Would you tell me your full name, please. 9 A. Delos Marshall Cosgrove, III. 10 Q. Doctor, what is your current position with 11 the Cleveland Clinic Foundation? 12 A. Chairman of the department of thoracic 13 cardiovascular surgery. 14 Q. I know you have had your deposition taken 15 before. I just want to review the ground rules 16 with you. This is a question and answer session 17 under oath. It's important that you understand 18 the question that I ask. 19 If the question doesn't make sense or is 20 inartfully phrased, you stop and tell me so and I 21 will attempt to rephrase the question. However, 22 unless you indicate otherwise to me, I am going to 23 assume that you fully understood the question that 24 I have asked. Fair enough? 25 A. Fair.</p>
<p>Page 2</p> <p>1 APPEARANCES 2 3 On behalf of the Plaintiff 4 Becker &amp; Mishkind 5 B Y MICHAEL BECKER, ESQ. 6 JEANNE M. TOSTI, ESQ. 7 Skylight Office Tower 8 1660 West Second Street 9 Suite 660 10 Cleveland, Ohio 44113 11 12 On behalf of the Defendant 13 Roetzel &amp; Andress 14 B Y JOHN V. JACKSON, III, ESQ. 15 INGRID KINKOPF-ZAJAC, ESQ. 16 1375 E. 9th Street 17 Cleveland, Ohio 44114 18 19 20 21 22 23 24 25</p>	<p>Page 4</p> <p>1 Q. What have you reviewed in preparation for 2 this deposition? 3 A. The chart. 4 Q. Does the cardiothoracic surgery department 5 have a separate chart on each patient aside from 6 the hospital's main chart? 7 A. No. 8 Q. If a patient prior to coming to the 9 Cleveland Clinic sent you or your office 10 correspondence or faxes relative to his problem, 11 his conditions, would you expect those faxes to be 12 within the hospital chart? 13 A. Yes. 14 Q. And is the complete hospital chart in front 15 of you? 16 A. Yes. 17 Q. Doctor, in August of 1996, I am interested 18 to know whether or not there was any written 19 policy within the cardiothoracic surgery 20 department relative to how a patient is to be 21 managed, observed, postoperatively. 22 A. No. 23 Q. Any type of written policy as to, to your 24 knowledge, as to what nurses, residents or fellows 25 should do in the event that in an aortic valve or</p>

Page 5

1 a chest patient, postoperatively there are signs  
 2 and symptoms of bleeding, hypotension -- and/or  
 3 hypotension and/or the development of cardiac  
 4 tamponade?  
 5 A. No.  
 6 Q. Are there any kind of written rules,  
 7 guidelines?  
 8 A. No.  
 9 Q. Now, back in August of 1996, I am  
 10 interested to know what your surgery schedule  
 11 was. How many surgeries were you doing per se on  
 12 average in August per day?  
 13 A. Well, Without looking at the August record,  
 14 I can't tell you.  
 15 Q. I have heard that you generally work on two  
 16 waves per day; anywhere from two to four cases in  
 17 the morning and two to four cases in the  
 18 afternoon. Is that accurate?  
 19 A. Not correct.  
 20 Q. Not true?  
 21 A. Not true.  
 22 Q. 'what is the maximum number of patients you  
 23 work on per day?  
 24 A. By law, two. Two per round.  
 25 Q. And what does round mean?

Page 6

1 A. I do two rooms that will be staggered.  
 2 Q. Two rooms in the morning and two rooms in  
 3 theafternoon?  
 4 A. Probably, yes.  
 5 Q. So the maximum you could work would be four  
 6 cases per day?  
 7 A. No. You can't have more than two patients  
 8 in the operating room undergoing operations at the  
 9 same time.  
 0 Q. Right. But assuming that each operation  
 1 takes a half day, have you ever done four cases  
 2 per day?  
 3 A. Yes.  
 4 Q. Now, you said by law you can't do that.  
 5 A. That's right,  
 6 Q. 'what law are you refermng to?  
 7 A. Medicare reimbursement law.  
 8 Q. When did that law, to your knowledge, take  
 9 effect?  
 10 A. I can't remember.  
 11 Q. What year?  
 12 A. I don't remember.  
 13 Q. Incidentally, doctor, any time during this  
 14 deposition -- this is going to be a short  
 15 deposition -- I want you to know you are more than

Page 7

1 free to look at the chart before responding to a  
 2 question.  
 3 Back in August of 1996, what percentage of  
 4 your time was spent on minimally invasive surgery,  
 5 approximately?  
 6 A. I can't answer that Without going back and  
 7 looking at the record. I can't remember what  
 8 percentage of my time was done With coronaries and  
 9 what percentage was done with valves.  
 10 Q. Is it a misnomer to speak of minimally  
 11 invasive surgery when one is talking about a valve  
 12 replacement?  
 13 A. I don't understand your question.  
 14 Q. Fine. When one is doing a minimally  
 15 invasive surgery, is it by definition a CABG?  
 16 A. No.  
 17 Q. Can it also be an aortic valve replacement?  
 18 A. Yes.  
 19 Q. Can you estimate for me back in 1996, would  
 20 you have been doing 50 percent minimally  
 21 invasive?  
 22 I am not holding you to a percentage, but  
 23 just a sense as to how much minimally invasive  
 24 versus traditional surgery you were doing.  
 25 A. Are you talking about valves or

Page 8

1 coronaries?  
 2 Q. I am interested in valves, excuse me.  
 3 A. Probably half.  
 4 Q. Now, were there various **types** of minimally  
 5 invasive surgeries relative to valves? Different  
 6 ways to do minimally invasive surgery on  
 7 replacement of an aortic valve?  
 8 A. Yes.  
 9 Q. Would you delineate what those types were?  
 10 A. Various **types** of incisions.  
 11 Q. Okay. And would you describe the **types** of  
 12 incisions for me?  
 13 A. There is about a dozen. Would you like to  
 14 go through them all?  
 15 Q. Not if there is a dozen, no.  
 16 Did **Mr.** Long have a minimally invasive  
 17 surgery?  
 18 A. Yes.  
 19 Q. Now, he had a transverse sternotomy;  
 20 correct?  
 21 A. Yes.  
 22 Q. Are there various **types** of transverse  
 23 sternomoties?  
 24 A. Yes.  
 25 Q. That would fall under the category of

<p style="text-align: right;">Page 9</p> <p>1 minimally invasive?</p> <p>2 A. Yes.</p> <p>3 Q. How many types of those would there be?</p> <p>4 A. About three.</p> <p>5 Q. All right.</p> <p>6 - - - -</p> <p>7 (Thereupon, COSGROVE Deposition</p> <p>8 Exhibit 1 was mark'd for</p> <p>9 purposes of identification.)</p> <p>0 - - - -</p> <p>1 Q. Doctor, handing you what's been marked as</p> <p>2 Plaintiff's Exhibit 1, which is a gross anatomical</p> <p>3 drawing of the chest, can you mark on Exhibit 1</p> <p>4 the three different types of incision? Could you</p> <p>5 reflect that on that exhibit?</p> <p>6 MR. JACKSON: Three different types</p> <p>7 of transverse sternotomies?</p> <p>8 A. You want three on one drawing?</p> <p>9 Q. I have two more then, if that would be</p> <p>10 easier. Let's just do one then.</p> <p>11 - - - -</p> <p>12 (Thereupon, COSGROVE Deposition</p> <p>13 Exhibits 2 and 3 were mark'd for</p> <p>14 purposes of identification.)</p> <p>15 - - - -</p>	<p style="text-align: right;">Page 11</p> <p>1 A. Because that was the one that I thought at</p> <p>2 the time gave me the best exposure.</p> <p>3 Q. Okay. So of the three exhibits, Exhibit 1</p> <p>4 is the one that permits the best exposure?</p> <p>5 A. That was what I was using at that time.</p> <p>6 Q. Okay. Can you tell me, sir, how many</p> <p>7 transverse sternotomies for a minimally invasive</p> <p>8 surgery you had done prior to Mr. Long?</p> <p>9 A. No.</p> <p>10 Q. Can you give me an estimate in terms of --</p> <p>11 less than 25, less than a hundred?</p> <p>12 A. I can't tell you. I don't know, I don't</p> <p>13 remember.</p> <p>14 Q. Doctor, do you keep kind of a spreadsheet</p> <p>15 or a data entry system within your records here as</p> <p>16 to each patient you have operated on and</p> <p>17 complications therefrom?</p> <p>18 A. Yes.</p> <p>19 Q. And is that something subject to redaction</p> <p>20 of the patient's name to be reproduced?</p> <p>21 A. I am <b>sorry</b>, I don't know what reduction</p> <p>22 means.</p> <p>23 Q. Redaction. Taking out the name of the</p> <p>24 patient. Are they by patient number only on your</p> <p>25 list?</p>
<p style="text-align: right;">Page 10</p> <p>1 Q. We have now marked these Exhibits 1, 2 and</p> <p>2 3. And as to Exhibit 1, what type of a transverse</p> <p>3 sternotomy is that? What would you call that?</p> <p>4 A. It's a transverse incision that's going</p> <p>5 through the third interspace.</p> <p>6 Q. Okay. Is that what Mr. Long actually had?</p> <p>7 A. Yes.</p> <p>8 Q. And what does Exhibit 2 reflect relative to</p> <p>9 a transverse sternotomy?</p> <p>0 A. It's a vertical incision in the sternum</p> <p>1 with a transverse of the third inner space.</p> <p>2 Q. Kind of like an upside down T?</p> <p>3 A. Yes.</p> <p>4 Q. And what is number 3?</p> <p>5 A. That's a T incision, the same way.</p> <p>6 Q. Okay. Now, when is one exhibit more</p> <p>7 indicated than the other?</p> <p>8 MR. JACKSON: You mean one</p> <p>9 incision?</p> <p>10 Q. Yes, one incision as reflected on these</p> <p>11 exhibits. If you can generalize for me.</p> <p>12 A. I don't think you can generalize.</p> <p>13 Q. Let me ask you this, sir. Why was it that</p> <p>14 you used the <b>type</b> of incision as demonstrated on</p> <p>15 Exhibit 1 on Mr. Long?</p>	<p style="text-align: right;">Page 12</p> <p>1 A. By both.</p> <p>2 Q. Okay. So if need be, you could reproduce</p> <p>3 that off your computers; correct?</p> <p>4 A. It's a hand kept.</p> <p>5 Q. How far back does that go, sir?</p> <p>6 A. <b>The</b> beginning of the minimally invasive and</p> <p>7 <b>then</b> until about <b>1970</b>. Total, about <b>60,000</b></p> <p>8 patients <b>then</b>.</p> <p>9 Q. What year did you start minimally invasive?</p> <p>10 A. <b>1960</b>. '96, I'm sorry.</p> <p>11 Q. And on this -- what would you call this</p> <p>12 document, sir, that you keep tabs or tally of your</p> <p>13 minimally invasive surgery experience?</p> <p>14 A. What do I call it? It's a record that we</p> <p>15 keep that doesn't have a name. It's a record that</p> <p>16 we keep for purposes of looking at complications,</p> <p>17 et cetera.</p> <p>18 Q. Okay.</p> <p>19 A. It doesn't have a name.</p> <p>20 Q. Do you have a separate data sheet for</p> <p>21 minimally invasive or do you mix traditional and</p> <p>22 minimally invasive on that same data sheet?</p> <p>23 A. No, we have one for minimally invasive.</p> <p>24 Q. Okay.</p> <p>25 MR. BECKER: <b>John</b>, we will ask you</p>

Page 13

Page 15

1 for a copy of that. Will you let me know  
 2 if you need a formal request for  
 3 production?  
 4 MR. JACKSON I will. I will let  
 5 you know.  
 6 Q. Now, back in August of 1996, what patients  
 7 were candidates for minimally invasive surgery for  
 8 aortic valve replacements?  
 9 A. Primarily isolated without history of  
 0 pericarditis or pectus excavatum.  
 1 Q. I am not familiar with that last term.  
 2 What does that mean?  
 3 A. That means a caved in chest. Congenital  
 4 anomaly.  
 5 Q. You just told me what the contraindications  
 6 were, essentially as far as the patient, the  
 7 exclusion criterion.  
 8 Now, once one would fall under the category  
 9 where they can be operated on minimally invasive,  
 0 is there something about a patient's history that  
 1 you knew back in August of 1996 that would make  
 2 them at higher risk for minimally invasive surgery  
 3 than another patient?  
 4 A. No.  
 5 Q. If a man was a former weightlifter, as Mr.

1 Q. And that is current?  
 2 A. Yes.  
 3 Q. Are there any articles within those  
 4 publications that speak to the Cleveland Clinic's  
 5 experience in minimally invasive surgery and  
 6 complications thereof?  
 7 A. Yes.  
 8 Q. Would you just identify them by number,  
 9 please, and tell me whether you are in journals or  
 10 textbooks or abstracts.  
 11 A. 325, 320, 319, 311, 310. I think that's  
 12 it.  
 13 Q. Okay. Is it true, doctor, that with  
 14 minimally invasive cardiac surgery you have less  
 15 visualization of the organs and the structure as  
 16 you do with a full sternotomy?  
 17 A. I am not sure I understand which organs you  
 18 are talking about.  
 19 Q. I am talking about -- unless I indicate  
 20 otherwise, in my questions, I am talking about  
 21 aortic valve replacement, minimally invasive.  
 22 A. What organs are you talking about?  
 23 Q. The heart.  
 24 A. You see less heart, yes.  
 25 Q. With minimally invasive aortic valve

Page 14

Page 16

1 Long was, and had a massively bulky chest, would  
 2 that have put him at any increased risk for  
 3 minimally invasive surgery?  
 4 A. No.  
 5 Q. Do you recall whether or not the Clinic had  
 6 an informed consent form for minimally invasive  
 7 surgery back in 1996?  
 8 A. No, we didn't.  
 9 Q. Were you one of the pioneers in minimally  
 0 invasive surgery?  
 1 A. Yes.  
 2 Q. Is that a copy of your current CV in front  
 3 of you?  
 4 MR. JACKSON There is one right in  
 5 front of you.  
 6 A. Yes.  
 7 - - - -  
 8 ("hereupon, COSGROVE Deposition  
 9 Exhibit 4 was mark'd for  
 0 purposes of identification.)  
 1 - - - -  
 2 Q. Doctor, handing you what has been marked as  
 3 Plaintiff's Exhibit 4, would you identify that for  
 4 me?  
 5 A. My curriculum vitae.

1 replacement, the cardiopulmonary bypass pump may  
 2 be on longer?  
 3 A. No.  
 4 Q. Can you give me a sense as to the median  
 5 period of time in an aortic valve replacement that  
 6 the cardiopulmonary bypass pump should be on?  
 7 A. Depends on what kind of an operation you  
 8 are doing.  
 9 Q. Let's say we are doing an aortic valve  
 0 replacement utilizing an incision that's  
 1 previously been depicted in Exhibit 1.  
 2 A. The aortic occlusion time and the cardiac  
 3 pulmonary bypass time are 45 and 60 minutes,  
 4 respectively.  
 5 Q. Could you tell me that one more time,  
 6 please, say that one more time?  
 7 A. Would she like to read it back.  
 8 (Record read.)  
 9 Q. Would one have a potential for greater  
 10 coagulopathy problems with a longer pump time?  
 11 A. Yes.  
 12 Q. Why?  
 13 A. Because of the contact with the  
 14 cardiopulmonary bypass circuit.  
 15 Q. Is it generally more difficult to cannulize

<p style="text-align: right;">Page 17</p> <p>1 blood vessels with minimally invasive surgery?</p> <p>2 A. No.</p> <p>3 Q. Now, you obviously need special surgical</p> <p>4 instruments to perform minimally invasive surgery</p> <p>5 on an aortic valve; correct?</p> <p>6 A. No.</p> <p>7 Q. You do not?</p> <p>8 A. No.</p> <p>9 Q. So would it be fair for me to conclude that</p> <p>10 there are not specially designed instruments</p> <p>11 solely for the use of minimally invasive?</p> <p>12 A. That's correct.</p> <p>13 Q. Was there anything about Mr. Long's medical</p> <p>14 history or his physical stature that caused him to</p> <p>15 be at increased risk for minimally invasive</p> <p>16 surgery?</p> <p>17 A. No.</p> <p>18 Q. I want to explore your recollection of</p> <p>19 James Long. I know you see a lot of patients,</p> <p>20 doctor. Do you have any recollection of him?</p> <p>21 A. Uh-huh.</p> <p>22 Q. Tell me what you remember about him.</p> <p>23 A. A big guy.</p> <p>24 Q. A big man, big-boned man, big mass, muscle</p> <p>25 mass?</p>	<p style="text-align: right;">Page 18</p> <p>1 Q. What do you mean?</p> <p>2 A. Exactly that.</p> <p>3 Q. He was difficult to get ahold of, or when</p> <p>4 you were sitting down with him, he didn't</p> <p>5 understand what you were saying?</p> <p>6 A. No. Difficult to communicate with on a</p> <p>7 face-to-face basis.</p> <p>8 Q. Can you be any more specific than that?</p> <p>9 A. No, I don't think so.</p> <p>0 Q. Doctor, when you meet with a family member</p> <p>1 after an untoward event from surgery, is it your</p> <p>2 practice to generate any notes?</p> <p>3 A. No.</p> <p>4 Q. Do you recall any conversations you had</p> <p>5 with Christopher Long?</p> <p>6 A. Not the content.</p> <p>7 Q. You just remember that he was difficult to</p> <p>8 communicate with?</p> <p>9 A. Yes.</p> <p>0 Q. I mean, are you saying that he kind of gave</p> <p>1 you a hard time?</p> <p>2 A. I didn't know that he understood what I was</p> <p>3 telling him.</p> <p>4 Q. Okay. Christopher Long has a number of</p> <p>5 specific recollections regarding conversations</p>
<p style="text-align: right;">Page 18</p> <p>1 A. Yes.</p> <p>2 Q. Anything else you remember about him?</p> <p>3 A. I remember he specifically was anxious to</p> <p>4 have a small incision and we talked about it at</p> <p>5 length ahead of time and I told him what my</p> <p>6 experience had been.</p> <p>7 Q. In person or on the phone?</p> <p>8 A. In person.</p> <p>9 Q. Okay.</p> <p>10 A. And I discussed the <b>risk</b> of the operation</p> <p>11 with him.</p> <p>12 Q. Would anybody else have <del>been</del> present during</p> <p>13 this discussion?</p> <p>14 A. No. His family didn't come with him.</p> <p>15 Q. Okay. Was Mr. Long given any options</p> <p>16 relative to the <del>three</del> various types of incisions?</p> <p>17 A. No.</p> <p>18 Q. You choose the incision route?</p> <p>19 A. Yes.</p> <p>20 Q. Do you remember Mr. Long's son, Christopher</p> <p>21 Long?</p> <p>22 A. Yes.</p> <p>23 Q. What do you remember about Christopher</p> <p>24 Long?</p> <p>25 A. He was very difficult to communicate with.</p>	<p style="text-align: right;">Page 20</p> <p>1 <del>with</del> you. I am going to run by <del>them</del> and <del>see</del> if</p> <p>2 you can -- if they might refresh your recollection</p> <p>3 and you can say I have no idea or no, I didn't say</p> <p>4 that or I may have said that. Okay?</p> <p>5 I am not limiting you to your answer, but I</p> <p>6 will run by some of his recollections.</p> <p>7 Chris Long had got a phone call from you on</p> <p>8 the day of his dad's surgery at around 6:00</p> <p>9 o'clock and you told <del>him</del> that <del>everything</del> went</p> <p>0 well, quote, according to <del>the</del> book.</p> <p>1 Would that be something that you may have</p> <p>2 said?</p> <p>3 A. I don't ever remember using that phrase,</p> <p>4 but it's possible.</p> <p>5 Q. Okay.</p> <p>6 A. It's not one I <del>use</del> frequently.</p> <p>7 Q. Now, Mr. Long's surgery was moved up one</p> <p>8 day from -- I <b>think</b> it was on a Wednesday and</p> <p>9 moved up to Tuesday or Tuesday to Monday, I don't</p> <p>0 remember now which day, because there was an</p> <p>1 opening in your afternoon schedule.</p> <p>2 Do you recall that?</p> <p>3 A. No.</p> <p>4 Q. The fact that the patient is moved up, is</p> <p>5 there any significance to that?</p>

Page 21

1 A. No,  
 2 Q. Now, Chris Long had difficulty -- strike  
 3 that.  
 4 When did you first learn about the serious  
 5 complication of James Long?  
 6 A. Which serious complication?  
 7 Q. Of the cardiac tamponade and his brain  
 8 injury.  
 9 A. I am not sure that I learned about a  
 10 tamponade. I learned about his brain injury the  
 11 next morning.  
 12 Q. Okay. The next morning?  
 13 A. After the surgery, the day after the  
 14 surgery.  
 15 Q. Okay. Who would have been responsible for  
 16 contacting a family member if the family member is  
 17 out of state relative to a serious Complication of  
 18 a patient?  
 19 A. Well, it is generally done with a number of  
 20 different individuals. I can't remember in this  
 21 particular case who did that.  
 22 Q. I Will represent to you, doctor, that Chris  
 23 Long did not learn about the serious complications  
 24 of his father until Friday, three days after  
 25 surgery, three days after his dad's serious

Page 22

1 complication.  
 2 Is that an appropriate period of time to  
 3 advise a family member of a serious complication?  
 4 MR. JACKSON I'll object. Go ahead  
 5 and answer.  
 6 A. No.  
 7 Q. Chris Long felt that it was necessary for  
 8 him to work through an Ombudsman person to reach  
 9 you.  
 10 Do you recall whether that, in fact, was  
 11 necessary?  
 12 A. I don't remember.  
 13 Q. Okay. Is that unusual for a patient's  
 14 family to have to go through the Ombudsman -- how  
 15 do you pronounce the word?  
 16 A. Ombudsman.  
 17 Q. -- department or division to reach you?  
 18 A. Yes.  
 19 Q. I assume you don't have an explanation as  
 20 to why it took so long to notify Chris Long of his  
 21 father's condition?  
 22 A. No.  
 23 Q. Chris Long flew out to Cleveland and  
 24 ultimately met you the following week on or about  
 25 2:15 on August 27th. And Chris recalls the

Page 23

1 conversation in person with you where you said I  
 2 have been pouring over the records day and night  
 3 to figure out what went wrong.  
 4 Does that sound like something you would  
 5 have said?  
 6 A. It's possible.  
 7 Q. And Chris Long also specifically recalls  
 8 you telling him -- Chris -- that his father James  
 9 Long was the largest man on whom I have ever  
 10 performed this procedure.  
 11 Is that true?  
 12 A. It's possible.  
 13 Q. And you told Chris Long that you were quite  
 14 surprised by Mr. Long's chest mass and that his  
 15 father, Mr. Long's chest mass initially caused  
 16 technical difficulties.  
 17 Is that true?  
 18 A. That I said that?  
 19 Q. That you said that and did it actually  
 20 happen?  
 21 A. I can't remember that I said that or not,  
 22 and I can't remember it being a problem, but we  
 23 certainly accomplished the procedure reasonably.  
 24 Q. Okay. Now, if you saw Mr. Long the night  
 25 before surgery, would you have done a physical

Page 24

1 exam of him?  
 2 A. No.  
 3 Q. You also told Chris Long at this meeting at  
 4 2:15 on 8-27-97 that immediately after you took  
 5 his dad off the heart/lung bypass, and once his  
 6 heart took over, his heart pumped so hard that it  
 7 blew one of the stitches that you had made on the  
 8 heart.  
 9 Is that true?  
 10 A. Yeah.  
 11 Q. Why did that happen?  
 12 A. Well, I can't tell you why it happened.  
 13 Q. Is that the first time that it has happened  
 14 to you?  
 15 A. No.  
 16 Q. Chris Long further recalls that you were  
 17 very surprised by this complication.  
 18 A. I am.  
 19 Q. And you had to once again place his dad on  
 20 the heart/lung machine and to sew up the blown  
 21 stitch.  
 22 A. Correct.  
 23 Q. Now, when you use the phrase blown stitch,  
 24 does that mean a stitch actually broke or came  
 25 loose?

Page 25

1 A. Probably pulled through the tissue.  
 2 Q. And at that meeting, on the 27<sup>th</sup>, Chris  
 3 recalls you telling Chris or talking to him about  
 4 the quality of life that his dad is going to have  
 5 to live with.  
 6 Do you remember any discussion along those  
 7 lines?  
 8 A. No.  
 9 Q. You also told Chris at that meeting that  
 0 because his dad had had critical stenosis of the  
 1 aortic valve for some time, his heart was enlarged  
 2 and his heart muscle was thick.  
 3 Do you remember that?  
 4 A. That's correct. I don't remember saying  
 5 that, but that was correct.  
 6 Q. And the fact that his heart was enlarged,  
 7 that fact alone is not unusual for an aortic valve  
 8 replacement; correct?  
 9 A. That's correct.  
 0 Q. Now, the fact that his heart muscle was  
 1 thick, would you consider that unusual for an  
 2 aortic valve replacement?  
 3 A. No.  
 4 Q. The fact that his heart muscle was thick,  
 5 did that present an additional challenge or

Page 26

1 additional degree of difficulty because of the  
 2 thickness of the heart muscle?  
 3 A. Just in controlling his blood pressure.  
 4 Q. Can you explain that a little bit further?  
 5 A. Yes. People that have very thick heart  
 6 muscles generally generate very high blood  
 7 pressures and you have to give medicine to control  
 8 the blood pressure.  
 9 Q. And to your knowledge, was Mr. Long on  
 0 blood pressure medication prior to?  
 1 A. No, after surgery you have to control the  
 2 blood pressure.  
 3 Q. Oh, so that statement was postoperatively?  
 4 A. Yes.  
 5 Q. And then Chris Long indicates that he had  
 6 difficulty reaching you and then when you did  
 7 finally hook up with him you apologized for the  
 8 poor communication.  
 9 Do you remember that?  
 0 A. No.  
 1 Q. Now, as far as the surgery itself on Mr.  
 2 Long, is it your practice to start and finish the  
 3 whole operation or just come in when the technical  
 4 aspect of the valve has to be addressed?  
 5 A. I don't open and generally do not

Page 27

1 cannulate. I do the operation and decannulate and  
 2 dry up and the team closes.  
 3 Q. So you are not there during the whole  
 4 surgery?  
 5 A. No.  
 6 Q. Are you saying, doctor, that the massive  
 7 chest size of Mr. Long didn't present any  
 8 difficulties as far as you doing the intricate  
 9 work of the valve itself?  
 0 A. That's correct.  
 1 Q. In addition to the spreadsheet or data bank  
 2 that we talked about with your surgeries, do you  
 3 have an actual surgery schedule that you can go  
 4 back and say on August 20<sup>th</sup>, for example, these  
 5 were my cases and this is how many I did?  
 6 A. Yes.  
 7 Q. Now, going back to this complication where  
 8 there was a pull through or a rupture of the  
 9 suture, what did you do?  
 0 A. Placed him back on cardiopulmonary bypass,  
 1 decompressed the heart and replaced the stitch.  
 2 Q. Did you inspect the other stitches to see  
 3 if there was any loosening?  
 4 A. Sure.  
 5 Q. You had a duty to do that; correct?

Page 28

1 A. I had a duty to do that?  
 2 Q. If you go back in to --  
 3 A. I didn't go back in. You know, we had not  
 4 taken the tubes out of the heart. We had let the  
 5 heart start working. As the heart started  
 6 working, we recognized that we had a bleeding  
 7 site. We then put it back on the bypass without  
 8 ever taking the tubes out, fixed the stitch.  
 9 There was no other bleeding, And he came off the  
 0 heart/lung machine again.  
 1 Q. Did you examine the other stitching areas?  
 2 A. Well, there was no bleeding so there was no  
 3 other stitches, there was no other bleeding areas.  
 4 Q. Did you tell Chris Long that the problem of  
 5 the suture rupture had not occurred to you with  
 6 any other homograft procedures?  
 7 A. I don't remember.  
 8 Q. Is it true that that's the first time  
 9 that's occurred to you in a homograft valve  
 0 replacement?  
 1 A. I haven't had a lot of bleeding problems  
 2 with homografts like this.  
 3 Q. I am assuming you didn't do any reinforcing  
 4 of sutures after the suture ruptured; you simply  
 5 put a new suture in in that one location?

Page 29

Page 31

1 A. I did reinforce that particular stitch,  
 2 yes.  
 3 Q. Okay. How did you do that?  
 4 A. Pledgets.  
 5 Q. Is that reflected in the operative report?  
 6 A. Yes.  
 7 Q. Now, once you finished the operation, and  
 8 if it's the last case, would you be going home  
 9 roughly between 6:00 and 7:00 p.m.?  
 10 A. I wish.  
 11 Q. Okay. Can you tell by the chart or by your  
 12 records as to what time you went home on the day  
 13 of this surgery?  
 14 A. No.  
 15 Q. I want to get a sense as to who is  
 16 responsible for post-op management of Mr. Long or  
 17 who was responsible in this case.  
 18 Can you tell me from the chart or from your  
 19 recollection as to who would have been responsible  
 20 for the surgical aspect of Mr. Long's  
 21 postoperative care?  
 22 A. Well, there is a team.  
 23 Q. Explain to me how that team works.  
 24 A. Junior resident in the house, chief  
 25 resident and myself.

Page 30

Page 32

1 Q. Junior resident?  
 2 A. Yes.  
 3 Q. You say there is a junior resident in the  
 4 house?  
 5 A. Yes.  
 6 Q. And then you said there is a chief  
 7 resident?  
 8 A. Yes.  
 9 Q. And do you remember who the chief resident  
 10 was at this time?  
 11 A. Dr. Muellbach.  
 12 Q. Now, to your knowledge, had Dr. Muellbach  
 13 done his residency, all of his surgical residency  
 14 at the Cleveland Clinic?  
 15 A. No.  
 16 Q. Had Dr. Muellbach essentially come to the  
 17 Cleveland Clinic for specialized cardiothoracic  
 18 surgical training?  
 19 A. He had come to the Cleveland Clinic because  
 20 the staff where he was training in Kansas City  
 21 were anxious to put him back on the faculty there  
 22 and they wanted him to have an additional year of  
 23 experience at the Cleveland Clinic and then to  
 24 return to their faculty.  
 25 Q. Well, was he doing cardiothoracic surgery

1 prior to coming to the Cleveland Clinic?  
 2 A. Yes. He was board eligible.  
 3 Q. So the reason that he came here?  
 4 A. Was for the special expertise at the  
 5 Cleveland Clinic.  
 6 Q. Okay. He was considered the chief  
 7 resident?  
 8 A. Yes.  
 9 Q. Now, was he in-house or was he at home?  
 10 A. I can't tell you where he was.  
 11 Q. Well, do you expect him to be in-house?  
 12 A. No.  
 13 Q. Or at home in between 7:00 and 10:00 p.m.?  
 14 A. I expect him to be, you know, where its  
 15 necessary to be for patient care.  
 16 Q. Right. But can he, if he is ultimately  
 17 responsible, can he be ultimately responsible at  
 18 home?  
 19 A. Yes.  
 20 Q. Okay. Based on your review of the chart,  
 21 is there anything that tells you that Dr.  
 22 Muellbach was in person or on campus that evening?  
 23 A. I don't know where he was.  
 24 Q. Okay. So Dr. Muellbach, did he begin as  
 25 most residencies begin, July 1st of that year?

1 A. Yes.  
 2 Q. So he had been with the Cleveland Clinic  
 3 approximately six or seven weeks at the time of  
 4 Mr. Long's surgery?  
 5 A. Yes.  
 6 Q. He wasn't considered, quote, an attending;  
 7 he was considered the chief resident?  
 8 A. Exactly.  
 9 Q. Can you explain to me why, because of his  
 10 experience, why he wouldn't have been considered  
 11 an attending?  
 12 A. Because we don't take anybody on the staff  
 13 -- I hadn't appointed him as an attendant. It's  
 14 a closed staff here, as you know, and anybody  
 15 cannot get admitted to it, and we hired him as a  
 16 resident.  
 17 Q. But was there actually a contract with him,  
 18 to your knowledge?  
 19 A. He gets a letter telling him what his --  
 20 Q. Duties and responsibilities would be?  
 21 A. No, what his salary will be and the term  
 22 and the length of his employment.  
 23 Q. To your knowledge, is there any document  
 24 that would delineate what his duties and  
 25 responsibilities would be?



<p style="text-align: right;">Page 33</p> <p>1 A. No.</p> <p>2 Q. To your knowledge, he is back in Kansas</p> <p>3 City?</p> <p>4 A. Yes.</p> <p>5 Q. Do you recall talking with him about this</p> <p>6 particular patient after Mr. Long's complication?</p> <p>7 A. Well, I am sure we talked. I can't tell</p> <p>8 you what the conversation was.</p> <p>9 Q. Okay. Do you know whether or not Dr.</p> <p>0 Muellbach was contacted either two or three times</p> <p>1 that evening by phone, by a nurse?</p> <p>2 A. I don't know how many times he was</p> <p>3 contacted.</p> <p>4 Q. Did the patient know that his postoperative</p> <p>5 care was going to be managed by residents?</p> <p>6 A. We didn't specifically discuss that.</p> <p>7 Q. Do you think that's something that a</p> <p>8 patient should be told?</p> <p>9 A. No.</p> <p>0 Q. Would it be fair to state, doctor, that</p> <p>1 people who are managing postoperatively recovery</p> <p>2 people, patients, have a duty to be more</p> <p>3 aggressive with a patient who has sustained an</p> <p>4 intraoperative complication, such as bleeding, as</p> <p>5 compared to one who didn't sustain it.</p>	<p style="text-align: right;">Page 35</p> <p>1 answers, is it your position that Mr. Long did not</p> <p>2 sustain a cardiac tamponade?</p> <p>3 A. I didn't say that.</p> <p>4 Q. Okay. Do you agree that Mr. Long, in fact,</p> <p>5 did sustain a cardiac tamponade?</p> <p>6 A. No.</p> <p>7 Q. What's the basis for that opinion? What</p> <p>8 was the cause of his arrest?</p> <p>9 A. I don't know.</p> <p>0 Q. Did you ever tell Mr. Long's son that his</p> <p>1 dad sustained a cardiac tamponade?</p> <p>2 A. I don't remember.</p> <p>3 Q. So you don't know and you don't have an</p> <p>4 opinion as to what caused his arrest?</p> <p>5 A. No.</p> <p>6 Q. Do you acknowledge that he was bleeding in</p> <p>7 the recovery unit?</p> <p>8 A. Every patient bleeds in the recovery unit.</p> <p>9 Q. Okay. As you sit here, doctor, do you have</p> <p>0 any criticism of any of the residents, whether</p> <p>1 junior residents, senior residents, chief</p> <p>2 residents or nurses, as to the way they managed</p> <p>3 this patient in the recovery room?</p> <p>4 A. No.</p> <p>5 Q. Doctor, I want to talk a little bit about</p>
<p style="text-align: right;">Page 34</p> <p>1 MR. JACKSON: I'll object, but you</p> <p>2 may answer if you can.</p> <p>3 A. No.</p> <p>4 Q. All things being equal, given the</p> <p>5 complication that Mr. Long sustained</p> <p>6 intraoperatively, was he at increased risk over</p> <p>7 the average patient to sustain a postoperative</p> <p>8 complication, such as a bleed at a suture site?</p> <p>9 A. I don't think so.</p> <p>0 Q. Is it your routine, doctor, that after you</p> <p>1 operate on the patient to go to their bedside</p> <p>2 sometime in early evening to see how they are</p> <p>3 doing?</p> <p>4 A. Yes.</p> <p>5 Q. Doctor, you have had -- strike that.</p> <p>6 Do you recall sitting down with Dr.</p> <p>7 Muellbach or any of the nursing staff to review</p> <p>8 the vitals contained in Mr. Long's postoperative</p> <p>9 recovery flow sheets?</p> <p>0 MR. JACKSON: What point in time are</p> <p>1 you talking about?</p> <p>2 MR. BECKER: Within days after this</p> <p>3 serious complication.</p> <p>4 A. No.</p> <p>5 Q. Doctor, as a result of one of your earlier</p>	<p style="text-align: right;">Page 36</p> <p>1 transesophageal echoes. Do you regularly use</p> <p>2 those in the recovery unit on a heart patient?</p> <p>3 A. Not regularly. Intermittently.</p> <p>4 Q. Do they have a TE, portable one on the</p> <p>5 floor?</p> <p>6 A. Uh-huh.</p> <p>7 Q. That's a yes?</p> <p>8 A. Yes.</p> <p>9 Q. And do you need a special technician to</p> <p>0 operate that or can nurses or doctors?</p> <p>1 A. In-house doctors can do it.</p> <p>2 Q. You say house doctors?</p> <p>3 A. In-house doctors are available to do that.</p> <p>4 Q. Okay. When you suspect a patient is having</p> <p>5 an intraoperative bleed around the suture site in</p> <p>6 a heart patient, is that generally the first</p> <p>7 diagnostic test you would turn to, a TE?</p> <p>8 A. No.</p> <p>9 Q. Tell me what would be.</p> <p>0 A. Reexploration.</p> <p>1 Q. That means take him back to the operating</p> <p>2 room and open up his chest?</p> <p>3 A. Yes.</p> <p>4 Q. And as far as physical location between the</p> <p>5 recovery unit and the operating suite, I assume</p>

Page 37

1 they are very close?  
 2 A. Yes.  
 3 Q. In terms of minutes, how long would it take  
 4 to transfer a patient from ~~the~~ recovery unit to  
 5 the operating suite?  
 6 A. Physically wheeling through the space?  
 7 Q. Right.  
 8 A. Five minutes.  
 9 Q. Okay. And as far as organizing a surgical  
 10 ~~team~~ anywhere from 7:00, 8:00, 9:00, 10:00 p.m. at  
 11 night, would that take some time or would they  
 12 generally be available?  
 13 A. They are available.  
 14 Q. Can you give me an idea ~~as~~ to how often --  
 15 strike that.  
 16 Why is it that you would simply crack his  
 17 chest, the patient's chest rather than utilizing a  
 18 TE, if you suspect a bleed?  
 19 A. Because we found TE is a very poor  
 20 prognosis for tamponade, very poor diagnosis for  
 21 tamponade.  
 22 Q. Okay. **When** did you come to that  
 23 conclusion?  
 24 A. A decade ~~ago~~.  
 25 Q. If you had a resident that was managing

Page 38

1 someone postoperatively and if they had the  
 2 slightest suspicion that a cardiac tamponade was  
 3 going forward or occurring, you would expect ~~them~~  
 4 to just immediately take the patient to the  
 5 operating suite?  
 6 A. No, they would call you.  
 7 Q. They would call you?  
 8 A. Yes.  
 9 Q. Hypothetically, they would call you and  
 10 what would you say to them?  
 11 A. I would discuss ~~the~~ problem and if I  
 12 thought that they were tamponading ~~from~~ the  
 13 description, I would tell ~~them~~ to take ~~him~~ back to  
 14 the operating room.  
 15 Q. And what criteria would you be looking for  
 16 in that discussion with the resident?  
 17 A. Low cardiac output.  
 18 Q. Low?  
 19 A. Low cardiac output. Low urine output.  
 20 Q. Anything else?  
 21 A. Persistent bleeding.  
 22 Q. Those are the --  
 23 A. **Those** are the main factors.  
 24 Q. Okay. **So** would it be, you would expect to  
 25 be called, number one?

Page 39

1 A. Absolutely.  
 2 Q. You wouldn't want a decision to be made by  
 3 a junior resident or a chief resident?  
 4 A. No.  
 5 Q. So in fact, they would make you very  
 6 unhappy if you found out that they took one of  
 7 your patients back without calling you?  
 8 A. Yes.  
 9 Q. If they did call you, and you advised them  
 10 to take the patient back, would you come back for  
 11 that surgery or would you expect ~~them~~ to do that?  
 12 A. It would depend on the situation.  
 13 Q. You have done both?  
 14 A. Yes.  
 15 Q. Stayed at home or gotten in the car and  
 16 come back to the hospital?  
 17 A. Yes.  
 18 Q. Dr. Muellbach, did he finish his one year  
 19 of residency here?  
 20 A. Yes.  
 21 Q. It's not your practice, doctor, to order by  
 22 way of a standing order in your ~~heart~~ patients to  
 23 have PT and PTT checked?  
 24 A. No.  
 25 Q. Why not?

Page 40

1 A. They are notoriously inaccurate ~~after~~  
 2 cardiac surgery.  
 3 Q. Now, there was a postoperative order to  
 4 keep Mr. Long systolic's blood pressure less than  
 5 100.  
 6 A. Yes.  
 7 Q. I **think** we touched on that before. Once  
 8 again, what was the reason for that?  
 9 A. Because I routinely keep homografts for  
 10 that kind of blood pressure because of multiple  
 11 suture lines.  
 12 Q. If a blood pressure was permitted to go  
 13 above 100, there would be an increased risk of  
 14 bleeding?  
 15 A. Well, it's not just 100. It's an arbitrary  
 16 number that I pick.  
 17 Q. If you are to be called regarding a patient  
 18 of yours postoperatively and you are home, do you  
 19 have a system or an understanding in place that  
 20 you only want to be called by the senior resident  
 21 ~~as~~ compared to a nurse or a junior resident?  
 22 A. No. Anybody can call me if it's necessary,  
 23 but usually it's a senior resident.  
 24 MR. BECKER: It's 9:00 o'clock.  
 25 MR. JACKSON: Do you want to go on,

Page 41	Page 43
<p>1 doctor?</p> <p>2 THE WITNESS: Let me see where we</p> <p>3 are.</p> <p>4 (Thereupon, a recess was taken.)</p> <p>5 THE WITNESS: I have <del>ten</del> minutes.</p> <p>6 MR. JACKSON Let's go as far as we</p> <p>7 can.</p> <p>8 - - - -</p> <p>9 (Thereupon, COSGROVE Deposition</p> <p>10 Exhibit 5 was mark'd for</p> <p>11 purposes of identification.)</p> <p>12 - - - -</p> <p>13 Q. Doctor, I am going to hand you what's been</p> <p>14 marked as Plaintiff's Exhibit Number 5. And I</p> <p>15 want to particularly call your attention to the</p> <p>16 mean blood pressure. I want you to take a look at</p> <p>17 that for me.</p> <p>18 First of all, would you agree that Exhibit</p> <p>19 5 is a flow sheet of the surgical intensive care</p> <p>20 unit?</p> <p>21 A. Yes.</p> <p>22 Q. I want to call your attention, doctor, to,</p> <p>23 first of all, the far column. To your knowledge</p> <p>24 where it has letters, A through Z, do you know</p> <p>25 what those letters represent?</p>	<p>1 fluid?</p> <p>2 A. The pressure within the abdominal cavity</p> <p>3 pushes out fluid?</p> <p>4 Q. Right. The chest cavity. Not abdominal</p> <p>5 cavity, chest cavity,</p> <p>6 A. To the best of my knowledge, the pressure</p> <p>7 in the chest cavity is unrelated to the blood</p> <p>8 pressure.</p> <p>9 Q. Is there a general proposition, doctor,</p> <p>10 that a trend towards equalization of CVP to</p> <p>11 diastolic pressure of the Swan Ganz catheter has</p> <p>12 been shown to be indicative of a cardiac tamponade</p> <p>13 developing?</p> <p>14 A. Yes.</p> <p>15 Q. Were you responsible for the development of</p> <p>16 that particular theory yourself?</p> <p>17 A. No.</p> <p>18 Q. Do you know who was?</p> <p>19 A. No.</p> <p>20 Q. How long have you known that theory in</p> <p>21 chest surgery?</p> <p>22 A. 20 years.</p> <p>23 Q. Five?</p> <p>24 A. 20.</p> <p>25 Q. 20 years. Do you <del>see</del> any indication here</p>
Page 42	Page 44
<p>1 A. Those are times in which vital signs are</p> <p>2 taken.</p> <p>3 Q. And just kind of identifies that line?</p> <p>4 A. Yes.</p> <p>5 Q. All right. I want to draw your attention</p> <p>6 to between lines H through M. You agree that</p> <p>7 during that period of time, notwithstanding the</p> <p>8 administration of pressors, Mr. Long remained</p> <p>9 hypotensive?</p> <p>10 A. He did.</p> <p>11 Q. Is that consistent with a cardiac</p> <p>12 tamponade, developing cardiac tamponade?</p> <p>13 A. Low blood pressure is, yes.</p> <p>14 Q. Now, doctor, if a patient has low blood</p> <p>15 pressure, will that also have a direct effect on</p> <p>16 how much blood is being pumped out of the chest</p> <p>17 tube?</p> <p>18 A. I am not sure I understand.</p> <p>19 Q. If one has low blood pressure, would there</p> <p>20 have been a tendency, would there also be a</p> <p>21 concomitant reduction in flow from a chest tube</p> <p>22 because of the patient's own blood pressure?</p> <p>23 A. Shouldn't affect the chest tube any.</p> <p>24 Q. But should it affect the manner that the</p> <p>25 pressure within the abdominal cavity pushes out</p>	<p>1 on the chart, doctor, where there has been an</p> <p>2 apparent trend toward equalization of CVP to</p> <p>3 diastolic pressure of a Swan Ganz?</p> <p>4 A. They were equal, essentially, since the</p> <p>5 time that he came in on reading number A when they</p> <p>6 were 20 and 17, 18 and 16, 22 and 19.</p> <p>7 Q. Okay.</p> <p>8 A. So from the entire postoperative period</p> <p>9 they are equal.</p> <p>10 Q. I guess, doctor, and in particular, did I</p> <p>11 --</p> <p>12 A. They <del>seem</del> no more equal to me in H through</p> <p>13 M than they do through A through E or M through R.</p> <p>14 Q. Excuse me. What is it about that period of</p> <p>15 time, let's say, E through M, that points away</p> <p>16 from an intraoperative bleed or the development of</p> <p>17 cardiac tamponade?</p> <p>18 A. It has a cardiac index that is somewhere</p> <p>19 between 3 and 2.5.</p> <p>20 Q. Anything else?</p> <p>21 A. Urine output is over 200 cc's per hour.</p> <p>22 Q. At L, line L, what is his cardiac index at</p> <p>23 line L?</p> <p>24 A. Index is 2.</p> <p>25 Q. Is that good?</p>

Page 45

Page 47

1 A. It's certainly compatible with life. The  
 2 next one is 2.5 and the one after that is **2.9**.  
 3 Urine output through those times is somewhere  
 4 between 600 and 300 cc's an hour, which is not  
 5 compatible with low cardiac output.  
 6 Q. Now, the fact that he is on Amicar --  
 7 A. All patients are on Amicar after surgery.  
 8 Q. Can you tell by looking at Exhibit 5 what  
 9 time the Amicar was begun?  
 10 A. It looks like it was begun about F.  
 11 Q. When do you expect the patient to start to  
 12 receive the Amicar?  
 13 A. We give them to him in the operating room  
 14 and through the procedure into the postoperative  
 15 period.  
 16 Q. Is it routine for you to do a chest film on  
 17 a patient after you complete your surgery?  
 18 A. Yes.  
 19 Q. Why?  
 20 A. To look for inflation of the lungs,  
 21 placement of the nasogastric tube, placement of  
 22 the endotracheal tube, chest silhouette, all the  
 23 things we see on chest X-ray.  
 24 Q. Okay. And are you aware that the chest  
 25 X-ray interpretation, are you aware of what the

1 A. Yes.  
 2 Q. You said that there is a TE on the floor?  
 3 A. In the hospital.  
 4 Q. Not one in the unit --  
 5 A. No.  
 6 Q. -- per se? Well, when would you since you  
 7 feel that they are not so diagnostic for  
 8 developing cardiac tamponades, when would you  
 9 utilize a TE post-op?  
 10 A. If I am concerned about valve function,  
 11 ventricular function.  
 12 Q. Now, what will the administration of  
 13 Amicar, what effect would that have on the blood  
 14 coming out through the chest tube?  
 15 A. It may have none. Unpredictable.  
 16 Q. Is it also, is there a risk of clotting  
 17 around the chest tube from the Amicar?  
 18 A. Not that I'm aware of.  
 19 Q. Is it the nurses responsibility when there  
 20 is bleeding to continually milk the chest tube?  
 21 A. Even when there isn't.  
 22 Q. When what?  
 23 A. When there is no bleeding, they milk the  
 24 chest tubes.  
 25 Q. Why do you want them to do that?

Page 46

Page 48

1 postoperative chest X-ray said in this case?  
 2 A. I can't remember.  
 3 Q. It suggested, I will tell you, sir, it  
 4 suggested that there be another reshoot or another  
 5 taking of the chest film.  
 6 A. Okay.  
 7 Q. If that is done, when should that be done?  
 8 A. It depends on the reason and what we  
 9 interpret the thing to be. The reading is  
 10 generally not done until substantially  
 11 subsequently of the taking.  
 12 Q. Can you give me a sense as to the  
 13 percentage of time that you might take a patient  
 14 back for a relook at their chest, not so much you,  
 15 but --  
 16 A. Reoperation for bleeding at the Cleveland  
 17 Clinic runs about five percent.  
 18 Q. So one out of 20 patients may be taken back  
 19 to surgery within hours after the initial surgery?  
 20 A. Any time afterwards.  
 21 Q. For a concern about bleeding and around the  
 22 suture lines?  
 23 A. Yes.  
 24 Q. Okay. Can we agree that cardiac tamponade  
 25 is a life-threatening condition?

1 A. Make sure the chest tubes don't clot.  
 2 Q. Have you Written, doctor, on postoperative  
 3 bleeding in any of your articles?  
 4 A. Yes.  
 5 Q. The ones that we have spoken to at the  
 6 beginning of the deposition?  
 7 A. No.  
 8 Q. If you can turn to the CV again, which I  
 9 guess is marked as Exhibit 4, and tell us which of  
 10 those numbers reference your comments about  
 11 intraoperative bleeding, how to manage  
 12 intraoperative bleeding, or excuse me,  
 13 postoperative bleeding, and the complication of  
 14 bleeding and need for an additional surgery?  
 15 A. Do you want me to go through all 400  
 16 articles to find them? They were written almost  
 17 20 years ago.  
 18 Q. Okay.  
 19 A. So I would have to go through the whole CV  
 20 to find them for you.  
 21 Q. Is it likely that some of those -- I'm  
 22 assuming that you have also published in  
 23 textbooks?  
 24 A. Yes.  
 25 Q. Okay. And is that subject matter covered

<p style="text-align: right;">Page 49</p> <p>1 in any particular textbook?</p> <p>2 A. It's all part of the CV. They are all in</p> <p>3 together.</p> <p>4 Q. If you could just focus in on the textbook,</p> <p>5 that might save us some time.</p> <p>6 MR. JACKSON Your question</p> <p>7 specifically is?</p> <p>8 Q. My question is, which of the textbook</p> <p>9 publications --</p> <p>10 A. They are all intermixed. I would have to</p> <p>11 go through the whole thing for you, if you like.</p> <p>12 Q. No, but I just --</p> <p>13 MR. JACKSON: Let me ask this,</p> <p>14 Mike. It <b>looks</b> like from looking at your</p> <p>15 notes, you <b>are</b> getting closer to the end.</p> <p>16 If there is a possibility to</p> <p>17 complete this within the next couple</p> <p>18 minutes and that's okay with you, I would</p> <p>19 like to get it done.</p> <p>20 MR. BECKER: Off the record.</p> <p>21 (Thereupon, a discussion was had off</p> <p>22 the record.)</p> <p>23 MR. BECKER: I prefer to close it</p> <p>24 down now and get you on your schedule and</p> <p>25 we will reconvene and I suspect the time</p>	<p style="text-align: right;">Page 51</p> <p>1 A. No.</p> <p>2 Q. Do you have any sense as to whether or not</p> <p>3 that phone call took place towards late evening,</p> <p>4 middle evening? You don't have a sense as to the</p> <p>5 time?</p> <p>6 A. This was three years ago now and I frankly</p> <p>7 can't remember <del>the</del> time I was called.</p> <p>8 Q. What do you recall the doctor telling you?</p> <p>9 A. I don't remember.</p> <p>10 Q. Well, he was obviously concerned because he</p> <p>11 called you; correct?</p> <p>12 A. Yes.</p> <p>13 Q. Okay. Would it be fair for me to conclude</p> <p>14 because he called you and what you have already</p> <p>15 told us about the procedures as to when you expect</p> <p>16 to be called, that you made the decision at home</p> <p>17 that the patient should not be taken back to the</p> <p>18 operating room?</p> <p>19 A. No, I made the decision he should go back</p> <p>20 to the operating room and I came in.</p> <p>21 Q. When did you come in?</p> <p>22 A. I came in for the reoperation.</p> <p>23 Q. Were you there after his -- at what point</p> <p>24 in <del>the</del> reoperation did you arrive in <del>the</del> operating</p> <p>25 suite?</p>
<p style="text-align: right;">Page 50</p> <p>1 that I will need next time will be less</p> <p>2 than 35 minutes.</p> <p>3 MR. JACKSON: Is that your</p> <p>4 preference?</p> <p>5 THE WITNESS: It's not my</p> <p>6 preference.</p> <p>7 MR. JACKSON Can we finish it</p> <p>8 today? Do you want to try it?</p> <p>9 THE WITNESS: Yes.</p> <p>10 (Thereupon, a discussion was had off</p> <p>11 the record.)</p> <p>12 (Thereupon, a recess was taken.)</p> <p>13 Q. I want to establish whether or not you were</p> <p>14 ever called the night of the surgery by anyone?</p> <p>15 A. Yes, I was.</p> <p>16 Q. Who were you called by?</p> <p>17 A. Dr. Muellbach.</p> <p>18 Q. Okay.</p> <p>19 A. As I remember, it was Dr. Muellbach.</p> <p>20 Q. What time were you called?</p> <p>21 A. I don't remember.</p> <p>22 Q. What was the reason you were called?</p> <p>23 A. He was bleeding.</p> <p>24 Q. Did you generate any notes as a result of</p> <p>25 the phone conversation?</p>	<p style="text-align: right;">Page 52</p> <p>1 A. After he had been put on the table.</p> <p>2 Q. Does your name appear in <del>the</del> operating</p> <p>3 suite?</p> <p>4 A. I don't know.</p> <p>5 Q. Should it appear in the operating suite,</p> <p>6 if, in fact, you were present?</p> <p>7 A. Yes.</p> <p>8 Q. What did you do for him?</p> <p>9 A. I did the rest of his operation.</p> <p>10 Q. Well, what did you find at <del>the</del> time of</p> <p>11 getting in to the surgery?</p> <p>12 A. I found he was hypotensive. We</p> <p>13 resuscitated him. He became hypertensive. He was</p> <p>14 bleeding from the distal suture line and I sutured</p> <p>15 it.</p> <p>16 Q. Can you tell me one way or <del>the</del> other as to</p> <p>17 whether there was evidence of cardiac tamponade at</p> <p>18 the time they <b>opened</b> up his chest?</p> <p>19 A. I can't.</p> <p>20 Q. Based on what you were told.</p> <p>21 A. I can't tell you. I don't have</p> <p>22 recollection in that particular time.</p> <p>23 Q. Why did you choose to come in for this</p> <p>24 reoperation rather than let Dr. Muellbach operate?</p> <p>25 A. Because he was bleeding, and when I am</p>

<p style="text-align: right;">Page 53</p> <p>1 worried about bleeding, I come in.  2 Q. I thought we established earlier, doctor,  3 there are some cases that you let the senior  4 resident do the surgery?  5 A. There are.  6 Q. Why was it in this case, sir, that you  7 chose to come back to the hospital?  8 A. Because I had a <b>high</b> enough level of  9 anxiety about it that I came in.  10 Q. Should there be any document generated,  11 either by you or Dr. Muellbach or anyone else on  12 this staff as to what time you were called and  13 what time you arrived in the hospital?  14 A. Should there be?  15 Q. Yes.  16 A. No.  17 Q. Okay. Do you have a recollection Dr.  18 Muellbach called you from home, from his home?  19 A. I don't know where he called me from.  20 Q. Okay. How long would it take you in terms  21 of minutes to leave your home and arrive in the  22 operating suite?  23 A. 20 minutes.  24 Q. In the immediate post-op period, how much  25 CT drainage is acceptable per hour?</p>	<p style="text-align: right;">Page 55</p> <p>1 tubes were not draining adequately to start with  2 or that we had a new bleeding situation.  3 Q. Now, apparently the nurse that was in  4 charge of this person was a fairly new nurse at  5 the Clinic. She testified that she was in her  6 orientation at the time <b>she</b> rendered care to <b>Mr.</b>  7 Long.  8 If a nurse is within her orientation  9 period, would you expect her to be monitored by  10 another nurse?  11 A. Yes. There is a head nurse in each unit  12 that oversees the whole unit.  13 Q. And can you tell by what's <b>been</b> marked as  14 Exhibit <b>5</b>, who the head nurse was at this time?  15 A. No.  16 Q. At the top of Exhibit 5, there are a number  17 of -- in the middle section of Exhibit <b>5</b>, there  18 are a number of letters, <b>A</b> through <b>F</b>. Can you  19 tell me what those are for?  20 A. Those relate to infusions, intake, down  21 here.  22 Q. But does it signify the person that did <b>the</b>  23 infusion?  24 A. No.  25 Q. Help me out. What does it mean?</p>
<p style="text-align: right;">Page 54</p> <p>1 A. Generally -- well, it depends on the  2 operation.  3 Q. Let's stay with an aortic valve minimally  4 invasive surgery where there was an intraoperative  5 complication of a suture bleed.  6 A. Well, I am not sure I have enough --  7 whether a complication of an intraoperative  8 suture? It depends upon how the patient is  9 hemodynamically. It depends upon the <b>type</b> of  10 operation that I have done, what my expectations  11 are.  12 It's not just an acceptable amount. Zero  13 is acceptable. More <b>than that</b> we don't want to  14 see.  15 You know, it is a judgment <b>as</b> to the  16 particulars of the situation.  17 Q. Would you expect the drainage to decrease  18 over the hours?  19 A. Yes.  20 Q. And not increase?  21 A. Yes.  22 Q. And in fact, if you see an increase in the  23 amount of fluid coming from the chest tube, what  24 would that be indicative of?  25 A. That would be a concern about either the</p>	<p style="text-align: right;">Page 56</p> <p>1 A. It means the <b>type</b> of drug. <b>B</b>, for example,  2 would be cardiac output. SNP would be sodium  3 nitroprusside.  4 Q. And what would the D and E be?  5 A. I can't read them.  6 Q. What would A be?  7 A. Buratrol.  8 Q. Now, doctor, if you see blood drainage from  9 the CT tube in a patient post aortic valve  10 replacement, and <b>the</b> drainage started at 50 and  11 increases up to 250, would you consider that a  12 concerning or alarming sign?  13 A. It's something to watch.  14 Q. Now, would you expect your senior resident  15 -- first of all, would you expect the nurse to  16 contact a senior resident between H and M if the  17 blood pressure remained below 90, notwithstanding  18 the administration of two pressors and the  19 increase titrating of those pressors?  20 A. Well, there were two people at the  21 bedside. One notified and one at the bedside.  22 Dr. Yared is a staff doctor and Dr. Muellbach was  23 advised.  24 Q. Dr. Yared has <b>been</b> deposed and he says he  25 is an anesthesia person.</p>

<p style="text-align: right;">Page 57</p> <p>1 A. Correct.</p> <p>2 Q. He doesn't --</p> <p>3 A. He is an intensivist.</p> <p>4 Q. Should his responsibility include</p> <p>5 postoperative bleeding?</p> <p>6 A. No.</p> <p>7 Q. What is your understanding of what Dr.</p> <p>8 Yared's role to be in a post-op patient?</p> <p>9 A. He mostly manages the anesthesia aspect of</p> <p>10 things.</p> <p>11 Q. Why would anesthesia be seeing this patient</p> <p>12 roughly at 8:00 o'clock?</p> <p>13 A. There is an anesthesiologist on duty anyhow</p> <p>14 in the intensive care unit.</p> <p>15 Q. Okay. And help me out. Why would</p> <p>16 anesthesia see a patient after the surgery?</p> <p>17 A. They always see them.</p> <p>18 Q. But --</p> <p>19 A. He is an intensivist who works in the</p> <p>20 intensive care unit and sees the patients.</p> <p>21 Q. My understanding of an intensivist is that</p> <p>22 he is a specialized physician responsible for</p> <p>23 critical care of a patient, all aspects of</p> <p>24 critical care.</p> <p>25 Would you agree with that?</p>	<p style="text-align: right;">Page 55</p> <p>1 A. They obviously did because the drug got</p> <p>2 started.</p> <p>3 Q. Which drug?</p> <p>4 A. Epinephrine.</p> <p>5 Q. If someone from the surgical department</p> <p>6 didn't come in but simply gave a verbal order,</p> <p>7 would you be disappointed in that particular</p> <p>8 person for not coming to see the patient?</p> <p>9 A. Well, it depends on the situation</p> <p>0 completely.</p> <p>1 Q. If you make the decision to come see and</p> <p>2 reoperate, take the patient back, would you tell</p> <p>3 them to wait until I get there?</p> <p>4 A. No.</p> <p>5 Q. Would you normally tell them get him ready,</p> <p>6 that means crack the chest, get everything ready</p> <p>7 so I can come in and do everything I have to do;</p> <p>8 fully prepare the patient to save time?</p> <p>9 A. Well, I would tell them to go ahead and</p> <p>10 then tell them I was coming in; to go as fast as</p> <p>11 they could.</p> <p>12 Q. What is the difference between Dr.</p> <p>13 Hernandez and Dr. Muellbach as it was in August of</p> <p>14 1996?</p> <p>15 A. One is a junior resident and one is a chief</p>
<p style="text-align: right;">Page 58</p> <p>1 A. Yes.</p> <p>2 Q. So let's go back to my question. I think</p> <p>3 the answer would be yes, you would expect a nurse</p> <p>4 to contact somebody given those low blood</p> <p>5 pressures, notwithstanding the administration of</p> <p>6 the pressors and continued titration of those</p> <p>7 pressors?</p> <p>8 A. Yes.</p> <p>9 Q. Would you expect to have been contacted</p> <p>10 between 8:00 and 9:30 given those blood pressures</p> <p>11 and what it took by way of administration, not</p> <p>12 only of those pressors, but albumin</p> <p>13 administration?</p> <p>14 A. Yes. And I can't say that I wasn't</p> <p>15 contacted. I don't remember.</p> <p>16 Q. Well, if you were contacted, isn't it</p> <p>17 routine, based on your experience, that it would</p> <p>18 be reflected in the chart that you are contacted?</p> <p>19 A. No. It's definitely not routine.</p> <p>20 Q. Would you expect someone from the surgical</p> <p>21 service to actually come and see the patient,</p> <p>22 given those blood pressures that were going on</p> <p>23 between H and M?</p> <p>24 A. Yes.</p> <p>25 Q. Okay.</p>	<p style="text-align: right;">Page 60</p> <p>1 resident.</p> <p>2 Q. Hernandez being the junior?</p> <p>3 A. Yes.</p> <p>4 Q. If Dr. Muellbach wasn't in-house between</p> <p>5 8:00 and 9:30 p.m., shouldn't Dr. Hernandez have</p> <p>6 been called to come in and see this patient</p> <p>7 between 8:00 and 9:30 p.m., if he was, in fact,</p> <p>8 in-house?</p> <p>9 A. Dr. Hernandez was in-house.</p> <p>0 Q. Shouldn't he have come to see the patient</p> <p>1 between 8:00 and 9:30 --</p> <p>2 A. Which is 8:00 and which is 9:30? It would</p> <p>3 be I and --</p> <p>4 Q. -- between H and M?</p> <p>5 A. H and M. He probably should. And I am not</p> <p>6 sure whether he did or didn't.</p> <p>7 Q. I want to make sure I understand what you</p> <p>8 are saying. He probably should have come to see</p> <p>9 the patient at bedside?</p> <p>10 A. Yes.</p> <p>11 Q. What is the advantage -- I am not trying to</p> <p>12 be cute here, but what is the advantage to a</p> <p>13 surgeon to actually make a physical assessment of</p> <p>14 the patient versus listening to a nurse delineate</p> <p>15 the numbers off the flow sheet?</p>

Page 61

1 What's the advantage to the surgeon of  
 2 being able to come in and actually eyeball the  
 3 patient?  
 4 A. You can assess ~~the~~ level of perfusion is  
 5 one thing. There is about 100 other things that  
 6 we get the opportunity to do.  
 7 Q. It's much better to make a personal  
 8 assessment than ~~try~~ to do it over the phone?  
 9 A. Yes.  
 10 Q. Now, he was given albumin?  
 11 A. Yes.  
 12 Q. Based on your interpretation of the chart,  
 13 why was he given albumin?  
 14 A. Because his hematocrit was in his mid 30's  
 15 and we generally don't transfuse people until they  
 16 get in the low 20's.  
 17 Q. What is the effect of albumin on one's  
 18 blood pressure?  
 19 A. Per se, it has no effect on blood pressure.  
 20 Q. Well, would it have an indirect effect on  
 21 blood pressure?  
 22 A. It can raise blood pressure if you are  
 23 hypovolemic. As can any volume replacement.  
 24 Q. And packed red blood cells can also raise  
 25 blood pressure when it's hypovolemic; correct?

Page 62

1 A. Yes.  
 2 Q. Now, doctor, did you note on Exhibit 5  
 3 under the pulmonary artery column that it's not  
 4 continuously charted after -- I understand that  
 5 there is a clerical error in L and M. That's what  
 6 has been testified to, to be fair with you, by a  
 7 nurse; ~~that~~ there was a clerical error on line L  
 8 and M under pulmonary artery.  
 9 Do you expect pulmonary artery to be  
 10 continuously charted by your nurses?  
 11 A. Yes.  
 12 Q. Okay. And why do you want that?  
 13 A. The same reason we want ~~everything~~ else  
 14 charted.  
 15 Q. And do you expect ~~the~~ cardiac output to be  
 16 continuously charted by your nurses?  
 17 A. No. Intermittently.  
 18 Q. Why do you make a distinction between  
 19 cardiac output and pulmonary artery readings?  
 20 A. Because cardiac output is an intermittent  
 21 measurement, There is no continuous cardiac  
 22 output currently available.  
 23 Q. Is cardiac output something that you can  
 24 assess by doing some calculations or is it  
 25 immediately observable by looking at a machine on

Page 63

1 a patient? How does one go about calculating?  
 2 A. You take 10 cc's of saline and you inject  
 3 it and get a curve for ~~the~~ area and you calculate  
 4 the area under the curve and you, from that, get  
 5 cardiac output. And this is only obtained when  
 6 somebody injects 10 cc's of saline in order to get  
 7 that.  
 8 Q. Would it be a nurse or resident that would  
 9 be doing this?  
 10 A. Nurse.  
 11 Q. And if there was an error in the cardiac  
 12 output in her calculations?  
 13 A. The calculation is made by a machine.  
 14 Q. So what, there are certain data put into  
 15 the machine by the nurse, correct, for a cardiac  
 16 output?  
 17 A. You know, you inject into a thermodilution  
 18 catheter. It reads it automatically and gives you  
 19 a number on the cardiac output machine.  
 20 Q. In other words, there is no chance for  
 21 error in the nurses running this particular  
 22 maneuver; correct?  
 23 A. The nurse reads what is off ~~the~~ machine.  
 24 Q. That's it?  
 25 Do you have an opinion, doctor, in terms of

Page 64

1 probability as to what was the source of ~~the~~ cause  
 2 of Mr. Long's drop in blood pressure between H and  
 3 M?  
 4 A. It was systemic vascular resistance.  
 5 Q. What does that mean?  
 6 A. SVR. It's charted right here and that's  
 7 why we started the Levophed. He was vasodilated.  
 8 Q. What did ~~he~~ come in with, SVR. 5597  
 9 A. What did he come in with?  
 10 Q. Yes. Into the recovery unit. 559, that  
 11 number?  
 12 A. 955.  
 13 Q. At D he is 579?  
 14 A. Yes.  
 15 Q. Okay.  
 16 A. ~~Those~~ are all about half of normal.  
 17 Q. Doctor, Chris Long talked to a nurse by the  
 18 name of Pam Marinelli who told him that  
 19 intraoperatively his dad's heart wasn't pumping  
 20 consistently a lot of blood, but occasionally  
 21 would shoot up very high.  
 22, A, is that ~~true~~ and, B, if so, what is ~~the~~  
 23 significance of that?  
 24 A. I don't understand what you are asking me.  
 25 Q. I am reading a quote to you. You don't



<p style="text-align: right;">Page 65</p> <p>1 understand that?</p> <p>2 A. I don't understand.</p> <p>3 Q. Apparently Mr. Long was on the heart/lung</p> <p>4 machine for 143 minutes. Is that an abnormally</p> <p>5 long time to be on a heart/lung machine?</p> <p>6 A. Depends on the procedure.</p> <p>7 Q. For someone --</p> <p>8 A. Because I had to go back a second time,</p> <p>9 that's longer than average.</p> <p>0 Q. Of the signs or symptoms that we talked</p> <p>1 about for diagnosing postoperative bleed, is there</p> <p>2 any one -- you talked about, we talked about blood</p> <p>3 pressure, we talked about urine output and we</p> <p>4 talked about a number of different conditions.</p> <p>5 Is there any one that is more critical to</p> <p>6 you than the other in your ultimate</p> <p>7 decision-making process as to when you are to go</p> <p>8 back in and reoperate?</p> <p>9 A. No, it's a constellation.</p> <p>0 Q. Why wasn't Mr. Long at increased risk to</p> <p>1 have a post-op complication given the fact that he</p> <p>2 had an intraoperative complication upon being</p> <p>3 hooked up to the -- taken off the heart/lung</p> <p>4 machine?</p> <p>5 A. I am not sure I understand your question.</p>	<p style="text-align: right;">Page 67</p> <p>1 also done 14,000 heart operations.</p> <p>2 Q. Okay. But it was just said that way</p> <p>3 because of a certain experience intraoperatively;</p> <p>4 correct?</p> <p>5 A. Right, and it may or may not be due to a</p> <p>6 suture pulling through.</p> <p>7 Q. Okay. In the postoperative period with an</p> <p>8 aortic valve replacement patient who has minimally</p> <p>9 invasive surgery, would you expect the pulmonary</p> <p>10 artery pressure to remain elevated or to drop back</p> <p>11 into a normal range?</p> <p>12 A. Pressures do not come down instantly.</p> <p>13 Q. Would you expect it to come down within an</p> <p>14 hour or two within the recovery unit?</p> <p>15 A. No, it comes down over time.</p> <p>16 Q. Time being 10 to 12 hours or a day?</p> <p>17 A. Time may be, depending on the valve lesion,</p> <p>18 maybe a matter of weeks or months.</p> <p>19 Q. Mr. Long's postoperative chest film was</p> <p>20 interpreted as cardiac silhouette, prominent</p> <p>21 peripheral congestion noted.</p> <p>22 What does that mean to you, that radiology</p> <p>23 interpretation?</p> <p>24 A. Not very much.</p> <p>25 Q. Well, could that be consistent with</p>
<p style="text-align: right;">Page 66</p> <p>1 Q. The fact that Mr. Long had an</p> <p>2 intraoperative complication --</p> <p>3 A. Intraoperative bleeding.</p> <p>4 Q. -- intraoperative bleeding, a complication</p> <p>5 where a suture actually pulled through, are you</p> <p>6 telling me here today that that should not have</p> <p>7 played any fact in the resident, junior or chief</p> <p>8 resident's decision as to whether this patient</p> <p>9 needs to be taken back to surgery, that</p> <p>0 intraoperative complication of bleeding?</p> <p>1 A. I don't think the intraoperative</p> <p>2 complication should, you <b>know</b>, be a factor. What</p> <p>3 you do is you judge people on how they are doing.</p> <p>4 I have intraoperative complications</p> <p>5 regularly and they may do very well afterwards.</p> <p>6 They may not do well, but it's totally</p> <p>7 independent.</p> <p>8 Q. But if you are leaving the hospital, for</p> <p>9 example, have you ever said to someone managing</p> <p>0 one of your patients, a resident, chief or junior,</p> <p>1 that we had a problem with this guy, we better</p> <p>2 watch him very closely?</p> <p>3 MR. JACKSON: I am going to object</p> <p>4 to that. Did he ever say such a thing?</p> <p>5 A. If I ever did? I have said that. I have</p>	<p style="text-align: right;">Page 68</p> <p>1 bleeding around the heart?</p> <p>2 A. Yes. As could a normal chest X-ray.</p> <p>3 Q. Your radiology department recommended a</p> <p>4 repeat of that film. Who is responsible within</p> <p>5 the surgical team to see that that chest film was</p> <p>6 repeated?</p> <p>7 MR. JACKSON: You have been through</p> <p>8 this already.</p> <p>9 MR. BECKER I don't think so on</p> <p>0 that question. I know on that subject I</p> <p>1 have.</p> <p>2 A. It depends on why they did it. I don't</p> <p>3 know whether they recommended a repeat because it</p> <p>4 was technically a problem or recognized that</p> <p>5 something needed to be further examined. It</p> <p>6 depends. If it is technically a problem, they</p> <p>7 redo it.</p> <p>8 Q. Okay. And if they are concerned there</p> <p>9 might be something brewing, then whose</p> <p>10 responsibility is it to ensure that that is taken?</p> <p>11 A. The house officers.</p> <p>12 Q. Now, is the house officer the same as a</p> <p>13 junior resident?</p> <p>14 A. Yes.</p> <p>15 Q. Would you routinely, yourself, ever look at</p>

Page 69

1 a patient's post-op chest film?  
 2 A. If it's done by the time that I make  
 3 rounds, yes.  
 4 Q. Would it be up on his floor in the recovery  
 5 unit?  
 6 A. It's beside the bed.  
 7 Q. Can you give me an estimate as to how long  
 8 it should take in terms of minutes from once a  
 9 surgeon at the bedside decides that we need to  
 0 operate on this patient again until the time that  
 1 they are able to get in and have access to his  
 2 heart in terms of minutes?  
 3 A. You generally can do it in 20 minutes.  
 4 Q. Do you have an opinion, doctor, that had  
 5 Mr. Long's chest been cracked open and been a  
 6 relook surgically by 10:00 p.m. whether or not  
 7 bleeding would have been observed?  
 8 A. No.  
 9 Q. You don't have an opinion one way or the  
 0 other?  
 1 A. I don't know.  
 2 Q. What did you expect the homograft valve  
 3 replacement to do to Mr. Long vis-a-vis his  
 4 anticipated life expectancy?  
 5 A. Prolong it.

Page 70

1 Q. Ten, 20 years?  
 2 A. I am not an actuarial. I can't testify.  
 3 Q. Okay. If one has a homograft, should that  
 4 homograft be good for at least ten years before  
 5 you might have to repeat it?  
 6 A. Yes.  
 7 Q. Is there anything about Mr. Long's medical  
 8 condition and medical history that would tell you  
 9 that he would have had a reduced life expectancy?  
 0 A. No.  
 1 Q. The issue, doctor, of -- well, the  
 2 allegation, doctor, that a patient postoperatively  
 3 had a bleeding complication and that was a delay  
 4 in the personnel at the Clinic from operating,  
 5 whether that applies to minimally invasive or  
 6 traditional surgery, are you familiar with any  
 7 other cases, current medical/legal cases either  
 8 pending or that have been resolved on that same  
 9 issue?  
 0 MR. JACKSON: You don't have to  
 1 answer that.  
 2 MR. BECKER: Why not?  
 3 MR. JACKSON: Why would he have to  
 4 answer that? That's not an issue. That's  
 5 not relevant here, whether there are other

Page 71

1 cases. We are trying this case.  
 2 Allegations, what they may be in any other  
 3 case are not, in my opinion, important  
 4 here.  
 5 MR. BECKER: If it's analogous, I  
 6 disagree with you, John. You are  
 7 instructing him not to answer?  
 8 MR. JACKSON: If he has another case  
 9 like that and you want to explore that with  
 10 him, fine. Any other cases at any time at  
 11 the Cleveland Clinic with those  
 12 allegations, I don't think that's a fair  
 13 question and I don't think he has to answer  
 14 that.  
 15 As it relates to him, if you want to  
 16 ask that question, I will let him answer  
 17 that question.  
 18 Q. It relates to you, doctor, but not only  
 19 directly against you, but as to your residents or  
 20 surgeons or senior, junior resident, as well.  
 21 A. I have no other cases.  
 22 Q. Okay. And you are not aware of any past  
 23 cases where there has been an allegation by anyone  
 24 that either a junior or a senior resident or some  
 25 other attending other than yourself failed to

Page 72

1 timely respond to a situation suggestive of  
 2 bleeding and timely took the patient back to the  
 3 operating room?  
 4 MR. JACKSON I will object to  
 5 that. If you can answer such a question,  
 6 go ahead, doctor.  
 7 A. I am not aware of that.  
 8 MR. BECKER: That's all I have for  
 9 you, doctor. Thank you for your time.  
 10 MR. JACKSON: He will read it.  
 11 (Deposition concluded at 10:00  
 12 o'clock a.m.; signature not waived.)  
 13  
 14  
 15  
 16 Delos M. Cosgrove, M.D.  
 17  
 18  
 19  
 20  
 21  
 22  
 23  
 24  
 25


## 1 CERTIFICATE

2 state of Ohio, )  
3 County of Cuyahoga.) SS:

4  
5 I, Vivian L. Gordon, a Notary Public within  
and for the State of Ohio, duly commissioned and  
6 qualified, do hereby certify that the within named  
DELOS M. COSGROVE, M.D. Was by me first duly sworn  
7 to testify to the truth, the whole truth and  
nothing but the truth in the cause aforesaid; that  
8 the testimony as above set forth was by me reduced  
to a typewritten transcript and that he  
9 foregoing is a true and correct transcription of  
the testimony.

10 I do further certify that this deposition  
11 was taken at the time and place specified and was  
completed without adjournment; that I am not a  
12 relative or attorney for either party or otherwise  
interested in the event of this action.

13 IN WITNESS WHEREOF, I have hereunto set my  
14 hand and affixed my seal of office at Cleveland,  
Ohio, on this 18th day of February, 1999.

15 

16 Vivian L. Gordon, Notary Public  
17 Within and for the State of Ohio

18 My commission expires May 22, 1999.  
19  
20  
21  
22  
23  
24  
25

<b>-1-</b>			320 [1] 15:11	acceptable [3] 53:25	47:13 47:17	attempt [1] 3:21
'96 [1] 12:10			321518 [1] 1:5	54:12 54:13	amount [2] 54:12	attendant [1] 32:13
<b>-1-</b>			325 [1] 15:11	access [1] 69:11	54:23	attending [3] 32:6
<b>-1-</b>			35 [1] 50:2	accomplished [1] 23:23	analogous [1] 71:5	32:11 71:25
<b>-1-</b>				according [1] 20:10	anatomical [1] 9:12	attention [3] 41:15
1 [8] 9:8 9:12			<b>-4-</b>	accurate [1] 5:18	Address [1] 2:8	41:22 42:5
9:13 10:1 10:2			4 [3] 14:19 14:23	acknowledge [1] 35:16	anesthesia [4] 56:25	attorney [1] 73:12
10:25 11:3 16:11			48:9	action [1] 73:12	57:9 57:11 57:16	August [10] 4:17
10 [3] 63:2 63:6			400 [1] 48:15	actual [1] 27:13	anesthesiologist [1] 57:13	5:9 5:12 5:13
67:16			44113 [1] 2:6	actuarial [1] 70:2	anomaly [1] 13:14	7:3 13:6 13:21
100 [4] 40:5 40:13			44114 [1] 2:10	addition [1] 27:11	answer [12] 3:16	22:25 27:14 59:23
40:15 61:5			45 [1] 16:13	additional [4] 25:25	7:6 20:5 22:5	automatically [1] 63:18
10:00 [4] 31:13				26:1 30:22 48:14	34:2 58:3 70:21	available [4] 36:13
37:10 69:16 72:11			<b>-5-</b>	addressed [1] 26:24	70:24 71:7 71:13	37:12 37:13 62:22
12 [1] 67:16			5 [8] 41:10 41:14	adequately [1] 55:1	71:16 72:5	Avenue [1] 1:21
1375 [1] 2:9			41:19 45:8 55:14	adjournment [1] 73:11	answers [1] 35:1	average [3] 5:12
14,000 [1] 67:1			55:16 55:17 62:2	administration [6] 42:8 47:12 56:18	anticipated [1] 69:24	34:7 65:9
143 [1] 65:4			50 [2] 7:20 56:10	58:5 58:11 58:13	anxiety [1] 53:9	aware [5] 45:24
16 [2] 1:12 44:6			559 [2] 64:8 64:10	admitted [1] 32:15	anxious [2] 18:3	45:25 47:18 71:22
1660 [1] 2:5			579 [1] 64:13	advantage [3] 60:21	30:21	72:7
17 [1] 44:6				60:22 61:1	anyhow [1] 57:13	away [1] 44:15
18 [1] 44:6			<b>-6-</b>	advise [1] 22:3	aortic [16] 4:25	
18th [1] 73:14			60 [1] 16:13	advised [2] 39:9	7:17 8:7 13:8	<b>-B-</b>
19 [1] 44:6			60,000 [1] 12:7	56:23	15:21 15:25 16:5	B [2] 56:1 64:22
1960 [1] 12:10			600 [1] 45:4	affect [2] 42:23	16:9 16:12 17:5	bank [1] 27:11
1970 [1] 12:7			660 [1] 2:6	42:24	25:11 25:17 25:22	based [4] 31:20
1996 [8] 4:17 5:9			6:00 [2] 20:8 29:9	affixed [1] 73:14	54:3 56:9 67:8	52:20 58:17 61:12
7:3 7:19 13:6				aforesaid [1] 73:7	apologized [1] 26:17	basis [2] 19:7 35:7
13:21 14:7 59:24			<b>-7-</b>	afternoon [3] 5:18	apparent [1] 44:2	became [1] 52:13
1999 [3] 1:12 73:14			7:00 [3] 29:9 31:13	6:3 20:21	appear [2] 52:2	Becker [12] 2:3
73:18			37:10	afterwards [3] 46:20	52:5	2:4 3:7 12:25
1st [1] 31:25				66:15 73:8	APPEARANCES [1] 2:1	34:22 40:24 49:20
			<b>-8-</b>	again [5] 24:19	applies [1] 70:15	49:23 68:9 70:22
<b>-2-</b>			8-27-97 [1] 24:4	28:10 40:8 48:8	appointed [1] 32:13	71:5 72:8
2 [4] 9:23 10:1			8:00 [8] 1:22 37:10	69:10	appropriate [1] 22:2	bed [1] 69:6
10:8 44:24			57:12 58:10 60:5	against [1] 71:19	arbitrary [1] 40:15	bedside [5] 34:11
25 [2] 44:19 45:2			60:7 60:11 60:12	aggressive [1] 33:23	area [2] 63:3 63:4	56:21 56:21 60:19
2.9 [1] 45:2				ago [3] 37:24 48:17	areas [2] 28:11 28:13	69:9
20 [9] 43:22 43:24			<b>-9-</b>	51:6	arrest [2] 35:8	begin [2] 31:24
43:25 44:6 46:18			90 [1] 56:17	agree [5] 35:4 41:18	35:14	31:25
48:17 53:23 69:13			9500 [1] 1:21	42:6 46:24 57:25	arrive [2] 51:24	beginning [2] 12:6
70:1			955 [1] 64:12	agreement [1] 1:19	53:21	48:6
20's [1] 61:16			9:00 [2] 37:10 40:24	ahead [4] 18:5	arrived [1] 53:13	begun [2] 45:10
200 [1] 44:21			9:30 [5] 58:10 60:5	22:4 59:19 72:6	artery [5] 62:3	45:10
20th [1] 27:14			60:7 60:11 60:12	ahold [1] 19:3	62:8 62:9 62:19	behalf [3] 1:15
22 [2] 44:6 73:18			9th [1] 2:9	alarming [1] 56:12	articles [3] 15:3	2:3 2:7
25 [1] 11:11				albumin [4] 58:12	48:3 48:16	below [1] 56:17
250 [1] 56:11			<b>-A-</b>	61:10 61:13 61:17	aside [1] 4:5	beside [1] 69:6
27th [2] 22:25 25:2			a.m. [1] 1:22	allegation [2] 70:12	aspect [3] 26:24	best [3] 11:2 11:4
2:15 [2] 22:25 24:4			a.m. [1] 72:12	71:23	29:20 57:9	43:6
			abdominal [3] 42:25	allegations [2] 71:2	aspects [1] 57:23	better [2] 61:7
<b>-3-</b>			43:2 43:4	71:12	assess [2] 61:4	66:21
3 [4] 9:23 10:2			able [2] 61:2 69:11	almost [1] 48:16	62:24	between [16] 29:9
10:14 44:19			abnormally [1] 65:4	alone [1] 25:17	assessment [2] 60:23	31:13 36:24 42:6
30's [1] 61:14			above [3] 1:23	along [1] 25:6	61:8	44:19 45:4 56:16
300 [1] 45:4			Absolutely [1] 39:1	always [1] 57:17	62:24	58:10 58:23 59:22
310 [1] 15:11			abstracts [1] 15:10	Amicar [6] 45:6	61:8	60:4 60:7 60:11
311 [1] 15:11				45:7 45:9 45:12	assume [3] 3:23	60:14 62:18 64:2
319 [1] 15:11					22:19 36:25	big [3] 17:23 17:24
					28:23 48:22	17:24
						big-boned [1] 17:24
						bit [2] 26:4 35:25

bleed [6] 34:8 36:15 37:18 44:16 54:5 65:11	62:21 62:23 63:5 63:11 63:15 63:19 67:20	43:4 43:5 43:7 43:21 45:16 45:22 45:23 45:24 46:1 46:5 46:14 47:14 47:17 47:20 47:24 48:1 52:18 54:23 59:16 67:19 68:2 68:5 69:1 69:15	communicate [3] 18:25 19:6 19:18 communication [1] 26:18 compared [2] 33:25 40:21 compatible [2] 45:1 45:5 complete [3] 4:14 45:17 49:17 completed [1] 73:11 completely [1] 59:10 complication [22] 21:5 21:6 21:17 22:1 22:3 24:17 27:17 33:6 33:24 34:5 34:8 34:23 48:13 54:5 54:7 65:21 65:22 66:2 66:4 66:10 66:12 70:13 complications [5] 11:17 12:16 15:6 21:23 66:14 computers [1] 12:3 concern [2] 46:21 54:25 concerned [3] 47:10 51:10 68:18 concerning [1] 56:12 conclude [2] 17:9 51:13 concluded [1] 72:11 conclusion [1] 37:23 concomitant [1] 42:21 condition [3] 22:21 46:25 70:8 conditions [2] 4:11 65:14 Congenital [1] 13:13 congestion [1] 67:21 consent [1] 14:6 consider [2] 25:21 56:11 considered [4] 31:6 32:6 32:7 32:10 consistent [2] 42:11 67:25 consistently [1] 64:20 constellation [1] 65:19 contact [3] 16:23 56:16 58:4 contacted [6] 33:10 33:13 58:9 58:15 58:16 58:18 contacting [1] 21:16 contained [1] 34:18 content [1] 19:16 continually [1] 47:20 continued [1] 58:6	continuous [1] 62:21 continuously [3] 62:4 62:10 62:16 contract [1] 32:17 contraindications [1] 13:15 control [2] 26:7 26:11 controlling [1] 26:3 conversation [3] 23:1 33:8 50:25 conversations [2] 19:14 19:25 copy [2] 13:1 14:12 coronaries [2] 7:8 8:1 correct [19] 5:19 8:20 12:3 17:5 17:12 24:22 25:14 25:15 25:18 25:19 27:10 27:25 51:11 57:1 61:25 63:15 63:22 67:4 73:9 correspondence [1] 4:10 Cosgrove [11] 1:11 1:14 3:1 3:6 3:9 9:7 9:22 14:18 41:9 72:16 73:6 counsel [2] 1:15 1:20 County [2] 1:1 73:3 couple [1] 49:17 COURT [1] 1:1 covered [1] 48:25 rack [2] 37:16 59:16 racked [1] 69:15 criteria [1] 38:15 criterion [1] 13:17 critical [4] 25:10 57:23 57:24 65:15 criticism [1] 35:20 CT [2] 53:25 56:9 current [4] 3:10 14:12 15:1 70:17 curriculum [1] 14:25 curve [2] 63:3 63:4 cute [1] 60:22 Suyahoga [2] 1:1 73:3 CV [4] 14:12 48:8 48:19 49:2 CVP [2] 43:10 44:2
bleeds [1] 35:18 dew [1] 24:7 lood [31] 17:1 26:3 26:6 26:8 26:10 26:12 40:4 40:10 40:12 41:16 42:13 42:14 42:16 42:19 42:22 43:7 47:13 56:8 56:17 58:4 58:10 58:22 61:18 61:19 61:21 61:22 61:24 61:25 64:2 64:20 65:12 lown [2] 24:20 24:23 oard [1] 31:2 ook [1] 20:10 rain [2] 21:7 21:10 rewing [1] 68:19 roke [1] 24:24 ulky [1] 14:1 uratrrol [1] 56:7 Y-MR [1] 3:7 ypass [1] 16:1 16:6 16:13 16:24 24:5 27:20 28:7	cardiopulmonary [4] 16:1 16:6 16:24 27:20 cardiothoracic [4] 4:4 4:19 30:17 30:25 cardiovascular [1] 3:13 care [9] 29:21 31:15 33:15 41:19 55:6 57:14 57:20 57:23 57:24 case [9] 1:5 21:21 29:8 29:17 46:1 53:6 71:1 71:3 71:8 cases [12] 5:16 5:17 6:6 6:11 27:15 53:3 70:17 70:17 71:1 71:10 71:21 71:23 category [2] 8:25 13:18 atheter [2] 43:11 63:18 aused [3] 17:14 23:15 35:14 aved [1] 13:13 avity [1] 42:25 43:2 43:4 43:5 43:5 43:7 c's [4] 44:21 45:4 63:2 63:6 ells [1] 61:24 ertain [2] 63:14 67:3 ertainly [2] 23:23 45:1 CERTIFICATE [1] 73:1	chief [10] 29:24 30:6 30:9 31:6 32:7 35:21 39:3 59:25 66:7 66:20 choose [2] 18:18 52:23 chose [1] 53:7 Chris [18] 20:7 21:2 21:22 22:7 22:20 22:23 22:25 23:7 23:8 23:13 24:3 24:16 25:2 25:3 25:9 26:15 28:14 64:17 Christopher [5] 1:3 18:20 18:23 19:15 19:24 circuit [1] 16:24 City [2] 30:20 33:3 Civil [1] 3:3 clerical [2] 62:5 62:7 Cleveland [19] 1:6 1:20 1:21 2:6 2:10 3:11 4:9 15:4 22:23 30:14 30:17 30:19 30:23 31:1 31:5 32:2 46:16 71:11 73:14 Clinic [16] 1:6 1:20 3:11 4:9 14:5 30:14 30:17 30:19 30:23 31:1 31:5 32:2 46:17 55:5 70:14 71:11 Slinic's [1] 15:4 lose [2] 37:1 49:23 losed [1] 32:14 losely [1] 66:22 loser [1] 49:15 loses [1] 27:2 lot [1] 48:1 lotting [1] 47:16 oagulopathy [1] 16:20 column [2] 41:23 62:3 oming [6] 4:8 31:1 47:14 54:23 59:8 59:20 ommencing [1] 1:22 omments [1] 48:10 ommission [1] 73:18 ommissioned [1] 73:5 ZOMMON [1] 1:1	-C- 'ABG [1] 7:15 alculat [1] 63:3 alculating [1] 63:1 ilculation [1] 63:13 alculations [2] 52:24 63:12 ampus [1] 31:22 mdidates [1] 13:7 innot [1] 32:15 innulate [1] 27:1 innulize [1] 16:25 ur [1] 39:15 urdiac [32] 5:3 5:14 16:12 21:7 5:2 35:5 35:11 8:2 38:17 38:19 0:2 42:11 42:12 3:12 44:17 44:18 4:22 45:5 46:24 7:8 52:17 56:2 2:15 62:19 62:20 certified [1] 3:4 certify [2] 73:6 73:10 cetera [1] 12:17 Chairman [1] 3:12 challenge [1] 25:25 chance [1] 63:20 charge [1] 55:4 chart [12] 4:3 4:5 4:6 4:12 4:14 7:1 29:11 29:18 31:20 44:1 58:18 61:12 charted [5] 62:4 62:10 62:14 62:16 64:6 checked [1] 39:23 chest [37] 5:1 9:13 13:13 14:1 23:14 23:15 27:7 36:22 37:17 37:17 42:16 42:21 42:23	-D- D [2] 56:4 64:13 lad [5] 24:5 24:19 25:4 25:10 35:11

dad's [3] 20:8	19:17	9:18	19:11 73:12	failed [1] 71:25
21:25 64:19	difficulties [2] 23:16	drop [2] 64:2 67:10	evidence [1] 52:17	fair [7] 3:24 3:25
data [5] 11:15 12:20	27:8	drug [3] 56:1 59:1	Exactly [2] 19:2	17:9 33:20 51:13
12:22 27:11 63:14	difficulty [3] 21:2	59:3	32:8	62:6 71:12
date [1] 1:23	26:1 26:16	dry [1] 27:2	exam [1] 24:1	fairly [1] 55:4
days [3] 21:24 21:25	Diplomate [1] 1:18	due [1] 67:5	examination [3] 1:16 3:2 3:6	fall [2] 8:25 13:18
34:22	direct [1] 42:15	duly [3] 3:3 73:5	examine [1] 28:11	familiar [2] 13:11
'decade [1] 37:24	directly [1] 71:19	73:6	examined [1] 68:15	70:16
decannulate [1] 27:1	disagree [1] 71:6	during [4] 6:23	example [3] 27:14	family [6] 18:14
decides [1] 69:9	disappointed [1] 59:7	18:12 27:3 42:7	56:1 66:19	19:10 21:16 21:16
decision [5] 39:2	discuss [2] 33:16	duties [2] 32:20	excavatum [1] 13:10	22:3 22:14
51:16 51:19 59:11	38:11	duty [4] 27:25 28:1	exclusion [1] 13:17	far [8] 12:5 13:16
66:8	discussed [1] 18:10	33:22 57:13	excuse [3] 8:2	26:21 27:8 36:24
decision-making [1] 65:17	discussion [5] 18:13		44:14 48:12	37:9 41:6 41:23
decompressed [1] 27:21	25:6 38:16 49:21	-E-	exhibit [21] 9:8	fast [1] 59:20
decrease [1] 54:17	50:10	E [4] 2:9 44:13	9:12 9:13 9:15	father [3] 21:24
Defendant [2] 1:7	iiistal [1] 52:14	44:15 56:4	10:2 10:8 10:16	23:8 23:15
2:7	iiistinction [1] 62:18	early [1] 34:12	10:25 11:3 14:19	father's [1] 22:21
definitely [1] 58:19	ivision [1] 22:17	easier [1] 9:20	14:23 16:11 41:10	faxes [2] 4:10
definition [1] 7:15	ivision [1] 22:17	echoes [1] 36:1	41:14 41:18 45:8	4:11
degree [1] 26:1	ivision [1] 22:17	effect [6] 6:19	48:9 55:14 55:16	February [2] 1:12
delay [1] 70:13	ivision [1] 22:17	42:15 47:13 61:17	55:17 62:2	73:14
delineate [3] 8:9	ivision [1] 22:17	61:19 61:20	exhibits [4] 9:23	fellows [1] 4:24
32:24 60:24	ivision [1] 22:17	either [6] 33:10	10:1 10:21 11:3	felt [1] 22:7
Delos [7] 1:11	ivision [1] 22:17	53:11 54:25 70:17	spect [20] 4:11	figure [1] 23:3
1:14 3:1 3:6	ivision [1] 22:17	71:24 73:12	31:11 31:14 38:3	film [6] 45:16 46:5
3:9 72:16 73:6	ivision [1] 22:17	elevated [1] 67:10	38:24 39:11 45:11	67:19 68:4 68:5
demonstrated [1] 10:24	ivision [1] 22:17	eligible [1] 31:2	51:15 54:17 55:9	69:1
department [6] 3:12	ivision [1] 22:17	employment [1] 32:22	56:14 56:15 58:3	Finally [1] 26:17
4:4 4:20 22:17	ivision [1] 22:17	end [1] 49:15	58:9 58:20 62:9	fine [2] 7:14 71:10
59:5 68:3	ivision [1] 22:17	endotracheal [1] 45:22	62:15 67:9 67:13	Finish [3] 26:22
depend [1] 39:12	ivision [1] 22:17	enlarged [2] 25:11	69:22	39:18 50:7
depending [1] 67:17	ivision [1] 22:17	ensure [1] 68:20	expectancy [2] 69:24	Finished [1] 29:7
depicted [1] 16:11	ivision [1] 22:17	entire [1] 44:8	70:9	first [9] 3:3 21:4
deposed [2] 3:4	ivision [1] 22:17	entry [1] 11:15	expectations [1] 54:10	24:13 28:18 36:16
56:24	ivision [1] 22:17	Epinephrine [1] 59:4	experience [7] 12:13	41:18 41:23 56:15
deposition [13] 1:11	ivision [1] 22:17	equal [4] 34:4	15:5 18:6 30:23	73:6
1:14 3:14 4:2	ivision [1] 22:17	44:4 44:9 44:12	32:10 58:17 67:3	Five [3] 37:8 43:23
6:24 6:25 9:7	ivision [1] 22:17	equalization [2] 43:10 44:2	expertise [1] 31:4	46:17
9:22 14:18 41:9	ivision [1] 22:17	error [4] 62:5 62:7	expires [1] 73:18	Fixed [1] 28:8
48:6 72:11 73:10	ivision [1] 22:17	63:11 63:21	explain [3] 26:4	flew [1] 22:23
describe [1] 8:11	ivision [1] 22:17	ESQ [4] 2:4 2:4	29:23 32:9	floor [3] 36:5 47:2
description [1] 38:13	ivision [1] 22:17	essentially [3] 13:16	explanation [1] 22:19	69:4
designed [1] 17:10	ivision [1] 22:17	30:16 44:4	explore [2] 17:18	flow [4] 34:19 41:19
developing [3] 42:12	ivision [1] 22:17	establish [1] 50:13	71:9	42:21 60:25
43:13 47:8	ivision [1] 22:17	established [1] 53:2	exposure [2] 11:2	fluid [3] 43:1 43:3
development [3] 5:3 43:15 44:16	ivision [1] 22:17	estimate [3] 7:19	11:4	54:23
diagnosing [1] 65:11	ivision [1] 22:17	11:10 69:7	eyeball [1] 61:2	Focus [1] 49:4
diagnosis [1] 37:20	ivision [1] 22:17	et [1] 12:17		Following [1] 22:24
diagnostic [2] 36:17	ivision [1] 22:17	etc [1] 1:3	-F-	Follows [1] 3:5
47:7	ivision [1] 22:17	Euclid [1] 1:21	F [2] 45:10 55:18	Foregoing [1] 73:9
diastolic [2] 43:11	ivision [1] 22:17	evening [5] 31:22	face-to-face [1] 19:7	form [1] 14:6
44:3	ivision [1] 22:17	51:4	fact [15] 20:24 22:10	formal [1] 13:2
difference [1] 59:22	ivision [1] 22:17	event [3] 4:25	25:16 25:17 25:20	former [1] 13:25
different [5] 8:5	ivision [1] 22:17		25:24 35:4 39:5	forth [2] 1:23 73:8
9:14 9:16 21:20	ivision [1] 22:17		45:6 52:6 54:22	forward [1] 38:3
65:14	ivision [1] 22:17		60:7 65:21 66:1	Found [3] 37:19
difficult [5] 16:25	ivision [1] 22:17		66:7	39:6 52:12
18:25 19:3 19:6	ivision [1] 22:17		factor [1] 66:12	Foundation [3] 1:6
	ivision [1] 22:17		factors [1] 38:23	1:21 3:11
	ivision [1] 22:17		faculty [2] 30:21	four [4] 5:16 5:17
	ivision [1] 22:17		30:24	6:5 6:11

frankly [1] 51:6	24:20 28:10 65:3	III [2] 2:8 3:9	5:10 8:2 73:12	12:15 12:16 40:4				
free [1] 7:1	65:5 65:23	immediate [1] 53:24	intermittent [1] 62:20	40:9				
frequently [1] 20:16	help [2] 55:25 57:15	immediately [3] 24:4 38:4 62:25	Intermittently [2] 36:3 62:17	kept [1] 12:4				
Friday [1] 21:24	hematocrit [1] 61:14	important [2] 3:17	intermixed [1] 49:10	kind [7] 5:6 10:12				
front [3] 4:14 14:12	hemodynamically [1] 54:9	in-house [7] 31:9	interpret [1] 46:9	11:14 16:7 19:20				
full [2] 3:8 15:16	hereby [1] 73:6	31:11 36:11 36:13	interpretation [3] 45:25 61:12 67:23	40:10 42:3				
fully [2] 3:23 59:18	herein [2] 3:1	60:4 60:8 60:9	interpreted [1] 67:20	<b>KINKOPF-ZAJAC</b>				
function [2] 47:11	hereinafter [1] 3:4	inaccurate [1] 40:1	interspace [1] 10:5	[1] 2:9				
<b>-G-</b>								
Ganz [2] 43:11 44:3	hereunto [1] 73:13	inartfully [1] 3:20	intraoperative [14] 33:24 36:15 44:16	knew [1] 13:21				
general [1] 43:9	Hernandez [4] 59:23	Incidentally [1] 6:23	48:11 48:12 54:4	knowledge [9] 4:24				
generalize [2] 10:21	60:2 60:5 60:9	incision [10] 9:14	54:7 65:22 66:2	6:18 26:9 30:12				
generally [11] 5:15	high [3] 26:6 53:8	10:4 10:10 10:15	66:3 66:4 66:10	32:18 32:23 33:2				
16:25 21:19 26:6	higher [1] 13:22	10:19 10:20 10:24	66:11 66:14	41:23 43:6				
26:25 36:16 37:12	hired [1] 32:15	16:10 18:4 18:18	intraoperatively [3] 34:6 64:19 67:3	<b>known [1] 43:20</b>				
46:10 54:1 61:15	history [4] 13:9	incisions [3] 8:10	intricate [1] 27:8	<b>-L-</b>				
69:13	13:20 17:14 70:8	include [1] 57:4	invasive [33] 7:4	L [8] 1:17 44:22	1:17 44:22	44:23 62:5	62:7 73:5 73:17	
generate [3] 19:12	holding [1] 7:22	increase [3] 54:22 56:19	7:11 7:15 7:21	44:22 44:23 62:5	62:7 73:5 73:17			
generated [1] 53:10	home [11] 29:8	increased [5] 14:2	7:23 8:5 8:6	largest [1] 23:9				
given [8] 18:15	29:12 31:9 31:13	17:15 34:6 40:13	8:16 9:1 11:7	last [2] 13:11 29:8				
34:4 58:4 58:10	31:18 39:15 40:18	65:20	12:6 12:9 12:13	late [1] 51:3				
58:22 61:10 61:13	51:16 53:18 53:18	increases [1] 56:11	12:21 12:22 12:23	law [5] 5:24 6:14				
good [2] 44:25 70:4	53:21	independent [1] 66:17	13:7 13:19 13:22	6:16 6:17 6:18				
Gordon [3] 1:17	homograft [5] 28:16	index [3] 44:22 44:24	14:3 14:6 14:10	learn [2] 21:4 21:23				
73:5 73:17	28:19 69:22 70:3	indicate [2] 3:22	15:5 15:14 15:21	learned [2] 21:9				
greater [1] 16:19	homografts [2] 28:22	15:19	15:25 17:1 17:4	least [1] 70:4				
gross [1] 9:12	40:9	indicated [1] 10:17	17:11 17:15 54:4	leave [1] 53:21				
gFound [1] 3:15	<b>hook [1] 26:17</b>	indicates [1] 26:15	67:9 70:15	leaving [1] 66:18				
guess [2] 44:10	hooked [1] 65:23	indication [1] 43:25	isolated [1] 13:9	length [2] 18:5				
48:9	hospital [7] 4:12	indicative [2] 43:12	issue [3] 70:11 70:19	32:22				
guidelines [1] 5:7	4:14 39:16 47:3	54:24	70:24	lesion [1] 67:17				
guy [2] 17:23 66:21	53:7 53:13 66:18	indirect [1] 61:20	itself [2] 26:21 27:9	less [6] 11:11 11:11				
<b>-H-</b>					15:14 15:24 40:4	50:1		
H [7] 42:6 44:12	hospital's [1] 4:6	individuals [1] 21:20	<b>-J-</b>		letter [1] 32:19			
56:16 58:23 60:14	<b>hour [4] 44:21 45:4</b>	inflation [1] 45:20	JACKSON [21] 2:8	letters [3] 41:24	41:25 55:18			
60:15 64:2	53:25 67:14	informed [1] 14:6	9:16 10:18 13:4	level [2] 53:8 61:4				
half [3] 6:11 8:3	hours [3] 46:19	infusion [1] 55:23	14:14 22:4 34:1	Levophed [1] 64:7				
64:16	54:18 67:16	infusions [1] 55:20	34:20 40:25 41:6	life [4] 25:4 45:1				
hand [3] 12:4 41:13	house [5] 29:24	INGRID [1] 2:9	49:6 49:13 50:3	69:24 70:9				
73:14	30:4 36:12 68:21	initial [1] 46:19	50:7 66:23 68:7	life-threatening [1] 46:25				
handing [2] 9:11	68:22	inject [2] 63:2	70:20 70:23 71:8	likely [1] 48:21				
hard [2] 19:21 24:6	hundred [1] 11:11	injects [1] 63:6	72:4 72:10	limiting [1] 20:5				
head [2] 55:11 55:14	hypertensive [1] 52:13	injury [2] 21:8	James [3] 17:19	line [5] 42:3 44:22				
heard [1] 5:15	hypotension [2] 5:2 5:3	21:10	21:5 23:8	44:23 52:14 62:7				
heart [23] 15:23	hypotensive [2] 42:9 52:12	inner [1] 10:11	JEANNE [1] 2:4	lines [4] 25:7 40:11				
15:24 24:6 24:6	Hypothetically [1] 38:9	inspect [1] 27:22	John [3] 2:8 12:25	42:6 46:22				
24:8 25:11 25:12	hypovolemic [2] 61:23 61:25	instantly [1] 67:12	71:6	list [1] 11:25				
25:16 25:20 25:24	<b>-I-</b>		journals [1] 15:9	listening [1] 60:24				
26:2 26:5 27:21	idea [2] 20:3 37:14	instructing [1] 71:7	judge [1] 66:13	live [1] 25:5				
28:4 28:5 28:5	identification [4] 9:9 9:24 14:20	instruments [2] 17:4	judgment [1] 54:15	location [2] 28:25				
36:2 36:16 39:22	41:11	intake [1] 55:20	July [1] 31:25	36:24				
64:19 67:1 68:1	identifies [1] 42:3	intensive [3] 41:19	junior [13] 29:24	<b>Long's [14] 17:13</b>				
heart/lung [6] 24:5	identify [2] 14:23	57:14 57:20	30:1 30:3 35:21	18:20 20:17 23:14				
	15:8	intensivist [3] 57:3	39:3 40:21 59:25	23:15 29:20 32:4				
		57:19 57:21	60:2 66:7 66:20	33:6 34:18 35:10				
		interested [4] 4:17	68:23 71:20 71:24	64:2 67:19 69:15				
			<b>-K-</b>		70:7			
			Kansas [2] 30:20					
			33:2					
			keep [6] 11:14 12:12					

16:20 65:9 <b>look</b> [4] 7:1 41:16 45:20 68:25 looking [7] 5:13 7:7 12:16 38:15 45:8 49:14 62:25 <b>looks</b> [2] 45:10 49:14 <b>loose</b> [1] 24:25 <b>loosening</b> [1] 27:23 <b>low</b> [10] 38:17 38:18 38:19 38:19 42:13 42:14 42:19 45:5 58:4 61:16 <b>lungs</b> [1] 45:20	67:5 67:5 67:17 71:2 73:18 <b>mean</b> [10] 5:25 10:18 13:12 19:1 19:20 24:24 41:16 55:25 64:5 67:22	Muellbach [16] 30:11 30:12 30:16 31:22 31:24 33:10 34:17 39:18 50:17 50:19 52:24 53:11 53:18 56:22 59:23 60:4 <b>multiple</b> [1] 40:10 <b>muscle</b> [5] 17:24 25:12 25:20 25:24 26:2 <b>muscles</b> [1] 26:6	55:16 55:18 63:19 64:11 65:14 <b>numbers</b> [2] 48:10 60:25 <b>nurse</b> [17] 33:11 40:21 55:3 55:4 55:8 55:10 55:11 55:14 56:15 58:3 60:24 62:7 63:8 63:10 63:15 63:23 64:17 <b>nurses</b> [7] 4:24 35:22 36:10 47:19 62:10 62:16 63:21 <b>nursing</b> [1] 34:17	56:21 56:21 59:25 59:25 61:5 63:1 65:12 65:15 66:20 69:19 70:3 <b>one's</b> [1] 61:17 <b>ones</b> [1] 48:5 <b>Open</b> [3] 26:25 36:22 69:15 <b>opened</b> [1] 52:18 <b>opening</b> [1] 20:21 <b>operate</b> [4] 34:11 36:10 52:24 69:10 <b>operated</b> [2] 11:16 13:19 <b>operating</b> [15] 6:8 36:21 36:25 37:5 38:5 38:14 45:13 51:18 51:20 51:24 52:2 52:5 53:22 70:14 72:3 <b>operation</b> [9] 6:10 16:7 18:10 26:23 27:1 29:7 52:9 54:2 54:10 <b>operations</b> [2] 6:8 67:1 <b>operative</b> [1] 29:5 <b>opinion</b> [6] 35:7 35:14 63:25 69:14 69:19 71:3 <b>opportunity</b> [1] 61:6 <b>options</b> [1] 18:15 <b>order</b> [5] 39:21 39:22 40:3 59:6 63:6 <b>organizing</b> [1] 37:9 <b>organs</b> [3] 15:15 15:17 15:22 <b>orientation</b> [2] 55:6 55:8 <b>otherwise</b> [3] 3:22 15:20 73:12 <b>output</b> [17] 38:17 38:19 38:19 44:21 45:3 45:5 56:2 62:15 62:19 62:20 62:22 62:23 63:5 63:12 63:16 63:19 65:13 <b>oversees</b> [1] 55:12 <b>own</b> [1] 42:22	
<b>-M-</b>		<b>-N-</b>		<b>-O-</b>	
<b>M</b> [18] 1:11 1:14 2:4 3:1 3:6 42:6 44:13 44:13 44:15 56:16 58:23 60:14 60:15 62:5 62:8 64:3 72:16 73:6 <b>M.D</b> [6] 1:11 1:14 3:1 3:6 72:16 73:6 <b>nachine</b> [10] 24:20 28:10 62:25 63:13 63:15 63:19 63:23 65:4 65:5 65:24 <b>nain</b> [2] 4:6 38:23 <b>nan</b> [4] 13:25 17:24 17:24 23:9 <b>nanage</b> [1] 48:11 <b>nanaged</b> [3] 4:21 33:15 35:22 <b>nanagement</b> [1] 29:16 <b>nanages</b> [1] 57:9 <b>nanaging</b> [3] 33:21 37:25 66:19 <b>naneuver</b> [1] 63:22 <b>nanner</b> [1] 42:24 <b>Marinelli</b> [1] 64:18 <b>nark</b> [1] 9:13 <b>nark'd</b> [4] 9:8 9:23 14:19 41:10 <b>narked</b> [6] 9:11 10:1 14:22 41:14 48:9 55:13 <b>Marshall</b> [1] 3:9 <b>nass</b> [4] 17:24 17:25 23:14 23:15 <b>nassive</b> [1] 27:6 <b>nassively</b> [1] 14:1 <b>natter</b> [2] 48:25 67:18 <b>naximum</b> [2] 5:22 6:5 <b>nay</b> [13] 16:1 20:4 20:11 34:2 46:18	medical/legal [1] 70:17 <b>Medicare</b> [1] 6:17 <b>medication</b> [1] 26:10 <b>medicine</b> [1] 26:7 <b>meet</b> [1] 19:10 <b>meeting</b> [3] 24:3 25:2 25:9 <b>member</b> [4] 19:10 21:16 21:16 22:3 <b>met</b> [1] 22:24 <b>MICHAEL</b> [1] 2:4 <b>mid</b> [1] 61:14 <b>middle</b> [2] 51:4 55:17 <b>might</b> [5] 20:2 46:13 49:5 68:19 70:5 <b>Mike</b> [1] 49:14 <b>milk</b> [2] 47:20 47:23 <b>minimally</b> [33] 7:4 7:10 7:14 7:20 7:23 8:4 8:6 8:16 9:1 11:7 12:6 12:9 12:13 12:21 12:22 12:23 13:7 13:19 13:22 14:3 14:6 14:9 15:5 15:14 15:21 15:25 17:1 17:4 17:11 17:15 54:3 67:8 70:15 <b>minutes</b> [12] 16:13 37:3 37:8 41:5 49:18 50:2 53:21 53:23 65:4 69:8 69:12 69:13 <b>Mishkind</b> [1] 2:3 <b>misnomer</b> [1] 7:10 <b>mix</b> [1] 12:21 <b>Monday</b> [1] 20:19 <b>monitored</b> [1] 55:9 <b>months</b> [1] 67:18 <b>morning</b> [4] 5:17 6:2 21:11 21:12 <b>most</b> [1] 31:25 <b>mostly</b> [1] 57:9 <b>moved</b> [3] 20:17 20:19 20:24	<b>name</b> [7] 3:8 11:20 11:23 12:15 12:19 52:2 64:18 <b>named</b> [1] 73:6 <b>nasogastric</b> [1] 45:21 <b>necessary</b> [4] 22:7 22:11 31:15 40:22 <b>need</b> [7] 12:2 13:2 17:3 36:9 48:14 50:1 69:9 <b>needed</b> [1] 68:15 <b>needs</b> [1] 66:9 <b>new</b> [3] 28:25 55:2 55:4 <b>next</b> [5] 21:11 21:12 45:2 49:17 50:1 <b>night</b> [4] 23:2 23:24 37:11 50:14 <b>nitroprusside</b> [1] 56:3 <b>none</b> [1] 47:15 <b>normal</b> [3] 64:16 67:11 68:2 <b>normally</b> [1] 59:15 <b>Notary</b> [3] 1:18 73:5 73:17 <b>note</b> [1] 62:2 <b>noted</b> [1] 67:21 <b>notes</b> [3] 19:12 49:15 50:24 <b>nothing</b> [1] 73:7 <b>notified</b> [1] 56:21 <b>otify</b> [1] 22:20 <b>otoriously</b> [1] 40:1 <b>otwithstanding</b> [3] 42:7 56:17 58:5 <b>ow</b> [32] 5:9 6:14 8:4 8:19 10:1 10:16 13:6 13:18 17:3 20:17 20:20 21:2 23:24 24:23 25:20 26:21 27:17 29:7 30:12 31:9 40:3 42:14 45:6 47:12 49:24 51:6 55:3 56:8 56:14 61:10 62:2 68:22 <b>number</b> [15] 5:22 10:14 11:24 15:8 19:24 21:19 38:25 40:16 41:14 44:5	<b>o'clock</b> [5] 1:22 20:9 40:24 57:12 72:12 <b>oath</b> [1] 3:17 <b>object</b> [4] 22:4 34:1 66:23 72:4 <b>observable</b> [1] 62:25 <b>observed</b> [2] 4:21 69:17 <b>obtained</b> [1] 63:5 <b>obviously</b> [3] 17:3 51:10 59:1 <b>xcasionally</b> [1] 64:20 <b>xclusion</b> [1] 16:12 <b>occurred</b> [2] 28:15 28:19 <b>occurring</b> [1] 38:3 <b>off</b> [9] 12:3 24:5 28:9 49:20 49:21 50:10 60:25 63:23 65:23 <b>office</b> [3] 2:5 4:9 73:14 <b>officer</b> [1] 68:22 <b>officers</b> [1] 68:21 <b>offices</b> [1] 1:20 <b>often</b> [1] 37:14 <b>Ohio</b> [10] 1:1 1:19 1:21 2:6 2:10 3:2 73:2 73:5 73:14 73:17 <b>Ombudsman</b> [3] 22:8 22:14 22:16 <b>once</b> [6] 13:18 24:5 24:19 29:7 40:7 69:8 <b>one</b> [43] 7:11 7:14 9:18 9:20 10:16 10:18 10:20 11:1 11:4 12:23 13:18 14:9 14:14 16:15 16:16 16:19 20:16 20:17 24:7 28:25 33:25 34:25 36:4 38:25 39:6 39:18 42:19 45:2 45:2 46:18 47:4 52:16	56:21 56:21 59:25 59:25 61:5 63:1 65:12 65:15 66:20 69:19 70:3 <b>one's</b> [1] 61:17 <b>ones</b> [1] 48:5 <b>Open</b> [3] 26:25 36:22 69:15 <b>opened</b> [1] 52:18 <b>opening</b> [1] 20:21 <b>operate</b> [4] 34:11 36:10 52:24 69:10 <b>operated</b> [2] 11:16 13:19 <b>operating</b> [15] 6:8 36:21 36:25 37:5 38:5 38:14 45:13 51:18 51:20 51:24 52:2 52:5 53:22 70:14 72:3 <b>operation</b> [9] 6:10 16:7 18:10 26:23 27:1 29:7 52:9 54:2 54:10 <b>operations</b> [2] 6:8 67:1 <b>operative</b> [1] 29:5 <b>opinion</b> [6] 35:7 35:14 63:25 69:14 69:19 71:3 <b>opportunity</b> [1] 61:6 <b>options</b> [1] 18:15 <b>order</b> [5] 39:21 39:22 40:3 59:6 63:6 <b>organizing</b> [1] 37:9 <b>organs</b> [3] 15:15 15:17 15:22 <b>orientation</b> [2] 55:6 55:8 <b>otherwise</b> [3] 3:22 15:20 73:12 <b>output</b> [17] 38:17 38:19 38:19 44:21 45:3 45:5 56:2 62:15 62:19 62:20 62:22 62:23 63:5 63:12 63:16 63:19 65:13 <b>oversees</b> [1] 55:12 <b>own</b> [1] 42:22	
<b>-P-</b>		<b>-P-</b>		<b>-P-</b>	
<b>p.m</b> [4] 37:10 60:5 60:7 69:16 <b>p.m.</b> [2] 29:9 31:13 <b>packed</b> [1] 61:24 <b>Pam</b> [1] 64:18 <b>part</b> [1] 49:2 <b>particular</b> [9] 21:21 29:1 33:6 43:16 44:10 49:1 52:22 59:7 63:21 <b>particularly</b> [1] 41:15		<b>p.m</b> [4] 37:10 60:5 60:7 69:16 <b>p.m.</b> [2] 29:9 31:13 <b>packed</b> [1] 61:24 <b>Pam</b> [1] 64:18 <b>part</b> [1] 49:2 <b>particular</b> [9] 21:21 29:1 33:6 43:16 44:10 49:1 52:22 59:7 63:21 <b>particularly</b> [1] 41:15		<b>p.m</b> [4] 37:10 60:5 60:7 69:16 <b>p.m.</b> [2] 29:9 31:13 <b>packed</b> [1] 61:24 <b>Pam</b> [1] 64:18 <b>part</b> [1] 49:2 <b>particular</b> [9] 21:21 29:1 33:6 43:16 44:10 49:1 52:22 59:7 63:21 <b>particularly</b> [1] 41:15	



<p> <b>particulars</b> [1] 54:16  <b>party</b> [1] 73:12  last [1] 71:22  <b>patient</b> [53] 4:5  4:8 4:20 5:1  11:16 11:24 11:24  13:16 13:23 20:24  21:18 31:15 33:6  33:14 33:18 33:23  34:7 34:11 35:18  35:23 36:2 36:14  36:16 37:4 38:4  39:10 40:17 42:14  45:11 45:17 46:13  51:17 54:8 56:9  57:8 57:11 57:16  57:23 58:21 59:8  59:12 59:18 60:6  60:10 60:19 60:24  61:3 63:1 66:8  67:8 69:10 70:12  72:2  <b>patient's</b> [6] 11:20  13:20 22:13 37:17  42:22 69:1  <b>patients</b> [12] 5:22  6:7 12:8 13:6  17:19 33:22 39:7  39:22 45:7 46:18  57:20 66:20  <b>ectus</b> [1] 13:10  <b>ending</b> [1] 70:18  <b>people</b> [6] 26:5  33:21 33:22 56:20  61:15 66:13  <b>er</b> [11] 5:11 5:12  5:16 5:23 5:24  6:6 6:12 44:21  47:6 53:25 61:19  <b>ercent</b> [2] 7:20  46:17  <b>ercentage</b> [5] 7:3  7:8 7:9 7:22  46:13  <b>erform</b> [1] 17:4  <b>erformed</b> [1] 23:10  <b>erfusion</b> [1] 61:4  <b>ericarditis</b> [1] 13:10  <b>eriod</b> [9] 16:5  22:2 42:7 44:8  44:14 45:15 53:24  55:9 67:7  <b>eripheral</b> [1] 67:21  <b>ermits</b> [1] 11:4  <b>ermitted</b> [1] 40:12  <b>ersistent</b> [1] 38:21  <b>erson</b> [9] 18:7  18:8 22:8 23:1  31:22 55:4 55:22  56:25 59:8  <b>ersonal</b> [1] 61:7  <b>ersonnel</b> [1] 70:14  <b>hone</b> [6] 18:7  20:7 33:11 50:25  51:3 61:8 </p>	<p> phrase [2] 20:13  24:23  <b>phrased</b> [1] 3:20  <b>physical</b> [4] 17:14  23:25 36:24 60:23  <b>Physically</b> [1] 37:6  <b>physician</b> [1] 57:22  <b>pick</b> [1] 40:16  <b>pioneers</b> [1] 14:9  <b>place</b> [4] 24:19  40:19 51:3 73:11  <b>Placed</b> [1] 27:20  <b>placement</b> [2] 45:21  45:21  <b>Plaintiff</b> [2] 1:16  2:3  <b>Plaintiff's</b> [3] 9:12  14:23 41:14  <b>Plaintiffs</b> [1] 1:4  <b>played</b> [1] 66:7  <b>PLEAS</b> [1] 1:1  <b>Pledgets</b> [1] 29:4  <b>point</b> [2] 34:20 51:23  <b>points</b> [1] 44:15  <b>policy</b> [2] 4:19  4:23  <b>poor</b> [3] 26:18 37:19  37:20  <b>portable</b> [1] 36:4  <b>position</b> [2] 3:10  35:1  <b>possibility</b> [1] 49:16  <b>possible</b> [3] 20:14  23:6 23:12  <b>post</b> [1] 56:9  <b>post-op</b> [6] 29:16  47:9 53:24 57:8  65:21 69:1  <b>postoperative</b> [14] 29:21 33:14 34:7  34:18 40:3 44:8  45:14 46:1 48:2  48:13 57:5 65:11  67:7 67:19  <b>postoperatively</b> [7] 4:21 5:1 26:13  33:21 38:1 40:18  70:12  <b>potential</b> [1] 16:19  <b>pouring</b> [1] 23:2  <b>practice</b> [3] 19:12  26:22 39:21  <b>prefer</b> [1] 49:23  <b>preference</b> [2] 50:4  50:6  <b>preparation</b> [1] 4:1  <b>prepare</b> [1] 59:18  <b>present</b> [4] 18:12  25:25 27:7 52:6  <b>pressors</b> [6] 42:8  56:18 56:19 58:6  58:7 58:12 </p>	<p> <b>pressure</b> [27] 26:3  26:8 26:10 26:12  40:4 40:10 40:12  41:16 42:13 42:15  42:19 42:22 42:25  43:2 43:6 43:8  43:11 44:3 56:17  61:18 61:19 61:21  61:22 61:25 64:2  65:13 67:10  <b>pressures</b> [5] 26:7  58:5 58:10 58:22  67:12  <b>previously</b> [1] 16:11  <b>Primarily</b> [1] 13:9  <b>probability</b> [1] 64:1  <b>problem</b> [7] 4:10  23:22 28:14 38:11  66:21 68:14 68:16  <b>problems</b> [2] 16:20  28:21  <b>procedure</b> [5] 3:3  23:10 23:23 45:14  65:6  <b>procedures</b> [2] 28:16  51:15  <b>process</b> [1] 65:17  <b>production</b> [1] 13:3  <b>prognosis</b> [1] 37:20  <b>Prolong</b> [1] 69:25  <b>prominent</b> [1] 67:20  <b>pronounce</b> [1] 22:15  <b>proposition</b> [1] 43:9  <b>provided</b> [1] 3:2  <b>PT</b> [1] 39:23  <b>PTT</b> [1] 39:23  <b>Public</b> [3] 1:18  73:5 73:17  <b>publications</b> [2] 15:4 49:9  <b>published</b> [1] 48:22  <b>pull</b> [1] 27:18  <b>pulled</b> [2] 25:1  66:5  <b>pulling</b> [1] 67:6  <b>pulmonary</b> [6] 16:13  62:3 62:8 62:9  62:19 67:9  <b>pump</b> [3] 16:1  16:6 16:20  <b>pumped</b> [2] 24:6  42:16  <b>pumping</b> [1] 64:19  <b>purposes</b> [5] 9:9  9:24 12:16 14:20  41:11  <b>ursuant</b> [1] 1:19  <b>ushes</b> [2] 42:25  43:3  <b>ut</b> [6] 14:2 28:7  28:25 30:21 52:1  63:14 </p>	<p> <b>-Q-</b>  <b>qualified</b> [1] 73:6  <b>quality</b> [1] 25:4  <b>questions</b> [1] 15:20  <b>quite</b> [1] 23:13  <b>quote</b> [3] 20:10  32:6 64:25  <b>-R-</b>  <b>R</b> [1] 44:13  <b>radiology</b> [2] 67:22  68:3  <b>raise</b> [2] 61:22 61:24  <b>range</b> [1] 67:11  <b>rather</b> [2] 37:17  52:24  <b>reach</b> [2] 22:8  22:17  <b>reaching</b> [1] 26:16  <b>read</b> [4] 16:17 16:18  56:5 72:10  <b>reading</b> [3] 44:5  46:9 64:25  <b>readings</b> [1] 62:19  <b>reads</b> [2] 63:18  63:23  <b>ready</b> [2] 59:15  59:16  <b>reason</b> [5] 31:3  40:8 46:8 50:22  62:13  <b>reasonably</b> [1] 23:23  <b>recalls</b> [4] 22:25  23:7 24:16 25:3  <b>receive</b> [1] 45:12  <b>recess</b> [2] 41:4  50:12  <b>recognized</b> [2] 28:6  68:14  <b>recollection</b> [6] 17:18  17:20 20:2 29:19  52:22 53:17  <b>recollections</b> [2] 19:25 20:6  <b>recommended</b> [2] 68:3 68:13  <b>reconvene</b> [1] 49:25  <b>record</b> [8] 5:13  7:7 12:14 12:15  16:18 49:20 49:22  50:11  <b>records</b> [3] 11:15  23:2 29:12  <b>recovery</b> [11] 33:21  34:19 35:17 35:18  35:23 36:2 36:25  37:4 64:10 67:14  69:4  <b>red</b> [1] 61:24  </p>
--	---	---	--

<b>report</b> [1] 29:5	<b>round</b> [2] 5:24	<b>sheet</b> [4] 12:20 12:22	<b>SS</b> [1] 73:2	13:22 14:3 14:7
<b>Reporter</b> [1] 1:18	5:25	41:19 60:25	<b>staff</b> [6] 30:20 32:12	14:10 15:5 15:14
<b>represent</b> [2] 21:22	<b>rounds</b> [1] 69:3	<b>sheets</b> [1] 34:19	32:14 34:17 53:12	17:1 17:4 17:16
41:25	<b>route</b> [1] 18:18	<b>shoot</b> [1] 64:21	56:22	19:11 20:8 20:17
<b>reproduce</b> [1] 12:2	<b>routine</b> [4] 34:10	<b>short</b> [1] 6:24	<b>staggered</b> [1] 6:i	21:13 21:14 21:25
<b>reproduced</b> [1] 11:20	45:16 58:17 58:19	<b>shown</b> [1] 43:12	<b>standing</b> [1] 39:22	23:25 26:11 26:21
<b>request</b> [1] 13:2	<b>routinely</b> [2] 40:9	<b>sign</b> [1] 56:12	<b>start</b> [5] 12:9 26:22	27:4 27:13 29:13
<b>reshoot</b> [1] 46:4	68:25	<b>signature</b> [1] 72:12	28:5 45:11 55:1	30:25 32:4 39:11
<b>residencies</b> [1] 31:25	<b>rules</b> [3] 3:3 3:15	<b>significance</b> [2] 20:25 64:23	<b>started</b> [4] 28:5	40:2 43:21 45:7
<b>residency</b> [3] 30:13	5:6	<b>signify</b> [1] 55:22	56:10 59:2 64:7	45:17 46:19 46:19
30:13 39:19	<b>run</b> [2] 20:1 20:6	<b>signs</b> [3] 5:1 42:1	<b>state</b> [6] 1:19 21:17	48:14 50:14 52:11
<b>resident</b> [27] 29:24	<b>running</b> [1] 63:21	65:10	33:20 73:2 73:5	53:4 54:4 57:16
29:25 30:1 30:3	<b>runs</b> [1] 46:17	<b>silhouette</b> [2] 45:22	73:17	66:9 67:9 70:16
30:7 30:9 31:7	<b>rupture</b> [2] 27:18	67:20	<b>statement</b> [1] 26:13	<b>surgical</b> [9] 17:3
32:7 32:16 37:25	28:15	<b>simply</b> [3] 28:24	<b>stature</b> [1] 17:14	29:20 30:13 30:18
38:16 39:3 39:3	<b>ruptured</b> [1] 28:24	37:16 59:6	<b>statute</b> [1] 1:16	37:9 41:19 58:20
40:20 40:21 40:23		<b>sit</b> [1] 35:19	<b>stay</b> [1] 54:3	59:5 68:5
53:4 56:14 56:16		<b>site</b> [3] 28:7 34:8	<b>Stayed</b> [1] 39:15	<b>surgically</b> [1] 69:16
59:25 60:1 63:8		36:15	<b>stenosis</b> [1] 25:10	<b>surprised</b> [2] 23:14
66:7 66:20 68:23		<b>sitting</b> [2] 19:4	<b>stenotypy</b> [1] 73:8	24:17
71:20 71:24		34:16	<b>stemomoties</b> [1] 8:23	<b>suspect</b> [3] 36:14
<b>resident's</b> [1] 66:8		<b>situation</b> [5] 39:12	<b>sternotomies</b> [2] 9:17 11:7	37:18 49:25
<b>residents</b> [7] 4:24		54:16 55:2 59:9	<b>sternotomy</b> [4] 8:19	<b>suspicion</b> [1] 38:2
33:15 35:20 35:21		72:1	10:3 10:9 15:16	<b>sustain</b> [4] 33:25
35:21 35:22 71:19		<b>six</b> [1] 32:3	<b>sternum</b> [1] 10:10	34:7 35:2 35:5
<b>resistance</b> [1] 64:4		<b>size</b> [1] 27:7	<b>stitch</b> [6] 24:21	<b>sustained</b> [3] 33:23
<b>resolved</b> [1] 70:18		<b>Skylight</b> [1] 2:5	24:23 24:24 27:21	34:5 35:11
<b>respectively</b> [1] 16:14		<b>slightest</b> [1] 38:2	28:8 29:1	<b>suture</b> [13] 27:19
<b>respond</b> [1] 72:1		<b>small</b> [1] 18:4	<b>stitches</b> [3] 24:7	28:15 28:24 28:25
<b>responding</b> [1] 7:1		<b>SNP</b> [1] 56:2	27:22 28:13	34:8 36:15 40:11
<b>responsibilities</b> [2] 32:20 32:25		<b>sodium</b> [1] 56:2	<b>stitching</b> [1] 28:11	46:22 52:14 54:5
<b>responsibility</b> [3] 47:19 57:4 68:20		<b>solely</b> [1] 17:11	<b>stop</b> [1] 3:20	54:8 66:5 67:6
<b>responsible</b> [9] 21:15		<b>someone</b> [5] 38:1	<b>Street</b> [2] 2:5	<b>sutured</b> [1] 52:14
29:16 29:17 29:19		58:20 59:5 65:7	2:9	<b>sutures</b> [1] 28:24
31:17 31:17 43:15		<b>sometime</b> [1] 34:12	<b>strike</b> [3] 21:2	<b>SVR</b> [2] 64:6 64:8
57:22 68:4		<b>somewhere</b> [2] 44:18	34:15 37:15	<b>Swan</b> [2] 43:11
<b>rest</b> [1] 52:9		45:3	<b>structure</b> [1] 15:15	44:3
<b>result</b> [2] 34:25		<b>son</b> [2] 18:20 35:10	<b>subject</b> [3] 11:19	<b>sworn</b> [2] 3:4
50:24		<b>sorry</b> [2] 11:21 12:10	48:25 68:10	73:6
<b>resuscitated</b> [1] 52:13		<b>round</b> [1] 23:4	<b>subsequently</b> [1] 46:11	<b>symptoms</b> [2] 5:2
<b>return</b> [1] 30:24		<b>source</b> [1] 64:1	<b>substantially</b> [1] 46:10	<b>system</b> [2] 11:15
<b>review</b> [3] 3:15		<b>space</b> [2] 10:11	<b>such</b> [4] 33:24 34:8	40:19
31:20 34:17		37:6	66:24 72:5	<b>systemic</b> [1] 64:4
<b>reviewed</b> [1] 4:1		<b>speak</b> [2] 7:10	<b>suggested</b> [2] 46:3	<b>systolic's&lt;/</b>


37:18 37:19 47:2	<b>times</b> [4] 33:10	8:9 8:10 8:11	vasodilated[1] 64:7	45:25 46:1 68:2
47:9	33:12 42:1 45:3	8:22 9:3 9:14	ventricular[1] 47:11	
<b>team</b> [5] 27:2 29:22	<b>tissue</b> [1] 25:1		verbal[1] 59:6	<b>-Y-</b>
29:23 37:10 68:5	<b>titrating</b> [1] 56:19		versus [2] 7:24	<b>Yared</b> [2] 56:24 56:22
<b>technical</b> [2] 23:16	<b>titration</b> [1] 58:6	<b>-U-</b>	vertical[1] 10:10	<b>Yared's</b> [1] 57:8
26:23	<b>today</b> [2] 50:8	ultimate [1] 65:16	vessels[1] 17:1	<b>year</b> [5] 6:21 12:9
<b>technically</b> [2] 68:14	66:6	ultimately [3] 22:24	vis-a-vis [1] 69:23	30:22 31:25 39:18
68:16	<b>together</b> [1] 49:3	31:16 31:17	visualization[1] 15:15	<b>years</b> [6] 43:25 48:17 51:6
<b>technician</b> [1] 36:9	<b>took</b> [7] 22:20 24:4 51:3	under [7] 1:16	<b>vitae</b> [1] 14:25	70:1 70:4
<b>telling</b> [6] 19:23	24:6 39:6 51:3	3:17 8:25 13:18	<b>vital</b> [1] 42:1	<b>yourself</b> [3] 43:16
23:8 25:3 32:19	58:11 72:2	62:3 62:8 63:4	vitals [1] 34:18	68:25 71:25
<b>tells</b> [1] 31:21	<b>top</b> [1] 55:16	undergoing[1] 6:8	Vivian [3] 1:17	<b>-Z-</b>
<b>ten</b> [3] 41:5 70:1	<b>TOSTI</b> [1] 2:4	understand[1] 3:17	73:5 73:17	<b>Z</b> [1] 41:24
70:4	<b>Total</b> [1] 12:7	7:13 15:17 19:5	<b>volume</b> [1] 61:23	<b>Zero</b> [1] 54:12
<b>tendency</b> [1] 42:20	<b>totally</b> [1] 66:16	42:18 60:17 62:4	<b>vs</b> [1] 1:5	
<b>term</b> [2] 13:11 32:21	<b>touched</b> [1] 40:7	64:24 65:1 65:2		
<b>terms</b> [6] 11:10	<b>toward</b> [1] 44:2	65:25	<b>-W-</b>	
37:3 53:20 63:25	<b>towards</b> [2] 43:10	understood[2] 3:23	wait [1] 59:13	
69:8 69:12	51:3	19:22	waived[1] 72:12	
<b>test</b> [1] 36:17	<b>Tower</b> [1] 2:5	unhappy[1] 39:6	watch [2] 56:13	
<b>testified</b> [2] 55:5	<b>traditional</b> [3] 7:24	unit [14] 35:17 35:18	66:22	
62:6	12:21 70:16	36:2 36:25 37:4	waves [1] 5:16	
<b>testify</b> [2] 70:2	<b>training</b> [2] 30:18	41:20 47:4 55:11	ways [1] 8:6	
73:7	30:20	55:12 57:14 57:20	Wednesday [1] 20:18	
<b>testimony</b> [2] 73:8	<b>transcribed</b> [1] 73:8	64:10 67:14 69:5	<b>week</b> [1] 22:24	
73:9	<b>transcription</b> [1] 73:9	unless [2] 3:22	weeks [2] 32:3	
<b>textbook</b> [3] 49:1	<b>transesophageal</b> [1] 36:1	Unpredictable [1] 47:15	67:18	
49:4 49:8	<b>transfer</b> [1] 37:4	unrelated[1] 43:7	weightlifter [1] 13:25	
<b>textbooks</b> [2] 15:10	<b>ransfuse</b> [1] 61:15	untoward[1] 19:11	<b>West</b> [1] 2:5	
48:23	<b>ransverse</b> [8] 8:19	unusual [3] 22:13	wheeling[1] 37:6	
<b>Thank</b> [1] 72:9	8:22 9:17 10:2	25:17 25:21	<b>WHEREOF</b> [1] 73:13	
<b>theory</b> [2] 43:16	10:4 10:9 10:11	<b>up</b> [12] 20:17 20:19	whole [6] 26:23	
43:20	11:7	20:24 24:20 26:17	27:3 48:19 49:11	
<b>herefrom</b> [1] 11:17	<b>rend</b> [2] 43:10 44:2	27:2 36:22 52:18	55:12 73:7	
<b>hereof</b> [1] 15:6	<b>rue</b> [9] 5:20 5:21	56:11 64:21 65:23	<b>wish</b> [1] 29:10	
<b>hereupon</b> [8] 9:7	15:13 23:11 23:17	69:4	<b>within</b> [16] 4:12	
9:22 14:18 41:4	24:9 28:18 64:22	<b>apside</b> [1] 10:12	4:19 11:15 15:3	
41:9 49:21 50:10	<b>ruth</b> [3] 73:7 73:7	<b>irine</b> [4] 38:19 44:21	34:22 42:25 43:2	
50:12	73:9	45:3 65:13	46:19 49:17 55:8	
<b>hermodilution</b> [1] 63:17	<b>ry</b> [2] 50:8 61:8	ised [1] 10:24	67:13 67:14 68:4	
<b>hick</b> [4] 25:12 25:21	<b>rying</b> [2] 60:21	ising [2] 11:5	73:5 73:6 73:17	
25:24 26:5	71:1	usually [1] 40:23	<b>without</b> [6] 5:13	
<b>hickness</b> [1] 26:2	<b>ube</b> [10] 42:17 42:21	utilize [1] 47:9	7:6 13:9 28:7	
<b>hird</b> [2] 10:5 10:11	42:23 45:21 45:22	utilizing [2] 16:10	39:7 73:11	
<b>horacic</b> [1] 3:12	47:14 47:17 47:20		witness [7] 1:15	
<b>hought</b> [3] 11:1	54:23 56:9		3:1 41:2 41:5	
38:12 53:2	<b>ubes</b> [5] 28:4	<b>-V-</b>	50:5 50:9 73:13	
<b>hree</b> [10] 9:4	28:8 47:24 48:1	<b>V</b> [1] 2:8		
9:14 9:16 9:18	55:1	ralve [22] 4:25	<b>word</b> [1] 22:15	
11:3 18:16 21:24	<b>uesday</b> [3] 1:12	7:11 7:17 8:7	words [1] 63:20	
21:25 33:10 51:6	20:19 20:19	13:8 15:21 15:25	works [2] 29:23	
<b>hrough</b> [24] 8:14	<b>urn</b> [2] 36:17 48:8	16:5 16:9 17:5	57:19	
10:5 22:8 22:14	<b>wo</b> [14] 5:15 5:16	25:11 25:17 25:22	worried [1] 53:1	
25:1 27:18 37:6	5:17 5:24 5:24	26:24 27:9 28:19	<b>written</b> [5] 4:18	
41:24 42:6 44:12	6:1 6:2 6:2	47:10 54:3 56:9	4:23 5:6 48:2	
44:13 44:13 44:13	6:7 9:19 33:10	67:8 67:17 69:22	48:16	
44:15 45:3 45:14	56:18 56:20 67:14	<b>ralves</b> [4] 7:9	<b>wrong</b> [1] 23:3	
47:14 48:15 48:19	<b>ype</b> [5] 4:23 10:2	7:25 8:2 8:5		
49:11 55:18 66:5	10:24 54:9 56:1	<b>arious</b> [4] 8:4	<b>-X-</b>	
67:6 68:7	<b>ypes</b> [9] 8:4	8:10 8:22 18:16	<b>Y-ray</b> [4] 45:23	
<b>imely</b> [2] 72:1		<b>ascular</b> [1] 64:4		
72:2				

**DEPOSITION OF DELOS COSGROVE III, M.D.**  
**LONG, etc. v. THE CLEVELAND CLINIC FOUNDATION**

**Page/Line**

**Description**

**Reason**

  
DELOS COSGROVE III, M.D.