

STATE OF OHIO,

SS:

COUNTY OF CUYAHOGA.)

Doe

125

- - -

IN THE COURT OF COMMON PLEAS

- - -

ANTHONY WOZNIAK, et al.,)

Plaintiffs,)

vs.)

Case No. 82754

ENT SERVICES, INC., et al.,)


Defendants.)

- - -

Transcript of the deposition of DALE COWAN, M.D.
called as a Witness by the Plaintiffs, pursuant to
the Ohio Rules of Civil Procedure, taken before me
Suzanne Vadnal, a Registered Professional Reporter
and Notary Public within and for the State of Ohio,
pursuant to notice of counsel, at the offices of
Dr. Dale Cowan, 12300 McCracken Road, Garfield Heights,
Ohio, on Thursday, the 20th day of February, 1986,
commencing at 11:40 o'clock a.m.

- - -

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25



APPEARANCES:

On behalf of the Plaintiffs:

Jeffries & Monteleone Co., L.P.A.:

J. Michael Monteleone, Esq.

On behalf of the Defendants:

Reminger & Reminger Co., L.P.A.:

Roy A. Hulme, Esq.

- - -

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

DALE COWAN, M.D.,

called as a Witness by the Plaintiffs, pursuant to the Ohio Rules of Civil Procedure, was by me first duly sworn, as hereinafter certified, deposed and said as follows:

CROSS-EXAMINATION

BY MR. MONTELEONE:

Q Doctor, let's have your full name, please.

A Dale Cowan.

Q My name is Mike Monteleone. We've not met before today but I'm going to be asking you some questions about your background, about the opinion ~~we~~ ^{you} have in this case ~~and~~ ^{about} some other things that involve my client, Tony Wozniak. If you are not sure of my questions at any time, please let me know and I'll be glad to rephrase them. Fair enough?

A Good enough.

Q I understand that your hourly fee is \$250 per hour.

A For the deposition.

Q For the deposition, and that's my responsibility to pay you for that. It's about 11:35 right now, 11:40. Send the bill to this address and I'll see that it's taken care of for the deposition

1 time, okay?

2 A Thank you,

3 Q I understand that you are both a doctor and
4 lawyer.

5 A Correct,

6 Q I presume that you got your medical degree before
7 you got your law degree?

8 A Yes.

9 Q When did you get your law degree?

10 A 1981.

11 Q Here in town?

12 A Yes.

13 Q From what university?

14 A Case Western Reserve.

15 Q Do you practice law at all, Dr. Cowan?

16 A Yes, I do.

17 Q You are associated with the firm of?

18 A Burke, Haber & Berick.

19 Q I presume you derive some kind of salary from
20 that?

21 A Yes, I do, although the relationship is ~~ending~~
22 at the end of this month. I'm going to be going
23 on my own.

24 Q Is that right?

25 A Yes.

Q Starting your own law practice?

A I'm not certain I would dignify it with the term law practice. I'm starting consulting, private consulting in the health care area.

Q What kind of work do you anticipate doing in that area?

A Consulting with physicians and medical staffs with respect to their medical staff issues, the credentialing issues, contract matters.

Q You are still going to maintain your practice as an oncologist, I take it?

A Yes, that's my major activity.

Q Right now as far as your professional time is concerned, what part of it is dedicated to the practice of medicine?

A Easily 75, 80 percent.

Q And the other 20 percent would be --

A Is my other activity, in terms of actual hours spent.

Q That would include such things as what, your law practice, things like that?

A Yes.

Q If you had to place a dividing line on it, you'd say that 75 to 80 percent of your time, professional time, is spent in the practice of medicine?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A That's correct.

Q The remaining time is either in legal consulting or medical consulting or your law practice.

A That's correct. All the legal work I do is health care related in nonliability areas.

Q When you say nonliability, you are talking about things like HMO's, PPO's?

A No. Nonliability. As a lawyer I don't do any malpractice or products liability or any litigation or anything of that. It has all been creating alternative health-care delivery systems and working with medical staffs to work with them on their bylaws or in matters that affect, again, privileging and credentialing matters of physicians on hospital medical staffs. In other words, I try to maintain a very clean break between my medical practice and what I do outside of my medical practice.

Q At Burke, Haber's office, you do have an office down there, though.

A Yes.

Q You are on the letterhead listed somehow?

A Of counsel.

Q Of counsel. Speaking about litigation, I know you don't actively practice that, but x presump

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

that you've given depositions before?

A Yes.

Q You consult in medical malpractice cases?

A As a medical expert.

Q As a medical expert okay. Have you ever consulted for the Reminger office before?

A Yes.

Q Approximately how many occasions?

A Maybe half a dozen previous occasions, maybe eight.

Q I'm sorry?

A Maybe eight. I don't know.

Q Have each of these cases been on behalf of a doctor or hospital who is sued for medical malpractice?

A Yes.

Q Are you working on any cases for them right now besides this one?

A One other case at the moment.

Q Do you remember the lawyer's name involved in that case?

A Which lawyer? You mean from the firm of Reminger?

Q Yes, sir.

A Yes. Do you want the name?

Q Please.

1 **A** **Gary Goldwasser.**

2 **Q** **Have you consulted in any medical malpractice**
3 **cases in which you have testified on behalf of**
4 **a Plaintiff who was suing a doctor or hospital**
5 **for medical malpractice?**

6 **A** **Yes, I have.**

7 **Q** **How many other such instances?**

8 **A** **I've only been in court once and that was the**
9 **time that I was serving as an expert, if you will,**
10 **on behalf of Plaintiff. My recollection is that**
11 **I have served as a Plaintiff's expert on one**
12 **or two other occasions but those cases never**
13 **came to trial.**

14 **Q** **Did you in the case in which you testified render**
15 **the opinion that the Defendant had departed**
16 **from acceptable standards of medical care?**

17 **A** **Yes.**

18 **Q** **Do you remember the Plaintiff's attorney whom**
19 **you were involved with in that case?**

20 **A** **Plaintiff's attorney? No, I don't. The case**
21 **was about eight years ago.**

22 **Q** **Do you remember the name of the case?**

23 **A** **I'm sure I could find it out. I don't recall**
24 **offhand the patient- The Plaintiff was a**
25 **patient of mine and I can't recall the name right**

1 now.

2 Q In terms of consulting for either Plaintiffs
3 or Defendants in medical malpractice cases,
4 what percentage of them seemed to be for
5 Defendants?

6 A I would say probably two-thirds to three-fourths
7 are of Defendants.

8 Q Outside of the one occasion that you just told
9 us about, have you ever testified either in
10 deposition or in court that a doctor or hospital
11 has departed from acceptable standards of medical
12 care in the treatment of a plaintiff?

13 A I have been deposed on one or maybe two other
14 occasions.

15 Q In which you gave that opinion?

16 A In which I gave that opinion, but I've only been
17 to court once.

18 Q Did you enjoy that, by the way?

19 A I hated it.

20 Q I take it you're not planning on being a trial
21 lawyer then.

22 A Correct.

23 Q Tell me, if you would, please, how much time have
24 you spent on this case so far, Dr. Cowan, in
25 reviewing materials and talking with either

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1

hours?

A I would estimate probably, all told, probably about five.

Q About five hours? Do you remember when it was that you first got involved in this case?

A I couldn't tell you without referring to my correspondence that I don't have here. All I have here is my own letter to Mr. Hulme which is dated January 20, so I probably got involved in December of 1985.

MR. HULME: Don't guess, Doctor.

Q Do you know whether you initially were contacted by Mr. Hulme or was it Mr. Buck?

A Mr. Buck.

Q Did that result from a phone call of some kind or just a letter summarizing the events in this case?

A I couldn't be specific in my response. Normally I am called on the phone, would I be willing to serve as an expert in the particular case, and the facts are generally outlined, and if I think it's something that's within my field of competencies, yes, rather than just get a letter

1 cold. I don't know if that **was** done specifically
2 in this **case** or not.

3 Q You must have a file on this **case**, I take it.

4 A Yes.

5 Q Is that the whole file right there?

6 A No. Some of these earlier letters are **not** there
7 in this packet.

8 Q Anything **else** that's excluded from the packet
9 there that you are holding there in **your** hand?

10 A No. **This is** just the letters,

11 Q When you say letters that **would** be excluded,
12 those would be what?

13 A Letters requesting my **services** from Mr. **Buck**
14 ~~and~~ subsequently from ~~Mr. Hulme~~.

15 Q Those are the only letters that are **excluded**
16 then.

17 A That's correct,

18 Q The report that you wrote on January 20 of 1986,
19 is that the one and **only** report you've written
20 in this case?

21 A That's correct.

22 Q Were there any rough **drafts** that preceded this?

23 A No rough **drafts** of the record. Only my handwritter
24 notes as I went through the materials, when I
25 extract what I consider to be the pertinent

information.

Q May I **see** what you have there, please, **As** far
as your **file** is concerned?

A Sure.

Q **Doctor**, what I found in your **file** was your report
dated **January 20, 1986**.

A Right.

Q The deposition of **the** Plaintiff, **Anthony Wozniak**,
correct?

A **Correct**.

Q The office **records** of **Br. Keith Smith**, as well
as his bills and some **pathology reports** for a
mucoccele on the lip, which was a couple **years**
before this particular cervical node **became**
enlarged, and **some** records I see here from
Kaiser Hospitals, okay? And the Complaint that
was filed in this case by myself. I recognize
all of **those** things. Did you review any records
from the Cleveland Clinic?

A Everything I **reviewed**. is here,

Q Is that it?

A So the **answer to your question is** no.

Q **Have you spoken with anyone at the Cleveland**
Clinic about this **man's** condition?

A No.

1 Q Do you know Dr. **Smith** personally?

2 A No.

3 Q I presume **you've** never been **on** the **staffs** of
4 any **hospitals** together.

5 A Not that I'm aware of. I don't know what **staffs**
6 **he's** on.

7 Q Have you spoken to him about **this** case?

8 A To whom, **please**?

9 Q Dr. **Smith**.

10 A No.

11 Q You have not, **all** right.

12 Since I don't have your CV **here**, let me
13 ask you a **few** questions about your background,
14 You are board certified?

15 A Do you want her to bring it in?

16 Q Doctor, **the 17 page** CV which you just handed me,
17 I presume that this is up to date?

18 A Yes.

19 MR. HULME: Let me interrupt
20 **here** for a second. In this packet of
21 materials you **looked** through, there are,
22 in fact, Cleveland **Clinic** Foundation
23 **records**.

24 MR. MONTELEONE: **There is** a short
25 discharge **summary**, Roy.

1 MR. HULME: Well, I mean you
2 didn't even mention the Cleveland Clinic.

3 MR. MONTELEONE: I didn't see it.
4 I've got no problem with that. What's the
5 date on that one?

6 MR. HULME: Admission 10-3-83.
7 There is an admission 1-26-84, There is
8 a letter from Dr. Smith dated November 30
9 in the file, too, to Beth Sebaugh.

10 MR. MONTELEONE: Who is that?

11 MR. HULME: From Bob Buck's
12 office, Another one from the Clinic
13 54-28-83.

14 MR. MONTELEONE: What he's got
15 there are the discharge summaries then from
16 the Cleveland Clinic?

17 MR. HULME: Yes.

18 MR. MONTELEONE: That would be it.

19 MR. HULME: That's what it
20 looks like.

21 Q Doctor, just to clear this up and we can move
22 along then, do you have any independent memory
23 of reviewing the entire Cleveland Clinic chart
24 on this man?

25 A I have no independent memory of doing so, no.

1 Q Do you know whether you did or not?

2 A I think I reviewed materials that are here, that
3 have been enumerated here.

4 Q Which do not include the complete chart.

5 A That's right.

6 Q In the list of articles that you have published
7 dealing with your specialty of oncology, have
8 you written anything at all about Hodgkin's
3 disease? --

10 A No.

11 Q I presume because Hodgkin's disease is, in fact,
12 a form of cancer, that you consider yourself
13 expert in that area.

14 A I guess I would be considered expert in the
15 cancer area, yes. The reason I didn't publish
16 it was I happened not to be carrying out
17 independent investigation specifically pertaining
18 to Hodgkin's disease. But having said that,
19 some of my most recent medical publications did
20 include tissue from patients with Hodgkin's
21 disease and other lymphomas. It was not, however,
22 a research that was specifically focusing on
23 Hodgkin's disease as a clinical entity. I have
24 participated in clinical trials of treatment of
25 Hodgkin's disease. I am listed as a contributor

1 to the **study** but **not** as **one** of the **co-authors**
2 of the paper and so **it** does not appear on that
3 CV.

4 **Q** I wanted to know whether or not you had, in fact,
5 written anything at all about Hodgkin's disease.

6 **A** I **have** not written specifically on **Hodgkin's**
7 disease.

8 **Q** **But** incidental to other clinical trials in which
9 you've been **involved**, you've examined tissue
10 in which the entity known as Hodgkin's **disease**
11 was, in fact, one of **the** factors,

13 **A** That **is** correct.

13 **Q** Are **you** a pathologist also, Doctor?

14 **A** No, **sir**.

15 **Q** I notice **there** are a good many **lectures** which
16 you've given, Dr. Cowan. Have you lectured
17 at all in **the** field of dealing with Hodgkin's
18 disease?

19 **A** **Yes**.

20 **Q** **Are** they listed in this CV?

21 **A** No .

22 **Q** They are **not**.

23 **A** Many of them, if **not** **most** of them, are **medical**
24 education conferences that I lectured men **who**
25 were **undergoing** rounds at one or another insti-

1 tution, or teaching exercises for medical students
2 or fellows and residents, and I wasn't listing
3 all those.

4 Q I take it in your profession that occurs rather
5 frequently.

6 A That's correct,

7 Q Because you've been associated with teaching
8 hospitals in the past, and I don't know, is
9 Marymount a teaching hospital?

10 A No.

11 Q But you have had residents and interns who have
12 studied under you or followed you in your
13 treatment of cancer?

14 A That's right.

15 Q Including Hodgkin's disease?

16 A That's correct,

17 Q Would you just give us, if you can, a quick
18 definition of what Hodgkin's disease is?

19 A Yes. It's a malignant neoplasm that arises
20 primarily in lymph tissue, or at least from
21 lymph tissue. The precise cell that undergoes
22 the neoplastic transformation I think is still
23 a matter of some dispute, although most indivi-
24 duals consider it to be the lymphocyte. There
25 are still some who feel there is evidence that

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

it might be in the histiocytic cell line or reticulocytic cell line. So, I would say there is still a lack of precise information as to what the specific cell is from which the disorder arises, but it is a neoplastic disorder and it commonly arises in lymph nodes, which is where the majority of the lymph node or lymph tissue is located. It rarely will arise in other organs that are not primarily lymph organs, such as the lung.

There is some dispute still in the literature as to whether it is of unifocal or multifocal origin. That is to say, does it arise in a single location and spread from that location to other locations as is characteristic of most solid tumors, or is there simultaneous neoplastic transformation arising in multiple sites?

Q Which of those particular schools do you adhere to or believe in?

A I don't say I adhere to one or the other.

Q Which is more persuasive in your own professional judgment?

A I think it's more persuasive to conceive of it as generally arising in a single location and then spreading from there to other locations, but

1 there certainly are data which would support an
2 alternative point of view.

3 Q Hodgkin's disease is, in fact, a form of cancer,

4 A That's correct,

5 Q It is, in fact, a treatable and in some instances
6 curable form of cancer?

7 A That's correct,

8 Q Hodgkin's disease, if left untreated and undiag-
9 nosed, will result in premature death of the
10 patient?

11 A That's correct. .

12 Q As I understand it, in order to made a definitive
13 diagnosis of Hodgkin's disease, you have to
14 submit the tissue to a pathological review: is
15 that true?

16 A Yes, that's correct.

17 Q So that a doctor in attempting to arrive at a
18 diagnosis of Hodgkin's disease would first have
19 to remove some sort of tissue from the patient,
20 the suspected tissue, give it to a pathologist
21 who would look at it under a microscope and
22 say, "Yes, it is Hodgkin's, No, it is not. It
23 may be something else."

24 A That's correct.

25 Q Certainly Hodgkin's disease is not something the

1 patient himself can ever diagnose.

2 A Patients do not walk into the office saying,
3 "Doctor, I have Hodgkin's disease."

4 Q They have got to rely upon the doctor for that,
5 don't they?

6 A That's correct.

7 Q What **are** the signs and symptoms of Hodgkin's
8 disease?

9 A They are variable. They may range from appearance
10 of a nontender lump someplace, commonly in the
11 neck or in the supraclavicular area, in other
12 words, above the collar bone or under the arm.
13 Sometimes in the groin area, although that is
14 rather more difficult to evaluate. Other
15 symptoms may be the appearance of chills, **sweats**,
16 night sweats, **loss** of appetite, weight loss.
17 occasionally some itching.

18 Q Pruritus?

19 A Pruritus- Then if the focus of the site of
20 origin happens to be in a particular organ, there
21 may be symptoms that **are** referable to a particular
22 organ. For example, if it arises in the lung,
23 there may be cough or chest pain, If it arises
24 or if it's present in the abdomen, there may be
25 some abdominal complaints of one kind or another.

1 SO, it's variable.

2 Q Is low grade fever one of the symptoms or signs
3 of --

4 A Low grade fever, is another one, yes.

5 Q How about a general feeling of --

6 A Malaise, lassitude, easy fatigability, exercise
7 intolerance.

8 Q Each of these that you have enumerated may, in
9 fact, be indicative of Hodgkin's disease?

10 A That's correct.

11 Q They need not all occur, of course, in a particu-
12 lar patient to say this is Hodgkin's, this is
13 not. Some of them may have one or two symptoms.
14 Some may have all.

15 A That is correct. They are also not specifically
16 diagnostic of Hodgkin's disease. They are
17 nonspecific, what are termed constitutional
18 symptoms that may arise in a variety of disease
19 states, both neoplastic and nonneoplastic, but
20 they are certainly seen in patients with Hodgkin's
21 disease,

22 Q To make sure I understand you, you used the
23 term neoplastic to include what the laymen would
24 think of as cancerous?

25 A That's correct.

1 Q When Tony Wozniak was seeing Dr. Keith Smith
2 during March, April and May of 1983, did Tony
3 Wozniak have signs or symptoms indicative of
4 Hodgkin's disease?

5 A If I may refer to my notes, please,

6 Q Absolutely, at any time. At any time you feel
7 you need to do that.

8 A What I don't see is my letter. Here we go, okay,

9 In answer to your question, when he first
10 presented to Dr. Smith, he presented with a
11 complaint that is one of those that I mentioned
12 before, and that is, an enlarged node or mass
13 on the right side of the neck. So, yes, that
14 is a complaint that is one of those that is
15 associated with Hodgkin's disease or can be
16 associated with Hodgkin's disease.

17 Q So that we can agree then that while he was seeing
18 Dr. Smith during March, April and May of 1983,
19 Tony Wozniak did have signs or symptoms of
20 Hodgkin's disease.

21 MR. HULME: So far he's
22 mentioned one, Do you want to take the
23 S of € signs?

24 A I think to be more precise and to be fair with
25 it, it would be better to say that he had signs

1 and symptoms that were consistent with a number
2 of disorders, one of which is Hodgkin's disease,

3 Q Do you believe that the May 28, 1983 visit to
4 Dr. Smith in which there is a notation there of
5 dermatitis, an itching, that, in fact, is also,
6 I think you mentioned earlier, one of the signs
7 or symptoms of Hodgkin's disease?

8 A I don't know if I can make a statement one way
9 or the other about that. Generally the itching
10 with Hodgkin's disease is a generalized pruritis,
11 a generalized itching, rather than a localized
12 type of thing, and there commonly is no
13 observable cutaneous manifestation that one
14 observes. I don't have enough information from
15 the record to make a judgment one way or the
16 other to answer in a responsive manner to your
17 question.

18 Q Can we agree that the earlier that the doctor
19 makes the diagnosis and begins the treatment,
20 the better the chances for a long term survival,
21 of a patient in Hodgkin's disease?

22 A As a general rule that would be true for all
23 neoplasms.

24 Q Can we agree then that the delay in the diagnosis
25 and treatment of Hodgkin's disease can, in fact,

1 be harmful to the patient?

2 A Delay of diagnosis can be harmful, depending
3 upon the duration of the delay and the nature
4 of the disorder.

5 Q From reading your report I got the impression
6 that one first in dealing with Hodgkin's disease
7 must stage the disease, and the stage of the
8 disease, in fact, tells the doctor in a sense
9 what modes of treatment are to be used,

10 A That's essentially correct.

11 Q If there is a delay in diagnosis, the treatment
12 may, in fact, have to be more radical than if
13 the diagnosis is made earlier on,

14 A I'm not certain what you mean by the term radical.

15 Q Let's take a Stage 1 patient, all right?

16 A All right.

17 Q Do all Stage 1 patients, Hodgkin's disease, that
18 is, undergo splenectomy?

19 A Well, now you're talking about diagnosis, not
20 treatment.

21 Q I thought the question was -- maybe I didn't
22 make --

23 A You don't know whether they are Stage 1 until
24 you've done the splenectomy.

25 Q Is that correct?

1 A That's correct.

2 Q In other words, all Hodgkin's patients must under-
3 go splenectomy?

4 A I didn't say that,

5 Q All right.

6 A In order to determine the **stage**, there is an
7 orderly **sequence** of diagnostic studies that **are**
8 undertaken, depending upon the site of initial
9 presentation, and included among those is a
10 surgical procedure termed exploratory laparotomy
11 with splenectomy, lymph node **biopsy** and liver
12 biopsy. However, that surgical procedure may not be
13 undertaken in cases where evidence based on
14 **less** invasive diagnostic studies provides enough
15 evidence to the physician to select the appropriate
16 modality of therapy.

17 Q If I understand you correctly, what you are saying
18 is that there are lesser tests, so to speak,
19 which may obviate the need to do a splenectomy
20 or an exploratory laparotomy?

21 A That's correct.

22 Q Let us take, for instance, a patient who presents
23 with one enlarged swollen cervical node? all.
24 right? That patient comes in to you, Doctor,
25 with a history that he's got a lump on the right

side of his neck for **about three months**, okay?

What would you do in that situation, Dr. Cowan?

A That would depend part on the **age** of the patient, but **let's assume** an individual of this patient's **age**, a person in their 30's. Obviously I'd **take** a history to find out what, if **any**, **symptoms** are associated with this. I would undertake **also** then a complete physical examination to find out if there are not only other enlarged lymph nodes but **any signs of** disease of any kind elsewhere.

Q Okay.

A Depending on the results of those studies, I might recommend any of several things.

Q Such as?

A They could range from do nothing and let's **reassess it** in two weeks or three weeks, to requesting that the patient obtain a **chest x-ray or a blood count**, to recommending a **course of antibiotic therapy** if there were **symptoms** and signs that suggested the **possibility of** infectious nature **for** this lymph node enlargement.

So, how one would approach it would obviously be dependent **very much** on the information

1 one generates at the time of the initial visit.

2 Q In such a patient, when you felt the node in the
3 neck area, would you record the size of the node?

4 A Yes.

5 Q That would be important; would it not?

6 A Yes, it is.

7 Q Would you take the vitals on the patient, for
8 instance, a temperature, pulse rate?

9 A We routinely obtain the vital signs and the weight
10 and height and basic information about the
11 patient.

12 Q Tell me, Dr. Cowan, in your judgment, sir, how
13 long would you wait if that node that we're
14 discussing, that enlarged node, did not decrease
15 in size or go away before you did a biopsy?

16 A This would depend in part on the size of the
17 node. If it's a one centimeter node I might
18 be willing to give it four weeks or so, If one
19 comes in with a mass three, four or five centi-
20 meters, I would probably want to proceed reasonably
21 promptly for a biopsy, depending on how long the
22 patient indicated the node had been there. In
23 some instances I've been known to recommend right
24 away that the individual have a biopsy obtained.
25 So, a lot of it depends on the history and on the

1 specific findings.

2 Q Let's assume that the node that we're talking
3 about, the single enlarged node, goes four or
4 five weeks and is still swollen, still enlarged.
5 The patient's been on antibiotic therapy and
6 it's not gone away at that point. What is the
7 medically acceptable standard of care for a
8 doctor under those circumstances?

9 A At that point it would be appropriate to refer
10 the patient for a biopsy or if the person is
11 capable of doing the biopsy himself, doing the
12 biopsy.

13 Q A biopsy, as I understand it, can be done in the
14 office?

15 A Depends on the size of the lymph node,

16 Q Would you agree, Doctor, that during the time
17 that Dr. Smith was seeing this gentleman, I'm
18 not only talking about the first time he saw
19 him in March, but during March, during April and
20 during May of 1983, would you agree that Dr.
21 Smith departed from acceptable standards of
22 medical care in his treatment of this man?

23 A The problem I have in responding to that is that
24 I don't have adequate documentation from the
25 record as to what the observations were, I have

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

general statements that indicate, for example, between March 5 and March 19 that the lymph node was reported to be decreased. I don't know what the original size of the node was when first seen on March 5. It's not stated in the record. I don't know how much of a decrease there was that was observed on March 19.

March 26 it's again stated there was no change. There is again no comment on April 9 or on May 28 as to what the size is. So, whether or not the physician departed from standard medical practice is something that for me would require a little more information than I've provided here from the record.

Q Well, what additional information, Mr. Cowan,

would you as an oncologist like to see?

A The information I would like to know is what was the size of the lymph node when first observed and what was the size of the lymph node on subsequent observations.

Q Let me tell you, sir, that Mr. Smith's deposition has been taken and I presume from your answers that you've not had a chance to read it.

A That's correct.

Q Let me tell you that Mr. Smith says that when he

1 saw him on March 5 the lymph node was a centimeter
2 or less; that when he saw him on March 19 he
3 took the history of the patient to say that
4 there had been a decrease in size but he doesn't
5 remember exactly the size. He believes it was
6 about the same size, about a centimeter, okay?
7 That when he saw him on March 26 it was about
8 the same size, a centimeter; that when he saw
9 him on April 9 he believes it was about the same
10 size, a centimeter, But that when he saw him
11 on May 28 of 1983 he did not even examine the
12 man's node on the right side, okay? This is
13 sworn testimony from Dr. Smith,

14 MR. HULME: You want him to
15 assume that that's what he said.

16 Q That's right, or I can show you the deposition
17 where he said each of these things, Doctor.
18 But I want you to make those assumptions. This
19 is what Dr. Smith has testified to and now I
20 would like to ask whether you have a sufficient
21 amount of information to give me an answer to
22 the question or whether Dr. Smith complied with
23 acceptable standards of medical care in his
24 treatment and evaluation of this man?

25 A Well, working back, I would say that on May 28

1 my answer would have to be no: that over an
2 interval of two and a half months there should
3 be some further assessment done of the node,
4 namely, a biopsy, Over the period of one
5 month between March 5 and April 9, it's
6 arguable, I think if that was done during that
7 interval it was not a departure from standard
8 medical practice, but sometime after April 9
9 one would have normally proceeded with a further
10 investigation of the lymph node.

11 Q So that if I understand you correctly then,
12 sometime after April 9, 1983 Dr. Smith in his
13 care and evaluation and treatment of this man
14 did, in fact, depart from acceptable standards
15 of medical care,

16 MR. HULME: Based on the
17 information you have given him. With
18 that qualification I have no objection to
19 the question.

20 A Yes.

21 Q Is that true?

22 A Yes.

23 Q This departure from the standard of care which
24 you just referred to resulted in a delayed
25 diagnosis of this man's Hodgkin's disease,

1 did it not, Doctor?

2 A There was a delay in the diagnosis of
3 Hodgkin's disease. If I may go back for a
4 minute, I am assuming that there were no
5 other physical findings observed or no other
6 complaints on the part of the patient that
7 provided a reasonable judgment on the part of
8 the examining physician that there was
9 inflammatory basis for this lymph node.
10 In other words, no sore throat, no sign of
11 any lesion in the mouth and no obvious abscess
12 in the jaw, around the teeth, that could have
13 accounted for a swollen node. That the node
14 itself was nontender and there was no expression
15 of discomfort or pain or tenderness on the
16 part of the patient with respect to this,
17 Lymph nodes can be present for a long time
18 for a lot of reasons. When we're talking about
19 whether or not it's a departure of standard
20 medical practice not to proceed with a biopsy
21 in a lymph node that has been around for a
22 while, we're really restricting that judgment
23 to situations where there is no evidence of
24 infectious, inflammatory basis for the lymph
25 node.

1 Q You have the complete office chart of Dr.
2 Smith, don't you, Doctor?

3 A Yes.

4 Q Do you see any evidence --

5 A There is nothing in the record that suggests
6 that. You were asking me a hypothetical ques-
7 tion in a sense and. I was wanting to respond
8 in a full and complete manner to you.

9 Q I appreciate your doing that but so that we are
10 clear then, is there any evidence in any of
11 Dr. Smith's complete office records, which you
12 have, which changes your opinion that he
13 departed from acceptable standards of medical
14 care following April 9, 1983?

15 A Based on the information I have here, no.

16 Now I interrupted your train of thought and
17 I apologize.

18 Q Assuming that Tony Wozniak was your patient on
19 March 5, 1983, March 13, March 26, April 9 and
20 May 28, 1983, tell us, Doctor, what would you
21 have done at that May 28, 1983 visit in terms
22 of evaluating and treating the man?

23 MR. HULME: Based on the
24 information he has or do you want him to
25 assume something?

2 what he has, Dr. Smith's records. He's
3 the only doctor who was seeing him at that
4 time.

5 A On ~~the~~ May 28 visit?

6 Q Yes, sir.

7 A I would have again taken a history with respect
8 to any symptoms referable to this or any
9 generalized symptoms and examined it and examined
10 other lymph node bearing areas.

11 Q You certainly would have done an excisional
12 biopsy at that May 28, 1983 visit, wouldn't you?

13 A I would have requested one be done. I don't
14 personally biopsy, but yes.

15 Q You certainly would have at least examined the
16 area that had been swollen for over two months.

17 A Yes.

18 Q No question that that departs from acceptable
19 standards of medical care not to do that.

20 A That's correct.

21 Q I think you referenced this in your report but
22 so I am clear, when you talk about stagin or
23 stages of Hodgkin's disease, we're talking about
24 1, 2, 3, 4, and I believe they can be either
25 1A or 1B, 2A or 2B; is that true?

1 A That's correct.

2 Q I've done a little bit of reading on this, not
3 very much at all certainly. How do you define
4 Stage 1 Hodgkin's disease?

5 A Stage 1 Hodgkin's disease is that disease which
6 is confined to a single lymph node,

7 Q A or B simply means whether they have constitution-
8 al symptoms or not,

9 A That's correct, A is lack of symptoms, B is
10 symptomatic.

11 Q Symptomatic would include what kinds of things,

12 A It would include fever, chills, sweats, weight
13 loss, malaise, lassitude, anorexia.

14 Q stage 2 then would include what?

15 A Involvement with two or more noncontiguous lymph
16 nodes or lymph node areas on one or the other
17 side of the diaphragm.

18 Q Then Stage 3, I presume, involves --

19 A Lymph node involvement above and below the
20 diaphragm.

21 Q And Stage 4?

22 A For that purpose the spleen is considered as a
23 lymph node,

24 Q Thank you. Is that considered above or below
25 the diaphragm?

1 A The spleen is below the diaphragm. Stage 4 is
2 involvement of visceral organs, lung, liver,
3 bone marrow.

4 Q Do you have an opinion, Doctor, whether Mr.
5 Wozniak had Hodgkin's disease in March of 1983?

6 A No, I don't,

7 Q Do you have an opinion whether or not he had
8 Hodgkin's disease in April of 1983?

9 A I don't have an opinion' on that.

10 Q Do you have an opinion whether or not he had
11 Hodgkin's disease in May of 1983?

12 A Based on the record, I don't,

13 Q What is it that you'd like to know in order to
14 help you formulate that opinion?

15 A Into May I would like to know, of course, what
16 the physical examination showed and whether there
17 was enlargement of the node at that time. I
18 know that you've just told me or have made
19 reference to the deposition of Dr. Smith in which
20 he apparently asserted that to his recollection
21 there was -- no, he said he didn't examine,

22 Q He didn't even examine the right side of the neck.

23 A What I would need to know is whether it was there
24 and, of course, what the size was. If I knew,
25 for example, in September it was a five by five

1 centimeter node.

2 9 Right.

3 A It would be important to know **was it that size**
4 in May or not. A one centimeter node in March
5 or April may or may not have been **Hodgkin's**
6 **disease and there is no way** to know for certain,
7 I **can't** say within reasonable **medical** probability
8 there **was Hodgkin's disease** there in **March** or
9 **April** based on all the information I **have** and
10 what you have **just** told me about the size.

11 Q What is your professional hunch?

12 MR. HULMC: Objection.

13 A Even if I **were** to do that, he obviously developed
14 Hodgkin's disease somewhere **along** the line. The
15 question is at what point? **Was it** there in
16 **March** or **April**? I don't know about March.
17 Possibly, yes, in March. April, more likely.
18 May, probably more likely.

19 Q That he had Hodgkin's disease at **that** time.

20 A Right.

21 Q This is May of 1983.

22 A Yes, the same year,

23 I suppose the information you'd like to have you
24 don't have because the biopsy wasn't done at
25 that time.

1 A **That's** right, and I'm not trying to be coy **about**
2 it. I think that we've seen situations in
3 patients who have had documented Hodgkin's
4 disease whom we've **treated** who have developed
5 **lymph** nodes, and **because** of the history of
6 Hodgkin's disease we have biopsied **them** and
7 they haven't contained Hodgkin's disease.

8 So, just because there is a lymph node
9 that subsequently is biopsied and proved to have
10 Hodgkin's disease which is substantially greater
11 **size** doesn't necessarily mean that the **disease**
12 was present and diagnosable pathologically some
13 months earlier, One has to be very cautious
14 about this, On the one hand, **we** don't want
15 to misdiagnose and not be appropriately aggressive
16 in pursuing diagnostic studies. On **the** other
17 hand, **it's** inappropriate to make assumptions
18 because very often our assumptions are incorrect.

19 It's a **matter** of judgment **so** it's very
20 difficult to say and it's not a matter of being
21 coy. It's a matter of not **being** judgmental on
22 the basis of inadequate data.

23 Q **The** inadequate **data**, of course, is because Dr.
24 Smith didn't include that information in his
25 **records: isn't that true?**

1 A In part, yes.

2 Q Is it true that in Hodgkin's disease a swollen
3 or an enlarged node 'can sometimes remain that
4 size for a very long period of time and then all
5 of a sudden grow?

6 A You are like my patients. What's a very long
7 period of time?

8 Q You know what I'm getting at, don't you?

9 A No.

10 Q You don't, all right. Is it true that a node,
11 a swollen node can remain swollen, be Hodgkin's
12 disease and remain just that same size for let's
13 say five, six, seven, eight months?

14 A Here again, the question is was it Hodgkin's
15 disease all along or at what point along the
16 line did the Hodgkin's disease appear. Patients
17 will come in and say, "I have had this lymph
28 node for a long time and last week it suddenly
19 got big," and you biopsy it and lo and behold,
20 it's Hodgkin's disease. Was it there all that
21 time? I don't know, It may or may not have been.

22 Q I think you've answered my question. Since you
23 don't have an opinion as to whether or not this
24 man had Hodgkin's disease in March of 1983, I
25 presume you have no opinion as to what stage it

1 was either.

2 A That's correct.

3 Q You don't know whether it was Stage 1, Stage 2 or
4 Stage 3.

5 A There is certainly no evidence, no information
6 from the record that would enable me to make
7 that judgment.

8 Q Certainly one of the people who could have made
9 that information available to you and to all of
10 us would have been Dr. Smith, true?

11 A Well, Dr. Smith or another examining physician
12 at the time, yes.

13 Q Do you have any opinion in this case as to the
14 origin of the Hodgkin's disease in this man?

15 A Do you mean from what site it arose?

16 Q Yes.

17 A Or what the cause was of Hodgkin's disease?

18 Q No, I don't want to ask about the cause of
19 Hodgkin's disease.

20 A Thank you, because I don't know what the cause
21 of Hodgkin's disease is.

22 Q I don't think anyone does, do they?

23 A Nor does anybody else.

24 Q If you don't know, Dr. Cowan, nobody knows.

25 A No, I wouldn't say that. I appreciate the

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

compliment.

■■■■■

As to the site of origin, I don't think that one can be 100 percent certain. Obviously the fact that he had the cervical node, it is reasonable to presume that it arose in that area,

Q When you say cervical, we're talking about the neck area,

A Yes.

Q Do you think it is more likely that if this man, in fact, had Hodgkin's disease in March of 1983 that it was Stage 1 as opposed to Stage 3?

A That would be a matter of speculation and I can't say that certainly within the standard of reasonable medical probability. It's conceivable that he could have had abdominal lymph node involvement or even splenic involvement back in March.

He subsequently had spleen and celiac lymph node. It's conceivable he could have the celiac node involvement back in March, too, and it wouldn't have caused any symptoms, so I don't think one can say in all honesty what it was.

Q You don't know whether it was Stage 1, Stage 2 or

1 Stage 3 back in March of 1983,

2 A Or Stage anything.

3 Q Well, we know it wasn't Stage 4. We know that,
4 don't we?

5 A Right, I'm saying we don't. We don't know, If
6 one assumes he had Hodgkin's disease in March,
7 if one is making that as an assumption, I don't
8 think that one can state what the stage was.
9 I can't state what the stage was,

10 a Tell us, please, what symptoms or signs Tony
11 Wozniak had in March, April or May of 1983
12 that suggested to you that he had Stage 3 Hodgkin's
13 disease.

14 A Based again on the information that was available
15 to me, the records that I reviewed, there was
16 no information that suggested there was Stage 3
17 disease present.

18 Q In fact, the only information that's available,
19 Doctor, suggested he had Stage 1 disease, doesn't
20 it?

21 A Based on the information again, if he had any
22 disease at all at that time, the information
23 available indicated only involvement on the right
24 side of the neck, Stage 1 disease.

25 Q Is it a fair statement to say that undiagnosed

Stage 1 Hodgkin's and untreated Stage 1

Hodgkin's will progress to Stage 2, to Stage 3
and ultimately to Stage 4? Is that a fair
statement?

A That's a fair statement.

Q Doctor, in this particular case, would you
agree that it is at least conceivable that
Tony Wozniak had Stage 1 Hodgkin's in March
of 1983, which, left untreated and undiagnosed,
progressed to Stage 3 in September of 1983?

A It's certainly conceivable.

Q I have seen a number of studies on this topic
but I'd like to know what your feeling is
about it, if I can. In terms of the long-term
survival, a prognosis oncologists are concerned
with, you look at a five year period and a ten
year period. Which are you comfortable with,
Doctor, in terms of making any kind of statistical
prediction?

A With Hodgkin's disease, if they survive five
years, the likelihood of a relapse after that
is very remote. Not unheard of but very remote.
So, if you look at the survival curves now,
they are flat after five years.

Q Five years from date of diagnosis?

1 A Yes. The treatment only takes a few months,

2 Q So, what in your opinion then is the five year
3 survival rate for Stage 1 Hodgkin's disease
3 patients?

5 A stage 1?

6 ? Yes.

7 A 100 percent, 99, 100 percent. It's very high.
8 It's extraordinarily high,

9 Q Which is a tribute, of course, to you oncologists,

10 A Well, radiation oncologists, As much as
11 medical oncologists would like to take credit
12 for that, our colleagues in radiation therapy
13 are responsible.

14 Q What in your opinion is the ten year survival
15 rate for Stage 1 Hodgkin's patients?

16 A The same,

17 Q 99, 100 percent?

18 A 99.

19 Q Let's talk about Stage 3 for a moment, if we
20 can. What is the five year survival rate of
21 a Stage 3 Hodgkin's patient?

22 A Depending on the studies that one looks at,
23 it's going to be between 85 and 90 percent.

24 Q Less than the Stage 1 patients.

25 A Yes.

1 Q Now, how about the ten year survival rate?

2 A It will be the same. There really is very
3 little relapse after that.

4 a So, you think that in your judgment anyway.
5 the ten year survival rate for Stage 3
6 patients with Hodgkin's disease is 85 percent?

7 MR. HULME: 85 to 90.

8 Q I'm sorry, is that what you said?

9 A Yes.

10 Q 85 to 90 percent?

11 A Yes, in that range.

12 Q Now, after ten years, isn't there a considerable
13 drop off?

14 A Not with Hodgkin's disease. There are a few
15 patients who will relapse late. Every so often
16 we get a patient who will come in whose disease
17 was treated 15 years ago. But in terms of
18 large numbers, the general rule of thumb is
19 that if they go five years without a relapse,
20 chances are they are home free.

21 Q So, in this particular case then, as far as
22 Tony Wozniak is concerned, you can't give us
23 any idea until after 17988, I presume.

24 A That's correct. The majority of the relapses
25 occur within the first two to three years.

1 Q What kind of percentages are we talking about?

2 A For what now, please?

3 Q For relapse of Stage 3 patients.

4 a We're talking about 15 percent approximately,

5 Q Who do relapse within three years?

6 A That would be the opposite statistics to saying
7 you have an 85 percent or so five year survival.

8 We have to distinguish between relapse and
9 survival, of course. You talk about disease

10 free survival, which is what I'm really

11 referring to when I talk about survival.

12 Relapse, they may relapse but that doesn't

13 mean they are going to die. They can be

14 retreated and still be alive.

15 Q All right.

16 A So, I suppose you would still say maybe a

17 15 percent relapse rate during that first

18 three years or four years.

19 Q Do Stage 3 Hodgkin's patients have a higher
20 tendency to relapse than Stage 1 patients?

21 A Yes.

22 Q When you use the term relapse, I just want to
23 be clear as to what that means to you, Doctor.

24 What does that mean, relapse?

25 A Relapse means that there is reappearance of

4.
1 Hodgkin's disease.

2 Q And to be in remission means there is no
3 evidence right now that the person has any
4 other Hodgkin's disease.

5 A That's right. Remission, a complete remission
6 implies that there is no evidence by physical
7 examination or laboratory or radiologic
8 examination of any disease.

9 Q Do you have any opinion on the chances of
10 Tony Wozniak surviving five years?

11 A Well, I don't know what his response to therapy
12 was. If he had a complete remission as a result
13 of his initial therapy, I would think he has
14 an excellent chance of five years.

15 Q How about ten years?

16 A Excellent chance of ten years.

17 Q Since he would fit into the Stage 3 category,
18 you're talking about what, 85 to 90 percent
19 chance of survival ten years?

20 A Yes, and he was 3A.

21 Q Right.

22 A Which is important.

23 Q Better than 3B certainly.

24 A Yes. Patients who are symptom Class A have lesser
25 rates of relapse than patients who are symptom

Class B.

Q Assume for the moment, if you will, that he did have Hodgkin's in March of 1983 and assume further that it was diagnosed at that time. Do you have any opinion on whether or not this man could have been treated without chemotherapy?

A That would depend on what the stage was.

Q Assuming it was Stage 1.

A If it was Stage 1, the treatment of choice would have been radiation therapy to the involved field or extended field or however the radiation therapist wanted to do it.

Q Assume also that he did have Stage 1 in

March of 1983 and that it was diagnosed at that time. Do you have any opinion as to whether or not he would have undergone a splenectomy?

A I would have assumed that the determination of his having Stage 1 disease would have been made after he had had a splenectomy. In other words, one would have to know that the spleen was free of disease before placing him in Stage 1, and the only way of finding that out in an individual whose spleen is not enlarged on a CT scan, and even if it is

1 enlarged on a CT scan, the only way of know-
2 ing is to take the spleen out and look at it
3 under the microscope.

4 Q Once you go in and do the laparotomy you've
5 got to take the spleen out anyway,

6 A If you want to examine the spleen and find
7 out what's going on you have to remove it
8 to do that. You can't biopsy the spleen, *

9 Q You can't wedge it like they do the liver?

10 A No.

11 Q Does the spleen serve a useful purpose in
12 human anatomy?

13 A Yes.

14 Q What does it do?

15 A It has several functions,

16 Q Just tell me the most important ones,

17 A I was just going to say I give lectures on
18 this, the function of the spleen, I could go
19 on for an hour, The spleen serves in a sense
20 as a sponge⁵ It removes effete red cells
21 and platelets from the blood stream, It
22 removes other extraneous particles, debris,
23 if you will, from the blood stream. It
24 filters the blood stream in a sense.

25 It also, of course, is a major site

A Well, I suppose that Dr. Divita, Vincent Divita is as authoritative as anybody. He is the current Director of the National Cancer Institute and he was one of the investigators on the original protocol that was the combination chemotherapy treatment of patients with advanced Hodgkin's disease that represented a breakthrough in our management of this disease. Obviously the people out at Stanford who have done all the work on

radiation therapy of Hodgkin's disease.

There are so many.

Q How about Dr. Henry Kaplan?

A Kaplan, sure. He's one of the Stanford group. I believe.

Q I thought so too.

I don't know if I asked you this or not, but I'm winding down here. Let me make sure

I covered this with you. Do you have any opinion at all on what the future holds for

Tony Wozniak?

A Yes. Assuming that he was in a complete remission at the completion of his treatment program and by this time assuming that he is still in a complete remission, I would say he has an excellent outlook for a normal existence.

Q Normal life expectancy?

A Normal life expectancy.

Q The basis for that opinion is what exactly?

A Both based on the literature and the results of large studies, studies of large groups of patients, and on my own experiences with patients of a similar age and similar stage of Hodgkin's disease and how they have done,

which has been uniformly excellent.

1

52

Q We agree, of course, that a Stage 1 Hodgkin's patient has a much better future outlook than a Stage 3.

2

3

A Yes, but even Stage 3, because I personally don't end up treating Stage 1 patients because they get referred for radiation therapy, so everybody I treat is Stage 2, 3 and 4.

4

5

6

7

8

9

9

10

Q Which is what, chemotherapy?

11

A Yes.

12

Q You are not a surgical oncologist, I take it.

13

A No.

14

Q In addition to chemotherapy, and by the way, what effect does this have on the people who are undergoing this kind of treatment, chemotherapy?

15

16

17

18

19

20

21

22

A That depends on the individual. There are

23

24

25

26

27

28

29

30

31

32

33

34

35

Q The ones who develop symptoms, they talk about

36

what kind of symptoms, nausea, vomiting?

2

A Nausea, generalized weakness or tendency to fatigue more easily.

3

4

Q Do you know Dr. Jack Berman?

5

A Yes, I do.

6

Q Is he a qualified competent medical oncologist?

7

A I would say so.

8

Q Have you had a chance to see his report,

9

Doctor?

10

A I haven't read his report. Was I shown the report or not now? Come to think of it,

11

12

I think I was shown his report just a little while ago. I didn't really read the whole thing.

14

15

Q His report of January 12, 1985, is that the one you saw?

16

17

A I don't recall the date of the report I saw.

18

MR. HULME: I'll tell you

19

when it was. Has he written more than one?

20

21

MR. MONTELEONE: No.

22

MR. HULME: January 12.

23

Do you want him to look at it?

24

Q Would you, please?

25

A Sure.

Q I just want to know if there are any
points of disagreement that you and Dr.
Berman have,

MR. HULME: Do you want
him to sit and read the whole thing?

MR. MONTELEONE: Sure. It
won't take him that long.

A Well, as I'm going through this, I can't
say it's a disagreement. I guess it would
be a difference in emphasis. When it says,
"the mixed cellular category is a distinctly
less favorable pathological type of Hodgkin's
disease," that was certainly true before the
current era of modern combination chemotherapy
for Hodgkin's disease. The life table
analyses of treatment would suggest that
the cell type is not so significant as it
previously was. That's a very fine distinction,

Q How about his statement that a lymph node
that does not respond --

A Right, He's saying present for a week or ten
days should be removed for pathological
diagnosis, and as I responded earlier to your
inquiry regarding this, this depends on the
size of the lymph node and on what else is

1 going on, If I have a patient coming in
2 with a five centimeter lymph node with no
3 sore throat, upper respiratory infection,
4 as far as I'm concerned, ten days would be
5 too long. There is no reason to wait,
6 If you have a person coming in with a one
7 centimeter node and they have had a little
8 bit of a flu like illness or this or that,
9 it's a different situation, So, I think
10 that the time has to be taken in conjunction
11 with what is going on.

12 Q Certainly. He says a week or ten days.
13 You'd be more comfortable with 30 days?

14 A In an otherwise asymptomatic individual
15 with a one centimeter node,

16 Q He was talking about this case as we have
17 been.

18 A Right, but if this were a five centimeter
19 node then yes, I'd certainly want to know
20 what's going on

21 Now, the last sentence in that para-
22 graph, I don't know if you would say I'd
23 take issue with it. I think I know what
24 he's saying. I would have expressed it
25 differently because my opinion is one doesn't

1 know that one has Stage 1 or Stage 2 disease
2 until one has excluded disease in the spleen
3 and lymph nodes in the abdomen, and if it's
4 not possible to exclude it on the basis of
5 CT scanning of the abdomen and lymphangiography,
6 then one has to go to surgery, and there are
7 cases and I've had cases where there was no
8 evidence of disease below the diaphragm
9 and the CT scan of the abdomen was negative
10 and the lymphangiography was negative, and,
11 of course, we do a bone marrow aspiration
12 biopsy and that was negative, and when we
13 went to do the surgery, lo and behold, the
14 spleen had Hodgkin's disease. So, those
15 cases which on clinical grounds were Stage 1
16 or 2, on pathologic grounds after the staging
17 laparotomy and splenectomy were Stage 3.
18 So, I could not agree with it as he has
19 expressed it. I think I know what he was
20 trying to say, though.

21 Q We're talking about his statement, "If the
22 disease is diagnosed sufficiently early in its
23 stage and is a Stage 1 or 2 disease, which
24 means disease above the diaphragm, the
25 possibility of a staging laparotomy,

1 splenectomy and the morbidity associated
2 with that procedure may be avoided."

3 A The operative word is may, and that depends
4 on what your findings are of your other
5 diagnostic studies, that I know Jack would
6 do.

7 Q You essentially don't disagree with this
8 statement. You just would state it in a
9 different way is what you are telling me.

10 A That's right.

11 Q Fair enough. Let's go on to the final page
12 then.

13 A Now, the first complete sentence on page
14 three starting with the words "I think"
15 is obviously a judgment. I think that there
16 is an assumption that the lymph node had it
17 been biopsied in March of 1983 would have
18 shown the presence of Hodgkin's disease,
19 and for the reasons that I've mentioned,
20 I don't know whether that's the case or not.
21 I can't say that I could agree that it would
22 have forestalled later laparotomy. Again
23 that would have depended on what else was
24 found when they went through the other
25 diagnostic studies.

11 1 Q Maybe it would have, maybe it would not
2 have.

3 A It might or might not have, okay?

4 Q How about the final sentence "There is
5 thus concern that the judgment of allowing
6 a lymph node that did not respond appropriately
7 to antibiotic therapy, to remain present
8 without biopsy, is below the standard of
9 care that would be expected in the ordinary
10 practice of medicine."

11 A Right there, I think I've already testified
12 that within the time limits that we discussed
13 earlier, that I agreed with that, and that
14 subjecting the patient to a prolonged course
15 of therapy, that I don't know. whether it
16 would have prevented unnecessary surgery,
17 I just don't know,

18 Q So, his last sentence then on page three of
19 his January 12, 1985 report, you don't dis-
20 agree with it. You just don't know,

21 A That's right. It may or may not be correct,
22 at least the last half of that sentence.

23 Q I'd like to keep doing this for a while but
24 I'm sure you have other things you'd like to
25 do.

1 A I have as much time as you have.

2 Q I don't have any other questions. You
3 look at this or you can waive your sign^{can}
4 on it, It's whatever your pleasure is, ^{ature}

5 MR. HULME: I won't *wai*ve
6 the signature and I am representing
7 Dr. Smith. That saves your decision,

8 MR. MONTELEONE: You Will not,

9 MR. HULME: I will not.

10 MR. MONTELEONE: Fair enough,
11 Doctor. Thank *you* very much.

12 THE WITNESS: Thank *you*.
13 (*Deposition concluded*)

14 - - -

15

16

17

18

19

20

21

22

23

24

25

STATE OF OHIO,)
) SS: CERTIFICATE
COUNTY OF CUYAHOGA.)

I, Suzanne Vadnal, a Registered Professional Reporter and Notary Public within and for the State of Ohio, duly commissioned and qualified, do hereby certify that the within named witness, DALE COWAN, M. D., was by me first duly sworn to testify the truth, the whole truth and nothing but the truth in the cause aforesaid; that the testimony then given by him was by me reduced to stenotypy in the presence of said witness, afterwards transcribed upon a typewriter; and that the foregoing is a true and correct transcript of the testimony so given by him as aforesaid.

I do further certify that this deposition was taken at the time and place in the foregoing caption specified and was completed without adjournment.

I do further certify that I am not a relative, counsel or attorney of either party, or otherwise interested in the event of this action.

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my seal of office at Cleveland, Ohio, on this 28th day of February, 1986.

Suzanne Vadnal

Suzanne Vadnal, RPR, Notary Public
In and for the State of Ohio
My commission expires October 4, 1988.

GEORGE L. BLAM & ASSOCIATES
SHORTHAND & STENOGRAPHIC REPORTERS
LEADER BUILDING - CLEVELAND, OHIO 44114
PHONE: 861-5523

STATE OF OHIO,)
) SS: A F F I D A V I T —
COUNTY OF CUYAHOGA.)

SUZANNE VADNAL, being first duly sworn according to
law, states as follows:

1. That she is a Registered Professional Reporter and
Notary Public within and for the State of Ohio;

2. That the deposition of Dale Cowan, M.D., a witness
herein, called by the plaintiffs for cross-examination
pursuant to the Ohio Rules of Civil. Procedure, was taken
before her on February 20, 1986;

3. That when the deposition was transcribed, she
notified the witness on or about March 4, 1986 that the
deposition was transcribed and was available to be read
and signed;

4. That the witness made no attempt to read and
sign said deposition during the next seven days.

Further affiant saith naught.

Suzanne Vadnal
Suzanne Vadnal

SWORN TO BEFORE ME and subscribed in my presence this
21st day of March, 1986.

Marian E. Spehar
Marian E. Spehar, Notary Public
In and for the State of Ohio

My commission expires July 25, 1989.