

IN THE COURT OF COMMON PLEAS

CUYAHOGA COUNTY, OHIO

BARBARA MANNING, Executrix
of the Estate of EULALIE SCHULTZ,
Deceased,

Doc/26

Plaintiff,

-vs-

JUDGE WELLS
CASE NO. 166785

J.A. RAMOS, et al.,

Defendants.

- - - -

Deposition of DALE COWAN, M.D., taken as if
upon cross-examination before Sandra L. Mazzola,
a Registered Professional Reporter and Notary
Public within and for the State of Ohio, at the
offices of Dale Cowan, M.D., 6681 Ridge Road,
Parma, Ohio, at 10:35 a.m. on Wednesday, March
14, 1990, pursuant to notice and/or stipulation:
of counsel, on behalf of the Plaintiff in this
cause.

- - - -

MEHLER & HAGESTROM
Court Reporters
1750 Midland Building
Cleveland, Ohio 44115
216.621.4984
FAX 621.0050
800.822.0650

OSPOS L E a R B a R & MFG 00 8 2 313

1 APPEARANCES:

2 Charles Kampinski, Esq.
3 Charles Kampinski Co. L.P.A.
4 1530 Standard Building
Cleveland, Ohio 44113
(216) 781-4110,

5 On behalf of the Plaintiff;

6 Thomas T. Terry III, Esq.
7 Jacobson, Maynard, Tuschman & Kalur
8 1301 East Ninth Street
Suite 1400
Cleveland, Ohio 44114-1824
(216) 621-5400,

9 On behalf of Defendants

10 Drs. Cowan, Go, Ramos and Schikowski;

11 John W. Jeffers, Esq.
12 Weston, Hurd, Fallon, Paisley & Howley
2500 Terminal Tower
Cleveland, Ohio 44113
13 (216) 241-6602,

14 On behalf of Defendant

Parma Community General Hospital;

15 Marc W. Groedel, Esq.
16 Reminger & Reminger
7th Floor 113 St. Clair Building
17 Cleveland, Ohio 44114
(216) 687-1311,

18 On behalf of Defendant Dr. Patawaran;

19 Robert G. Hurt, Esq.
20 Law Offices of Laurence F. Buzzelli
21 1806 The Illuminating Building
Cleveland, Ohio 44113
(216) 861-2092,

22 On behalf of Defendant Royalview Manor.
23
24
25

1 DALE COWAN, M.D., of lawful age,
2 called by the Plaintiff for the purpose of
3 cross-examination, as provided by the Rules of
4 Civil Procedure, being by me first duly sworn,
5 as hereinafter certified, deposed and said as
6 follows:

7 CROSS-EXAMINATION OF DALE COWAN, M.D.

8 BY MR. KAMPINSKI:

9 Q. Would you state your full name, please?

10 A. Dale Cowan. Last name is spelled C O W A N.

11 Q. And where do you live, Dr. Cowan?

12 A. I live at 19600 Shaker Boulevard in Shaker
13 Heights.

14 Q. I'm going to ask you a number of questions this
15 morning. If you don't understand any of them,
16 please tell me. I'll be happy to rephrase any
17 question you don't understand.

18 When you respond to my questions, you have
19 to do so verbally. She is going to take down
20 everything that's said. She can't take down a
21 nod of your head. All right?

22 A. Yes, sir.

23 Q. I've just been handed your CV, Doctor. I see
24 that you're also an attorney?

25 A. That's correct.

1 Q. If you would, just briefly run me through your
2 educational background starting with college.

3 A. Yes. I was graduated from Harvard College in
4 1959 and from Harvard Medical School in 1963.

5 Q. What was your major in college?

6 A, Biomedical -- I guess biochemical sciences.

7 Q. And then you went straight from there into
8 medical school also at Harvard?

9 A. Yes.

10 Q. Upon completion of medical school, what did you
11 do?

12 A. I came to Cleveland Metropolitan General
13 Hospital for internship and residency training
14 in internal medicine. That training was
15 interrupted by two years in the military
16 service, which I was in the Public Health
17 Service, so -- and I spent the years from 1965
18 to 1967 at the National Institutes of Health.

19 Q. In Washington?

20 A. In Bethesda, Maryland.

21 Q. Okay. And then what did you do after that?

22 A. I returned to Cleveland to complete my internal
23 medicine training and took a traineeship in the
24 field of hematology.

25 Q. Fellowship?

1 A. Traineeship is a -- it's equivalent -- its the
2 same as a fellowship. It's a different program.

3 Q. Where did you do that?

4 A. Case Western Reserve University and at Cleveland
5 Metro General.

6 Q. When did you do that?

7 A. 1968 to 1970.

8 Q. What did you do after that?

9 A. I became a member of the full-time faculty of
10 the medical school at Case Western Reserve.

11 Q. Did you also have a practice aside from your
12 teaching responsibilities?

13 A. I had a small practice starting at or shortly
14 after I became full-time faculty.

15 Q. And where was that practice located?

16 A. At Metropolitan General Hospital and then later
17 on during the '70s, I saw patients at Lutheran
18 Medical Center, although I did not have an
19 office there.

20 Q. All right. Let's go slow. I take it you have
21 privileges at Metro?

22 A. Oh, yes.

23 Q. You have privileges at University?

24 A. Yes.

25 Q. All right. Anyplace else?

1 A. I have privileges at Lutheran Medical Center,

2 Q. So you were seeing patients at all three places
3 then?

4 A. No, sir. I was not seeing patients at
5 University. I had privileges there as a member
6 of the faculty and for purposes of doing
7 clinical research.

8 Q. What were you teaching, oncology, hematology,
9 both?

10 A. Both. At that time oncology was a new
11 specialty. There were virtually no oncology
12 training programs as we know them now outside of
13 cancer centers like M.D. Anderson and the
14 National Cancer Institute itself, Sloane
15 Kettering and Roswell Park in Buffalo. But no
16 universities had oncology training programs.
17 These came along during the '70s.

18 Q. So you were teaching hematology which included
19 training in oncology?

20 A. That's correct.

21 Q. Are you Board-certified?

22 A. Yes, I am.

23 Q. In what?

24 A. In internal medicine and in subspecialty
25 certification in both hematology and medical

1 oncology.

2 Q. When did you first obtain privileges at Parma?

3 A. That would have been in 1987.

4 Q. And how did that come about, Doctor?

5 A. It came about when I was advised that the person
6 who had been the hematologist on the staff at
7 Parma Hospital was retiring and the practice was
8 available.

9 I was looking for an opportunity to expand
10 my practice in order to have a broad enough
11 practice base to bring in an associate, And
12 this provided an opportunity. And so I bought
13 in or took over that practice.

14 Q. Whose practice did you take over?

15 A. Dr. Elena Ceicys. That's spelled C E I C Y S.
16 Elena, E L E N A.

17 Q. And what associate did you bring in?

18 A. I never did.

19 Q. At the time that you took over this practice
20 that caused you to get privileges at Parma, what
21 were you doing at that time before you took over
22 that practice?

23 A. I was and still am practicing over at Marymount
24 Hospital.

25 Q. Do you still teach at Case Western?

1 A. I have a clinical faculty appointment and I
2 teach, give one lecture a year.

3 Q. When did you stop being active as a teacher?

4 A. I had a tenured faculty position and was
5 full-time faculty up until some time in 1982.

6 Q. And why did you stop?

7 A. I went into full-time practice, and therefore,
8 it was no longer appropriate to be -- I couldn't
9 be full-time faculty.

10 Q. As a full-time practice, we're talking about a
11 practice at Marymount?

12 A. At that time it was at Marymount, yes.

13 Q. Did it change at any time?

14 A, It's been at Marymount except now it's at
15 Marymount and Parma.

16 Q. We are here in a Medical Arts Building. Do you
17 also have another office somewhere near
18 Marymount?

19 A. I have an office at Marymount. I pay rent to
20 Masymount Hospital for an office in the
21 hospital.

22 Q. So your address would be the hospital address?

23 A. That's correct.

24 Q. Do you have any other offices other than the
25 two?

1 A, Not in medicine.

2 Q. Okay. Do you have a law office?

3 A. I have a small law office. It's in my home.

4 Q. When did you go to law school?

5 A. I started in 1976 and completed in 1981.

6 Q. This would have been part-time?

7 A. Yes.

8 Q. And where did you go?

9 A. Case Western Reserve.

10 Q. I take it by a previous answer that you do
11 practice law also?

12 A. I have a very, very limited law practice,
13 probably only about five percent of my total
14 professional time.

15 Q. Well, do you specialize in anything in law?

16 A. Yes, I do.

17 Q. What is it?

18 A. In health care law, more specifically in that
19 which pertains to medical staffs, such areas as
20 physician contracting privileging,
21 credentialing, medical staff bylaws.

22 Q. So your clients would then be physicians?

23 A. My clients are usually medical staffs, not
24 individual physicians.

25 Q. When in 1987 did you get privileges at Parma?

1 A. I took over the practice either in the beginning
2 of May or the beginning of June, I can't recall
3 which, in 1987. My recollection is that I had
4 temporary privileges at the time I took over the
5 practice and that just the process of going
6 through the various approvals in the credentials
7 committee and the medical executive committee
8 and then the board of trustees, it would have
9 been some time later in 1987 that the original
10 provisional staff privileges would have been
11 provided.

12 Q. And were there any corollary requirements to
13 your obtaining privileges? For example, did you
14 have to be on call certain amounts of time as an
15 adjunct to having privileges?

16 A, No, sir.

17 Q. You were involved in the care of Eulalie Schultz
18 as a consultant, correct?

19 A. That's correct,

20 Q. How is it that you became involved?

21 A. I was asked by the attending physician to see
22 the patient in consultation.

23 Q. Would this have been because you were around or
24 you were on call as a hematologist at that time
25 or he knew you or how did that come about?

1 A. I don't know specifically how it came about. I
2 wasn't on call. I suspect it came about the way
3 any of the consults. A particular physician
4 requests a consultant in hematology or oncology,
5 has a choice of three persons on our Parma
6 medical staff, and can decide which of those
7 individuals he or she wishes to consult. In
8 this case, the doctor selected me, but I don't
9 know why or on what basis.

10 Q. So there's three hematologists on staff at
11 Parma?

12 A. That's correct.

13 Q. Who are the other two?

14 A. Dr. Byron Koffman, that's spelled K O F F M A N,
15 and Dr. Tim Bidari, B I D A R I.

16 Q. Is there any type of rotational type of
17 arrangement where the three of you would be
18 called in order if somebody sought consultation?

19 A. No, sir.

20 Q. So whatever Dr. Go's reasons are, you just don't
21 know?

22 A. That's correct.

23 Q. And when you said the attending, that's who you
24 were talking about is Dr. Go?

25 A. That's correct.

1 Q. You have had a chance to review the chart,
2 haven't you?

3 A. Yes, I have,

4 Q. I know, Doctor, that he apparently asked for
5 this consultation on April 1, is that correct?
6 Any time you need to look at the chart, go
7 ahead.

8 A. I'll have to look at the record.

9 Q. Sure.

10 MR. TERRY: You're referring to
11 something on the orders?

12 MR. KAMPINSKI: Yes. I think it's
13 page 45 maybe? 53? Page 53.

14 A. That's correct.

15 Q. And your actual consult occurred when?

16 A. I'll have to look at the date of my consult
17 reply.

18 Q. I think it's page 10, Doctor.

19 A. April 2.

20 Q. All right. So you would have seen her the
21 following day after he had requested the
22 consult?

23 A. That's correct.

24 Q. Your typed consultation report, is that as a
25 result of notes that you would have taken when

1 you saw her? I mean how do you do that? Or do
2 you go back to your office and do it by memory?

3 A. No, sir.

4 Q What do you do?

5 A I review the chart, go in and see the patient,
6 ask the patient questions, and examine the
7 patient. Sometimes the patient may not be in a
8 position to answer questions by virtue of the
9 nature of the problem if they're not coherent
10 for whatever reason or if they cannot recall for
11 whatever reason.

12 And if there's a family member there, which
13 sometimes there is and sometimes there's not --
14 and I don't recall whether there was at this
15 time -- then often the family members can
16 provide me with a history.

17 And then after I've completed the review,
18 the history and the physical exam, I go
19 immediately and dictate this note. I don't take
20 any specific notes.

21 Q. So you do do it from memory in terms of what
22 occurred during your visit with the patient?

23 A. Yes, but it's only a matter of a few minutes. I
24 mean I go see the patient and then I have the
25 chart in front of me when I'm dictating the

1 note.

2 Q. Okay. Did you have any discussions with Dr. Go
3 before you went to see the patient?

4 A. I do not recall.

5 Q. All right. That would be pretty typical though,
6 I mean for the attending to have some discussion
7 with you or you with him before you see the
8 patient, wouldn't it?

9 A. Not necessarily, no, sir.

10 Q. All right. There's nothing in the chart
11 indicating that the two of you did have a
12 conversation beforehand, I take it?

13 A. No, sir.

14 Q. And you are telling me you just don't remember
15 if you did?

16 A. I don't recall it.

17 Q. What was the reason then that you were called in
18 on consult?

19 A. I stated on the first line of the typed consult
20 reply, I was asked to see the patient to
21 evaluate the pancytopenia, the fact that all the
22 blood counts were subnormal.

23 Q. The information that's set forth, I'd say up
24 until the last paragraph on the first page, is
25 that all from history?

1 A. Give me a minute to review this, please.

2 Q. Sure.

3 A. Okay. Would you repeat your question?

4 Q. Yes. Up until the last paragraph of that first
5 page, I mean that's all history either from the
6 chart or from the patient?

7 A. That's correct.

8 Q. And then the last paragraph begins with your
9 findings on physical examination?

10 A. That's correct.

11 Q. And that goes through to the middle of the next
12 page where we have the heading, assessment?

13 A. Yes.

14 Q. In the history, the paragraph before you start
15 your physical examination on the first page,
16 it's got, Patient was started on antibiotic
17 therapy here with Claforan and more recently
18 with erythromycin and was given blood
19 transfusions.

20 Were you involved in any of those orders or
21 had that all preceded your consult?

22 A. That preceded my consult.

23 Q. Your assessment, Doctor, was based, I take it,
24 both on the history and physical examination,
25 would that be correct?

1 A. That's correct.

2 Q. And you reached the conclusion that her
3 significant pancytopenia was due to the -- it
4 says congestion, but did you mean ingestion?

5 A. Ingestion, yes. That would have been a typo.

6 Q. All right. So your opinion was that her
7 problem, that is, the pancytopenia, was due to
8 the chronic ingestion of methotrexate on a
9 weekly basis for the past four years?

10 A. That's correct.

11 Q. In your histosy that you obtained it indicated
12 that she had not had -- well, let me find it
13 specifically. In the first full paragraph, that
14 she had not had any recent blood counts measured
15 to determine what the level of the white count,
16 red count and platelets are or have been in her
17 blood, but that she has been fairly regular in
18 taking this medication.

19 A. Uh-huh.

20 Q. Is that appropriate in your opinion, Doctor, in
21 terms of prescription of methotrexate?

22 MR. TERRY: Objection. Go ahead.

23 You can answer.

24 A. Is it appropriate not to have measured the blood
25 counts on a regular basis? No, it's not

1 appropriate.

2 Q. And this is a drug that should not be given on a
3 long-term basis unless you were monitoring
4 certain functions, is that correct?

5 A. That's correct.

6 Q. You also found evidence of infection, did you
7 not?

8 A. Yes.

9 Q. And I take it that you were somewhat pleased
10 with the response that she was making to the
11 therapy that she was on at the time? I mean you
12 say that. She has shown a nice response to
13 antibiotic therapy.

14 A. Yes.

15 Q. Did you indicate that that therapy should be
16 continued? Not a trick question. Under
17 recommendation on the next page, that's what you
18 said.

19 A. Yes.

20 Q. Okay. And how long, Doctor, should erythromycin
21 be given once it's begun?

22 A. I think that depends on the situation.

23 Q. Well, doesn't the PDR indicate how long it
24 should be given?

25 A. Well, it may. I haven't reviewed the PDR for

1 erythromycin recently.

2 Q. Well, in your opinion how long should she have
3 been getting it?

4 A. Ordinarily a drug like this would be given for a
5 period of seven to ten days, depending on the
6 response.

7 Q. Well, when you say depending on the response,
8 are you saying that once the infection is
9 cleared, there's no necessity of continuing to
10 give it?

11 A. Ordinarily after the infection is cleared, the
12 patient is afebrile, and there's no evidence of
13 an infectious problem, then the antibiotic could
14 be discontinued.

15 Q. Well, is an elevated white count an indication
16 that there is an existence of an infection?

17 a. It may be, but not necessarily so.

18 Q. Okay. It's certainly some evidence that there's
19 a potential problem?

20 A. It is evidence that that is one possible
21 consideration.

22 Q. Sure. Well, she had an infection. I mean you
23 knew that?

24 A. Exactly.

25 Q. And it was your opinion to continue antibiotic

1 therapy?

2 A. Exactly.

3 Q. Dr. Go has been deposed. Have you reviewed his
4 testimony?

5 A. No, I have not seen it.

6 Q. He testified that he stopped the antibiotics on
7 the 6th without consulting you. Do you have any
8 recollection about having any discussions with
9 Dr. Go after you made your consultation report
10 on the 2nd about whether to continue or stop
11 antibiotics?

12 A. I have no recollection of that, no.

13 Q. Did you see her again after this consultation?

14 A. I don't believe I saw the patient after this
15 consultation.

16 Q. Your last sentence in the consultation is, I
17 shall be pleased to follow the patient along
18 with you?

19 A. That's correct,

20 Q. Did you anticipate that he would let you know
21 how she was doing and ask your further advice?

22 A. In this instance to the best of my recollection,
23 I reviewed the blood count that I had ordered --

24 Q. Okay.

25 A. -- the following day or two days later, and was

1 satisfied that the myelosuppression was
2 corrected or in the process of being corrected
3 and didn't have any further indication to do
4 anything further for the reason that I was
5 called in to see her.

6 Q. The pancytopenia?

7 A. That's correct.

8 Q. And so do I understand correctly that you were
9 not all that concerned with the infection aspect
10 of it?

11 A. That's correct.

12 Q. And would you then have anticipated Dr. Go would
13 have consulted with an infectious disease expert
14 if in fact he felt that that was appropriate?

15 MR. TERRY: Objection.

16 a. Yes.

17 MR. TERRY: Go ahead, You can
18 answer.

19 A. I would expect so, yes,

20 Q. So that when you're the one that indicated or
21 made a recommendation to continue current
22 antibiotic therapy, why did you do that? I mean
23 if you're not the infectious disease consult,
24 why would you make that recommendation?

25 A. Well, I think that one wants to take general

1 cognizance of the various events that are going
2 on, and I think it's a general recommendation in
3 a patient who had at that time still a low white
4 count and a --

5 Q. Low white count or high white count?

6 A. At the time I saw the patient the most recent
7 white count was, I believe, still low. I'll be
8 more precise. Let me just look it up for you.

9 Q. Sure.

10 A. I saw the patient on April 2, and the most
11 recent preceding white count was 600, and that
12 was done on March 30th. And I think that it's
13 -- because it's standard practice for hematology
14 oncology to be -- to take notes of these low
15 white counts, and in a situation where a patient
16 at least presented with a fever, it was
17 appropriate to indicate that the patient, having
18 been started on antibiotics and not at that
19 time, that is to say, on April 2, knowing what
20 the white count was, to have recommended that
21 the antibiotics be continued.

22 Q. Okay. You ordered platelet transfusions, did
23 you not?

24 A. That's correct.

25 Q. And the reason for that was what?

1 A. The patient had -- the most recent platelet
2 count on the record was 11,000 and the patient
3 had evidence of hemorrhagic findings, and I
4 think they're documented in my consult reply
5 when I describe the patient having extensive
6 ecchymosis over the upper extremities, to a
7 lesser extent over the lower extremities where
8 scattered petechiae -- these are scattered
9 pinpoint hemorrhages -- are also seen.

10 So I think that there's a general rule of
11 thumb that in a patient who has a
12 thrombocytopenia that is associated with
13 bleeding, that the administration of platelets
14 can reduce the risk of additional or further
15 bleeding.

16 Q. Okay. And there was a good response for both
17 the white and red blood cells during that
18 hospitalization, were there not?

19 A. I'm sorry?

20 Q. There was a good response of her white and red
21 blood cell counts during her hospitalization,
22 were there not?

23 A. Yes.

24 Q. All right. Did you continue to monitor those or
25 you just checked the one that you ordered?

1 A. After I checked the one I ordered, I did not
2 monitor it any further.

3 Q. You were not aware then that on April 8 the
4 white blood count was 34,288.1 granulocytes?

5 A. Is that a question? No, I was not aware of
6 that.

7 Q. Should you have been made aware of that?

8 MR. TERRY: Objection.

9 A. I don't know whether I should have or not.

10 Q. Well, in your opinion, should somebody have
11 brought that to your attention, Doctor?

12 A. Not necessarily. It's a matter -- would have
13 been a matter of judgment on the part of the
14 attending physician in light of everything that
15 was occurring in the patient at the time.

16 Q. Well, everything, I take it, is a matter of
17 judgment, but did he show good judgment in not
18 letting you know?

19 MR. TERRY: Objection.

20 A. In all honesty I don't know if I could really
21 second guess that. Perhaps if it had been
22 persistent over a longer time, he might have
23 inquired into it.

24 Q. All right. Were you involved at all in
25 analyzing or assessing whether or not she had

1 pneumonia?

2 A. No, sir, I was not.

3 - - - -

4 (Thereupon, a discussion was had off
5 the record.)

6 - - - -

7 Q. Doctor, in your assessment, the very last
8 paragraph --

9 A. Yes, sir.

10 Q. -- that's page 2 of your consult report.

11 A. Yes.

12 Q. You state that -- well, you anticipated that she
13 was going to recover from her infectious
14 problem, correct?

15 A. That's correct.

16 Q. All right. And the reason you anticipated that
17 was what?

18 A. Her temperature had decreased to normal during
19 the five days or so from the time of admission
20 to the time I saw her on the antibiotic therapy
21 that she had been provided.

22 Q. And based on that, you felt that the infectious
23 problem would resolve?

24 A. I thought it was in the process of resolving,
25 yes.

1 Q. All right. You then say, and from the
2 suppression of methotrexate. What did you mean
3 when you put that in? That you thought that
4 that would resolve or is that modifying the rest
5 of the sentence or -- why don't you read the
6 sentence and then maybe we can discuss it
7 intelligently.

8 A. All right. The first clause up to the first
9 comma, I think after she recovers from her
10 infectious problems and from the suppression of
11 methotrexate, one has to consider why she has
12 the abdominal bloating, et cetera -- the
13 interpretation of what I was saying is after she
14 recovers from the infectious problems and after
15 she recovers from the suppression of
16 methotrexate, that would be the pancytopenia.

17 Q. Okay. Did you anticipate that that would occur?

18 A. Yes.

19 Q. And why is it that you anticipated that?

20 A. There was no reason for it not to recover. In
21 other words, methotrexate is a myelosuppressive
22 drug and I had uncovered no other explanation to
23 account for the pancytopenia. With the removal
24 of the drug virtually a hundred percent of the
25 time the bone marrow recovers and the counts

1 return to normal.

2 Q. Is that with chronic use of the drug or is that
3 with periodic use of the drug?

4 A. Both. You may have a protracted pancytopenia
5 from chronic use, and I think that that is a
6 possibility. I suspect that's why I ordered the
7 follow-up blood count that I ordered.

8 Q. Can methotrexate, chronic use of methotrexate,
9 cause hepatitis associated with vascular changes
10 of the portal, splenic and mesentery arteries
11 and splenic end parts?

12 MR. TERRY: Objection.

13 Q. Well, let me withdraw that. Let me ask that
14 differently. Is hepatitis a well-known
15 complication of methotrexate therapy?

16 A. I'm trying to think the best way to respond. It
17 is known that administration of methotrexate
18 over time can in some patients be associated
19 with a, if you will, a chemical hepatitis,
20 inflammation of the liver.

21 Q. And that's one of the reasons that you would be
22 checking liver function if you're prescribing
23 methotrexate, correct?

24 A. That's correct.

25 Q. Do you have any opinions in this case, Doctor,

1 regarding the relationship of the methotrexate
2 to Mrs. Schultz's death?

3 A. I do not.

4 Q. All right. Have you reviewed any records for
5 that purpose?

6 A. No, I have not. I really had no involvement
7 with the case after I saw her in consultation,
8 and as I said, looked at the follow-up blood
9 count, but that was the end of my involvement
10 with her.

11 Q. You go on to say before the comma, one has to
12 consider why she has the abdominal bloating.
13 Would that have been within your province to
14 determine that?

15 A. No, sir.

16 Q. Or you were just commenting on the existence of
17 something that you physically saw?

18 A. Right. That's correct.

19 Q. And just as any doctor would, even though you
20 weren't consulting for that problem, you were
21 noting the problem for somebody else to deal
22 with?

23 A. That's correct.

24 Q. Would that also be true with the rest of the
25 sentence then, and what the reason is for the

1 anoxia, the 25-pound weight loss, and why her
2 albumin is only 2.5?

3 A. That's correct.

4 Q. So none of that would have been within your
5 expertise?

6 A. No, sir.

7 Q. All right. In terms of recommendations, the
8 third one then, says, Evaluate her GI tract
9 after she recovers from the present acute
10 episode. It should be noted that patient states
11 she's been passing some black stool. I think we
12 have to look into this. Would that have been
13 within your province to follow up on that?

14 A. No, sir.

15 Q. Whose would it be then?

16 A. Well, all of these would have been within the
17 province of the attending physician.

18 Q. All right. Other than giving her platelet
19 transfusions which you indicate that you had
20 done or you had ordered?

21 A. I'm sorry. I don't understand the question.

22 Q. Other than giving her the platelet transfusions,
23 which you indicated in your recommendation that
24 you had done.

25 A. Yes.

1 Q. All right. That would have been within your
2 province, you did it?

3 A. Yes.

4 Q. Do you have any opinions, Doctor, as to whether
5 or not she should have been discharged with --
6 well, first of all, do you have any opinion as
7 to whether she should have been discharged when
8 she was?

9 MR. TERRY: Objection.

10 MR. JEFFERS: Objection.

11 A. I don't have any opinion because I was really
12 not involved with her care at the time.

13 Q. Did you stop in to see her at all again after
14 you saw her on this consultation?

15 A. Only the one time. The only recollection I
16 have, and I did not write a note on it, but the
17 only recollection I have is after -- is to
18 review the results of the blood test I had
19 ordered. And that would have been the day after
20 the blood test, which would have been the 4th of
21 April. The blood test -- I saw the patient
22 April 2. The blood test was done on April 3.

23 And I did take the liberty of looking at
24 the calendar. April 2 was a Saturday. April 3
25 was a Sunday. So I would have stopped in on

1 Monday, April 4, and having satisfied myself
2 that it was up, I suspect I went in and told the
3 patient that her blood counts were resolving and
4 there was really -- you know, basically my role
5 was finished.

6 Q. All right. You were trying to do what with the
7 blood counts, get the white blood count up?

8 A. My responsibility was to evaluate the mechanism
9 of the pancytopenia.

10 Q. Okay.

11 A. There are --

12 Q. And pancytopenia is what? That's bleeding?

13 A. No, sir,

14 Q. What is it?

15 A. Pancytopenia means suppression of all the blood
16 cell elements, okay?

17 Q. Okay. And why are the blood cell elements
18 suppressed?

19 A. Because the bone marrow which is the site where
20 blood cells are made is suppressed because of
21 the action of the drug, methotrexate.

22 Q. Okay. But there was also associated bleeding,
23 was there not?

24 A. Bleeding will not deplete blood cells
25 ordinarily. As a matter of fact, very often

1 when you have patients who have GI bleeding,
2 there may be an increase in blood counts,

3 Q. Okay.

4 A. Except for the red count, obviously, which goes
5 down, but I mean the white count and the
6 platelet.

7 Q. Did she have leukopenia?

8 A. Leukopenia means a decrease from normal of white
9 cells as opposed to neutropenia which is a
10 decrease from normal of neutrophils, which is
11 just one category or class of white cells. So
12 she had leukopenia as well as neutropenia.

13 Q. So your purpose then was to what? Or your goal
14 was to do what?

15 A, My goal or purpose was to evaluate the mechanism
16 for the pancytopenia and then to prescribe
17 appropriate treatment for it,

18 Q. Which was the platelet administration?

19 A, In this instance at the time I saw the patient
20 that's correct.

21 Q. And the purpose of platelets would be to what?

22 A. The platelets are small cells that circulate in
23 the blood and promote blood clotting. When the
24 platelets are low, a patient is at risk for
25 increased bleeding. So the purpose of the

1 A. The blood counts I reviewed would have been
2 those that had been obtained before I saw the
3 patient in consultation.

4 Q. Well, and you said you ordered one?

5 A. There were three blood counts that I reviewed
6 that were available before I saw the patient in
7 consultation,

8 Q. 28th, 29th and 30th?

9 A. That's correct.

10 Q. Okay.

11 A. And then I ordered one that was done on the 3rd
12 of April, and I reviewed that,

13 Q. All right. And the white blood count was up?

14 A. 8,700 on April 3.

15 Q. Hematocrit?

16 A. Was 9.9 grams.

17 Q. Is that okay?

18 A. I'm sorry. The hemoglobin was 9.9 grams. The
19 hematocrit was 29 percent, Well, it's a mild
20 anemia, but it was not significantly different
21 from what it had been on March 30.

22 Q. All right. Which was 30?

23 A. Yes.

24 Q. Platelets?

25 A. The platelet count had increased to 51,000 which

1 may have been due in part to the preceding
2 platelet transfusion.

3 Q. Okay. You're talking now about on the 3rd?

4 A. I'm talking about April 3, yes.

5 Q. All right. Did you ever review the laboratory
6 findings for April 8?

7 A. No, sir, not to my recollection.

8 Q. Would you look at them now, please?

9 A. Certainly.

10 Q. What's the white blood count?

11 A. On that day the white blood count is 34,200.

12 Q. How about the differential?

13 A. The differential reveals 71 percent polys, these
14 are neutrophils. 9 percent lymphocytes, 7
15 percent monocytes, 6 percent bands, 5 percent
16 metamyelocytes and two percent myelocytes.

17 Q. And what about the platelets, Doctor?

18 A. The platelets were 561,000.

19 Q. Are those normal readings?

20 A. The white count is elevated. The platelet count
21 is elevated, and there's some immature white
22 cells in the differential.

23 Q. What does that tell you, sir?

24 A. One of several things could be occurring.

25 Q. Well, what do you think most likely was

1 occurring?

2 A. Well, I can't say what was most likely
3 because --

4 Q. Okay. Tell me the several things then.

5 A. I can tell you the several things.

6 Q. Okay.

7 A. Either this patient had, if you will, a rebound
8 phenomenon from having had a severe pancytopenia
9 and now was recovering the bone marrow, and
10 there's an overshoot in the recovery following
11 such a rebound phenomenon. This overshoot was
12 on the shy side of what one would expect with
13 respect to the white cells, but in the range of
14 elevation with respect to platelets, normal
15 platelets being 150,000 to 450,000.

16 It could -- other possibilities
17 particularly with respect to the presence of
18 metamyelocytes and myelocytes in the peripheral
19 blood -- and I'm assuming for the sake of this
20 interpretation that the technician who did the
21 differential was correctly identifying the
22 cells.

23 Q. Okay.

24 A. Because I did not review the smear, so I can't
25 testify personally to that, or confirm or affirm

1 that this is an accurate differential.

2 But taking it at face value, it would occur
3 that one of two things was occurring then.
4 Either that this patient may in fact have had a
5 variant of what we call the myeloproliferative
6 disorders. Myeloproliferative disorders are a
7 family of disorders of alteration of production
8 of blood cells by the bone marrow, and which in
9 this case would have been characterized by an
10 increase in the white count and platelet count
11 with an appearance of immature white cells in
12 the peripheral blood.

13 And disorders that comprise the
14 myeloproliferative disorders might have been
15 chronic myelocytic leukopenia or polycythemia
16 vera or one of those variants.

17 In any event, this patient may have had
18 that type of a process, which is a prime bone
19 marrow problem. It's not due to something
20 else. It's an abnormality that's inherent in a
21 disease of the bone marrow itself.

22 Q. All right.

23 A. That would have been one possibility. And the
24 other possibility would be that this could be
25 what is called a leukemoid reaction, which is a

1 reaction and response to something else going on
2 in the body, which could be infectious,
3 inflammatory or some other process, even an
4 unrelated neoplastic process, cancer somewhere
5 else.

6 Q. Or it could be just some type of infection?

7 A. Well, I included infection as one of the
8 possible stimulants.

9 Q. Sure, so that should have been one of the
10 differential diagnoses based upon this finding?

11 A. At 'that time, yes.

12 Q. All right. Could you tell me what the next
13 blood count finding --

14 A. I don't see another blood count on the records
15 that I have.

16 Q. Shouldn't there have been one, Doctor, after
17 this finding --

18 MR. TERRY: Objection.

19 Q. -- in your opinion?

20 A. Well, I would ordinarily have expected another
21 blood count.

22 Q. Do you know when she was taken off antibiotics?

23 A. No, I don't,

24 Q. If I told you that it was before this blood
25 count was taken, would that assist you at all in

1 terms of your differential?

2 A. The differential would be the same. My
3 differential diagnosis would be the same
4 irrespective of when the antibiotics were
5 discontinued, I would still be concerned with
6 the range of possibilities that I indicated.

7 Q. All right. I take it that your concern would
8 have resulted in some additional testing?

9 A. That's correct.

10 Q. Do you know if any additional testing was done
11 with respect to this finding?

12 A. I do not know. I do not know if anything was
13 done. I don't have any records of any
14 additional blood counts.

15 Q. Are there even any notes in the chart by the
16 physician after April 8?

17 A, Excuse me while I look at the progress notes,

18 Q. Sure.

19 A. The last note that I see in the medical record
20 is dated April 8.

21 Q. I'm sorry. You said earlier that you had looked
22 at the calendar and determined that the weekend
23 was when, April 2?

24 A. I looked at a calendar and determined April 2
25 was a Saturday.

2 | A. Yes.

5 | A. No, sir.

8 | A. No, sir.

11 A. No, sir.

14 A. No, sir, I wasn't aware of it.

16 MR. JEFFERS: No questions.

18 MR. GROEDEL: No.

19 MR. HURT: No.

23 THE WITNESS: On the advice, I'd
24 like to read the transcript, please.

25

DALE COWAN, M.D.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

C E R T I F I C A T E

The State of Ohio,) SS:
County of Cuyahoga.)

I, Sandra L. Mazzola, a Notary Public within and for the State of Ohio, authorized to administer oaths and to take and certify depositions, do hereby certify that the above-named DALE COWAN, M.D., was by me, before the giving of his deposition, first duly sworn to testify the truth, the whole truth, and nothing but the truth; that the deposition as above-set forth was reduced to writing by me by means of stenotypy, and was later transcribed into typewriting under my direction; that this is a true record of the testimony given by the witness, and was subscribed by said witness in my presence; that said deposition was taken at the aforementioned time, date and place, pursuant to notice or stipulations of counsel; that I am not a relative or employee or attorney of any of the parties, or a relative or employee of such attorney or financially interested in this action.

IN WITNESS WHEREOF, I have hereunto set my hand and seal of office, at Cleveland, Ohio, this ____ day of _____, A.D. 19 __.

Sandra L. Mazzola, Notary Public, State of Ohio
1750 Midland Building, Cleveland, Ohio 44115
My commission expires January 6, 1992