

1 STATE OF OHIO)

2) SS:

3 COUNTY OF CUYAHOGA)

4 IN THE COURT OF COMMON PLEASE

5 - - - - - X

6 MATTHEW CHASE WAGONER, etc., :

7 et al., :

8 Plaintiffs, :

9 vs. : Case No. 497179

10 MARK R. EVANS, M.D., et al., : Carolyn B.

11 Defendants. : Friedland

12 - - - - - X

13 DEPOSITION OF JONATHAN H. CRONIN, M.D.,

14 a witness called on behalf of the Defendant,

15 Lawrence D. Lilien, M.D., taken pursuant to the

16 applicable provisions of the Ohio Rules of Civil

17 Procedure, before Valerie R. Johnston, Registered

18 Professional Reporter and Notary Public in and for

19 the Commonwealth of Massachusetts, at the Offices

20 of O'Brien & Levine Court Reporting Services, at

21 195 State Street, 5th Floor, Boston,

22 Massachusetts, on Monday, June 19, 2006,

23 commencing at 3:10 p.m.

24

1 A P P E A R A N C E S:

2
3 Becker & Mishkind Co., L.P.A.

4 (by Michael F. Becker, Esq.)

5 Becker Haynes Building

6 134 Middle Avenue

7 Elyria, Ohio 44035,

8 on behalf of the Plaintiffs.

9 Tel: (440) 323-7070

10
11 Moscarino & Treu, LLP

12 (by John T. Bulloch, Esq.

13 and George Moscarino, Esq.)

14 The Hanna Building

15 1422 Euclid Avenue, Suite 630

16 Cleveland, Ohio 44115,

17 on behalf of the Defendant,

18 Lawrence D. Lilien, M.D.

19 Tel: (216) 621-1000

I N D E X

WITNESS: DIRECT CROSS REDIRECT RECROSS

Jonathan H. Cronin, M.D.

(by Mr. Bulloch) 4

E X H I B I T S

EX. NO.		PAGE NO.
---------	--	----------

1	Handwritten Notes	8
---	-------------------	---

2	Curriculum Vitae	9
---	------------------	---

3	Curriculum Vitae	10
---	------------------	----

4	Letter to Scott Kolodny from	
---	------------------------------	--

Jonathan H. Cronin, M.D. dated

August 20, 2004	96
-----------------	----

1 P R O C E E D I N G S

2
3 JONATHAN H. CRONIN, M.D.,

4 having been satisfactorily identified by the
5 production of Massachusetts Driver's License No.
6 031420705, and duly sworn by the Notary Public,
7 was examined and testified as follows:

8 DIRECT EXAMINATION

9 BY MR. BULLOCH:

10 Q. Dr. Cronin, my name is John Bulloch. I
11 know we met before the deposition began, but for
12 the record, I represent Fairview Hospital in this
13 matter.

14 Have you had your deposition ever taken
15 before?

16 A. I have.

17 Q. Okay. We'll get to that in a minute.

18 You were kind enough to share with me
19 your file that you have in this case, and it looks
20 like it comprises the records from Parma Community
21 Hospital, Fairview Hospital, Dr. Ahmed's
22 (phonetic) prenatal records, some records from Dr.
23 Evans and some records from the free clinic; is
24 that correct?

1 A. That's correct.

2 Q. And then you also have a couple of
3 letters from counsel. Have you removed anything
4 from this file?

5 MR. BECKER: I have.

6 MR. BULLOCH: Do you mind telling me
7 what you removed and when?

8 MR. BECKER: Sure. I removed some
9 e-mail correspondence between Scott Kolodny of my
10 office -- formerly my office and to the doctor.

11 MR. BULLOCH: For the record, we believe
12 we have the right to see those. So just make a
13 record of that in the transcript, please.

14 BY MR. BULLOCH:

15 Q. You also have a report from a Dr.
16 Rodriguez, Carlo Rodriguez, from University
17 Hospital in Cleveland.

18 A. Correct.

19 Q. Do you know Dr. Rodriguez, by any
20 chance?

21 A. No, I don't.

22 Q. Okay. And you have a couple of bills in
23 here.

24 (Mr. Moscarino entered the conference

1 room.)

2 MR. BECKER: This is George Moscarino.

3 THE WITNESS: Hi. How are you?

4 MR. MOSCARINO: Good to meet you. Sorry
5 I'm a little late here.

6 BY MR. BULLOCH:

7 Q. Doctor, are these all the bills that
8 you've generated to date in this matter?

9 A. That's correct.

10 Q. So you spent an hour writing a report
11 and two and three-quarter hours reviewing the
12 case; is that correct?

13 A. That's correct.

14 Q. Have you ever seen any other -- other
15 records from this case?

16 A. No.

17 Q. Any other subsequent care records or
18 anything?

19 A. No, other than what I have here. This
20 is the entire file (indicating).

21 Q. Have you ever talked to counsel for the
22 Plaintiff about any of the other Plaintiffs or the
23 other expert witness reports that have been
24 generated in this case?

1 A. About their findings?

2 Q. Yes.

3 A. Once.

4 Q. Do you recall the -- the scope of that
5 conversation? I mean, was it the Plaintiffs'
6 experts that you were reviewing?

7 A. I didn't review. It was -- it was a
8 discussion between -- it was a phone discussion
9 between me and the Plaintiffs' counsel.

10 Q. Was that with Mr. Becker?

11 A. That's correct.

12 Q. Okay. Was that pretty recent?

13 A. Yes.

14 Q. And was it predominantly a discussion
15 about defense experts or about Plaintiffs'
16 experts?

17 A. Plaintiffs' experts.

18 Q. Did you have any other conversations
19 about any other reports that have been generated
20 in this case by any of the defense experts?

21 A. No, I have not.

22 Q. And then you have a -- it looks like two
23 pages of handwritten notes, correct?

24 A. Correct.

1 Q. I assume these were notes that you took
2 as you were reviewing Matthew Wagner's records.

3 A. That's correct.

4 Q. You had Dr. Lilien's deposition
5 transcript as well, correct?

6 A. That's correct.

7 Q. Have you seen any of the other
8 deposition transcripts that have been generated in
9 this case?

10 A. I have not.

11 Q. Have you had any discussion with
12 Plaintiffs' counsel about any of the testimony
13 that's been offered by any of the other witnesses
14 in this case?

15 A. I have not.

16 MR. BULLOCH: Go ahead and mark that as
17 Exhibit 1, please.

18 (Document marked as Exhibit 1
19 for identification)

20 BY MR. BULLOCH:

21 Q. Doctor, I'm going to hand back to you
22 your two pages of notes, which we've marked
23 Exhibit No. 1. I'll probably ask you to read
24 portions of these into the record, and I don't

1 believe I asked you this, but did you review any
2 of the x-ray films that were generated?

3 A. I've never seen an x-ray film.

4 Q. Okay. So, obviously, you've looked at
5 reports from the radiologist, correct?

6 A. That's correct.

7 MR. BULLOCH: Okay. Mark this Exhibit
8 2, please.

9 (Document marked as Exhibit 2
10 for identification)

11 BY MR. BULLOCH:

12 Q. Doctor, I'll hand you what's been marked
13 as Exhibit 2, which is a copy of your CV that was
14 provided to us by Plaintiffs' counsel, which is --
15 which would be 23 pages long, correct?

16 A. (Witness reviews document) No. This is
17 not my CV. I have things from Barry Pressman.

18 Q. I am sorry. Something got stapled
19 together.

20 A. This is not my CV (indicating).

21 Q. All right. We got some -- how these got
22 put together -- I'm sorry, Doctor. I'll correct
23 that.

24 MR. BULLOCH: Off the record.

1 (Discussion off the record)

2 MR. BULLOCH: Back on the record.

3 BY MR. BULLOCH:

4 Q. I apologize for the mix-up.

5 You now have in front of you what's your
6 CV dated as of March 20th, 2006?

7 MR. BECKER: Is this a copy?

8 MR. BULLOCH: Yeah.

9 A. (Witness reviews document) Yes.

10 Q. Do you have a more current CV, sir?

11 A. Yes, I do.

12 Q. You don't happen to have one with you,
13 do you, by any chance?

14 A. Yes, I do (indicating).

15 Q. Terrific. Is this the only copy that
16 you have (indicating)?

17 A. Yes.

18 MR. BULLOCH: All right. Can we mark
19 that as Exhibit 3.

20 (Document marked as Exhibit 3
21 for identification)

22 BY MR. BULLOCH:

23 Q. The CV that you handed me we've marked
24 as Exhibit 3 has a new date of June 6th, 2006.

1 This is your most current CV?

2 A. Correct.

3 Q. Are there any additions or deletions to
4 this CV that you would add at this time?

5 A. Not significant ones that I recall.

6 Q. Okay. And, since you have the March
7 20th, 2006, CV in front of you, could you tell me
8 what is different on what we marked as Exhibit 2
9 and what's marked as Exhibit 3.

10 A. (Witness reviews documents) There may be
11 a small change in -- in a hospital affiliation
12 that I have, but it's essentially the same. I
13 just keep my CV up to -- up to date --

14 Q. Okay.

15 A. -- but there's no -- there's no
16 significant change between the two.

17 Q. All right. And, as far as hospital
18 affiliation, you mean hospitals that you're
19 currently practicing in?

20 A. Correct.

21 Q. Are there any new articles or book
22 chapters or abstracts or reviews or anything of
23 that nature that you've added to this CV?

24 A. No, not since the one in March.

1 Q. Okay. I'll hand you back your current
2 CV, and I'll work off the old one. Fair enough?

3 A. Okay.

4 Q. You tell me if I'm making any errors in
5 any assumptions or if there's been any changes
6 that I might not have.

7 I understand that you have -- you are
8 dual boarded, correct?

9 A. That's correct.

10 Q. Pediatrics was a sub-board in
11 neonatal and perinatal medicine?

12 A. That's correct.

13 Q. Is the latter board held by most
14 neonatologists?

15 A. That's correct.

16 Q. Is it also the same board that's held by
17 perinatologists, or are they basically the same
18 thing?

19 A. No. That's a different board.

20 Q. Okay. So the neonatal perinatal
21 medicine board is actually for neonatologists?

22 A. That's correct.

23 Q. Okay. Have you had that board
24 recertified? Have you ever been recertified in

1 that board?

2 A. I have not, because it has not been
3 required.

4 Q. Okay. You're kind of grandfathered --

5 A. Grandfathered.

6 Q. -- in for life?

7 A. Correct.

8 Q. Okay. I understand from your prior CV
9 that we had, which is roughly two and a half
10 months old, that you were -- are an assistant
11 professor in pediatrics.

12 A. That's correct.

13 Q. And that's with Harvard Medical School?

14 A. That's correct.

15 Q. Is that a clinical position, Doctor?

16 A. I don't understand.

17 Q. Well, let me explain. We see a lot of
18 people that have professorships or have academic
19 appointments, and what they're really doing is
20 mentoring people, second and third-year law
21 school -- in your case, NICU. You're trying to
22 teach them medicine clinically as opposed to
23 didactic, where there's a lot of lectures and
24 classroom type instruction. Is yours more the

1 former or the latter?

2 A. It's a combination of both.

3 Q. Okay. So you actively give lectures at
4 Harvard Medical School?

5 A. Not at Harvard Medical School. I give
6 didactic lectures to people at Massachusetts
7 General Hospital, trainees, and I also do bedside
8 teaching with the residents when I'm rounding.

9 Q. And the latter is more what I mean by
10 clinical professorship.

11 Now, the lectures that you give at Mass.
12 General, are those to residents, or is that more
13 nursing staff or --

14 A. Residents -- pediatric residents,
15 pediatric clinical care fellows, neonatology
16 fellows, nursing staff and an occasional medical
17 student.

18 Q. Okay. I also noticed in your -- your CV
19 you had a lot of ECMO.

20 A. Correct.

21 Q. Is that predominantly what you give
22 lectures in?

23 A. It's one of the things that I talk a lot
24 about.

1 Q. Good.

2 You're an assistant professor. The next
3 step would be -- associate professor is the next
4 step up?

5 A. Correct.

6 Q. And then a full professorship, correct?

7 A. Correct.

8 Q. All right. You also listed in your
9 current hospital appointments pediatrics at Mass.
10 General --

11 A. Correct.

12 Q. -- and a neonatologist at the Brigham,
13 correct?

14 A. Correct.

15 Q. Okay. I guess, I'm a little bit
16 confused. Are you -- are you -- are -- why don't
17 you tell me what you practice in terms of both
18 locations.

19 A. These are hospital titles, and
20 Massachusetts General Hospital does not have a
21 hospital title for being a neonatologist. They
22 just have hospital title for, I think it's, junior
23 pediatrician --

24 Q. All right.

1 A. -- and then pediatrician.

2 Q. Okay.

3 A. Whereas at the Brigham, because they
4 only have a neonatal intensive care unit -- they
5 don't have a pediatric department over at the
6 Brigham -- you're either a junior neonatologist or
7 a neonatologist.

8 Q. I see.

9 A. These are just sort of all hospital
10 titles.

11 Q. Okay. And correct me if I'm wrong;
12 is most of your clinical responsibilities in
13 NICUs?

14 A. Neonatal intensive care units and
15 step-down units, Level 2 nurseries.

16 Q. Okay. You don't have an active
17 pediatric practice where you're giving kids MMR
18 shots or things of that nature?

19 A. That is correct.

20 Q. You're not treating kids with
21 inflammation of tympanic membrane or things of
22 that nature?

23 A. That is correct. I do not.

24 Q. Is it fair to say that a hundred percent

1 of your clinical time is spent in the NICU?

2 A. Or Level 2 nursery, yes.

3 Q. Or Level 2 nursery. Thank you.

4 Let me ask you about that. What
5 percentage of your time would you estimate you're
6 in a Level 2 nursery as opposed to a Level 3
7 nursery?

8 A. My Level 3 nursery time -- let me
9 rephrase that.

10 Of my clinical time, the vast majority
11 of that is neonatal intensive care units, and
12 the -- the minority is in Level 2s.

13 Q. Okay. Can you -- and I'm not --

14 A. I can quantify that.

15 Q. I am not going to hold you to these
16 numbers, but yeah. Can you quantify that for me a
17 little further.

18 A. This academic year and those in the
19 recent past, I've done approximately 12 weeks a
20 year in the neonatal intensive care unit being on
21 service, and I've done --

22 Q. And that's a Level 3 nursery?

23 A. Correct.

24 Q. I don't mean to interrupt you.

1 So that's roughly 25 percent of your
2 time in Level 3?

3 A. Correct.

4 Q. Okay.

5 A. And approximately three to four weeks a
6 year in a Level 2 nursery.

7 Q. Okay. Either you have got a great
8 vacation planned, or my -- my math is really
9 failing me. What do you do the rest of the time?

10 A. I do 40 to 50 nights a year of night
11 call.

12 Q. Okay. And what does that entail?

13 A. That entails being the senior
14 neonatologist in-house at night, nights, holidays
15 and weekends --

16 Q. Okay.

17 A. -- covering the NICU, covering the Level
18 2, backing up the -- the labor and delivery, being
19 the senior person in the house.

20 Q. Okay. And, as senior person in the
21 house, that's -- what did you say -- 40 or 50
22 nights a year?

23 A. Correct.

24 Q. Okay. Do you also get summoned to

1 the -- to the pediatric wards?

2 A. No, I do not.

3 Q. Okay.

4 A. That is not my responsibility.

5 Q. Okay. What do you do the rest of your
6 time professionally?

7 A. A lot of administration time, being the
8 acting chief of the unit. Before that, I was the
9 associate chief of the unit, and I try to write a
10 chapter in a textbook every several years.

11 Q. And I noticed that, and I planned to get
12 to that in a moment, but you -- well, let me go to
13 this.

14 You -- there were -- there were a number
15 of things that I wanted to talk to you about just
16 to get a better understanding of what your
17 practice is all about.

18 You stated that or your CV lists MGPO
19 Management Education Program for Specialist
20 Leaders.

21 A. Correct.

22 Q. I assume MGPO is Mass. General Physician
23 Organization.

24 A. That is correct.

1 Q. And that's essentially a group of
2 doctors in private practice, correct?

3 A. Not really, no.

4 Q. Okay. Why don't -- why don't you tell
5 me what it is, Doctor.

6 A. There are two corporate entities.
7 Number one is Massachusetts General Hospital, and
8 they have they have their own corporate board of
9 trustees. Very closely affiliated is the
10 Massachusetts General Physicians Organization.
11 That is the organization that employs all of the
12 physicians that work at Mass. General Hospital
13 and -- but it is a -- but it is --

14 Q. A wholly-owned subsidiary of the
15 hospital?

16 A. Not of -- not of the hospital. It's
17 a -- it's a separate corporation and has separate
18 board --

19 Q. Okay.

20 A. -- but, of course, they work very
21 closely together --

22 Q. Okay.

23 A. -- but they are distinct, separate
24 entities.

1 Q. Okay. And then you're under contract
2 through the -- through the MGPO?

3 A. That is correct.

4 Q. And then the MGPO, I assume, contracts
5 with Mass. General to provide physicians in --

6 A. That is correct.

7 Q. -- every specialty.

8 A. That's correct.

9 Q. Now, there's Management Education
10 Program for Specialist Leaders. I mean, that's a
11 mouthful, but I assume that you're being groomed
12 or you were in that program being groomed for some
13 type of administrative position; is that fair?

14 A. Back in 1997, there was an opportunity
15 to, you know, get some education about, you know,
16 management education, sort of a mini-B school, if
17 you will, and it was something that I was
18 interested in, so I took it. It was a week-long
19 course that I took.

20 Q. A mini M.B.A. program?

21 A. Correct.

22 Q. Okay. Now, you also list -- and I want
23 to get this right for the record, so I apologize
24 for reading, but MGPO Physician Practice Leaders,

1 and what -- what was that all about? I think that
2 went from 2002.

3 A. From 2002 to 2003, the MGPO Physician
4 Practice Leaders Forum. That was a monthly
5 meeting of people who -- some of whom had gone
6 through the management education program, but I
7 had selected myself, if you will, something that
8 I'm interested in -- in furthering my career
9 through medical management, and the following year
10 the MGPO Physician Leadership Development series
11 just sort of followed hand-in-hand, and this was
12 a -- again, this is an attempt by the MGPO to take
13 physicians who are interested in becoming more
14 administrative leaders in the organization.

15 Q. Okay. What's your role with the MGPO
16 currently?

17 A. I'm a physician within the group.

18 Q. Sure.

19 A. And, number two, I'm an elective member
20 of the MGPO Executive Committee.

21 Q. Okay. And what's your role in the
22 executive committee?

23 A. I go to meetings twice a month and
24 represent subspecialists' interests.

1 Q. Okay. And I assume to represent
2 subspecialists interests you're doing some -- you
3 just don't go to these meetings and represent your
4 subspecialists' interests, correct?

5 A. That's correct. I represent -- I'm one
6 of two, I believe, sub -- subspecialist -- elected
7 subspecialist representatives, and my job is to
8 represent the outlook of MGPO subspecialists.

9 Q. All subspecialists?

10 A. Yes.

11 Q. Wow.

12 And do you have to meet with the various
13 subspecialists from time to time to get a feel of
14 what their interests are? I mean, you're going to
15 represent them in front of the executive
16 committee. How do you go about obtaining the data
17 or the information you need to -- to represent
18 their interests?

19 A. Well, there are three -- it's a
20 three-year term, and there are three -- three of
21 us who are subspecialists who represent the
22 subspecialist members.

23 I -- I know a lot about pediatrics; I
24 know a lot about obstetrics and, certainly, a

1 certain degree of anesthesia. So people who I --
2 who come along sort of in my practice, and there
3 are two others, who are usually medicine and
4 surgical types, who span out and -- and get the
5 opinions of others.

6 It's not -- it's not a formal I sit down
7 with all the subspecialists --

8 Q. Okay.

9 A. -- or anything like that.

10 Q. What percentage of your time do you
11 think you're involved in just that aspect of being
12 on the executive committee?

13 A. 5 percent.

14 Q. 5 percent?

15 A. It's small.

16 Q. All right. You -- you've got numerous
17 committee assignments on your CV, too, correct?

18 A. Correct.

19 Q. And, just for the record, could you tell
20 me what those currently are. I guess -- well, you
21 tell me where you start, but on my older version
22 of this, I guess, I would start at chairman of
23 Emerson Hospital Perinatal Committee.

24 A. Starting in 19 -- that's correct. I've

1 done that since 1997.

2 Q. That's current?

3 A. Yeah. That is correct.

4 Q. Okay. Go on. Can you tell me the rest
5 of the committees that you're -- the major
6 committee assignments, as you put it, that you're
7 currently involved in.

8 A. Sure.

9 Q. You know what; I am sorry. I'm going to
10 ask you to back up. I misread this.

11 There's major committee assignments on
12 the national and regional level as well, correct?

13 A. Correct.

14 Q. Are you still on both of those?

15 A. Yes, I am.

16 Q. What is the Technical Advisory Group on
17 Neonatal Intensive Care?

18 A. It's an ad hoc group put together by the
19 Department of Public Health and the Commonwealth
20 of Massachusetts that's called every now and then
21 when people of DPH have technical advisory
22 questions about neonatal intensive care unit.

23 Q. So this is a formal committee that sits
24 down from time to time?

1 A. It's an ad hoc group. It's met about
2 four times in the last 10 years.

3 Q. Okay. Is there any administrative work
4 that you're doing for that particular advisory
5 group?

6 A. No.

7 Q. What percentage of your time do you
8 think is involved in this particular group?

9 A. Minuscule.

10 Q. Okay. Fair enough.

11 The AAP Neonatal Resuscitation Program,
12 what's that all about, sir?

13 A. That's a -- the education work group.
14 It's, again, a very small amount of work, which
15 usually has to do with e-mail questions and
16 putting together teaching materials for the AAP,
17 Neonatal Resuscitation Program.

18 Q. The AAP being the American Academy of
19 Pediatrics?

20 A. That's correct.

21 Q. This is a national program, obviously.

22 A. International.

23 Q. International.

24 Can you give me a percentage that you

1 spend on national and regional matters?

2 A. It's -- it's small. I mean, one to 2
3 percent.

4 Q. Okay. And then, as I said -- I'm sorry
5 I skipped those very important assignments, but
6 you were starting with the chairman of Emerson
7 Hospital Perinatal Committee.

8 A. Right. Emerson Hospital is a Level 2
9 nursery, which is affiliated with Partners Health
10 Care, which is a conglomerate here in Boston.

11 Q. I'm familiar with that.

12 A. And I'm the -- I'm the medical director
13 of the Level 1 and Level 2 nurseries at Emerson,
14 and as part of those responsibilities, I'm
15 chairman of the perinatal committee, which is a
16 committee that's mandated by the Department of
17 Public Health. Every hospital perinatal service
18 has to have that, and I'm also chairman of the
19 Emerson Hospital Neonatal Care Review Committee
20 where we go over certain cases that meet certain
21 criteria. It's a peer review committee.

22 Q. By peer review committee, I assume that
23 meets monthly at least.

24 A. Correct. Yes.

1 Q. Okay. What about the other two
2 committees that you mentioned; how often do they
3 meet?

4 A. The other two?

5 Q. I thought you said there were two. I
6 only see one, but I thought you mentioned two.

7 A. There's the perinatal committee and then
8 the neonatal peer review committee at Emerson
9 Hospital.

10 Q. Are those the only two committees you
11 served on at Emerson currently?

12 A. That's correct, yes.

13 Q. Okay. And you're a chairman, so you,
14 obviously, have some role in putting together
15 agendas and --

16 A. I do that with the nurse manager,
17 correct.

18 Q. Maybe, this is an easier way to break
19 that down. About what percentage of your time do
20 you think is involved in the administrative
21 responsibilities just at Emerson?

22 A. 3 percent.

23 Q. Okay.

24 A. I hope you don't think this is all going

1 to add up to a hundred.

2 Q. No, I don't, but I expect it to be very
3 close. I'm kidding.

4 Then you have Mass. -- Mass. General
5 Hospital Fetal Maternal Task Force. Is that the
6 next thing that you're currently still serving on?

7 A. Yes, although that committee has been
8 dormant for -- for about six months to a year and
9 may come back. Unclear. I do not chair that.

10 Q. Okay.

11 A. I'm just a member of it.

12 Q. We talked about the MGPO --

13 A. Correct.

14 Q. -- Executive Committee, correct?

15 A. That's correct.

16 Q. Okay. Did we talk about the MGPO
17 Physician Practice Advisory Council?

18 A. No. That's -- the Physician Practice
19 Advisory Council was a -- on Page 1, after the
20 physician MGPO Physician Leadership Development
21 series ended -- that was a year-long process --
22 they formed with the same group of us the
23 Physician Practice Advisory Council. So we are a
24 group that meets on a monthly basis to basically

1 advise the leadership of the MGPO on issues
2 regarding physician practice.

3 Q. Okay. And what percentage of your time
4 would you estimate you spend in that practice --

5 A. We --

6 Q. -- advisory council?

7 A. We meet once a month. Again, it's
8 small. It's two to 3 percent.

9 Q. Okay. And then the MGPO Continuity of
10 Care Task Force, are you still active in that,
11 sir?

12 A. I am. That hasn't been -- I'm not the
13 chairman of it. I'm a member of it, and it hasn't
14 really gone anywhere in recent time.

15 Q. Okay. And did we talk about the Mass.
16 General Hospital General Executive Committee.
17 This is different, isn't it?

18 A. This is different. I --

19 Q. This is the general executive committee
20 of the entire hospital?

21 A. That's correct.

22 Q. Mass. General?

23 A. That is correct.

24 Q. And what's your role in that?

1 A. It meets twice a month. I go to both of
2 those meetings as representing the MGPO.

3 Q. And what percentage of your time do you
4 think you spend in that role preparing for going
5 to meetings and so forth?

6 A. Again, it's, probably, 3 percent. It
7 meets twice a month.

8 Q. Okay. You have certain departmental
9 responsibilities as well, and the first one I'm
10 showing is -- well, let me back up before I ask
11 you that.

12 Are there any other hospital-wide
13 committees that you're currently serving on that
14 we haven't already talked about?

15 A. No.

16 Q. Okay. The departmental, would you cover
17 those for me, sir. The first one that I have is
18 Mass. General Newborn Services Collaborative
19 Practice --

20 A. Collaborative Practice Committee.
21 That's a monthly meeting -- interdisciplinary
22 meeting for all of us who are involved in the care
23 of neonates. We meet once a month.

24 Q. Okay.

1 A. The Vincent Memorial Obstetrics --

2 Q. Before you go on to that, how much
3 time of your time do you think you spend on this?

4 A. It's -- we meet -- we meet once a month,
5 and there may or may not be some work to do that,
6 but we meet once a month.

7 Q. Two or 3 percent?

8 A. It's small. Obviously, it might be
9 less, because two to 3 percent might be when I'm
10 meeting twice a month.

11 Q. Okay. How long do all of these meetings
12 usually last?

13 A. Well, it depends. The GEC meetings,
14 General Executive Council, are two-hour meetings.
15 MGPO meetings are usually two-hour meetings. This
16 one here is a one-hour meeting.

17 Q. Okay. I understand you have certain
18 committees that you just basically get ready for
19 and go to and others that you do a substantial
20 amount of work --

21 A. Correct.

22 Q. -- to prepare for?

23 A. Correct.

24 Q. Okay. Then you started telling me about

1 the Vincent --

2 A. Memorial Obstetrics Division Work Group.
3 That's another interdisciplinary meeting chaired
4 by obstetrics and perinatology, and I represent
5 neonatology in that group.

6 Q. The same amount of time spent on that, 2
7 to 3 percent?

8 A. It's two hours, and it's twice a month.

9 Q. So, maybe, that's a little more?

10 A. Right.

11 Q. What would you estimate that at, 5
12 percent?

13 A. Yeah. Four to 5 percent.

14 Q. Okay. And then you have membership and
15 professional societies. Are you involved in -- I
16 understand what membership entails, but somebody
17 of your level, are you also involved in any type
18 of administrative capacity with any of these
19 organizations?

20 A. No, I'm not.

21 Q. Doctor, maybe, I should have asked you
22 this before, but -- wait a minute.

23 Out of all of the administrative
24 responsibilities that you have, if you'd sit down

1 and compare the number of days that you're in the
2 NICU, the number of days that you're on-call, can
3 you kind of convert that to hours? And then the
4 number of hours you're involved in an
5 administrative capacity, what percentage of total
6 professional time would you estimate is involved
7 in some type of administrative capacity?

8 A. Probably, around 40 percent.

9 Q. And that's the best estimate you can
10 give me today?

11 A. Uh-huh.

12 MR. BECKER: Can we take a two-minute
13 break.

14 MR. BULLOCH: Sure.

15 (Recess)

16 BY MR. BULLOCH:

17 Q. Doctor, you've been deposed before how
18 many times?

19 A. Less than a dozen.

20 Q. Okay. Well, you probably know the
21 ground rules, but just to remind you. I mean,
22 you're doing a great job, and you're verbalizing
23 your answers, but for the court reporter's
24 benefit, she can't really record nods of the head.

1 We're all guilty of that.

2 A. Okay.

3 Q. This is not the normal way we have
4 conversations, and as she said, if you could just
5 wait until I finish my question, I'll try like
6 hell to not interrupt your answers. I'm probably
7 worse at this than you are, so...

8 All right. Doctor, you -- you told me
9 about your activity in Level 2 and Level 3
10 nurseries, and was that -- the percentage that you
11 spent in Level 2 versus Level 3 nurseries today,
12 was that also true in 1999?

13 A. Yes.

14 Q. Was that about the same percentage?

15 Okay. What's the difference between a
16 Level 2 and Level 3 nursery?

17 A. Well, within the Commonwealth of
18 Massachusetts, Level 2 nurseries are defined by
19 the ability to provide continuous positive airway
20 pressure, nasal CPAP, as their highest mode of
21 respiratory care, and only some nurseries can do
22 that. It's a very highly regulated, complex
23 process in Massachusetts.

24 Q. Is that true only in Massachusetts?

1 Because we all have level -- I've seen Level 2 and
2 Level 3 nurseries through the country. Is that
3 individually state licensed and regulated?

4 A. In general, yes. There are some states
5 where you can do mechanical ventilation, if you're
6 a Level 2 nursery. It's -- it can get very
7 complicated, but in Massachusetts, what a nursery
8 can do and how it's graded Level 3, Level 2A.
9 Level 2B is highly regulated by the Department
10 of -- the Department of Public Health.

11 Q. Okay. These organizations that you're
12 involved in, do any of -- have they promulgated
13 directives or -- or guidelines to what they
14 believe should be a Level 2 versus a Level 3
15 nursery?

16 A. A couple of years ago the American
17 Academy of Pediatrics came out with a policy
18 statement, for lack of a better word, trying to
19 make a little method to the madness on a national
20 level.

21 Q. Did that have any impact?

22 A. In Massachusetts, it has. I can't speak
23 for other states.

24 Q. Well, in -- and I'm not asking you to

1 from your perspective or anything, but just for --
2 obviously, I'm sure you go to a lot of seminars,
3 and you, probably, talk at a lot of seminars, and
4 I'm sure you're in contact with other physicians
5 that are neonatologists. Do you find a big
6 difference between Level 2 and three nurseries in
7 Massachusetts as opposed to most other states?

8 A. As it relates to community hospitals,
9 it -- it can be, yes.

10 Q. Okay. Would you agree with me that
11 Level 3 is kind of the gold standard? I mean, I
12 assume there's not a Level 4, correct?

13 A. Actually, there is a Level 4 --

14 Q. Oh.

15 A. -- a Level 4 category in the new AAP
16 guidelines.

17 Q. Okay. But not in Massachusetts?

18 A. That is correct.

19 Q. So I assume you would agree with me
20 Level 3, at least in Massachusetts, is kind of the
21 golden standard, correct?

22 A. That's correct.

23 Q. Now, when you're a neonatologist in a
24 NICU, are you -- are you essentially the attending

1 physician?

2 A. That's correct.

3 Q. And what's the role of consultants that
4 come into the NICU -- consultants -- for example,
5 a pediatric neurologist? Can you explain that for
6 me, what their role is as opposed to your role as
7 a neonatologist.

8 A. Well, in the NICU at Massachusetts
9 General Hospital, where I've worked for 12 years,
10 all of the patients are admitted under my name,
11 and I am the attending neonatologist.

12 Q. You're the captain of the ship?

13 A. Correct.

14 Q. Okay.

15 A. And I will ask for a consultant -- I
16 will request a consultation on a certain
17 subspecialist to help me care for a certain
18 patient.

19 Q. Okay. So, when you call in a
20 subspecialist, it's for that specific purpose,
21 correct?

22 A. Yes.

23 Q. For example, if you call in an
24 orthopaedic surgeon, it's to evaluate a child for

1 some orthopaedic condition, correct?

2 A. Correct.

3 Q. When you call in a cardiologist, it's
4 for some cardiac manifestation, correct?

5 A. Correct.

6 Q. Now, when you call in a pediatric
7 neurologist, it's for a neurologic deficit; fair
8 enough?

9 A. Neurologic --

10 Q. Deficit or neurological condition.

11 A. -- issue.

12 Q. Symptom. Issue. Thank you.

13 Okay. So, obviously, you're in the NICU
14 all of the time you're working clinically as
15 opposed to a pediatric neurologist who might have
16 office hours and be doing other things, correct?

17 A. Yes.

18 Q. So, when I'm talking to you, I'm talking
19 to the guy that should know the most about what
20 goes on in a NICU; is that fair enough?

21 A. Yes.

22 Q. Okay. The way -- and I think you
23 answered this, but in -- in Massachusetts, at
24 least, who determines if a NICU is a Level 2 or a

1 Level 3; is that the state?

2 A. Yes.

3 Q. Okay. And the primary determinant is
4 whether or not you can give oxygen under pressure?

5 A. It's much more complicated than that.

6 Q. Okay.

7 A. We have department -- we have perinatal
8 regulations that are promulgated by the Department
9 of Public Health. They are around 300 or 400
10 pages long.

11 Q. Okay.

12 A. And, within those pages, they delineate
13 exactly what you need to have to be a Level 1, a
14 Level 2 or a Level 3 as it pertains to staffing,
15 equipment, consultants, the whole ball of wax.

16 Q. Okay. In your mind, the major
17 determinant -- and tell me if this isn't fair, but
18 I'm just trying to get -- in your mind, the major
19 determinant is the ability to give oxygen under
20 positive pressure?

21 A. Nasal -- it's just -- it's not that
22 simple, because in Massachusetts, we have 2A
23 nurseries, which can't give nasal CPAP --

24 Q. Okay.

1 A. -- but 2B nurseries can give nasal CPAP.

2 Q. Can they also -- can Level 2 nurseries
3 in Massachusetts also vent a baby?

4 A. No, they -- no, they cannot.

5 Q. Okay. Only a Level 3 nursery can
6 vent -- put a baby on a ventilator?

7 A. That is correct.

8 Q. Okay. And a Level 2 nursery that had a
9 baby that needed a ventilator would transfer that
10 patient or should transfer the patient to a Level
11 3 nursery; fair enough?

12 A. That is correct.

13 Q. You don't give surfactant, unless you're
14 on a ventilator, correct?

15 A. Unless you have an endotracheal tube in
16 place.

17 Q. Would you have an endotracheal tube in
18 place and not be on a vent?

19 A. You might be on a -- a bag, an
20 anesthesia bag.

21 Q. Okay. In your experience, do Level 2
22 nurseries in Massachusetts frequently or
23 infrequently administer surfactant to babies?

24 A. For babies that are to be transferred

1 frequently.

2 Q. So they might start it, the surfactant,
3 and then transfer the baby; is that what you're
4 saying?

5 A. That is correct.

6 Q. But that would be not under ventilation;
7 that would be with a bag?

8 A. Depending upon -- correct, depending
9 upon whether the particular Level 2 hospital had a
10 ventilator.

11 Q. Okay. Well, wait a minute, though.
12 Didn't you tell me that a Level 2 can't put a baby
13 on a vent?

14 A. We're getting into semantics here in
15 Massachusetts between Level 2A nurseries and Level
16 2B nurseries.

17 Q. Okay.

18 A. The Level 2A nurseries that I work at do
19 not have infant ventilators. If there is a baby
20 born who they feel needs surfactant, the child
21 will be intubated, and then surfactant will be
22 given by either the person who is there or the
23 transport team when they arrive --

24 Q. Okay.

1 A. -- and then the baby will be
2 transported.

3 Q. Or, I suspect, sometimes they might wait
4 until the baby is actually transported and then
5 administer the surfactant at the Level 3 nursery,
6 correct?

7 A. That happens very infrequently today.

8 Q. Okay. At least the first dose?

9 A. That happens very infrequently today.
10 Transport teams in Massachusetts all give
11 surfactant on transport.

12 Q. Okay. And, again, they would not be
13 giving multiple doses on transport, would they?

14 A. No. Just the first dose.

15 Q. Just the first dose. Okay.

16 Do you give it the same way when you're
17 using -- when you're giving it with a vent? Do
18 you have to position the baby so many different
19 ways --

20 A. Yes.

21 Q. -- to enter the tube?

22 A. There's a protocol that we follow, yes.

23 Q. Okay. Is there a difference in the
24 level of skill of a neonatologist working in a

1 Level 2 versus Level 3 nursery, in your mind?

2 A. I feel that neonatologists who primarily
3 work in Level 3 NICUs are -- are more skilled at
4 dealing with those types of issues as opposed to a
5 level -- as opposed to a neonatologist who works
6 in a Level 2 nursery and occasionally goes to work
7 in a Level 3 NICU.

8 Q. They're seeing sicker babies,
9 essentially, in a Level 3 nursery?

10 A. Correct.

11 Q. They might have a little bit more
12 training; they might be a little more competent --
13 I am sorry. I interrupted you. You nodded your
14 head yes, correct?

15 A. I think there's -- let me leave it at
16 this: I think there's a difference between
17 neonatologists who work primarily in -- in NICUs
18 and deal with acute issues in newborns as opposed
19 to the neonatologists who work primarily in Level
20 2s and then episodically go into NICUs for,
21 perhaps, a little refresher.

22 Q. Tell me briefly what goes on in a Level
23 3 nursery. I mean, there's a lot of monitors,
24 obviously; there's a lot of staff, correct?

1 A. Correct.

2 Q. The monitors, what are you -- what are
3 you monitoring these babies for when you have a --
4 in a Level 3 nursery hooked up to all of these
5 machines? What exactly are you typically
6 monitoring on a neonate?

7 A. The basic monitor, we're monitoring
8 their heart rate, their respiratory rate, their
9 blood pressure and their oxygen saturation.

10 Q. Okay. And these monitors -- let's move
11 back to 1999. These monitors, I assume, have
12 certain levels that are preset, and once the level
13 goes outside of that preset normal limit, then I
14 assume it alarms, correct?

15 A. That's correct.

16 Q. The reason I ask you this is I've been
17 in a NICU before, and there's a lot of alarms
18 going off all the time, correct?

19 A. Correct.

20 Q. But there's a lot of nurses, too, isn't
21 there, in most NICUs?

22 A. Correct.

23 Q. Is there a certain staffing requirement
24 for NICUs that you're aware of?

1 A. The patient/nurse ratio is determined by
2 the nursing staff, depending upon the acuity of
3 the patient.

4 Q. Okay. A child with RDS, respiratory
5 distress syndrome, is that typically one-on-one,
6 or is the nurse covering two patients?

7 A. If the -- if the patient is -- I'm
8 talking a little bit about my -- you know, this is
9 more of a nursing expertise, but what I've
10 observed through the years is that, if you had
11 a -- a baby who is severely ill with hyaline
12 membrane disease, it's one-to-one, but most often
13 these days it's -- it's two patients to one nurse.

14 Q. Okay. And I assume the nurse is
15 watching patients that are next to each other, so
16 she can watch both monitors? And, again, in your
17 experience.

18 A. It depends upon -- it depends upon the
19 nursing staff and --

20 Q. Okay.

21 A. -- how they work that. I don't get
22 involved in that part.

23 Q. I understand, but certainly, you've been
24 in NICUs long enough, and you've got leadership

1 positions in NICUs, that you, certainly, feel
2 qualified to say what the nurses seem to be doing
3 with your patients, correct?

4 A. True. Yes.

5 Q. Okay. I assume the nurse is making fine
6 adjustments based on some orders that have been
7 provided by the doctors or standing orders of
8 certain things happening to the baby.

9 A. Correct.

10 Q. For example, if the oxygen saturation
11 goes up, the nurse might have the authority to
12 increase the rate of nasal oxygen flowing into the
13 baby's or into the bassinet?

14 A. She would -- she would be given an order
15 to increase the amount of fractional inspired
16 oxygen that the patient is receiving.

17 Q. Okay. If the baby's blood pressure
18 would drop, I would assume they have the ability
19 to increase the rate of IV, for example.

20 A. Not increase their rate of an IV, but
21 might be able to increase the amount of a certain
22 cardiac drug to monitor blood pressure, to support
23 blood pressure.

24 Q. And, certainly, the nurse would be

1 informing you of changes in the patient's
2 condition as well, correct?

3 A. That is correct. Maybe, me, the fellow,
4 the resident team that is taking care of that.

5 Q. Doctor, are you familiar with Dr. Robert
6 Darnall of Dartmouth?

7 A. I know the name.

8 Q. How do you know the name?

9 A. I may have met him -- I know some of the
10 names of some of the people in the New England
11 neonatal groups. I believe I may have met him at
12 a conference on Cape Cod once.

13 Q. So you know him as a neonatologist?

14 A. I believe so, yes.

15 Q. He's at Dartmouth?

16 A. I believe so.

17 Q. I assume you -- even though you're Mass.
18 General, you feel Dartmouth is a fine facility, a
19 wonderful hospital?

20 A. I don't have any firsthand experience
21 with Dartmouth, other than football.

22 MR. BULLOCH: Okay. Off the record.

23 (Discussion off the record)

24 MR. BULLOCH: Back on the record.

1 BY MR. BULLOCH:

2 Q. Do you know Dr. Darnall as having a
3 subspecialty in pulmonary diseases of the neonate?

4 A. I didn't know that.

5 Q. Okay. I assume you respect Dr. Darnall.

6 A. I don't really know him.

7 Q. Okay. Fair enough.

8 Do you know a Dr. Marcus Hermansen?

9 MR. BECKER: Hermansen.

10 Q. Hermansen.

11 MR. BULLOCH: Thank you.

12 A. I know the name.

13 Q. How do you know his name?

14 A. His name? Primarily I've seen it on an
15 Internet chat board that has to do with neonatal
16 intensive care units.

17 Q. Is that a -- is that a neonatal chat
18 site that you frequently participate in?

19 A. I don't usually participate in it. It's
20 a message board as opposed to a chat room, and I
21 sometimes scan through some of the postings that
22 have been put out there, and I've seen his name,
23 because I know he is in New Hampshire.

24 Q. Do you respect Dr. Hermansen?

1 A. I don't know Dr. Hermansen personally or
2 clinically.

3 Q. Okay. Doctor, I wanted to go to your
4 list on your CV of your articles and on somewhat
5 on your presentations. There are a substantial
6 number of presentations that you've made over the
7 years, but I guess, the easiest way to ask this
8 is, which of these numerous publications or
9 presentations do you believe are directly related
10 to the facts at issue in this case as you
11 understand the facts at issue?

12 A. (Witness reviews document) Well,
13 specifically you would have to go towards my
14 bibliography, and I -- I have written, you know,
15 numerous chapters on dealing with the respiratory
16 problems of infants.

17 Q. And, as we've talked before, a lot on
18 articles on extracorporeal membrane oxygenation or
19 ECMO?

20 A. In my presentations, yes. I'm sorry. I
21 jumped ahead to my bibliography.

22 Q. Okay. Your bibliography lists three
23 journal articles; is that correct?

24 A. Correct.

1 Q. Has there been any additional ones since
2 the one that would have been published in 1991 on
3 my CV?

4 A. No.

5 Q. Okay. And then book chapters, you've
6 contributed to 10 textbooks, correct?

7 A. Correct.

8 Q. Is there any -- let me back up.

9 Is there any specific article that you
10 published in peer review literature that's
11 relevant to the -- you know, directly relevant to
12 the facts at issue in this case?

13 A. In my review, where I wrote about high
14 frequency ventilator therapy for newborns, you
15 know, again, we did discuss pulmonary disease.

16 Q. Sure. And -- and that high frequently
17 ventilator therapy, is it another ECMO --
18 primarily centered towards ECMO, or no?

19 A. No. It's a different type of
20 technology.

21 Q. Okay. Can you explain the difference to
22 me as a lay person. I didn't know there was a
23 difference.

24 A. Between?

1 Q. ECMO and high frequency ventilator
2 therapy.

3 A. Oh. Well, high frequency ventilator is
4 a -- it's a special kind of ventilator that
5 breathes at very, very high rates, about 600 to
6 900 breaths per minute. It's very small breaths
7 at very, very high rates, and that's what the
8 machine does.

9 Q. Okay. Is that high pressure or low
10 pressure?

11 A. It's usually low pressure --

12 Q. Okay.

13 A. -- but very high rates.

14 Q. Okay.

15 A. So high frequency ventilator therapy.
16 ECMO is extracorporeal membrane oxygenation.
17 That's something totally different, which is
18 taking the blood out of a baby or an infant who's
19 in hypoxemic respiratory failure, taking the blood
20 out, oxygenating it with a certain type of
21 technology and then putting it back into the baby.

22 Q. Right. So that's the equivalent of a
23 renal dialysis machine would be to the kidneys,
24 correct?

1 A. Yes.

2 Q. It does sort of function --

3 A. I think of it more as cardiopulmonary
4 bypass.

5 Q. Okay. All right. The book chapters
6 that you edited or authored, which of those
7 do you believe are related directly to the facts
8 at issue in this case?

9 A. Well, in neonatal emergencies, I had
10 discussed, you know, certain respiratory issues
11 that patients can get before they're -- before
12 they're transported, which -- you know, I mean,
13 transient tachypnea in the newborn as respiratory
14 disease. Obviously, there's Chapter No. 7 there,
15 hyaline membrane disease, and then No. 8, meconium
16 aspiration. You know, these are all relatively
17 common respiratory diseases of infants.

18 Q. And the one most on point in this case
19 would be No. 7, the article that you edit -- the
20 chapter that you authored in Saunders, correct, on
21 hyaline membrane disease?

22 A. That's correct.

23 Q. Okay. Any abstracts, Doctor, that you
24 feel directly are related?

1 A. Not specifically.

2 Q. Okay. So -- and, just for the record --
3 and I understand this -- the only -- the only
4 publication that you've authored that's directly
5 on point that relates to hyaline membrane disease
6 would be the chapter in Saunders, correct?

7 A. Correct.

8 Q. Okay. Any publications or presentations
9 related to the use of surfactants?

10 A. Not specifically, no, although
11 surfactant is, obviously, mentioned in this
12 chapter.

13 Q. Doctor, we -- you talked a little bit
14 about -- let me back -- strike that.

15 Just looking at your CV as a whole, is
16 it fair to say that you have a special interest in
17 ECMO and neonatal resuscitation?

18 A. As well as a few other things, but yes.

19 Q. And what would those few other things
20 be?

21 A. High frequency ventilation --

22 Q. Okay.

23 A. -- ECMO, neonatal resuscitation and
24 pulmonary -- persistent pulmonary hypertension of

1 the newborn.

2 Q. Okay. You mentioned briefly the
3 difference between ventilators and CPAP when you
4 were talking about ad nauseam to you, I'm sure,
5 the difference between Level 2 and Level 3
6 nurseries, but specifically as to ventilators and
7 CPAP, is there a difference in the rate of
8 pneumothoraxes that occur or likely will occur
9 with ventilators as opposed to CPAP?

10 A. I don't know of any recent data, but air
11 leaks would be -- air leaks are more common with
12 mechanical ventilation than it is with CPAP.

13 Q. Than with CPAP?

14 A. Yes.

15 Q. I had that backwards. I always heard
16 that CPAPs have higher air rate.

17 A. I don't believe so.

18 Q. Now, you would be the guy that would
19 know, not me, so I'll take your word for it.

20 What exactly happens in a pneumothorax?

21 A. It's a collection of extrapleural air
22 causing the lung to collapse.

23 Q. Essentially what happens, I assume, is
24 some of the alveoli rip, actually tear.

1 A. That's what is felt to be responsible.

2 So air escapes from the alveolus out into the
3 extrapleural or sort of the extrapulmonary space,
4 the space between the lung and chest wall.

5 Q. What are some of the causes of
6 pneumothoraxes?

7 A. The most common cause is too much
8 pressure from -- it's iatrogenic. It's too much
9 pressure from ventilator pressure. I can be
10 caused, probably -- it's been associated, although
11 I can't tell you definitely cause and effect, but
12 it's more common in kids who have an infection.

13 Sometimes you can have a baby who's
14 just -- there's a weakness in the area. It's
15 probably a congenital defect, and it just happens.
16 Sometimes it's seen in babies who had meconium
17 aspiration as well, and it's probably more of a --
18 sort of a ball valve where air goes into the
19 alveolus, and the alveolus gets bigger and bigger,
20 and because there's obstruction to gas flow, it
21 can't escape, and finally, it just rips, and you
22 get a pneumothorax.

23 Q. What happens in an infection; does it
24 actually weaken the wall?

1 A. That's the theory behind it, yes.

2 Q. And does that occur in bacterial as well
3 as viral infections?

4 A. I believe so, yes.

5 Q. Okay. Now, you -- you implied that
6 pneumothoraxes occur with ventilation, correct?

7 A. Correct.

8 Q. I mean, it's a known -- let me ask it
9 this way, perhaps: It's a -- it's a known risk of
10 ventilation that you can have a pneumothorax; is
11 that correct?

12 A. That's correct.

13 Q. And, when you put a child on a
14 ventilator, are you watching for the occurrence of
15 a pneumothorax?

16 A. You're, certainly, aware that that's a
17 possibility, yes.

18 Q. You would -- would your nurses know to
19 watch for signs and symptoms of a pneumothorax on
20 a child that was on a ventilator?

21 A. Yes.

22 Q. Do pneumothoraxes just occur naturally?
23 I mean, I've heard of these occurring in adults --

24 A. Yes.

1 Q. -- that aren't on ventilators? So can
2 they happen in neonates, just develop a
3 pneumothorax?

4 A. Yes.

5 Q. And that would be partially, because of
6 a congenital abnormality that a child may have?

7 A. That would be the thought.

8 Q. But, certainly, you don't believe that,
9 because a child has a pneumothorax, that a doctor
10 did anything wrong, do you?

11 A. Not necessarily, no.

12 Q. Just because a child has a pneumothorax,
13 you don't believe that a doctor necessarily
14 violated a standard of care, correct?

15 A. Yeah. With taking care of a child on a
16 ventilator, that's correct.

17 Q. And that's, because it's a known risk of
18 a ventilator --

19 A. That's correct.

20 Q. -- a pneumothorax?

21 What's the treatment for a pneumothorax,
22 Doctor?

23 A. Removal of that air, that gas, which is
24 between the chest wall and the lung.

1 Q. And you do that with a chest tube
2 essentially; is that correct?

3 A. You can do a thoracentesis, or you can
4 do a thoracostomy, too.

5 Q. Can you explain the difference between
6 the two.

7 A. What most people will do is they will
8 put a needle -- it's -- thoracentesis is putting
9 needle into the chest withdrawing that air and
10 then taking the needle out, with the hope that the
11 pneumothorax does not reaccumulate. That's what
12 most people will do as the -- as the first thing
13 to do, and then should the pneumothorax
14 reaccumulate, then put in the chest tube.

15 Q. Okay. Now, if a child is on a
16 ventilator where there's a likelihood, I assume,
17 because you have, probably, torn something -- the
18 child has, probably, torn something, would the
19 first step in a child that develops a pneumothorax
20 would be to put in a chest tube?

21 A. For most people, yes.

22 Q. Okay. And, certainly, if you thought
23 the pneumothorax was caused by an infection, for
24 example, you'd treat the underlying infection as

1 well, correct?

2 A. Absolutely.

3 Q. Okay. I assume that, if you've got to
4 have a pneumothorax, the right place to have it
5 would be at Mass. General in a Level 3 nursery,
6 right?

7 A. Sure.

8 Q. And that's, because you have nurses
9 there that are watching for these to occur,
10 correct?

11 A. Correct.

12 Q. You have house officers that are aware
13 of the possibility, and they're watching for it?

14 A. Correct.

15 Q. I assume you have ways to test to see if
16 there was a pneumothorax.

17 A. Correct.

18 Q. You have children on monitors, so that
19 you know if the oxygen sats are dropping or Po2s
20 are going down, correct?

21 A. Correct.

22 Q. What happens to the child physically --
23 maybe, that's a bad question. Appearance-wise
24 what happens to a child that experiences a

1 pneumothorax?

2 A. Well, it depends upon the severity of
3 the pneumothorax. If you have a severe one, it
4 can cause tension, meaning if it's on the right
5 side, it can push the mediastinal contents, which
6 is the heart, esophagus and the trachea, over to
7 the left side, and you can have oxygen
8 desaturation. You usually have a instability of
9 blood pressure, and the child can also, from a
10 blood gas point of view, not only become
11 hypoxemic, but also become hypercarbic and have a
12 high Co2.

13 Q. Okay. Does that all that happen when
14 you have cardiac manifestation of the
15 pneumothorax?

16 A. It can happen with or without, depending
17 upon whether the pneumothorax is large enough to
18 cause tension or not.

19 Q. Okay. So, if you have a pneumothorax
20 that's not displacing the heart towards the
21 mediastinum, then you're not going to get those
22 effects, correct?

23 A. Usually not. It's a spectrum of
24 severity.

1 Q. What's the difference between
2 pneumothorax and pneumomediastinum?

3 A. Pneumothorax is air in the pleural
4 space, and pneumomediastinum is air in the
5 surrounding the mediastinal structures, but
6 outside of the pleural covering, which covers the
7 lung.

8 Q. Do you treat a pneumomediastinum?

9 A. Rarely, but I've had to if it's severe
10 enough, yeah.

11 Q. Why don't -- why don't you treat it? Is
12 it, because it doesn't cause any harm to the
13 child?

14 A. It would be asymptomatic.

15 Q. All right. When the child -- when
16 you're called over to a crib, or better term,
17 bassinet -- is that a better term?

18 A. Isolate.

19 Q. Thank you.

20 When you're up in your NICU and you're
21 called over to an isolate, the nurses and doctors
22 think this child has a pneumothorax, what does a
23 child look like physically? What's the child
24 doing? Not so much about what you're seeing with

1 translumination or what you're seeing with the
2 Po2s, but what physically does the child look
3 like?

4 A. Potentially cyanosis.

5 Q. Okay. Is -- is that -- is that
6 life-threatening, the cyanosis?

7 A. It can be, yes.

8 Q. It's more a symptom of what's occurring?

9 A. Correct. It's a manifestation of the
10 pneumothorax and the pressure of the gas in the
11 extrapleural space having an effect on gas
12 exchange and also potentially blood pressure.

13 Q. Does this matter if it's a central
14 cyanosis as appear -- as opposed to his fingers
15 are blue?

16 A. We're talking central cyanosis, not
17 acrocyanosis.

18 Q. Now, I've heard the term bantered around
19 about duskiness. What is dusky or duskiness, and
20 how is that different from cyanosis, if you can
21 tell me that?

22 A. Duskiness is not a precise medical term.

23 Q. Is it pink?

24 A. Yes. I think that would be fair.

1 Q. I assume you've seen children that have
2 been cyanotic from pneumothoraxes, correct?

3 A. Yes.

4 Q. And, when you treat those children, have
5 they responded well?

6 A. Most have.

7 Q. Okay. And the treatment is usually,
8 again, the thoracentesis or the chest tube,
9 depending if they are on vent or not?

10 A. Depending on the severity and the
11 circumstances, that's correct.

12 Q. The children that have had bad outcomes
13 that you've seen or have the central cyanosis,
14 what else have they demonstrated as far as your
15 observation of the child? Does that question make
16 any sense?

17 MR. BECKER: I was going to object,
18 because I didn't understand the question.

19 A. Not really.

20 Q. All right. Let me try again, and not
21 being a doctor, certainly, not being a
22 neonatologist, but when you've had children that
23 have had severe central cyanosis, I assume their
24 Po2 levels are very low; is that correct?

1 A. Correct. Yes.

2 Q. Their pulse ox. becomes very low?

3 A. Correct.

4 Q. Their blood pressure falls?

5 A. It can. It depends upon the situation
6 that you're talking about.

7 Q. Okay.

8 A. What's -- what's causing the central
9 cyanosis?

10 Q. Okay. Well, again, we're talking about
11 a child that's had a pneumothorax, correct?

12 A. All right. I wanted to make sure that
13 was clear.

14 Q. Let me reask it, so it's clear.

15 A child that has central cyanosis with a
16 pneumothorax, you would expect their Po2 level to
17 be low, correct?

18 A. Correct.

19 Q. How low?

20 A. It depends on the severity of how -- of
21 how big the pneumothorax is and the severity of
22 the -- of the effects that that is causing --

23 Q. All right.

24 A. -- as it relates to blood pressure.

1 Q. Fair enough.

2 So there's really no easy measure; you
3 can't tell me, because they're cyanotic, their Po2
4 level should be X, for instance?

5 A. If they are cyanotic, I know their Po2
6 is, certainly, below 85 and, probably, below 80
7 percent.

8 Q. Okay. Pulse ox.? Typically happens
9 with pulse ox. to get central cyanosis?

10 A. It would be -- it would be low, because
11 the pulse oximeter measures your oxygen
12 saturation.

13 Q. Okay. So are we are talking the same
14 thing --

15 A. Correct.

16 Q. -- the pulse ox. reading be would be the
17 same as a Po2?

18 A. Correct. One is invasive; one is not
19 invasive, but in essence, we are talking about the
20 same thing.

21 Q. Okay. Would you expect to see acidosis
22 in a child like that?

23 A. Potentially, yes.

24 Q. Okay. All right. Well, we'll come back

1 to that. Let's move on to something else.

2 The -- the normal effect of a
3 pneumothorax -- again, I am talking about child on
4 a ventilator. The normal effect of a pneumothorax
5 that's treated with chest tubes is a child gets
6 better, I presume; is that fair?

7 A. Correct.

8 Q. The child doesn't go on to have any
9 long-term problems?

10 A. If it's addressed quickly and
11 appropriately and if the degree of hypoxemia is
12 not prolonged, yes, I would expect that.

13 Q. And how long -- because I've heard that
14 before, too. Prolonged hypoxemia, to have any
15 kind of neurological damage, what are you -- what
16 are you talking about as far as -- can you give me
17 some measure of time and -- and oxygen levels?

18 A. It's hard, because you're extrapolating
19 a lot from adult data, but obviously, common sense
20 would tell you the lower the oxygen level, the
21 shorter time it's going to take to cause cellular
22 damage.

23 Q. Okay. Are your neonatal resuscitation
24 activities -- is that primarily with problem

1 deliveries?

2 A. Correct. High-risk deliveries.

3 Q. Okay. And, when you talk about your
4 activities in neonatal resuscitation, you're
5 referring only to that delivery after or
6 resuscitation after delivery?

7 A. The program is specifically geared
8 towards neonatal resuscitation in the delivery
9 room.

10 Q. Are you ever called to one of the
11 emergencies rooms or the OR rooms to resuscitate a
12 child?

13 A. To the -- to an emergency room,
14 occasionally, when a woman who would be -- would
15 be rolling into the emergency room and about to
16 deliver, I could be called for that.

17 We have had deliveries of very sick moms
18 in operating rooms, and we've -- we've been there
19 to provide support for infants.

20 Q. Okay.

21 A. These are moms who are usually in
22 operating room with --

23 Q. Bursts of --

24 A. -- you know, cancer or something like

1 that, and they have to take the baby, and so we
2 will be there for that, but primarily it's in the
3 labor and delivery suite.

4 Q. Okay. Now, the ER resuscitations that
5 you've done, have those ever involved near
6 drownings --

7 A. No.

8 Q. -- or carbon monoxide poisonings or
9 anything of that nature?

10 A. No.

11 Q. Okay. And have you ever had to
12 resuscitate a child that wasn't on a vent, but
13 might have been in your unit for infection or
14 something of that nature?

15 A. Sure.

16 Q. What kind of circumstances?

17 A. Any baby who's in a neonatal intensive
18 care unit, whether they're there for a reason and
19 whether they're on a ventilator or not, are at
20 risk of having untoward cardiovascular events
21 requiring resuscitation.

22 Q. Okay. Have you ever been involved in a
23 case where a child has an infection and being
24 transported to your hospital and has to be

1 intubated en route and has to receive presser
2 agents en route? Have you ever had occasion for
3 that to occur?

4 A. Not recently, but, yes, in my training.

5 Q. What were the circumstances, do you
6 recall?

7 A. Not -- not really. I mean, I -- this
8 was back in the '80s. The goal is to avoid those
9 types of situations.

10 Q. At all cost?

11 A. Yeah, pretty much. That's why, when you
12 have a baby that's in a community hospital and
13 they have some type of respiratory distress,
14 depending upon the severity of the distress and
15 the work of breathing, it's common for transport
16 teams to intubate those children -- those babies,
17 so you have sort of complete control of their
18 airway, and you also have lines in them, so that
19 you can give them fluid. You can give them
20 medicine for their blood pressure should you need
21 to do that.

22 You want to do as little as possible in
23 the back of an ambulance during a transport,
24 because it's not ideal. So you try to anticipate

1 problems that you might run into during the
2 transport and do things in the referring hospital
3 to avoid those things before you get into the
4 ambulance.

5 Q. Sure. An hour or two-hour drive to a
6 tertiary facility is not the best place to be
7 taking care of a baby?

8 A. Correct.

9 Q. If you had a baby that was under a year
10 old and was in a community hospital, suspected
11 infection, required ventilation, intubation, on
12 the way to a tertiary facility that was an hour
13 away, needed IV boluses, needed presser support,
14 what would your concerns be for that child when
15 you finally received him at the tertiary facility?

16 A. When I see the baby coming through the
17 door and they put them on -- you know, on our
18 warming table, I'm initially concerned about their
19 vital signs, temperature, pulse, respiratory,
20 blood pressure, pulse ox. Those are my initial
21 concerns.

22 Q. Okay. A baby that needed presser
23 agents, would you be concerned about brain damage
24 in a child?

1 A. Potentially, yes.

2 Q. Would you be more concerned about that
3 child that I just described or one that
4 experiences a pneumothorax in a Level 3 nursery?

5 A. I would be concerned about both of them
6 as having some kind of untoward neurodevelopmental
7 outcome.

8 Q. Okay. Which would be more likely to
9 have a neurodevelopmental outcome?

10 A. It depends on the severity of the
11 illness of either one.

12 Q. Okay. And, again, just for the record,
13 you never reviewed any of Matthew Wagoner's
14 records past the time that he was treated in
15 Cleveland, Ohio, correct?

16 A. That's correct.

17 Q. Have you ever treated -- and this is a
18 silly question, I realize, but I'm going to -- I
19 need to ask it. Have you ever treated babies with
20 congenital cardiac conditions?

21 A. Yes, I have.

22 Q. Tell me what usually happens with those
23 children as far as Po2 levels and -- and central
24 cyanosis and blood pressure.

1 A. Well, it depends upon the type of
2 congenital heart disease that they have, number
3 one, and when they're born, we usually know about
4 these prenatally, because of the use of ultrasound
5 that the baby was born vaginal birth or cesarean
6 section, and depending upon what the baby has,
7 they may come down to the NICU, or if they are
8 quite well, they may go to observation Level 2
9 nursery, and any baby that's admitted we'll, you
10 know, monitor their vital signs right away,
11 temperature, pulse, respiratory.

12 We know that, if it's a cyanotic lesion,
13 that we will fully expect to have oxygen
14 saturations usually in the 80s, and the
15 cardiologists are very happy with that, and then
16 we'll call a pediatric cardiologist and get an
17 echocardiogram to, if you will, definitively make
18 the diagnosis of what they stopped prenatally, and
19 then we will go from there after we have all of
20 the information.

21 Q. Do these children frequently have --
22 maintain low oxygen saturations for an extended
23 period of time?

24 A. They can maintain -- depending upon

1 their situation, they may have oxygen saturations
2 in the 80s.

3 Q. For a prolonged period of time?

4 A. Yes. Sometimes cardiologists are very
5 happy with that type of situation.

6 Q. Do those children frequently or rarely
7 suffer any kind of neurological damage?

8 A. There have been some long-term
9 neurodevelopmental tests done. I think Jane
10 Newberger at Children's Hospital has done a lot of
11 that, looking at long-term neurodevelopmental
12 outcome of infants with congenital heart disease,
13 but a lot of that has to do with babies that have
14 been repaired and been on pumps, you know, been on
15 a cardiobypass pump for their operation or not and
16 hypothermia and different issues --

17 Q. And possibly clots?

18 A. Right. Correct.

19 Q. I guess, I'm asking from your
20 experience, when you've had children who had
21 congenital heart disease and extended periods of
22 low pulse oximetry readings, have you personally
23 seen cases where the child has significant
24 neurodevelopmental --

1 A. I don't follow them. I mean, I take
2 care of sort of the preoperative infants, and
3 hopefully, if they do go to the operating room,
4 postoperatively they usually will go to the
5 pediatric ICU.

6 Q. Okay. Children on ventilators are more
7 likely to develop pneumonia, I presume.

8 A. They are, certainly, at risk for that
9 than a child who is not on, yes.

10 Q. And a lot of times, when you have a baby
11 and you're not sure what the mother's GPS
12 condition was or something like that, you start
13 antibiotics early just to cover potential
14 infections?

15 A. Yes.

16 Q. Okay. What are the signs of a viral
17 infection?

18 A. In a baby?

19 Q. Yeah.

20 A. One of the --

21 Q. Viral pneumonia.

22 A. One of the classics is usually they can
23 have a fever, and then with pneumonia, they can go
24 into respiratory distress, grunting, flaring,

1 retracting, abnormal breath sounds, increased work
2 with breathing.

3 Q. Their x-rays -- what happens with their
4 x-rays?

5 A. Their x-rays --

6 Q. Chest x-rays.

7 A. Yeah. Their chest x-rays can have --
8 usually there's an interstitial pattern to the --
9 to the chest x-ray, which gives you -- may give
10 you some idea that it could be a viral illness as
11 opposed to others, but that's a soft call.

12 Q. So a lot of similarities between RDS and
13 pneumonia in these tiny babies as far as signs and
14 symptoms?

15 A. As far as the clinical signs of
16 respiratory distress, yes.

17 Q. Would you put any significance on if
18 you -- if you cultured an ET tube and found a lot
19 of white cells and a lot of mononuclear cells or
20 secretions from ET?

21 A. I need more -- in how old a baby? How
22 long have they been intubated?

23 Q. Okay. Let's say a neonate, intubated
24 for five to seven days.

1 A. Okay.

2 Q. And you found -- if you suspected a
3 viral infection and you found mononuclear cells in
4 the ET secretions, what significance would you put
5 on those?

6 A. Were there lots of secretions?

7 Q. I don't know to, tell you the truth,
8 Doctor.

9 A. Because it would be very unusual for --
10 to have a baby who's intubated for five to seven
11 days and then have a mononuclear infiltrate in the
12 tracheal aspirate, that's unusual.

13 Q. What's it a sign of?

14 A. I would -- it could be a pneumonia of
15 some type, a viral pneumonia.

16 Q. Okay. All right. Doctor, I wanted to
17 talk to you a little bit about your testimony
18 history. I'm curious; how many cases do you
19 review on a monthly basis or an annual basis for a
20 lawyer?

21 A. Probably, six to eight cases a year.

22 Q. And how long have you been reviewing
23 that number of cases?

24 A. Since about 1992.

1 Q. Has the number of cases you've reviewed
2 since 1992 changed dramatically from year to year,
3 or has it been basically six to eight cases a
4 year?

5 A. On average.

6 Q. For the past 14 years?

7 A. It's about the same.

8 Q. So you've reviewed over a hundred cases,
9 probably --

10 A. Probably.

11 Q. -- in your career? Okay.

12 A. Yes.

13 Q. Do you know what percentage has been on
14 behalf of the Plaintiff as opposed to on behalf --
15 behalf of the Defendant?

16 A. The exact percentage I don't know, but I
17 assume it's around 50/50.

18 Q. We already talked -- you spent three and
19 three-quarter hours reviewing all of the records
20 and all of the materials that you have in this
21 case?

22 A. Correct.

23 Q. And about an hour generating your
24 report?

1 A. Correct.

2 Q. And about how many depositions do you
3 sit for a year?

4 A. How about total?

5 Q. That's fine, too, Doctor.

6 A. Probably, around -- less than a dozen.

7 Q. Have you appeared at trial?

8 A. Yes, I have.

9 Q. And how many times have you appeared at
10 trial?

11 A. Three to four times.

12 Q. Were those all in the Massachusetts
13 area, or were they --

14 A. No. One was in Georgia; one was in
15 Philadelphia, and one was in Middlesex County
16 right here next to Boston.

17 Q. Of the -- I am sorry. Were you done?
18 Were any of those in federal court?

19 A. No.

20 Q. Okay. Now, about half of your reviews
21 are on behalf of the defendant. Do you maintain
22 any records, by the way, of who you've done work
23 for -- medical/legal work for?

24 A. Not really, no.

1 Q. Well, can you tell me the names of any
2 of the defense counsels that you've consulted with
3 over the past year, the three to four defense
4 counsels that you've, probably, consulted with?

5 A. Not as I sit here, no.

6 Q. Would you have records of those in your
7 office?

8 A. Right.

9 Q. Would you mind providing a list of the
10 attorneys you have worked with in the past couple
11 of years to -- to Mr. Becker, and he will provide
12 it to us?

13 A. Okay.

14 Q. Thank you.

15 When you -- what do you charge for
16 reviewing cases?

17 A. 350 an hour.

18 Q. And for depositions?

19 A. The same.

20 Q. And what about trial?

21 A. The same.

22 Q. Do you charge for a full day when you go
23 to trial or a half day, or do you have any policy
24 on that?

1 A. Yeah. It -- it's happened so
2 infrequently I usually just talk with the attorney
3 and just come to something which is reasonable and
4 what everybody does.

5 Q. Okay. Do you have any idea what
6 percentage of your income derives from
7 medical/legal work?

8 A. Less than 10 percent.

9 Q. And about how much time do you spend a
10 year in medical/legal work -- well, let me -- let
11 me rephrase that. Let's go back to these
12 percentages.

13 What percentage of your time do you
14 spend serving as a legal/medical consultant?

15 A. Probably, about 5 percent.

16 Q. Have you ever served as an expert on
17 behalf of the Becker Mishkind law firm in
18 Cleveland or Elyria, Ohio?

19 A. No.

20 Q. Ever serve as an expert for Howard
21 Mishkind in Ohio?

22 A. No.

23 Q. David Kulwicki in Ohio?

24 A. No.

1 Q. Larry Peskind?

2 A. No.

3 Q. John Barnett?

4 A. I don't think so.

5 Q. And not Mr. Becker in the past --

6 A. I am sorry?

7 Q. And not for Mr. Becker either?

8 A. No.

9 Q. Have you ever been a defendant in a
10 lawsuit?

11 A. Yes.

12 Q. How many times?

13 A. Once.

14 Q. Can you tell me just basically what the
15 circumstances of that lawsuit was.

16 A. I'm one of three neonatologists and a
17 pediatric ophthalmologist that is being sued here
18 in Suffolk County in Boston for events treating a
19 premature -- 25 week premature infant who
20 developed retinopathy in prematurity.

21 Q. Surprised, huh, that the child developed
22 retinopathy?

23 A. It's an expected outcome of that
24 gestational (inaudible).

1 Q. Doctor, this might be a real silly
2 question, but I assume that you agree with me
3 that, just because the doctor gets sued doesn't
4 mean that the doctor did anything wrong, correct?

5 A. Correct.

6 Q. Just because the doctor gets sued
7 doesn't mean that the doctor was negligent,
8 correct?

9 A. Correct.

10 Q. Just because the child has a bad outcome
11 doesn't mean that the doctor is negligent either,
12 does it?

13 A. Correct.

14 Q. In this case, just because the child has
15 cerebral palsy doesn't necessarily mean that the
16 physician was negligent either, correct?

17 A. Correct.

18 Q. Let's talk a little bit about cerebral
19 palsy, and I just want to explore your
20 understanding of cerebral palsy, but I assume you
21 would agree with me that the vast majority of
22 cases of cerebral palsy were not caused by
23 physician negligence; is that fair?

24 A. Correct. Yes.

1 Q. There are many different causes of
2 cerebral palsy, correct?

3 A. Yes.

4 Q. For example, genetic abnormalities --

5 A. Yes.

6 Q. -- is a cause?

7 There could be metabolic causes,
8 correct?

9 A. Yes.

10 Q. And some metabolic masqueraders of
11 cerebral palsy, correct?

12 A. Define metabolic masquerader. I don't
13 know that term.

14 Q. For example, pyruvate dehydrogenation
15 deficiency can be a masquerader, a metabolic
16 masquerader. It's not true cerebral palsy, but it
17 looks a lot like cerebral policy, correct? Maybe,
18 you want to call it cerebral palsy, and that's
19 fine.

20 A. You're talking about the ultimate
21 neurodevelopmental outcome of a patient with
22 pyruvate dehydrogenation deficiency?

23 Q. Yes.

24 A. You can have a patient that has some

1 type of abnormal neurodevelopmental outcome that
2 can be sort of led back to that metabolic reason
3 being the deficiency.

4 Q. Okay. But you would call that --

5 A. Etiologic --

6 Q. -- metabolic etiology? You would call
7 that a metabolically caused cerebral palsy,
8 correct?

9 A. That's correct.

10 Q. Okay. So we have the same terminology
11 going.

12 Certainly, certain autoimmune defects
13 can cause cerebral palsy?

14 A. Yes.

15 Q. Some coagulopathies can cause cerebral
16 palsy? For example, we talked earlier about a mom
17 that might be throwing some clots into the fetal
18 circulation, and that can cause the type of
19 ischemic injury that would cause a cerebral palsy,
20 correct?

21 A. Okay. Yes.

22 Q. And, of course, the baby can have some
23 coagulopathies that, in essence, does the same
24 thing, correct?

1 A. Correct.

2 Q. Can trauma cause cerebral palsy?

3 A. Yes.

4 Q. And, certainly -- I think you'll agree
5 with me on this -- the big cause of cerebral palsy
6 is just prematurity, isn't it?

7 A. One of them, yes. That's right.

8 Q. Okay. Maternal abruption can cause
9 cerebral palsy?

10 A. Yes.

11 Q. What about uteroplacental insufficiency;
12 can that cause cerebral palsy?

13 A. Yes.

14 Q. Do you have any idea what percentage of
15 all causes of cerebral palsy is caused by a
16 hypoxic ischemic event?

17 A. I don't know the exact number. I -- I
18 know it's small.

19 Q. What would you guess, with my pension
20 for asking you percentages?

21 A. 5 percent. Five to 8 percent.

22 Q. And I've heard 6 percent cited by many
23 authors. You wouldn't disagree with 6 percent, I
24 assume, correct?

1 A. No.

2 Q. And what percentage of those cases of CP
3 caused by hypoxic ischemic events occur in utero
4 or while the child is being delivered?

5 A. The -- the -- I don't know the exact
6 number, but I believe that the preponderance of
7 that would be in utero.

8 Q. Okay. The vast majority would be in
9 utero?

10 A. Yeah.

11 Q. Do most babies who suffer a hypoxic
12 event end up with cerebral palsy?

13 A. It depends upon the etiology.

14 Q. What do you mean by that, Doctor?

15 A. What's the -- you said what's the
16 etiology of the hypoxia?

17 Q. Okay. Fair enough.

18 Can you tell me that if there's -- just
19 in general.

20 A. Not really.

21 Q. Then we can get into more detail.

22 A. I would need to get into more detail.

23 Q. Okay.

24 A. I don't like to totally generalize.

1 Q. All right. We'll get to that.

2 What is uteroplacental insufficiency? I
3 know I'm a little bit outside of your area of
4 expertise, but what do you understand
5 uteroplacental insufficiency to be?

6 A. Well, insufficiency of the uterus and
7 placenta to provide enough oxygen for the
8 developing fetus.

9 Q. And does the injury to the baby usually
10 result in later stages of the pregnancy in utero
11 placental deficiencies?

12 A. It depends when it's -- it depends on
13 when the uteroplacental insufficiency began.

14 Q. Okay. If it begins early enough, I
15 assume the baby will probably self-abort, though,
16 correct?

17 A. That would be one extreme situation;
18 that's correct.

19 Q. Or die in utero?

20 A. Correct.

21 Q. It would be stillborn?

22 A. Correct.

23 Q. Okay. If a placenta is small for the
24 size of the baby, is that what is occurring in

1 uteroplacental insufficiency?

2 A. I don't understand that.

3 Q. If the placenta is too small to support
4 the baby, is that what we mean by the
5 uteroplacental insufficiency?

6 A. Not necessarily, just -- not
7 necessarily.

8 Q. Is that part of it?

9 A. It can be, depending upon the situation
10 you're dealing with.

11 Q. And an abruption would be an example of
12 insufficiency, correct?

13 A. Severe.

14 Q. Severe. Okay.

15 But you can have partial abruptions,
16 too, correct?

17 A. That's correct.

18 Q. What are some of the causes of your
19 understanding of a spastic cerebral palsy?

20 A. Hypoxic -- I mean, usually it's the end
21 result -- again, in my realm, usually it can be
22 seen as the end result of some type of hypoxic
23 ischemic encephalopathy.

24 Q. And what about athetoid cerebral palsy?

1 A. That's a cerebral palsy usually caused
2 by hypoxic ischemia to the basil ganglia.

3 Q. Is there any -- are there any articles
4 or textbooks that you could refer me to for that
5 last contention, that -- that an athetoid cerebral
6 palsy is caused by a hypoxic ischemic event?

7 A. Volpe's textbook of "Neurology in the
8 Newborn" would be an excellent resource.

9 Q. So, if you have a mixed spastic athetoid
10 cerebral palsy, in your mind, that would,
11 probably, be caused by an anoxic ischemic event or
12 could be caused?

13 A. Could be caused, yes.

14 Q. Could it be caused by other things?

15 A. Yes.

16 Q. What other things?

17 A. Clots, trauma, hemorrhage.

18 Q. A lot of Matthew's subsequent treating
19 doctors in North Carolina, including some doctors
20 at the University of North Carolina, diagnosed
21 Matthew as being -- suffering from a mixed spastic
22 athetoid cerebral palsy. Would you have any
23 reason to disagree with that diagnosis?

24 A. None whatsoever.

1 Q. What about postnatal cerebral palsy;
2 what are the common causes of a postnatal cerebral
3 palsy?

4 A. Can you define postnatal cerebral palsy.

5 Q. Yes.

6 A. I've never heard that term.

7 MR. BECKER: You mean in the newborn
8 period?

9 MR. BULLOCH: Yeah.

10 MR. BECKER: Neonatal period.

11 He is talking potentially hypoxic
12 ischemic insult, I think.

13 MR. BULLOCH: Well, thanks, Mike. I'm
14 not sure that's what I was referring to, but...

15 BY MR. BULLOCH:

16 Q. A child that isn't born with cerebral
17 palsy, so postpartum in the neonatal period that
18 goes on to develop cerebral palsy, what are some
19 of the causes of the cerebral palsy in a child?

20 A. If you're talking about, say, the happy,
21 healthy, full-term baby in the full-term nursery
22 who then goes home with mom and dad and then
23 develops cerebral palsy down the road, most of
24 the -- as I understand, most of the etiologies of

1 that are just unknown, but as we were saying
2 earlier, there could be genetic causes; there
3 could be metabolic causes, but most of those are
4 unknown without some type of antecedent event that
5 would make you think that that may be responsible
6 for what has been seen down the road.

7 Q. Now, I think I asked you this: You were
8 not aware of any case where you've treated a child
9 with a pneumothorax that the doctors eventually
10 postulated that the child's pneumothorax was the
11 cause of the child's cerebral palsy; is that
12 correct?

13 A. It's hard for me to answer that, because
14 I personally do not follow kids long term.

15 Q. I understand.

16 A. But I have, certainly, taken care of
17 children who have had pneumothorax --
18 pneumothoraxes who at the time of discharge we,
19 certainly, had concern about their neurologic
20 development, because at the time of their
21 discharge, they had an abnormal neurologic exam.

22 Q. Okay. And, in all of those children,
23 did you feel that the abnormal neurological exam
24 was directly related to the pneumothoraxes?

1 A. You know, there had been cases like
2 that, yes. These are -- these are very sick
3 infants.

4 Q. Have these cases been reported in the
5 medical literature?

6 A. No. This was all primarily during the
7 1980s in the pre-surfactant era.

8 Q. Okay. Are you familiar with any reports
9 of pneumothoraxes being linked to cerebral palsy?

10 A. I'm not aware of any paper making that
11 exact connection, no.

12 Q. Okay.

13 MR. BECKER: Could we just take a
14 one-minute break --

15 MR. BULLOCH: Sure.

16 MR. BECKER: -- so I can make a call.

17 (Recess)

18 BY MR. BULLOCH:

19 Q. Doctor, before we took a little break,
20 I -- I just wanted to make sure I understood what
21 you were saying. You said that, while you believe
22 there have been cases of cerebral palsy induced by
23 pneumothoraxes --

24 MR. BECKER: He says -- he said

1 developmental delay.

2 MR. BULLOCH: I am sorry?

3 MR. BECKER: He said developmental
4 delay, as I recall. Neurodevelopmental delay was
5 his testimony.

6 MR. BULLOCH: You're correct. Okay.
7 Let me correct that.

8 BY MR. BULLOCH:

9 Q. You said you believe there have been
10 neurodevelopmental delays associated with
11 pneumothoraxes.

12 MR. BECKER: He said it was the
13 pre-surfactant area.

14 MR. BULLOCH: Mike, come on.

15 MR. BECKER: We are going to be here all
16 night.

17 MR. BULLOCH: Well, we might be.

18 BY MR. BULLOCH:

19 A. In the pre-surfactant era, when
20 pneumothoraxes were much more common than they are
21 today in the post-surfactant area, it is not
22 uncommon to have infants, premature and infants,
23 who develop pneumothoraxes that end up with some
24 type of neurodevelopmental delay.

1 Q. Okay. Thank you.

2 Do you recall seeing any cases or
3 reading any articles where that was documented?

4 A. I experienced it firsthand.

5 Q. Okay. And did any of those children, as
6 far as you know, develop cerebral palsy?

7 A. I believe they did, but I did not follow
8 them long term.

9 Q. And are you familiar with any articles
10 in the professional literature that makes that
11 association?

12 A. As I sit here right now, no.

13 Q. Okay. Would you have articles like that
14 in any kind of file in your possession?

15 A. I would say no, since I pretty much use
16 the Internet now.

17 Q. Okay. Does -- does the occurrence of
18 serious or frequent infections in a child have any
19 significance in trying to determine the etiology
20 of the child's cerebral palsy?

21 A. I'm sorry. I don't -- I don't
22 understand the question.

23 Q. All right. If -- if you have a specific
24 child that has cerebral palsy --

1 A. When you say, "child," how old? I need
2 to be -- I need the specifics of the age. Since
3 I'm a neonatologist, I primarily deal with newborn
4 infants. When you say, "child," I don't know
5 whether you're meaning a baby or someone three or
6 four years old.

7 Q. Well, true. You told me you don't do
8 pediatric work.

9 A. Correct.

10 Q. The age you stop with most children
11 would be a year or less?

12 A. Much less than a year.

13 Q. Okay. All right. Strike that.

14 Doctor, your report is dated -- did we
15 mark his report? Let's mark the report.

16 (Document marked as Exhibit 4
17 for identification)

18 BY MR. BULLOCH:

19 Q. Doctor, I'm handing you what's been
20 marked as Exhibit 4. Is that a copy of your
21 report?

22 A. (Witness reviews document) That's
23 correct.

24 Q. And this report was dated August 20th,

1 2004, correct?

2 A. Correct.

3 Q. Am I correct to assume that this report
4 contains all of your opinions that you hold in
5 this case?

6 A. Correct.

7 Q. And I think we've already talked about
8 it. The -- the materials that you listed, did you
9 review it in formulating your opinions that are
10 listed in your report, correct?

11 A. Correct.

12 Q. You've reviewed nothing else in
13 formulating these opinions, correct?

14 A. Correct.

15 Q. Would it be important to you to know any
16 of the child's subsequent course before you
17 rendered an opinion on what caused Matthew's
18 cerebral palsy? Do you understand the question?

19 A. Yes and no, but I -- I think I need to
20 ask him a question in order to answer it.

21 Q. Well, let me try to ask you another
22 question.

23 A. All right.

24 Q. If certain things had happened in

1 Matthew's life since you left of the care of
2 Fairview Hospital where your records end, and
3 those events might be explanatory to the cause of
4 Matthew's CP, do you feel it would be necessary to
5 review those subsequent records?

6 A. Depending upon the question that I was
7 asked to answer. I was asked to review this case
8 specifically as it related to the administration
9 or the lack thereof of exogenous surfactant
10 therapy.

11 Q. And you had no depositions, besides Dr.
12 Lilien's, correct?

13 A. That is correct.

14 Q. And I believe you told me that you
15 haven't had any discussion about depositions that
16 have been taken subsequent to Dr. Lilien's
17 deposition, correct?

18 A. That's correct.

19 Q. Did you read Dr. Evangelista's
20 deposition?

21 A. I don't believe so.

22 Q. Do you know who Dr. Evangelista --

23 A. Oh, deposition. No. Everything I have
24 is right here.

1 Q. Dr. Evangelista is the doctor that
2 responded to Matthew's pneumothorax at Fairview
3 Hospital, and she described very quickly --
4 responding very quickly, correcting pneumothoraxes
5 with chest tubes. Would that be important in your
6 determination of whether or not Dr. Lilien
7 breached the standard of care?

8 MR. BECKER: What Dr. Evangelista did
9 after the pneumothorax?

10 MR. BULLOCH: Yes.

11 Q. I take it no.

12 A. Well, in -- I don't understand what the
13 question is.

14 Q. All right. Well, let me back up.

15 I guess, your opinion is just basically
16 that you believe Dr. Lilien breached the standard
17 of care by not administering surfactant, correct?

18 A. I believe that the standard of care was
19 breached, because Matthew was not treated in -- in
20 a timely fashion with exogenous surfactant
21 therapy.

22 Q. Okay. But you told me earlier, I
23 believe, that the treatment for a pneumothorax is
24 the insertion of a chest tube, correct?

1 A. Correct.

2 Q. So is your testimony just the fact that
3 Matthew developed pneumothoraxes, because he did
4 not get surfactant in a timely manner?

5 A. This is -- let me make this clear.
6 Okay.

7 Q. Okay.

8 A. I think the paragraph that I wrote for
9 my opinion essentially states it very clearly,
10 that Matthew Wagner was a premature infant at 35
11 weeks. He was at risk of having respiratory
12 distress syndrome or surfactant deficiency. I do
13 believe, according to all the information that I
14 have and in reviewing the charts, that that was
15 the etiology of his pulmonary disease.

16 Because of that, he went into
17 respiratory failure as evidenced by grunting,
18 flaring, contracting, increased work of breathing,
19 requiring supplemental oxygen. His case
20 deteriorated to the point where he needed
21 ventricular intubation.

22 All of that was fine. After he was
23 endotracheal intubated for what, I believe, his
24 respiratory distress was secondary to surfactant

1 deficiency or respiratory distress syndrome, he
2 did not receive any exogenous surfactant therapy
3 at that time. Because he did not receive that
4 therapy, which I believe breached the standard of
5 care, he required increasing amounts of FIO2. He
6 required increasing peak inspiratory pressure on
7 the ventilators, which, unfortunately, resulted in
8 him having pneumothorax and episodes of hypoxemia,
9 as evidenced by some oxygen levels of 33, which
10 are in the laboratory data. So I'm a little
11 bit -- so that's my answer.

12 It's a premature infant, white male,
13 lots of risk factors, hyaline membrane disease,
14 respiratory distress syndrome, who was
15 endotracheal intubated, because of increased work
16 of breathing and then never received surfactant
17 therapy until much later after he had developed
18 pneumothorax.

19 Q. Okay. And you're not offering any
20 testimony on what -- on any neurodevelopmental
21 disorders Matthew sustained, correct?

22 A. I was not asked to do that.

23 Q. You're not linking any of these events
24 to Matthew's neurological outcome, correct?

1 A. That is correct.

2 Q. Okay.

3 A. Although I will say it, because I can
4 say it from years -- my years of experience and
5 also a lot of years in the pre-surfactant era,
6 that it was not uncommon for babies at that time
7 who had surfactant deficiency or hyaline membrane
8 disease to develop pneumothoraxes and then develop
9 some type of neurodevelopmental delay down the
10 road. That was not uncommon.

11 Q. But, again, you have no evidence of that
12 in the literature, that pneumothoraxes caused the
13 neurodevelopmental disorder, correct?

14 A. The pneumothorax causes -- causes in
15 this case hypoxemia, low oxygen levels, and that
16 can be an etiology for cerebral palsy.

17 Q. But there's a lot of etiologies. We've
18 already discussed that, Doctor --

19 A. That's correct.

20 Q. -- in all fairness, correct?

21 A. That is correct.

22 Q. We discussed there's a multitude of
23 things that can cause cerebral palsy, correct?

24 A. That's correct.

1 Q. And only about 6 percent of all cerebral
2 palsies are caused by a hypoxic ischemic event,
3 correct?

4 A. Correct. We agreed upon that earlier.

5 Q. So can we agree, just because a child
6 develops a pneumothorax, doesn't mean that's the
7 cause of the CP?

8 A. Correct.

9 Q. You talked just now about some risk
10 factors that Matthew exhibited for developing
11 hyaline membrane disease, and I believe what you
12 said was the fact that he was a male, white male
13 and 35 to 36 weeks gestation, right?

14 A. Correct.

15 Q. But aren't there a lot of causes for
16 maternal -- for hyaline membrane disease that
17 Matthew did not exhibit? For example, maternal
18 diabetes, isn't that a risk factor for developing
19 hyaline membrane disease?

20 A. Yes, it is.

21 Q. Delivery by C section, isn't that a risk
22 factor for developing hyaline membrane disease?

23 A. No, it is not.

24 Q. It's not.

1 A. I've never heard that.

2 Q. Doctor, you list in your CV Saunders
3 Manual Pediatric Practice, Edition 2, a chapter on
4 hyaline membrane disease, correct?

5 A. Yes.

6 Q. You wrote that entire chapter of that
7 text?

8 A. Yes.

9 Q. Under epidemiology -- and I will share
10 this with you in a moment -- it says, "Other risk
11 factors for development of hyaline membrane
12 disease include delivery by cesarean section." Do
13 you see that?

14 A. (Witness reviews document) Yes.

15 MR. BECKER: You're showing him his
16 chapter.

17 MR. BULLOCH: Yes, I am showing him his
18 chapter.

19 A. Uh-huh. Yeah. I do -- I do see that,
20 and there are other risk factors in there much,
21 much less, but cesarean section in and of itself
22 is not a high risk factor.

23 Q. All right. But it's a risk factor?

24 Okay. You'd agree with me?

1 A. Fine.

2 Q. All right. Is perinatal depression also
3 a risk factor for development of hyaline membrane
4 disease?

5 A. It's a risk factor for shock of lung,
6 which could inactivate surfactant, which I
7 wouldn't necessarily call hyaline membrane
8 disease, which is more of a developmental issue.

9 Q. Well, wait a minute. Isn't hyaline
10 membrane disease an absence of surfactant?

11 A. Yes, it is. Yes.

12 Q. So, no matter what causes the absence of
13 surfactant, if it's absent, then you have hyaline
14 membrane disease, correct?

15 A. Whether you're getting into absence of
16 surfactant versus inactivation of surfactant --
17 again, are we talking about a premature infant?

18 Q. I'm reading from your textbook, Doctor.

19 A. Right.

20 Q. And it's there. So I assume you agree
21 with me that one of the risk factors for
22 developing hyaline membrane disease are perinatal
23 depression, correct?

24 A. Sure. It's shocked lung, in essence,

1 yeah.

2 Q. Okay. Another risk factor for
3 developing hyaline membrane disease that you
4 listed was second born of twins, correct?

5 A. That's correct.

6 Q. And another risk factor was a positive
7 family history, correct?

8 A. Correct.

9 Q. Now, out of all of the risk factors that
10 we have listed, Matthew did not have maternal --
11 mom did not have maternal diabetes, correct?

12 A. That's correct.

13 Q. Matthew was not delivered by C section,
14 correct?

15 A. Correct.

16 Q. Matthew did not have perinatal
17 depression, correct --

18 A. Correct.

19 Q. -- that we know?

20 A. Correct.

21 Q. He, certainly, wasn't the second-born of
22 twins, correct?

23 A. Correct.

24 Q. There's no positive family history noted

1 in Matthew's prenatal record, correct?

2 A. Correct.

3 Q. So Matthew had a lot -- according to
4 your own textbook, very few risk factors out of
5 the total number of risk factors that exist out of
6 the hyaline membrane disease?

7 A. He had two of the most important ones
8 that are on the list.

9 Q. What are the most important ones?

10 A. Premature caucasian male.

11 Q. Let's talk about that.

12 Doctor, you wrote that hyaline membrane
13 disease is primarily a disorder of premature
14 infants less than 35 weeks gestational age,
15 correct?

16 A. It's primarily, not exclusively,
17 correct.

18 Q. I understand.

19 A. Uh-huh.

20 Q. Matthew was 35 to 36 weeks, according to
21 your report, correct?

22 A. Correct, according to the records.

23 Q. And then you go on in this article --
24 you said, "In infants less than 35 weeks

1 gestational age, the incidence is 10 to 15
2 percent," correct?

3 A. Correct.

4 Q. "In infants less than 28 weeks, the
5 incidence is 70 percent," correct?

6 A. If that's what it says. I don't have it
7 in front of me, but I believe you. Okay.

8 Q. Yeah.

9 A. I don't recall that chapter verbatim, as
10 I sit here.

11 Q. Doctor, I understand. Please, you're
12 free to look at this any time.

13 A. Okay.

14 Q. I don't have a copy, so I apologize.

15 A. All right.

16 Q. You said that the incidence in full-term
17 infants is about 1 percent, correct?

18 A. Correct.

19 Q. Now, would you agree with me that
20 Matthew is near term at 36 weeks?

21 A. Yes, I would.

22 Q. So I assume that his incidence of
23 developing hyaline membrane disease is close to 1
24 percent, correct?

1 MR. BECKER: Is what?

2 Q. Close to 1 percent.

3 A. The latest data out there indicates one
4 to 2 percent in term babies and in near-term
5 babies, perhaps, two to 4 percent of hyaline
6 membrane disease.

7 Q. Okay. So the incidence has gone up
8 since you wrote this in 2002; is that what you're
9 saying?

10 A. Again, I don't have that in front of me,
11 but that's the way -- I'm just -- I'm just telling
12 you what the latest data is.

13 Q. Doctor, please. I am not trying to be
14 argumentative.

15 A. Okay.

16 Q. I'm trying to understand this. I'm not
17 a doctor. I'm just trying to understand this.

18 What was it in 1999? What was the
19 believed incidence in 1999?

20 A. The -- the important part -- there two
21 generalized important parts. Number one, as the
22 gestational age increases, your incidence of
23 hyaline membrane disease increases. That's number
24 one.

1 Q. Okay.

2 A. Number two, what we teach is that the
3 incidence of hyaline membrane disease in terms
4 infants is not zero, and that's something a lot of
5 people don't appreciate. So we talk about a one
6 to 2 percent incidence, and in babies that are a
7 little bit less mature than near-term infants, 35
8 to 36 and six-sevenths, we talk about an incidence
9 about two to 4 percent.

10 Q. Okay.

11 A. Again, lower than term babies.
12 Obviously -- excuse me -- higher than term babies,
13 but lower than smaller babies.

14 Q. Do you know what the thought was about
15 the risk of developing hyaline membrane disease
16 based on gestational age in 1999 as we sit here
17 today?

18 A. Essentially the same.

19 Q. Okay. The same as what you wrote in
20 2002?

21 A. Essentially the same as what I -- what I
22 just stated.

23 Q. Well, I'm confused, because you were
24 talking about what you wrote in 19 -- or 2002 --

1 A. Uh-huh.

2 Q. -- and what's currently being written,
3 which is different than what you wrote?

4 A. It's not significantly --

5 Q. Okay.

6 A. It's not significantly different.

7 Q. All right. But you agree with me it's
8 different?

9 A. Fine.

10 Q. And would you agree with me, in 1999,
11 what we, probably, suspected on incident was
12 closer to what you wrote in 2002 than what's
13 currently being written today?

14 A. No. I just don't think that there's a
15 significant change. Hyaline membrane disease in
16 near-term infants was not as -- there's a better
17 appreciation of that now, because there happen to
18 be more near-term babies being born.

19 Q. All right. And then you said there were
20 two risk factors that Matthew had. His other was
21 male sex?

22 A. Correct.

23 Q. Okay. So just so I understand, the only
24 risk factors that you can point to that Matthew

1 had in developing hyaline membrane disease was his
2 gestational age and the fact that he was a male,
3 correct?

4 MR. BECKER: White male.

5 Q. Well --

6 A. White male, which increases his risk as
7 opposed to -- you can show that to me. I'm just
8 going on my working knowledge --

9 Q. Okay.

10 A. -- of what I use every day.

11 Q. Doctor, please, I am not trying to be
12 argumentative.

13 A. Okay.

14 Q. But it doesn't say white male anywhere
15 here, does it?

16 A. I believe it says, "male sex," right
17 here (indicating).

18 Q. Male sex, not white male.

19 A. Okay.

20 MR. BECKER: He said that in his report,
21 John.

22 THE WITNESS: Caucasian -- I said,
23 "caucasian male."

24 MR. BULLOCH: I'm asking him for where

1 it is in his literature.

2 MR. BECKER: All right. All right.

3 BY MR. BULLOCH:

4 Q. All right. The vast majority of 35 to
5 36-week-old baby boys don't develop
6 hyaline membrane disease, do they?

7 A. Correct.

8 Q. So, when you have a 35 to 36-week-old
9 baby boy developing hyaline membrane disease, it's
10 somewhat of a surprise?

11 A. Not to me, no.

12 Q. Well --

13 A. To most neonatologists, no. It's a
14 potential expected outcome.

15 Q. But, certainly, fairly rare?

16 A. I wouldn't call it rare. I would call
17 it more uncommon --

18 Q. Okay.

19 A. -- but it's, certainly, not rare.

20 Q. 1 percent?

21 A. Well, we're talking the two to 4
22 percent.

23 Q. Okay. Now, we talked briefly about the
24 symptoms of hyaline membrane disease as being

1 tachypnea, correct?

2 A. Tachypnea.

3 Q. Tachypnea.

4 A. Uh-huh.

5 Q. Nasal flaring?

6 A. Uh-huh.

7 Q. Chest wall retractions?

8 A. Uh-huh.

9 Q. Grunting and cyanosis, right?

10 A. Yes.

11 Q. There are other conditions that you've
12 written about that have the identical
13 presentation, correct?

14 A. Correct.

15 Q. What are some of those?

16 A. Retained amniotic fluid, pneumonia,
17 meconium aspiration.

18 Q. There's also something called
19 transient --

20 A. Transient tachypnea in a newborn.
21 That's the same as retained amniotic fluid.

22 Q. Also, known as Type 2 respiratory
23 distress syndrome?

24 A. That's a term that hasn't been used in

1 many years, but yes.

2 Q. Okay. All of these other conditions,
3 meconium aspiration, pneumonia, are they treated
4 with surfactant?

5 A. At the present time, meconium aspiration
6 is usually treated with surfactant. If you think
7 a child has meconium, that's a little
8 controversial. Some people do, and some people
9 don't.

10 Q. It's, certainly, not standard of care to
11 treat?

12 A. To treat meconium, I would say it's not,
13 yes.

14 Q. All right. But there were four or five
15 different conditions that we talked about that
16 mimic respiratory distress syndrome --

17 A. With their --

18 Q. -- in 1999?

19 A. I could just say that mimic their
20 clinical presentation, yes.

21 Q. Okay. Now, in 1999, were any of those
22 treated with surfactant, except for -- besides,
23 rather, respiratory distress syndrome?

24 A. Yes. Meconium aspiration syndrome was.

1 Q. In 1999?

2 A. Yes.

3 Q. Okay. If you have this transient
4 tachypnea of a newborn, how long does it take for
5 this condition to resolve?

6 A. It's very variable. It could be a
7 couple of hours to 24, hours which would be the
8 outside --

9 Q. Okay.

10 A. -- but usually it's within, you know,
11 two to three to four hours.

12 Q. Okay. Can it take several days to
13 resolve?

14 A. I would say no. I would be very
15 reluctant to call a clinical course that far out
16 with transient tachypnea of the newborn.

17 Q. Okay. The reason why I ask that
18 question, Doctor -- I don't mean to be
19 argumentative -- you read there what you wrote
20 about --

21 A. Uh-huh.

22 Q. -- that particular disease under
23 treatment.

24 A. (Witness reviews document) Hours to

1 several days, yeah.

2 Q. Okay.

3 A. That's what -- that has been stated in
4 several of these papers. It's not something that
5 I clinically feel is necessary.

6 Q. Okay. But that's what you wrote?

7 A. Yeah.

8 Q. It can take several days to resolve?

9 A. Yeah.

10 Q. And you talk about the treatment here,
11 and -- and you don't use surfactants for
12 transient --

13 A. TTN.

14 Q. Thank you.

15 A. That is correct; we do not use
16 surfactant for treatment of TTN.

17 Q. Okay. So, if a doctor is not sure he's
18 got TTN as opposed to RDS, is the doctor entitled
19 to withhold surfactants for several days until he
20 decides what it is?

21 A. It's the job of the doctor to make a
22 diagnosis.

23 Q. But you just told me that the --

24 A. We hadn't talked --

1 Q. -- clinical findings and the
2 radiographic findings are the same?

3 A. No. I -- I didn't say anything about
4 radiographic findings.

5 Q. All right. How are the radiographic
6 findings different?

7 A. Between?

8 Q. Between TTN and respiratory distress
9 syndrome.

10 A. Okay. In respiratory distress syndrome,
11 you usually have a bilateral ground glass
12 appearance, and it's distinctly different from
13 transient tachypnea, which shows more of an
14 interstitial pattern, which has dilated
15 lymphatics, particularly in the lower lobes.

16 Q. So the entire diagnose -- diagnosis of
17 TTN and differential diagnosis for TTN as opposed
18 to RDS is made on radiographic findings?

19 A. Many times, that's correct.

20 Q. You said that these radiographic
21 findings are usually found, but not always found?

22 A. That's correct, as most things in
23 medicine.

24 Q. Okay. Can you have TTN and have ground

1 glass appearance in the lung?

2 A. I'm sure you can.

3 Q. Okay.

4 A. You have to determine what -- which is
5 the predominant pathologic process going on.

6 Q. Okay. And what about pneumonia; is --
7 is there any difference in the presentation of
8 pneumonia than RDS?

9 A. Well, are you talking about clinical
10 presentation or radiographic?

11 Q. Let's talk first about clinical.

12 A. Clinical presentation, they can be
13 completely the same, grunting, flaring,
14 retracting, tachypnea.

15 Q. Okay.

16 A. But radiographically you can usually --
17 sometimes you cannot tell the difference at all
18 between bacterial pneumonia and respiratory
19 distress syndrome, and sometimes you can, but
20 radiographically they can be -- they can look
21 exactly the same.

22 Q. So it can be real difficult to tell the
23 difference between pneumonia and respiratory
24 distress syndrome?

1 A. It can be, yes, as you're sitting there
2 with a brand new baby that's several hours old,
3 yes, and that's the reason why we treat with
4 antibiotics.

5 Q. If you had a 2,300 gram baby, what's
6 more common, hyaline membrane disease or
7 pneumonia?

8 A. Statistically, probably, pneumonia.

9 Q. Okay. And you don't give surfactant for
10 pneumonia, correct?

11 A. For pneumonia?

12 Q. Yes.

13 A. I do, but it's not -- again, some people
14 do, and some people don't.

15 Q. It's, certainly, not the standard of
16 care?

17 A. It does -- it's not at a standard of
18 care level; that is correct.

19 Q. It's, probably, a little more cutting
20 edge would you say?

21 A. I don't know if I would say that.

22 Q. Can you point me towards any articles
23 where surfactant use in -- this is, certainly, an
24 off-label use of surfactant, right?

1 A. Yes.

2 Q. Can you --

3 A. There have been one or two articles -- I
4 can't cite you chapter and verse as I sit here --

5 Q. Okay.

6 A. -- that have suggested -- have suggested
7 the fact that treating babies with pneumonia with
8 exogenous surfactant can be beneficial.

9 Q. Are those pretty recent articles?

10 A. Not very recent, no. I would say late
11 '90s.

12 Q. Doctor, how does surfactant work?

13 A. Surfactant works by decreasing the
14 forces of the alveolus wall to prevent it from
15 collapsing down. It decreases surface tension.

16 Q. So surfactant is basically a
17 surface-acting agent?

18 A. Right.

19 Q. Much like soap would be on a -- on water
20 with grease on top, correct?

21 A. Correct.

22 Q. So this is really more of a mechanical
23 process as opposed to a drug activity that
24 requires absorption and distribution or partial

1 metabolism or anything of that nature, correct?

2 A. Well, not completely. There are some
3 surfactants that actually need to get into the
4 cell and then get packaged, and then they are
5 excreted out of the cell in order to form its
6 effect. So that's not completely true.

7 Q. Okay. Is that the exosurf type of
8 surfactant?

9 A. Very good. That's correct.

10 Q. It's not true of the naturally-occurring
11 surfactants, correct?

12 A. That's correct. Much more so.

13 Q. Okay. So the naturally-occurring
14 surfactants act fairly rapidly, I presume,
15 correct?

16 A. That's correct.

17 Q. Can you tell me, then, why Matthew
18 developed a second pneumothorax?

19 A. I suspect that he developed a -- well, I
20 suspect he developed a second pneumothorax,
21 because of the pressures being delivered with the
22 ventilator to support his lung disease.

23 The use of surfactant after an
24 established pneumothorax is relatively

1 controversial. Some people do it, and some people
2 don't.

3 Some feel it's not worth doing, because
4 any surfactant you put in might leak out in the
5 pleural space, and then you would suck it out by
6 your chest tube. There are other people who
7 believe there may be some benefit to it.

8 Q. Okay.

9 A. But I believe he got a -- his second
10 pneumothorax again, because of, you know, the high
11 pressures that he was requiring on the ventilator.

12 Q. Well, typically, when you give
13 surfactant, the pressures can come down, correct?

14 A. If you give it in a timely fashion;
15 that's correct.

16 Q. Now, when do you believe this second
17 pneumothorax started?

18 A. Just going by the chart, it happened at
19 8/25 and eleven p.m. at about 23 -- at about 34
20 hours of age, according to my notes.

21 Q. Well, the first pneumothorax, according
22 to your notes, occurred at what time?

23 A. 8/25 at 7:05 p.m., approximately 30
24 hours of life.

1 Q. 7:05 p.m.?

2 A. Correct.

3 Q. And the surfactant was given when?

4 A. That's unclear to me, because the
5 surfactant was ordered -- this is where the notes
6 sort of get very fuzzy, because I'm relying on the
7 notes that the doctors wrote are not completely
8 very intelligible, and there was nothing helpful
9 in the discharge summary.

10 Q. Okay.

11 A. There was Survanta ordered on 8/26 on
12 8:15. That was the first time I ever saw it
13 ordered, but there was -- I had a question here --
14 and you can see that on my notes -- that, after
15 the first pneumothorax, question whether they
16 actually gave surfactant at that time after the
17 first pneumothorax.

18 Q. All right. Do you have any reason to
19 believe they did not give the surfactant?

20 A. You know, I don't -- I just don't know,
21 to tell you the honest truth.

22 Q. Okay.

23 A. I just extract what I can out of this,
24 and I did not read any depositions, which

1 sometimes fill in the holes.

2 Q. All right. And -- and when
3 do you believe the second pneumothorax occurred?

4 A. Well, according to the notes here, it
5 was 8/25 at eleven p.m., so approximately four
6 hours after the first pneumothorax.

7 Q. Do you believe that second pneumothorax
8 was developing prior to this time, or did it just
9 occur at eleven p.m.?

10 A. I would say the latter.

11 Q. It just occurred --

12 A. Pneumothoraxes just happen. They don't
13 necessarily evolve per se.

14 Q. So, assuming that the surfactant was
15 given at 8:15 p.m. and the second pneumothorax
16 occurred almost three hours later, that should
17 have been more than enough time for the surfactant
18 to exhibit its effects, correct?

19 A. Right, and that's possibly why he got a
20 pneumothorax.

21 Q. I -- I don't -- I don't understand. Can
22 you explain what you mean by that.

23 A. Because the -- the child was being
24 treated with a lot of pressure, has had a

1 pneumothorax. Then what can happen -- and, again,
2 this is why the administration of surfactant with
3 a -- with a pneumothorax present is controversial,
4 because when you have a pneumothorax, that can
5 sometimes, you know, overexpand some parts of the
6 lung and collapse other parts of the lung, and
7 sometimes, when you add a dose of surfactant with
8 a -- with a -- with an ongoing pneumothorax, you
9 may cause another one, because you may -- the
10 pressure that's being delivered to the areas that
11 are already expanded, then you're improving their
12 compliance even more, and then you may cause a
13 pneumothorax like giving surfactant.

14 Q. All right. But you don't believe that
15 Dr. Lilien decision to actually give the
16 surfactant at 8:15 itself breached the standard of
17 care; what you believe Dr. Lilien breached the
18 standard of care was failing not to give it before
19 seven o'clock?

20 A. Much earlier on, actually, right after
21 intubation.

22 Q. Okay. You have no criticism of Dr.
23 Lilien giving the drug at 8:15, correct, assuming
24 he gave it?

1 A. Well, the -- I mean, again, the
2 criticism comes back that, if you -- that what the
3 natural progression would be, the child is in
4 respiratory distress; you intubate them; you
5 document the placement of the endotracheal tube
6 radiographically, and then you go ahead and give a
7 dose of surfactant within, you know, a half hour
8 of -- of intubation, and that's -- that's the
9 common standard of care treatment of this therapy,
10 and with that you would never -- you would have
11 avoided all of these higher pressures that they
12 got into later on, which I think was the reason
13 why the child developed the pneumothorax.

14 Q. Okay. And I think I understand what
15 you're saying, but your real criticism is Dr.
16 Lilien should have given this surfactant sometime
17 before seven o'clock?

18 A. Yeah, shortly after intubation.

19 Q. Okay. Certainly, the second dose or the
20 dose of -- of surfactant that they gave Matthew
21 was -- was not effective in preventing the
22 pneumothorax, correct? We know that.

23 A. Correct.

24 Q. We know that Matthew's respiratory

1 problems gradually resolved, correct?

2 A. Correct.

3 Q. Are you, therefore, limiting the time of
4 Matthew's damage to the specific time period? Do
5 you know what I mean?

6 A. No.

7 Q. Well, is there a certain period of time
8 after which -- well, I think you've answered it.
9 Your only criticism is Dr. Lilien is he didn't
10 give the drug shortly after intubation, correct?

11 A. Correct.

12 Q. Okay. We can skip that.

13 Doctor, one of the other things that I
14 read that you wrote in Saunders was that the
15 widespread use of prenatal maternal steroids has
16 markedly decreased the incidence of hyaline
17 membrane disease, correct?

18 A. Correct.

19 Q. There are two ways that you can prevent
20 or significantly reduce your risk of hyaline
21 membrane disease, which is keep the baby in utero,
22 correct --

23 A. Correct.

24 Q. -- or give the mom maternal steroids,

1 correct?

2 A. Correct.

3 Q. Do you have any criticisms of the
4 obstetrician for failing to extend this pregnancy?

5 A. I'm sorry. Repeat that question.

6 Q. Do you have any criticism of the
7 obstetrician for failing to extend this pregnancy
8 to use tocolytics and -- and keep Matthew in
9 utero?

10 A. I'll answer the question just with the
11 understanding I am not an expert in obstetrics --

12 Q. Okay.

13 A. -- but I can say that it is almost
14 unheard of for obstetricians to stop labor with
15 tocolytics at 35 weeks.

16 Q. Okay. Now, when you give steroids to
17 mom, there's not a lot of risk to the mom or the
18 fetus, correct?

19 A. There's little risk.

20 Q. Little risk. Sodium retention in the
21 mom?

22 A. Potentially.

23 Q. I mean, we're not talking about any kind
24 life-threatening risks here by giving a couple of

1 doses of prednisone, are you?

2 A. Or dexamethasone or betamethasone.

3 Usually not.

4 Q. Pretty significant benefit, correct, in
5 a -- in a premature infant?

6 A. Potentially, correct.

7 Q. Do you have any criticisms of the
8 obstetrician's failure to not prescribe steroids?

9 A. Again, I will answer this just under the
10 proviso that I'm not an obstetric expert, but it's
11 almost unheard of to give mothers prenatal
12 glucocorticoid therapy at 35 weeks.

13 Q. Why?

14 A. Because their incidence of the disease,
15 hyaline membrane disease, is so small.

16 Q. Okay. So you don't run the small risk
17 of giving the mom sodium retention, because the
18 benefit is so small, correct?

19 A. Correct, because the --

20 Q. Okay.

21 A. -- incidence of the disease at that
22 gestational age is so low.

23 Q. Doctor, that's kind of what you do when
24 you prescribe the medication, isn't it? I mean,

1 when you are prescribing medication, you kind of
2 weigh the benefits versus the risks, correct?

3 A. Correct.

4 Q. And that's part of exercising your
5 professional judgment as a physician, right? You
6 decide whether a patient needs a certain
7 medication, correct?

8 A. Correct.

9 Q. If you have a drug that has a potential
10 for causing a severe adverse reaction, but it has
11 no known benefits in a certain population, what
12 would you do? Would you give the drug to that
13 population?

14 A. Probably not.

15 Q. What are the risks of exogenous
16 surfactants?

17 A. In general?

18 Q. Yeah.

19 A. If it's administered incorrectly, you
20 get some sort of apnea, bradycardia, hypoxemia.
21 It's -- it's very low. If it's administered
22 correctly and properly, it's a very safe drug,
23 actually.

24 Q. Okay. Is there some reason -- I have

1 read this, and I don't really understand it.

2 Perhaps, you can explain it to me, but is there
3 some reason that large babies, when you give
4 surfactant, it tends to plug -- they have a higher
5 incidence of plugging the ET tube?

6 A. I've never heard of that, because bigger
7 babies have bigger endotracheal tubes.

8 Q. Okay. So, if I provided literature to
9 you that said that's a potential risk of
10 surfactant, you wouldn't necessarily agree with
11 it, at least not in your experience?

12 A. In my 15 years of experience, I've never
13 had a large -- I've never had an endotracheal tube
14 in a large baby be plugged by surfactant.

15 Q. All right. And, when you give
16 surfactant, some of the risks you said are
17 bradycardia?

18 A. Yes.

19 Q. What causes the bradycardia?

20 A. Usually stimulation of the vagus nerve,
21 which is one of the nerves that kind of innervates
22 the airways, and you also have manipulation a
23 little bit of the endotracheal tube.

24 Q. Okay. So the bradycardia that's caused

1 is actually a mechanical effect of the
2 administration of the drug, correct?

3 A. Correct.

4 Q. And can you get oxygen desaturations
5 when you administer surfactants?

6 A. Yes, you can.

7 Q. Isn't that why one of the precautions
8 and warnings is that you don't give this in
9 community hospitals; you give it in facilities
10 that are equipped to handle these type of
11 emergencies?

12 A. Well, as the policy states, you,
13 certainly, need to be facile with the ability to
14 administer surfactant, absolutely.

15 Q. And the policy you refer to is the AAP
16 policy, correct?

17 A. That's correct, and most institutions
18 that -- that administer surfactant usually have
19 some type of policy.

20 Q. Okay. Who usually gives the -- who
21 actually administers the drug in your institution?
22 Do you give it?

23 A. No. I used to years ago, but for many
24 years it's now a combination of respiratory

1 therapy and nursing.

2 Q. Okay. So the policy that AAP came up
3 with, is that primarily to give guidelines to
4 people that were not neonatologists in
5 administering the drug?

6 A. You would have to ask them.

7 Q. Okay. Fair enough.

8 We talked about this briefly. There
9 were -- there were naturally occurring surfactants
10 in '99, and there was one synthetic surfactant,
11 correct?

12 A. Exosurf, correct.

13 Q. E-x-o-s-u-r-f.

14 And I think you've already touched upon
15 this. There are specific benefits with using the
16 naturally-occurring surfactants, correct?

17 A. Correct.

18 Q. It works better than naturally
19 occurring -- better than the synthetic?

20 A. In general, felt to work much more
21 quickly, and the improved lung compliance that
22 people saw, everyone seemed to -- it was better --
23 more quickly and better than Exosurf.

24 Q. Okay. Part of the reason for that is

1 Exosurf doesn't contain the surface-acting
2 proteins, correct?

3 A. Correct.

4 Q. Were there -- and I believe you touched
5 upon this, too. There were more risks with
6 Exosurf than there was with the naturally
7 occurring?

8 A. Not -- risks for Exosurf?

9 Q. Yes.

10 A. Not that I recall.

11 Q. Okay. Did your hospital put Exosurf on
12 the formula, or did you have naturally occurring,
13 do you recall?

14 A. I've been in Massachusetts General
15 Hospital since 1994, and we've never -- I believe
16 we've never had Exosurf on the formula.

17 Q. But --

18 A. But I do have -- but I do have
19 experience with it in a previous position earlier
20 on.

21 Q. And I assume that's, because you --
22 well, I don't want you to speculate why that was.

23 Can you refer me to a single study -- a
24 single controlled study prior to 1999 where

1 exogenous surfactant was used in newborn babies
2 that were as large as Matthew was at 2,305 grams?

3 A. No, because I don't believe those
4 studies were done.

5 Q. And, in fact, even today there's no
6 controlled studies on larger babies with the use
7 of surfactant, is there?

8 A. I think that's correct.

9 Q. All controlled studies that have been
10 done on surfactants has been on younger and
11 smaller babies; is that correct?

12 A. On smaller babies with decreased
13 gestational age, and the main reason for that is,
14 because that's where the incidence of the disease
15 is greater.

16 Q. Okay. And you cannot cite me to a
17 single article, I assume, where surfactants have
18 been shown to have a positive impact on
19 neurodevelopment?

20 MR. BECKER: On what?

21 MR. BULLOCH: Neurodevelopment.

22 A. Not chapter and verse. What you would
23 have to do in that sense, again, is -- is look at
24 outcomes of infants in the pre-surfactant era

1 versus post-surfactant era.

2 Q. Or look at hospitals that don't get
3 surfactant. Is that possible?

4 A. I would hope there aren't many of those
5 per se. You know, again, if the hospital gives --
6 at least the transport team is giving it.

7 Q. Are you aware of what Columbia
8 Hospital's -- Columbia University's hospitals are
9 studying currently in CPAP and not giving
10 surfactant?

11 A. They -- I'm aware of their CPAP
12 experience for years.

13 Q. Okay. And, when they use CPAP, they do
14 not give surfactant; is that correct?

15 A. I suspect not since -- if -- I -- I
16 don't know. You know, it depends whether -- some
17 places -- I know of one study over in England --
18 not England -- Scandinavia where they intubated a
19 child. They gave surfactant. Then they extubated
20 the child right away and put them on CPAP and
21 studied those infants.

22 So, when you're talking about Columbia,
23 I just don't know anything about what you're
24 talking about.

1 Q. Would you ever intubate a child just to
2 give the child surfactant?

3 A. I don't practice that way, but I know
4 people that do.

5 Q. Okay. But would you?

6 A. Not at -- not at the present time at
7 Mass. General.

8 Q. All right. And, again, let me represent
9 to you that there are ongoing studies at Columbia,
10 and the -- there is a -- essentially the way they
11 treat children with RDS is to put them on CPAP and
12 not administer surfactant. Okay. Let me
13 represent that to you.

14 Are all of those doctors breaching the
15 standard of care by failing to give surfactant to
16 their child?

17 A. I really can't comment on that, because
18 I don't know anything about what you're talking
19 about, and I don't know any of the -- of the
20 specifics.

21 Q. All right. Are you familiar with the
22 Vermont Oxford network?

23 A. Yes, I am.

24 Q. Is that a respected entity?

1 A. Yes, it is.

2 Q. Do you know anything about the Vermont
3 Oxford database on RDS children?

4 A. Not specifically, since we just joined
5 Vermont Oxford about four months ago.

6 Q. Okay. Let me represent to you that the
7 database includes 3,505 infants between 1,400 and
8 1,500 grams. Not all of those children were given
9 surfactant. Okay.

10 A. Uh-huh.

11 Q. I'm representing that. Do you believe
12 that the physicians that participated in that
13 study made a -- you know, breached the standard of
14 care when they decided to withhold surfactant?

15 A. Again, you're asking me things that I --
16 I cannot make a comment based on just what you
17 say. I think that's very unfair.

18 Q. All right. Fair enough.

19 Do you ever consult the manufacturers'
20 dosing charts that come with surfactants on how to
21 give the drug?

22 A. I've read them.

23 Q. Okay. I assume you've consulted the PDR
24 on -- on the use of surfactants at some point in

1 time?

2 A. Not the PDR, but I mean, I have,
3 certainly, read product information.

4 Q. All right. The product information,
5 essentially the drug product monograph that
6 appears in the PDR, correct?

7 A. Okay.

8 Q. If you open the package of Survanta,
9 S-u-r-v-a-n-t-a, what you're going to find in
10 there is basically the same thing that appears in
11 the PDR, correct?

12 A. Correct.

13 Q. Is there anything in there about giving
14 surfactant to a baby larger than 2,000 grams?

15 A. I don't recall, since I haven't read it
16 recently.

17 Q. If I represent to you that there is not.
18 Is then giving this drug to babies the size of
19 Matthew an off-label use?

20 A. I guess, by -- by the letter of the law,
21 yes.

22 Q. Okay. Does that require some form of
23 informed consent from your patients?

24 A. In neonatology, we've given a lot of

1 medicines that way.

2 Q. Off label?

3 A. Off label, yes.

4 Q. And, I guess, what you're saying from
5 that is you don't bother getting the parents'
6 informed consent, correct?

7 A. In giving surfactant?

8 Q. Yes.

9 A. That is correct.

10 Q. So, if you gave surfactant to a child
11 that weighed 2,300 grams, you wouldn't get the
12 parents' consent to do that, correct?

13 A. No, we would not.

14 Q. Doctor, I guess, I'm just a little
15 confused. How -- how do you believe that Dr.
16 Lilien breached the standard of care when he
17 failed to give Matthew surfactant when there were
18 no studies in 1999 giving surfactant to children
19 Matthew's size? It was an off-label use, and
20 there are entities that have not given surfactant.
21 How -- how did he breach the standard of care?

22 A. I think he breached the standard of
23 care, because the diagnosis of this infant, in my
24 opinion, was surfactant deficiency, and it's been

1 the standard of care everywhere I know, when you
2 make the diagnosis of surfactant deficiency or
3 hyaline membrane disease or respiratory distress
4 syndrome in an infant clinically and
5 radiographically who requires endotracheal
6 intubation, then to treat that child with
7 exogenous surfactant.

8 Q. Okay. Maybe, we've got a problem with
9 semantics here. In your mind, what is the
10 standard of care?

11 A. The standard of care is that, when you
12 make the diagnosis of surfactant deficiency,
13 hyaline membrane disease or respiratory distress
14 syndrome, you treat that disease with exogenous
15 surfactant.

16 Q. I'm sorry. The question was vague. In
17 general, what was the standard of care?

18 MR. BECKER: He wants know what the term
19 means to you.

20 Q. What does the term, standard of care,
21 mean to you?

22 A. I know every state has a different
23 standard of care.

24 Q. I don't care what the state says,

1 Doctor. I want to know what Dr. Cronin thinks.
2 When you use the term, breached the standard of
3 care, what does that mean to you?

4 A. I think that a physician -- you know, I
5 mean, we can get into the semantics, due diligence
6 of, you know, providing care.

7 Q. Okay.

8 A. This was -- the treatment -- the use of
9 exogenous surfactant to treat surfactant
10 deficiency, I believe -- the nonuse of that, I
11 believe, breached the standard of care --

12 Q. Okay.

13 A. -- in the treatment of this infant, a
14 white male, premature infant, who had no risk
15 factors for infection and chest x-ray consistent
16 with that diagnosis.

17 Q. I hate to get off the subject, but was
18 there no risk of infection known to Dr. Lilien in
19 this patient?

20 A. The only risk factor, to me, is
21 prematurity.

22 Q. So there was a risk factor?

23 A. There was a risk factor, yes. Sure.

24 Q. And are you aware of the fact that Margo

1 Wagoner underwent an artificial ruptured membrane?

2 A. I am aware of that, yes.

3 Q. Doesn't that carry a higher risk of
4 fetal infection as well?

5 A. It does, but it would be very small.

6 Q. Let's get back to this issue of standard
7 of care.

8 How -- how is a standard of care
9 created? Again, I'm just talking about
10 congenital. I'm not talking about Matthew
11 Wagoner. I'm talking about, in your mind, how is
12 the standard of care developed?

13 A. Well, this is -- you know, a standard of
14 care that's put out in 1999 by the American
15 Academy of Pediatrics, you know, to treat
16 surfactant replacement for children whom you've
17 diagnosed with respiratory distress syndrome, I
18 mean...

19 Q. Well, how was the standard of care
20 created? Is it one -- does one article create a
21 standard of care?

22 A. Policy statements from -- from
23 organizations have -- in essence, do that.

24 Q. Okay. Does it say anywhere in here that

1 the standard of care is to give children above
2 2,000 grams --

3 A. There's no weight determination in
4 that -- in that statement.

5 Q. A lot references, correct (indicating)?

6 A. (Witness reviews document) Yup. Right.
7 Yes, but I'm saying the policy itself.

8 Q. Okay. Do any of these articles -- let
9 me back up.

10 Do these articles support conclusions
11 that are reached here? Would you assume that's
12 the case?

13 A. Yes.

14 Q. So the support of this policy is found
15 in these articles, correct?

16 A. Correct.

17 Q. Can you show me any of these articles
18 that administer surfactant that are listed here to
19 a child larger than 1,750 grams?

20 A. No. There probably isn't, because
21 again, we go back to why do they do that? Why
22 have they picked smaller babies? It's, because
23 the incidence of the disease is greater in smaller
24 babies, and in order to make, you know, the study

1 come through, that's where they pick -- where you
2 have the greatest incidence of the disease.

3 Q. Well, certainly, they could have been
4 all inclusive when they designed their study,
5 though, correct?

6 A. They could have been, yes.

7 Q. And they weren't for what reason, or you
8 don't know?

9 A. Well, usually the answer is they want to
10 finish a, you know, randomized control study
11 within a reasonable amount of time. So, in order
12 to -- you know, meaning a couple of years rather
13 than looking at something that happened much less
14 frequently, the study is going to take longer, or
15 you have to get more study centers in order to get
16 the number of patients.

17 Q. But, Doctor, what you're sitting here
18 telling me is there's no difference in giving it
19 to a large baby as opposed to giving it to a small
20 baby. So why didn't those studies just be all
21 inclusive, including children that have RDS?

22 A. Talk to the people who did the studies.

23 Q. Is it fair to say that a standard of
24 care is created by a series of well-controlled

1 studies?

2 MR. BECKER: Objection.

3 You can answer.

4 A. It certainly goes into developing a
5 standard of care.

6 Q. And it's not one person that announces,
7 This is a new standard of care, that develops a
8 standard of care, correct?

9 A. No. Usually it's a -- it's a group, as
10 there is in this situation, and in neonatology,
11 the committee on fetus and newborn is a group of
12 people that does set standards.

13 Q. But, again, Doctor, we've talked about
14 the purpose of this policy. You told me the
15 purpose of the policy was really to give
16 directions on how the drug is administered,
17 correct, not to whom it was administered? Can you
18 point out anywhere in that article where it says
19 to whom that drug is to be administered?

20 A. No.

21 Q. Does it really talk about how you give
22 the drug? I've read it a hundred times, and I'm
23 sure you have, too.

24 A. Well, you've, probably, read it more

1 than I have.

2 Q. All right. Your report specifically
3 states that Dr. Lilien breached the standard of
4 care, because in 1999, it was common practice to
5 treat premature infants with respiratory distress
6 syndrome who required greater than 30 percent FIO2
7 and a ventilator mean pressure greater than 8
8 centimeters of water surfactant. Did I read that
9 correctly?

10 A. Correct. Yes.

11 Q. And, again, can you point to one single
12 article where it says that, if you have a child
13 that requires greater than 30 percent FIO2 and a
14 ventilator pressure of 8 centimeters water, you
15 give them surfactant, no matter what size the
16 child is?

17 A. Well, those were very common criteria
18 used in these studies of development of this
19 therapy. That's where those numbers come from,
20 the Survanta articles -- back in the late '80s as
21 well as the Survanta articles.

22 Q. Well, the admission criteria were
23 basically if the child was on ventilator, correct?

24 A. Well, they also need other specifics,

1 not just on the vent or off the vent.

2 Q. What are those studies?

3 A. The more important ones that I can think
4 of off the top of my head. There were -- because
5 for years people ask, what are the determinants of
6 a baby who has -- a premature baby with hyaline
7 membrane disease is intubated. What are the
8 criteria for exogenous surfactant therapy?

9 Q. Again, off-label use?

10 A. It can be.

11 Q. Well, let me ask you this: Does the
12 manufacturer say that this is the criteria for
13 giving surfactant?

14 A. I have not read that, so I can't -- I
15 can't comment on that.

16 These -- these numbers come from the
17 studies, and they're also clinical parameters that
18 we've used for many, many years.

19 Q. That's what I'm interested in. Are
20 these clinical parameters that were used at
21 Harvard?

22 A. Massachusetts General Hospital.

23 Q. Okay. So these were the standards that,
24 in your many years of experience, were used at

1 Mass. General to give a child surfactant?

2 A. Correct.

3 Q. Do you know if that was the same
4 standard that was employed in Cleveland, Ohio?

5 A. I do not.

6 Q. Do you know if that was the same
7 standard employed in any other hospital in the
8 country?

9 A. I do not, because I have not seen the
10 criteria.

11 Q. Do you know if there was anything
12 written that said this is the criteria nationally,
13 that this is the standard when you have -- or are
14 we talking more about what happened at Mass.
15 General?

16 A. Well, these criteria that we use at
17 Mass. General are from the national studies. If I
18 have a child on 21 percent oxygen, I usually don't
19 treat that child with exogenous surfactant.

20 Q. Okay. You said that these came from the
21 more important studies that you recall. Do you
22 recall the authors of any of those studies?

23 A. I -- I don't -- I don't recall. They're
24 from the late '80s, early '90s.

1 Q. Okay. So, if I generally go back and
2 look at the literature written in the early -- or
3 late '80s and early '90s on surfactant therapy,
4 you believe that's what I'll find in the majority
5 of the study?

6 A. Yes, I do.

7 Q. Okay.

8 A. We didn't make these numbers up out of
9 the blue.

10 Q. I'm sure you didn't, Doctor, but you
11 know as well as I know that sometimes the numbers
12 aren't out there, and some hospitals of the
13 caliber of Mass. General will say, This is what
14 makes sense to us, correct?

15 A. Correct.

16 Q. There are occasions when numbers are not
17 pulled out of the blue, because I think that's not
18 a correct characterization, but certainly, there
19 are times when prestigious institutions like Mass.
20 General says, There's no criteria out there. This
21 is what we're going to use, correct?

22 A. Correct, based on the best data that we
23 have --

24 Q. Sure.

1 A. -- available.

2 Q. Sure.

3 MR. BULLOCH: Take a couple-minute
4 break, if we could.

5 (Recess)

6 BY MR. BULLOCH:

7 Q. Doctor, I just wanted to clean up one
8 thing.

9 We said something about off-label use.
10 Another term for off-label use is use of an
11 approved drug in a nonapproved manner, correct?

12 A. Correct.

13 Q. Not approved by who, the FDA?

14 A. I assume.

15 Q. Okay. Doctor, if -- I want to talk
16 about Matthew for a moment, and -- and the rest of
17 my questions today, unless I tell you otherwise,
18 will be related to Matthew and specifically about
19 a child who develops two pneumothoraxes and, as a
20 result, Plaintiffs' claim developed cerebral
21 palsy. Okay.

22 A. Yes.

23 Q. And specifically a spastic athetoid type
24 of cerebral palsy. Have you ever heard of a child

1 developing spastic athetoid cerebral palsy as a
2 result of a pneumothorax?

3 A. I don't recall.

4 Q. Okay. If -- if a child had a hypoxic
5 ischemic event severe enough during a pneumothorax
6 to cause cerebral palsy, what would you expect to
7 see to happen to the child by way of kidney
8 function?

9 A. Again, it depends on -- we're getting
10 into -- you're not talking about Matthew. That's
11 why I'm -- I'm confused. You said you were
12 talking about Matthew. Now you're talking about
13 generalities.

14 Q. Why do you think I am not talking about
15 Matthew?

16 MR. BECKER: Because you said, "if a
17 child." How's that?

18 Q. All right. Let me back up, then.

19 If Matthew, in fact, developed cerebral
20 palsy as a result of the -- of the two
21 pneumothoraxes, what would you expect to see to
22 happen to his liver function or his kidney
23 function?

24 A. Maybe something; maybe nothing.

1 Usually -- usually nothing, because it would be
2 below his -- his kidney function was fine --

3 Q. Okay.

4 A. -- other than he did develop an aortic
5 lot, which was, probably, secondary to an
6 umbilical line.

7 Q. Okay. And that has nothing to do with
8 an ischemic event is what you're saying; that has
9 to do with the line that was --

10 A. Correct.

11 Q. So, in Matthew's case, there was no hit
12 on his kidney function, correct?

13 A. That's correct.

14 Q. What happened to his liver function?

15 A. His liver function was essentially
16 within normal limits.

17 Q. So, essentially, as a result of this
18 hypoxic ischemic event sufficient to cause
19 cerebral palsy in Matthew, there was no hit to his
20 liver function, correct?

21 A. That's correct.

22 Q. What about his bone marrow; what
23 happened to his bone marrow?

24 A. I'm not aware of anything happening to

1 his bone marrow.

2 Q. And you're correct; nothing happened to
3 his bone marrow. There was no thrombocytopenia
4 that you recall, correct?

5 A. No. That's correct.

6 Q. So, as a result of this hypoxic ischemic
7 event sufficient to cause cerebral palsy, there
8 was no hit to his bone marrow, correct?

9 A. That's correct, that I'm aware of, yes.

10 Q. Okay. If a child has -- well, let me
11 keep it with Matthew. Did Matthew have coma? Did
12 he go into coma?

13 A. No, he did not.

14 Q. Did he have seizures?

15 A. No, he did not.

16 Q. Did he have any EEG changes?

17 A. He had an EEG on 9/8, which was read as
18 normal.

19 Q. So, again, answer my question: As a
20 result of this hypoxic ischemic event sufficient
21 to cause cerebral palsy in this little boy, he had
22 no EEG changes?

23 A. Correct.

24 Q. Okay. Did Matthew experience any

1 changes to his head images as --

2 A. According to the records, well, yes.

3 Q. What was that change?

4 A. Well, he had two ultrasounds, which were
5 normal on the 31st, and then -- the 31st of August
6 and then the 9th of September.

7 Q. Okay. So, as a result of this hypoxic
8 ischemic event sufficient to cause cerebral palsy
9 in Matthew, he had no changes in his head
10 ultrasounds, correct?

11 A. That is correct.

12 Q. Okay. What about CTs or any other head
13 imaging?

14 A. Well, there was an MRI done on the 9th
15 of -- excuse me -- the 8th of September, day of
16 life 16, where they had MRI where they were
17 questioning the finding of early periventricular
18 leukomalacia.

19 Q. Okay. So can we say -- did Matthew ever
20 show any signs in his head of edema?

21 A. Not on ultrasound. You're talking
22 cerebral edema?

23 Q. Yes.

24 A. Not on ultrasound and not as stated on

1 MRI report.

2 Q. So, as a result of this hypoxic ischemic
3 event sufficient to cause cerebral palsy in
4 Matthew, there was no cerebral edema that you saw,
5 correct?

6 A. That is correct.

7 Q. So is it fair to say that, as a result
8 of this hypoxic ischemic event, the only thing
9 that you saw in the medical record as sequelae was
10 possible periventricular leukomalacia?

11 A. Correct. In the radiology report, yes.

12 Q. You saw nothing else consistent with a
13 hypoxic ischemic event in the sequelae, correct?

14 A. I'm sorry. Say that again.

15 Q. Strike that. You already answered it,
16 Doctor. I'm wasting your time.

17 Are you aware of the fact that Dr. David
18 Bachman, who was the head of pediatric neurology
19 at Ohio State University, became Matthew's
20 subsequent treating pediatric neurologist in North
21 Carolina?

22 A. I have -- am I aware of that?

23 Q. Yeah.

24 A. No, not at all.

1 Q. Okay. Let me represent to you that that
2 is, in fact, the case, and we have medical records
3 of Dr. Bachman, and in fact, we took Dr. Bachman's
4 deposition.

5 Dr. Bachman testified that he had two
6 board certified neuroradiologists take a look at
7 the Fairview films, the MRI and the ultrasounds,
8 and that both of these neuroradiologists decided
9 there was no PVL. Now, I'm not asking you to
10 accept that it's true.

11 A. Okay.

12 Q. That's what's been represented.

13 A. All right.

14 Q. Now, all that we have from Fairview is
15 the possibility for early PVL, correct?

16 A. That's what's in the record.

17 Q. Dr. Bachman testified, in fact, what one
18 of these neuroradiologists said, actually -- this
19 possible PVL was actually early myelinization,
20 which is a normal finding, correct?

21 A. It can be, yes.

22 Q. All right. I want you to assume for the
23 moment that these two neuroradiologists are
24 correct, that there's no abnormality in Matthew's

1 MRI that was performed at Fairview Hospital. In
2 that occasion, you can't point to anything in the
3 sequelae -- Matthew's sequelae that is consistent
4 with a hypoxic ischemic event sufficient to cause
5 cerebral palsy, correct?

6 A. That's correct, not in the information
7 I've reviewed.

8 Q. Okay. Now, tell me, Doctor, patients
9 that have had hypoxic ischemic events in the
10 neonatal period sufficient not only to cause brain
11 damage, but sufficient to cause cerebral palsy,
12 and not only cerebral palsy, a spastic athetoid
13 cerebral palsy that is affecting even the basil
14 ganglia, would you expect to see some sequelae
15 after the hypoxic event in the form of liver
16 function, kidney function, bone marrow, testings,
17 seizures, EEG changes or imaging?

18 A. All I can really tell you is that, is it
19 possible? It certainly is. Even in Volpe's book,
20 it depends, you know, where the blood flow goes
21 and where the blood flow doesn't go, and when you
22 have patients with hypoxic ischemic cephalopathy,
23 50 percent of them only have a brain injury. Then
24 I think there's another 25 percent who had brain

1 and kidney, and then there's another 25 percent
2 who had other -- other indications.

3 Q. What does your experience tell you?
4 Should you have seen some sequelae from this
5 hypoxic event?

6 A. Well, again, you're leaping a little bit
7 ahead of where I am. I'm going -- I'm going on
8 the data that was presented to me in the chart.

9 Q. I understand.

10 A. And I'm just going -- yeah. Okay. He
11 had MRI, and he had question of early PVL, and I
12 look at this, and I go, gee, could these two
13 pneumothoraxes and not -- you know, not meeting
14 what I think the standard of care in treating this
15 child appropriately with exogenous surfactant, two
16 pneumothoraxes, a lot of hypoxemia, I'm sure some
17 blood pressure problems -- can that cause brain
18 damage? Well, yeah, it can.

19 Q. Well, knowing what you know about
20 Matthew, knowing that there was no PVL, there was
21 no sequelae, there was absolutely nothing abnormal
22 on the -- on the head imaging films, is it likely
23 that Matthew's CP was caused by --

24 MR. BECKER: Objection. He's answered

1 the question, John. Now, you know, if he answers
2 it strongly, then you're not going to like his
3 answer.

4 MR. BULLOCH: So we might be here for a
5 couple more hours.

6 MR. BECKER: That's right. So be
7 careful of what you're asking him.

8 BY MR. BULLOCH:

9 Q. Doctor, I'm asking you, would you expect
10 to see something on this child if he had a hypoxic
11 ischemic event?

12 MR. BECKER: Objection. Asked and
13 answered.

14 Q. Well, you can answer again.

15 MR. BULLOCH: Let me finish my question
16 first, Mike, please.

17 Q. But, if a child had a hypoxic ischemic
18 event sufficient to cause cerebral palsy, would
19 you expect to see something similar, even
20 including when you said some only have brain, but
21 wouldn't you expect to see something on the
22 imaging or some other sequelae?

23 A. Yes, you would expect to see something
24 on MRI image.

1 Q. More likely than not?

2 A. Yeah, more likely than not.

3 Q. Doctor, you -- you mentioned to me that
4 you saw some depressions in, I think, pulse
5 oximetry or Po2 in the chart. Can you point
6 specifically to those events?

7 A. I do have to -- are we going to be here
8 a lot longer, because I'm supposed to be
9 somewhere?

10 Q. No. I promise we'll get you out of
11 here.

12 A. If you go to Fairview laboratory and
13 then go to blood gasses --

14 Q. Maybe, I can circumvent this for you.
15 Are you talking about the points in time when
16 there were some panic levels reported?

17 A. Yeah. Okay. I see what you're saying
18 when you call them panic levels, but sure.

19 Q. Those aren't a panic level for a
20 neonate, though, are they?

21 A. A Po2 of 33, absolutely.

22 Q. Okay. You would consider that a panic
23 level?

24 A. Absolutely.

1 Q. Okay.

2 A. Anything under -- you know, I don't
3 know -- this is a hospital thing of what can cause
4 a panic. A normal Pao2 for a baby is at the
5 lowest 50. It should be in the sort of 50 to 70
6 range or, certainly, above 50.

7 Q. Anything above 50 is normal?

8 A. Right. That's correct. I mean, you
9 know, we've had 33s and 45s and 32, and I mean,
10 you don't have to go through this, but there are
11 multiple episodes of hypoxemia that I'm concerned
12 about.

13 Q. And those aren't prolonged periods,
14 right? I mean, they're -- they're low, and then
15 they're up; they're low, they're up, correct?

16 A. Well, you know, I don't have any -- you
17 know, when you look at the flow sheets on the
18 nurses here, the pulse ox. is always reading
19 absolutely fine all the time, and I -- I wonder
20 about some of that when -- when you have what
21 these, what you call, panic levels.

22 Q. Okay.

23 A. But the child did take hits, and these
24 are documented hits.

1 Q. Now, are -- is it possible to get Po2
2 levels that aren't accurate?

3 A. Of course, it is.

4 Q. And you get those sometimes with
5 arteriole sticks, I understand.

6 A. I'm talking about the arteriole Po2s --

7 Q. Okay.

8 A. -- not the capillary sticks, which
9 happen a little bit later on.

10 Q. Okay. And you can get erroneous results
11 with arteriole sticks, too, can't you?

12 A. Yes, you can, but you can get erroneous
13 results with any laboratory tests.

14 Q. Doctor, I think I am done. I'll get you
15 out of here. I apologize for being so lengthy.

16 A. Okay.

17 Q. I appreciate your cooperation and not
18 getting too angry at me in front of my boss.

19 Thanks.

20 (Discussion off the record)

21 BY MR. BULLOCH:

22 Q. We did mark your notes --

23 A. Right.

24 Q. -- as an exhibit, and I would ask that

1 you give those to the court reporter. She'll copy
2 all of the exhibits and then send them back to
3 you.

4 Let me see those notes real quick,
5 Doctor. I did tell you I might have you read
6 them.

7 I think I can read these pretty well. I
8 used to be a pharmacist, so your writing really
9 isn't that bad. Okay.

10 A. All right.

11 (Whereupon the deposition
12 concluded at 6:20 p.m.)
13
14
15
16
17
18
19
20
21
22
23
24

ERRATA SHEET DISTRIBUTION INFORMATION

DEPONENT'S ERRATA & SIGNATURE INSTRUCTIONS

ERRATA SHEET DISTRIBUTION INFORMATION

The original of the Errata Sheet has
been delivered to Michael F. Becker, Esquire.

When the Errata Sheet has been completed
by the deponent and signed, a copy thereof should
be delivered to each party of record and the
ORIGINAL forwarded to John T. Bulloch, Esquire, to
whom the original deposition transcript was
delivered.

INSTRUCTIONS TO DEPONENT

After reading this volume of your deposition,
please indicate any corrections or changes to your
testimony and the reasons therefor on the Errata
Sheet supplied to you and sign it. DO NOT make
marks or notations on the transcript volume
itself. Add additional sheets if necessary.
Please refer to the above instructions for errata
sheet distribution information.

PLEASE ATTACH TO THE DEPOSITION OF JONATHAN H.

CRONIN, M.D.

CASE: Matthew Chase Wagoner, et al. vs. Mark R.

Evans, M.D., et al.

DATE TAKEN: Monday, June 19, 2006

ERRATA SHEET

Please refer to Page 166 for errata sheet
instructions and distribution instructions.

PAGE	LINE	CHANGE	REASON
------	------	--------	--------

--	--	--	--

--	--	--	--

--	--	--	--

--	--	--	--

--	--	--	--

--	--	--	--

I have read the foregoing
transcript of my deposition and except for any
corrections or changes noted above, I hereby
subscribe to the transcript as an accurate record
of the statements made by me.

Executed this ____ day of

_____, 2006.

JONATHAN H. CRONIN, M.D.

1 COMMONWEALTH OF MASSACHUSETTS)

2 SUFFOLK, SS.)

3 I, Valerie Rae Johnston, Shorthand Reporter
4 and Notary Public in and for the Commonwealth of
5 Massachusetts, do hereby certify that there came
6 before me on the 19th day of June 2006, at 3:10
7 p.m., the person hereinbefore named, who was by me
8 duly sworn to testify to the truth and nothing but
9 the truth of his knowledge touching and concerning
10 the matters in controversy in the cause; that he
11 was thereupon examined upon his oath, and his
12 examination reduced to typewriting under my
13 direction; and that the deposition is a true
14 record of the testimony given by the witness.

15 I further certify that I am neither attorney
16 or counsel for, nor related to or employed by, any
17 attorney or counsel employed by the parties hereto
18 or financially interested in the action.

19 In witness whereof, I have hereunto set my
20 hand and affixed my notarial seal this ____ day of
21 June 2006.

22 _____

23 Notary Public

24 My commission expires: 8/05/2008