

SCANNED
3/9/02

1 State of Ohio,)
2)
County of Cuyahoga.)

3
IN THE COURT OF COMMON PLEAS

4

5 THE ESTATE OF JOHN TUBER-SMITH,

6 Plaintiff,
7 vs. Case No. 439531

8 METROHEALTH MEDICAL CENTER,
9 Defendant.

10 DEPOSITION OF RITA K. CYDULKA, M.D.
11 Wednesday, November 28, 2001

12 The deposition of RITA K. CYDULKA, M.D., an
13 employee of the Defendant MetroHealth Medical
14 Center, called for examination by the Plaintiff
15 under the Ohio Rules of Civil Procedure, taken
16 before me, Diane M. Stevenson, a Registered Merit
17 Reporter, Certified Realtime Reporter, and Notary
18 Public in and for the state of Ohio, by agreement
19 of counsel, at MetroHealth Medical Center,
20 Cleveland, Ohio, commencing at 11:40 a.m., the day
21 and date above set forth.

22

23

24

25

1 APPEARANCES:

2 On behalf of the Plaintiff:

3 Francis E. Sweeney, Jr., Esq.
323 Lakeside Avenue, Suite 450
4 Cleveland, Ohio 44113

5
6 On behalf of the Defendant:

7 James L. Malone, Esq.
Reminger & Reminger Co., LPA
113 St. Clair Avenue, NE, 7th Floor
8 Cleveland, Ohio 44114-1273

9 - - -

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 MR. SWEENEY: Excuse me, I have
2 a cold today, so if I sniffle, I apologize.

3 MR. MALONE: We would like to
4 find a doctor for you, but I don't know if we
5 will.

6 MR. SWEENEY: That is
7 understandable considering my capacity here today.

8 - - -

9 RITA K. CYDULKA, M.D.
10 An employee of the Defendant MetroHealth Medical
11 Center, called for examination by the Plaintiff
12 under the Rules, having been first duly sworn, as
13 hereinafter certified, was examined and testified
14 as follows:

15 CROSS-EXAMINATION

16 BY MR. SWEENEY:

17 Q. Hello. We just met. My name is Francis Sweeney.

18 I represent the estate of John Tuber-Smith in
19 regards to a medical malpractice action against
20 MetroHealth Hospital.

21 I am here today to ask you some questions

22 about your interaction in this case and the
23 treatment that you have of my client on a specific
24 date. There are a lot of medical records, but,
25 from my information, you only saw Mr. Smith on one

1 presenting date. I believe it was April 16, 1997.

2 I am going to ask you questions about that.

3 A. Okay.

4 Q. Before we get into that, just could you please

5 state your full name.

6 A. Rita K. Cydulka.

7 Q. Are you currently employed by MetroHealth

8 Hospital?

9 A. Yes.

10 Q. And have been since this presenting date of

11 4/16/97. Have you ever given a deposition before?

12 A. Yes.

13 Q. You are familiar with the interaction of the

14 questions and answers that go on?

15 A. Yes.

16 Q. I will dispense with the rest of the questions

17 regarding depositions because you have done this

18 before. So if there is anything that you have a

19 problem with, any questions that arise during the

20 deposition, either stop me and ask me or talk to

21 your attorney. Fair enough?

22 A. Yes.

23 Q. Otherwise, we will just head right into it.

24 A. Yes.

25 Q. What is your current occupation with MetroHealth

1 Hospital System?

2 A. I am an emergency physician.

3 Q. How long have you been employed by them?

4 A. Since 1989.

5 Q. Are you employed by MetroHealth Hospital?

6 A. Yes.

7 Q. Are you involved with any sort of ER staffing

8 group?

9 A. No.

10 Q. When was your first date of employment with

11 MetroHealth Hospital?

12 A. July, 1989.

13 Q. And you have been here ever since?

14 A. Yes.

15 Q. Uninterrupted?

16 A. Yes.

17 Q. In the ER?

18 A. Yes.

19 Q. And that is your specialty?

20 A. Yes.

21 Q. Can you give me a brief educational background?

22 A. Went to Northwestern University Medical School. I
23 completed internship and residency in emergency
24 medicine/internal medicine. And I have a Master's
25 degree in epidemiology and biostatistics from

1 Case.

2 Q. Now, you mentioned you had given a deposition
3 before or given depositions before?

4 A. Yes.

5 Q. In what capacity have you done that?

6 A. In several capacities. One as a treating
7 physician years ago for someone who had burned
8 down a house and had sprained her ankle. And I
9 saw her on route to jail. Once as a treating
10 physician of a fellow who jumped off of a ramp at
11 a Bears game and broke his neck and was suing the
12 City of Chicago for inadequate design of the
13 stadium.

14 Once here for somebody who was suing the
15 hospital for I don't remember what, but I think
16 had a GI bleed, and several times as an expert.

17 Q. Now, as a treating physician, do you mean in your
18 capacity as an emergency room physician seeing
19 these patients come into that department?

20 A. Yes, correct.

21 Q. At this facility?

22 A. No, that was before I came here.

23 Q. Where were you before that?

24 A. Northwestern University.

25 Q. You have also acted as an expert witness?

1 A. Yes.

2 Q. In what capacity?

3 A. What do you mean?

4 Q. Well --

5 MR. MALONE: You mean plaintiff

6 versus defense? I mean, she is an ER doc.

7 Q. Okay, we will just start from the beginning. When

8 was the first time you acted as an expert witness?

9 A. I don't know.

10 Q. Would it have been five years ago or ten years

11 ago?

12 A. Maybe ten years ago.

13 Q. Did somebody hire you to do that?

14 A. Yes.

15 Q. Who?

16 A. I don't remember.

17 Q. Was it an attorney?

18 A. Yes.

19 Q. Do you remember the case, the facts involved, or

20 what opinion you gave?

21 A. Very, very vaguely.

22 Q. Do you remember who you gave an opinion for? Was

23 it the defense or the plaintiff?

24 A. I have given opinions for both.

25 Q. But in that case, who was it for?

1 A. I don't remember.

2 Q. So you have given expert opinions for plaintiffs

3 and for defense attorneys --

4 A. Yes.

5 Q. -- in cases, correct?

6 A. Yes.

7 Q. How many times have you been an expert witness?

8 A. Over the decade?

9 Q. Yes.

10 A. Maybe a handful.

11 Q. Okay. Would that be a dozen a half a dozen?

12 A. I am going to say a half a dozen.

13 Q. So you were hired to give expert opinions in the

14 areas of emergency medicine, correct?

15 A. Yes.

16 Q. Do any of those expert opinions have to do with

17 presentation of gross hematuria?

18 A. No.

19 Q. Have you ever been a defendant, a named defendant,

20 in a medical malpractice case?

21 A. I was named in the case that we are talking about

22 here with the fellow with the GI bleed.

23 Q. I don't know if I specifically named you.

24 A. No, no. You asked me --

25 Q. Oh, another unrelated case at the hospital?

1 A. Right. And I think my understanding is that I was
2 dropped out from it.

3 Q. You have not been named in this case, do you
4 understand that?

5 A. No, I didn't understand that, but okay.

6 Q. You are not a defendant in this case.

7 A. Okay.

8 Q. I brought you here as a factual witness.

9 A. Okay.

10 Q. Because I want to know what happened. That is it.

11 A. Okay.

12 Q. What did you do to prepare for this today, if
13 anything?

14 A. I met briefly earlier with Mr. Malone.

15 Q. Did you review any text materials?

16 A. No.

17 Q. Did you review any depositions that you may have
18 given in the past, or depositions of other
19 emergency room physicians?

20 A. No.

21 Q. Did you review the chart?

22 A. Very quickly.

23 Q. Did you speak to any colleagues at the hospital?

24 A. No.

25 Q. Did you speak to anybody in urology?

1 A. No.

2 Q. Anybody in the family practice department?

3 A. No.

4 Q. Any other emergency room physicians?

5 A. No.

6 Q. Are you acquainted with Dr. Tom Noeller?

7 A. Yes.

8 Q. Are you acquainted with Dr. Mary Stewart?

9 A. Yes.

10 Q. Dr. Lynn Dezelon?

11 A. Yes.

12 Q. Dr. Jeffery Pennington?

13 A. No.

14 Q. Dr. Thomas Collins?

15 A. Yes.

16 Q. They are all emergency physicians here at this

17 hospital?

18 A. Yes.

19 Q. I take it you know them fairly well. Did you

20 speak with any of them prior to this?

21 A. No.

22 Q. Have any of them approached you, talked to you

23 about anything regarding this case?

24 A. No, or this patient.

25 Q. Are you Board certified in emergency room

1 medicine?

2 A. Yes.

3 Q. When was that Board certification?

4 A. The original one was in 1985, and I recertified in

5 1995.

6 Q. And that is the two-part exam?

7 A. Right.

8 Q. Oral and a written?

9 A. Right.

10 Q. Do you occupy any teaching positions?

11 A. I teach here.

12 Q. Okay, tell me a little bit about that.

13 A. I used to be the residency director until I took a

14 sabbatical and went back for my Master's degree.

15 Now I do clinical teaching and I lecture.

16 Q. You said you had a Master's degree in

17 epidemiology?

18 A. Yes.

19 Q. Tell me a little bit about that field.

20 A. Epidemiology is the study of disease and disease

21 patterns. So we look at big pictures rather than

22 little pictures.

23 Q. Give me an example that I can sink my teeth into.

24 What would be an example of that, like maybe

25 something you are working on now?

1 A. For example, not something I am working on now,
2 but an epidemiologist would be the one who
3 realized that taking aspirin helps prevent heart
4 disease. For example, looking at the Harvard
5 Nurses and Physicians Study, they noticed that
6 people who were taking aspirin tended to have less
7 heart attacks than people who weren't taking
8 aspirin. So they look at those big patterns and
9 then they study them.

10 Q. So you are not related, then, to any specific
11 disease process, it is just the overall picture of
12 medicine and the interaction of random research
13 facts, such as aspirin and the effect on whatever?

14 A. Well, it can be related to a disease process, too.

15 Q. But it is more from an overall perspective, then,
16 isn't it?

17 A. Well, it depends what you are looking at.

18 Q. I guess we should probably just get right into
19 this.

20 MR. MALONE: The first very
21 pages ought to be this visit.

22 MR. SWEENEY: Yes, this is the
23 first visit.

24 MR. MALONE: Now, Skip, if
25 anything in front of you doesn't appear to be

1 copied, we can do that for you now. If your
2 copies are not legible, we will have it.

3 MR. SWEENEY: Yes. I just
4 wanted to see the bottom of one of the originals.
5 This is fine. Everything else I believe I have.

6 Q. (Continuing.) I am really not going to go over
7 anything past the 4/16 date. There is pretty much
8 all there is. I could give you a copy, as well.

9 A. That would be great.

10 Q. Might as well go from the chart, that would
11 probably be the best way.

12 MR. MALONE: Sure.

13 Q. You have never reviewed this chart, correct?

14 A. This particular?

15 Q. Have you seen this before today?

16 A. This actual physical --

17 Q. Have you seen copies of it?

18 A. I have seen copies of my visit.

19 MR. MALONE: Of her visit.

20 Q. That is the only --

21 MR. MALONE: That is all she

22 has looked at. When you asked her: "Have you

23 seen this chart," She thinks you are talking the

24 whole volume.

25 Q. No, just your visit, April 16 of '97.

1 A. Yes.

2 Q. So you have gone through it. I don't know how
3 many pages there are, maybe six or seven pages. I
4 think we might be a little bit out of order.

5 The first page that you have in front of you
6 -- well, the initial page is an intake sheet?

7 A. This, yes.

8 MR. SWEENEY: You know what,
9 Jim, I don't believe I have that. Let me take a
10 quick look at it. I don't believe there is much
11 on it.

12 MR. MALONE: You should have
13 it, Skip. If you don't, I will make a copy right
14 now.

15 MR. SWEENEY: You know what, I
16 do have it. I can't read it very well, though.

17 Q. (Continuing.) Okay, Doctor, this is the intake
18 sheet, emergency department MetroHealth Medical
19 Center, April 16 of 1997, correct?

20 A. Yes.

21 Q. And you were the attending emergency room

22 physician that date?

23 A. Yes.

24 Q. Do you recall what your shift was or what it would

25 have been on that date?

1 A. No.

2 Q. What is a typical shift?

3 A. They have changed over the years. There is 7:00

4 to 3:00. There used to be a 10:00 to 6:00. Now

5 there is a 9:00 to 4:30. There is a 3:00 to

6 11:00, 4:00 to midnight, 6:00 to 1:00, 11:00 p.m.

7 to 7:00 a.m.

8 Q. Wow. On this sheet we have an admitting time. It

9 looked to be 10:29. That would be a.m.?

10 A. Yes.

11 Q. That would be within what shift?

12 A. Either the 7:00 to 3:00 shift or the 10:00 to 6:00

13 shift, or one of the day shifts.

14 Q. But can we tell from this record what shift it

15 was?

16 A. No.

17 Q. And you don't recall?

18 A. No, I don't.

19 Q. We have a chief complaint up top?

20 A. Yes.

21 Q. That would be gross hematuria, correct?

22 A. Yes.

23 Q. The informant apparently was John Tuber-Smith, and

24 that was his chief complaint. He came in on his

25 own?

1 A. I don't know.

2 Q. Meaning ambulatory, he walked in?

3 A. I don't know that, either.

4 MR. MALONE: Right there.

5 A. Walking.

6 Q. Does it reflect anywhere in the records, was

7 anyone else with him at the time?

8 A. I don't see anything about whether anyone was with

9 him or not.

10 Q. Independently, do you remember anyone coming in

11 with him?

12 A. No.

13 Q. Do you remember this guy?

14 A. No.

15 Q. Let's go down the list here. "Chief complaint,

16 gross hematuria." Below that we have it looks

17 like an acronym, I really can't read that. Is

18 that APR by?

19 MR. MALONE: Where are you?

20 MR. SWEENEY: I am back on the

21 original, the first page.

22 A. Oh, here. "Arrived by."

23 Q. "Arrived by," that is blank, correct?

24 A. Yes.

25 Q. And below that we have --

1 A. "PCP contract."

2 Q. Which is primary care physician contract?

3 A. Yes.

4 Q. What does that sa?

5 A. It says FA.

6 Q. What does that mean?

7 A. I don't know.

8 Q. You have no idea?

9 A. None.

10 Q. And then the rest of the columns are blank that I
11 have.

12 Below that it says "MetroHealth Medical
13 Center provider." Below that it says "none."

14 A. Yes.

15 Q. Do you know what that means?

16 A. It means that he likely didn't have a provider
17 here at MetroHealth.

18 Q. You mean he didn't have a primary care physician?

19 A. Any provider.

20 Q. He didn't have insurance, or what?

21 MR. MALONE: That would refer

22 to doctor, not insurance. Provider is the guy

23 that provides health care services.

24 Q. Actually, if you look over the next column, we

25 have registration date, arrival time. It looks to

1 be 10:00.

2 MR. MALONE: Yes.

3 Q. Registration time, 10:05. Date of birth -- or I

4 am sorry, below that I can't read that.

5 MR. MALONE: Which line?

6 Under --

7 Q. Right, illness, there is a date there.

8 MR. MALONE: Accident/illness

9 date 8/16/96.

10 Q. Do you know what that reflects? Do you have any

11 idea?

12 A. No.

13 Q. Below that we have personal information, his

14 address, phone number, contact phone number. Age,

15 50 years old. Race, black. Sex, male. Correct?

16 A. Yes.

17 Q. Primary nurse, that is blank, correct?

18 A. Correct.

19 Q. Exam room number 3. What is area time as opposed

20 to arrival time?

21 A. What time he was taken back into the emergency

22 department itself.

23 Q. And below that we have one note, apparently a

24 nursing note, and I can't read that at all.

25 Apparently we have a time here of 11:20. And

1 then --

2 MR. MALONE: It should say "UA

3 sent." Urinalysis sent at that time.

4 Q. So a urinalysis was taken upon presentation; is

5 that correct?

6 A. Well, it was taken -- sent at 11:20.

7 Q. So a urine sample was taken from the patient at

8 11:20 and sent. Where was it sent to? The lab, I

9 take it?

10 A. That is usually where it is sent.

11 MR. MALONE: Yes.

12 Q. I have collection date 11:10?

13 MR. MALONE: No, that is the

14 time that the specimen was taken from the patient

15 at 11:10. And this would tell you at 11 minutes

16 later it was sent on down to the lab for study.

17 MR. SWEENEY: Okay.

18 Q. (Continuing.) Can you read -- apparently that is

19 not your writing?

20 A. No.

21 Q. Can you read any of that?

22 A. No. I mean, I can read this, it is a signature.

23 But I don't know whose it is.

24 Q. Then on down the only other entries we have is

25 discharge time of 12:30, and disposition of

1 valuables, under that, what does that mean?

2 A. I don't know.

3 MR. MALONE: It looks like

4 "With patient."

5 A. Oh, maybe --

6 MR. MALONE: I read more of

7 these than you do.

8 MR. SWEENEY: Yes, that is very

9 good.

10 MR. MALONE: Valuables went

11 with the patient.

12 Q. And then we have "discharge to," and something is

13 circled. I can't read that.

14 A. "Home."

15 Q. Right next to the "2" there is a circle. What is

16 that?

17 MR. MALONE: The "H" is

18 circled. That is the letter "H" for home.

19 Q. And then it says home?

20 A. Yes. The options would be admit, "AD," or

21 transfer to another facility. And then if he is

22 admitted or transferred, then you fill in what
23 floor, what section of the hospital. Or if he is
24 transferred they would put transferred to
25 elsewhere.

1 But in this case he was sent home and just

2 says home.

3 Q. And then status at discharge, can you read that?

4 A. "A & O times three."

5 Q. What does that mean?

6 A. Alert and oriented times three.

7 Q. And then the signature. I don't know who that is.

8 Do you recognize that signature?

9 A. Yes.

10 Q. Whose is that?

11 A. Ann Dombeck.

12 Q. Who is that?

13 A. A nurse. I think that is whose signature it is.

14 Q. Is she still employed here?

15 A. I am not sure.

16 Q. When is the last time you saw her?

17 A. Sometime in the last three years.

18 Q. What is your current shift?

19 A. All around the clock. I work whatever shift I am

20 assigned.

21 Q. Is there any rhyme or reason to it?

22 A. None.

23 Q. I am trying to nail you down. Apparently I can't.

24 A. I have been trying to figure that out for 20

25 years.

1 Q. So you get a call and you have to work a shift?

2 A. Correct.

3 Q. Who calls you?

4 A. We submit schedule requests and then we get

5 assigned a schedule.

6 Q. But they are rarely honored, I take it?

7 A. No, they are usually honored. It is request not

8 to work a shift, not request to work a shift.

9 Q. And everything else is open?

10 MR. MALONE: It is not like she

11 is home and somebody says, "You have to come in

12 and work 12 hours."

13 Q. There is a monthly, or some leeway where you can

14 schedule?

15 A. Right.

16 Q. Let's go to the next page.

17 MR. MALONE: Which page do you

18 have next, triage form?

19 MR. SWEENEY: Yes.

20 A. Oh, no, you have a different one.

21 Q. All right. We can go to that, because that is the

22 order that you have it in. We have a triage form,
23 and that would be the information taken down by,
24 apparently, the nurse that was --
25 A. Kathy.

1 Q. Dombeck?

2 A. No, there is a triage nurse. See the signature in
3 the middle of the right page?

4 Q. Who would that be?

5 A. Kathy Klamet.

6 Q. So none of this is your writing, and obviously you
7 didn't take this information down?

8 A. That's right.

9 Q. But do you rely on this information --

10 A. I look at it.

11 Q. -- when you examine the patient?

12 A. I look at the information.

13 Q. Typically, people come in the emergency room for
14 all number of reasons, correct?

15 A. Yes.

16 Q. You are the attending physician, which means you
17 are in charge?

18 A. Yes.

19 Q. Typically, what is your first contact with the
20 patient? Is it in the examining room?

21 A. Yes.

22 Q. After all this information is taken down?

23 A. Yes.

24 Q. Do you typically review this information before

25 you see the patient?

1 A. Yes.

2 Q. Or do you, as some ER docs I know, sometimes like

3 to go in blind? Not many, but some do. Are you

4 one of those?

5 A. I am not one of them.

6 MR. MALONE: I don't think we

7 have any of those at this institution.

8 MR. SWEENEY: That is good,

9 because unless they are very good, they are

10 dangerous.

11 Q. (Continuing.) Now, you would review this informa-

12 tion, typically, before you go in and see a

13 patient?

14 A. Yes.

15 Q. And I assume that is what you did in this case --

16 A. Yes.

17 Q. -- on April 16 of 1997? Again, I will just go

18 through it and if you could follow-up with me.

19 Triage time, 10:00 a.m. Walked in. The date. We

20 have a stamp with his name and information already

21 on it in the upper right-hand corner.

22 We have date of birth. Primary care
23 physician, which means none. And then below that
24 we have prehospital care. And it looks like "See
25 note attached."

1 Can you see that there?

2 A. Yes.

3 Q. Do you know where that would refer to?

4 A. Right offhand, I don't.

5 Q. If a note is attached, where would it be?

6 A. Usually attached.

7 Q. Ask a stupid question. Is it in the chart or is a

8 note in the chart?

9 A. Do you mean this note that they are referring to?

10 Q. Referring to.

11 A. I don't see it as far as my encounter goes.

12 Q. So I would have to ask Miss --

13 A. Klamet.

14 Q. Do you know her first name?

15 A. Kathy.

16 Q. Kathy Klamet. We have under the chief complaint

17 we have gross hematuria, correct?

18 A. Yes.

19 Q. What does that indicate to you?

20 A. Bloody urine.

21 Q. What disease process does that indicate to you?

22 A. It could indicate lots of disease processes.

23 Q. For example?

24 A. Kidney stones, infections, tumors, sickle cell

25 disease.

1 MR. MALONE: Trauma.

2 A. Yes, well, trauma of course. Some of the
3 glomerulonephritis.

4 Q. Kidney disease?

5 A. Yes.

6 Q. Anything else?

7 A. Well, there are lots.

8 Q. Keep going.

9 A. Many variations of kidney disease. Renal cancers.

10 Cystitis.

11 Q. What importance does the chief complaint play when
12 you examine a patient?

13 A. It usually helps us focus our line of questioning
14 and exam.

15 Q. So go through with me, if you could, the
16 differential diagnosis, then, for gross hematuria
17 in this gentleman.

18 A. Okay. Infection, kidney stones.

19 Q. Is this in any order of priority or severity or
20 importance?

21 A. Just in order of how I am thinking of them.

22 Q. Okay. What did we say so far?

23 MR. MALONE: You said sickle

24 cell, trauma, nephritis, tumors, infections,

25 kidney stones. That is what I have written down,

1 you may have some others that I didn't catch.

2 Q. Let's go on further here. We have gross hematuria,

3 and it has times or by, or for the duration, I

4 take it?

5 A. Okay.

6 Q. Of eight to nine months, correct?

7 A. Yes.

8 Q. With weight loss?

9 A. Yes.

10 Q. Correct?

11 A. Yes.

12 Q. It says "negative --" I can't read that.

13 MR. MALONE: "CP today,"

14 Q. What does that stand for?

15 MR. MALONE: Chest pain,

16 probably.

17 A. I know what it usually stands for.

18 Q. What does it usually stand for?

19 A. Chest pain.

20 Q. That is what it would stand for here?

21 A. I don't know.

22 Q. Would it stand for anything else?

23 A. Cerebral palsy.

24 Q. The gentleman doesn't have cerebral palsy, that is

25 good. Okay. Anything else?

1 A. Nothing that I can think of offhand.

2 Q. Can we agree that is probably referring to chest
3 pain?

4 A. Yes.

5 Q. As we go on it says "penile pain"?

6 A. Yes.

7 Q. By "penile pain," what does that tell you?

8 A. That he is either not complaining of penile pain
9 or he is complaining of penile pain. I am not
10 sure if it is referring to no chest pain and
11 penile pain into flank, or if he is complaining of
12 gross hematuria eight or nine months, weight loss,
13 hematuria, penile pain into flank.

14 Q. You mentioned you review these before you talk to
15 the patient.

16 A. Yes.

17 Q. If you are reviewing this, would you again go over
18 this with the patient?

19 A. Yes.

20 Q. I assume that is what you did with this patient in
21 this case?

22 A. I don't know.

23 Q. You don't remember this presentation because there

24 have been tens of thousands of them since you have

25 been here. But typically speaking, that is what

1 you would do?

2 A. Yes.

3 Q. So you would clarify the questions that you have

4 now which you are not the quite sure what the

5 penile pain is, whether it is negative or positive

6 for it?

7 A. Oh, yes.

8 Q. And then going on it says apparently positive to

9 flank, it looks like?

10 A. Yes.

11 Q. And then positive for abdominal pain?

12 A. Yes.

13 Q. What are all these things telling you, as an

14 emergency room physician?

15 A. That he has had a problem with bloody urine for a

16 while, he has lost some weight, he has pain in his

17 penis and in his flank.

18 Q. Now, as part of a differential diagnosis, the

19 complaints of the patient are important, as you

20 stated?

21 A. Yes.

22 Q. And they allow you to focus your attention to a

23 certain injury or disease, correct?

24 A. Yes.

25 Q. What is this focusing you towards?

1 A. This particular?

2 Q. Yes.

3 A. This particular thing would focus me towards

4 either someone who has had an infection or stones

5 intermittently, possibly looking for some type of

6 -- possibly concerned about a malignancy.

7 Q. Why would you be concerned about a malignancy?

8 A. It is always in the back of my mind on everybody.

9 Q. Well, not if he comes in with a broken leg, is it?

10 A. Well, sometimes it is.

11 Q. Well, with gross hematuria, part of the differen-

12 tial diagnosis is malignancy, correct?

13 A. Yes.

14 Q. Part of your job as an emergency room physician is

15 to deal with life-threatening situations?

16 A. Immediately life-threatening situations, yes.

17 Q. And if they are not immediately life-threatening,

18 then to evaluate and provide proper instruction or

19 follow-up or treatment, correct?

20 A. Yes.

21 Q. What is the difference between frank hematuria and

22 gross hematuria?

23 A. No difference.

24 Q. They can be used intermittently, correct?

25 A. Yes.

1 MR. MALONE: I think
2 interchangeably is what you meant to say.

3 MR. SWEENEY: Thank you. I knew
4 there was a reason you were here.

5 A. They could probably be used intermittently.

6 MR. MALONE: I am suppose they
7 could be used intermittently, also. I didn't want
8 to interrupt you.

9 Q. Going down here, we have vital signs here. We
10 have temperature, and the "T" is circled?

11 A. Yes.

12 Q. That represents?

13 MR. MALONE: Tympanic.

14 A. Yes.

15 Q. Which means normal?

16 A. No, it means they took it in his ear.

17 MR. MALONE: As opposed to
18 mouth, and "R," which would be rectal.

19 Q. Pulse?

20 A. Do you want me to read it?

21 Q. 80?

22 A. Yes.

23 Q. And that is regular?

24 A. Yes.

25 Q. Respirations 16?

1 A. Yes.

2 Q. Blood pressure looks to be normal, correct?

3 A. About, yes.

4 Q. Other than scoliosis, no chronic illnesses?

5 A. Correct.

6 Q. Allergies to codeine, question mark?

7 A. Yes.

8 Q. What does that mean?

9 A. It means he reported that he may have an allergy

10 to codeine.

11 Q. Not sure, okay. Then we go down the next --

12 acuity, Level III, what does that mean?

13 A. Low acuity.

14 Q. Explain that to me in lay terms.

15 A. Something that is not life-threatening and not

16 likely to need immediate attention within the

17 emergency department in order to save his life.

18 Q. Now, is that your opinion or is that in the

19 nurse's opinion?

20 A. Nurse's.

21 Q. Do you agree with that?

22 A. To be honest with you, we don't do triage ever.

23 Q. Disposition B, I believe?

24 A. Yes.

25 Q. What does that stand for?

- 1 A. We have an A side and a B side. A side sees
2 patients with more acute illnesses, and the B side
3 sees patients with less acute illnesses.
- 4 Q. So if somebody gets life-flighted, they go to the
5 A side?
- 6 A. More often than not.
- 7 Q. Somebody walks in, they go to the B side or
8 directed to the B side?
- 9 A. It depends what they walk in for.
- 10 Q. Generally speaking?
- 11 A. Not necessarily.
- 12 Q. There is an A and a B side. Are these separate
13 parts of the emergency room?
- 14 A. They are separated by a supporting wall of the
15 hospital.
- 16 Q. And as they come in, there is one emergency
17 entrance, though, correct?
- 18 A. Correct.
- 19 Q. And as they come in, there is at least a
20 preliminary triage like "Are you going to die
21 soon," or "Can you walk over here and wait for a

22 few minutes?" Essentially, that is what it is?

23 A. Yes.

24 Q. I just want to put this in the simplest terms

25 because I am not a doctor.

1 MR. MALONE: Well, I think in
2 fairness, Skip, she is trying to help you as much
3 as she can. But people can come in on stretchers
4 and still be really nonacute. They may be on a
5 stretcher because of a chronic problem with
6 walking.

7 There are lots and lots of factors that go
8 into this. Basically, it is a triage function to
9 assess whether they are in imminent danger of
10 demise or something real evil happening imminently,
11 or can they wait a few minutes on a nonemergent
12 basis to get care.

13 Obviously, the people that need it most get
14 it first. I mean, I think that is the way we try
15 to operate the emergency room.

16 THE WITNESS: Yes.

17 MR. MALONE: There is nothing
18 conspiratorial about it. It is a judgment matter
19 made by a triage nurse who is trained to make
20 those judgments.

21 MR. SWEENEY: I don't think

22 there is any conspiracy going on, I am just trying

23 to figure out what it is.

24 Q. (Continuing.) Do you agree with that?

25 A. Yes.

1 Q. "Assessment time, 10:30." It says "complete" or
2 "focused." What does that mean?

3 A. Whether the assessment was a head to toe or
4 whether they focused on the complaint that the
5 patient had.

6 Q. "Medications, none." That means he is not taking
7 any currently?

8 A. Yes.

9 Q. He is not on any?

10 A. He hadn't reported any, hasn't reported any.

11 Q. "Mental status: Awake. Breathe," no marks.
12 "Neuro.," there was apparently not a neurological
13 workup. "Normal skin condition, color, turgor,"
14 whatever. "Capillary refills are less than two
15 seconds," which is --

16 A. Normal.

17 Q. -- normal. "Abdomen --" He complained of
18 abdominal pain, it looks like, up top. And then
19 "Abdomen" here we have "nontender and soft," which
20 is normal.

21 And then we have "Pain right flank and --"

22 A. Dysuria.

23 Q. Which is pain when he urinates?

24 A. Yes.

25 Q. Now, relating that to the penile pain indication

1 under "Chief complaints," is that what that refers

2 to?

3 A. I don't know. Dysuria usually is pain with

4 urination. Penile pain is penile pain.

5 Q. Then "Assessment" is down below. What is an

6 assessment?

7 A. It is the nurse writing what she thinks.

8 Q. So this is all in the nurse's input?

9 A. Yes.

10 Q. So whatever she tells you is what you get?

11 A. Yes.

12 Q. Whatever she gets is what the patient tells her?

13 A. Yes.

14 Q. So down below we have positive, which is a circle

15 plus?

16 A. Yes.

17 Q. "Frank hematuria." Now we have "times seven

18 months." Above we have eight and nine months.

19 So what is it? Do we know? Somewhere between

20 seven and nine months, it looks like?

21 A. Yes.

22 Q. "Positive for dysuria," which is painful

23 urination, "times three months"?

24 A. Correct.

25 Q. "Increasing," what does that mean?

1 A. The dysuria is increasing.

2 Q. What does that tell you, increasing pain with
3 urination?

4 A. It tells me that his symptoms are increasing.

5 Q. You have "Right flank pain," and I can't read
6 that. By --

7 MR. MALONE: X years.

8 Q. By X years?

9 MR. MALONE: For years, F O R,
10 not F O U R.

11 Q. "Seen at family practice," what is that? Do you
12 know?

13 A. Some type of family practice clinic.

14 Q. That within this hospital?

15 A. I don't know.

16 Q. "And another hospital." So that statement, taken
17 conjunctively, would say that -- imply to me that
18 he was seen at the family practice department in
19 this facility, because then he refers to another
20 hospital?

21 A. To me, I don't know. It just implies that he has

22 been seen by a family practice and he has been

23 seen in another hospital for the same complaint.

24 Q. And then it says here, "Given prescription --" I

25 can't read the rest of that.

- 1 MR. MALONE: "Given
2 prescription which patient never filled."
3 Q. Do we know what the prescription was for?
4 A. No.
5 Q. Would it be important to know what that was for?
6 A. It would be if the patient remembered.
7 Q. "Never filled. Also --" what does that mean, CD,
8 CP?
9 A. I think it is "CP."
10 Q. So another chest pain reference, chest pain
11 intermittently by nine months. I can't read the
12 rest of it at the end of the question.
13 A. "Left-sided. Denies at present. Positive weight
14 loss, 13 pounds in three months."
15 Q. It sounds like this guy has something going on in
16 his urinary tract; is that right?
17 A. Yes.
18 Q. Let's refer to your discharge summary, if we
19 could.
20 MR. MALONE: Her dictation?
21 Q. Yes. This is your dictation, correct?

22 A. Yes.

23 Q. Emergency department attending physician, Rita

24 Cydulka?

25 A. Cydulka.

1 Q. Cydulka. Excuse me. We have "Chief complaint:

2 Hematuria and flank pain"?

3 A. Yes.

4 Q. What is the differential diagnosis of hematuria

5 and flank pain?

6 A. Kidney infection, kidney stone, tumor, papillary

7 necrosis.

8 Q. What is that?

9 A. When the parts of the kidney sort of slough off.

10 You usually see it in patients with sickle cell

11 disease. And, you know, of course trauma.

12 Q. What is the most severe of those conditions or

13 disease processes?

14 A. What do you mean by "severe"?

15 Q. What is the most life-threatening?

16 A. Well, it depends.

17 Q. On what?

18 A. On the condition.

19 Q. Well, you mentioned differential diagnosis

20 consisted of urinary tract infection --

21 A. Yes.

- 22 Q. Is that a life-threatening disease process?
- 23 A. If the patient becomes septic from it, yes.
- 24 Q. What is the rest of the differential diagnosis?
- 25 A. Trauma. If the patient has disruption of their

1 renal system and loses kidney function, that can
2 become life-threatening, or if they bleed to
3 death, that can become life-threatening.

4 Q. Was that evident here?

5 A. No.

6 Q. There was no trauma?

7 A. Not that I got a history of.

8 Q. So we can rule that out?

9 A. Okay.

10 Q. Can we?

11 A. I suppose.

12 Q. Well, I am asking you. You are the emergency room
13 physician that examined this patient. You need to
14 rule things out.

15 A. Well, it doesn't look like I was worried about it,
16 if that is what you are asking.

17 Q. Well, I am asking are you ruling it in or are you
18 ruling it out?

19 A. I am ruling it out.

20 Q. Doctor, can we agree that 80 to 90 percent of
21 bladder cancer diagnoses begin with gross

22 hematuria?

23 A. I don't know.

24 Q. You don't know?

25 A. No.

1 Q. Would that be important to know?

2 A. As an emergency physician?

3 Q. Yes.

4 A. Are you asking -- no, it would not.

5 Q. So a patient comes in with gross hematuria. Can

6 that indicate that there is a neoplasm, that there

7 is a malignancy going on, that there is some

8 abnormal cell growth going on?

9 A. Can it indicate that?

10 Q. Yes.

11 A. Yes, it can indicate that.

12 Q. Would the standard of care be to assume that that

13 does exist until proven otherwise?

14 A. No.

15 Q. What would the standard of care be in your

16 position?

17 A. The standard of care would be to check a

18 urinalysis and treat a cause that was apparently

19 obvious in the emergency department and refer to

20 urology.

21 Q. And that was done here?

22 A. Yes.

23 Q. So, basically, you did your job?

24 A. I think I did.

25 Q. I think you did, too. I don't mean to be

1 adversarial. I am just trying to figure out what
2 is going on because I wasn't here.

3 It just seems to me that gross hematuria
4 is -- one of the main causes of gross hematuria is
5 bladder cancer, and I don't understand why that is
6 not mentioned anywhere in this chart or your
7 dictation, discharge summary, or follow-up.

8 A. I think you are confusing a presentation of
9 bladder cancer with the epidemiology of gross
10 hematuria.

11 Q. I am not saying that he had bladder cancer on this
12 date. I am just saying that is part of your
13 differential diagnosis, correct?

14 A. Yes.

15 Q. And in order to diagnose that, you cannot diagnose
16 that in the emergency room, correct?

17 MR. MALONE: Diagnose what,
18 cancer?

19 Q. Bladder cancer.

20 MR. MALONE: No.

21 Q. Or cell abnormalities, cell growth abnormalities.

22 Can you do that in the ER?

23 A. Usually not.

24 Q. Would hematuria require a thorough urological

25 workup in a 50-year-old male who smokes and who

1 has had it for several months? It seems like that
2 is what you did, that is what you ordered.

3 MR. MALONE: You are asking
4 about what workup should be done in urology, or
5 what workup should be done in the emergency
6 setting with this patient with his problem?

7 MR. SWEENEY: Anywhere.

8 MR. MALONE: She can't comment
9 beyond the emergency setting because that is what
10 she is trained to do and what she does. She can
11 tell you what is done in the ER, and she has
12 already done that.

13 Q. Can you answer the question? Would gross
14 hematuria in a 50-year-old gentleman who is a
15 smoker, would that indicate to you that a
16 urological workup would be necessary?

17 A. I think it depends on the circumstance.

18 Q. With these circumstances as presented to you,
19 would a urological workup be appropriate, proper
20 standard of care?

21 MR. MALONE: In the emergency

22 setting?

23 MR. SWEENEY: No.

24 MR. MALONE: Or on referral?

25 Q. No, just at some point after you have seen this

1 patient. I am not saying in the emergency room.

2 That is not what I am asking you.

3 A. I still don't understand the question. Are you
4 asking somebody who comes in with gross hematuria
5 that was treated and responded to treatment, do
6 they then require a full urologic workup, or are
7 you asking somebody with gross hematuria that
8 didn't respond to treatment?

9 Q. I didn't realize that treatment was part of my
10 question. Was there a treatment here?

11 MR. MALONE: Well, you asked a
12 general question, and she is telling you there are
13 qualifications that go into it. There is no
14 cookbook answer to questions of that type.

15 Q. Let me ask you: Was there treatment given to this
16 gentleman in the emergency room?

17 A. No.

18 Q. What was recommended to the gentleman?

19 A. I recommended that he follow up with urology and
20 follow up with the medicine clinic.

21 Q. Why did you do that?

22 A. Because I didn't know what was causing his
23 hematuria or hem-positive stool, and I wanted
24 someone to find out why.

25 Q. Okay, that is all I am trying to get at, and that

1 is what you did, and you did your job. I am just

2 trying to figure out how we get there. Okay.

3 Do you know from your training or your

4 experience what the presenting symptomology of

5 bladder cancer is, typically? In other words,

6 what does a patient come in complaining of first?

7 A. Well, I think it varies.

8 Q. What does it include?

9 A. It can include hematuria, dysuria.

10 Q. Age?

11 A. I am sorry?

12 Q. Age?

13 A. Well, they usually don't come complaining of their

14 age.

15 Q. No, I mean, would age be a factor? "I am too

16 old."

17 MR. MALONE: No, you are not.

18 A. Age, epidemiologically, it can be a factor.

19 Q. Is a history of smoking?

20 A. Smoking is associated with bladder cancer.

21 Q. We have a history of smoking here in this

22 gentleman.

23 A. Yes.

24 MR. MALONE: It was hard to

25 tell that that was meant as a question. You sort

1 of said it. I didn't see the question mark at the
2 end of it, and neither did she.

3 MR. SWEENEY: I am sorry.

4 Q. (Continuing.) It looks like a urinalysis was
5 ordered.

6 A. Yes.

7 Q. If we could, if you want to go to the results of
8 the urinalysis. The report date was the 25th.
9 The collection date was the date of his presenta-
10 tion, which is the 16th, and it turns out that
11 this report was generated on the 25th, I believe.

12 MR. MALONE: Can I help you
13 with that? Only because that does not mean that
14 no one knew the results of the urinalysis until
15 April 25. That means that this particular piece
16 of paper was generated by a computer and printed
17 April 25, 1997 at 2:22 in the morning.

18 The results were out sooner. The results
19 were probably on a computer screen and no one
20 bothered to print it in hard copy because it
21 wasn't necessary to have it in hard copy until

22 later. That is what that means, Skip.

23 Q. Doctor, the urinalysis we have here in front of

24 us, did you take a look at this on the day that

25 you examined Mr. Smith?

1 A. My practice is to look at all labs that I ordered.

2 Q. So, typically, if you order a lab, you take a look

3 at it?

4 A. Yes.

5 Q. Now, would you take a look at it before you

6 discharge the patient?

7 A. Yes.

8 Q. So I am going to assume that you took a look at

9 this before you discharged the patient? Fair

10 enough?

11 A. Yes.

12 Q. What does this urinalysis tell you? I am not

13 going to go over each specific thing. We have a

14 couple of abnormal findings, and it seems the rest

15 are normal. I guess we could just go over the

16 abnormals. Okay?

17 A. Do you want me to just comment on those?

18 Q. If you would.

19 A. The white cells indicate that he had five to ten

20 white cells per high-powered field. White cells

21 are inflammatory cells. It looked like five to

- 22 ten red cells per high-powered field. Those are
- 23 red cells. And zero to two renal cells.
- 24 Q. And blood, which is large, what does this mean?
- 25 A. That is on a dipstick. What happens, when someone

1 gives a urine sample, the first thing that is
2 done, they have a dipstick with color markers. If
3 it is absolutely normal, that is the end of the
4 analysis, it doesn't go on. For example, if
5 anything abnormal, for example, blood large, it is
6 then sent to a lab where it is looked under a
7 microscope.

8 Q. For the microscopic, and this is what they find?

9 A. Yes.

10 Q. What do those abnormalities mean in terms of a
11 workup for a patient with these complaints and
12 this history?

13 A. White blood cells in the urine can mean either
14 there is some type of inflammatory process going
15 on or white cells are being -- that is usually
16 what it is, some type of inflammatory process.

17 Red cells, there is something irritating the
18 lining of the vasculature, or something is being
19 irritated, and so red cells are being sloughed.

20 And renal cells means that part of the
21 kidney is showing up in the urine.

22 Q. Overall, what does that indicate, or what can it

23 indicate?

24 A. Remember all those same things we talked about

25 before?

1 Q. All those things?

2 A. The same, yes.

3 Q. And the bladder cancer would be involved in that?

4 A. Yes.

5 Q. So this does not rule out a neoplasm?

6 A. No.

7 Q. It doesn't rule it in, it just doesn't rule it

8 out?

9 A. Correct.

10 Q. If those were normal, if those readings were

11 normal, could you rule out a neoplasm, an abnormal

12 growth of cells?

13 A. No.

14 Q. What would you need to do in order to rule it out?

15 MR. MALONE: Well, again, I am

16 going to make an objection only because that is

17 not her role. That is the specialty world of

18 urology and cancer. She is an emergency room

19 physician.

20 But I will let her answer it if she is

21 comfortable with it. I don't really think that is

22 her --

23 Q. It needs a further workup?

24 A. Yes.

25 Q. And that is why you referred him to urology?

1 A. Yes.

2 Q. So I am sitting here, I am an emergency room

3 physician, and I have a patient with these

4 complaints, and part of the differential diagnosis

5 is cancer, correct?

6 A. Yes.

7 Q. Did you sit down with him and say, "Listen, I

8 don't want to scare you, but one of the things you

9 might have is cancer?" Did you sit down and talk

10 to him about that?

11 A. I sit down and talk to all my patients.

12 Q. And so would that typically be something that you

13 would do with a patient who presents with these

14 symptoms and this sort of urinalysis?

15 A. I would say that I probably mentioned it along

16 with other things.

17 Q. Like what other things?

18 A. It didn't look like he had an infection. Could he

19 possibly have a stone? Yes. The other thing

20 that, quite frankly, I was very worried about with

21 him was could he have some type of tuberculosis of

22 his kidney? Because he was a former drug abuser,

23 and I don't remember if he had been in jail or

24 not.

25 Q. What does that do to your kidney? What does

1 the --

2 A. TB.

3 Q. What does drug abuse do to your kidneys?

4 A. Well, my concern was more using dirty needles. He

5 was a former IV drug abuser, and I was also very

6 concerned about him having AIDS.

7 Q. And did he?

8 A. At this visit I don't know.

9 Q. Would it be important to know that in the setting

10 of an ER?

11 A. Oh, yes. I mean, here in the social history I

12 have HIV-negative. But I am sure that I discussed

13 that with him.

14 Q. So then, typically, if you have -- I mean, how

15 many patients that you see during a day have a

16 differential diagnosis that includes cancer?

17 A. Oh, I don't know.

18 Q. Every single one?

19 A. Well, I don't know.

20 Q. But each one of those that does, do you sit them

21 down and say, "Listen, you might have an

22 infection, you might have problems with your
23 kidneys, and you might have cancer"? Can I assume
24 that you did that as part of your care?
25 A. I think I usually use the word tumor. But yes.

1 Q. The patient needs to know what they are suffering
2 from or could be suffering from, correct?

3 A. Yes.

4 Q. And is there any indication on here that he didn't
5 understand any directions?

6 A. No.

7 Q. We have the emergency department course sheet
8 here?

9 A. Yes.

10 Q. If we could just go through that. Is any of this
11 your writing?

12 A. That is all my writing.

13 MR. MALONE: Off the record.

14 (Thereupon, a discussion was had off the
15 record.)

16 Q. Up top we have "UA" checked. What is that?

17 A. I ordered a urinalysis.

18 Q. Which we just discussed. And down below we have
19 "Medical record ordered."

20 A. It means if he had a medical record at the
21 hospital, I wanted to look at it.

22 Q. And did you?

23 A. If I ordered it and there was one, I looked at it.

24 Q. Can we tell if you looked at it from this record?

25 A. No.

1 Q. Do you remember if you looked at one?

2 A. I don't remember.

3 Q. Do they usually get it to you when you order it

4 pretty quickly?

5 A. It depends.

6 Q. Well, we have here part of the entire chart, and

7 this looks like the first presentation that this

8 patient has to this hospital. Is there any

9 indication on that chart that you know of that

10 maybe he was here before?

11 MR. MALONE: There is a Volume

12 I that was considered inactivated, which I think

13 that means it is on microfilm. This is Volume II

14 of two parts. There are old archival records.

15 MR. SWEENEY: Yes, I took a look

16 at them.

17 Q. (Continuing.) But I am asking you, as part of

18 your care, you don't specifically remember looking

19 at a prior chart?

20 A. No, I don't remember.

21 Q. So these are your handwritten notes?

22 A. Yes.

23 Q. Why don't you just go through and tell me

24 everything you wrote down here.

25 A. Okay. "Exam time 10:50. Dictated, yes." That is

1 the dictation number.

2 "Dysuria, four to five months. Hematuria,
3 six to seven months. Lost 13 pounds since one
4 year ago. Pain in back and right flank. Chronic
5 active hepatitis --"

6 Q. Can I stop you? Let's back up. We have prior
7 medical history question mark, or is that for
8 below?

9 A. I am sorry?

10 MR. MALONE: What are you
11 talking about?

12 Q. "PMH," prior medical history?

13 A. Yes. So past medical history. "Medicines,
14 none. Past medical history: Chronic active
15 hepatitis," and then in parenthesis, question
16 mark, "(? Hepatitis C. ? Hepatitis B.)

17 Then "Social history: Former IV drug
18 abuser, more than 20 years ago. Consumes about a
19 pint and a half of alcohol every day. Smokes
20 cigarettes."

21 And then it looks like here I marked down

22 significant findings on the exam. It was

23 hemoccult positive.

24 Q. What does that tell you?

25 A. Meaning he had occult blood in the stool when I

1 did the exam and had huge hemorrhoids. And then
2 this was the result of the urinalysis, specific
3 graft 10-25, large blood. Negative leucs. or
4 nitrates.

5 Q. What does that mean?

6 A. That means it is unlikely he had an infection.

7 Q. So it is unlikely he had a urinary tract
8 infection?

9 A. Yes.

10 Q. Up top, discharge home?

11 A. "Discharge home. Follow-up urinalysis. Return to
12 the emergency department for fever or chills.
13 Follow-up medicine as soon as possible for
14 hemocult-positive schools. Sitz baths."

15 Q. What is that?

16 A. Like having someone sit in a warm tub trying to
17 decrease the inflammation from the hemorrhoids.
18 "Please make both urology and medicine appoint-
19 ments for the patient."

20 Q. What does that mean, have someone else make those
21 appointments for him?

22 A. It is a note to the secretary that, if possible,
23 if she has the ability to make an appointment in
24 their clinics from the emergency department, to do
25 so.

1 Q. Do you follow-up with that and make sure that is

2 done?

3 A. They will tell us if they can or can't do it.

4 Q. But that is it? That is the only indication you

5 have?

6 A. That is the only mechanism we have to do it.

7 Q. In other words, you don't --

8 A. We don't have the capability to get into the

9 appointment system of the computer.

10 Q. So you don't come in the next day and say, "We

11 have Mr. Smith set up for urology and family

12 practice"?

13 A. No.

14 Q. You don't do that?

15 A. No. Our responsibility ends when we give

16 instructions to the patients.

17 Q. And did you tell the patient that he has to make

18 an appointment with urology?

19 A. Yes.

20 Q. And medicine?

21 A. Yes.

22 Q. And that is then indicated where?

23 MR. MALONE: This sheet right

24 here, "Emergency Department Patient Instructions."

25 It is signed by the patient down at the bottom.

1 Q. He was told, it says here, follow-up medical?

2 A. Yes.

3 Q. And it then it says an appointment has been made

4 for you April 21, which is about a week later, in

5 the medical clinic. Where is that?

6 A. Right now it is across the street. I am not sure

7 where it was in 1997.

8 Q. And then it says "Other instructions: Call

9 urology clinic today for appointment." Is that

10 for him to do it or for the secretary to do it?

11 A. That is for him.

12 Q. Because it looked like you told the secretary to

13 do it on the other page.

14 A. If they didn't do it, it means they didn't have

15 the capability to access the appointment log in

16 urology clinic.

17 Q. They are like, "Listen, we can't do this, you need

18 to do this"?

19 A. Yes.

20 Q. But they did make the family practice follow-up

21 appointment, correct?

22 A. Medical clinic.

23 Q. Is that the same thing?

24 A. No.

25 Q. What is the difference?

1 A. Medical clinic is internal medicine, and family

2 practice is family practice clinic.

3 Q. What is -- I thought family practice was internal

4 medicine.

5 A. No, family practice is family practice, and

6 internal medicine is --

7 Q. Internal medicine?

8 A. -- internal medicine. Family practice takes care

9 of people of all ages, babies, adults, they

10 deliver babies. Internal medicine takes care of

11 only adults with medical problems.

12 Q. So we have as part of your differential diagnosis

13 bladder cancer?

14 A. Yes.

15 Q. Which you explained. And according to the

16 discharge instructions, an internal medicine

17 clinic appointment was made for him, but a urology

18 appointment was not made for him, he had to do

19 that on his own, correct?

20 A. Yes.

21 Q. Did you sit down with him and say, "Listen, I

22 examined you today, part of what you might have is
23 cancer, and you need to make this appointment
24 immediately, and don't screw around and don't blow
25 it off?" Did you sit down and tell him that?

1 MR. MALONE: She has already

2 answered that question, I think. She can

3 certainly answer it again.

4 A. I sat down and reviewed the instructions and what

5 the problems could be.

6 Q. But I mean specifically we are talking about a

7 really nasty disease process that is quite

8 possibly what he had at that time.

9 A. My practice is to talk to the patients, tell them

10 what I think the problem is and tell them how to

11 follow up.

12 Q. You told me that you like to use the word "tumor."

13 A. Yes.

14 Q. Did you do any other follow-up after you saw this

15 patient?

16 A. No.

17 Q. Did I ask you what is the most common presenting

18 symptom or complaint of bladder cancer?

19 A. The most common?

20 Q. Yes.

21 A. You did not ask me.

22 Q. What is that?

23 A. I don't know.

24 Q. If you know.

25 A. I don't know.

1 Q. Do you have any idea?

2 A. No.

3 Q. So you wouldn't know what the gold standard for
4 diagnosing bladder cancer would be?

5 A. No, I wouldn't.

6 Q. So according to the records and your testimony
7 today, this was not a traumatic incident that
8 brought him here?

9 A. It wasn't --

10 Q. He didn't suffer any trauma?

11 A. I didn't think he suffered any trauma.

12 MR. MALONE: Having blood in
13 your urine might be traumatic for an individual.
14 It would be traumatic for me, I can tell you.

15 Q. But it wasn't caused by a traumatic event is what
16 I am asking.

17 A. No.

18 Q. And it is your opinion that he did not have a
19 urinary tract infection?

20 A. Yes.

21 Q. Do you know if there was any indication that he

22 was exposed to any chemicals or was exercising

23 vigorously, which would have caused these

24 conditions?

25 A. I didn't record any.

1 Q. So we can rule that out? Can we or can't we?

2 A. He didn't tell me about any.

3 Q. So can we assume, at least for your purposes, that
4 there weren't any?

5 A. For my purposes, yes.

6 Q. So we don't have a urinary tract infection. So
7 then a gentleman with his history with this age
8 factor, smoking factor, and history that we have
9 here, he doesn't have an infection, he doesn't
10 have any significant renal problems, does he?

11 I mean, you said your goal is to focus on
12 something and figure out what we have here. I
13 realize your job is not to definitively diagnose
14 in the emergency room. I understand that. And I
15 am not claiming you did anything wrong here. I am
16 saying what is going through your mind? We are
17 talking bladder cancer?

18 MR. MALONE: She has told you
19 she made a referral to urology because she was
20 concerned.

21 Q. You can have your own thoughts. You are a well-

22 educated doctor.

23 A. All I can tell you is what I told you. I don't

24 remember what exact thoughts were running through

25 my mind.

1 Q. This guy wasn't suffering from a heart attack. We
2 could be here all day. The point I am trying to
3 make is that there were a few things that he
4 probably had, correct?

5 A. Yes.

6 Q. One of them was bladder cancer?

7 A. Yes.

8 Q. Or some form of malignancy or abnormal cell
9 growth?

10 A. Yes.

11 Q. Another would have been what?

12 A. Kidney stones.

13 Q. Another would have been what?

14 A. Something related to tuberculosis.

15 Q. Anything else?

16 A. I think those were probably the main thoughts
17 running through my mind at the time.

18 Q. So you are like you need to get to urology because
19 these are all urologic problems?

20 A. Issues.

21 Q. GU problems?

22 A. Yes.

23 Q. So you made the proper referral?

24 A. Yes.

25 Q. Is there a hospital policy that you need to follow

1 up in any sort of way with patients that you refer

2 to subspecialties or other departments?

3 A. No.

4 MR. MALONE: You mean from the

5 emergency department?

6 MR. SWEENEY: Yes.

7 A. No.

8 Q. Is there?

9 A. No. We treat people and refer, and then we are

10 done.

11 Q. Do you know if this gentleman had any insurance?

12 A. I don't know.

13 Q. And from your examination, could you tell whether

14 this was a lower urinary tract problem as opposed

15 to an upper?

16 A. No.

17 Q. You can't tell?

18 A. No.

19 Q. Did you have the ability to perform a complete

20 urological workup in the emergency room on that

21 day?

22 A. No.

23 Q. Do you ever do that?

24 A. No.

25 Q. Is there anything missing from the record?

1 A. Not that I know about, except for that --

2 Q. "See note attached"?

3 A. -- "See note attached," no.

4 Q. Is there anything that you specifically remember

5 from that date that stuck out in your mind that

6 you want to tell me about? I mean, do you

7 remember what this guy looks like? Was there an

8 earthquake that day?

9 A. Honestly, I don't have any.

10 MR. MALONE: She says, "Oh,

11 please, Mr. Malone, let me tell you about

12 everything --"

13 THE WITNESS: Well, it is four

14 and a half years ago.

15 Q. I don't remember what I had for lunch yesterday.

16 A. I had red and green M & Ms.

17 Q. That is not good for you.

18 So the diagnosis that you make in the

19 emergency room, which is on your dictated report,

20 that is basically -- that is really not a

21 diagnosis, then, that is just complaints, you are

22 just restating the complaints?

23 A. There is a diagnosis. There is an ICD 9 code for

24 hematuria.

25 Q. There is a billing code. That is fine. But our

1 focus hasn't been narrowed enough, correct?

2 A. Yes.

3 Q. And it needs to be narrowed more fully?

4 A. Yes.

5 Q. Which means a referral to urology, correct?

6 A. Yes.

7 MR. SWEENEY: Thanks. That is
8 it.

9 MR. MALONE: Diane, if you do a
10 transcript, please mail a copy to Dr. Cydulka for
11 reading. Where do you want it mailed, home or
12 here?

13 She will mail you a copy with an instruction
14 sheet. You can proofread to make sure she has
15 taken down what you remember saying.

16 THE WITNESS: Mail it to my
17 house, 2692 Landon, L A N D O N, Road, Shaker
18 Heights, 44122.

19 (Deposition concluded at 12:55 p.m.)

20

21

22

23

24

25

RITA CYDULKA, M.D.

1

2

CERTIFICATE

3

State of Ohio,)

4

) SS:

County of Cuyahoga.)

5

I, Diane M. Stevenson, a Registered Merit
Reporter, Certified Realtime Reporter, and Notary

6

Public in and for the state of Ohio, duly
commissioned and qualified, do hereby certify

7

that the within-named witness, RITA CYDULKA, M.D.,
was by me first duly sworn to testify the truth,

8

the whole truth and nothing but the truth in the
cause aforesaid; that the testimony then given by

9

her was by me reduced to stenotypy in the presence
of said witness, afterwards transcribed by means

10

of computer-aided transcription, and that the
foregoing is a true and correct transcript of the

11

testimony as given by her as aforesaid.

12

I do further certify that this deposition
was taken at the time and place in the foregoing
caption specified, and was completed without
adjournment.

14

15

I do further certify that I am not a
relative, employee or attorney of any party, I am
not, nor is the court reporting firm with which I
am affiliated, under contract as defined in Civil
Rule 28(D), or otherwise interested in the event
of this action.

16

17

18

IN WITNESS WHEREOF, I have hereunto set my
hand and affixed my seal of office at Cleveland,

19

Ohio, on this _____ day of _____,
2001.

20

21

22

Diane M. Stevenson, RMR, CRR
Notary Public in and for
The State of Ohio.

23

24

25

My Commission expires November 8, 2005.