

1 IN THE COURT OF COMMON PLEAS

2 CUYAHOGA COUNTY, OHIO

3
4 MATTHEW CHASE WAGONER, ETC., ET AL

5 V.

6 MARK R. EVAN, M.D., ET AL

7
8
9 DEPOSITION OF ROBERT A. DARNALL, M.D., taken
10 at Wilder, Vermont, on July 27, 2006.
11

12 APPEARANCES:

13 Michael F. Becker, Esquire
14 Becker & Mishkind Co., LPA
15 Becker Haynes Building
16 134 Middle Avenue
17 Elyria, Ohio, 44035-5623, on behalf of the
18 Plaintiff, Matthew Chase Wagoner, et al.

19 John T. Bulloch, Esquire
20 Moscarino & Treu, LLP
21 The Hanna Building, 1442 Euclid Avenue, Suite 630
22 Cleveland, Ohio, 44115, on behalf of the
23 Defendant, Mark R. Evans, M.D.

INDEX

Direct Exam by Mr. Becker:

4

EXHIBITS

1A Letter, May 30, 2006, Bulloch to Darnall 4

1B Letter, June 6, 2006, Bulloch to Darnall 4

1C Letter, June 8, 2006, Bulloch to Darnall 4

1D Letter, June 23, 2006, Bulloch to Darnall 4

1E Letter, December 12, 2005, Bullock to

Darnall

4

1F Letter, July 14, 2006, Bulloch to Darnall 4

1G Letter, July 21, 2006, Bulloch to Darnall 4

2 Excel Spreadsheet prepared by Dr. Darnall 4

1 ROBERT A. DARNALL, M.D., DULYSWORN?

2 DIRECT EXAMINATION

3 BY MR. BECKER:

4 Q Good morning, Doctor.

5 A Good morning.

6 Q Would you state your full name for me, please, and
7 spell your last name?

8 A Robert A. Darnall. D A R N A L L.

9 Q Doctor, what did you bring with you today by way
10 of your file?

11 A Let's see. I brought my correspondence with
12 Mr. Bulloch. I brought expert letters for the
13 Plaintiff from Marcus Hermansen, Jonathan Cronin,
14 Raymond Redline, Daniel Adler and Barry Pressman;
15 and then letters for the defense from Carlos
16 Sivit, Dr. Nelson, Janice Lage, or Laga, I'm not
17 sure, Lage, how she pronounces her name. Ricardo
18 Rodriguez, Harlan Giles, Robert Clancy, Mike
19 Jacobstein, and my own. And I have depositions
20 from Jonathan Cronin, Daniel Adler and Marcus
21 Hermansen. I also have the reports for Fairview
22 Hospital and the three x-rays taken at Fairview.
23 Q Do you have both volumes of Dr. Hermansen's

1 discovery deposition?

2 A Yes, sir.

3 Q And have you read them?

4 A Yes.

5 Q You didn't mention anything about your notes on
6 this case. Did you generate any notes as a result
7 of your review?

8 A I have a spreadsheet that I put together that just
9 outlines the things happened during the course at
10 Fairview and between the time of about 4 o'clock
11 on the 25th to 8 o'clock on the morning of the
12 26th.

13 MR. BECKER: Cynthia, what I'd like to do is
14 mark the correspondence with Mr. Bulloch as well
15 as the spreadsheet as Plaintiff's Exhibit --

16 MR. BULLOCH: Exhibit 2. You want to do all
17 the correspondence as one and the spreadsheet as
18 2?

19 MR. BECKER: Right. Correspondence is 1 A
20 and 1 B, 1 C, whatever.

21 MR. BULLOCH: I assume you trust me to do
22 this, Mike? I'll try not to screw it up.

23 Q Doctor, you did not bring your copy of your vitae

1 with you by chance?

2 A No, I did not.

3 MR. BULLOCH: Mike, I think I've got a copy
4 of it that was just produced that he just gave us.
5 Let me check. Yes. I've got one.

6 Q Okay. Why don't we mark that as 3. Number 3.

7 MR. BULLOCH: Well, you know what, Mike?
8 I'll let him use this, but I don't want to mark it
9 because I might have some stray marks on it. I
10 will be happy to mark one or send the court
11 reporter a blank one, but I believe I've got some
12 marks on his CV that would be attorney work
13 product. I can share that with him if you'd like.
14 If you don't want me then we can skip that.

15 Q All right. Well, Doctor, if need be -- we'll not
16 mark it then. And I may ask you a few questions
17 off the vitae shortly but are we ready? Are all
18 the other exhibits marked now?

19 MR. BULLOCH: Yes, sir. The Exhibit 1 is 1 A
20 through 1 G in no particular order. They might
21 not be chronological. Exhibit 2 is the
22 spreadsheet that he has referred to and -- Dr.
23 Darnall has referred to.

1 Q Doctor, the spreadsheet that -- are we back on the
2 record?

3 COURT REPORTER: Yes.

4 Q Doctor, the spreadsheet, would you pull that up
5 for me, please? And how is that marked?

6 A Exhibit 2.

7 Q Is that typed or is that handwritten?

8 A That's an Excel spreadsheet.

9 Q All right. I'm not the best in technology so I
10 don't know what that means. What's an Excel --

11 A It's a computer spreadsheet that's been printed.

12 Q Doctor, have you been deposed before?

13 A Yes.

14 Q How many times have you been deposed?

15 A I think three times.

16 Q Just to review the ground rules with you, this is
17 a question and answer session under oath. It's
18 important you understand the question that I ask
19 you. If the question is inartfully phrased or
20 doesn't make any sense, I want you to stop me,
21 tell me so and I'd be pleased to restate or
22 rephrase the question. Fair enough?

23 A Fair.

1 Q However, unless you indicate otherwise to me, I'm
2 going to assume that you fully understood the
3 question that has been posed and you are giving me
4 your best and complete answer today, fair enough?

5 A That's fair.

6 Q I don't know how I'm coming through there, but I
7 can hear you very well. The only problem I'm
8 detecting on this video conference is for some
9 reason I'm getting somewhat of an echo
10 occasionally. I'm not sure if that's before or
11 after I ask the question. So if we could each
12 give each other an extra second or two after we
13 speak, I think that might resolve that problem.

14 MR. BULLOCH: I think it's more on your end
15 because we're hearing the doctor's response on
16 your end coming back to us. We're not getting the
17 echo. The technician on this side said your
18 monitor might be too loud on your side. There is
19 a delay, however.

20 Q We're going to press forward and if it gets too
21 bothersome to me, I'll get some help here. Let's
22 talk about your medical legal experience, Doctor,
23 first of all. How long have you been reviewing

1 medical legal cases?

2 A Oh, boy. I suspect a few cases that I've reviewed
3 I've done since I started at the University of
4 Virginia in 1979.

5 Q I'm getting the sense that in your lifetime you've
6 not reviewed more than five or ten cases, is that
7 fair?

8 A That's probably true. Maybe at the upper end of
9 that.

10 Q Well, have you ever testified in Federal Court?

11 A In Federal Court?

12 Q Yes.

13 A I don't know. I testified in court one time as an
14 expert witness.

15 Q Where was that at?

16 A That was in Virginia. I believe it was just after
17 I had moved to New Hampshire so that would have
18 been 1990, something like that.

19 Q Were you an expert for a physician or medical
20 provider or for the plaintiff?

21 A No, I was an expert for the plaintiff.

22 Q And what was the subject matter?

23 A I don't recall. I honestly don't remember what it

1 was about.

2 Q Do you remember the name of the attorney in
3 Virginia that hired you?

4 A No.

5 Q Do you remember anything about the case? The name
6 of the case?

7 A Only that I went to Virginia, and I was on the
8 witness stand for a little while. I don't recall
9 anything about the case.

10 Q So you've testified once in a courtroom, and this
11 might be your third deposition?

12 A No. I've done -- oh, yes. Third or fourth. I
13 don't keep track of those things, Mr. Becker.

14 Q Okay. So you might review a case -- you've been
15 practicing about 25 or 30 years?

16 A That's right.

17 Q And have you -- you might review a case once every
18 couple of years?

19 A That's fair.

20 Q Do you know how it was that Mr. Bulloch happened
21 to or his law firm happened to contact you in this
22 case?

23 A I have no idea. How did you?

1 MR. BULLOCH: You can't ask me questions.

2 Q Doctor, I appreciate that you have a subspecialty
3 interest in SIDS and sleep apnea, correct?

4 A That's part of my interest. Yes.

5 Q Okay. Any other subspecialty interest that you
6 can share with me?

7 A Well, the interest in apnea of prematurity and
8 SIDS is part of a broader interest in respiratory
9 control disorders. Those are just a couple of
10 them.

11 Q On the topic of -- have you written on anything
12 that -- please feel free to look at your vitae and
13 feel free to look at your spreadsheet or medical
14 records at any time before answering my questions.
15 This is not a memory contest.

16 Have you written on anything that would be
17 potentially relevant to this subject matter of
18 this case?

19 MR. BULLOCH: Did you say relevant?

20 Q Yes, sir.

21 MR. BULLOCH: Okay. Thank you.

22 A I guess it depends what you mean by relevance. I
23 haven't written any papers about clinical,

1 clinical case reports or things like that. I have
2 done -- I guess the closest thing, I've done
3 animal studies looking at the effects of hypoxia
4 on cerebral blood flow in animals, on their
5 breathing, blood pressure, heart rate changes.
6 These are physiologic studies.

7 Q Can you identify -- can you identify those for me
8 on your vitae?

9 A All right. This is going to take a couple
10 seconds.

11 Q You know what, Doctor? To move this along, if you
12 could just simply check, at the end of this
13 deposition checkmark those that you feel are
14 relevant, and then hand that on your vitae to John
15 and John can send me the checkmarked pages of your
16 vitae and feel free to white out your work
17 product, John.

18 MR. BULLOCH: Fair enough, Mike.

19 Q Fair enough?

20 A Yes. But I think they're pretty obvious in the
21 titles as well. But okay.

22 Q Well, Doctor, I quickly got a -- jumped through
23 some hoops to get a chapter that you wrote on

1 breathing disorders in the newborn infant thinking
2 that I would see something about respiratory
3 distress syndrome and surfactant and was surprised
4 to see that it's mostly about sleep apnea so you
5 fooled me by the titles of that.

6 A Which chapter was that?

7 Q It's Chapter 10 of the Workbook in Practical
8 Neonatology?

9 A Is that the one that hasn't come out yet? Is that
10 the previous edition or the new edition?

11 Q Correct.

12 A I haven't even seen the new edition. How did you
13 get that?

14 The point of the chapter is on respiratory
15 control. Okay? Respiratory control has to do
16 with how your brain controls your breathing. So
17 things like apnea, and upper airway problems,
18 things like that, are considered respiratory
19 control problems.

20 RDS surfactant is a, yes, it's a respiratory
21 problem, but it's not a respiratory control
22 problem.

23 Q Okay.

1 A All right?

2 Q Just so, you know, I did not obtain a copy of your
3 most recent new edition. I was looking at the
4 2001.

5 A I see. Okay.

6 Q Doctor, you grew up in San Mateo, California, I
7 noticed on your vitae, and to me -- you're going
8 to have to bear with me and let me finish my
9 questions.

10 A Sorry.

11 Q That's pretty close to Palo Alto. Is that true or
12 not?

13 A That's true. I was born in San Mateo and that's
14 right up the street from Palo Alto.

15 Q And I appreciate and respect that you went to your
16 school in -- I have a brother that lives in Palo
17 Alto. That's the only reason I know that, but I
18 appreciate that you grew up there, you went to
19 school there, you went to med school there, you
20 did your -- other than one year in Cleveland you
21 did your training, your residency, I think even
22 your fellowship there, and once you finished your
23 training I think you did about one year in

1 Stanford and then you left for Virginia. Correct?

2 A Not exactly.

3 Q Okay. Correct me.

4 A I was -- I did my undergraduate work at Stanford.

5 I went to Medical School at UCLA. I did my

6 internship at Case Western in Cleveland. I went

7 to the Indian Health Service for two years in

8 Arizona, and then I went back to Stanford,

9 finished my pediatric residency, did my

10 neonatology fellowship at Stanford and that all

11 ended in 1979. Then I moved to Virginia.

12 Q And my question is why did you leave Stanford and

13 go to Virginia?

14 A Because that's where the job was. Academic jobs

15 are not exactly plentiful so you try to pick the

16 few that are available and the University of

17 Virginia had a very good program. Dr. Catwinkle

18 who was the Chief there was also a fellow when I

19 was an intern in Cleveland so I knew him quite

20 well and he's the one that actually developed

21 nasal Cpap that's being used all over at this

22 time. And I liked the town and I think it was a

23 good place to have kids so that's why I took that

1 job.

2 Q And why did you leave Virginia to go to New
3 Hampshire?

4 A That was a mid-career kind of move. I had gotten
5 to the point where I was doing research in
6 Virginia and the people that I had been working
7 with had over the years had been leaving, and so I
8 was sort of on my own. I collaborated with people
9 in pharmacology there. We also had a new chairman
10 and as you know things are often turbulent when
11 departments change leadership, and I just thought
12 it was best at that point to seek another place to
13 go, and I looked at a bunch of things. You know,
14 I wasn't sure I wanted to continue doing research
15 at that time. I looked at a couple of Chief jobs.

16 And then the job at Dartmouth came along and
17 it was a great opportunity because it allowed me
18 to pursue my research interests with a group of
19 very internationally known investigators as well
20 as continue my clinical practice in neonatology.

21 Q I understand that you spent a lot of your
22 professional time in research, correct?

23 A Well, I've spent -- up until about eight years ago

1 I was a full-time, had a full-time clinical
2 commitment, and by clinical I mean combinations of
3 patient care, teaching, and research, but I didn't
4 have any grant funding that paid my salary and as
5 of eight years ago I've started to be able to get
6 some grant support for my salary so I've been able
7 to cut a little bit on my attending time in the
8 intensive care unit. Right now I spend about, I
9 have about a half time commitment to actually
10 being in the nursery, and the other half is
11 involved in my research and which my research is,
12 of course, clinically relevant.

13 Q So you spend 50 percent of your professional time
14 in the clinical practice of medicine?

15 A It depends how you define that. I guess that's
16 fair. I mean you'd have to compare it to someone
17 who wasn't doing any research so I spend half the
18 time that they do in the nursery.

19 Q I didn't hear the end of that answer.

20 A Comparing what I do to someone who doesn't have
21 grant support and is just doing the research that
22 they can given their free time, I spend half the
23 time that they do actually attending in the

1 nursery.

2 Q I know that you know Marcus Hermansen?

3 A Yes. I know Marcus.

4 Q And do you respect him as a clinician?

5 A Yes.

6 Q Have you ever found yourself on the opposite sides
7 of a medical legal case, you and Marcus, prior to
8 this case?

9 A No.

10 Q Do you know whether or not any other
11 neonatologists have reviewed this case on behalf
12 of Mr. Bulloch and felt that they couldn't support
13 his defense?

14 MR. BULLOCH: Objection, but you can answer,
15 Doctor.

16 A I don't know of any other than the ones that I
17 have letters from. There's one other
18 neonatologist. Dr. Rodriguez.

19 Q Do you know Dr. Rodriguez?

20 A No.

21 Q Just so I can learn something about SIDS in a few
22 minutes, what has your research told you as to the
23 etiology of SIDS?

1 A Well, our research is based on some very important
2 findings by Hannah Kinney who's a neuropathologist
3 in Boston that about 50 percent of babies who died
4 of SIDS have abnormalities in the brainstem, and
5 these abnormalities mostly involve certain
6 neurotransmitters and most importantly serotonin.
7 So these babies have abnormal binding of serotonin
8 receptors in the brainstem.

9 So I'm involved in a very large program
10 project that involves investigators at Dartmouth,
11 Harvard, Yale and Columbia, investigating the
12 hypothesis that serotonin in the brainstem plays a
13 role in Sudden Infant Death Syndrome. We use
14 animal models to try to recreate the abnormality
15 so we go in and we turn off the serotonergic
16 neurons, we destroy them, we activate them,
17 whatever, and then we look at the animal's
18 responses to things like hypoxia, hypercapnia, too
19 much CO2, we look at their responses to airway
20 stimulation, we look at their body temperature
21 changes and their response to cooling and heating
22 and we look at their sleep. And what we found is
23 that abnormalities in this system in animal models

1 have reduced the response to hypercapnia, reduced
2 the response to hypoxia, prolonged the apnea that
3 occurs with laryngeal stimulation, disrupts sleep,
4 and interferes with thermoregulation. These are
5 all issues that are important in the etiology of
6 Sudden Infant Death Syndrome. Does that tell you
7 enough? Or too much?

8 Q Tells me enough. So, well, I just wanted to get a
9 basic understanding. So what the research has
10 told you is that there might be a broken wire or
11 one part of the brain's not sending the right
12 message for breathing purposes. That's very,
13 that's probably a horribly gross simplification
14 but is that --

15 A It's not just breathing. It's many, many
16 autonomic functions. Thermoregulation, sleep, all
17 those sorts of things, but more importantly is the
18 translational aspect of this. That by knowing
19 these things, we can devise tests that we can go
20 out and test babies to see what they're
21 susceptible, whether they're candidates, are at
22 high risk for dying and ultimately perhaps perfect
23 either pharmacologic agents or other things that

1 could protect these babies.

2 So that's our ultimate goal. But you have to
3 do the basic physiology before you can go to that
4 next step, and actually there are, we're involved
5 in plans now to carry that forward into the
6 translational area within the next five years.

7 Q Moving to the topic of neonatal apnea, or
8 cessation of breathing, what are the basic
9 etiologies that you discovered for neonatal apnea?

10 A Well, my research by animal research has really
11 been mostly with SIDS and hypoxia. I've written a
12 recent paper with John Catwinkle, oh, within the
13 last five or six, maybe it's longer than that,
14 can't remember, that has to do with just the
15 management of babies who have apnea of
16 prematurity. How long they should be kept in the
17 hospital before going home, for example, and that
18 sort of thing. The etiology is complicated and
19 perhaps one of the most important experts on this
20 is right in Cleveland, Dr. Richard Martin, who is
21 at Babies Hospital who has written extensively on
22 this subject, but it has to do with immaturity of
23 the brainstem which involves connections between

1 neurons. It involves myelination which is the
2 covering of axons which speed up the transmission,
3 that basically causes preterm babies to not
4 breathe regularly. A normal pattern for a preterm
5 baby is interrupted breathing so you have
6 breathing and apnea, breathing and apnea; when
7 that apnea becomes too long then it can produce
8 decreases in heart rate and periods of low
9 oxygenation

10 Q Jumping back to your medical legal work, Doctor,
11 whether acting as a defense witness or a
12 plaintiff's witness, have you ever given previous
13 testimony or merely written a report on the topics
14 of management of RDS, surfactant therapy or brain
15 injury secondary to hypoxia or ischemia?

16 A I have not written any papers on in that subject,
17 but I take care of hundreds of babies, I have
18 hundreds of babies with all of those kinds of
19 characteristics that I take care of every day.

20 Q You didn't understand or I didn't make myself
21 clear. Relative to your medical legal review
22 cases?

23 A Oh. Oh. Okay. Have I written about? I don't

1 understand. Have I written about the medical
2 legal aspects of --

3 Q No. No. In the medical legal cases that you have
4 reviewed?

5 A Okay.

6 Q Was the subject matter in any of them regardless
7 of what side you were on having to do with either
8 RDS or surfactant replacement therapy or how a
9 child sustains brain injury from either hypoxia or
10 ischemia or combination?

11 A Yes. I have reviewed cases that had to do with
12 perinatal hypoxic ischemic injury. I don't recall
13 whether it was related, my role was related
14 directly to linking that with long-term
15 neurodevelopmental outcome or not, but certainly
16 some of the cases that I reviewed were involved in
17 hypoxic ischemic injury in newborns.

18 Q Okay. And do you have any means of going back to
19 your office and identifying those cases either by
20 attorneys or case captions?

21 A I don't keep any records of these. Once I'm done,
22 I throw them away.

23 Q Do you have Volpe's Fourth Edition of Neurology in

1 your office?

2 A I don't think I have his latest edition, but I
3 have access to it.

4 Q Doctor, would you agree that physicians
5 unfortunately occasionally do commit medical
6 malpractice?

7 A I suspect that that's true.

8 Q I trust you would concede that you can have a bad
9 outcome due to medical negligence?

10 A That's possible.

11 Q I trust you would agree that a neonatologist can
12 exercise bad judgment and that bad judgment can
13 lead to a bad outcome that might be tantamount to
14 medical negligence?

15 A I'm sure that's possible.

16 Q We can agree, Doctor, that there are deaths and
17 brain injuries in newborns that are caused by bad
18 medical care by neonatologists?

19 A Anything is possible, Mr. Becker.

20 Q Under those circumstances, that would constitute
21 medical negligence?

22 A I guess if you could show cause and negligence
23 perhaps.

1 Q You would agree, Doctor, that a bad outcome due to
2 medical negligence, the patient or his
3 representative should be fairly and fully
4 compensated as a result of a doctor's negligence?

5 A I don't have an answer to that. I don't know what
6 the answer to that is. I don't have an opinion.

7 Q I didn't hear your answer.

8 A I don't have an opinion on that.

9 Q You don't feel that doctors should be held
10 financially accountable for his or her actions if
11 it causes harm to a child?

12 A That's our current --

13 Q And is negligent --

14 A That appears to be our current legal system. I
15 think there ought to be adequate peer review, and
16 I think there ought to be adequate -- I don't know
17 whether punishment is the right word, but adequate
18 results of having knowingly or being negligent.
19 You know, whether this is a financial issue,
20 that's what we do in this country. I don't have
21 any idea of whether that's right or wrong.

22 Q You don't have a feeling about it one way or the
23 other?

1 A No.

2 Q How we do that in this country?

3 A Well, I think it -- well, I don't know how to
4 answer that question. I guess in a very, very,
5 very few cases where something like this happens,
6 it's a sad situation, and I feel badly for parents
7 and for the children. I don't know that financial
8 compensation is necessarily the best way to deal
9 with this. But I don't -- that's not my area of
10 interest. And I don't think about it very much.

11 Q Why do you say there are very, very few cases?

12 A I think there are very few where you can actually
13 say for a surety that something somebody did in
14 the neonatal period is actually responsible for
15 any specific outcome.

16 Q Is that your understanding as to what's necessary
17 to show causation in a case, that there has to be
18 surety?

19 A I think, well, my understanding is there has to
20 be, it has to be more likely than not that some
21 event or series of events led to the cause of some
22 outcome.

23 Q Do you appreciate that's different than certainty

1 or a surety?

2 A I assume a surety means a hundred percent. Is
3 that what you mean?

4 Q Yes.

5 A Yes.

6 Q Doctor, let's talk about surfactant now. What
7 role does that play in a newborn's lungs?

8 A Surfactant is a surface active agent. It actually
9 acts to reduce surface tension in the lung which
10 prevents the lung from collapsing at the end of a
11 breath. It also is important in making sure that
12 water doesn't get into the lungs. So the two
13 things that surfactant does that is very important
14 is keeping the lungs from collapsing at the end of
15 a breath in a dynamic way and keeping water out of
16 the lung.

17 Q Can we agree, Doctor, that the development of
18 surfactant replacement therapy either via
19 synthetic or natural surfactant substitute has
20 been one of the biggest advances in the field of
21 neonatology in the last 25 years?

22 A Yes. That coupled with the use of antenatal
23 steroids have been very important in the small

1 preterm baby.

2 Q Can we agree, Doctor, that moving on to surfactant
3 replacement therapy, that such works immediately
4 on the newborn and can be effective within seconds
5 if not minutes?

6 A It really depends on what kind of babies you're
7 talking about. Are you talking about babies who
8 are very small, less than 1500 grams, who have RDS
9 who are treated with surfactant? In those babies
10 specifically it can act quickly, but it also
11 doesn't act in some percentage, it's not effective
12 in certain percentages as well.

13 Q Right. And I guess that percentage is about 25
14 percent, is that accurate?

15 A I don't recall 25, 30 percent sounds but again,
16 you have to limit this to a certain kind of baby.
17 Okay? The evidence that we have is only in babies
18 less than 1500 grams.

19 Q What evidence?

20 A The studies, I'm trying to answer your question in
21 that how fast a surfactant works. I think that
22 was the question you asked.

23 Q Right.

1 A And in babies who are less than 1500 grams that
2 have been studied, surfactant can act very
3 quickly, but in 25 to 30 percent of the cases
4 there's no effect.

5 Q Okay. And let's deal with babies that are bigger
6 than 1500 grams. How quickly do you see based on,
7 forget about studies, I want your clinical
8 experience about how quickly in large babies;
9 2,000 grams, 2300 grams, how quickly have you seen
10 surfactant work once it's administered?

11 A Well, surfactant is rarely used in those babies.
12 And when it is used in those babies, if, you know,
13 if it works, the effects can start as early as
14 seconds to several minutes to an hour before you
15 see effects. That's in my own experience. Now,
16 this has not been studied in any controlled way.

17 Q When you say rarely used in those babies, you say
18 that because bigger babies don't get, don't have
19 the frequency of RDS as smaller babies; is that
20 what you mean?

21 A That's correct.

22 Q But you've given surfactant therapy to 2000 or
23 2300 gram babies in the past who have RDS,

1 correct?

2 A Yes. I have.

3 Q In general, Doctor, can we agree that when
4 surfactant therapy is effective that it reduces
5 the course and the severity of RDS?

6 A Again, my own experience, do you want to focus on
7 the big babies or do you want to talk about the
8 babies where there are controlled studies looking
9 at this?

10 Q Well, you can answer it any way you want to. My
11 question should include big babies so if there's
12 an exception, you let me know.

13 A All right. In babies where we have studies in
14 small babies and again all those randomized
15 control studies have been in babies less than 1500
16 grams, the general consensus is that surfactant is
17 beneficial, that it reduces mortality, that it
18 changes the course of RDS to a perhaps milder
19 course over the short-term.

20 On the other hand, it has no effect on
21 chronic lung disease, it has no effect on
22 neurodevelopmental outcome. It has no effect on
23 any long-term outcome. Okay? In big babies we

1 don't have any studies comparing surfactant versus
2 no surfactant in babies who the clinicians thought
3 had RDS. And the problem with that is that big
4 babies have respiratory distress from all kind of
5 other etiologies. And in fact, the other things
6 are much more common than hyaline membrane
7 disease, and these are meconium aspiration, clear
8 fluid aspiration, retained fetal lung fluid,
9 pneumonia. So in those babies who are given
10 surfactant if they are given surfactant the
11 results can be highly variable. And so that you
12 can get some babies that respond, you can get
13 other babies that don't respond at all, and you
14 think they have hyaline membrane disease and you
15 give them the surfactant and they don't do
16 anything. So my experience in the bigger babies
17 is that they're much more complicated and each
18 individual case is an individual judgment and the
19 surfactant doesn't work anywhere near as
20 frequently as it's does in the small babies.

21 Q Okay. But let's assume that we're dealing with a
22 baby that has RDS, 34, 35 week; assuming that that
23 happens, then what is your answer?

1 A Well, the problem is you at the time you decide or
2 don't decide to give surfactant you never know for
3 sure whether they have RDS. In the first 24 hours
4 the x-ray doesn't tell you, the clinical signs and
5 symptoms are similar for many of these things,
6 particularly pneumonia. And so you're always
7 thinking, you start antibiotics, you say gee,
8 should I give surfactant, should I not give
9 surfactant. If you decide to give surfactant you
10 usually only find out later whether in retrospect,
11 whether you thought this baby really had RDS. And
12 much of the time they don't. They have something
13 else.

14 Q All right. Well, let me just jump right ahead,
15 fast forward, Doctor, to your question, do you
16 feel more likely than not that Matthew Wagoner had
17 RDS? More likely than not?

18 A I think he more likely than not from the course
19 and from everything else I can't rule out
20 pneumonia. But I think probably more likely than
21 not he had RDS.

22 Q Okay.

23 A But I want to clarify that and say at the time if

1 I were taking care of him I wouldn't know that.

2 At the time I would have to make a decision about
3 surfactant or not. I mean I've only gleaned that
4 from looking at the whole course and the whole
5 record.

6 Q Right.

7 A Which isn't available when you're taking care of
8 the baby.

9 Q Right. But if there was a working diagnosis of
10 RDS, then you treat RDS, correct?

11 A Well, you may have several various things in your
12 differential diagnosis including RDS. Okay?
13 There may not be one working diagnosis. You may
14 think gee, I don't know. This baby may have a
15 pneumonia, he may have clear liquid aspiration, he
16 may have RDS. The first question is how should we
17 treat any of those forms of respiratory distress.
18 Some of these babies we don't intubate. Some of
19 these babies we treat with just oxygen alone.
20 Some of them we nasal Cpap and they won't get
21 surfactant. Some of them get intubated, and some
22 of those get surfactant, and so if I think it's
23 hyaline membrane disease, if you, in the rare

1 situation, if you knew that up front, yes, I
2 probably would elect to give surfactant but the
3 fact is that often you don't know, and so you have
4 to weigh the risks and benefits of using the
5 treatment.

6 Q Well, again, fast forwarding, it's not that Dr.
7 Lillien was unsure of the diagnosis. He deferred
8 surfactant, he deferred surfactant based on the
9 size of this child and allegedly because in his
10 mind the child was stable. Correct?

11 MR. BULLOCH: Objection. I don't think
12 that's what's the evidence or Dr. Lillien stated,
13 but go ahead and you can try to answer that.

14 A I don't know he what he was thinking. I know that
15 he wrote, I remember seeing in the record that he
16 deferred it because he thought the baby was large
17 and was stable as you said. But I think -- you
18 have to remember that there's no evidence
19 surfactant is beneficial in babies of 35 weeks'
20 gestation. Okay? You have to also remember that
21 there are risks to giving this. Dr. Lillien was
22 exercising his judgment as a clinician.

23 Now, having said that, many neonatologists

1 might have elected to give surfactant. But it
2 doesn't mean that it was inappropriate not to give
3 surfactant.

4 Q All right. We're going to get to your opinions in
5 a moment. I just want to make sure that, going
6 back, going back I think my question and this is
7 not your fault, this is my fault because we kind
8 of got far afield here. Going back to my question
9 a few minutes ago, I think I was asking you in a
10 baby. Let's talk about a 2300 gram baby who has
11 RDS and receives surfactant timely, can we agree
12 that generally alters the course and severity in
13 general of RDS?

14 A I'm not sure what you mean by in general. In
15 probably -- if you're saying that there's a 30
16 percent failure rate of surfactant in the other
17 percentage there probably, there will be some
18 improvement in the course of RDS, that's correct.
19 So when it works, when it works. Yes.

20 Q And when you say improvement, you mean that it
21 shortens the length of NICU stay and it shortens
22 the -- reduces the ventilation requirements, it
23 shortens the -- reduces the severity of the

1 respiratory difficulties during the NICU stay in
2 general? Is that true?

3 A Some of those things are true. Some of them
4 aren't true. There are effects on pulmonary
5 mechanics so in other words it doesn't require as
6 much mechanical ventilation. That's true.
7 Usually reduce, the main thing it does is reduces
8 the amount of oxygen that you're giving the baby.
9 Okay? It does not affect the incidence of chronic
10 lung disease, nor do I believe that it affects the
11 length of stay in the nursery. Babies have
12 complications, and this is based again on the
13 smaller babies. We don't have any data on babies
14 34, 35 weeks' gestation. We have data on the very
15 small babies and in those babies there's no effect
16 on long-term outcome. And I would refer you to
17 the American Academy statement from 1999 in that
18 regard. So yes, it has immediate improvement in
19 pulmonary mechanics, it requires less pressure on
20 the ventilator, for example, less oxygen, and they
21 may come off their ventilator sooner. But there's
22 very few effects on long-term outcomes.

23 Q Well, you referred me just a minute ago to the

1 American Academy of Pediatrics statement of 1999?

2 A Yes.

3 Q Can you be more specific?

4 A Do you have it with you? I don't have it.

5 Q I have a number --

6 A I don't have --

7 Q But --

8 A The American Academy of Pediatrics puts out
9 statements every once in a while of consensus
10 statements, okay? This was a consensus statement
11 about the use of surfactant. And in that
12 statement, as I recall, the best of my ability to
13 recall it, they talked about the fact that
14 surfactant had beneficial acute effects on
15 ventilation and hours on ventilators and things
16 like that in surfactant-deficient babies. Okay?
17 And I believe it also said that there was no
18 effect on things such as chronic lung disease or
19 neurodevelopmental outcome. I don't remember the
20 other details for sure.

21 Q Doctor, it appears to me that you're not familiar
22 with any literature written before 1990 that have
23 done studies on surfactant in 30 to 36 weekers; is

1 that true?

2 A I don't know of any randomized controlled studies
3 that compare no surfactant to surfactant in 34 to
4 36, 37 weeks' babies. That's correct.

5 Q Okay. Can we agree that surfactant therapy in the
6 newborn changes the course of the respiratory
7 distress syndrome including the duration of the
8 ventilatory support?

9 A I believe you asked me that already, but again, it
10 only -- the data we have only applies to the small
11 preterm baby less than 1500 grams.

12 Q Can we agree that surfactant is now conclusively
13 shown to reduce mortality, in several aspects
14 morbidity in babies with RDS?

15 A That's what the early studies show. However, you
16 need to be aware, and I'd like to say a couple
17 things if I can. You need to be aware that in
18 2006 some of our smallest babies at good centers
19 are not given surfactant, and these babies are not
20 even ventilated. They're treated with nasal Cpap
21 and not given surfactant and even those studies
22 show a decrease in morbidity, good neonatologists
23 at good academic centers where there's published

1 data have shown improved outcomes in babies who
2 have not received surfactant even as little as 26
3 weeks' gestation.

4 Q Those morbidities that you spoke about include
5 deficits in oxygenation, incidence of pulmonary
6 air leaks and duration of, I've already talked
7 about that. So including the deficits, the
8 morbidities -- let's start over.

9 The morbidities include deficits in
10 oxygenation and incidence of pulmonary air leaks
11 that are reduced, correct?

12 A Well, we didn't talk about air leaks. You didn't
13 mention that before. There is evidence in the
14 smaller babies that a reduced number of air leaks
15 in those babies. Again, there's no evidence in
16 big babies that that's the case.

17 Q And it's your position that there's no evidence
18 that surfactant replacements increases the
19 likelihood of survival without bronchopulmonary
20 dysplasia which is chronic lung disease, correct?

21 A The statement of the Academy talks about chronic
22 lung disease specifically, yes. And in fact, in
23 this day and age, 2006, the incidence of chronic

1 lung disease is actually higher than it was in
2 1999 with a lot of use of surfactant in very small
3 babies.

4 Q Have you ever withheld surfactant to a 35 weeker
5 that you presumptively have diagnosed to have RDS
6 solely due to the weight or size of the newborn?

7 A I can't recall, like I said, I don't know of any
8 cases where I've said yes, this baby has RDS. So
9 I've certainly given surfactant to those babies.
10 Yes. But as I said before, the diagnosis is up
11 for grabs in the first 24 hours, and you can't say
12 for a surety that the baby has hyaline membrane
13 disease. If I think that's a most likely cause,
14 yes, I would probably give surfactant.

15 Q What's the largest baby either by weight or
16 gestational age, maturity wise, that you've ever
17 administered surfactant to?

18 A There's some recent evidence that have meconium
19 aspiration syndrome might be buffered by
20 surfactant so I've given surfactant to some big
21 babies who have had meconium aspiration syndrome
22 who have been at term.

23 Q Did it improve --

1 A My experience, I haven't seen any effects of the
2 surfactant on those babies. But you know, there's
3 a lot of research going on for the use of
4 surfactant in things other than hyaline membrane
5 disease in term babies. Okay? So people are
6 experimenting with that at this point.

7 Q Let's talk a little bit about pneumothorax and its
8 impact on the newborn. Can you explain to me,
9 first of all, what pneumothorax or air leaks are
10 and then what are the potential deleterious
11 effects on the newborn?

12 A Air leaks or pneumothorax is just one type of air
13 leak that you can get in a baby. It's a very
14 common complication of babies who have respiratory
15 distress, with or without mechanical ventilation,
16 with or without nasal Cpap. It occurs without
17 doing anything. What happens is when there's an
18 air leak, the air tracks inward along the
19 perivascular bundle to the mediastinum which is
20 the center area of the chest and then depending on
21 the resistance there it gets out into the pleural
22 space and you end up with a pneumothorax, okay? I
23 don't know if that's too much of an answer for

1 you.

2 Q Let's go to the second part of my question. What
3 are the potential deleterious effects on the
4 pneumothorax on a newborn?

5 A As you might imagine there are all grades and
6 severities of pneumothorax. You can have a small,
7 in fact a certain percentage of 30 full-time
8 babies who have nothing done to them have
9 pneumothorax, I think about 5 percent. In these
10 cases they're quite small. Doesn't require any
11 treatment. In babies who are on mechanical
12 ventilation, often the pneumothoraces are larger,
13 and when they get, particularly when they're under
14 tension. In other words, when they're under
15 tension and putting pressure on the cardiac system
16 in the midline you can see things like decrease in
17 oxygenation, you can see increase in CO2,
18 sometimes you can see decreases in blood pressure,
19 and if those things aren't promptly treated and
20 the pneumothorax is very large, then those things
21 can have severe consequences.

22 Q What is the effect of a pneumothorax on venous
23 return?

1 A That really depends on how much pressure there is
2 on the cardiac system. There is some decrease in
3 venous return, but that's going to be variable.
4 It can be a little bit of decrease or a lot of
5 decrease depending on the amount of gas and the
6 pressure in the chest.

7 Q What are the dangers of decrease in venous return?

8 A Well, that results in decreased cardiac output
9 which is generally reflected in a decrease in
10 blood pressure.

11 Q What is the impact, potential impact of
12 pneumothorax, whether a unilateral or bilateral
13 pneumothorax on cerebral blood flow?

14 A I don't know of any studies -- there probably are,
15 but I don't know of any that actually measured
16 cerebral blood flow. My guess would be that it
17 depends on the severity. If there's any change in
18 cardiac output it's going to have some effect on
19 cerebral blood flow. But you also have to
20 remember that when you get a pneumothorax, your
21 oxygenation decreases and the primary effect of
22 low oxygen on cerebral blood flow is an increase
23 in cerebral blood flow. So, in other words, it

1 causes those vessels to dilate so that you get
2 more flow to the brain. You have to balance that
3 against the decrease in venous return. And
4 there's no way to measure those things in a
5 clinical situation obviously. But it would only
6 be problematic when it was very severe and
7 prolonged.

8 Q Do you remember back in the presurfactant era
9 where you were taking care of children with RDS
10 where you had a sense or a feeling that the child
11 was likely going to have neurological
12 abnormalities at the time of discharge?

13 A Okay. So what kind of babies are you talking
14 about here?

15 Q I'm talking about babies in the presurfactant era
16 before the '90s, in the '80s, when you didn't have
17 surfactant, and you were taking care of babies
18 with RDS and you had concern while taking care of
19 them either because of their clinical condition or
20 the imaging that may go on to develop neural
21 abnormalities, whether developmentally delayed or
22 cerebral palsy?

23 A I'm having difficulty answering this because there

1 are so many different types of babies you might be
2 talking about. In the presurfactant era, and I
3 wouldn't even label it the presurfactant era. In
4 the early '80s as is now the case, there are
5 babies who are very premature who have imaging
6 results that suggest that there was a greater risk
7 for cerebral palsy. And of course the most common
8 one is periventricular leukomalacia and that can
9 occur in preterm babies with or without having
10 required lots of mechanical ventilation, with or
11 without pneumothoraces, with or without chronic
12 lung disease. So yes, in answer to your question
13 there are preterm babies that have imaging
14 evidence that they might have problems. There are
15 some if they stay in the nursery long enough is
16 they also have neurological symptoms that you can
17 pick up on. Decreased sucking and inability to
18 feed and things like that.

19 Q Decreased tone?

20 A So yes, and I guess, yes. I don't know what, but
21 we see that today even in the surfactant era. I
22 mean, I don't link this to the surfactant era,
23 before or after. We see it now, we saw it then.

1 Q But relative to managing babies, whether they are
2 1500 grams or 2500 grams, with RDS, can you say
3 whether or not you see less incidence of or
4 circumstances where you have less of a concern for
5 neurodevelopmental outcome?

6 A No.

7 Q And since the '90s --

8 A No. No. Actually, in fact, there are more babies
9 who have potential problems with this than before
10 because we're taking care of smaller and smaller
11 babies who are more prone to have those kinds of
12 problems.

13 Q You are saving babies that ten or 15 years ago
14 would have expired?

15 A That's correct. And I think the data would show
16 that there's no change in the incidence of
17 cerebral palsy, for example, or neurodevelopmental
18 outcome, 1980s to 1990s or 2000s.

19 Q Can you cite me to any data?

20 A I've looked at papers. I can't cite a specific
21 one. No.

22 Q Are you aware of any articles written that suggest
23 that antenatal steroids and postnatal surfactant

1 when used appear to improve survival and
2 neurodevelopmental outcome in preterm babies who
3 require prolonged ventilation?

4 A It sounds like you're referring to a title of a
5 paper in that statement which I don't, haven't
6 seen. But I don't know of any data that would
7 show -- I mean the combined use of antenatal
8 steroids and surfactant there's no question
9 reduces mortality, okay?

10 Q And you're not aware --

11 A But I don't know. I think the data looking at
12 long-term neurodevelopmental outcome is not
13 consistent. There's no good evidence that in 2006
14 the incidence of babies who have bad
15 neurodevelopmental outcomes is any difference than
16 it was back in the '80s and the reason is not, you
17 can't, it's confounded by the fact that we're
18 taking care of smaller and smaller babies. So you
19 have to correct these data for gestational age.
20 And there are probably, well, that's, I mean you
21 have to do it by gestational age if you want to
22 look at that.

23 Q And I respect that, but what you're saying,

1 Doctor, is you're not aware of an article written
2 in 2001 standing for the proposition that improved
3 survival -- you are or not?

4 A I don't know -- no. I don't know whether I've
5 reviewed that article along with several others.
6 But in my review, the results were inconsistent.
7 In other words, there were some that says there
8 was improvement, some that said there wasn't. My
9 interpretation of that is we don't know, and we
10 can't say for any degree of a surety that it has
11 improved.

12 Q Can we agree that in the presurfactant era
13 pneumothoraces were much more common?

14 A In the smaller babies. In the small group of
15 babies that have been studied. Yes, that's
16 probably true. And in my own experience with
17 small babies, yes.

18 Q By your limitation in that answer, are you saying
19 that that doesn't apply to larger babies?

20 A I don't think we have any evidence to show whether
21 it does or not because there are so few of them
22 and so few of them are treated in this way, and I
23 don't know of any babies in my own practice that

1 have had a pneumothorax at 35 weeks that's gone on
2 to develop neurodevelopmental problems. I don't
3 know of any data in the big babies.

4 Q When you say data, so that we're communicating,
5 you mean what?

6 A I mean published.

7 Q Controlled, published what?

8 A I mean there are all kinds of studies. There are
9 controlled, randomized trials, respective reviews,
10 there's case reports or case controlled studies.
11 There have been a fair number of studies
12 comparing, you know, what happened presurfactant
13 and postsurfactant, and those are the studies I'm
14 referring to that haven't been consistent in
15 showing any differences in long-term outcome. And
16 I'm sorry I can't quote them, but I certainly can
17 get them for you if you want.

18 Q Okay. Well, would you give them to Mr. Bulloch
19 and then he can send them to me?

20 A Sure.

21 MR. BULLOCH: Except, Mike, I'm not going to
22 ask this doctor to do research for you. I mean
23 you're quite capable of finding the same articles

1 that he is. He's testified that he is not aware
2 of any such studies showing any improvement in
3 neurodevelopmental and he's aware of studies that.

4 MR. BECKER: John, I'm not hearing you.

5 MR. BULLOCH: I threw a piece of paper over
6 the mike. I apologize. What I said was I am not
7 going to ask this Doctor to do research that
8 you're perfectly capable of doing. He says that
9 he's not aware ever any studies that show any
10 proof of long-term neurodevelopmental improvement
11 in these babies and he's aware of conflicting
12 studies. I mean that's what he's testified to and
13 that's all he's going to do for you.

14 Q Well, except when he volunteers to give me studies
15 which he's just done so I'm taking him up on this
16 and just --

17 A Maybe I shouldn't have.

18 Q That will teach you to volunteer, Doctor, so if
19 you would send them to Mr. Bulloch and Mr. Bulloch
20 and I can fight about this. But would you do
21 that, sir?

22 A Whatever. Okay.

23 MR. BULLOCH: He'll do as I instruct him to.

1 Q Can we agree, Doctor, that pneumothoraces can
2 cause hypoxemia as well as ischemia in newborns'
3 brains?

4 A Severe untreated pneumothoraces, yes.

5 Q And that can be a source or a cause of brain
6 injury whether it's cerebral palsy or
7 developmental delay?

8 A A single event, I don't know of any data that
9 shows that a single pneumothorax in this age baby
10 leads to cerebral palsy or neurodevelopmental
11 delays.

12 Q What are the risk factors for RDS?

13 A The risk factors for RDS. You mean like
14 prematurity?

15 Q Prematurity is one?

16 A Prematurity is the main one. You mean by RDS, do
17 you mean hyaline membrane disease or just
18 respiratory distress? Which --

19 Q Correct.

20 A Prematurity is the major one. Babies of diabetic
21 mothers have more incidence of RDS at 34 weeks
22 where you don't usually see it.

23 Q Doctor, if I've used that term RDS wrong I

1 apologize. I assumed it was synonymous with
2 hyaline membrane disease; it is not?

3 A It doesn't have to be, but if you're using it that
4 way, that's fine. I'll hear it that way. Okay?

5 Q Okay. Have you heard of, relative to risk factors
6 for RDS, of a "wimpy white boy syndrome." Have
7 you heard of that before?

8 A Yes, I have.

9 Q Tell us about that. What does that mean?

10 A I don't know how that's relevant to anything, but
11 it seems, it is rumored and talked about
12 frequently in the nursery that babies who are
13 white and male do worse than females and
14 particularly black females with respect to their
15 outcomes and degree of respiratory distress. It's
16 a term that's loosely thrown around, has no
17 scientific validity, other than saying that the
18 risk of hyaline membrane diseased is increased in
19 males. That's what it comes from. And the
20 experience that in black population, there seems
21 to be lots of small preterm babies who don't have
22 severe respiratory distress. It's not a term that
23 I use myself, but I've certainly heard it.

1 Q Is surfactant therapy considered to be more
2 effective or less effective as the gestational age
3 of the newborn increases?

4 A I am not aware of anything showing that it's more
5 or less effective. There is a -- we use it a lot
6 more in the smaller babies so that it seems to be
7 more effective in smaller babies, but I don't know
8 that there's a relationship between gestational
9 age at birth and whether surfactant works or how
10 well it works. I don't know of anything along
11 those lines.

12 Q Is the use or administration of surfactant after a
13 subjective pneumothorax somewhat controversial?

14 A At this point in time it is. Yes. In fact some
15 people advocate and some people don't, and I don't
16 know that that question has been settled.

17 Q Why is it, what are the down sides or what is the
18 impact of giving surfactant after the baby has a
19 pneumothorax?

20 A You know, I don't know any detail. I have not
21 reviewed this literature. So I don't really know
22 what the proposed mechanism is. I think there was
23 some -- I would be really way out on a limb here

1 so I'm not going to say anything. I really, I
2 don't know what the mechanism is. If you have
3 underlying surfactant deficiency and you have a
4 pneumothorax, there certainly could be some
5 benefit of giving surfactant. But again, I guess
6 I've talked informally with people about this who
7 have told me that, you know, there's studies on
8 both sides of the fence here.

9 Q Doctor, do you have a policy at your institution
10 for the surfactant replacement therapy?

11 A A written policy saying that we under what
12 circumstances we give it? Is that what you mean?

13 Q Yes. And who can give it. What type of medical
14 caregiver can give it.

15 A We do not have a written policy on who gets it.
16 That's up to the judgment of the care team. In
17 our unit, the respiratory therapists and the
18 nurses give it under our supervision which is very
19 common in a tertiary center where you've got lots
20 and lots of deliveries and we're giving it every
21 day.

22 Q Going back to my question though, is there a
23 written policy?

1 A In fact -- on who gives it? I'm unaware of any
2 written policy. Sometimes our nurse
3 practitioners, sometimes nurses do, the
4 respiratory therapists do it. You know, we've got
5 five or six people standing around the bedside
6 when this happens. So who actually does it
7 doesn't really matter.

8 Q Okay. Are you aware whether or not the American
9 Association of Pediatrics as well as the JCAH
10 requires a policy, a written policy for the
11 administration of medication?

12 A Medication in general?

13 Q In the NICU?

14 A I'm sorry. Did you mean the an American Academy
15 of Pediatrics?

16 Q Yes.

17 MR. BULLOCH: You were talking over each
18 other. Could you repose the question, Mike? I'm
19 sorry. What I said is it seems like you two were
20 talking over each other because of the delay. And
21 I am not sure if the question was heard or not.
22 Could you reask the question, please?

23 Q Well, I guess I was curious if he's aware that the

1 American Academy of Pediatrics as well as JCAH
2 requires, strongly recommends or urges that there
3 be a written policy specifically for surfactant
4 replacement therapy?

5 A If there's a requirement I'm sure there's a
6 policy. I don't -- from a clinical point of view
7 it's not something that's relevant to our
8 practice. A hospital has to have a policy, I'm
9 sure, about how it's stored and how it's mixed and
10 how it's given, but in terms of which babies you
11 give it to and actually the personnel involved,
12 I'm not aware of any, but there may be. I'm just
13 telling you what we do.

14 Q Is surfactant, administered correctly and
15 properly, considered a safe drug?

16 A Safety is always relative. There are risks
17 involved, and there are benefits. And you weigh
18 the risks and benefits any time you give a
19 medication. It's relatively safe. Yes.

20 Q Are you aware whether or not there was ever at
21 Fairview General Hospital in 1999 a
22 constitutionally approved surfactant therapy
23 protocol?

1 A I don't know why I would know that one way or the
2 other.

3 MR. BULLOCH: Especially when you don't know
4 if you have one in your own institution, correct?

5 Q Are you aware of any literature that stands for
6 the proposition that withholding surfactant
7 therapy from larger infants with RDS who are
8 receiving ventilation because they're thought to
9 have a good prognosis without surfactant is not
10 justified?

11 A Can you repeat that, please? There were a lot of
12 negatives, double negatives in there.

13 Q Yes. Are you aware of any literature that stands
14 for the proposition that withholding surfactant
15 therapy for larger infants with RDS who are
16 receiving ventilation because they are thought to
17 have a good prognosis without surfactant is not
18 justified?

19 A That's a long one. If you're asking whether
20 there's any data showing that it's not justified
21 to give surfactant to a large baby who has RDS and
22 is on mechanical ventilation, I know of none. If
23 that's what's you're asking. I don't know of any

1 literature saying that it's not. Is that right?
2 Not justified? I don't know. I don't understand
3 your question.

4 MR. BULLOCH: Too many double negatives.

5 Q Are you aware of any literature that stands for
6 the proposition that surfactant therapy should be,
7 essentially stands for the proposition that
8 surfactant therapy should not be withheld from
9 larger infants?

10 A No. I'm not aware of that and I don't know. It's
11 not -- it's not an universally held practice or
12 feeling among neonatologists that I know about.

13 Q You recognize the New England Journal of Medicine
14 is one of the most prestigious journals?
15 Particularly somebody from New Hampshire?

16 A It is, but I don't have a subscription to it.

17 MR. BULLOCH: Mike.

18 Q I bet it's in your library at the hospital.

19 A Yes.

20 MR. BULLOCH: Is that like the Cleveland
21 Clinic Quarterly should be in every Cleveland
22 Hospital as well because it's such a prestigious
23 organization?

1 Q Are you aware of any literature, Doctor, that
2 stands for the proposition that surfactant therapy
3 results in a marked decrease in pneumothorax and
4 other air leaks?

5 A As I stated earlier, I believe, there are lots of
6 studies in babies less than 1500 grams showing a
7 reduction of air leaks in babies who get
8 surfactant who have RDS. Not just the articles in
9 the New England Journal of Medicine.

10 Q Can you tell me, Doctor, what the average course
11 is by way of a NICU stay for a 35 weeker with RDS?

12 MR. BULLOCH: Objection.

13 A The average course of a 35 weeker with RDS. There
14 are so few of those that these babies can have a
15 highly variable course. There is no such average
16 course. Some of them resolve this very quickly
17 and don't require any mechanical ventilation at
18 all. Some of them are treated with nasal Cpap,
19 some of them are treated with mechanical
20 ventilation, some of them are treated with
21 surfactant. There are some of them that end up
22 having chronic lung disease, there are some of
23 them that end up having prolonged stays because of

1 other issues. It's highly variable, but the
2 number of babies that we see that have a clearcut
3 diagnosis of RDS at 35 weeks is very small so I
4 can only speak from my own experience. There's no
5 average. I can't -- a lot of it depends on how
6 fast they get better after their initial course.
7 So if they get better within a couple of days,
8 then their course is going to be short. If they
9 end up staying on a ventilator for two weeks, then
10 they probably will end up staying a long time.
11 It's a long answer for a short question. I'm
12 sorry.

13 Q Can we agree, Doctor, that surfactants synthesis
14 is a dynamic process that depends on such factors
15 as pH, temperature, perfusion, and may be
16 compromised by cold, stress, hypoxemia,
17 hypovolemia?

18 A I'm sure any of those things can have effects on
19 surfactant, and it's not just synthesis. We're
20 talking about surfactant synthesis, we're talking
21 about getting the surfactant out into the pleural
22 space or into the interface, we're talking about
23 repackaging it, reabsorbing it, the whole process

1 can be affected by any of those things.

2 Q And that's whether you're talking about the
3 natural surfactant that's in the baby or you're
4 talking about replacement surfactant therapy,
5 correct?

6 A Well, replacement therapy, replacement surfactant
7 is not human surfactant in most cases. I think in
8 the case of this case it's bovine surfactant. And
9 actually, surfactant given exogenously does get
10 repackaged and taken back into the system and get
11 recirculated in the newborn, not in the adult.
12 But yes. All these, all metabolic processes can
13 be affected by all the things you mentioned.

14 Q And that's before the baby receives replacement
15 surfactant therapy or that principle can apply
16 even after a baby receives surfactant replacement
17 therapy?

18 A I'm really talking as a physiologist here in
19 saying that all metabolic processes are affected
20 by temperature, and levels of oxygenation and so
21 forth. From a clinical perspective, it's not
22 apparent that there's any -- it's not something we
23 consider to be something that has any relevant to

1 clinical practice.

2 Q I guess in real basic terms, Doctor, I'm
3 interested in whether artificial surfactant or
4 animal surfactant, whether its effectiveness can
5 be impaired by concurrent hypoxemia.

6 A I don't -- I guess again from a physiologic point
7 of view I might guess that it might, but I don't
8 know of any situations where that comes up
9 clinically that it's ever discussed. I can't
10 remember ever discussing that one on rounds. I
11 suspect that may be some effects but --

12 Q Would you agree that exposure to high inspired
13 oxygen concentration, the effects of barotrauma
14 from assisted ventilation go to further damage the
15 alveolar epithelial lining resulting in reduced
16 surfactant synthesis?

17 MR. BULLOCH: Mike, I'm going to object
18 because he's already told you that, you know,
19 theoretically that can happen but none of this is
20 clinically relevant or apparent. But go ahead,
21 Doctor. You can answer it if you can.

22 A I think the question was whether high oxygen
23 levels in barotrauma can change the synthesis of

1 surfactant? Is that what you --

2 Q Yes.

3 A I don't know the answer to that. I don't know of
4 any data. That doesn't mean it can't happen. I
5 just don't know the answer.

6 Q Is there a clinical use now of multi-dose
7 surfactant compared to a single dose?

8 A This has always been controversial. And again in
9 the smaller babies, we will often give a second
10 dose of surfactant six to eight hours after the
11 first one if things aren't improving the way we
12 think they should. Frankly, I've never seen a
13 second dose do much. In larger babies, who are
14 given surfactant, they are most likely not to get
15 a second dose.

16 Q Doctor, air leaks have been attributable to
17 barotrauma caused by high levels of peak
18 inspiratory pressure, correct?

19 A Mechanical ventilation per se is one of the
20 factors that can predispose to a pneumothorax and
21 obviously higher pressures are at more risk than
22 lower pressures, but it's only one of the factors.

23 Q What are the other risk factors for pneumothorax

1 besides an elevated pressure?

2 A I think babies who have, I mean just having RDS
3 puts you at risk for pneumothorax or having other
4 pulmonary diseases that cause some, how should I
5 say it, inhomogeneity of alveolar gas filling. So
6 that anything that puts, any situation where you
7 have some alveoli that are open and some are
8 closed increases your risk for pneumothorax. The
9 baby factors clinically that we see are babies who
10 are on nasal Cpap or get mechanically ventilated
11 have a higher incidence of pneumothoraces. These
12 are the two major risk factors. The big factors.

13 Q Surfactant replacement therapy in part will help
14 reduce the high levels of peak inspiratory
15 pressure, correct?

16 A When it is effective. You usually see a decrease
17 in peak inspiratory pressure and levels of oxygen
18 needed. However, having said that, it can also
19 increase your risk of pneumothorax. And the
20 reason for that is that if you give surfactant and
21 it is effective and it rapidly increases
22 compliance of the lungs or decreases stiffness,
23 you can actually get more pressure transmitted to

1 the chest and increase the risk of pneumothorax so
2 giving surfactant can cause a pneumothorax. Or
3 high pressure, high ventilative pressures can
4 contribute to it. How long you're ventilated,
5 that makes a difference.

6 Q Doctor, I guess I want to get a sense as to what
7 is more effective, what has a greater risk of
8 causing pneumothorax? High inspiratory pressure
9 or giving surfactant?

10 A I suspect that the high pressures on the
11 ventilator are a major predisposing factor because
12 I don't think there's any question about that.
13 But it is one of the risks of surfactant if it's
14 given and it acts very quickly and then those
15 ventilator pressures are transmitted to the chest.
16 That's a risk, okay? I've seen it happen. I saw
17 it happen last week in our nursery in a baby that
18 was 34 weeks' gestation.

19 Q Doctor, do you recognize that newborns who sustain
20 significant hypoxia can sustain brain damage
21 without having an asphyxial insult?

22 A Can you explain what you mean by hypoxia?

23 Q Reduced oxygen concentration.

1 A Where?

2 Q In the blood.

3 A No. The answer to your question, no. If that's
4 what your definition of hypoxia is. What you're
5 talking about is hypoxemia, okay? Hypoxemia has
6 to do with how much oxygen is in the blood. When
7 people talk about hypoxia they're talking about
8 tissue hypoxia, okay? Tissue oxygen starvation.
9 They're two very different things.

10 Q All right. Let's --

11 MR. BULLOCH: Let him finish. Please.

12 A If the question is whether tissue starvation of
13 oxygen can lead, when it's prolonged and severe
14 can that lead to later neurological deficit the
15 answer is yes. If you're talking about brief
16 periods of hypoxemia where there are dips in the
17 P02 or the saturation for a short period of time,
18 no. The answer is no. Because it's not, doesn't
19 tell you anything about what the tissues are
20 doing.

21 Q Well, what will tell you what the tissues are
22 doing? Is there a certain test or laboratory
23 analysis that will tell you what the tissue is

1 doing?

2 A Unfortunately, no. And what commonly is used is
3 if the tissues are not receiving enough oxygen,
4 and if the ability to extract more oxygen is
5 exceeded, which is in a data patient, then the
6 tissues start to change in that metabolism so they
7 produce lactic acid and lactic acid can then be
8 washed into the bloodstream and what you see is an
9 acidosis, a metabolic acidosis. That's one side
10 of tissue starvation. Off in the sequence of
11 events that where you have oxygen, tissue oxygen
12 starvation you also have decreases in cardiac
13 output because the heart is also having oxygen
14 starvation so you see decrease in cardiac output,
15 you see decrease in blood pressure, which then
16 leads to decreased perfusion, decreased cerebral
17 blood flow and that can predispose to brain
18 injury.

19 Q Without lactic acidosis?

20 A You generally have, well, there is lactic
21 acidosis. Whether you see it in the blood or not,
22 okay, is dependent on how well those tissues are
23 perfused. So if you have oxygen starvation, your

1 tissues produce lactic acid. If there's a
2 decrease in blood flow to those tissues because
3 the cardiac output is down or the blood pressure
4 is low, the acid stays in the tissues and it
5 doesn't go out in the bloodstream so you don't see
6 it. It's only after the baby recovers or that
7 they're resuscitated that you often see the acid
8 in the bloodstream and it's kind of paradoxical so
9 you say here's a sick baby, doesn't have an
10 acidosis, but resuscitate him and now we see the
11 acidosis. However, that occurs rarely. In those
12 case when there's adequate perfusion and this
13 happens before there's decrease in cardiac output
14 you do see a metabolic acidosis that you can
15 measure in the blood.

16 Q Doctor, I guess what I'm getting at is do you
17 appreciate that through hypoxia/hypoxemia or
18 ischemia you can cause, Volpe says you can cause
19 brain damage without an asphyxial pattern; do you
20 acknowledge that?

21 A What do you mean by axphyxial pattern, what do you
22 mean by that?

23 Q Well, when you have multi-system organ failure,

1 when you have evidence of lactic acidosis?

2 A Okay. Are you referring to hypoxic ischemic
3 encephalopathy, is that what you're --

4 Q Yes. Yes. That's what we're here talking about.

5 A In full-time babies or in big babies it would be
6 very, very, very rare not to have any signs or
7 clinical signs. If you had some event or series
8 of events that led to severe tissue hypoxia
9 including brain hypoxia now, not talking about
10 brief drops in blood oxygen levels, it would be
11 more likely than not to see some clinical
12 neurological signs of that. And there's no real
13 difference in something that might occur 24 hours
14 after birth and what might occur in the birth
15 process. Big babies who have asphyxial injury
16 develop neurological signs very soon after birth.
17 And those are the babies where you can say maybe
18 there's an increased chance of cerebral palsy. I
19 don't agree that you can have just primary hypoxia
20 without any, in this type, in this kind of baby,
21 that you can have enough tissue hypoxia that would
22 cause a long-term outcome problem that wouldn't
23 cause some symptoms in the nursery.

1 Q When you mean symptoms, you mean signs of
2 asphyxia?

3 A Neurological symptoms. Yes. Poor feeding,
4 brainstem, sometimes brainstem symptoms, problems
5 with tone and posture. Seizures, those sorts of
6 things.

7 Q What is the significance of a child in the newborn
8 nursery with RDS that suddenly becomes extremely
9 dusky or cyanotic?

10 A Happens all the time.

11 Q What does it mean? I didn't ask you how often it
12 happens. I asked you what's the significance.

13 A What it means is that the hemoglobin is
14 desaturated and the color of hemoglobin is red
15 when it's saturated and dark blue when it's not
16 saturated and so the baby has a bluish color
17 discoloration. It means that for that moment in
18 time the saturation is low. So we're talking
19 about the amount of oxygen in the blood at this
20 point.

21 Q Can you help me appreciate looking at the
22 distinction and the clinical importance to you as
23 a clinician comparing PAO2 and oxygen saturations?

1 A So what's the question? How they relate to one
2 another?

3 Q How they relate to one another and how does it,
4 you as a clinician figure out what's really going
5 on? One of those is poor important. What do you
6 rely on more?

7 A Do you want a short answer or long answer.

8 Q I'll take a long answer.

9 A Do I have a blackboard? There's clearly a
10 relationship, there's an equilibrium between the
11 amount of oxygen that's on hemoglobin or the
12 saturation and the amount of dissolved oxygen
13 which is reflected in the PO₂. The amount of
14 oxygen carried in the dissolved state is very,
15 very small. Most oxygen is carried on hemoglobin.
16 So the important thing here is oxygen content. So
17 it's how much oxygen the blood is carrying.
18 That's going to depend on the amount of hemoglobin
19 and on the saturation, and depending on the
20 hemoglobin and the saturation there will be an
21 equilibrium with PO₂. So, for example, and these
22 things, the oxygen content will be affected by how
23 saturated the hemoglobin is, how much hemoglobin

1 there is, and what type of hemoglobin you have.

2 In the fetus and in the newborn infant,
3 probably up to 2 or 3, 4 weeks of age, a good
4 deal, 85 percent of the hemoglobin is what we call
5 fetal hemoglobin. Fetal hemoglobin hangs on to
6 oxygen more tightly. And therefore, shifts the
7 dissociation between saturation and PO2 to the
8 left. So, for any given saturation there's a
9 lower PO2 than there would be in an adult. So
10 simply stated, today in 2006, in our nursery, we
11 rarely get blood gases. We do blood gases only to
12 check PCO2 and pH because we primarily use
13 saturation to guide us in terms of how much oxygen
14 is being delivered.

15 So in general, you know, you kind of use both
16 of them. You use your saturations to give a
17 general idea and you use your blood gases mainly
18 for pH and for PCO2 to see how well you're
19 ventilating.

20 Q Okay, Doctor.

21 MR. BECKER: John, do you have a plane to
22 catch?

23 MR. BULLOCH: I've got plenty of time, Mike.

1 My plane is not until -- I've got to drive back to
2 Manchester, but my plane leaves at 2 o'clock. So
3 I'm good.

4 Q Doctor, let's go to your report. The report I
5 have, Doctor, is dated December 22nd, 2005. Is
6 that correct?

7 A Yes.

8 Q Is that the only report you wrote in this case?

9 A That's correct.

10 Q The exhibits of correspondence from Mr. Bullock's
11 office, are they merely letters about, are they --
12 first of all, is the correspondence from him to
13 you or from you to him?

14 A They're all from him to me.

15 Q And it's more of a please find enclosed kind of
16 thing; medical records or depositions and stuff
17 like that?

18 A Pretty much.

19 MR. BULLOCH: There's one letter, Mike, where
20 I'm asking him, giving him dates for trial and
21 dates that we anticipate calling him at trial.

22 Q Going to the second page of your report, Doctor,
23 you talk about the clinical condition of this

1 child when he essentially was leaving Palmer and
2 headed to Fairview, do you see that? At the top
3 of the page?

4 A At the top? Is that the first paragraph?

5 Q Yes.

6 MR. BULLOCH: Look back at the prior page,
7 but I think you're actually talking about his
8 condition at Fairview.

9 A Where it says infant was transported to Fairview
10 by Dr. Lillien. Is that the paragraph? That's at
11 the bottom of the first page?

12 Q Yes. First of all, let me start over, Doctor.
13 And I didn't ask you this and I should have. You
14 don't know Dr. Lillien, do you?

15 A No. I don't.

16 Q Going to the child, did you actually look at the
17 chest films?

18 A I looked at the chest films that were done at
19 Fairview. I did not see the chest film that was
20 done at Palmer. There was one, I think.

21 Q Do you have any disagreement with the official
22 interpretation, that is the Fairview General
23 Hospital radiologist interpretation of those chest

1 films?

2 A Could you refresh my memory as to what they were?

3 I don't recall. I looked at them. I could tell
4 you what I thought they looked like.

5 Q Well, I want you to look at the official
6 interpretation and tell me if you disagree.

7 A Which one are you talking about? The first one?

8 Q First, second and third. All of them.

9 A The first one he describes a hazy ground glass
10 appearance, maybe see with RDS but other
11 consideration would be other things and he talks
12 about infection. And he's got something about
13 catheter placement. Yes. The first x-ray which
14 I'm looking at I certainly would agree with that.
15 It's generalized. It's hazy. Endotracheal tube
16 is in good position, he's got some generalized
17 ground glass appearance. Yes. I wouldn't
18 disagree with that. I would just add that I think
19 that it would be very difficult to distinguish RDS
20 at this point on that film from other things like
21 pneumonia which was mentioned by the radiologist
22 or possibly a clear fluid aspiration as well is
23 another thing we see commonly.

1 The second film was very difficult to
2 interpret because it was very overpenetrated. So
3 what I see is a chest tube in good position, I see
4 a pneumomediastinum mainly there and this says
5 there's evidence of pneumothorax bilaterally and I
6 don't agree with that. Certainly on the right
7 side there's a very clearcut pneumomediastinum
8 with maybe a little residual air up at the top
9 which is common after putting a tube in. And the
10 other side, the film is so dark that that area,
11 the area on the left side is probably
12 pneumomediastinum and I can't, but there is a
13 situation where I would probably go over this film
14 with a pediatric radiologist and we put our heads
15 together and try to see what that was. I think
16 it's uninterpretable because of the poor quality
17 of the film.

18 The last one is -- if the second one was
19 overpenetrated, this is underpenetrated. So looks
20 very white. And again, I don't see any, I see
21 mostly pneumomediastinum and maybe some residual
22 air on both sides, but the tubes are in very good
23 position and there's clearly been, I don't know

1 what, obviously I don't know what the film would
2 have looked like at the point of the second
3 pneumothorax because we don't have a film at that
4 point, but it looks like most of the air is gone,
5 and so this guy says there's residual pneumothorax
6 most pronounced medially in the right. Now that's
7 a pneumomediastinum on that side in my opinion.
8 It's less pronounced on the earlier study. Hazing
9 of lung fields so the lungs are intact so I agree
10 with some of it but I think that he's
11 misinterpreting on the right side there's clearly
12 a pneumomediastinum there, and that's not a
13 pneumothorax.

14 Q Doctor, can we agree that when the child was
15 admitted to Fairview his neuro exams were normal?

16 A As far as the record shows they were.

17 Q And can we agree, Doctor, that by day nine or ten
18 of life, he started to demonstrate some abnormal
19 neuro signs?

20 A That was the time which he showed signs and
21 symptoms that all of us would interpret as being
22 infection or sepsis. He was treated promptly for
23 that. Part of that was decreased activity and

1 then I believe at the time he was discharged, he
2 was described as having some hypotonia at that
3 time. So there was some other event that occurred
4 around day nine or ten that is unrelated to his
5 respiratory course.

6 Q Well, what was the other event?

7 A I don't know. Nobody seems to know what the cause
8 of it was, but the initial things were certainly
9 consistent with sepsis. There was hyperglycemia,
10 hyponatremia. Baby had decreased activity. He
11 had been just extubated and so his respiratory
12 stuff was resolved at that point. He had some
13 mild respiratory distress associated with this
14 which is also common with sepsis. He was
15 intubated again at low settings if I recall. And
16 then they did a thorough workup looking for
17 meningitis, they did LPs, they were worried about
18 herpes. They treated him with acyclovir and
19 antibiotics. There could have been a viral thing.
20 I don't think anybody has a good explanation for
21 this, although it's certainly consistent with an
22 infectious process

23 Q You don't have an opinion in terms of probability

1 what happened during day eight or nine or ten?

2 MR. BULLOCH: Other than what he just stated?

3 A I just stated what I thought happened. That's as
4 good as I can do.

5 Q Are we talking possibilities here, is that your
6 opinion?

7 A I think it's -- in my opinion, it's most likely
8 that was an infectious process.

9 Q Well, the neuro signs that he showed on day 8,
10 what do you attribute that to?

11 A Which neural signs were those on day 8?

12 Q Decreased tone?

13 A He showed decreased activity. Didn't say
14 increased tone. I don't believe that term is used
15 until later but I may be wrong about that. Tone
16 and activity are often used interchangeably and
17 sometimes it's difficult unless you have a
18 neurologist actually do an exam on the baby do you
19 get a good idea of what's happening. But babies
20 who are septic can have decreased tone as well as
21 decreased activity.

22 Q You're saying that no matter what happened to this
23 child between the time that he had good neuro

1 signs at admission and bad neuro signs at the time
2 of discharge, it's unrelated to his respiratory
3 management, correct?

4 A Yes.

5 MR. BULLOCH: Objection to the term "bad
6 neuro signs." I don't think that's been
7 established yet, Mike.

8 A If you're talking about the decreased activity and
9 decreased tone, I don't think there's any
10 relationship between the respiratory course and
11 those things that were part of that infectious
12 event.

13 Q Okay. And explain the bases for that opinion.

14 A Well, I guess there's a couple of things you would
15 look at. You would look at timing. You would
16 look at when this all, when this second of
17 whatever you want to call it, when this second
18 episode occurred which I think was infectious, he
19 had all but resolved his respiratory problems. So
20 I don't think the events are related.

21 Q Well, you say, Doctor, that there wasn't any
22 evidence of severe respiratory compromise here to
23 permit hypoxia to be a cause of this child's brain

1 injury. What do you mean, what would you need to
2 see by way of severe respiratory compromise? How
3 bad are the oxygen sats or PAO2s?

4 A As I stated before, you can't use just saturations
5 and PO2s to predict tissue hypoxia. And in this
6 case, there were brief periods of hypoxemia as
7 evidenced by some PO2s that were in the 30s. And
8 then I think the lowest sat recorded on their ICU
9 record was 84 and in the nurse's notes they talk
10 about the sats being in the 60s and 70s around the
11 time of the pneumothorax. But these were brief,
12 they were transient, they were not sustained. I
13 don't know exactly how low you have to go and how
14 long, but I suspect if the sats were very low, in
15 the 20s and 30s, and that lasted for an hour or
16 something, I mean I'm guessing, I don't know. But
17 you have to have enough to have, to result in
18 enough decreased oxygen delivery that those
19 tissues could not extract enough oxygen, that
20 mechanism would have to fail, then we have to have
21 tissue oxygenation. There was no sign of a
22 metabolic acidosis during this period of time.
23 There was no sign of a decrease in blood pressure

1 during this time. There was no sign of anything
2 that normally could be construed as contributing
3 to a neurological deficit. Absolutely nothing.
4 The pneumothorax --

5 MR. BULLOCH: Mike, you're interrupting him
6 again.

7 A You have to remember that the pneumothorax is only
8 not good when it produces tissue hypoxia and
9 changes in blood flow and in this case, there was
10 no evidence for that in the record.

11 Q And that evidence you're talking about, you
12 mention blood pressure, you've mentioned what else
13 besides blood pressure?

14 A Well, I mean --

15 Q Besides the PO2.

16 A The nurses at Fairview did an excellent job of
17 recording all of the things that were going on.
18 These are some of the best records I've ever seen,
19 and they basically outline everything that happens
20 to this baby, and I think that if you follow heart
21 rate and blood pressure and you follow the blood
22 gases and the metabolic component of those blood
23 gases and you follow the saturations, what you see

1 is some brief dips in saturation and PO2 with no
2 changes in PCO2, no changes in acid base, no
3 changes in blood pressure. They were not
4 sustained. And those are not related to later
5 neurological or brain injury, those kinds of
6 events. These kinds of events happen all the time
7 in the nursery. Every preterm that has apnea has
8 these things happen 8 to 12 times a day. Same
9 degree of hypoxia, same degree, and these babies
10 do not get cerebral palsy.

11 Q Doctor, did you note any inconsistency between the
12 nurses' charting and the laboratory values of the
13 laboratory findings on or about the doctor's
14 notes?

15 A Not really. I'm referring to my spreadsheet now
16 which basically is just an extraction from the
17 record so I can look at it without going back
18 through the record. Everything, no. I didn't see
19 any discrepancy there.

20 Q Doctor, what is a normal ammonia level?

21 A It depends on, it depends on the lab. And I don't
22 know what, I mean I think this baby has two of
23 them and I think the 34 I think that I remember

1 was a normal value for that lab.

2 Q Is a 67 a venous abnormal?

3 A If it were sustained and repeatable I would worry
4 about it, but that's why they repeated it because
5 they didn't think it was an accurate number.

6 Q Do you know whether or not an elevated ammonia
7 level is considered a sign of encephalopathy?

8 A Actually I would worry more about a metabolic
9 disease which can masquerade as cerebral palsy.
10 Things like lactic acidosis or changes in ammonia
11 levels can all be early signs of a genetic
12 disorder.

13 Q What is a normal MAP, mean arterial pressure, that
14 you want in a newborn?

15 A It depends on the size and age and frankly, I have
16 written reviews on blood pressure measurement in
17 babies so I'm very familiar with the topic, but
18 it's a big range and babies that are this age, the
19 lower limit of normal is probably around 30, I
20 would say, mean pressure, and I would worry about
21 blood pressures less than 30 for prolonged periods
22 of time.

23 Q All right. Doctor, let's go back to the standard

1 of care. Assuming hypothetically that Doctor --
2 and we're about wrapping down because I have to
3 leave shortly anyways -- but going back to Dr.
4 Lillien, if in fact he made the presumptive
5 diagnosis of RDS, we have a child that's not
6 intubated and requiring increasing pressures, to
7 support good oxygenation, are you saying, Doctor,
8 if that you would have had that presumptive
9 diagnosis you would have given surfactant,
10 correct?

11 A Dr. Lillien did give surfactant. It was the
12 timing. It was the timing of the surfactant.
13 But the timing of not giving it initially as he
14 did is not outside the standard of care.

15 Q Okay. But let's start with what, we can agree
16 fairly, Doctor, you would have given surfactant
17 before this child demonstrated pneumothoraces more
18 likely than not? You?

19 MR. BULLOCH: Are you referring, Mike, to
20 Matthew Wagoner? Would he have given Matthew
21 Wagoner surfactant in 1999. Is that the question?

22 Q Yes. That's the question.

23 A That's difficult to answer because I wasn't there

1 and I don't know. I might have treated this baby
2 with nasal Cpap in 1999.

3 Q I thought you told us earlier, Doctor, that when
4 you have, when you have in fact RDS you give
5 surfactant. When you have a presumptive diagnosis
6 of RDS you give surfactant to a baby that's
7 intubated?

8 A No. I'm talking about using nasal Cpap without
9 intubating him. I might have treated this baby
10 with nasal Cpap but not intubated him in 1999 in
11 which case I wouldn't have given him surfactant.

12 I can't say exactly what I would have done,
13 but one of the common things that's done now and
14 we do it all the time is you start a baby on nasal
15 Cpap, and you see if that can be tolerated and if
16 it's tolerated, and I must say some of those
17 babies develop pneumothoraces when that happens,
18 and then if you end up intubating them it may be
19 24 hours later. And if you intubate them at that
20 point, yes, we usually would give surfactant if
21 they failed nasal Cpap. And that's probably what
22 I'd -- so I can't tell you that I would have
23 intubated him right then and given him surfactant.

1 I probably would have tried some other method of
2 support first, and I might have gotten into the
3 some trouble with pneumothoraces either way. It's
4 a common complication, with and without. If I got
5 to the point where I was going to intubate him, I
6 probably would have given surfactant. But that
7 doesn't mean that it was wrong not to because
8 there clearly is no standard of care in this age
9 baby.

10 Q And when you say there's no standard of care in
11 this age baby, you mean what? There's no studies?

12 A No. I mean that there's no absolute consensus
13 about whether a baby like Matthew would have been
14 intubated, not been intubated, given surfactant,
15 not given surfactant because of what I stated
16 earlier, and that is that you can rarely be sure
17 that the baby has hyaline membrane disease when
18 you have to make the decision to intubate this
19 baby.

20 Q Ms. Court Reporter, could I have his last answer
21 read back, please?

22 REQUESTED PORTION READ BACK BY REPORTER

23 Q I'm sorry, Ms. Court Reporter. You faded out on

1 me.

2 REQUESTED PORTION READ BACK BY REPORTER

3 Q But, Doctor, my hypothetical is and we didn't even
4 have to look at the hypothetical. The facts of
5 this case are Lillien had presumptive diagnosis of
6 RDS, this baby was already intubated. Are you
7 saying that there's no consensus with a
8 presumptive diagnosis and an intubated baby as to
9 whether or not to give surfactant?

10 A Not in a 35 week baby in 1999. No.

11 Q That's all I have.

12 MR. BULLOCH: Doctor, you have the right to
13 read the deposition transcript.

14 Q Wait a minute. Wait a minute. Hold on.

15 MR. BULLOCH: Too late. Too late.

16 Q Hold on a minute, Doctor. I just want to confirm
17 you don't have an opinion as to the etiology of
18 this child's brain injury?

19 A No. I think it's -- all I can say is it's much
20 more complicated than just some presumed previous
21 event. The type of cerebral palsy is consistent
22 with metabolic disease with previous injury. I am
23 not a neurologist.

1 Q So you would defer on that issue?

2 A I would defer to a pediatric neurologist, that's
3 correct.

4 MR. BULLOCH: You down now, Mike?

5 MR. BECKER: Yes. John, just so the record
6 is clear he doesn't have an opinion as to the
7 probability as to the etiology so I don't get
8 surprised at trial?

9 MR. BULLOCH: I think he's quite clearly told
10 you that he does not believe the etiology has
11 anything to do with any event in the first few
12 days of Matthew's hospitalization. I think the
13 question you asked him --

14 MR. BECKER: But as to his opinion as to what
15 the actual etiology is he does not have an opinion
16 in terms of probability? That's all I need to
17 know.

18 MR. BULLOCH: Maybe like Bachmann he doesn't
19 know what caused it. He knows what did not cause
20 it and that's --

21 MR. BECKER: John, I suggest to you that you
22 read Bachmann's deposition again.

23 MR. BULLOCH: I have, Mike. I suggest you

1 read it again.

2 The bottom line is the Doctor has told you
3 repeatedly he does not believe that the cause of
4 Matthew's CP has anything to do with -- with
5 respiratory.

6 MR. BECKER: I want to know if he, you know,
7 I want to know if he has an opinion in terms of
8 probability at trial as to what the true etiology
9 of this child's injuries are. He's made it clear
10 to me that he feels it's not what the Plaintiffs
11 say. That's clear to me.

12 MR. BULLOCH: Okay.

13 MR. BECKER: I want to know if he has an
14 opinion, John, in terms of probabilities as to the
15 etiology. If he doesn't, fine. We're done.

16 MR. BULLOCH: And I think that he has
17 answered you that he does not have an opinion of
18 what caused Matthew's CP. He only has an opinion
19 of what did not cause it, Matthew's CP.

20 BY MR. BECKER:

21 Q I need to hear that from you, not from your
22 attorney.

23 A I think I said it. I don't have an opinion as to

1 what caused this. I am convinced that this wasn't
2 his respiratory issues in the nursery that caused
3 it.

4 MR. BULLOCH: Okay. Doctor, you have the
5 right to read the transcript in this deposition
6 and make corrections. I suggest that you do that.

7 MR. BECKER: Doctor, let me thank you they
8 for making the trip over to this video conference.
9 That was very nice to of you to do that.

10 MR. BULLOCH: He's a very nice guy.

11 DEPOSITION ENDED

1 I have carefully read the foregoing
2 deposition, and the answers made by me are true.

3
4 _____
5 ROBERT A. DARNALL, M.D.
6
7

8 STATE OF _____
9 _____, SS.
10

11 At _____ on the
12 _____ day of _____ A.D. 2006,
13 personally appeared the above-named ROBERT A. DARNALL,
14 M.D. and made oath that the foregoing answers
15 subscribed by him are true.

16 Before me,
17
18

19 _____
20 Notary Public
21
22
23

C E R T I F I C A T E

I, Cynthia Foster, RDR, Stenographic Reporter and Notary Public, hereby certify that on the 27th day of July, A.D. 2006, there appeared before me ROBERT A. DARNALL, M.D. as a witness in the matter of MATTHEW CHASE WAGONER, ETC., ET AL V. MARK R. EVANS, M.D., ET AL, now pending in the Court of Common Pleas, Cuyahoga County, Ohio;

That said deposition was then taken at the time and place aforesaid;

That the said witness was duly sworn;

That the foregoing testimony was taken by me in Shorthand and thereafter reduced to typewriting by me, and the foregoing pages 3 through 90, inclusive, comprise a full, true and correct transcription of my verbatim stenographic notes of the deposition of said witness.

Dated at West Lebanon, New Hampshire, this 1st day of August, 2006.

Cynthia Foster, RDR

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23