

1                   IN THE COURT OF COMMON PLEAS  
2                   MAHONING COUNTY, OHIO  
3                   CASE NO. 96-CV-2055

4 DOROTHY A. GONDA, Individually )  
5 and as Admx. of the Estate of )  
6 DAVID PAUL GONDA, deceased )  
7 Plaintiff ) DEPOSITION OF  
8 vs. ) ROBERT DEMARCO, M.D.  
9 H. M. HEALTH SERVICES, ET AL. )  
10 Defendants )

11                   Deposition taken before me, Kathleen  
12 Skowron, Notary Public within and for the State of  
13 Ohio, on the 18th day of December, 1997, at 1:00 PM,  
14 pursuant to agreement between counsel, taken at the  
15 offices of Pulmonary Medicine Consultants, 925  
16 Trailwood Drive, Youngstown, Ohio, to be used in  
17 accordance with the Ohio Rules of Civil Procedure or  
18 the agreement of the parties in the aforesaid cause  
19 of action pending in the Court of Common Pleas within  
20 and for the County of Mahoning and State of Ohio.  
21  
22

A P P E A R A N C E S

On Behalf of the Plaintiff:  
Mark W. Ruf, Attorney at Law

On Behalf of the Defendant, H.M. Health Services,  
et al.:  
Douglas J. Kress, Attorney at Law  
COMSTOCK, SPRINGER & WILSON

On Behalf of the Defendant, Alejandro Franco, M.D.:  
Martin J. Boetcher, Attorney at Law  
HARRINGTON, HOPPE & MITCHELL

On Behalf of the Defendants, Robert DeMarco, M.D.  
and Alan J. Cropp, M.D.:  
Stephen P. Griffin, Attorney at Law  
BUCKINGHAM, DOOLITTLE & BURROUGHS

On Behalf of the Defendant, Juan Ruiz, M.D.:  
Thomas J. Travers, Jr., Attorney at Law  
MANCHESTER, BENNETT, POWERS & ULLMAN

I N D E X

DEPONENT -- ROBERT DEMARCO, M.D.

PAGE NO.

Index of Objections

4

Cross Examination by Mr. Ruf

5

## EXHIBITS

Plaintiff s Exhibit 1

13

## INDEX OF OBJECTIONS

DEPONENT -- ROBERT DEMARCO, M.D.

Keyword index for: Object

Page #51-13 MR. GRIFFIN: Objection.

Keyword index for: object

Page #50-20 ...object to the question.

P R O C E E D I N G S

ROBERT DEMARCO, M.D.

having been duly sworn according to law, on his  
oath, testified as follows:

CROSS EXAMINATION BY MR. RUF:

MR. RUF: Doctor, my name is Mark  
Ruf, and I, along with David Malik, are  
representing the Plaintiff. If at any time I ask  
you a question and you do not understand my  
question, please tell me. If you give me an answer  
to a question, I will assume that you have  
understood the question. Okay?

THE WITNESS: Yes.

Q. Could you please state your name and spell  
your name?

A. Robert DeMarco. R O B E R T. DeMarco,  
capital D E, capital M A R C O.

Q. And what is your address, both professional  
and home address?

A. Professional is 925 Trailwood Drive, Post  
Office Box 14130, Youngstown, Ohio,  
44514-7130. Home is 495 Presidential

1 Drive, Boardman, Ohio, 44512.

2 Q. Are you an employee or officer of any  
3 corporations?

4 A. Yes.

5 Q. What corporations?

6 A. My own, Robert DeMarco, M.D., Inc., and  
7 Pulmonary Medicine Consultants, Inc.

8 Q. And what is your position with each of those  
9 corporations?

10 A. Owner.

11 Q. Are you an employee of both of those  
12 corporations?

13 A. I guess if I own it, I'm an employee. I would  
14 guess so.

15 Q. Are those corporations still in good standing  
16 in the State of Ohio?

17 A. Yes.

18 Q. Do you have a CV?

19 A. Yes.

20 MR. GRIFFIN: I'll get you one to  
21 work from here, but it's my only copy. So, we will  
22 have to get you a copy afterward.

1 Q. Are you also employed at the Northeastern Ohio  
2 University College of Medicine?

3 A. No.

4 Q. Why does it state "Northeast Ohio University  
5 College of Medicine" on your CV?

6 A. Well, I'm not paid by them. I'm faculty, but  
7 I'm not employed there.

8 Q. Do you teach there?

9 A. Yes.

10 Q. What do you teach there?

11 A. Pulmonary medicine.

12 Q. Where did you go to medical school?

13 A. Autonomous University of Guadalajara.

14 Q. And what year did you graduate?

15 A. 1981.

16 Q. Why did you go to medical school in Mexico as  
17 opposed to the United States?

18 A. I don't understand why you are asking that.

19 Q. Well, were you born in the United States?

20 A. Yes.

21 Q. Then why did you wind up going to school in  
22 Mexico?

1 A. Because that's where I got in.

2 Q. Are you board certified in any area of  
3 medicine?

4 A. Yes.

5 Q. What are you board certified in?

6 A. Internal medicine, pulmonary medicine,  
7 critical care medicine.

8 Q. Are you still licensed to practice medicine in  
9 three states?

10 A. Yes.

11 Q. Is your license in good standing in each of  
12 those three states?

13 A. Yes.

14 Q. Have you ever had disciplinary action against  
15 your license in any of those three  
16 states?

17 A. No.

18 Q. What hospitals are you on staff at?

19 A. St. Elizabeth's Hospital and Western Reserve  
20 Care System.

21 Q. Have you ever had your privileges revoked at  
22 either of those two hospitals?



1 A. No.

2 Q. How long have you been on staff at St.  
3 Elizabeth's Hospital?

4 A. Five and a half years.

5 Q. How long have you been practicing medicine?

6 A. Seven years. Seven and a half years.

7 Q. And how long have you been affiliated with the  
8 corporations Robert DeMarco, M.D., Inc.  
9 and Pulmonary Medicine, Inc.?

10 A. Five and a half years.

11 Q. So, what did you do for the two years that you  
12 were in practice that you were not  
13 involved with those corporations?

14 A. I was with another practice.

15 Q. Could you tell me the name of that practice?

16 A. Eastern Ohio Pulmonary Consultants.

17 Q. Why did you leave that practice?

18 A. Because I wanted to.

19 Q. What is your affiliation with Dr. Cropp?

20 A. He's my partner.

21 Q. Do you have any affiliation with Dr. Ruiz?

22 A. No.

1 Q. Does he refer patients to you?

2 A. Yes.

3 Q. Does he do that on a regular basis?

4 A. Depends on what you mean by regular.

5 Q. How often does he refer patients to you?

6 A. Actually, I can't tell you because I'm not  
7 really sure.

8 Q. Is it more than one a month?

9 A. No.

10 Q. How many over a six-month period would you  
11 say?

12 A. Probably one or two.

13 Q. Do you have any type of affiliation with  
14 Dr. Franco?

15 A. No.

16 Q. Do you refer patients back and forth with  
17 Dr. Franco?

18 A. No.

19 Q. Have you given a deposition prior to today?

20 A. Yes.

21 Q. How many times have you given a deposition?

22 A. At least three.

1 Q. Why did you give a deposition? Were you a  
2 party?

3 A. As an expert witness on at least two  
4 occasions, and as a -- I don't know what  
5 it would be -- in a lawsuit.

6 Q. So, on one occasion it was as a defendant?

7 A. Yes.

8 Q. And two were as an expert witness?

9 A. Yes.

10 Q. In the two cases you testified as an expert  
11 witness, were those both in Mahoning  
12 County?

13 A. No.

14 Q. Where were those lawsuits?

15 A. I'm not sure where the lawsuits were, but it  
16 was for a firm in Cleveland.

17 Q. What were the issues in each of those two  
18 cases?

19 A. To be -- honestly, I don't remember.

20 Q. Do you remember the name of the law firm in  
21 Cleveland that you were involved with?

22 A. Jacobson, Maynard, Tuschman and Kalur.

1 Q. Do you remember the name of the attorney?

2 MR. TRAVERS: Former law firm.

3 MR. GRIFFIN: I remember them.

4 THE WITNESS: Well, they are still a  
5 law firm.

6 MR. TRAVERS: Well, I don't know.

7 A. Honestly, I don't know.

8 Q. Were the issues in those cases pulmonary  
9 medicine issues?

10 A. Yes.

11 Q. Did they involve any cardiac issues?

12 A. I don't really remember the cases.

13 Q. What is the status of the case that you  
14 testified in as a defendant?

15 A. Oh, it's closed.

16 Q. Did it go to jury trial?

17 A. No.

18 Q. Was it settled?

19 A. Yes.

20 Q. What did you review prior to your deposition  
21 today?

22 A. The autopsy report.

1 Q. Anything else?

2 A. No.

3 Q. Did you review your own chart?

4 A. No.

5 Q. Do you have a complete copy of your chart here  
6 with you?

7 A. I personally don't, no.

8 MR. GRIFFIN: Do you want a copy of  
9 the chart?

10 MR. RUF: Yes, I'd like to see it.

11 MR. GRIFFIN: Can you ask Sam for  
12 Gonda's chart?

13 THE WITNESS: We probably only have  
14 the office chart.

15 MR. GRIFFIN: That's all. Let's  
16 take a break.

17 (OFF THE RECORD)

18 (PLAINTIFF'S EXHIBIT 1 MARKED FOR IDENTIFICATION)

19 Q. Doctor, I've marked your CV as Plaintiff's  
20 Exhibit 1. To the best of your  
21 knowledge, is your CV up to date?

22 A. Yes, except the mailing address is slightly

1           inaccurate.   our post office box has  
2           changed.

3   Q.    What is the correct mailing address?

4   A.    You have the correct mailing address, I gave  
5           it to you already.

6   Q.    To the best of your knowledge, did you produce  
7           true and accurate copies of your entire  
8           chart?

9   A.    I would guess.

10               MR. GRIFFIN:   You mean previously?

11               MR. RUF:    Yes.

12   A.    I didn't reproduce anything, so I would assume  
13           yes.

14   Q.    Well, are you aware that we requested a copy  
15           of your entire chart?

16   A.    Yes.

17   Q.    And to the best of your knowledge, were true  
18           and accurate copies produced of that  
19           chart?

20   A.    Yes.

21   Q.    Doctor, what do you do to stay current in your  
22           field of medicine?

1 A. I attend my CME courses.

2 Q. Do you regularly review any medical  
3 periodicals?

4 A. Regularly? No.

5 Q. Do you subscribe to any medical periodicals?

6 A. Yes.

7 Q. What do you subscribe to?

8 A. Chest.

9 Q. Anything else?

10 A. The American Review of Respiratory Diseases.

11 Q. Anything else?

12 A. No.

13 Q. Do you have any medical texts that you  
14 regularly rely on in your practice?

15 A. No.

16 Q. Are there any medical texts that you would  
17 consider authoritative in the field of  
18 cardiology?

19 A. No.

20 Q. Do you specialize in a certain area of  
21 medicine?

22 A. Yes.

1 Q. What do you specialize in?

2 A. Pulmonary medicine, critical care medicine.

3 Q. What is critical care medicine?

4 A. Intensive care medicine.

5 Q. Does that involve treating people with cardiac  
6 conditions?

7 A. Sometimes.

8 Q. What type of cardiac conditions do you treat  
9 in your practice?

10 A. None.

11 Q. If you have somebody with a cardiac condition,  
12 what do you do?

13 A. Refer them to a cardiologist.

14 Q. Why do you refer them to a cardiologist?

15 A. Because that is who treats cardiac conditions.

16 Q. Do you treat patients with endocarditis?

17 A. No.

18 Q. If you had a patient in which you suspected  
19 that they had endocarditis, would you  
20 refer that patient to a cardiologist?

21 A. It depends on the setting.

22 Q. Under what circumstances would you refer that



1 patient to a cardiologist?

2 MR. GRIFFIN: It doesn't require you  
3 to speculate, you can answer.

4 A. If I thought they needed one.

5 Q. Is there a cardiologist that you regularly  
6 refer patients to?

7 A. No.

8 Q. You use different physicians for that?

9 A. Yes.

10 Q. When did you first see David Gonda? You can  
11 refer to your chart, if you need to.

12 A. August 15, 1995.

13 Q. Why did you see him?

14 A. I was asked to see him by the emergency room  
15 physician.

16 Q. Do you remember the request that was made to  
17 you by the ER physician?

18 A. No.

19 Q. Why did you see him in the ER?

20 A. I didn't see him in the ER.

21 Q. You did not?

22 A. No.

1 Q- Where did you see him?

2 A. Medical intensive care unit at St. Elizabeth's  
3 Hospital.

4 Q. Do you know what time you saw him?

5 A. No.

6 Q. Did you have any discussions with David Gonda  
7 at that time?

8 A. Sure.

9 Q. Do you remember the discussions you had?

10 A. I took a history and physical.

11 Q. Could you tell me the history and physical  
12 that you took?

13 A. Want me to read it to you?

14 Q. Please.

15 A. "Mr. Gonda is a 27 year old male who is well  
16 known to Dr. Cropp, who has presented  
17 this morning with significant  
18 hemoptysis."

19 MR. GRIFFIN: She is taking it down  
20 as you read it. We are doing more than just  
21 listening here.

22 A. I'm sorry. He states that his hemoptysis

1 person with blood streaking in his sputum  
2 over the last year or so. However, last  
3 morning he developed copious amounts of  
4 blood streaked sputum, which eventually  
5 became frank hemoptysis. Additionally,  
6 he became significantly short of breath  
7 and presented to the hospital. He admits  
8 to chronic fevers on and off throughout  
9 the summer, as well as ongoing difficulty  
10 with sinus congestion and post-nasal  
11 drip. Most recently, because of the  
12 significant cough he has developed  
13 left-sided chest pain. He denies  
14 significant sputum production. He denies  
15 change in appetite or significant  
16 constitution of complaints. He denies  
17 nausea, vomiting, abdominal pain, change  
18 in bowel or bladder habits. He denies  
19 peripheral edema. There are no  
20 arthralgias and there are no rashes.  
21 Past medical history: Rheumatoid only  
22 for chronic sinusitis. Social history:

SIMONI COURT REPORTING

1           He is a non-smoker, non-drinker, he  
2           denies illicit drug use or homosexual  
3           behavior. He denies unprotected sexual  
4           intercourse. Review of systems,  
5           unremarkable. Allergies, none. Physical  
6           examination, well developed, thin male  
7           who is visible, anxious, coughing  
8           continuously producing a significant  
9           amount of hemoptysis. His blood pressure  
10          is 100 over 60, his pulse is 160 and  
11          regular, his respiratory rate is 28, his  
12          temperature is 99.7. HEENT,  
13          unremarkable. Neck, supple. There is  
14          shotty bilateral lymphadenopathy. No  
15          audible bruits. The trachea is midline.  
16          Lungs remarkable for inspiratory ronchi,  
17          left greater than right, without  
18          expiratory wheeze. There are no audible  
19          pulmonary bruits. Cardiovascular,  
20          tachycardic. There is -- there is a typo  
21          error. This should read, "There is no  
22          audible murmurs, gallops, rubs." Abdomen

1 soft, bowel sounds active, non-tender.  
2 No palpable organomegaly. Genitalia  
3 normal male, normal testes, no palpable  
4 masses. The testes do feel somewhat  
5 atrophic. Extremities, no cyanosis,  
6 clubbing, edema, no palpable cords.  
7 Neurologic, grossly intact. Lymphatics,  
8 shotty axillary as well as inguinal  
9 lymphadenopathy. Laboratory data --

10 Q Please stop, Doctor. I just asked about the  
11 history and physical. Doctor, what were  
12 you reading from? A document from the  
13 St. Elizabeth's Hospital medical record?

14 A Yes.

15 Q And that is a document dated 8-15-95 and at  
16 the bottom it states, "S1 Consultation"?

17 A Correct.

18 Q Now, under cardiovascular, you read, "There is  
19 no audible murmurs." However the actual  
20 record states, "There is audible murmur,"  
21 correct?

22 A And I told you those were typographical

1 errors.

2 Q. How do you know that's a typographical error?

3 A. Because no one would describe audible murmurs,  
4 gallops and rubs without description.

5 Besides, the entire physical is written  
6 in the negative.

7 Q. I don't understand. Can you please explain?

8 A. I say, "there is no," continually throughout.

9 And the grammar of that sentence makes  
10 sense that there would be a "no" in  
11 there.

12 Q. Is this the first time that you were aware of  
13 this typographical error in this  
14 document?

15 A. No.

16 Q. When did you first become aware of this error?

17 A. When I read it with my attorney.

18 Q. When was that?

19 A. One week ago.

20 Q. Have you done anything to try and correct the  
21 record?

22 A. No.

1 Q. Did you only see David Gonda at  
2 St. Elizabeth's Hospital?

3 A. Yes.

4 Q. How many times did you see him at  
5 St. Elizabeth's Hospital?

6 A. While he was admitted there.

7 Q. Do you know the number of times that you saw  
8 him?

9 A. No. But it was at least daily.

10 Q. Did you see him at all at the Pulmonary  
11 Rehabilitation Associates' offices?

12 A. No.

13 Q. Did Dr. Cropp discuss this patient with you  
14 prior to his admission at St. Elizabeth s  
15 Hospital?

16 A. No.

17 Q. So, at the time you saw David Gonda, you were  
18 aware that he had had a fever for the  
19 whole summer?

20 A. Only what he told me.

21 Q. And what did he tell you?

22 A. That he had chronic fevers.

1 Q. Based on the symptoms that he related to you,  
2 would you describe that condition as  
3 general malaise?

4 A. Yes.

5 Q. At the time you saw David Gonda, what was the  
6 differential diagnosis?

7 A. I thought he had Wegener's granulomatosis.

8 Q. Why did you feel that he had Wegener's  
9 granulomatosis?

10 A. He had hemoptysis, mass-like lesions on his  
11 chest X-ray and sinusitis.

12 Q. Was anything else part of the differential  
13 diagnosis at that time?

14 A. At that time, no, that was my working  
15 diagnosis. I shouldn't say that. Let's  
16 see what else I wrote.

17 Q. Feel free to refer to your records if you need  
18 to.

19 A. I also thought that possibly he could have  
20 lymphoma.

21 Q. Why did you think he might have lymphoma?

22 A. Because of persistent fevers in a young male.



1 I also stated in my assessment that it  
2 was unlikely that this was infectious in  
3 nature.

4 Q. Why did you state that?

5 A. Because I didn't feel that it was infectious  
6 in nature.

7 Q. Well, can you explain why? What was your  
8 reasoning behind that?

9 A. Because I thought it was Wegener's  
10 granulomatosis or lymphoma, which are not  
11 infectious in nature.

12 Q. Is a fever a sign of infection?

13 A. Yes.

14 Q. Is a white -- high white blood count a sign of  
15 infection?

16 A. Could be.

17 Q. At the time you saw him on August 15, were you  
18 aware of any CBC's that had been done?

19 A. Yes.

20 Q. What was the date of the CBC?

21 A. His white count was 23,600.

22 Q. Excuse me, Doctor, what was the date?

1 A. Oh. That, I don't know. I don't have that in  
2 my record here.

3 Q. So, you were aware he had a high white blood  
4 count?

5 A. Yes.

6 Q. And you indicated a high white blood count is  
7 a sign of infection?

8 A. I said, "could be."

9 Q. Well, if he had a chronic fever and high white  
10 blood count, why wouldn't you consider  
11 his condition to be infectious in nature?

12 A. I just told you, because I thought he had  
13 Wegener's granulomatosis or lymphoma.

14 Q. Well, shouldn't some type of infectious  
15 process have been included in the  
16 differential diagnosis?

17 A. I didn't think so.

18 Q. What are the possible causes of a chronic  
19 fever and cough?

20 A. Two of the things that I mentioned.

21 Q. Anything else?

22 A. There is a huge list of things that can cause

1 a chronic fever.

2 Q. Can endocarditis produce symptoms of chronic  
3 fever and cough?

4 A. Yes.

5 Q. Was endocarditis part of your differential  
6 diagnosis on August 15?

7 A. No.

8 Q. At any time during your care and treatment of  
9 David Gonda, was endocarditis part of  
10 your differential diagnosis?

11 A. Yes.

12 Q. When did it become part of your differential  
13 diagnosis?

14 A. August 16.

15 Q. What happened on August 16 to make it become  
16 part of your differential diagnosis?

17 A. He had a two dimensional echocardiogram.

18 Q. And what did the 2-D echocardiogram show?

19 A. It showed -- well, I don't remember the exact  
20 report, because I don't have it in front  
21 of me. I can only tell you what I saw at  
22 the bedside.

1 Q. Doctor, I'm handing you a copy of the 2-D  
2 echocardiogram report.

3 A. Would you like me to read their remarks?

4 Q. What was it in the 2-D echocardiogram that  
5 made endocarditis become part of your  
6 differential diagnosis?

7 A. A section that says, "**The** right ventricle  
8 appears to be of normal size. However,  
9 there is a questionable intracavitary  
10 mass noted at the level of the moderator  
11 band. No significant delineation could  
12 be made from this study."

13 Q. What is significant about that statement?

14 A. Well, there was some abnormal shadow on the  
15 right side that could not be well  
16 visualized, so I could not be sure if it  
17 was not something from the ventricle  
18 itself or something on the tricuspid  
19 valve.

20 Q. To the best of your knowledge, what doctor  
21 suggested that David Gonda might be  
22 suffering from endocarditis?

1 A. I don't know that any doctor suggested that.

2 Q. But to your knowledge? was Dr. Franco the  
3 first one to consider endocarditis as a  
4 possible cause for David Gonda's  
5 problems?

6 A. As far as I know, I didn't know that  
7 Dr. Franco made any diagnoses as a part  
8 of his assessment.

9 Q. Well, did bacterial endocarditis become part  
10 of your differential based upon your  
11 review of this 2-D echocardiogram?

12 A. Yes.

13 Q. It wasn't from the discussion with another  
14 doctor?

15 A. Correct.

16 Q. And when something becomes part of the  
17 differential diagnosis, it's part of the  
18 differential diagnosis until it's ruled  
19 out?

20 A. Reasonable.

21 Q. Was bacterial endocarditis ever ruled out  
22 during your care and treatment of David

1 Gonda?

2 A. Yes.

3 Q. At what point was it ruled out?

4 A. When the trans-esophageal echocardiogram  
5 demonstrated the mass lesion in the right  
6 ventricle and a normal tricuspid valve.

7 Q. Why would the TEE rule out bacterial  
8 endocarditis?

9 A. Because you can't have an endocarditis on a  
10 normal valve.

11 Q. Well, you can have endocarditis in some place  
12 other than a valve in the heart, can you  
13 not?

14 A. Sure.

15 Q. So, then, what was it about the TEE that ruled  
16 out bacterial endocarditis?

17 A. Well, that and the negative blood cultures.

18 Q. Well, are you aware that you can have negative  
19 blood cultures in someone with bacterial  
20 endocarditis?

21 A. Yes.

22 Q. So, then, why would the negative blood

1           cultures rule out bacterial endocarditis?

2    A.    Because that wasn't part of the differential  
3           in the first place. And in the second  
4           place, there was an infiltrating lesion  
5           in the right ventricle on  
6           trans-esophageal echo with clot on it,  
7           which is not endocarditis. There was a  
8           normal tricuspid valve, which is not  
9           endocarditis. So, all of the reasons for  
10          not having endocarditis were there. So,  
11          therefore, it was ruled out.

12   Q.    With endocarditis, you have a vegetation in  
13          the heart, correct?

14   A.    If it's on the valve, yes.

15   Q.    Can't you have vegetation in the heart that is  
16          not on the valve?

17   A.    I guess.

18   Q.    Do you know whether or not you can have  
19          vegetation that's in the heart that's not  
20          on the valve with endocarditis?

21   A.    Absolutely? **No**, I do not.

22   Q.    If you wanted to find that out, where would

1                   you go and look?

2     A.     Probably look in a textbook.

3     Q.     What textbook would you look in?

4     A.     Couldn't tell you.

5     Q.     Did you do any medical research during your  
6                care and treatment of David Gonda?

7     A.     No.

8     Q.     Have you done any medical research since David  
9                Gonda's death?

10    A.     No.

11    Q.     Based on your history and physical on August  
12                15, what symptoms would be consistent  
13                with bacterial endocarditis?

14    A.     History of IV drug abuse, malaise and fever  
15                certainly would be consistent, then a  
16                physical examination with a murmur might  
17                be helpful.

18    Q.     Are you aware that with right-sided  
19                endocarditis, that in a certain  
20                percentage of people there is no murmur?

21    A.     There's always a murmur, you just may not be  
22                able to hear it.



1 Q. So, it's your position that with bacterial  
2 endocarditis there is always a heart  
3 murmur?

4 A. If it's on the valve, yes.

5 Q. What if you have bacterial endocarditis that's  
6 not on the valve?

7 A. Then there wouldn't be a murmur.

8 Q. To the best of your knowledge, were serial  
9 blood cultures ever done on David Gonda?

10 A. Yes.

11 Q. When were those serial blood cultures done?

12 A. I don't know the exact dates. They would be  
13 in the hospital record.

14 Q. Here, I'm handing you a copy of the  
15 St. Elizabeth's Hospital record. Could  
16 you tell me the date the serial blood  
17 cultures were done?

18 A. 8-17, 8-17, 8-16, 8-16.

19 Q. How many cultures were done?

20 A. Four.

21 Q. And at the time the cultures were done, was  
22 David Gonda on antibiotics?

1 A. I don't recall.

2 Q. You don't know one way or the other?

3 A. I can't recall.

4 Q. To the best of your knowledge, were serial  
5 blood cultures done during a time in  
6 which David Gonda was not on antibiotics?

7 A. I don't recall whether he was on them, so I  
8 can't answer the question.

9 Q. Would you be able to determine that by looking  
10 at the hospital record?

11 A. Probably.

12 Q. Well, please do that.

13 A. There does not appear to be antibiotics  
14 ordered during his hospitalization.

15 Q. Do you know whether or not he was on  
16 antibiotics just prior to his hospital  
17 admission?

18 A. No.

19 Q. Do you know whether or not a patient's taking  
20 of antibiotics can produce negative blood  
21 cultures?

22 MR. GRIFFIN: Hold on a second. Are

1     you done looking through the record or do you still  
2     have more record to look through to answer his  
3     question about whether antibiotics were ordered in  
4     the hospital? Because I don't want you looking for  
5     one thing while he's asking you other things. So,  
6     let us know when you're done.

7     A.     No, I don't see them ordered.

8     Q.     Do you need some additional time to look at  
9             the record?

10    A.     If these are the only orders -- no. Wait, I'm  
11             sorry, he was. He was taking an oral  
12             antibiotic.

13    Q.     What was the name of the antibiotic?

14    A.     Bactrim.

15    Q.     Do you know why he was on Bactrim?

16    A.     No.

17    Q.     Did you prescribe the Bactrim?

18    A.     No.

19    Q.     Who prescribed the Bactrim?

20    A.     Don't know. Can't read his signature.

21    Q.     So, at the time the cultures were taken, David  
22             Gonda was on antibiotics?

1 A. It appears that way, yes.

2 Q. If a --

3 A. Is there an ER note in here? Okay. I'm done.

4 MR. TRAVERS: I don't think there is  
5 a question pending.

6 MR. GRIFFIN: Not yet.

7 Q. If a patient has bacterial endocarditis and is  
8 on antibiotics, do you know whether or  
9 not that affects blood cultures that are  
10 taken?

11 A. It could.

12 Q. How could it affect blood cultures?

13 A. They could be negative.

14 Q. To your knowledge, were serial blood cultures  
15 ever done while David Gonda was not on  
16 antibiotics?

17 A. No.

18 Q. You don't know or the blood cultures were not  
19 done?

20 A. They don't appear to have been done while he  
21 was not on antibiotics. Wait, no, that's  
22 not true. First two sets were on

1 antibiotics, the second two he was off.

2 Q. But you do not know whether or not he was on  
3 antibiotics prior to the hospital  
4 admission?

5 A. Correct.

6 Q. Do you know how long it takes the human body  
7 to process antibiotics?

8 A. Depends on whether he absorbed them, it  
9 depends on whether -- the antibiotic, it  
10 depends on kidney function, liver  
11 function, etcetera.

12 Q. In general, do you know how long it takes for  
13 an antibiotic to go through the human  
14 body?

15 A. Like I said, it depends on the antibiotic, the  
16 route, the kidney function, the liver  
17 function. So, I wouldn't even venture to  
18 make that statement.

19 Q. Would the right ventricular mass shown on the  
20 TEE be consistent with bacterial  
21 endocarditis?

22 A. No.

1 Q. Why not?

2 A. Because that's not the typical appearance of  
3 endocarditis.

4 Q. What is the typical appearance of  
5 endocarditis?

6 A. I can only tell you that the cardiologist that  
7 read it said that this was consistent  
8 with an infiltrating tumor of the  
9 myocardium. I'm not an expert on  
10 echocardiographic evidence of  
11 endocarditis.

12 Q. Were you aware of the results of any chest  
13 X-rays that were performed on David  
14 Gonda?

15 A. Yes.

16 Q. What were the results of the chest X-ray?

17 MR. GRIFFIN: Let's talk about which  
18 one you are referring to first.

19 Q. Well, to your knowledge, how many chest X-rays  
20 were taken?

21 A. I can't tell you. There was at least one.

22 Q. If you need to, go ahead and refer to the

1 record.

2 A. There appears to have been three chest X-rays,  
3 and a CAT scan of the chest.

4 Q. Could you tell me the dates of the chest  
5 X-rays?

6 A. August 15, August 16 and August 17.

7 Q. Did you review the actual three chest X-rays?

8 A. Yes.

9 Q. What were the results of the chest X-ray on  
10 August 15?

11 A. There were bilateral mass-like densities on  
12 the left greater than the right, with  
13 bilateral hilar fullness or masses.

14 Q. Could those bilateral mass-like densities be  
15 embolization?

16 A. Certainly they could.

17 Q. With bacterial endocarditis, do you have  
18 embolization to the lungs?

19 A. Yes, but not to that size and extent.

20 Q. Why do you say, "Not to that size and extent"?

21 A. Because usually there are small flags of  
22 tissue that are embolized to the chest,

1 not larger clots.

2 Q. Have you ever treated a patient that had  
3 bacterial endocarditis that had larger  
4 size embolization to the lungs?

5 A. No.

6 Q. Over the past seven and a half years, how many  
7 patients have you treated with bacterial  
8 endocarditis?

9 A. Personally, none.

10 Q. Were you aware that an EKG was done on David  
11 Gonda?

12 A. There appears to be one on the chart.

13 Q. Do you know what the results of the EKG were?

14 A. I could read them to you.

15 Q. Please do that.

16 A. I can't read the dates because they are not  
17 copied on these, so I'm not sure when  
18 they were done. They have been cut off.  
19 But there was one done at 5:25 on the  
20 15th of August, 1995. That says,  
21 "Regular sinus rhythm, with an RSR in  
22 lead V1-V2 consistent with right



1           ventricular conduction delay, abnormal  
2           rhythm EKG."

3    Q.    Would a right ventricular conduction delay be  
4           consistent with bacterial endocarditis in  
5           the right ventricle?

6    A.    Yes and/or anything **else** that would interfere  
7           with the conduction on the right side.

8    Q.    What would cause right ventricular conduction  
9           delay?

10   A.    Something wrong with the right conducting  
11          bundle.

12   Q.    Other than a mass, what could cause a right  
13          ventricular conduction delay?

14   A.    Heart disease, any infiltrating lesion in the  
15          area, abnormal size to the right  
16          ventricle.

17   Q.    Would lymphoma cause a right ventricular  
18          conduction delay?

19   A.    If it were infiltrating the heart muscle, yes.

20   Q.    And I'm sorry, what was the other diagnosis  
21          that was part of your differential?

22   A.    Wegener's granulomatosis.

1 Q. Would that cause a right ventricular  
2 conduction delay?

3 A. Not that I'm aware of.

4 Q. Would lymphoma produce a right ventricular  
5 mass?

6 A. It could.

7 Q. Under what circumstances would it produce a  
8 right ventricular mass?

9 A. When the lymphoma is involving the muscle of  
10 the heart.

11 Q. Did you have a discussion with David Gonda  
12 about the differential diagnosis?

13 A. I don't recall.

14 Q. Did you discuss the differential diagnosis  
15 with David Gonda's family?

16 A. On the 17th of August, myself and Dr. Hunt  
17 told the family about the abnormal  
18 trans-esophageal echocardiogram. What we  
19 saw on the study, we suggest -- we --  
20 although I cannot be 100 percent sure  
21 what we actually told them it was, I  
22 don't recall, we suggested sending the

1           tape itself to the Cleveland Clinic to  
2           allow their cardiologist to look at it.  
3           They said, "No, you'll send our son to  
4           the Cleveland Clinic."

5   Q.    Do *you* remember any other discussions you had  
6           with the family?

7   A.    No.

8   Q.    Who is Dr. Hunt?

9   A.    He's the cardiologist that performed the  
10          trans-esophageal echocardiogram.

11   Q.    Other than performing the TEE, was he involved  
12          in David Gonda's care and treatment?

13   A.    No.

14   Q.    Was a cardiologist's consultation obtained?

15   A.    No.

16   Q.    Do you know why a cardiologist's consultation  
17          was not obtained during his admission at  
18          St. Elizabeth's Hospital?

19   A.    Because it wasn't until the trans-esophageal  
20          echocardiogram was done that a cardiac  
21          abnormality as the source of his problems  
22          became obvious, and since the family

1 requested he be transferred, there was  
2 little need at that point to get a  
3 cardiologist involved.

4 Q. Did you have any discussions with Dr. Cropp  
5 about David Gonda?

6 A. Yes.

7 Q. What discussions did you have with Dr. Cropp?

8 A. I spoke to Dr. Cropp the morning of David's  
9 admission. He told me that he did, in  
10 fact, know him and that he was scheduled  
11 for a CAT scan and that he had treated  
12 him for his sinusitis.

13 Q. Did Dr. Cropp give you his impression of what  
14 he thought was wrong with David Gonda?

15 A. I cannot recall.

16 Q. At any point did you have a discussion with  
17 Dr. Cropp about what Dr. Cropp thought  
18 was wrong with David Gonda?

19 A. Other than that that we just talked about?

20 Q. Yes.

21 A. No.

22 Q. Do you remember having any other discussions

1                   with Dr. Cropp other than the one we just  
2                   talked about?

3     A.     Yes, when we sat with our lawyer last week.

4     Q.     Did you have any discussions with Dr. Ruiz at  
5                   the hospital?

6     A.     Actually, no.

7     Q.     Did you have any discussions with Dr. Ruiz  
8                   after --

9     A.     No.

10    Q.     -- David Gonda died? Did you have any  
11                   discussions with Dr. Franco at the  
12                   hospital?

13    A.     Other than to consult him to do the biopsy,  
14                   no. And to tell him that the biopsy  
15                   wouldn't be necessary on the 17th.

16    Q.     Why did you tell him that the biopsy was not  
17                   going to be necessary?

18    A.     Because the patient was going to the Cleveland  
19                   Clinic.

20    Q.     Do you know who admitted David Gonda to  
21                   St. Elizabeth's Hospital?

22    A.     Who the attending of record is? Is that what

1                   you are asking me?

2     Q.     Yes.

3     A.     Dr. Ruiz.

4     Q.     Do you know why the decision was made to admit  
5               David Gonda to the hospital?

6     A.     No, I'm not aware of the conversation he had  
7               with the emergency room people.

8     Q.     What treatment did you provide to David Gonda  
9               while he was a patient at St.  
10              Elizabeth's?

11    A.     My treatment was directed against decreasing  
12              the amount of blood he was coughing up,  
13              maintaining his oxygen levels normal, and  
14              minimizing cough.

15    Q.     And what treatment did you provide to him?

16    A.     Sorry, I just don't know how this thing is  
17              organized. He was given aerosol  
18              treatments with Albuterol. I had Social  
19              Service see him about paying for his  
20              hospital admission. I checked his blood  
21              counts. I ordered the 2-D echo. I  
22              ordered a transfusion when his blood

1 count was down. I ordered two sets of  
2 blood cultures -- twice -- two different  
3 sets of blood cultures. I ordered iron  
4 studies, urinalysis. That's it.

5 Q. Was there any improvement in David Gonda's  
6 condition based on the treatment that you  
7 rendered to him?

8 A. Yes.

9 Q. What improvement was there in his condition?

10 A. His -- the amount of blood he was coughing up  
11 decreased significantly, his oxygen  
12 levels became normal, his heart rate  
13 improved, he was less short of breath.

14 Q. What was his condition when he left  
15 St. Elizabeth's Hospital?

16 A. He was awake, alert, blood pressure was  
17 normal, his heart rate was 110, his  
18 breathing, 24 times a minute. His  
19 temperature was 99.7, and his oxygen  
20 saturation was 100 percent.

21 Q. At the time he left St. Elizabeth's Hospital,  
22 was there a definitive diagnosis for

1 David Gonda?

2 A. No.

3 Q. What diagnoses were part of the differential  
4 when he was transferred to the Cleveland  
5 Clinic?

6 A. Let me look at the progress notes, I'll tell  
7 you exactly what I said. I wrote, "The  
8 trans-esophageal echo demonstrates a  
9 large density in the right ventricle with  
10 echo characteristics of clot.  
11 Unfortunately I cannot explain this chest  
12 radiogram for the simple embolization of  
13 clot to the pulmonary vessels. I have an  
14 otherwise healthy 27 year old.  
15 Questionable neoplastic process or  
16 primary pulmonary parenchymal  
17 abnormality. Family has requested  
18 transfer to the Cleveland Clinic  
19 Foundation. Dr. Ruiz has arranged  
20 transfer to cardiothoracic services."

21 Q. Can I see the record, Doctor? Do you know  
22 whose note is the note of 8-17-95 at 8:30



1 a.m.?

2 A. Yes, mine.

3 Q. Could you read the last couple lines of that  
4 note?

5 A. "2-D echo, questionable tricuspid vegetation,  
6 clinically this could be put together if  
7 he did, in fact, have a right-sided  
8 endocarditis."

9 Q. On today's date of December 18, 1997, do you  
10 have an opinion based on reasonable  
11 medical probability as to what the cause  
12 was of David Gonda's symptoms?

13 A. Yes, embolization of blood clot from  
14 endomyocardial fibrosis of the right  
15 ventricle.

16 Q. Why is that your opinion?

17 A. Because that's what the autopsy found.

18 Q. Do you know whether or not the Cleveland  
19 Clinic actually reviewed the slides that  
20 were taken during the autopsy?

21 A. I can't tell you what the Cleveland Clinic  
22 Foundation did.

1 Q. If the autopsy at the Cleveland Clinic was  
2 inaccurate, would that change your  
3 opinion as to the cause of David Gonda's  
4 symptoms?

5 A. Blood clot from the ventricle was the cause of  
6 his symptoms. And that fits with the  
7 autopsy findings in the lung that  
8 demonstrated blood vessels with clot,  
9 pulmonary infarct, pulmonary alveolar  
10 hemorrhage.

11 Q. If the slides that were taken at autopsy show  
12 that David Gonda was suffering from  
13 endocarditis, would you disagree with  
14 that finding?

15 MR. GRIFFIN: That's so speculative  
16 that he can't even be asked to respond to that.

17 Q. Please answer the question, Doctor.

18 MR. GRIFFIN: I mean, if you can  
19 answer his question, by all means, go ahead. But I  
20 object to the question.

21 A. I can't answer that.

22 Q. Do you recognize that the presenting symptoms

1 of bacterial endocarditis can be highly  
2 variable?

3 A. Yes.

4 Q. And do you agree that with right-sided  
5 bacterial endocarditis, that typically  
6 there is pulmonary embolization?

7 A. I don't know that for a fact, no.

8 Q. Would you agree that because the clinical  
9 manifestations of bacterial endocarditis  
10 are numerous and non-specific, that the  
11 differential diagnosis of this disease is  
12 very wide?

13 MR. GRIFFIN: Objection.

14 A. I have no idea what you are asking me with  
15 that question.

16 Q. Do you recognize that bacterial endocarditis  
17 must be considered during the workup of  
18 every patient with a fever of unknown  
19 origin?

20 A. He didn't have a fever of unknown origin.

21 Q. What was the origin of his fever?

22 A. Blood clots from the right ventricle. And

1 definition of a fever of unknown origin  
2 is weeks of investigation for a fever.  
3 He had two days' worth of investigation.  
4 So, he did not have a fever of unknown  
5 origin.

6 Q. Would you agree that bacterial endocarditis  
7 must be considered during the workup of a  
8 patient with chronic general malaise?

9 A. That's so non-specific, that it could be any  
10 disease that we talk about.

11 Q. Would you agree that typically the symptoms of  
12 bacterial endocarditis are a flu-like  
13 illness?

14 A. I don't think that there's any typical  
15 symptoms.

16 Q. Well, since there aren't any typical symptoms,  
17 shouldn't it be part of the differential  
18 with somebody with chronic fever and  
19 flu-like symptoms?

20 A. As far as I was concerned, he didn't have  
21 chronic fever.

22 Q. Well, do you disagree with the statement in

1           the medical record that at the time of  
2           admission David Gonda had had ten weeks  
3           of fever?

4           MR. GRIFFIN:   What part of the  
5           record --

6           A.     Who --

7           MR. GRIFFIN:   Wait a second.  He  
8           asked you a question from the record.  Let's look  
9           at it.

10          Q.     What was your understanding as to the duration  
11                 of his fever at the time of admission?

12          A.     Only that he said he had been having fevers,  
13                 but there were no documentation that that  
14                 was, in fact, the case.

15          Q.     Did you find David Gonda to be a credible  
16                 patient?

17          A.     Yes.

18          Q.     Was David Gonda a compliant patient?

19          A.     Couldn't tell you.

20          Q.     Did you have any reason to distrust his  
21                 statement that he had had fevers for ten  
22                 weeks?

1 A. Only the fact that he said he had fevers, but  
2 that doesn't necessarily mean he did. A  
3 lot of people will tell you they have  
4 fevers when, in fact, they didn't take  
5 their temperature, they thought they had  
6 fever. So, that's not necessarily  
7 concrete proof that they had been having  
8 fever. As a matter of fact, there is no  
9 proof that he had been having fever.

10 Q. When making a decision as to his diagnosis,  
11 did you make a determination whether or  
12 not he had had fevers all summer?

13 A. I made my differential based on his symptoms  
14 at the time of his hospital admission,  
15 not based on his previous history of  
16 fevers that I may or may not have been  
17 able to prove.

18 Q. Isn't a patient's history important in  
19 rendering a diagnosis?

20 A. As far as symptoms, yes.

21 Q. So, did you take his history of symptoms into  
22 account in rendering your diagnosis?

1 A. Yes.

2 Q. Would you agree that the most consistent  
3 symptom of bacterial endocarditis is  
4 general malaise or flu-like symptoms?

5 A. Yes, as is general malaise a symptom of almost  
6 every chronic disease that we have.

7 Q. Do you recognize that if the diagnosis of  
8 bacterial endocarditis can be made on  
9 clinical grounds, that therapy should be  
10 initiated, despite negative blood  
11 cultures?

12 A. Yes.

13 Q. What's your opinion?

14 A. I agree that if that were a clinical decision,  
15 that endocarditis existed, that blood --  
16 that antibiotics should be started.

17 Q. Do you know what the treatment is for  
18 bacterial endocarditis?

19 A. Usually it's antibiotics.

20 Q. Do you know what type of antibiotics?

21 A. Directed against the bacteria that's causing  
22 the infection.

1 Q. And how do you make a determination as to what  
2 type of antibiotics to give a patient?

3 A. Based on the bacteria that grows in the blood  
4 cultures.

5 Q. Would you agree that the chief goal in  
6 treating bacterial endocarditis is to  
7 irradiate the infecting organism as soon  
8 as possible?

9 A. Yes.

10 Q. Would you agree that, depending on the  
11 bacteria, the survival rate for treatment  
12 of a patient with bacterial endocarditis  
13 is over 90 percent?

14 A. That -- I can't tell you those statistics.

15 Q. Would you agree that bacterial endocarditis is  
16 almost universally fatal if untreated?

17 A. I can't state that with fact, either.

18 Q. Do you remember any other conversations with  
19 doctors that we have not discussed?

20 A. No.

21 Q. Would shortness of breath be consistent with  
22 bacterial endocarditis?



1 A. Wouldn't be a common complaint, no.

2 Q. Would coughing up blood be consistent with  
3 bacterial endocarditis?

4 A. No, not commonly, no.

5 Q. Do you remember any other conversations that  
6 you had with David Gonda that we have not  
7 discussed?

8 A. No.

9 Q. Have you had any discussions with any doctors  
10 about David Gonda since his death?

11 A. No.

12 Q. Did you have any difficulty in communicating  
13 with David Gonda --

14 A. No.

15 Q. -- while he was your patient?

16 A. No.

17 Q. After the echocardiogram was done, why wasn't  
18 a cardiologist consulted?

19 A. I told you.

20 MR. GRIFFIN: Yes, you did.

21 A. Patient went to the Cleveland Clinic.

22 Q. Did you have any discussions with anyone at

1 the Cleveland Clinic?

2 A. Yes.

3 Q. What discussions did you have and with who?

4 A. Dr. Weidemann. I presented our findings to  
5 that point.

6 Q. Do you specifically remember the discussions?

7 A. No.

8 Q. Could you tell me anything else about that  
9 conversation with Dr. Weidemann?

10 A. Only that I called him to discuss the case  
11 before he was transferred.

12 Q. Do you have an opinion based on medical  
13 probability as to David Gonda's chances  
14 of survival when he was discharged from  
15 St. Elizabeth's Hospital?

16 A. **No.**

17 Q. In your opinion, was it more probable than not  
18 that he was going to die when he was  
19 discharged from St. Elizabeth's Hospital?

20 A. Yes.

21 Q. Why do you say that?

22 A. Our diagnosis was an infiltrating tumor of the

1           ventricle, which would have required --  
2           well, I'm not sure of the exact  
3           treatment, but, in all likelihood, it  
4           would have been a fatal disease.

5   Q.    Was a consult brought in concerning the  
6           diagnosis of infiltrating tumor of the  
7           ventricle?

8   A.    The patient was transferred to the Cleveland  
9           Clinic, so I can't answer that question.

10   Q.   Do you know what type of tumor this  
11           infiltrating tumor of the ventricle was?

12   A.    It was endomyocardial fibrosis, based on  
13           autopsy.

14   Q.   Did you discuss your note of 8-17 with either  
15           Dr. Ruiz or Dr. Cropp?

16   A.    Dr. Cropp was on vacation. So, I can pretty  
17           much assume the answer to that is no.  
18           And I know I spoke to Dr. Ruiz before he  
19           was transferred to the Cleveland Clinic.  
20           I don't specifically know if I discussed  
21           my note with him.

22   Q.    When did Dr. Cropp go on vacation?

1 A. Sometime before David Gonda's admission to the  
2 hospital.

3 Q. Do you know how long before?

4 A. No. I mean, I don't recall.

5 Q. Were you asked to see him at the hospital  
6 because Dr. Cropp was on vacation?

7 A. Yes.

8 Q. So, you were covering for Dr. Cropp?

9 A. Correct.

10 Q. I forgot. Did you say how many times you saw  
11 him at the hospital?

12 A. I didn't, no.

13 MR. GRIFFIN: He said, "Daily."

14 A. I said at least daily.

15 Q. And what were the dates of admission?

16 A. 8-15-1995 through 8-17-1995.

17 Q. Did you have any discussions with Dr. Cropp  
18 about David Gonda before Dr. Cropp went  
19 on vacation?

20 A. No.

21 Q. Was Dr. Cropp's chart available to you at the  
22 time you treated David Gonda?

1 A. Yes.

2 Q. Did you review Dr. Cropp's chart during David  
3 Gonda's admission to St. Elizabeth's  
4 Hospital?

5 A. No, I did not.

6 Q. Did you obtain any other medical records  
7 during the time you treated David Gonda  
8 at St. Elizabeth's Hospital?

9 A. No.

10 Q. Do you know whether or not David Gonda's case  
11 was the subject of any morbidity and  
12 mortality meeting?

13 A. I have no idea.

14 Q. What documents did you produce in the hospital  
15 chart other than the S1 consultation of  
16 August 15?

17 A. I would have written progress notes on the  
18 times that I saw him.

19 Q. Could you tell me what dates you wrote  
20 progress notes?

21 A. I wrote on 8-15-1995, 9:00 a.m. A note when I  
22 first saw him, that I did see him. There

is a -- there is a note again, 8-16, and again, two notes on 8-17.

Q. Other than the one note of 8-17 that we discussed, which talks about bacterial endocarditis, was bacterial endocarditis discussed in any of the other of your notes?

A. No.

Q. Can I review your chart, please?

MR. GRIFFIN: He's talking about this one. (Indicating)

THE WITNESS: Oh. Which one do you want?

Q. When did you find out that David Gonda was deceased?

A. I don't recall.

Q. Do you know whose writing this is in the office notes for Pulmonary Rehabilitation Associates?

A. What are you specifically referring to?

Q. The handwritten note of July 17 -- I'm sorry, July 13, '95.

1 A. Dr. Cropp.

2 Q. Do you know whether any chest X-rays were  
3 taken at this office?

4 A. No.

5 Q- Do you have the capability of performing chest  
6 X-rays here at this office?

7 A. Yes.

8 MR. RUF: Thank you, Doctor. That's  
9 all I have for now.

10 MR. KRESS: I have no questions.

11 MR. TRAVERS: I have no questions.

12 MR. BOETCHER: I have no questions.

13 MR. GRIFFIN: He'll read.

14 (WHEREUPON THE DEPOSITION OF ROBERT DEMARCO, M.D.,  
15 WAS CONCLUDED AT 2:28 PM)

16

17

18

19

20

21

22

## REPORTER'S CERTIFICATE

I, Kathleen Skowron, a Notary Public within and for the State of Ohio, duly commissioned and qualified, do hereby certify that the above-named ROBERT DEMARCO, M.D., was by me first duly sworn to testify the truth, and that this deposition was written in the presence of the witness and by me transcribed, and that the deposition was taken at the time and place in the agreement specified.

I certify that I am not of counsel or relative to either party or otherwise interested in this action.

I further certify that the above and foregoing is a true and complete transcript of all the testimony and proceedings had in this deposition, as shown by stenotype notes written in the presence of the witness at the time of this deposition.

IN WITNESS WHEREOF, I have set my hand and Seal of Office at Warren, Ohio, this 13th day of January, 1998.

Kathleen Skowron, Notary Public  
My Commission Expires 10-30-2000



## CORRECTION SHEET

1

2

3

PAGE NO.	LINE NO.	CORRECTION
----------	----------	------------

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

## SIGNATURE PAGE

I, ROBERT DEMARCO, M.D., have read or have had the opportunity to read the foregoing deposition and find it true and correct to the best of my knowledge, information and belief, unless otherwise specified and listed on page 65, and I hereby subscribe my signature thereto, this \_\_\_\_\_ day of \_\_\_\_\_, 1998.

\_\_\_\_\_  
ROBERT DEMARCO, M.D.

Before me, a Notary Public, in and for the State of Ohio, personally appeared ROBERT DEMARCO, M.D., who deposes and says that he has read or has had the opportunity to read the foregoing deposition, and that he finds it true and correct to the best of his knowledge, information and belief, unless otherwise specified and excepted to on page 65 of the deposition.

Sworn to and subscribed before me this ----- day of \_\_\_\_\_, 1998.

\_\_\_\_\_  
NOTARY PUBLIC