

COMPUTERIZE D TRANSCRIPTION IBM COMPATIBLE COLOR VIDEO TAPING

Kathleen M. Nabozny,

VS.

William E. Chepla, D.D.S.,

— — —

ROBERT I. RIA & ASSOCIATES
Court Reporters

Court Reporters

1150 THE ILLUMINATING BUILDING • CLEVELAND, OHIO 44113

1 APPEARANCES:

2 Kaufman & Cumberland, by
3 Mr. Frank R. DeSantis,

4 on behalf of the Plaintiff;

5 Kitchen, Messner & Deery, by
6 Mr. Eugene B. Meador,

7 on behalf of the Defendant.

8
9 - - -

10
11 S T I P U L A T I O N S

12 It was stipulated by and between counsel
13 for Plaintiff and Defendant that this deposition may
14 be taken in stenotypy by Angelika P. Veres, that said
15 stenotype notes may be subsequently transcribed into
16 typewriting in the absence of the witness; that the
17 reading and signing of the deposition by the witness
18 are waived; and that all requirements of the Ohio
19 Rules of Civil Procedure with regard to notice of
20 time and place of taking this deposition are waived.

21 - - -
22
23
24
25

1
2 MICHAEL DEVERAUX, of lawful age,
3 a witness herein, called by the
4 Defendant for the purpose of
5 examination, as provided by the Ohio
6 Rules of Civil Procedure, being by me
7 first duly sworn, as hereinafter
8 certified, deposed and said as follows:

9 - - -

10 DIRECT EXAMINATION OF MICHAEL DEVEREAUX

11 BY MR. MEADOR:

12 Q Tell us your name, please?

13 A Michael Devereaux.

14 Q And you're a doctor; is that right?

15 A Yes.

16 Q Dr. Devereaux, my name is Gene Meador and I
17 represent Dr. Chepla, who is a Defendant in
18 this lawsuit, and you're well aware of that, I
19 understand?

20 A Yes, sir.

21 Q You've been identified as a potential expert
22 witness in this case and you prepared a report
23 which I see is in front of you.

24 This is your deposition and my purpose is
25 to find out what your opinions are and what

1 opinions you might render in connection with
2 this lawsuit.

3 MR. MEADOR: The deposition here
4 is being conducted by agreement of
5 counsel; is that correct?

6 MR. DeSANTIS: It sure is.

7 MR. MEADOR: And I have provided
8 you, Frank, with a copy of our expert's
9 report by Dr. Mann; is that correct?

10 MR. DeSANTIS: Yes, you have.

X 11 Q Before we begin, might I look at your file to
12 know what you have in it so I can save a
13 little time?

14 A Okay. The only thing I would mention to you,
15 Dr. Mann I think is the report you were just
16 referring to, I just saw it a few minutes
17 before, so I haven't had a chance to go over
18 it in detail yet, but other than that --

19 Q Why don't you read Dr. Mann's report while I'm
20 looking through your file?

21 A All right.

22 - - -

23 (Discussion had off the record.)

24 - - -

X 25 Q We're back on the record. Dr. Devereaux, I

1 just reviewed your file and I found -- this is
2 your complete file that you gave me; is that
3 correct?

4 A Absolutely, complete.

5 Q And in that file, I see the large portion of
6 it is the Hillcrest Hospital record pertaining
7 to the admission of Kathleen Nabozny?

8 A Correct.

9 Q With the date of admission being July 23rd,
10 1986 and the date of discharge being September
11 4th of 1986?

12 A Uh-huh.

13 Q There is also a copy of your report that you
14 gave in this case?

15 A Yes.

16 Q And I assume that that's the only report that
17 you authored in this case?

18 A Correct.

19 Q And that report contains your opinions --

20 A Absolutely.

21 Q -- in regards to this matter?

22 A Uh-huh.

X 23 Q Are there any other opinions that you have
24 regarding this matter that are not contained
25 in that report?

1 A None whatsoever.

2 Q I also have been given some correspondence
3 from Kaufman and Cumberland, I believe there
4 are two letters dated February -- if I could
5 look at those letters-- February 27th, 1989,
6 mother letter dated August the 17th, 1988; is
7 that correct?

8 A Yeah, uh-huh.

9 Q And then there's a third letter dated October
10 20th, 1986 from Kaufman and Cumberland; is
11 that correct?

12 A Yes. That's not to me, that's to Hillcrest
13 Hospital, just. a cony,

14 Q And then there's a letter dated August 19th,
15 1988 indicating your fees for reviewing and
16 preparing your report in this matter; is that
17 right?

18 A Yes.

19 Q And then you also have a copy of Dr. Donald
20 Mann's report which was given to you today; is
21 that right?

22 A Right.

23 Q Now, there was also a two page -- one page
24 with two sides to it, with your handwritten
25 notes?

1 A Yes.

2 Q And if you could just tell us what that is,
3 though?

4 A When I see a patient, I take a history, I sit
5 down with the patient and I then will jot down
6 some notes to remind myself to dictate some
7 points, based on the patient's history. There
8 may be some dates, and so forth, and it.

9 I then use it along with the hospital
10 records when I sit down and dictate my full
11 report after I see the patient, usually about
12 an hour later.

13 For example, it lists the blood pressure
14 reading I took and I have a rough drawing of
15 the chin region, it shows an outline of the
16 sensory disturbance the patient has, things of
17 this sort. This is a handwritten note nearly --

18 Q In your handwriting?

19 A In my handwriting.

20 Q I notice it's in two different colors of ink,
21 blue and black; is that correct?

22 A Yes.

23 Q When were those notes prepared?

24 A In the presence of the patient.

25 Q On the day that you saw the patient?

1 A Yes.

2 Q And --

3 A Not on the day I saw the patient, while I saw
4 the patient.

5 Q Which would be on the day that you saw the
6 patient?

7 A Yes.

8 Q Can you tell which notes were prepared first,
9 the notes in black ink or the notes in blue
10 ink?

11 A Well, probably what I did was I ran out of ink
12 or left my pen in my office when I went back
13 to get -- while the patient was disrobing,
14 because the comments that are in blue ink
15 indicate her blood pressure, which were done
16 during the physical examination, and indicate
17 the sensory loss.

18 There is also -- what I probably did was,
19 and this is pulling it from way out, I
20 couldn't remember the type of organism that
21 she had. She didn't know, so I checked back
22 in the notes while she was disrobing and
23 reminded myself that it was staphylococcus and
24 also I couldn't remember what kind of a
25 catheter was used to administer the antibiotic

1 and I checked it out and it was a Hickman
2 catheter, things that she wouldn't know.

3 And so those were then written with my pen
4 in the office when I went back to look through
5 the record.

6 So, these are just scratch notes to me to
7 indicate to -- to mention a few points that I
8 made my report from.

9 Q Do those notes indicate what the patient told
10 you when you examined her?

11 A For the most part, yes, as does my report.
12 I'm very clear in the report to indicate where
13 the information was coming from, whether it
14 was coming from the so-called original notes
15 or whether it was coming from the patient.

16 Q I understand, you told me you want to use your
17 August 19th, 1988 report.

18 A Absolutely.

19 Q And you do not want to refer to your notes?

20 A Scratch notes, right.

21 Q Scratch notes. My only concern is that
22 oftentimes there is a -- sometimes a
23 discrepancy between the handwritten notes that
24 are prepared at the time that the patient is
25 giving you that information and the dictated

letter which you've prepared shortly thereafter, and I'm wondering if you have compared your notes with your August 19th --

A At the time --

Q -- the dictation report to see whether there are any discrepancies?

A No, I have not looked at those scratch notes since I did the dictation and my only error, as I already told you, was not in destroying those notes so this kind of activity could be totally avoided, which I usually do.

Q Well, I would like to have a copy of your notes, just so that we might compare whether there are any discrepancies between your notes and the report.

I understand your feelings on that.

A No. I mean, this is just absurd.

Q You are going to destroy the notes and you're not going to --

A Yeah, they're my notes that I use to -- in the process of interviewing a patient, as I said, the same way that a lawyer will make notes, when he may be looking at an article in a journal or allow review and then will dictate from those notes.

1 My experience with this is simple. One
2 time years ago when I testified in court, an
3 eight page report which I had taken hours to
4 prepare was not the subject of my
5 cross-examination, but was the scratch notes
6 which I couldn't even read and it was not a
7 service to the court, a service to the
8 patient, a service to anybody.

9 So, I have always torn those notes up so
10 that that was never an issue, and I didn't do
11 it this time and I just don't think that that
12 serves -- there's nothing hidden here, no
13 secrets. I stand by what I wrote here.

14 Q I understand. I'm not saying there is
15 anything in your notes that would be different
16 from your report.

17 All I ask you to do is let the judge at
18 least decide, then --

19 A No.

20 Q -- as to whether or not the notes --

21 A That isn't the judge's role to be involved in
22 the practice of medicine. That is my role.

23 Q I absolutely agree with you.

24 A And what you have here is the practice of
25 medicine. It is the same thing I do exactly

12
every time I see a patient, okay, whether it's
legal or not legal, that means nothing to me,
all right. I practice medicine.

It is not the judge's role to look at my
notes and determine -- make any determinations
like that. That's my role as a doctor.

It is his role, along with the jury's
role, to determine whether or not the
testimony I give is meritorious and I'll stand
by that and I'll live with that.

Otherwise I wouldn't be -- I wouldn't have
taken this case, but I don't think it's the
role of the court to enter into how I practice
medicine and this is how I practice medicine.

Q I tend to agree with what you say for the most
part in terms of you consider this to be a
medical role here.

A It is.

Q And that is your role.

The only difference is that the medical
role here is interfacing with the legal role
and Dr. Chepla, who happens to be a doctor, is
not going to be necessarily judged in a
medical setting, but he is going to be forced
into a legal setting to decide these issues.

1 A And here I am and I'm ready for examination.

2 Q And you, being a witness in this case, you
3 have to submit yourself to some of the legal
4 roles that are placed upon you.

5 A Correct.

6 Q One of those roles involves being
7 cross-examined on any of your notes.

8 A Okay.

9 Q Which would include the note that you intend
10 to destroy.

11 A I've also -- let me make some other
12 statements.

13 I've probably thrown out some other notes,
14 too. The only reason I kept that is that it's
15 a face sheet with the patient's address, and
16 so forth.

17 Other notes are completely done away with
18 because there's no reason to keep them. I do
19 that generally.

20 That's only a one page sheet because that
21 is the patient's address at the top and that's
22 the way we do things in my office. We no
23 longer do.

24 All notes are thrown away now because the
25 address sheets, the face sheets are placed on

1 the inside of the chart. So, as you can see,
2 this contains her name, her age and whoever
3 the referral was and that's why I usually keep
4 that.

5 The rest of this, undoubtedly because
6 there's no other information here about any
7 other aspects of my physical examination, went
8 onto the next page, so this is even a fragment
9 of my notes, so it would be absurd.

10 Q How many other pages of other notes have been
11 previously destroyed?

12 A Probably a couple. I probably threw them out
13 at the time I did my history and physical.

14 Q Can you do me this courtesy of reading your
15 notes at least into the record, even though
16 we're not going to have your original note
17 because you're going to destroy it?

18 A See if I can read it.

19 MR. DeSANTIS: Let me interject.
20 One of the problems he's having, Gene,
21 he took these notes however long ago,
22 they were handwritten, he prepared his
23 report within a short time of taking
24 these notes.

25 You didn't serve him with a

1 subpoena requiring him to bring these
2 records. He has no legal obligation to
3 maintain those records. It's a product
4 of his ordinary --

5 MR. MEADOR: I understand.

6 MR. DeSANTIS: -- medical practice
7 to get rid of handwritten notes after
8 he's done dictating his typewritten
9 report and I -

10 MR. MEADOR: As you and I both
11 know, though, Frank, most all of the
12 doctors in town take notes when they
13 examine a patient, they are subject to
14 discovery and they are --

15 MR. DeSANTIS: That's not the
16 issue here. There was no discovery
17 served on Dr. Devereaux. There's no
18 subpoena served.

19 I mean, as a courtesy, he brought
20 his file here, but he doesn't want to
21 provide this record.

22 MR. MEADOR: There wasn't a
23 subpoena served on my expert witness,
24 either.

25 MR. DeSANTIS: Nor on mine.

1 MR. MEADOR: And we produced those
2 records.

3 MR. DeSANTIS: As I did,

4 MR. MEADOR: And you know darn
5 well that I'm entitled to look at those
6 records and if there's a page of notes
7 that are in there, they should not be
8 destroyed and they should be at least
9 admitted to the court.

10 If the court decides they're not
11 something that should be used for
12 cross-examination, let the court
13 decide.

14 MR. DeSANTIS: Well, right now,
15 we're at an impasse.

16 A I can't even read all of this and your judge,
17 no matter who he was, couldn't read it either.

18 I start out by making the comment "facial
19 and mouth numbness, right side. Right mental
20 region, feeling of drooling and wetness.
21 Numbness" -- I don't say numbness.

22 I say, "right side of tongue, feels like
23 Novocain. Face feels enlarged. Sensation of
24 the area feeling hard, feeling firm, although
25 it looks," quote, "okay," end quote.

1 When I was making comments under the
2 tongue, "the tip tingles. The back of it
3 feels fat and numb. Right lower gum and lower
4 buccal mucosa" -- those aren't the patient's
5 words, obviously -- "numb. External auditory
6 canal tingling, feels like it's falling asleep
7 only to the touch of a Q-tip.

8 "When pressing in the right TMJ region,
9 tingling, right cheek. Some chronic pain,
10 right TMJ region" and then I make a note to
11 myself, question mark, "damage to the jaw
12 joint from infection."

13 At first, "slight right side of mouth,"
14 quote, "drawing," end of quote, "droop."
15 Family noted that this went away four to five
16 months afterwards," and then I put
17 "staphylococcus, teeth extracted, July 8th,
18 1986, 7-26" -- I can't read my writing, it may
19 be "drain abscess, pterygopalatine and
20 inratemporal space abscess," but that was
21 just an insert.

22 Then I had two to three days after the
23 extractions, quote, "bad pain," end of quote,
24 "couldn't open mouth. About seven days later,
25 increased pain. One to two days later, face

1 started getting numb."

2 "Then numbness, fourth and fifth digits,
3 right hand, followed by numbness, fourth and
4 fifth toes, right foot," which she interjected
5 went away during hospitalization.

6 July 18th, antibiotics. July 23rd, saw
7 Monroe Cole.

8 I then outlined what -- no, I don't. I
9 take that back. Then "hospitalization" and I
10 kind of list some of the procedures, "right TM
11 joint aspiration. Had a CT and MRI scan.
12 Abscess drained. Hickman catheter placed.
13 Seen by Ruch, Alperin, seen by Readerman.

14 "Anemia, question of PA," meaning
15 pernicious anemia. Past medical history, she
16 had right knee surgery -- oh, no. I take that
17 back.

18 She had right knee surgery three weeks
19 prior to my examination. She had had a
20 C-section in the past. Blood pressure, 150,
21 slash, 100.

22 Sensory loss, which I was referring to the
23 face and then the rest of it is my notes later
24 on, on other pages, which I just didn't
25 include here.

1 a May I see the note again?

2 MR. DeSANTIS: Gene, for the
3 record, Dr. Devereaux has allowed you
4 an opportunity to examine the document.

5 MR. MEADOR: I'd like to get a
6 copy of it so I really could examine
7 it.

8 THE WITNESS: You couldn't read
9 it. I can barely read it.

10 MR. MEADOR: I would say that it
11 should be preserved as evidence and I
12 don't see how it would hurt you in any
13 way, Doctor, by preserving it.

14 THE WITNESS: Fine. I mean, this
15 is just silliness really.

16 Q The notes here, I have a question regarding
17 the top area here where you have reference to
18 days, where the patient couldn't open her
19 mouth, and I wonder if you could read that
20 again, where it says --

21 A Yes, all right,

22 MR. DeSANTIS: If there's any
23 doubt about what your writing reflects,
24 you can refer to your report.

25 If you just can't read it, you

1 can't read it.

2 A I can't read my writing. I just say she had
3 bad pain, couldn't open mouth.

4 Q Is there a time as to when the patient said
5 that that occurred?

6 A Let's see. What did I say here?

7 A It was approximately -- she developed pain in
8 the right side of her face. I say about three
9 or four days later is what I put in my notes
10 here that she developed difficulty opening her
11 jaw, about the time the pain began.

12 Q That's what you have in your report?

13 A That's right. I stand by my report.

14 Q I understand that. Is that what you have in
15 your notes?

16 A I can't read my notes well enough to see if
17 that's what I was saying. I just can't.

18 Q You also talk about numbness, I think, in your
19 notes?

20 A Seven days later, she had a good deal of pain
21 and perhaps I think I said one to two days
22 after that, she started developing numbness.

23 Q Okay. The seven days later is meaning seven
24 days after she developed the pain, which was
25 three or four days after the extractions; is

1 that what your notes mean?

2 A No. I'm going from the time of the
3 extractions.

4 Q Okay. So, the seven days later, which is
5 referenced in your notes, goes to seven days
6 after the extractions? If you don't know --

7 A I can't read my notes, I just don't know. I
8 live by this is what she told me right here.
9 Okay.

10 I just can't read scratch notes to me,
11 that I may have? made some points, I may have
12 asked her questions latex on which I didn't
13 write down here that then led to my final
14 report, This is what I believe she told me.

15 There's no ulterior motive in this,

16 Q Well, my point is, I'm not saying there is,
17 but why destroy whatever evidence you might
18 have of your notes?

19 A I usually don't, I don't keep scratch notes
20 in my charts, whether it's legal or not legal.
21 I just don't do it.

22 Q You do know that a lot of doctors keep the
23 notes that they use when they examine --

24 A A lot of doctors don't write seven page single
25 space notes.

1 Q That's true, I agree with you.

2 A Including your own expert.

3 Q That's true.

4 A That's the difference. That's -- this is not
5 a legal -- this is what I normally do. You
6 can go into my charts and you can see. I
7 write very lengthy notes.

8 Q The other notes that you had regarding your
9 examination, you said, were destroyed?

10 MR. DeSANTIS: He said he thought
11 they were destroyed.

12 A Well, I can't recall how many pages I kept.

13 Q When were those notes destroyed?

14 A When I did the dictation. The only reason I
15 kept this is because it's my face sheet.

16 Q Okay. Can I have copies, then, of everything
17 else that I've laid out in front of you?

18 A Absolutely.

19 Q Besides the one page note which I'm not going
20 to get a copy of apparently?

21 A You may.

22 Q Okay. You indicated that you have testified
23 before in court; is that correct?

24 A Yes.

25 Q How many occasions have you testified in court

1 before approximately?

2 A It's -- by "in court," do you mean actually in
3 a court of law or including depositions?

4 Q First I'm going to ask you about in the court
5 of law?

6 A I've done it once this year, this calendar
7 year. I would guesstimate that in the eleven
8 years I've been in Cleveland, almost twelve,
9 I've probably gone to court six, seven times
10 maybe. Maybe eight.

11 Q And there was one occasion on which you were
12 cross-examined off of your notes and it was
13 not a very good experience, I take it?

14 A It was a disservice.

15 Q And you believe that's --

16 A It didn't help him either. He lost.

17 Q And you believe that your report would have
18 been a more accurate --

19 A Absolutely.

20 Q -- method for the attorney to question you on?

21 A Yes.

22 MR. DeSANTIS: Objection. Go
23 ahead.

24 A Uh-huh.

25 Q Your answer is yes?

1 A Yes.

2 Q Which case are you referencing that you had
3 this difficulty with?

4 A Oh, it's been --

5 Q The cross-examination?

6 A -- eight years ago, nine years ago, in a court
7 hearing or a court case that was probably in
8 Geauga or Lake County on a patient with
9 purported thoracic outlet syndrome.

10 Q Do you remember the name of the patient?

11 A No, I quite honestly don't.

12 Q Who did you review the case for, the plaintiff
13 or the defendant?

14 A I was the -- for the defendant.

15 Q And you don't recall the name of the defendant
16 either; is that correct?

17 A No.

18 Q Do you recall the name of the defense
19 attorney?

20 A No, I couldn't tell you to save my soul.

21 Q How many depositions have you given testimony
22 in?

23 A Well, I probably have averaged, over again the
24 twelve years I've been here, two or three a
25 year. That's a guess.

1 Q Bo you know the names of any of the cases in
2 which you gave depositions?

3 A Of the individuals?

4 Q Yes.

5 A The patients?

6 Q Yes.

7 A I don't know that it would be appropriate to
8 mention them if I could.

9 I mean, I can name some of the attorneys,
10 but I bluntly can't -- I just can't sit here
11 and remember the names of the people involved
12 frankly.

13 If you want the names of some of the
14 attorneys --

15 Q Do you keep a record of the cases in which you
16 review?

17 A They're in my files.

18 Q Is there a way that you could find out the
19 names of the cases in which you testified in?

20 A Probably.

21 Q Would you be willing to prepare a list of
22 those cases?

23 A Of all the cases that I've done legal?

24 Q We'll, we're talking about two or three
25 depositions per year and I don't know whether

1 or not you keep your records for the eleven or
2 twelve years you've been in Cleveland. We're
3 talking about 20 to 25?

4 A It would mean going through a series of charts --
5 I mean, all of my case records, pulling them
6 out, checking to see if there was a deposition
7 involved or if it was just a case that I
8 rendered an opinion on and it never came to
9 court. It's going to take a lengthy amount of
10 time.

11 Q Can it be limited in a way so that you would
12 only look back, say, to the last couple of
13 years?

14 A No. We don't keep records by year. We are
15 now, but we didn't then. so, I would have to
16 go through the charts in alphabetical order.

17 The charts do have a different color when
18 they're legal, so that they could be pulled
19 out, and then we'd have to check and see which
20 cases I did depositions on.

21 Q Would it be too much trouble to ask you for
22 the names of two or three cases in which
23 you've done depositions instead of asking you
24 all the cases?

25 A I think that can be done, but I'd be curious

1 to know, first of all, if that was appropriate
2 to release the names of people to you, even
3 though I realize this is a legal setting, I
4 don't know whether that would be appropriate,
5 and I'd like to be advised about the
6 appropriateness of that and the acceptability
7 of that, number one.

8 Q I give you that opportunity. I don't want you
9 to do something that you feel that you're
10 releasing confidential information.

11 A I don't know.

12 Q If a lawsuit has been filed, it's a public
13 record at that point, A versus B, and what I
14 would like to do is just get the names of a
15 couple of those cases in which you gave
16 depositions and to make it easy on you and
17 your office, I'm asking you for the names of,
18 say, two or three different cases where you've
19 given depositions.

20 A May I ask why? I've never had that asked of
21 me.

22 Q Well, I'll tell you after we're done with the
23 deposition, I'll be glad to tell you.

24 A All right. I think that that can be arranged.
25 It's going to take sometime.

1 Q Well, you can get back to me or get back to
2 Frank.

3 A It will involve some expense on your part.

4 Q Well, you can let me know what kind of expense
5 it will be and we can go from there.

6 MR. DeSANTIS: Let me, just for
7 the record, so it's clear, Doctor,
8 you're not agreeing to do that, you're
9 agreeing to look into whether there's
10 any legal impediments to doing it or
11 whether you have any legal obligation
12 to do it, neither of which will I
13 advise you, but I would encourage you
14 to seek that kind of legal advice on
15 your own. Is that accurate?

16 THE WITNESS: Fine.

17 Q How many cases have you reviewed over the last
18 you say you've been reviewing cases for eleven
19 or twelve years; is that correct?

20 A Oh, I've been here for almost twelve years,
21 twelve years next July and I certainly didn't
22 do anything initially, so I can't really
23 remember when it was that I started seeing
24 cases and I've seen cases in a rather modest
25 trickle since then.

1 I try to keep it to a minimum. So, I
2 really can't tell you how many because I don't
3 keep records like that.

4 As you know, many cases don't come to
5 court, don't come to deposition.

6 Q Well, my question has to do with cases you're
7 asked to review, not so much the number of
8 depositions or the number of times you've
9 testified in court because you've already told
10 me that you've given approximately two or
11 three depositions per year?

12 A I'm guessing.

13 Q Since the eleven or twelve years you've been
14 here and when I -- when you say been here, I'm
15 assuming you mean in the Cleveland area; is
16 that correct?

17 A Yes, sir, Mt. Sinai Hospital.

18 Q And you indicated that you've testified in
19 court maybe six, seven or eight times?

20 A Something like that.

21 Q And my question really had to do with how many
22 times you've been asked to review a case for
23 attorneys in connection with litigation?

24 A That would be more than two or three times a
25 year. Probably, as a guess, six or seven

1 times a year.

2 For example, I reviewed the first case for
3 an attorney this year today. That's the first
4 case I've done in 1989 was today. Just
5 happened to turn out that this deposition was
6 the same day.

7 So, at that rate, it would be four this
8 year. I don't do a great deal of legal work.
9 I keep it under -- keep it to an appropriate
10 minimum so it doesn't look like I'm doing too
11 much quite consciously. I also make a
12 conscious effort to do both defense work and
13 plaintiff's work.

14 Q Can you give me a percentage?

15 A I probably do about, oh, three out of four
16 cases I see are for the defendants. Probably
17 75 percent defense, 25 percent for the
18 plaintiff.

19 If that's an error, it's probably an error
20 that would be more than 75 percent for the
21 defense.

22 Q Of the twelve years that you've been in this
23 area, you said you didn't remember when you
24 first started reviewing cases?

25 A It was probably several years, I would guess.

1 Q After you had been here three or four years is
2 when you started roughly?

3 A Well, I don't -- it's not the kind of
4 information I store, so I'd have to check and
5 I honestly don't know. I wouldn't want to
6 mislead you.

7 Q Since you've given depositions before, I'm not
8 going to go through all of the ground rules,
9 but, *of course*, if you don't understand one of
10 my questions, feel free to tell me because I
2.1 really don't want you to answer any questions
12 that you don't understand.

13 In addition to that, if *you* are giving me
14 a guess on something --

15 A I will tell you.

16 Q -- or kind of speculating without being able
17 to say to a reasonable degree of medical
18 certainty, I'd appreciate it if *you* could let
19 me know that we're in that sort of an area.

20 A Absolutely.

21 Q How did you come about to be contacted to
22 review this matter?

23 A That I really can't recall. I must have been
24 contacted through the law firm, however, and
25 not by the patient.

1 Sometimes a patient comes to me and I find
2 out later it's a legal case. Because of my
3 initial face sheet, I'd say the patient was
4 referred by a law firm. That is your law
5 firm.

6 Q That would be Kaufman and Cumberland?

7 A Yes. And it would have been the letter that I
8 wrote to Mr. Richard Zeiger, but I cannot
9 recall whether they just called my office and
10 asked if I could see a case, that's what
11 frequently happens, and if I'm not doing too
12 much work, I'll say yes and if I am doing too
13 much work, I'll say no and it was probably
14 something to that effect.

15 Q Prior to your reviewing this case, had you
16 ever reviewed a case for Kaufman and
17 Cumberland before?

18 A I honestly don't know.

19 THE WITNESS: Have I?

20 MR. DeSANTIS: It's not my
21 deposition.

22 A I honestly don't know,

23 Q Do you know any of the attorneys at Kaufman
24 and Cumberland on a -- for any other reason
25 other than this particular lawsuit?

1 A No, although I can't swear to you that I
2 haven't spoken to them before, involved in
3 another case before. I know I never met you
4 before today, Mr. DeSantis, so, no, I haven't
5 had any close contact with their firm and I
6 wouldn't be a bit surprised if this is the
7 first case I've seen for them. I just don't
8 know.

9 Q You look at the letterhead here and you see
a0 the attorneys listed in that firm?

E1 A Yes.

12 Q None of them are recognizable to you; the
13 name, that is?

14 A No, not right off the top of my head.

15 Q What about Charles Young? He's not on there,
16 but I think he was with that firm. Did you
17 know Mr. Young?

18 A I can't recall right at this moment.

19 Q Do you know when you were first asked to
20 review this case?

21 A Well, it would have been sometime probably --
22 I saw the patient August 19th, 1988.

23 It would have been sometime probably
24 several months before that because my waiting
25 list to see patients is usually several

1 months, particularly for non-emergent cases
2 and so, I would imagine sometime in the early
3 summer or spring of 1987.

4 Q Do you believe you were contacted by phone by
5 an attorney at that time; as far as you can
6 tell?

7 A As far as I can recall. They may have called --
8 that's often what happens. Sometimes my
9 secretary will then tell them, "Well, send a
10 note and we'll look at the case," because I
11 generally will not see every patient. If it
12 doesn't look like it has any merit, I will not
13 become involved.

14 Q Did you also review records when you prepared
15 your opinion?

16 A I absolutely did.

17 Q And the records that you've reviewed are
18 contained on your report dated August 19th,
19 1988?

20 A Correct.

21 Q Can we mark your report so that we've it
22 clearly identified on the record?

23 A Sure.

24

- - -

25 (Defendant's Exhibit A marked for

1 identification purposes.)

2 - - -

3 A One thing that would be worth noting, perhaps,
4 since we're into a lot of technicalities, I
5 made a few penciled in corrections because of
6 some punctuation errors, and so forth, which I
7 have checked here.

8 Did not change the report in any way, just
9 changed the tense of a few verbs and if that's
10 an issue, I'll let you know,

11 Q Does that -- that shows up on the copy that
12 you have?

13 A Yes.

14 Q We can make a copy of that and I can see what
15 your changes are.

16 Maybe I should just look at them and see
17 if there is anything I would think would be
18 noteworthy. Otherwise we can just go on.

19 A Mainly the kind of things that my 7th grade
20 English teacher, Miss Butz, would like me to
21 correct.

22 Q Thank you, According to your report, which
23 we've marked Defendant's Exhibit A, you said
24 %hat was your only report and that contains
25 all of your opinions that you'll be giving in

1 connection with this lawsuit; is that correct?

2 A Correct.

3 Q Your report indicates you reviewed the
4 Hillcrest Hospital chart, the report and notes
5 from Dr. Cole, the office records from
6 Dr. Chepla and the office records from
7 Dr. Sangrik?

8 A Uh-huh.

9 Q Are there any other records that you reviewed
10 or any other materials since you prepared your
11 report?

12 A The only other thing that's already mentioned
13 was the report that was handed to me today
14 from Dr. Donald Mann, a neurologist in the
15 community who I know well.

16 I don't believe any other information has
17 been sent to me since this initial packet was
18 received.

19 Q How is it that you know Dr. Mann?

20 A He is a neurologist in the community,
21 associated with University Hospitals, as am I,
22 and I have seen him from time to time.

23 I have even sent him cases for legal work
24 when I have felt that I shouldn't take
25 anymore, and other cases as well.

1 Q What is his reputation in the community?

2 A He's a competent neurologist.

3 Q And you know Dr. Cole, I take it?

4 A Very well.

5 Q And how do you know him?

6 A Again, he is a neurologist who's had a strong
7 association with University Hospitals and
8 Metropolitan General Hospital for years;
9 again, he was here when I came here.

10 I'm not quite sure how long he's been
11 here, but he is a highly regarded neurologist
12 in the community.

13 Q Do you know either of those doctors on
24 anything more than just a business level?

15 A Both of them have been to my house on occasion
16 for social gatherings of the Department of
17 Neurology, things of that nature.

18 Q You don't -- you never knew Kathleen Nabozny
19 prior to seeing her; is that correct?

20 A Absolutely correct. I seen her one time.

21 Q I assume you don't know anyone else in her
22 family?

23 A Not to my knowledge.

24 Q Do you have a current resume of your academic --

25 A Curriculum vitae, sure.

1 Q -- credentials and your work experience?

2 A I do,

3 Q so, to save time, could we --

4 A I'll be more than happy to send you a copy.

5 Q Do you have a copy of it here that I might
6 look at to see if there's anything that I have
7 to ask you about it?

8 A Not with me and, frankly, my secretary, now
9 that it is six o'clock, is gone. Almost six
10 o'clock, and I don't know where they file
11 them.

12 If it's important, I could take a break
13 and go to the office and see if I can find a
14 copy.

15 Q Well, I think to expedite matters, maybe if
16 you would just send it to me, if you could
17 just give me a general overview of your
18 academic credentials and your work experience
19 without going into every detail since I will
20 have your resume, but I would like you to
21 particularly emphasize anything that you
22 believe to be particularly helpful to you when
23 you reviewed this case.

24 For example, if there's a particular
25 publication that you were responsible for, you

worked on or some kind of a project that you were involved in, I would like you to emphasize that, please.

MR. DeSANTIS: Off the record.

- - -

(Discussion had off the record.)

- - -

A You'd like me to give you my CV starting basically with college?

Q Yes, please.

A I graduated from Stanford University, California in 1964. I graduated from medical school from the Baylor College of Medicine in Houston, Texas in 1968.

I did an internship in medicine at U.S.C. Los Angeles County General Hospital in '68, '69. Three years of neurology residency at the same institution, and then an additional year of neuroophthalmology fellowship at the same institution.

I then spent two years at the Philadelphia Naval Hospital where I was chief of neurology, fulfilling my obligation in the military from '73 to '75 and in '75, I went to the Neurologic Institute of Columbia College of

Physicians and Surgeons in New York where I was a fellow in clinical neurophysiology.

I spent two years there, the last year as assistant professor of neurology at Columbia. I then came to Cleveland in July of 1977, I came as the chief of the Division of Neurology at Mt. Sinai, the assistant professor of neurology at Case Western University.

I have been here now for almost twelve years. I am now the chief of the Division of Neurology at Mt. Sinai, the associate director of the Department of Medicine and associate professor of neurology at Case Western Reserve University.

I have published, most of my publications deal with neuroophthalmology, ophthalmology and disturbances of the eye.

I have not specifically published on dental neurologic disturbances, if you will.

I have indeed lectured a good deal to dentists, mainly through our residency program in dentistry and oral surgery at Mt. Sinai.

I made videotapes of physical examinations, and so forth, for them for meetings. That's probably my main interaction

1 with dentists through the teaching program
2 here and also through referrals between myself
3 and dentists over the issue of
4 temporomandibular joint syndrome and other
5 kind of conditions which exist somewhere
6 between neurology and denistry.

7 X Q Do you have a board certification?

8 A I'm board certified in neurology. I am board
9 certified by the American Board of
10 Qualification in EEG.

11 I am board qualified, but not certified in
12 EMG.

13 X Q Do you have a private practice?

14 A Yes, I see patients in private.

15 V Q Where is your private practice?

16 A At Mt. Sinai, in the Division of Neurology, in
17 the Department of Medicine at Mt. Sinai.

18 Q How much of your time is devoted to private
19 practice versus --

20 A Teaching?

21 Q Teaching.

22 A Oh, I would estimate 25 -- well, no, probably
23 a third. Probably 33 percent, if I were to
24 give you a rough figure.

25 Q Thirty-three percent of your time would be

1 spent in --

2 A Pure private practice, yes. The other is
3 involved in indigent care and teaching, and so
4 for h.

5 Q How much of your time, percentage wise, would
6 be involved with teaching?

7 A Probably a third.

8 Q Then the other third would be the indigent
9 care?

10 A Well, that's involved really in the teaching,
11 to a great extent.

12 The other third would be in administrative
13 work, lecture preparation. Of course, that
14 would be included in teaching, that sort of
15 thing.

16 Q Your private practice, what kind of patients
17 do you see?

18 A Patients with neurological problems.

19 Q And the teaching, what areas do you teach in?

20 A Neurology -- depends on where I'm teaching.

21 At the medical school, to the sophomore
22 class, most of my lecturing is in the area of
23 epilepsy and related problems.

24 To medicine residents, it's general
25 neurology. To neurology residents, it would

1 be general neurology, epileptology and
2 neuroophthalmology, areas that I have
3 training, and it depends pretty much on the
4 audience.

5 Q During the course of your private practice,
6 have you treated any patients with the same
7 kind of problem that Kathleen Nabozny
8 developed?

9 A A facial abscess following dental extraction?

10 Q Yes.

11 A No, not that particular situation, as far as I
12 can recall.

13 Q Have you ever seen a patient who was diagnosed
14 with the same diagnosis that Kathleen Nabozny
15 was eventually found to have?

16 MR. DeSANTIS: Objection. Go
17 ahead, Doctor.

18 A I genuinely can't recall that. I have
19 certainly seen patients with facial abscesses.

20 That's not rare. Although it's relatively
21 uncommon, the reason it is not rare is that a
22 significant percentage of the patients I take
23 care for, the teaching services are indigent
24 and do not receive good dental care and so
25 I've seen a modest to moderate number of

1 patients over the years with dental abscesses
2 of one type or another, producing headache and
3 other general problems.

4 So, from the neurologic perspective, I can
5 assure you that there have been many a
6 patients I have seen with headaches I have
7 referred to dentists for evaluation, some of
8 whom have turned out to have abscess;
9 certainly not all, but it is something that I
10 am familiar with.

11 Q With facial abscesses?

12 A In association with neurological problems, it
13 is something that I have seen, but primarily
14 in my indigent population, but not solely.

15 Q Have you seen any patients with a
16 pterygopalatine abscess after a dental
17 extraction?

18 A Probably not.

19 Q Have you seen any patients with a
20 pterygopalatine abscess, regardless of what
21 the --

22 A Yes.

23 Q -- prior procedures might have been?

24 A Yes.

25 Q On how many occasions have you seen a patient?

1 A I couldn't tell you. Infrequent.

2 Q Less than five patients?

3 A Probably. And those were -- if I recall
4 correctly, I don't think any followed dental
5 extraction.

6 Most of those were related to cancers and
7 other situations, facial tumors and what have
8 you.

9 Q Would you agree with the statement that a
10 pterygopalatine abscess infection is rare?

11 A Of course rare is a nonspecific term. It is
12 certainly not a common problem.

13 Q It's not common, you're not going to say that
14 it's rare, though?

15 A Well, I'm just -- what's the difference
16 between -- when you define the difference
17 between uncommon and rare, I'll answer that.

18 These are nonspecific terms. I have told
19 you that in twelve years, I've seen less than
20 five patients, certainly less than five
21 patients with pterygopalatine abscess.

22 That's a fairly, you know, direct
23 statement, that it's a very uncommon problem.
24 It's probably safe to say it's a rare problem,
25 whatever rare means.

E Q You wouldn't --

2 A It's not unheard of.

3 Q You wouldn't be surprised if in the 31 years
4 of Dr. Cole's practice, he has never seen a
5 patient other than Kathleen Nabozny with a
6 pterygopalatine abscess infection?

7 A No.

8 MR. DeSANTIS: Objection.

9 a I wouldn't be surprised at all. Actually that
10 speaks to his skill.

11 Q Did you review or rely on any medical
12 literature when reviewing this case?

13 A No, not in -- well, not in the initial
14 eparation. I did rely on the literature
15 when I reviewed some of the subdivisions of
16 the mandibular division of the trigeminal
17 nerve in terms of the names of some of those
18 divisions, and so forth. Yes, I did rely on
19 the literature for that.

20 Q That was for the purpose of identifying those
21 subdivisions of the mandibular division of the
22 trigeminal nerve?

23 A Yes. Specifically I had to recheck the names
24 of the nerves from the posterior division that
25 innervated the auricular region, the ear.

1 Q That would be --

2 A The auriculotemporal division of the posterior
3 division of the mandibular division of the
4 trigeminal nerve.

5 Q If I understand what you're saying, you needed
6 to look it up in the book for your impression,
7 which is on page six, where you identified all
8 of the various divisions and subdivisions of
9 the nerves that you believe may be affected;
10 is that correct?

11 MR. DeSANTIS: Objection. Go
12 ahead and answer.

13 A Yes, the specific names.

14 Q But other than for looking up those names, you
15 didn't have to refer to any other medical
16 literature to review this case and prepare
17 your opinions; is that correct?

18 A I believe that that is correct.

19 Q Okay. Looking at page one of your report, the
20 hospital chart from Hillcrest Hospital was one
21 of the items that you reviewed?

22 A Correct.

23 Q Is there anything that you are aware of that
24 was particularly significant that was found in
25 the Hillcrest Hospital record?

MR. DeSANTIS: Objection. Go

ahead and answer, if you understand the question.

A I frankly can't recall, as I sit here today, anything that dramatically comes to mind from that record.

Q What about the second item that you reviewed, Dr. Cole's report and his follow-up notes?

A Uh-huh.

Q What was significant in Dr. Cole's report and notes which impressed you?

MR. DeSANTIS: Objection. Go ahead and answer.

A Well, the thing that impressed me more than anything was his -- more or less, I guess, indicated in his notes was the fact that he was smart enough to recognize a problem when a patient called him blindly who was not referred, since neurologists, including Dr. Cole, do predominantly referral work from other physicians, have the patient seen at five o'clock in the afternoon and admit her to the hospital prompt.

That was the most impressive thing about

his --

1 Q is there anything else in his notes that was
2 Particularly impressive to you in connection
3 with your preparation for your opinions in
4 this case?

5 A Well, the other thing, I mean good neurology
6 always impresses me and this is excellent
7 neurology. He made on the basis of a history
8 and physical examination a diagnosis that was
9 correct.

10 Q I believe that on page two of your report, you
11 indicate that Dr. Cole correctly diagnosed a
12 extracranial infection on the right side of
13 the face?

14 A Uh-huh, extracranial.

15 Q And I was wondering if that was Dr. Cole's
16 diagnosis?

17 A At the time he saw her --

18 Q At the time he saw her or was that something
19 that was later on found by him?

20 A That is correct, that is probably something
21 that was found later on and in reality, what
22 he stated was that it is imperative to rule
23 out an infection and he had -- he did say,
24 which is probably extracranial rather than
25 intracranial.

1 So, from that, I just made the point that
2 he correctly diagnosed an extracranial

3 infection, but in reality, he said that's
4 probably what she had. He didn't say an
5 absolute diagnosis. I still give him an A.

6 Q Was there anything particularly impressive
7 about Dr. Chepla's notes that you reviewed
8 regarding the opinions that you've prepared in
9 this case?

10 A Nothing that comes to mind as I sit here.

11 Q What about Dr. Sangrik's notes, the other
12 dentist?

13 A No, nothing that I can recall specifically.

14 Q Let's look at page two of your report. You
15 start with a subtopic called "Present
16 Illness," and you have the first paragraph of
17 information, and I'm wondering where that
18 information came from in that first paragraph
19 of page two.

20 A From the patient.

21 Q So, in other words --

22 A That's a very traditional introductory
23 statement in the present illness of a history
24 and physical examination, which is a way of
25 stating that the patient's problem began on

1 July 8th, 1986, at least this is -- this was
2 my impression of when the problem began, so
3 that there was nothing in her history of
4 consequence prior to that time and that's when
5 I begin my discussion,

6 Q Okay. And that's your first sentence that
7 "The patient was in her usual state of health
8 until July" --

9 A Stable health.

10 Q "Stable health until July 8, 1986 when she
11 underwent multiple tooth extractions,"
12 parenthesis, "ll because of sensitive dental
13 caries and periodontal disease."

14 Then you go on to state that "The patient
15 states in three to four days, (4 days
16 according to Dr. Cole's report,)" close
17 parenthesis, "she developed pain in the right
18 side of her face."

19 That is something that the Plaintiff told
20 you, three or four days?

21 A She told me that, and then I looked at
22 Dr. Cole's, and that's why as I say, I try,
23 although I don't consider it terribly
24 important, you obviously do, I try to keep it
25 separate.

1 The patient told me as best as she could
2 remember about three or four days later and
3 when I went to Dr. Cole's report, I see it's
4 four days later, so her recall is pretty good
5 on that point.

6 Q Then she told you about that time or shortly
7 thereafter that three or four day period, she
8 also developed difficulty opening her mouth;
9 is that correct?

10 A Yes.

11 Q And difficulty opening one's mouth is called
12 trismus?

13 A Trismus, yes.

14 Q Is that your definition of trismus?

15 A That is the way I use the term, yes.

16 Q Do you have a different definition of trismus
17 when it pertains to a neurological matters?

18 A No. I'm using it the way I would normally use
19 the term here.

20 Q Okay. I don't want to put words into your
21 mouth as to the definition of trismus.

22 What would be your definition in your own
23 words?

24 A Well, that it refers to difficulty in opening
25 one's mouth.

- 1 Q Okay. Then it says in your report,
2 "Approximately ten days after surgery, she
3 developed numbness in the right cheek and she
4 was started on Keflex."
5 Is that also something that was told to
6 you by Kathleen Nabozny?
7 A No, I don't believe that would -- she probably
8 wouldn't have recalled the word Keflex. She --
9 Q Let's break up the sentence. "Approximately
10 ten days after surgery" --
11 A "Approximately ten days after surgery, she
12 developed numbness in the right cheek."
13 Q Where did you get that information from?
14 A From her.
15 Q And she used her word for -- she used the word
16 numbness?
17 A Yes.
18 Q What is your definition of numbness?
19 A It's a difficult word to define, but it
20 usually suggests a feeling of a lack of
21 sensation that normal tactile stimuli are not
22 felt. The tactile stimuli are not felt
23 normally, that there's a decreased
24 appreciation.
25 Q How does that term differentiate from the term

1 paresthesia?

2 A Paresthesia suggests a more positive
3 sensation. Probably the best way I can
4 explain that would be if you're talking about
5 anesthetizing a nerve, the numbness is what
6 one feels initially after the anesthesia takes
7 place, when you can't feel much.

8 As the anesthesia wears off, there's often
9 a tingling sensation, which at times can be
10 uncomfortable. That would refer to the -- or
11 would represent a paresthesia.

12 Q And the paresthesia would be synonymous with
13 the tingling?

14 A More of a tingling sensation.

15 Q Are you critical of Dr. Chepla in his
16 administering of Keflex at that time --

17 MR. DeSANTIS: Objection.

18 Q -- to the patient, that antibiotic?

19 A Yes, Keflex. Well, it's -- Keflex is a broad
20 spectrum cephalosporin, which covers a number
21 of organisms.

22 To specifically say that I am critical of
23 him using Keflex would not -- would be wrong,
24 I think.

25 Q Okay.

1 A I'm not specifically critical of him using

2 Keflex.

3 Q What kind of bacteria did this patient become
4 infected by?

5 A It was later proven that she had a
6 staphylococcus.

7 Q Is Keflex an effective antibiotic to fight
8 staphylococcus?

9 A It is not an antibiotic that you would use for
10 staphylococcus. Once you identify, there are
11 synthetic penicillins, and so forth, that you
12 would use if you knew the organisms.

13 Q Okay. I guess my question --

14 A I think that would be better directed to an
15 infectious disease expert, but I think all of
16 them would agree with that.

17 Q This patient was allergic to penicillin?

18 A Okay. Then you -- again, Keflex would not --
19 there would be other --

20 Q More effective antibiotics. What I'm asking
21 you is whether or not you know whether or not
22 Keflex does have an effect on this particular --

23 A It would have some effect on --

24 Q And I imagine --

25 A -- some effect --

1 MR. DeSANTIS: Gene, let him
2 finish.

3 A It would have some effect on a staph
4 infection.

5 Q And what effect would it have on a staph
6 infection? A positive effect I assume we're
7 talking about?

8 A Yes, it would be of some value, but it would
9 not --

10 Q Be the most effective antibiotic.

11 Then your report reads on, "About two
12 weeks after the surgery, she noted numbness in
13 the right half of the tongue and over the
14 tight lower lip to the midline."

15 That information was from the plaintiff,
16 Kathleen Nabozny?

17 A Yes.

18 8 Then it says, "Also about this time she
19 developed tingling in the 4th and 5th digits
20 of the right hand, and 4th and 5th toes of the
21 right foot."

22 That information you also received from
23 Kathleen Nabozny?

24 A Yes.

25 Q And do you understand that at the two week

1 period after the surgery, at approximately
2 that time, that that's when she first noted
3 numbness in the tongue, lip, hand and toe
4 areas that are referenced here, about two
5 weeks after?

6 A That's what I reported in my note.

7 Q Then your next paragraph is in regards to
8 information that I assume you received from
9 Dr. Cole's records; is that correct?

10 A Yes.

11 Q And that includes recitation of Dr. Cole's
12 neurologic examination?

13 A Yes.

14 Q There are seven findings that you have chosen
15 from Dr. Cole's examination.

16 If you would look at those seven findings
17 that you chose and tell me the significance of
18 each to you and why you decided to list these
19 findings?

20 A Well, it isn't that they're so significant to
21 me, it's that they were noted by Dr. Cole; so,
22 that's why I listed them here. Do you want me
23 to read the things?

24 Q No, I can read them. I was wondering if you
25 could tell me what was significant, why?

1 I mean, Dr. Cole's records are about two
2 pages of notes regarding this matter, and I
3 was wondering why you decided to pick out
4 those seven findings?

5 A Well, they're probably -- I'd have to look at
6 his note again.

7 Q I'm going to hand you what's been marked as
8 Defendant's Exhibit 3, which is Dr. Cole's
9 typewritten note.

10 A His note is written in a paragraph form and so
11 what I did was I went through and picked out
12 what I call the positives, significant
13 positives.

14 I don't list things like the blood
15 pressure and that's olfaction, smell was
16 intact, pupillary functions were normal. In
17 other words, all of the things that were
18 normal, and I went down and outlined the
19 things that he found that were outside the
20 range of normal, and those are listed here.

21 That's interesting reviewing my notes. I
22 see there's one thing here that is not quite
23 correct that he wrote down, but it's not
24 important to the case, but these are basically
25 the things that he listed as being positive

1 findings.

2 Q What were you referencing?

3 A I wrote in my note "absent deep tendon
4 reflexes" and really it was absent deep tendon
5 reflexes at the knees. Not a real issue here.
6 She's obese and it's difficult to elicit
7 reflexes.

8 Q These seven findings that Dr. Cole found, did
9 you find any evidence in the records that you
10 reviewed or in talking with the patient that
11 any of these seven things were found prior to
12 July 23rd, when Dr. Cole examined her? She
13 was never given a neurologic examination prior
14 to that time, I understand?

15 A That's my understanding, yes.

16 Q But I mean, is there any evidence that you've
17 seen in the records to indicate that these
18 seven findings were present prior to July
19 23rd?

20 MR. DeSANTIS: Objection. Go
21 ahead, if you can answer.

22 A I don't list any of this having been present
23 before that. It is conceivable in reviewing --
24 it might be an error there in terms of what
25 the dentist may or may not have found in his

1 follow-up exams that he had, but I don't
2 recall.

3 She probably had some fullness in the
4 face, and I'm not even sure of that.

5 Q Do you know whether Dr. Cole found any
6 evidence of a fever on July 23rd?

7 A I do not recall him recording a temperature.
8 I would have been remiss if -- I don't think
9 there was a temperature listed here, so I
10 didn't make a comment one way or the other.

11 Q Is the finding of a temperature significant if
12 it was found?

13 A Yes, it would be significant.

14 Q Why would it be significant?

15 A Well, it could be a sign of infection.

16 Q What about malasia, what is that?

17 A Malaise. Malaise is a term that is used to
18 describe a patient having a lack of energy.

19 Probably the best definition is --
20 description for anybody reading this would be
21 the kind of feeling you have when you have the
22 flu, you just feel lousy, lack of energy, lack
23 of get up and go, a lot of colloquialisms that
24 people use and doctors use.

25 Q Would that be a significant finding that you

1 would have listed?

2 A It's not a finding so much as it is a physical
3 examination. It really is more a descriptive
4 term for a complaint that a patient would
5 have.

6 Q And Dr. Cole's records did not indicate that
7 complaint?

8 A I can't specifically recall whether he used
9 the word malaise in his report at this point.

10 Q Now, Dr. Cole apparently was puzzled about the
11 numbness that was apparently found in the
12 right fingers and toes. Are you also puzzled
13 by that?

14 A Yes.

15 Q Why is that so puzzling?

16 A Because it doesn't make sense,

E7 Q Is that because there are different sets of
18 nerves that would affect those regions of the
19 body?

20 A Correct, and that is the art of good medicine,
21 sorting through the things that are
22 unimportant or as we say in the trade, the red
23 herrings to get to the crux of the matter and
24 that's what he did, Because that would
25 suggest actually a problem in the high spinal

1 cord ok in brain and what it meant in reality,
2 I cannot say.

3 Q You don't have any explanation for that today?

4 A No. I'm sure it was real and I'm sure it was
5 there. I just can't explain it.

6 Q Take a look at page three of your report; you
7 indicate in the second complete sentence on
8 that page, "In addition, there was complete
9 opacification of the right maxillary antrum
10 due to sinusitis."

11 What is a complete opacification of the
12 right maxillary antrum?

13 A The maxillary sinus on the X-rays appeared
14 cloudy, meaning that it contained En this case
15 material which would have suggested that the
16 sinus wasn't draining, it was obstructed, and
17 probably that there was pus in the sinus.

18 Q And you know that when Kathleen Nabozny was
19 admitted to the hospital, she was administered
20 Clindamycin; is that correct?

21 A ClEndamycin.

22 Q Is that an appropriate antibiotic for the kind
23 of abscess that the patient had?

24 A Well, frankly, at that point, let me see --
25 yes, it was.

1 Q And why do you say that?

2 A Because she was seen by obviously skilled
3 physicians who maintained her on the drug for
4 42 days and she got better.

5 Q We don't know whether another antibiotic would
6 have worked quicker, do we?

7 MR. DeSANTIS: Objection.

8 A That is outside my area of expertise and I
9 won't comment on that.

10 Q Very good. Let me ask you about the third
11 paragraph on page three, in the middle of that
12 paragraph, you have a parenthesis where you
13 say, "I am not clear from his note as to
14 whether she had objective findings of loss of
15 sensation within the mandibular division of
16 the right trigeminal nerve at that time."

17 Why don't you review that paragraph and
18 tell me what it was that you were not clear
19 about?

20 A Yeah. It was just from -- and again, this
21 would have to be a question that would be
22 addressed to Dr. Cole and it may have been
23 brought up in his deposition. Just looking at
24 his physical examinations, following -- in
25 follow-up, I wasn't clear just from reading

1 his report whether there was loss of sensation
2 in the area to his examination; i.e., he would
3 have done a pin prick examination to see if
4 there was a loss of sensation and I just
5 wasn't clear on that point from his notes.

6 Q This is his note from September 22nd of 1986
7 you're referring to?

8 A He just wrote in his note, yes, that his
9 neurological examination was otherwise normal
10 and I didn't know, just reading it, what
11 exactly that meant and that's why I put in "I
12 am not clear from his note as to whether she
13 had objective findings of loss of sensation,"
14 et cetera, meaning the results of his pin
15 prick test which he didn't make absolutely
16 clear at that point to another reader.

17 He probably knows well looking at his own
18 notes, but I just wasn't sure. I suspect
19 there was objective loss of sensation, but --
20 Q But you were confused because he said in
21 there, "her neurological examination is
22 otherwise normal"?

23 A Right. And I didn't want to read anything
24 into the examination that would have been
25 inappropriate for me to do, so I basically

1 just left it as a question.

2 Q I want to hand you what I'll represent to you
3 is Dr. Cole's September 22nd, 1986 office note
4 and I'd like you to take a minute to look at
5 that, please.

6 A Yes.

7 Q Is it also confusing to you as to the apparent
8 recurrence of the numbness?

9 MR. DeSANTIS: Objection.

10 A Well, see, this was the issue, she is
11 complaining of bouts of numbness. Dr. Cole
12 just does not list a neurologic examination of
13 findings and that's why he says "her
14 neurological examination is otherwise normal,"
15 and I don't know by that if he means that
16 there was decreased pin appreciation over the
17 same region that I found when I examined her.

18 I don't know what other comment that I
19 could make. What she feels subjectively and
20 what he sees objectively are two different
21 things.

22 The patients may complain of bouts of
23 numbness with objective findings of constant
24 numbness and other patients may complain about
25 numbness with no objective findings, so I

1 don't know the exact time course.

2 As near as I can tell, she had sensory
3 loss before. Obviously, the question is did
4 it get better and come back or did it
5 continue.

6 I suspect it continued, but I can't be
7 sure of that, so I made that very clear in my
8 note.

9 Q Is that how you interpreted his notes, then,
10 even though it seems to say in here, for
11 example, the second paragraph on Dr. Cole's
12 note says, "She is being sent for a CT scan of
13 the paranasal sinuses"?

14 A Yeah.

15 Q "The recurrence of numbness" --

16 A Is a bit bothersome.

17 Q Is a bit bothersome and --

18 A What he is worried about is that the patient
19 has some more complaints and his concern
20 obviously by what he did was could she be --
21 could the abscess be reaccumulating, could she
22 be developing a new problem or a recurrence of
23 the problem. Again, I --

24 Q You did not interpret this note to mean that
25 she had a period of time prior to this where

1 there was no numbness and then it came back?

2 A I suspected that that was not the case, but I
3 was not sure. That's why I made it very clear
4 so that there would be no confusion for
5 anybody reading this about that.

6 The patient herself reported that she had
7 had constant numbness to me.

8 Q Are your opinions regarding the permanency of
9 her condition and the -- on causation as to
10 her condition, are they based upon a
11 continuous --

12 A No, not necessarily.

13 Q -- series of numbness?

14 A That would frankly not be my opinion. My
15 opinion would be that her findings that I saw
16 when I examined her were related back to the
17 abscess.

18 Q What I'm asking you, is it important for you
19 to have a continuous sequence of numbness
20 without any periods of no numbness?

21 A Well, it would be nice to know that for sure,
22 okay, it would be nice to know that for sure.
23 That would be absolute proof positive that
24 there was a cause and effect relationship, if
25 it didn't get better, it didn't get worse.

But as I say, I'm not absolutely sure of that.

Q What if there had been a period from the time of her discharge until September 22nd, or shortly before that date, when Dr. Cole saw her again, where she did not experience any numbness?

A It wouldn't change my opinion. I still think that there is a one to one relationship between the abscess that she has and the numbness and subjective symptomatology and objective findings when I saw her August 19th.

Q Is there any plausible explanation why she would have a period, if she were to have a period, without numbness?

A Well, since numbness is such a subjective phenomenon, I can only say that that kind of thing can happen, that symptomatology may become apparent to a patient when there was a time where it wasn't quite so apparent, both in the legal setting and outside the legal setting.

So, I didn't consider that a key issue in the meaning of her symptomatology when I saw her and her signs when I saw her with relationship to her original problem.

69

1 Q I take it the last paragraph on page three is
2 where you start your findings based upon your
3 examination of her; is that correct?

4 A Yes. That -- not my findings on examination,
5 but that is basically, that's where I begin
6 the statement about the symptoms that she had
7 when I saw her.

8 Q And those symptoms that she had when you saw
9 her were based upon your examination of her?

10 A Well, this is based upon my history. This
11 part of the examination is where she is
12 telling me about her problems, the neurologic
13 examination begins at the bottom of page four.

14 Q So that all of the paragraphs starting with
15 the last paragraph on page three and going up
16 to where it says "Neurological Examination" on
17 page four, those were all subjective
18 information that was given to you by the
19 patient?

20 A This is the history as is listed under the
21 history of the present illness, into that
22 category.

23 Q And that history was given to you by the
24 patient?

25 A Correct.

1 Q In the patient's past medical history, is
2 there anything in there of significance to you
3 regarding your findings or opinions in this
4 case?

5 A In the past medical history? I'm sorry.

6 Q Yes.

7 A No. It is -- the pernicious anemia, of
8 course, was interesting, if you will,
9 curiosity, but I don't think it has any
10 relationship with her focal sensory
11 complaints.

12 Q Why is it interesting to you?

13 A Well, it can produce neurologic phenomenology.

14 Q What kind of neurologic phenomenology?

15 A Generally it begins with problems in the lower
16 extremities. The exact pathophysiologic
17 disturbances are in dispute.

18 It may produce a peripheral neuropathy, as
19 some would say, with numbness, tingling, loss
20 of sensation in the lower extremities,
21 impairment or loss of the deep tendon reflexes
22 in the lower extremities.

23 It can also present as a spinal cord
24 disturbance, so-called subacute combined
25 degeneration and can produce disturbances,

1 again, which are characteristically seen in
2 the lower extremities.

3 There also may be associated with it
4 changes in cognitive function, sometimes
5 referred to as megaloblastic madness
6 associated in pernicious anemia with the
7 changes in thought.

8 But there was no evidence of any of this
9 in here and in fact, as I understand it, she
10 was being treated with B-12, although at the
11 time I saw her, I'm not sure if the diagnosis
12 had ever really been established because there
13 was suspicions on a first schilling test, but
14 I was not aware if this had ever been done.

15 It was supposed to have been repeated and
16 I don't know that it was ever repeated and I
17 just don't know if the diagnosis was fully
18 established or not.

19 She was being treated for it, and B-12 is
20 routinely administered to patients in our
21 society.

22 I don't know what that -- it doesn't by
23 any means prove that she has pernicious
24 anemia.

25 Q Under your neurological examination, why don't

1 you tell me what you did when she saw you,
2 what your examination consisted of?

3 A Well, it's a traditional examination. It
4 would have been probably quite similar to the
5 examination that Dr. Cole performed and
6 perhaps that Dr. Mann performed and what I did
7 was examined the cranial nerves, those are the
8 nerves that exit directly from the brain to
9 innervate the face, of which there are twelve
10 pairs; her motor function, strength and tone
11 in the extremities, coordination, this is
12 pretty much self-explanatory, reflexes;
13 namely, the deep tendon reflexes, sensation.

14 Q Let's start with the cranial nerves; how do
15 you test the cranial nerves?

16 A Well, it depends on which nerve. You begin,
17 if you test cranial nerve one, which isn't
18 routinely done, that's olfaction.

19 Q You didn't test cranial nerve one?

20 A No. It's frequently not done. If the patient
21 has no complaints of loss of olfaction or
22 smell, it's a pure subjective test and often
23 people who smoke or have colds can't really be
24 tested adequately.

25 So, it frequently isn't done unless

1 there's some reason you're looking for a
2 problem with olfaction.

3 Q Let me ask you this. How did you test cranial
4 nerve five?

5 A It is --

6 Q That's the only nerve I understand that's
7 affected in this particular case; is that
8 right?

9 A At the time of my examination. At the time of
10 Monroe Cole's examination, there was a
11 suggestion tht the 7th cranial nerve was also
12 involved, but at the time of my examination,
13 there was no evidence of involvement.

14 So, the only nerve in which I found any
15 abnormalities was the fifth cranial nerve,
16 trigeminal nerve.

17 Q How did you test that nerve?

18 A It is tested in several ways.

19 One looks, first of all, for sensation
20 over the face. That's probably its major
21 function, although it has some other very
22 important functions.

23 It is responsible for sensation over the
24 entire face, the -- to a certain extent, the
25 top of the head, if you will, the mouth and

1 contents of the mouth, the gum, the tongue,
2 the side of the mouth and buccal mucosa, the
3 lips, to a point roughly down to the chin
4 line, you know, along the undersurface of the
5 mandible. That is its major function or one
6 of its major functions I suppose is more
7 accurate.

8 It also innervates the muscles of
9 mastication, muscles that are involved in
10 chewing, the temporalis muscle and the
11 pterygoids.

12 It also is involved with the reflex of the
13 cornea, referred to as a corneal reflex.

14 That's if you touch the cornea, someone
15 will blink reflexly and that as in part
16 monitored through the trigeminal nerve.

17 So, those were the kinds of things I
18 checked, facial sensation, sensation in the
19 mouth.

20 Q Is the trigeminal nerve another name for
21 cranial nerve five?

22 A Right. That is the name, The numbers are
23 really based on nerves as they exit from the
24 brain, from the most rostral or top part of
25 the brain to the most caudal or base of the

1 brain, roughly speaking, but each one of those
2 nerves has an individual name.

3 Q Okay. You were telling me how you tested --
4 how you did your neurological examination of
5 cranial nerve five.

6 A Right. The main thing I tested on her,
7 because it's probably the most precise, in
8 that it's a hard nerve to test all sensation,
9 sensory modalities, is pin prick.

10 I often test face, so the main thing that
11 I was testing was appreciation of the pin, how
12 she felt the sensation, comparing side to
13 side, looking at areas that would be the
14 subdivisions of the trigeminal nerve.

15 Q As a practical matter, how do you do it? Do
16 you have the patient stand in front of you, do
17 you hold the pin, tell me?

18 A The patient is generally seated on an
19 examining table and I use a safety pin and one
20 begins then by comparing side to side, area to
21 area.

22 Q What do you do, push the pin up against
23 different areas of the face?

24 A Exactly.

25 Q Are the patient's eyes open at that time?

1 A I may test with eyes open or eyes closed.

2 Q Do you know how you tested Kathleen Nabozny?

3 A Usually both ways.

4 Q And do you cover all of the areas that the
5 trigeminal nerve would --

6 A Correct.

7 Q You said the entire face is served by that
8 nerve?

9 A Uh-huh.

10 Q So, you test at different portions of Kathleen
11 Nabozny's face?

12 A Yes.

13 Q You did it on both sides?

14 A Correct.

15 Q And what did you find?

16 A The major finding was that there was a marked
17 decrease in pin appreciation in the right
18 mental region.

19 That corresponds roughly to the right side
20 of the chin, the right lower lip, to the
21 midline or to the middle of the mouth, the
22 right side of the tongue and the right lower
23 buccal mucosa, which is the inside of the
24 cheek, if you will, and also the right gum to
25 the midline.

1 That actually should read the right lower
2 gum.

3 Q What should read the right lower gum?

4 A I just say right gum to the midline. That's
5 the right lower gum. The right upper gum was
6 not involved.

7 Q You say sensation was normal in the right
8 mandibular region over the ramus of the jaw.

9 What area was that that was normal? I'm
10 referring to the second sentence.

11 A The ramus of the jaw really refers to an area -
12 how shall I describe this -- that is lateral
13 to the mouth, what some people might refer to
14 as the angle of the jaw, so that the ramus
15 anatomically speaking would be this area right
16 in here, looking at a skull.

17 So, along the mandible, along the jawbone,
18 her sensation was normal until one got to the
19 front of the jaw, if you will, in the chin
20 region.

21 Q I'm going to ask you to do something that is
22 not normally done in doctor's depositions, but
23 maybe you could just draw a schematic of the
24 face and the areas that you found to be
25 affected adversely?

1 A The mouth is pretty self-explanatory, but if 78
2 we're talking about the --
3 Q Draw a circle around the area, maybe we can
4 shade it in, the area that you believe has
5 been affected by the -- the areas that you
6 believe to be a permanent problem for Kathleen
7 Nabozny?
8 A Sure.
9 Q Would you put today's date and your initials
10 on there so we know that is your creation?
11 MR. DeSANTIS: I presume you're
12 going to mark it?
13 MR. MEADOR: Yes.
14 Q That will show the exterior areas that are
15 affected. Obviously it doesn't show the right
16 side of the tongue or the right interior gum
17 line or the inside of the right cheek?
18 A Correct.
19 Q But are we saying that those areas -- would
20 those be the areas internal to the exterior
21 areas that you have drawn here on this sketch
22 and let's mark this Exhibit B?
23 - - -
24 (Defendant's Exhibit B marked for
25 identification purposes.)

- - -

1
2 Q Take a look at page six of your report. Can
3 you tell me what an abscess is?

4 A Yes. An abscess is a localized, often
5 somewhat walled off area containing what is
6 commonly referred to as pus, which is usually
7 a collection of white blood cells, often
8 bacteria or whatever the infecting organism
9 is, necrotic issue that was in the area that's
10 been damaged by the inflammatory process
11 that's involved with the formation of the
12 abscess.

13 Q How would an abscess be differentiated from
14 cellulitis?

15 A Cellulitis is a more diffuse process. There
16 is -- it is meaning inflammation of the
17 tissues, and one would not find frank pus if
18 one stuck a needle in it.

19 One might find an area of inflammation and
20 localized cellular response; frequently one
21 might see, in fact, cellulitis before the
22 formation of an abscess.

23 So, it has a less, well-defined -- it's a
24 less, well-defined entity of no demarcations
25 of pus, but usually it's seen by all of the

classic manifestations of an inflammatory process, rubor, tumor, calor and dolor; redness, swelling, heat and pain.

Q Those four symptoms are classic symptoms of an abscess?

A Well, of an inflammatory process.

Q Whether it be an abscess or cellulitis?

A Or cellulitis.

Q What is neoplasm?

A Neo meaning new, plasm meaning in this case cells. It is a term often used in association with cancer.

So, it really refers to the development of and growth of cells that are outside the normal cell lines.

It's again usually manifestation of a tumor, cancer.

Q I want to hand you an MRI report that is dated July 25th, 1986, regarding a scan that was done and there's a conclusion on there that -- which reads, "The MRI study is highly suggestive, if not diagnostic, of an abscess or inflammatory cellulitis in the pterygopalatine fossa on the right."

Is there any doubt in your mind that as of

1 that date, Kathleen Nabozny had an abscess or
2 do you believe that because of this report,
3 July 25th, 1986, or do you believe that
4 there's also room to say that there may have
5 been a cellulitis at that point?

6 MR. DeSANTIS: Objection.

7 A Well, I suppose --

8 Q Is that a distinction without any meaning?

9 A I think so, frankly. I think that really in
10 this case is -- it's as much as a radiologist
11 can say on the procedure and really it's, I
12 would think, just difficult for him to say
13 more than that.

14 So, he was careful enough to not say more
15 than he should on the basis of the MRI scan
16 results.

17 I don't think the distinction is terribly
18 important to support it, from my perspective
19 anyway.

20 Q Where is the pterygopalatine region and how
21 does that affect the trigeminal nerve or how
22 do the two interface?

23 A That's going to be difficult to put on paper.

24 Q Do the best we can.

25 A The word fossa suggests cavity, The

1 pterygopalatine fossa is an area --

2 Q And for the record, you have been nice enough
3 to bring a skull?

4 A Human skull.

5 Q And you're going to point out as you testify,
6 I imagine, these areas; is that right?

7 A Yes. It is an area that lies underneath and
8 slightly lateral to the orbital socket, the
9 eye, and --

10 Q How large of an area is it in most humans;
11 give yourself a range, if you care to?

12 A I would say that you could -- we're talking in
13 perhaps one to two centimeters in this area.

14 Q The fossa that we're talking about, the
15 pterygopalatine fossa, then, would be on most
16 humans beings an area of approximately one to
17 two centimeters in size?

18 A Right. Probably the easiest thing to say,
19 it's deep to the cheekbone.

20 If an individual puts his finger on the
21 cheekbone and were to push that finger in, oh,
22 perhaps four or five centimeters, an inch and
23 a half or two, one would come in close
24 proximity to the pterygopalatine fossa.

25 As I was saying, it is sort of to the

1 outside, underneath, if you will, inferior,
2 slightly lateral and to the posterior part of
3 the orbit, the eye socket. And that would
4 place it in an area that would be again an
5 inch or two, perhaps three or four or five
6 even centimeters superior to the wisdom teeth,
7 the back molars in the upper gum, if that
8 makes any sense.

9 Now, how does that relate to the
10 trigeminal nerve? The trigeminal nerve again
E1 is a three part nerve, tri meaning three;
E2 geminal nerve.

13 The first division is not in question,
14 that's the ophthalmic division, It leaves the
15 skull through the -- into the back of the eye,
16 the back of the orbit, to the superior orbital
17 fissure.

18 The maxillary division, which is a second
19 division to the trigeminal nerve, leaves the
20 inside of the skull through the foramen
21 rotundum, okay, the round hole, and that exits
22 into the region of the pterygopalatine region,
23 the fossa.

24 Q When you say exits --

25 A It enters through that region, it enters

1 through the floor of the skull.

2 Q That's where it exists and passes through the
3 fossa that we are talking about?

4 A Passes near the fossa, right. It can be seen
5 very deep to that fossa. Then --

6 Q How close does it pass through that -- to that
7 fossa?

8 A Well, it's in -- it's hard to say. It's hard
9 to place precise definitions on the
10 boundaries, but it would be really at the
11 medial aspect of the fossa, past the foraminal
12 valley.

13 The mandibular division exits through the
14 floor of the middle fossa of the skull through
15 the foramen ovale, or the oval frame, and that
16 one is clearly visible. It's a little easier
17 to show, through here, and then the nerve then
18 exits into the deep face, through the floor of
19 the skull and then undergoes a series of
20 subdivisions, branches, and so forth, so we
21 have the --

22 Q Does it pass through the fossa, the
23 pterygopalatine fossa?

24 A Not really through it. It passes out somewhat
25 posterior to it and then branches come up

PAGE 85 MISSING IN ORIGINAL

1 was purchased from.

2 Q And those pipe cleaners are supposed to
3 represent the various branches of the nerve?

4 A No. These actually refer to other things.
5 There's other foramina there, involved there.
6 The carotid artery is represented by one of
7 them. They're really not -- in fact, most of
8 them have nothing to do with what we're
9 talking about.

10 I brought this one in because it has a
11 jawbone in place, a mandible; this other one
12 does not.

13 Q Let's see if we can put this in even simpler
14 terms.

15 The fossa, without using medical
16 terminology, the fossa is like a cavity,
17 right?

18 A Right.

19 Q And it's sort of a roundish sort of area?

20 A Correct.

21 Q It's in the deep spaces in the right
22 cheekbone, if you'd go --

23 A Deep to the cheekbone.

24 Q -- if you go one and a half to two inches
25 deep, you would be in the area of that fossa;

is that correct?

A Correct.

Q And there are two divisions of the trigeminal nerve that pass in the area --

A Region.

Q -- or the region of of this fossa; is that correct?

A Correct.

Q And the two divisions are the maxillary division and the mandibular division?

A Correct.

Q And neither one of these divisions actually pass through the fossa itself, but they either themselves or their branches go in the region of that fossa; is that correct?

A Well, the -- there would be some branches, the greater petrosal nerve would be actually involved with the maxillary division five and that would come close to being in the pterygopalatine fossa.

Q The petrosal nerve?

A Is not involved here.

Q I'm only asking you about the nerve that is involved here, which is the trigeminal nerve and all of its -- some of its divisions; is

1 that right?

2 A Correct.

3 Q Can you tell me how close the maxillary
4 division of the nerve passes to the fossa
5 itself?

6 A Well, we're dealing in terms of millimeters,
7 very small area.

8 It is along, again, the medial surface.

9 One thing that needs to be stated here is
10 we're not -- we're talking about multiple
11 branches that immediately start spreading out,
12 so this whole area is covered with a number of
13 branches of both the mandibular and the
14 maxillary division, which really can only be
15 appreciated in anatomical drawings.

16 In some of those branches, probably,
17 frankly, more from the maxillary division than
18 the mandibular division, which is a little bit
19 more lateral to this area, would come closer
20 to passing through the pterygopalatine fossa.

21 Q Okay. And it's your opinion that there is
22 permanent damage to the maxillary and the
23 mandibular divisions of the trigeminal nerve
24 for this patient?

25 A No. I thought that objectively, the major

involvement was in the mandibular division.

The maxillary division was spared.

Q That's why your impression, which is on page six, only talks about neuropathy to the mandibular division?

A Yes.

Q Then you've indicated that you looked up all the various subdivisions within that division that were affected?

A I reviewed, yes, some of the anatomy of the mandibular division, some of it particularly with regard to the ear.

I was less familiar with them than with the jaw.

Q And it's your opinion that these nerves were affected because of an abscess in the pterygopalatine fossa; is that correct?

A It's difficult to say precisely because there was infection outside of the pterygopalatine fossa. The temporomandibular joint appeared to be involved.

Q How do you know the temporomandibular joint was involved?

A Well, at least from what I gathered, surgeons were called in to aspirate the jaw, the TMJ

1 joint.

2 My feeling is that there was probably
3 abscess formation, but then the term that you
4 brought up, cellulitis, probably evidence of
5 inflammation and infection that were located
6 beyond that area.

7 For example, the maxillary sinus, we've
8 already talked about, was opacified and had to
9 be subsequently drained, as I understand it,
10 and that there was probably involvement back
11 into the region of the temporomandibular
12 joint, which is near the ear, so that whole
13 area was involved and so its difficult, if not
14 impossible, to define the exact margins.

15 The actual area of pus was, from the
16 information I have, localized to the
17 pterygopalatine fossa, but there were other
E8 areas of involvement outside of that.

19 Q Were you also aware of fluids and pus-like
20 materials in the sinus?

21 A Yes, in the maxillary sinus.

22 Q Do you know which came first, the fluids in
23 the sinus or the pus and whatever materials
24 were in the abscess?

25 A No, no way of knowing. I don't think -- that

1 would be impossible to know.

2 Q So, you don't know if this infection started
3 in the sinus region and then affected the
4 fossa region?

5 A No, I don't know how it spread.

6 Q Are you knowledgeable with respect to what
7 kind of infection the staphylococcus bacteria
8 will cause?

9 In other words, the progress of that sort
10 of an infection?

11 MR. DeSANTIS: Objection. Go
12 ahead and answer, if you can.

13 A You mean whether it's rapid or not so rapid?

14 Well, it would frankly depend on a series
15 of things that could happen. It could be
16 relatively indolent and stay around for a long
17 time before becoming evident or it could be a
18 rapidly progressive. It depends on the host,
19 resistance and it depends on whether or not
20 the patient becomes septic, it gets into the
21 bloodstream.

22 I mean, something like this can go to the
23 meninges and produce meningitis, so it's a
24 very difficult question to answer why some
25 people have an organism that stays localized,

1 or maybe even a host that kills it off, and
2 other people die faced with the same organism.

3 It's probably some chance how it spreads
4 when it gets into the -- into the blood
5 vessels and spreads throughout the body, a
6 host of factors.

7 Q That question would be better directed to,
8 say, an infectious disease specialist; is that
9 correct?

10 A Certainly.

11 Q Do you know where the source of Miss Nabozny's
12 infection came from?

13 A Well, again, the presumption is that it is
14 related to the dental procedures that she had.

15 Q And I'm not sure where you got that
16 presumption?

17 A It's my presumption, cause and effect
18 relationship on the basis of her having the
19 dental procedures and then over a relatively
20 short time developing problems which
21 subsequently led to the diagnosis of an
22 abscess in the region of the work that was
23 done, so it's strictly, as is the case often
24 in medicine, observational cause and effect.

25 Q Let me ask you this. Did you know that

1 Kathleen Nabozny also was found to have a
2 sinus infection when she was admitted to the
3 hospital?

4 A Oh, you mean the maxillary infection that we
5 already talked about?

6 Q Yes.

7 A Yes, absolutely.

8 Q What I'm asking you, is that a cause of the
9 dental extractions?

10 MR. DeSANTIS: Objection. You can
11 answer.

12 A My presumption is that the infection
13 throughout the right side of the face was
14 related to the dental extractions, including
15 the abscess into the sinus or the infection, I
16 should say, into the sinus, as well as the
17 facial infection.

18 My impression from the information I
19 reviewed, that was all related to the same
20 process.

21 Q But if you don't know what came first, the
22 sinus infection or the fossa abscess, how can
23 you rule out the possibility of there being a
24 sinus infection then carrying over into the
25 other regions that were affected?

MR. DeSANTIS: Objection. Go

ahead and answer.

A Well, there was no clinical symptomatology before that.

Quite frankly, had there been, the dentist should not have been doing dental extractions in the face of a sinus abscess.

He himself -- and that would not have been in the best interest of good medical care.

So, my presumption is that even her dentist didn't recognize any problem like that before because one would not want to remove teeth in the face of an infectious process.

Q Because of all of the factors involved that you talked about regarding a staphylococcus infection, you're not able to say with certainty, then, how quickly it progresses; is that a fair statement?

MR. DeSANTIS: Objection.

A You mean in all cases?

Q Well, in Kathleen Nabozny's case, for example? Are you able to say when she was infected and to the day what occurred after that, with respect to her infection?

A Oh, I see what you're saying. No, I can't say

1 when the first staphylococcus organism entered
2 into the tissue and began to multiply.

3 Q Can you say when she had the abscess, when the
4 abscess developed?

5 A When it would be defined as an abscess versus
6 cellulitis? No, not precisely.

7 Q Can you say when it was cellulitis?

8 A You mean how soon after the dental procedure
9 did she --

10 Q Or before the dental procedure. I'm not going
11 to limit you to time after the dental
12 procedure.

X 13 A I suspect that is correct, on the basis of her
14 history, probably began to have manifestations
15 of the infection, in retrospect, probably
16 about three to four days after the dental
17 extractions,

18 Q Okay. And how are you able to say that?

19 A On the basis of the patient's complaints.

20 Q What specifically would this patient have had
21 three or four days after the dental
22 extractions?

23 A She would start to develop pain.

24 Q What's happening inside, though? Is that when
25 she had cellulitis three or four days after

1 the extractions?

2 MR. DeSANTIS: Objection. You
3 asked him two questions. Which one do
4 you want him to answer?

5 A The -- well, let me just try to answer it
6 anyway.

7 I am surmising that when she began to
8 complain of increased pain, that being one of
9 the manifestations of the inflammatory
10 response, when she started to have increased
11 pain three to four days after is when she
12 started -- when her infectious process started
13 to manifest itself.

14 Now, I cannot tell you that at that point,
15 she had abscess or cellulitis or what. My
16 presumption would be that she would not have
17 had a frank abscess three to four days after
18 the dental procedure, assuming the
19 association, that that probably would have
20 taken somewhat longer and that there was a
21 period of generalized tissue inflammation, and
22 that probably at a point somewhat further down
23 the line that there was there the development
24 of a frank localized pocket, if you will, of
25 pus, an abscess.

1 But the exact time course I don't think --
2 I'm not capable of telling you exactly what
3 the course was.

4 - - -

5 (Recess taken.)

6 - - -

7 Q Let me refer you to page six where you start
8 with your comments. I assume, Doctor, that
9 that's where more or less your opinions come
10 into play in this report; is that correct?

11 A Observations, opinions.

12 Q Conclusions?

13 A Whatever the word comment means, but it has
14 some of all of that,

15 Q And in your first paragraph under "Comments,"
16 you basically are saying that you don't have
17 any doubt about the organic basis of the
18 patient's symptom complex?

19 A Correct.

20 Q Which means what?

21 A Well, if we were just talking about a
22 subjective complaint, a patient who comes in
23 with a headache, there's no way of proving or
24 disproving that on the basis of the history
25 and physical examination.

1 The next step in my mind would be a
2 situation where a patient comes in with a
3 sensory disturbance. The patient could see
4 they don't feel the pin in one area and they
5 do feel it in the other, that's a very
6 subjective experience. That's one of the
7 reasons why I don't often routinely check
8 olfaction. They say they can smell the
9 chemical or they can't.

10 In this particular situation, I was
11 impressed with her examination for very simple
12 reasons. I don't think most individuals know
13 anywhere near enough of their neuroanatomy to
14 make this up.

15 I know medical students that don't know
16 enough neuroanatomy to make this up, and so,
17 that was one of the things that impressed me.
18 Not only would she have to know the division,
19 the mandibular nerve distribution, she would
20 have to know the specific distribution, such
21 as the mental nerve. Not many people would
22 know that. This is not a lady that is
23 medically sophisticated.

24 So, that was my observation and that is
25 opinion in the first paragraph of the comments

1 that I truly believe that this is a sensory
2 disturbance that is organically based.

3 Q Okay. How soon after the extractions did the
4 first evidence of sensory disturbance manifest
5 itself?

6 A Well, some of this was evident to Dr. Cole, I
7 believe. Let me just peripherally look at my
8 notes again.

9 Q I think you have it in your next paragraph, it
10 says here the patient did not have any sensory
11 disturbance on the right side of her face
12 until approximately ten days after the dental
13 extractions.

14 A Right.

15 Q Is that the first time, then, as far as you
16 know, that the patient had sensory disturbance
17 on the right side of her face?

18 A Correct.

19 Q And what do you mean by sensory disturbance?

20 A By that, I mean a complaint, something other
21 than pain and this is where we get into the
22 issue of numbness, which has a more -- more
23 specific to nerve involvement than just pain.

24 Q And where did you get this information that it
25 was ten days after?

1 A The patient's history that she said when she
2 started to feel numbness.

3 Q And did you notice that Dr. Cole's notes also
4 indicated that ten days after the extraction,
5 the patient complained of tingling?

6 A I can't recall noting that. Actually -- and
7 even that's not totally accurate, because as I
8 mentioned in my initial impression, the
9 patient was complaining of -- I'm sorry, my
10 initial history --

11 Q Well --

12 A Let me put this back together if I may.

13 Q Let me show you what I'm referring to.
14 Defendant's Exhibit 1, which is an exhibit
15 that was marked at Dr. Cole's deposition,
16 indicates on here Friday, July 18th, patient
17 began with tingling feeling inside of face?

18 A Yes.

19 Q You saw this before, this report or this
20 record from Dr. Cole?

21 A Is this his initial exam?

22 Q This is his record.

23 A Yes, I presume that I saw that, then.

24 Q It wasn't in your file here. Is there a
25 reason why it's not in your file?

1 MR. DeSANTIS: Well, for the
2 record, we took Dr. Cole's deposition
3 long after a lot of this material was
4 provided to Dr. Devereaux.

5 I don't know if some of the stuff
6 you're referring to is some of the
7 material that came into both of our
8 possessions from Dr. Cole subsequent to
9 the time it was transferred to

10 pr. Devereaux.

11 Q Well, I guess my question is I didn't see any
12 material from Dr. Cole in your report.

13 MR. DeSANTIS: Other than the
14 records that we already talked --

15 A His initial consultation report, what I have
16 is listed in the first page here.

17 Q Okay. I stand corrected. There is -- you do
18 have the typewritten page. Okay.

19 A Okay, yeah.

20 Q In any event, what I'm leading to is that
21 Dr. Cole also had in his notes a tingling that
22 was reported by the patient ten days after the
23 extractions?

24 A Yeah.

25 MR. DeSANTIS: I'm going to object

1 to that. You're mischaracterizing.
2 Those aren't Dr. Cole's notes. He
3 testified that somebody else other than
4 him took those notes and he didn't know
5 who,

6 MR. MEADOR: That's something you
7 and I can argue about, I guess,

8 Q In any event, that information was either
9 given to you -- it was given to you by
10 Kathleen Nabozny, though, ten days after?

11 A Yes, correct.

12 Q And you believe the reason why there was
13 sensory disturbance at that time was because
14 the -- you say the abscess -- it says,
15 "Presumably the abscess had reached a size by
16 that time to produce compression"?

17 A Yes, I did say that. And probably that's a
18 little presumptuous on my part because, again,
19 to presume the exact mechanism of nerve
20 involvement, whether it: is compression, direct
21 inflammation and damage to the nerve from the
22 inflammatory response is really unclear.

23 So, what the exact pathophysiologic
24 process is, whether it's mechanical, I really
25 don't know, I probably should have made that

1 more clear.

2 Q You're not saying for sure there was an
3 abscess at that time, that's just one hunch of
4 what may have caused the sensory disturbance
5 at that time; is that correct?

6 A Yes.

7 Q Okay. And I mean, you said reached a size,
8 but you can't size anything at that point?

9 A Correct.

10 Q So, if I asked you what the size of the
11 abscess was at that time, you'd say you don't
12 know?

13 A I think it would be frankly difficult for
14 anyone to know, even the physicians who were
15 working on the abscess at that point. It's
16 not something that you can measure with a
17 ruler.

18 Q So, whatever it is, you do believe that it is
19 some sort of a compression, though?

20 A Well, I believe more accurately that it is
21 part of the process and whether it's
22 compression, inflammation, direct damaged
23 nerve, I really can't say and I probably
24 should have been a little more clear about
25 that in this note.

1 Q What is the process we're talking about?

2 A The infection in her face.

3 Q Can you give me a chronology of how it follows
4 along, what do you start with and --

5 A Okay. It would be a general chronology of the
6 inflammatory process, and what would happen
7 would be that there -- the organisms that
8 would have escaped into the tissue, there then
9 is a host response, which involves white blood
10 cells and other areas coming to the area to
11 phagocytize and other ways to try to fend off
12 the organism.

13 The organism at the same time is
14 multiplying and I suppose very simplistically
15 stated, it becomes somewhat of a race between
16 what the organism can do and can't do and what
17 the host doesn't respond to, which includes
18 the initial phagocytosis, the white blood
19 cells coming in to consume the bacteria and
20 ultimately even certain antibiotics and other
21 kinds of, I guess, chemical and hormonal
22 defenses.

23 The fever itself is a general response
24 because raising the body temperature tends to
25 inactivate organisms, although there was no

1 fever here.

2 Then this process spreads with resultant
3 necrosis, tissue damage. That, in
4 combination, as I already mentioned before,
5 with the white blood cells and with the
6 bacteria, forms actual pus, that leads to the
7 formation of the abscess and then around that
8 abscess certainly there would be abnormal
9 tissue; i.e., inflammation, cellulitis.

P0 So, that would have gone beyond the
11 confines of the abscess into the tissues of
12 the face and whether the nerves were damaged
13 again by the actual compression of the abscess
14 being consumed by the abscess, necrosis, other --

15 Q Consumed?

16 a Well, with the abscess coming around and
17 literally destroying the nerve or whether it
18 would be just the cellulitis with inflammation
19 and death of the axons and Schwann cells which
20 surround the axons and the nerve itself by
21 compromising blood supply or what have you, I
22 don't know.

23 I don't think anybody can be certain of
24 that. So, as I say, that probably is not a
25 necessarily incorrect statement, but it's

1 probably overly simplistic.

2 Q Can we say to a reasonable degree of medical
3 certainty that the -- when the compression
4 started on the nerve?

5 A No, I can't say.

6 Q You can't say that it started ten days after
7 the dental extractions?

8 A Well, I would say there was involvement of the
9 nerve ten days after the dental extractions
10 and that was what was producing her numbness.

11 a But you're not going to say to a reasonable
12 degree of medical certainty that the
13 compression started on the nerve itself ten
14 days after the dental extractions?

15 a I probably shouldn't say that because I'm
16 presuming a mechanism that I can't really
17 defend.

18 Q I understand, So, you can't say that the
19 compression started ten days after the
20 extractions to a reasonable degree of medical
21 certainty?

22 A Or whether it was with inflammation or what,
23 that is correct,

24 Q Okay. Take a look at the last page of your
25 report, page seven.

1 I believe the first full paragraph on that
2 page, you basically indicate the
3 symptomatology and you also indicate in so
4 many words that, "I believe that this is a
5 permanent condition"?

6 A Yes.

7 Q Okay. Now, you are willing to give that
8 opinion without examining her again, you're
9 able to give that after your one examination?

10 A Well, obviously that opinion would be
11 strengthened by repeated exams. No question
12 about it.

13 But for the reasons I listed on the basis
14 of one examination, that was my impression,
15 yes.

16 Q Okay. And then the next paragraph -- the next
17 paragraph where it says, "Although I admit to
18 the ease of practicing medicine by hindsight,
19 I do believe that had the problem been
20 recognized sooner than 15 days
21 postoperatively, she might not have been left
22 with permanent damage to the mandibular
23 division of the trigeminal nerve."

24 Now, with respect to that sentence, you
25 say, I think you are assuming that the problem

1 was recognized 15 days postoperatively, being
2 that that's the time that Dr. Cole examined
3 her; is that correct?

4 MR. DeSANTIS: Objection. Go
5 ahead and answer.

6 Q Is that your assumption, though, by that?
7 I'll be glad to show you Dr. Cole's records.

8 A I just need the dates. That's all I would
9 answer.

10 July 8th was the surgery, Monroe Cole's
11 examination was the 15th -- I'm sorry, the
12 23rd and that's 15 days later,

E3 Q Okay. That's what you're basing that on,
14 then?

15 A Yes.

16 Q So, Dr. Cole recognized the problem 15 days
17 after surgery.

18 You're saying that the permanent damage
19 that she had might not have occurred if it had
20 been recognized earlier; is khat correct?

21 A Yes, that is correct,

22 Q You're not saying as to when Dr. Chepla should
23 have recognized the problem?

24 MR. DeSANTIS: Objection. Go
25 ahead and answer.

1 Q I'm not asking you for that, if you don't have
2 an opinion on that, but he's an oral surgeon
3 and you're a neurologist and --

4 A It is difficult to say within, you know, a
5 precise date the problem should have been
6 recognized.

7 Q Okay.

8 A To say whether it should have been recognized
9 in four days or six days or seven days --

10 Q Right, I understand that. Are you able to
11 say, though, to a reasonable degree of medical
12 certainty that if, say, for example, he would
13 have recognized the problem 14 days after the
14 surgery, that this permanent damage would not
15 have occurred?

16 A I can't say that.

17 Q Okay. I'm just going to use another number,
18 instead of going all the way down the numbers
19 from 15 down to zero, but are you able to say
20 to a reasonable degree of medical certainty
21 whether if this problem had been recognized,
22 say, ten days after the surgery, whether or
23 not Mrs. Nabozny would or would not have
24 suffered permanent injury?

25 A Okay. My suspicion is or my impression is

1

that had this been recognized around nine to
ten days after the dental extractions, that
permanent injury may well have been avoided.

4

What do I base that opinion on? Because
about ten days afterwards is when she
developed numbness. So, that's when there is
neurologic compromise.

8

So, it is a relatively safe presumption
that had she been treated, we'll say, before
the onset of numbness, that she may well not
have developed neurologic problems.

12

Q So, if this would have been -- the problem
would have been diagnosed before nine or ten
days after the surgery, then you believe to a
reasonable degree of medical certainty that
she would not have experienced this permanent
damage?

17

18

A I think that's a safe statement. Again, it's
not a guarantee because had treatment begun
nine days before, it doesn't mean that the
abscess and inflammation would have responded
so immediately that she wouldn't have gone on
to develop a problem.

19

20

21

22

23

24

Q That's what I thought. I'm asking you whether
or not it's more probable that she would not

25

1 have had permanent injury, if, say, for
2 example, it was discovered nine days after the
3 extractions?

4 A Yes, it's more probable that she would not
5 have had injury if it was discovered nine or
6 ten days afterwards versus fifteen.

7 Q You're not going to say nine days, you're
8 going to say nine or ten days?

9 A Well, these -- you know, to give an hourly,
10 almost, course doesn't -- isn't fair to either
11 side. You just can't be that precise.

12 Q Right.

13 A I can't give you a point in time, presuming
14 that everything is stated here absolutely
15 correctly about onset of times, and so forth,
16 when treatment would have prevented neurologic
17 compromise. I can't say with absolute
18 certainty.

19 It is obvious that the sooner treatment
20 began, the less likely she would have
21 developed neurologic problems. No one will
22 dispute that.

23 If she had been treated the day after
24 surgery, no one disputes that the neurologic
25 problems could have been avoided, as long as

1 we're playing with days and numbers. Where in
2 that continuum after that that treatment of
3 the infection would have guaranteed or almost
4 guaranteed that there wouldn't have been a
5 development of a neurologic problem is so hard
6 to --

7 Q I'm not asking for guarantees. When does it
8 go over the 50 percent mark in terms of what
9 day, if you can do that? If yaw can", that's --

10 A You, yourself, have already, early on in this
11 deposition given one of the problems. This is
12 a rare situation and when you're dealing with
13 a rare situation, it is difficult to talk in
14 terms of what is precise.

15 If it had happened thousands of times,
16 then you could say with greater certainty.
17 I'm telling you that it would be my impression
18 or that I think with probability that had this
19 been treated nine to ten days out, somewhere
20 in that vicinity, that you very likely could
21 have avoided neurologic compromise.

22 Q Treated nine or ten days, or are you talking
23 about diagnosed, because there is a delay
24 here? You notice that even Dr. Cole, as
25 astute as he was, he found the problem or had

1 a preliminary idea of what it might be 15 days
2 postoperatively, but it wasn't until the 17th
3 day that they actually started with an
4 antibiotic?

5 A But that's the problem that one would face in
6 his institution. He probably couldn't get an
7 MRI scan for two days.

8 Hillcrest does not have an MRI scanner.
9 Patient had to be sent off premises,
10 scheduling and that sort of thing. Had he
11 been working at University Hospitals,
12 Mt. Sinai, the Cleveland Clinic, diagnosis
13 very probably would have been made the same
14 day. That's just the way that things are.

15 It's not his fault. It's the
16 circumstances of the time of 19, what, '86
17 when this took place. MRI was a relatively
18 new procedure and not so easily available.

19 So that the two days really comes down to
20 he made the diagnosis in his office and then
22 he started the wheels rolling of trying to
22 prove the diagnosis and that: is a function of
23 radiology, the individual hospital, how fast
24 you can get tests done, all those kinds of
25 things. That's why it took so long.

1 There was no delay in the diagnosis in
2 terms of him having to think of new things to
3 do. He knew right where he was going, he just
4 kept throwing tests at her until he proved the
5 diagnosis and that did take two days in his
6 setting where, in another setting, it may have
7 been taken hours,

8 Q What was the first step that was taken here to
9 fight this infection?

10 A In terms of treatment?

11 Q Yes.

12 A Oh, boy, I'm not sure I know without looking
13 over the notes, but the very first step was --
14 I honestly don't know what the exact orders of
15 treatment, how it took place. I really didn't.
16 address myself too much to that.

17 It was sort of outside my area of
18 expertise. I can look at the notes as well as
19 you and tell you -- try to piece together from
20 the progress notes what he did step by step if
21 that's what you want me to do.

22 Q No, that's all right. Let me see if I
23 understand your opinion,

24 If nine or ten days after the extractions
25 Kathleen Nabozny had gotten whatever the first

1 step of treatment was, she would not have had,
2 more probably than not, she would not have had
3 any permanent injury?

4 MR. DeSANTIS: Objection. Go
5 ahead and answer that if you agree to
6 the characterization.

7 A Well, what you're saying, if the diagnosis had
8 been made and treatment started a week earlier
9 than was the case, would she have developed
10 her problems and the answer is: My suspicion
11 is no.

12 Q And your suspicion is more than 50 percent?

13 A Yes.

14 9 Okay. Why, when you wrote your report, you
15 indicate that had the problem been recognized
16 sooner than 15 days postoperatively, she might
17 not have been left with permanent damage, why
18 did you use the terms "might not" instead of
19 "probably not"?

20 A Well, we're getting into semantics, aren't we,
21 semantics of the legal system and semantics of
22 the medical system.

23 I am -- outside this deposition, there
24 might not -- I would doubt that "might" and
25 "probably" have much specificity and

1 difference between them, so I'm not really --

2 I'm using -- I don't want to get into a
3 semantics argument between "might not" and
4 "probably not" and what that relates to.

5 You define it in court. It's not really
6 defined outside of the courtroom.

7 Q Is that the extent of your opinions, then, in
8 connection with this matter?

9 A Yes.

10 Q You're not going to testify that Dr. Chepla
11 committed malpractice or failed to comport
12 with the standard of care?

13 A I basically will testify --

14 MR. DeSANTIS: Well, I'm going to
15 object and let you go ahead and answer.

16 A I will stand by what I wrote and I avoid terms
17 like malpractice, frankly, in a report because
18 that's really a legal terminology, frankly,
19 from my perspective and I stand by what I say
20 and that is that I do think that there was a
21 delay in the making of this diagnosis and that
22 there may be room for debate and that's why
23 we're here, but my impression from my
24 standpoint as a neurologist is that this
25 diagnosis should not have been made by a

1 neurologist.

2 Much power to Dr. Cole for making the
3 diagnosis, but the situation had gone too far
4 at that point.

5 Q When do you think that the diagnosis should
6 have been made?

7 A Well, okay. This is going to be a difficult
8 issue and I suspect will become the crux of
9 the debate that will go on in court.

10 The thing that bothered me was that this
11 woman had chronic complaints -- started
12 developing complaints three to four days, in
13 that vicinity, after her procedure, and was
14 having problems throughout that time, was
15 treated at one point with antibiotics, but
16 nobody sat down and said, "What's going on
17 here? This lady is not getting better, she's
18 developing more symptomatology. Let's take a
19 look at this."

20 That's what I think should have happened
21 in the best of all possible worlds.

22 Q And you think that nine or ten days after the
23 extractions, that had she gotten these -- the
24 treatment, and we haven't said what the
25 treatment is because you're not that familiar

1 with what the first line of treatment was, it
2 probably was the antibiotic that she was
3 given?

4 A Well, I presume. I didn't really --

5 Q Why don't we make sure we know what we're
6 talking about here? Look at the hospital
7 record, then, and tell me what the first
8 course of treatment was.

9 A Okay. 7-25.

10 MR. DeSANTIS: Let me interject.

11 Are you talking about the first course
12 of treatment after the MRI pinpointed --

13 MR. MEADOR: No, after her --

14 MR. DeSANTIS: Let me finish. Are
15 you talking about after the MRI
16 pinpointed the pterygopalatine fossa
17 infection or at the time she saw
18 Dr. Cole on the 23rd?

19 MR. MEADOR: After her admission
20 to the hospital, what was her first
21 course of treatment?

22 A Probably the best thing to do is not look at
23 the progress notes. Look at the order sheets.

24 The very first treatment was nonspecific
25 and that was some pain medications, and so

1 forth, to help reduce her pain.

2 Q What was the next treatment she received?

3 A She was given some Motrin on the 24th, which
4 is an anti-inflammatory drug, primarily for
5 pain.

6 Q Do you think if the Motrin or the pain
7 medication were given nine or ten days after
8 the extractions, that it would have made any
9 difference?

10 A No. Probably the first treatment that I can
11 see here, unless they gave her something --
12 was the Clindamycin that was started on 7-25.
13 I had no other information in my chart or my
14 letter and it's the first thing I see written
15 here on 7-25 as a specific, nine hundred
16 milligrams IV qh.

17 Q So, if Mrs. Nabozny had gotten that
18 Clindamycin nine or ten days after the
19 extractions, then it's your opinion that it's
20 more probably --

21 A If treatment --

22 Q -- than not she would not have had the
23 permanent injury?

24 A Yes, I think that's a safe statement.

25 Q And that's the sort of the treatment that you

are saying she should have gotten nine or ten days after the extractions, the Clindamycin?

A What I am saying is the process should have begun before it began is what I'm saying.

My problem with this case is pure and simple and that was that she was left to seek out a neurologic opinion and that a neurologist should not be making a diagnosis of an abscess in the face after a dental extraction, okay, that the process was not begun before.

My problem with this case, I cannot guarantee you that she would not have developed these problems, okay, even if treatment was begun earlier.

I suspect there would have been a much less likely chance of that happening, as I already testified, but the problem, the situation was delayed.

This woman should have gotten involved in the process of trying to figure out what was going on four or five days afterwards, okay.

If all the tests would have turned out negative and the diagnosis could not have been made, that happens. It's happened to me.

1 Nothing you can do about that.

2 The situation is it was delayed in terms --
3 she doesn't get the specific treatment until
4 the 25th and I think that is too long.

5 I think this should have been -- there
6 should have been efforts to uncover this
7 before the 15th, other than giving nonspecific
8 antibiotic therapy for somebody who has
9 evolving symptoms of the face.

10 Q You've had a chance to read Dr. Mann's report
11 regarding this matter?

12 A Yes.

13 Q How do you differ with his report?

14 MR. DeSANTIS: Let me interject.
15 Once again, he just received that
16 report.

17 MR. MEADOR: I understand that.

18 MR. DeSANTIS: And perused through
19 it. If he's willing to comment on it --

20 MR. MEADOR: Well, just object. I
21 would appreciate it if you'd just
22 object.

23 MR. DeSANTIS: WeIf, I just want
24 it clear on the record that if you're
25 going to ask him questions about a

1 report that he hasn't had a chance to
2 study, that you're going to get answers
3 that are going to be based on that
4 short duration, and if he doesn't want
5 to answer, I don't think he has to.

6 MR. MEADOR: Well, I think it's
7 improper for you to give that kind of
8 objection, Frank. I'm surprised that
9 you would coach the doctor that much.

10 MR. DeSANTIS: I'm not coaching.
11 I feel real uncomfortable having you
12 ask him questions about a report he has
13 not seen.

14 MR. MEADOR: Well, he can say
15 that.

16 MR. DeSANTIS: I can instruct him
17 not to answer.

18 MR. MEADOR: No, you can't. I
19 don't believe you can.

20 I don't think that's fair to say
21 that you can do it. The doctor can do
22 that. If he hasn't had enough time to
23 study it or --

24 MR. DeSANTIS: That's what I just
25 said.

1 MR. MEADOR: He can say that. You
2 don't have to say that for him.

3 MR. DeSANTIS: I sure do. What do
4 you think I'm here for? I'm here to
5 protect the record and one of those
6 things is to advise him that he doesn't
7 have to answer your questions about a
8 report that he hasn't had an
9 opportunity to study --

10 MR. MEADOR: He's a witness. He's
11 not your client.

12 MR. DeSANTIS: I don't care if
13 he's a witness or my client. I'm here
14 to represent my client and if part of
15 that is to instruct him that he doesn't
16 have to answer questions about a report
17 that he hasn't had a chance to study,
18 I'm going to do that.

19 A I will comment, first of all, if I may say
20 something. I'm not his witness, from my
21 perspective, as a neurologist. I am making
22 the opinion and I would be making the same
23 opinion if he calls me or if you call me.

24 If I can't say that, then I better not
25 come to court again.

What I am saying is that Dr. Mann is a neurologist and I am a neurologist, and when it comes to the diagnosis of facial abscesses, I made a point already, that is not a neurologic diagnosis.

Dr. Mann makes the point that the diagnosis was subtle. For a neurologist, that's true. Monroe Cole was smart enough to pick it up.

And his, even though the findings may seem subtle, they were definite enough that he makes an emergency hospital admission and begins an emergency workup, most of the studies are ordered ASAP, and he proves the diagnosis he made on his initial impression. Yes, the --

Q Let me ask you about what you just said.

Are you saying that when this patient started to develop sensory problems, that that was not the thing to do, to refer that person to a neurologist?

A Oh, yes, absolutely, that was the thing to do at that point.

I'm just telling you it should have never gotten that far, that ideally, this should not

1 have happened, that this patient goes to a
2 neurologist and has some sensory symptoms and
3 also ends up having some involvement with the
4 7th nerve, some motor phenomenology as well.

5 After she had had some complaints for a
6 period of time and for, what, approximately
7 ten days before, she goes to a neurologist or
8 is asked to see a neurologist. I don't think
9 she was referred to a neurologist. That --
10 Dr. Mann is correct that the neurologic
11 phenomenology on that was probably quite
12 mental before that. This woman had pain, this
13 woman had problems in her face and they were
14 not being addressed.

15 I would hope at that point she had no
16 neurologic phenomenology. If she did, then
17 there really is an egregious problem.

18 My presumption is the neurologic findings
19 are late in their findings, but that the
20 infection had gone too far by the time she
21 developed the neurologic problems.

22 I disagree with Dr. Mann, as much as I've
23 had the opportunity to review his report, in
24 that I think that this should have been
25 identified and the process of trying to figure

1 out what was the matter with this lady before
2 what he reports being relatively minor
3 neurologic or minimum neurologic findings or
4 subtle neurologic findings, 15 days after when
5 Monroe Cole saw her.

6 This lady had pain and numbness before
7 that and the problem should have been
8 addressed. This lady should never have seen a
9 neurologist. Never should have seen a
10 neurologist, in my opinion. A neurologist
11 should not be making the diagnosis of a facial
12 abcess.

13 Q You're saying the oral surgeon should have
14 made the diagnosis?

15 A The diagnosis should have been made before
16 that.

17 Q Are you saying when?

18 A Well, I am being somewhat vague about that,
19 admittedly, because I wasn't involved in the
20 process.

21 She did have symptoms three to four days
22 after and her symptoms were getting worse, as
23 I understand it, from the information that I
24 have.

25 Something should have happened, okay.

1 Now, had she been referred to a neurologist in
2 three or four days, I don't know that Monroe
3 Cole could have made the diagnosis. Knowing
4 him, he probably would have.

5 I can't be sure about that, that's pure
6 speculation on my part, but something should
7 have been going on to try to stop this or at
8 least try to get to the bottom of this.

9 Nothing was done, there were no diagnostic
10 procedures instigated.

11 Q You're not going to say when, but you just
12 think something should have been done?

13 A Yeah, I think it's difficult to precisely say
14 whether the fourth day or the fifth day or the
15 sixth day or the third day, but I am bothered
16 by the information that I have, three to four
17 days out, she developed pain and other
18 symptoms and she should have -- those symptoms
19 should have been addressed.

20 Q What other information have you had regarding
21 preparing your opinion, other than the
22 materials you reviewed here?

23 You just referenced a comment that
24 Mrs. Nabozny was talked to about seeing a
25 neurologist at an earlier date than when she

PAGE 128 MISSING IN ORIGINAL

1 Q okay.

2 A no, I didn't mean to suggest that.

3 MR. MEADOR: Well, we finally
4 ended this, I think. Thank you very
5 much. You have a right to read it, if
6 you'd like to.

7 THE WITNESS: Thank you, no.

8 - - -

9 (Signature waived.)

10 - - -

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25