

1 IN THE COURT OF COMMON PLEAS

2 OF CUYAHOGA COUNTY, OHIO

3 CHERYL OLA, et al.

4 Plaintiffs,

5 vs.

Case No.

6 MICHAEL MACFEE, M.D.,

152815

7 et al.,

8 Defendants.

9 - - - - -

10 Deposition of MICHAEL WILLIAM

11 DEVEREAUX, M.D., a Witness herein, called by

12 the Plaintiffs for examination under the

13 statute, taken before me, Donnalee Cotone, a

14 Registered Professional Reporter and Notary

15 Public in and for the State of Ohio, pursuant

16 to notice and stipulations of counsel, at Mt.


17 Sinai Medical Center, One Mt. Sinai Drive,

18 Cleveland, Ohio, on Tuesday, November 27, 1990,

19 at 5:00 o'clock p.m.

20 - - - - -

21  
22  
23  
24  
25  
ORIGINAL



## 1 APPEARANCES:

2 On behalf of the Plaintiffs:

3 Don C. Iler Co., L.P.A., by

4 NANCY C. ILER, ESQ. and

5 DON C. ILER, ESQ.

6 1640 Standard Building

7 Cleveland, Ohio 44113

8 (216) 696-5700

9 On behalf of the Defendants:

10 Jacobson, Maynard, Tuschman &amp; Kalur

11 Co., L.P.A., by

12 SUSAN M. REINKER, ESQ. and

13 DAVID T. MATIA, ESQ.

14 1001 Lakeside Avenue, Suite 1600

15 Cleveland, Ohio 44114

16 (216) 736-8600

17 -----

PG LN [Ngl]OLA-DEVEREAUX 11-27-90 DLC ---COMPUTER INDEX--

PG LN BY-M\*

3 7 DEVEREAUX, M.D. BY-MS. ILER: Q.

PG LN MARK'D

7 13 1 through 8 were mark'd for purposes  
12 12 Exhibit 9 and 10 were mark'd for purposes of

PG LN AFTERNOON-SESSION

PG LN ---THIS INDEX IS RESEARCHED BY COMPUTER---



1           MICHAEL WILLIAM DEVEREAUX, M.D., of  
2   lawful age, called for examination, as provided  
3   by the Ohio Rules of Civil Procedure, being by  
4   me first duly sworn, as hereinafter certified,  
5   deposed and said as follows:

6   EXAMINATION OF MICHAEL WILLIAM DEVEREAUX, M.D.

7   BY-MS. ILER:

8           Q.     Doctor, would you please state your  
9   name for the record?

10          A.     Michael Devereaux.

11          Q.     And your office address?

12          A.     Is division of neurology, Mt. Sinai  
13   Medical Center, Cleveland, Ohio, 44106.

14          Q.     And is that your only office?

15          A.     Yes, that's my only office. I see  
16   patients one day a week elsewhere, but it's a  
17   hospital office. This is where I'm located.

18          Q.     Doctor, Susan Reinker has given me  
19   a curriculum vitae. Have you had a chance to  
20   look this over and is this current?

21          A.     There's one that's being redone  
22   here that has a few more articles and stuff in  
23   it, but I don't know how old this is. It's  
24   good enough. It's been -- it's good enough.

25          Q.     Okay. Are any of the articles that

1 you have written or any of the chapters of the  
2 books that you have written have anything to do  
3 with the issues here, femoral neuropathy.

4 Q. Have I written anything specific on  
5 femoral neuropathy, no.

6 Q. Are any of the new articles that  
7 haven't yet been added to this have anything to  
8 do --

9 A. No.

10 Q. Doctor, from your curriculum vitae  
11 I've seen that you're on several hospital  
12 committees. Can you list those for me?

13 A. Mt. Sinai committees. Let's see.  
14 I am on the utilization review committee. I'm  
15 chairman. Library committee. I'm on the  
16 medical advisory committee for the department  
17 of medicine, which is an elected position. I'm  
18 a treasurer of the Mt. Sinai Medical Society.  
19 What else?

20 Q. Would that help you?

21 A. Yes. I can keep all these things  
22 straight. Continuing education committee,  
23 medical ethics committee. I'm on the Haa's  
24 committee. Those are the main Mt. Sinai  
25 committees I'm on right now.

1 Q. And you're on some committees at  
2 some other hospitals, is that right?

3 A. Well, you know, I circulate on and  
4 off committees at University. I was on faculty  
5 counsel. I was elected to that. That just  
6 concluded. I was on the steering committee.  
7 That was just concluded. But yes, those are  
8 the main committees I'm on right now, uh-huh.

9 Q. Are you presently working on any  
10 research projects?

11 A. Yes.

12 Q. All right. Doctor, after you  
13 devote your time to the hospital committees  
14 that you've listed in your research and your  
15 teaching, what's the percentage of your time do  
16 you spend in direct patient care?

17 A. Percentage of my time would be  
18 probably 50 percent.

19 Q. Doctor, do you know Dr. Gerald  
20 McIntosh? He was a neurologist at University  
21 Hospital for a time.

22 A. Yes.

23 Q. And did you find him to be a  
24 competent neurologist?

25 A. I have no reason to believe that he

1 wasn't.

2 Q. Do you know Dr. MacFee?

3 A. No.

4 Q. Have you ever spoken with Dr.  
5 MacFee?

6 A. No.

7 Q. When were you first contacted about  
8 Cheryl Ola and about this case?

9 A. It was I think in February of 1989.

10 Q. Do you have some correspondence  
11 that documents that?

12 A. Yes.

13 MS. REINKER: Excuse me. I think  
14 there was older correspondence than that. I  
15 think there's other correspondence in there.

16 A. I think it was February of 89.  
17 Yes. Here, February of 89. February 16th.

18 Q. And was Susan Reinker the first  
19 person who contacted you about this case?

20 A. Yes. The only person I've talked  
21 with about this case that I can recall.

22 Q. And apparently you had a phone  
23 conversation before this letter was written?

24 A. Yes.

25 Q. And what did she ask you to do at

1 that time?

2 A. Well, according to her note it was  
3 that I agreed to review the case in hand.

4 Q. May I take a look at the materials  
5 that you reviewed on this case?

6 MS. REINKER: Just the things he  
7 reviewed?

8 Q. Let me take that back. First I'd  
9 like to look at your whole file and then we'll  
10 sort out what you reviewed. Thank you.

11 - - - - -

12 (Thereupon, Devereaux Deposition  
13 Exhibits 1 through 8 were mark'd  
14 for purposes of identification.)

15 - - - - -

16 Q. Dr. Devereaux, can you identify for  
17 me which materials you reviewed in preparation  
18 of your --

19 A. Can I have the records?

20 Q. They're right here.

21 A. All my letters and stuff.

22 Q. Sure.

23 A. Okay. Oh, this is, if I may, this  
24 is a secretary's note. It somehow got mixed  
25 up. If you want that you're welcome to it.



1 Don't I have something else from --  
2 oh, here it is.

3 MS. REINKER: What was the question  
4 again?

5 A. Yes, would you please?

6 Q. The question was which materials  
7 did you review in preparation of your report?

8 A. It's listed on the initial page of  
9 my April 13th report. Do you want me to read  
10 it?

11 Q. Yes. I'd like for you to list to  
12 me the materials you reviewed.

13 A. Okay. To quote myself here, at  
14 your request -- well, I reviewed the inpatient  
15 and outpatient medical records and the  
16 medical/legal records regarding Cheryl Ola and  
17 her medical problems.

18 And what I reviewed were University  
19 Hospitals admission records, 11-17-86 to  
20 11-21-86; Mt. Sinai Medical Center admission  
21 records, 6-16-87 to 6-20-87; records from Dr.  
22 MacFee's office chart, including some  
23 preoperative office notes, pathology notes and  
24 physical therapy reports from Alan Frey, PT;  
25 Sami Harik, M.D.'s neurological consultation

1 report, January 5, 1987; Howard Tucker, M.D.'s  
2 letters to Mr. Donald Iler dated April 6, 87,  
3 June 15, 87, October 6, 1987; Arthur M.  
4 Brickel, M.D.'s records, including a February  
5 26th, 1988 neurological consultation report;  
6 and Gerald McIntosh, M.D.'s, August 19, 1988  
7 consultation report; and M. Bashar Katirji,  
8 that's Dr. Katirji's September 7, 1988 office  
9 consultation report, October 18th, 1988  
10 follow-up report, August 29th, 1988 EMG report.

11 And then I had some other  
12 information. Let's see. Robert S. Coplan,  
13 M.D., February 8, 1990 report on review of the  
14 records; Samuel Portman, M.D. report on review  
15 of records dated February 13, 1990; and Michael  
16 MacFee, Dr. Michael MacFee's discovery  
17 deposition; February 1st, 1990.

18 Q. Are those all the materials that  
19 you reviewed in preparation of that report?

20 A. Regarding the case, yes, I believe  
21 so.

22 Q. Did you review any medical  
23 literature before you wrote the report?

24 A. Yes.

25 Q. I have marked Devereaux three,



1 four, five, six, seven, and eight, some  
2 articles from the medical literature. Could  
3 you please take a look at those and tell me  
4 which ones you reviewed in preparation for your  
5 report?

6 A. Okay. I reviewed -- how would you  
7 like me to do this?

8 Q. I would like for you to refer to  
9 the number at the bottom.

10 A. I reviewed Deposition Exhibit No.  
11 3, Deposition No. 4 or Exhibit No. 4, okay. I  
12 must have not quoted this. Now, what should I  
13 do, one that I didn't list in the bibliography  
14 that I must have looked for review but didn't  
15 quote? Do you want me to list that?

16 Q. Just identify it. That you looked  
17 at it and you --

18 A. Deposition No. 5.

19 Q. Okay.

20 A. Deposition No. 6, Exhibit No. 7.  
21 Deposition No. 6, Deposition Exhibit No. 7 and,  
22 again, Deposition Exhibit No. 8, yes.

23 Q. Doctor, I'd like to ask you about  
24 Deposition Exhibit No. 1 and No. 2, which are  
25 the same, your letter of March 13th, 1990.

1 A. Yes.

2 Q. This was written before your formal  
3 report on this case?

4 MS. REINKER: Objection to the  
5 phrase, formal report.


6 Q. Why was this letter written, this  
7 March 13th letter?

8 A. Because I wanted to sit down -- the  
9 way I approach these cases is to try to go  
10 through all of the records so that I have a  
11 ready reference for information, detailed  
12 information for my own review for some later  
13 date, and so it was written as much for me as  
14 for anyone else so that, again, I'd have  
15 detailed information.

16 Q. So you used some of the things or  
17 your notes from the March 13th letter in  
18 preparation for your April 13th letter, is that  
19 right?

20 A. Yes, which was a brief summary of  
21 the case.

22 Q. So the record is clear, doctor, so  
23 you have written three letters in this case,  
24 one dated March 13th, 1990, which is Deposition  
25 Exhibit 1 and 2, another one that is dated



1 April 13th, 1990 --

2 A. Uh-huh.

3 Q. -- which we have not marked yet as  
4 an exhibit and we will, and July 20th, 1990,  
5 which we'll also mark.

6 A. Yes, which is my physical  
7 examination.

8 MS. ILER: Would you please mark  
9 those?

10  
11 (Thereupon, Devereaux Deposition  
12 Exhibit 9 and 10 were mark'd for  
13 purposes of identification.)

14  
15 Q. For purposes of the record, your  
16 April 13th, 1990 letter is Deposition Exhibit  
17 No. 9 and the July 20th, 1990 letter is Exhibit  
18 No. 10.

19 A. Uh-huh.

20 Q. Have you reviewed anything else in  
21 preparation for your deposition today?

22 A. No, I don't believe so.

23 Q. Okay. You have conferred with  
24 Susan Reinker before this deposition?

25 MS. REINKER: Objection.

1 Q. I don't want to know what you said,  
2 I'm just asking you.

3 A. Yes. Uh-huh.

4 Q. And have you had several meetings  
5 with Susan Reinker before the case? Once  
6 again, I don't want to know the contents of  
7 what you said.

8 A. No.

9 MS. REINKER: Objection.

10 THE WITNESS: I don't believe we  
11 have.

12 Q. Dr. Devereaux, what do you  
13 understand the facts in this case to be?

14 MS. REINKER: Objection.

15 A. Yes. That's kind of a broad  
16 question there. The issue as I at least  
17 believe it to be is that the patient, Cheryl  
18 Ola, has some complaints, some -- that she  
19 attributes to having had an operation, the  
20 vaginal hysterectomy done on November 17th,  
21 1986.

22 Q. Can you state for me in a little  
23 more detail what the events were that  
24 transpired during her admission to University  
25 Hospitals in 86?

1 MS. REINKER: Objection. Why don't  
2 you ask him a specific question?

3 MS. ILER: Susan, if you remember,  
4 this is the same question you asked Dr.  
5 McIntosh. I want to understand what the doctor  
6 understands the facts in this case to be.

7 MS. REINKER: Objection. All the  
8 facts in this case you want him to recite?

9 MS. ILER: Whatever facts he feels  
10 is important in rendering an opinion, those are  
11 the facts that I would like to know.

12 MS. REINKER: Note an objection. I  
13 don't think it's humanly possible for him to do  
14 that, but note my objection.

15 A. Well, basically, as I already  
16 stated, her problem, my parlance for saying  
17 that, the situation which got me involved with  
18 it is that she had ongoing symptoms, which she  
19 dated back to a November 17th, 1986 vaginal  
20 hysterectomy and that she had symptoms which  
21 included numbness of the medial aspect of her  
22 left leg, distal to the knee, low back pain  
23 beginning sometime after surgery and headache  
24 beginning at some point after surgery.

25 She basically was referred to Dr.



1 MacFee for evaluation of cervical carcinoma in  
2 situ, a small, if you will, beginning cancer of  
3 the cervix. After initial evaluation he -- it  
4 was decided to perform a vaginal hysterectomy  
5 and she was hospitalized at University  
6 Hospitals on November 17th, 19 --

7 Q. Excuse me, doctor, may I ask,  
8 you're referring --

9 A. To my report, yes.

10 Q. To your report of April 13. That's  
11 fine.

12 A. Okay.

13 Q. Continue. Thank you.

14 A. Sure. So she was referred to and  
15 hospitalized November 17th, 1986. She then  
16 underwent a vaginal hysterectomy. There were  
17 no initial problems noted. On November 18th,  
18 one day after surgery, she walked for the first  
19 time and then had a fall on the way to the  
20 bathroom or collapse. It's not clear which.

21 She basically -- then that led to a  
22 series of things that happened after that. She  
23 was ultimately seen by a neurologist. There  
24 was a question of whether or not she had  
25 blacked out. She was seen in consultation, as



1 I already mentioned, by the neurology service  
2 on the 19th, one day later, and there was a  
3 suggestion that she may have bilateral femoral  
4 neuropathy with symptoms greater to the right  
5 leg than the left leg.

6 Physical therapy was recommended  
7 and she was seen by a physical therapist on the  
8 20th and was discharged from the hospital with  
9 instructions to do exercises at home, and then  
10 thereafter she was seen on follow-up by a  
11 series of neurologists among the next several  
12 years.

13 Q. And what do you understand her  
14 present condition to be?

15 A. On the basis of my July 20th  
16 examination, at that point she continued -- at  
17 least at that point she was having ongoing  
18 symptomatology in the right leg and as I  
19 outlined her complaints at that point were  
20 numbness along the medial aspect of the right  
21 leg from the ankle up to about the middle third  
22 of the leg, that's the lower leg, if you will.

23 She was complaining of tingling  
24 along the anterior thigh, the front of the  
25 thigh. She also had more generalized pain in

1 the right leg. That was basically what she was  
2 complaining of when she saw me. Those were the  
3 problems, I should say, that were confined to  
4 the leg.

5 She also had a chronic virtually  
6 daily headache that she complained of. I think  
7 at the time she saw me she was not having any  
8 significant back pain if I recall correctly.  
9 Those were the main things.

10 Q. Doctor, are you going to give a  
11 gynecological opinion as to the standard of  
12 care of Dr. MacFee in his treatment of Cheryl  
13 Ola concerning the vaginal hysterectomy on  
14 November 17th of 1986?

15 A. Are you asking specifically about  
16 an opinion whether or not the surgery should  
17 have been done and that kind of thing? The  
18 answer is no. I'm not an obstetrician and  
19 gynecologist.

20 Q. And you don't perform vaginal  
21 hysterectomies?

22 A. No.

23 Q. So what are you going to give a  
24 standard of care on?

25 MS. REINKER: Objection.



1           A.       Well, most of her complaints fall  
2       in the neurologic arena, not in the gynecologic  
3       arena. I suspect that's why I was consulted by  
4       Miss Reinker to review those symptoms since  
5       they are predominately neurological and most of  
6       her follow-up care she has had has been by  
7       neurologists not gynecologists.

8           Q.       So do I understand you're going to  
9       confine your opinion to her injuries?

10           MS. REINKER: Objection. If you  
11       ask him if he has an opinion as to Dr. MacFee's  
12       standard of care, just ask him that. Don't  
13       play games with him.

14           A.       I'm going to discuss those things  
15       in which I am qualified to discuss and that has  
16       to deal with her neurologic symptomatology and  
17       my opinions about the meaning of that  
18       neurologic examination and so forth.

19           Q.       I'm still a little unclear,  
20       doctor. Are you going to give an opinion on  
21       the standard of care insofar as Dr. MacFee is  
22       concerned?

23           A.       Well, I'm not quite sure what that  
24       question means.

25           Q.       Uh-huh.

1           A.       I am going to discuss what I've  
2 just said I'm going to discuss, neurologic  
3 aspects of her clinical problem. I'm not sure  
4 where you draw the line between what is  
5 gynecologic expertise and neurologic expertise,  
6 but I don't plan to go beyond my area of  
7 expertise. But I don't know quite what you  
8 mean by your question. But that's as I plan to  
9 deal with this, as a neurologist.

10           Q.       What opinions are you going to  
11 render insofar as Dr. MacFee's care?

12           MS. REINKER: Objection.

13           A.       Well, I suppose my opinions will be  
14 based on the questions that you ask me.

15           Q.       Can you tell me what the standard  
16 of care is for a gynecologist in performing a  
17 vaginal hysterectomy?

18           MS. REINKER: Objection.

19           A.       Not in terms of specifics, no. I'm  
20 not sure quite what even that question  
21 entails. The general statement would be that  
22 does the patient need the operation, that the  
23 operation would be done well, and that the  
24 patient be appropriately followed-up on the  
25 basis of what that -- of requirements or



1 referred to other people on the basis of what  
2 needs to be done following the surgery.

3 Q. Was a standard of care for Dr.  
4 MacFee is to insure that nobody, either himself  
5 or anybody else is leaning on Cheryl Ola's legs  
6 during the vaginal hysterectomy?

7 MS. REINKER: Objection.

8 A. Well, again, it's kind of -- I'm  
9 sorry -- but a rather vague statement. I  
10 suppose it is certainly his duty to make sure  
11 his patient is not unduly injured by the  
12 surgery and that means everything. That means  
13 anesthesiologic care, nursing care, the care  
14 provided by the people around him. He's the  
15 captain of the ship.

16 MS. REINKER: Move to strike.

17 Q. Doctor, is it below the standard of  
18 care for the doctor to allow somebody else or  
19 himself to lean against the patient's leg  
20 during the vaginal hysterectomy?

21 MS. REINKER: Objection. Your  
22 question is calling for a legal conclusion.  
23 Dr. Devereaux is not an expert as to whose duty  
24 it is to do what with regards to hospital  
25 employees and I'm not going to permit him to

1 answer that question.

2 Q. Is it below the standard of care  
3 for Dr. MacFee to have allowed someone, either  
4 himself or someone else to have leaned on  
5 Cheryl Ola's leg during a vaginal hysterectomy?

6 MS. REINKER: Objection. The  
7 doctor is not qualified to answer a question  
8 which calls for a legal conclusion.

9 MS. ILER: Excuse me. The doctor  
10 just told me he was giving a standard of care  
11 opinion.

12 MS. REINKER: The question goes  
13 beyond standard of care. Your question has to  
14 do with a legal conclusion as to whose  
15 obligation it is to do what in an operating  
16 room. Dr. Devereaux is not in a position to  
17 answer as to who's responsible for who.

18 MS. ILER: Why don't you have the  
19 doctor answer it and then we can take it up  
20 with the judge?

21 MS. REINKER: Please read the  
22 question.

23 (Record read.)

24 MS. REINKER: Note an objection.  
25 Also, I'm objecting to the conclusions you draw

1 in your question which are unsupported by any  
2 evidence.

3 A. I would say no.

4 (Record read.)

5 Q. No, it's not below the standard of  
6 care?

7 A. No. I mean, I can't ever imagine a  
8 vaginal hysterectomy ever having been done  
9 where the legs aren't touched if one is  
10 familiar with the anatomy of where the vagina  
11 is and where the legs are. It would be a  
12 matter of degree.

13 Certainly the legs are going to be  
14 touched in any vaginal operation. It's a  
15 matter of degree and that would then be  
16 determined -- that would be the role of the --  
17 I would think the surgeon to make sure that  
18 excessive degrees are not -- excessive degrees  
19 of pressure are not employed.

20 MS. REINKER: Move to strike.

21 Q. So that last statement is the  
22 standard of care that the surgeon --

23 A. I've given you my opinion as a  
24 neurologist. I don't know what standards of  
25 care are established by gynecologists. I'm

1 giving you my opinion as a neurologist and  
2 that's common sense more than specific  
3 expertise.

4 MS. REINKER: Move to strike.

5 Q. Doctor, in Exhibit No. 9, your  
6 April 13th letter, I'd like to refer you to  
7 page three where you state, "In summary, from  
8 my review of the available information  
9 surrounding the surgery of Cheryl Ola by Dr.  
10 Michael MacFee, there were no unusual  
11 circumstances such as prolonged surgery,  
12 indications of unusual pressure on the thighs  
13 or any description of any unusual  
14 positioning." Are those your reasons in  
15 support of Dr. MacFee?

16 A. Sure.

17 Q. Are there any other reasons besides  
18 these three that you have set forth here?

19 MS. REINKER: Objection.

20 A. No. I can't imagine what they  
21 would be right off the top of my head.

22 Q. Okay. I'd like to go over each of  
23 those reasons with you.

24 A. Okay.

25 Q. Your first reason is that there was



1 no prolonged surgery.

2 A. Correct.

3 Q. Are you referring to surgery time?

4 A. Yes.

5 Q. What was the surgery time in this  
6 case?

7 A. The time I quoted -- and it's also  
8 difficult to know -- was an hour and 35 minutes  
9 taken from the anesthesiology report which  
10 listed the operative time. The actual time of  
11 surgery was perhaps less, but it's difficult to  
12 know for sure.

13 Q. What is the normal time for a  
14 vaginal hysterectomy?

15 MS. REINKER: Objection.

16 A. My understanding is -- of course,  
17 that's going to reflect the case and that's  
18 what I can't specifically know, complications  
19 and so forth that deal with the surgery. My  
20 understanding is that this is a standard, about  
21 a standard length of time.

22 Q. And where did you get your  
23 understanding that this is a standard time?

24 A. My general medical knowledge. I  
25 actually did about a hundred vaginal

1 hysterectomies in my younger years. I was  
2 going to go into OB at one point, and also just  
3 from general reading at that point.

4 Q. So your opinion that this is a  
5 normal surgery time is based upon --

6 A. My general knowledge of obstetrics  
7 and gynecology is it's about standard time. As  
8 I say, it may even be less because operative  
9 reports generally -- or operative times are  
10 started from the very, very beginning to the  
11 very very end.

12 Q. What is a prolonged surgery time?

13 A. Well, that's a very qualitative  
14 statement and it's going to depend on the  
15 situation that you run into and sometimes is  
16 not an absolute factor. It would have to do  
17 with complications, size of the patient, other  
18 issues. But when you're getting into cases  
19 that run longer than two, two and a half, three  
20 hours, you're getting into prolonged time.

21 Q. And if you do run into a prolonged  
22 surgery time, what type of complications would  
23 arise?

24 MS. REINKER: Objection. Prolonged  
25 surgery for what kind of procedure?

1 Q. For a vaginal hysterectomy.

2 A. In terms of actually -- for the  
3 most part I'm not, again, qualified to speak on  
4 that because of the fact that that is a  
5 surgical issue and I'm a neurologist.

6 Q. Well, let me get a little more  
7 specific. How does prolonged surgery time  
8 during a vaginal hysterectomy, how does that  
9 cause a femoral neuropathy?

10 MS. REINKER: Objection.

11 A. Well, I don't know how it goes to a  
12 femoral neuropathy. There is an association  
13 between femoral neuropathy in some studies in  
14 prolonged surgery.

15 Q. Can you explain that a little bit  
16 for me?

17 MS. REINKER: Objection.

18 A. In what regard?

19 Q. How does a prolonged surgery time  
20 during a vaginal hysterectomy become associated  
21 with a femoral neuropathy?

22 A. Don't know.

23 MS. REINKER: I don't think there's  
24 any indication --

25 A. It's not clearly understand.

1 Q. And you've reviewed the literature  
2 on this particular point?

3 A. As much as I can. It's not  
4 understood. It's a statement of observations.

5 Q. Does it have to do, doctor, based  
6 upon your training and certainly your expertise  
7 on the compression of the femoral nerve?

8 A. I'm sorry. You mean, my opinion in  
9 terms of prolonged?

10 Q. Yes.

11 A. No, it's really based more on  
12 looking at it from the literature, and that is  
13 what the literature reports say, that that's a  
14 factor, an important factor was very extensive  
15 prolonged surgery.

16 Q. And the exact mechanism of injury  
17 of the femoral nerve you're saying is not known  
18 by the literature?

19 A. Well, it's not clearly known.  
20 Compression in prolonged surgeries has been  
21 described in the literature as being a factor.

22 Q. Yes. Could a prolonged surgery  
23 time on November 17th could have caused femoral  
24 neuropathy in Cheryl Ola?

25 A. That's a conjecture. I just don't

1 know. Since the surgery wasn't prolonged by my  
2 prior statement, it would be, you know, real  
3 conjecture.

4 Q. So you have no opinion on that?

5 MS. REINKER: Objection. He just  
6 testified that there was not prolonged surgery  
7 on November 17th.

8 A. Well, I mean, how can you have an  
9 opinion on a conjecture? Prolonged surgery may  
10 be a factor and, therefore, if she had  
11 undergone prolonged surgery, as any patient  
12 might have undergone prolonged surgery, then  
13 there might have been an increased risk of  
14 femoral neuropathy. I suppose you can say  
15 that, but it's just a conjecture.

16 Q. Doctor, your second reason in your  
17 letter was -- the letter of April 13th --

18 A. Uh-huh.

19 Q. -- was there was no indication of  
20 unusual pressure on the thighs.

21 A. Uh-huh.

22 Q. What records did you base that  
23 opinion on?

24 A. Operative reports and so forth.  
25 The only records that anybody else would ever

1 have available that I'm aware of. And there  
2 was no indication that there was any unusual  
3 posturing and positioning of the patient or any  
4 unusual pressure placed on the thighs by any of  
5 the records that I reviewed.

6 Q. And is that usually something  
7 that's noted on the records to your experience?

8 A. Well, I can't say that I've  
9 reviewed enough operative records from vaginal  
10 hysterectomies to know that it is or isn't. I  
11 can't answer that question. I can only say in  
12 this case it wasn't noted and there's no other  
13 source that I know of.

14 Q. Doctor, how does unusual pressure  
15 on the thighs cause for neuropathies?

16 MS. REINKER: Objection.

17 A. Well, the theory would be that in  
18 the nerve or if the thighs are pressed on (or)  
19 postured in a funny way, that the nerve, the  
20 femoral nerve could suffer compression in a  
21 sense I suppose from being kinked by the  
22 inguinal ligament.

23 Q. And doctor, that unusual pressure,  
24 does that take just a few minutes?

25 A. No.

1 Q. What is your opinion as to how long  
2 that takes?

3 A. Well, it --

4 Q. Or do you have an opinion?

5 A. Yes, I do. From, again, my review  
6 of the literature it's generally associated  
7 with prolonged surgeries lasting more than two  
8 hours.

9 Q. You're certainly not saying that  
10 you can't have unusual pressure resulting in a  
11 femoral neuropathy in a surgery that's under  
12 two hours?

13 A. I would have to answer no, I'm not  
14 saying that. If you can demonstrate that that  
15 happened.

16 Q. That question, doctor, is that when  
17 there's pressure on the thighs, how long does  
18 that pressure have to be maintained in order to  
19 cause a femoral neuropathy?

20 MS. REINKER: That's been asked and  
21 answered. Objection.

22 A. Several, more than several hours.  
23 Again, the word unusual is a very difficult  
24 word to define, but I would answer several  
25 hours or more.

1 Q. Could an unusual pressure have been  
2 exerted on Cheryl Ola's thighs during her  
3 vaginal hysterectomy to have caused her femoral  
4 neuropathy?

5 MS. REINKER: Objection.

6 A. That's -- I mean, the word could, I  
7 don't know what to even say with that. Could  
8 it have happened, I guess it could have, if you  
9 want me to limit myself to something like  
10 that. There's no evidence that it happened.

11 Q. Doctor, in your review of the  
12 literature, did you find articles where there  
13 was a femoral neuropathy as a result of unusual  
14 pressure on the thighs during surgery?

15 A. Mainly with abdominal  
16 hysterectomies there were studies that have  
17 shown that certain examples that were used in  
18 abdominal hysterectomies showed femoral nerve  
19 compression.

20 Q. Well, that's a little different.

21 A. She had a vaginal --

22 Q. Unusual pressure on the thigh --

23 A. Yes, she had a vaginal  
24 hysterectomy. Again, we get into the whole  
25 issue of unusual, and I'm not quite sure what



1 unusual pressure is. I mean, it's a very  
2 nonspecific term.

3 I can't as we sit here right now  
4 speak to any articles I read where patients  
5 will put -- how can I answer that question?  
6 It's just an open-ended question. There's  
7 probably somewhere in other -- there are  
8 probably articles in the literature where  
9 unusual pressure was documented that could  
10 produce femoral neuropathies.

11 MS. REINKER: The question was did  
12 you see any such article.

13 A. No. I can't recall as we sit  
14 here. I can't recall it.

15 Q. Well, when you wrote --

16 A. I'd have to look at --

17 Q. Doctor, these are your words,  
18 unusual pressure?

19 A. All right.

20 Q. When you wrote this in this report,  
21 what were you talking about, unusual pressure  
22 on the thighs, were you talking about somebody  
23 leaning against a thigh?

24 A. Yes. I'm talking about some  
25 defined pressure where people say this patient

1 was found in an unusual position, that it  
2 was -- someone documented pressure on the leg.  
3 By unusual, as I just was saying, you're going  
4 to in a vaginal hysterectomy, you're going to  
5 touch the thighs. That's why they gown the  
6 thighs. If no one ever touched the thighs,  
7 they wouldn't need to gown them. So you're  
8 going to come in contact with thighs. I'm  
9 talking about unusual pressure, something that  
10 is documented.

11 Q. In the case studies -- and you're  
12 saying --

13 A. That's my definition of unusual,  
14 okay.

15 Q. When you reviewed the literature,  
16 did you find a case of a femoral neuropathy  
17 resulting from unusual pressure of the thighs?

18 A. Yes. Well, let me rephrase that.  
19 I found case reports of femoral neuropathy  
20 related to prolonged vaginal surgery, vaginal  
21 hysterectomies, yes.

22 Q. And can you point which article?

23 A. It is Hopper and Baker.

24 Q. You're referring to your March  
25 letter, is that right?

1           A.     I think I have the Hopper -- I'm  
2 referring Hopper C, Baker J: Bilateral femoral  
3 neuropathy complicating vaginal hysterectomy,  
4 Volume 32, pages 543 to 547, 1968.

5           Q.     Is this the one you're talking  
6 about, doctor?

7           A.     Yes.

8           Q.     That's Exhibit No. 7. Doctor, the  
9 third reason stated in your April 13th letter  
10 was there was no description of unusual  
11 positioning.

12          A.     Right.

13          Q.     Is that right?

14          A.     Correct.

15          Q.     And what records did you base that  
16 on?

17          A.     The surgical reports.

18          Q.     And when you say surgical reports,  
19 doctor, can you tell me --

20          A.     Well, the operative reports, the  
21 anesthesiology reports, all that material.  
22 There was nothing in the hospital record from  
23 that hospitalization that indicated that there  
24 was any unusual -- that there was any unusual  
25 problem met in surgery.

1 Q. So when you said unusual  
2 positioning, you meant something --

3 A. That somebody would have defined as  
4 being out of the ordinary. That she was in  
5 some way, shape or form had certain body  
6 deformities, scoliosis of the spine, that she  
7 was positioned on the table in an unusual way.  
8 That she -- they used a unusual position to do  
9 the surgery, for whatever reason the  
10 anesthesiologist or others noticed something  
11 atypical.

12 Q. What was Cheryl Ola's position  
13 during the vaginal hysterectomy on November  
14 17th, 1986?

15 A. My understanding is that she was in  
16 a routine lithotomy position.

17 Q. Can you be any more specific than  
18 that?

19 A. Using leg stirrups. No, that's  
20 about as specific.

21 Q. Do you know what type of stirrups  
22 she was using?

23 A. No, I don't remember the name of  
24 the stirrups.

25 Q. Could unusual positioning during

1 Cheryl's vaginal hysterectomy have caused her  
2 femoral neuropathy?

3 MS. REINKER: Objection. Asked and  
4 answered. You've answered it already.

5 A. Okay.

6 MS. REINKER: He's answered it  
7 already. The exact same question.

8 Q. You can answer it.

9 MS. REINKER: He can't answer it  
10 again. You're asking him to speculate as to  
11 something --

12 MS. ILER: Excuse me. I don't  
13 remember asking you the question, Susan. I  
14 remember asking the doctor.

15 MS. REINKER: Go ahead. Since you  
16 remember asking it, you don't need another  
17 answer to it.

18 Q. Excuse me. Can you answer my  
19 question?

20 THE WITNESS: Could I have that  
21 again?

22 MS. REINKER: Are you saying could  
23 it happen? What exactly is your question?

24 MS. ILER: We'll find out.

25 (Record read.)

1 MS. REINKER: Now, my question,  
2 Nancy, for some clarification, is your question  
3 if this patient were in an unusual position  
4 could she develop a femoral neuropathy or is  
5 your question this patient has a femoral  
6 neuropathy, could that have been caused by  
7 unusual positioning?

8 MS. ILER: I like both your  
9 questions. I'll take the first one first.

10 MS. REINKER: If this patient were  
11 in an unusual position could she develop a  
12 femoral neuropathy, is that your question?

13 Q. That's my first question.

14 A. Well, I'll give a hypothetical  
15 answer to a hypothetical question and the  
16 answer would be yes, if you could document some  
17 unusual position or surgical duration, I  
18 suppose the answer would be yes based upon  
19 literature.

20 MS. ILER: And Susan, what was your  
21 other question? That was good.

22 MS. REINKER: You come up with one  
23 of your own, Nancy. You were doing a fine  
24 job.

25 Q. Doctor, from your review of the

1 literature and your experience, how does this  
2 unusual positioning cause femoral neuropathy  
3 during vaginal hysterectomies?

4 MS. REINKER: Objection.

5 A. Well, again, in my review of the  
6 literature it would be associated with very  
7 prolonged surgery, what seemed to be the major  
8 factor in most of the articles I reviewed, and  
9 the conjecture was that somehow the nerves are  
10 compressed if the surgery is prolonged or if  
11 there's underlying nerve damage already,  
12 diabetes, something of that sort that might  
13 make the nerve more susceptible to injury.

14 Q. So are you saying that you can't --  
15 that you can only have a femoral neuropathy  
16 from unusual positioning when there's a  
17 prolonged surgery time?

18 A. That seems to be the major factor  
19 from my review of the literature. I mean,  
20 you're getting into situations of only's and  
21 this's and that's, and most of the case reports  
22 were small case reports. There isn't a large  
23 experience with this condition that's been  
24 published in the literature, so it's hard to  
25 give you a scientific evaluation in terms of a

1 large series of cases.

2 Q. But certainly understanding the  
3 mechanism of the injury, you certainly aren't  
4 prepared to state that a femoral neuropathy  
5 can't occur in a surgery that's less than two  
6 hours?

7 MS. REINKER: Objection.

8 A. No, I didn't say that. I'd say  
9 that if you were to find some other explanation  
10 for compromised nerve function already if the  
11 nerve is damaged -- again, there have been some  
12 case reports of diabetes making nerves more  
13 susceptible to injury and that might then lead  
14 the nerve to be more easily traumatized, which  
15 might occur, then that might mean that the time  
16 could be less.

17 I'm saying that you're trying to  
18 find evidence in the literature of what the  
19 factors are. Prolonged surgery seems to be a  
20 factor.

21 Q. But it's not a determining factor?

22 MS. REINKER: Objection. Well --

23 A. Well, it is a determining factor in  
24 one particular report I've shown you already.

25 Q. Right.



1           A.     It is a determining factor, and  
2 shorter duration cases I would -- I'd have to  
3 presume that there'd have to be other factors  
4 that you would come up with some intraoperative  
5 process where the patient had a funny body  
6 posturing or was positioned funny on the table  
7 or something else happened or there was a  
8 obvious direct surgical mishap somehow  
9 lacerating the nerve by a -- which would be  
10 very difficult to do from a vaginal approach.

11           Q.     Doctor, back to your April 13th  
12 letter, have we discussed all your reasons,  
13 doctor, concerning your opinion that -- and  
14 I'll quote from the letter -- there is no  
15 evidence of malpractice on Dr. MacFee's part?

16           A.     That was my opinion, yes.

17           Q.     And we've discussed all your  
18 reasons for that, have we?

19           MS. REINKER: As contained in the  
20 letter, yes.

21           A.     As contained in the letter.

22           Q.     I want to know outside the letter,  
23 do you have any other opinions outside of the  
24 letter or reasons in support of Dr. MacFee?

25           A.     Gee, I just can't recall anything

1 specific right now. If you want to ask me some  
2 questions that might probe that further I just  
3 can't think to an open-ended question. If  
4 there's something else that I -- but nothing  
5 that comes to mind right now.

6 Q. Okay. Because doctor, I don't --  
7 I'm going to use this to get ready for trial.  
8 If you have a new reason in support of Dr.  
9 MacFee -- in support of your opinion that Dr.  
10 MacFee, there was no evidence of malpractice in  
11 his care and treatment of Cheryl Ola, I would  
12 like to know about that. I asked you that  
13 question and you've now told me you've  
14 discussed all of your opinions?

15 MS. REINKER: As he can think of  
16 here today.

17 A. Yes, that I can think of.

18 MS. REINKER: You've answered.

19 Q. If you come up with any new reason  
20 before trial, can you tell Miss Reinker and  
21 Miss Reinker will tell me so I can re-examine  
22 you on those particulars?

23 MS. REINKER: Objection. There's  
24 no obligation for me to disclose to you my  
25 witness's thought processes.

1 MS. REINKER: Dr. Devereaux and I  
2 have agreed to that.

3 MS. REINKER: Well --

4 Q. Doctor, back to your April 13th  
5 letter, in that same paragraph you state  
6 several examining neurologists have felt that  
7 she had a probable right femoral neuropathy,  
8 although an EMG and nerve conduction study  
9 revealed no evidence of femoral damage.

10 A. Correct.

11 Q. Would you please tell me what are  
12 the names of those neurologists when you say  
13 several. Can you tell me?

14 A. Let's see.

15 MS. REINKER: Objection.

16 A. Sami Harik, Gerald McIntosh, Bashar  
17 Katirji thought she had femoral neuropathy.  
18 Several others did not.

19 Q. Which others didn't?

20 A. Howard Tucker and Arthur Brickel.

21 Q. Doctor, do you have an opinion as  
22 to whether or not Cheryl Ola has or had a  
23 femoral neuropathy?

24 A. Do I have an opinion? Yes. In  
25 reviewing the information I think she had a

1     femoral neuropathy.

2             Q.     Can I stop you for a moment?   At  
3     what point in time are you talking about?

4             A.     When she was examined by the  
5     neurologist after surgery.

6             Q.     Are you referring to the  
7     neurologist at University Hospital?

8             A.     Yes.

9             Q.     Who examined her on November 18 and  
10    November 19 of 1986?

11            A.     Yes.   It would be my deduction from  
12    the information available that she had a mild  
13    bilateral femoral neuropathy.   Whether she  
14    continues to have that or not is unclear.

15            Q.     Why is that unclear to you?

16            A.     Well, there's no EMG documentation  
17    of femoral nerve damage.   There's no objective  
18    physical findings that I can measure, changes  
19    in reflexes, muscle strength and so forth of  
20    femoral nerve damage, neither lower extremity.

21                    The only problem is decreased pin  
22    appreciation in the medial aspect of the right  
23    leg, which is -- again, sensory examinations  
24    lack objectivity because they require  
25    subjective involvement.   So there's nothing

1 that I can clearly measure and reproduce that  
2 shows ongoing femoral neuropathy.

3 Q. Do you have an opinion as to what  
4 the cause of a femoral neuropathy is?

5 MS. REINKER: Is or was?

6 Q. Was.

7 A. Was: Well, no, I'm not really  
8 clear what happened and that's the truth.  
9 That's not something being said here across a  
10 legal table. Obviously there's a temporal  
11 relationship between her neurologic  
12 disturbances and the surgery.

13 Q. I don't understand temporal.

14 A. Temporal, time relationship. That  
15 she had some problems after the surgery, and  
16 therefore, it seems reasonable to suspect that  
17 there may have been -- something happened in  
18 surgery. That's all I can say. But the  
19 mechanism of action I do not know. It is  
20 unknowable.

21 Q. Doctor, for the purposes of the  
22 record, what is a femoral neuropathy?

23 A. A neuropathy refers to disorder of  
24 nerve. Femoral refers to a specific nerve in  
25 question. So it basically means dysfunction of

1 the femoral nerve or disturbance of the femoral  
2 nerve.

3 Q. And what are the physical signs or  
4 symptoms of a femoral neuropathy?

5 A. What do you want, symptoms or  
6 signs?

7 Q. Symptoms.

8 A. Symptoms. They can be variable.  
9 They can from the standpoint -- the major  
10 symptom would be weakness of the femoral nerve  
11 innervated muscles, which are the muscles that  
12 cause hip flexion and knee extension. That's  
13 the primary role of the femoral nerve.

14 Q. And for the purposes of the record,  
15 those muscles are?

16 A. The major muscles then would be the  
17 iliopsoas, the quadriceps, femoris, pectineus,  
18 sartorius, those are the major muscles.  
19 There's also sometimes a contribution to the  
20 adductor group, the thigh adductor group. But  
21 the major muscles and the ones that I  
22 mentioned -- I don't think I left any out.

23 Q. What other physical -- is that all  
24 physical?

25 A. So the weakness I mentioned

1 predominately of the hip flexors, the knee  
2 extensors and possibly, but not much weakness  
3 of the thigh adductors diminish or absent --  
4 I'm sorry -- knee reflexion, patella  
5 reflexion. I'm sorry. You asked for  
6 symptoms. Excuse me. I was giving you a  
7 sign.

8                   So the <sup>①</sup>weakness that I mentioned  
9 and then varying degrees of sensory  
10 disturbances and complaints ranging from pain <sup>②</sup>  
11 in the leg, which could have difficult  
12 distributions, but would be in the thigh  
13 predominately, in the front of the thigh and  
14 maybe even into the medial aspect of the leg.

15                   And then she might experience, a  
16 person would experience some numbness <sup>③</sup> in those  
17 same distributions. Those would be the major  
18 complaints or symptoms that a person might have  
19 with femoral neuropathy.

20                   Q.       Which means by sensory disturbance  
21 is pain or numbness, is that right?

22                   A.       Sensory complaint. Maybe I should  
23 mention, maybe I should use that word  
24 disturbance. That could be pain, numbness,  
25 tingling.

1 Q. What are the signs of a femoral  
2 neuropathy?

3 A. Signs on examination would be  
4 weakness of the hip flexors to individual  
5 muscle group testing, weakness of the knee  
6 extensors, which looked like -- functionally  
7 would express itself primarily by difficulty  
8 walking, climbing stairs and so forth. You  
9 would also expect to see absent ankle -- I keep  
10 saying ankle -- absent knee or patella  
11 reflexion, and then you might see varying  
12 degrees of sensory loss on examinations.

13 Q. And where would those varying  
14 degrees of sensory loss be?

15 A. Could involve the anterior thigh  
16 and the distribution of the medial and  
17 intermediate cutaneous nerves, which are  
18 branches of the femoral nerves and the  
19 distribution of the saphenous nerve along the  
20 medial aspect of the leg distal to the knee.

21 Q. And for purposes of the record, the  
22 saphenous nerve is a branch of the femoral  
23 nerve, is that right?

24 A. Correct.

25 Q. Is it a sensory branch or a motor



1 branch?

2 A. Sensory.

3 Q. Have you treated patients with  
4 femoral neuropathy before?

5 A. Yes.

6 Q. Had any of your patients had a  
7 femoral neuropathy following a vaginal  
8 hysterectomy?

9 A. I've had one after a vaginal  
10 delivery. I honestly can't recall. I believe  
11 I had one maybe ten or 15 years that I recall  
12 seeing. But I honestly can't document that.

13 Q. How do you make diagnoses in your  
14 patients of femoral neuropathies?

15 A. History, physical examination, and  
16 then where warranted ultimately EMG and nerve  
17 conduction study.

18 Q. Is an EMG and a nerve conduction  
19 study definitive in your diagnosis?

20 MR. ILER: Of what?

21 Q. Of femoral neuropathy.

22 A. Oh, dear, how do I state that? It  
23 is -- it offers strong support, the diagnosis.

24 Q. But certainly the history and the  
25 physical exam are also important components of

1 your --

2 A. Absolutely.

3 Q. Did any of your patients with  
4 femoral neuropathy have permanent deficit?

5 A. Including all femoral neuropathies  
6 I've ever seen?

7 Q. Right.

8 A. Sure. Yes.

9 Q. So not all of the patients or the  
10 cases of femoral neuropathies that you know of  
11 have completely recovered?

12 A. Oh, no. Gunshot wounds and things  
13 like that you wouldn't expect for recovery.

14 Q. Doctor, back to your report of  
15 April 13th of 1990. You state in your report  
16 that Mrs. Ola's symptoms of numbness right  
17 lower leg -- I'm sorry -- numbness in her right  
18 lower leg and low back pain and headaches began  
19 after the vaginal hysterectomy of November 17,  
20 1986?

21 A. Okay.

22 Q. Is that true?

23 MS. REINKER: Objection. Is it  
24 true?

25 Q. That's bad. Let me strike that.

1 Is that the information you have from your  
2 review of the records?

3 A. Correct.

4 Q. Okay. Doctor, I'd like to move to  
5 your exam of July 20th, 1990.

6 MS. REINKER: Can we go off the  
7 record for a minute?

8 (Short recess taken.)

9 Q. Okay. Doctor, I'd like to question  
10 you on your July 20th 1990 letter which is  
11 Exhibit No. 10.

12 MS. REINKER: Ten.

13 MR. ILER: What exhibit is that?

14 MS. ILER: Number ten.

15 Q. You examined Cheryl Ola at the  
16 request of Susan Reinker, is that right?

17 A. Correct.

18 Q. Is your history and exam and  
19 findings of Cheryl for your exam of that day  
20 contained in Exhibit No. 10?

21 A. Yes.

22 Q. I'd like to direct your attention  
23 to page number four under impression.

24 Q. Okay. You state number one,  
25 sensory disturbance, right lower extremity in a

1 distribution between the L4 dermatome and  
2 saphenous nerve distribution?

3 A. Correct.

4 Q. And I may have asked you this  
5 before, but if you wouldn't mind repeating it,  
6 what is a sensory disturbance or what did you  
7 mean when you wrote that?

8 A. That I found some disturbance in  
9 her ability to sense a pin, to appreciate pin  
10 prick and temperature and light touch in the  
11 area in question.

12 Q. As a neurologist are those clues  
13 that there is an injury or problem with the  
14 saphenous nerve?

15 A. It could be related to the  
16 saphenous nerve.

17 Q. What happens to the nerve to cause  
18 a sensory disturbance?

19 MS. REINKER: Objection. Are you  
20 referring to any nerve in the body?

21 Q. Talk about the saphenous nerve.

22 A. If the saphenous nerve -- if  
23 there's some insult to the saphenous nerve that  
24 might result in sensory disturbance and the  
25 insult could be many things.



1 Q. What is a L4 dermatome?

2 A. Dermatome refers to the sensory map  
3 that relates to nerve roots.

4 Q. Can you describe for us the L4  
5 dermatome?

6 A. If you -- L4 dermatome is very  
7 nearly the same as the saphenous nerve. They  
8 virtually overlap.

9 Q. So we're talking about what part of  
10 the anatomy?

11 A. Well, the L4 root is on the back.  
12 If you have a pinched nerve in your back it  
13 happens to be the fourth lumbar root, the  
14 things that you could see would be a sensory  
15 loss in this same distribution.

16 Q. Doctor, you're referring to a  
17 specific area when you describe that  
18 disturbance, aren't you?

19 A. Yes.

20 Q. Which area is that?

21 A. It is along the medial aspect of  
22 the leg distal to the knee and essentially  
23 proximal to the malleolus, M A L L E O L U S, I  
24 think.

25 Q. And does that also cover the

1 anterior portion of the thigh?

2 A. Well, if you look at the thigh --  
3 the thigh you said?

4 Q. That's right.

5 A. The -- no, there is no L4  
6 distribution to speak of in the thigh.

7 Q. Did Cheryl Ola have the sensory  
8 disturbance that you described in your report  
9 in her right leg before the vaginal  
10 hysterectomy?

11 A. No, not that I can recall.

12 Q. Do you know what the cause of  
13 this -- isn't the cause of Cheryl Ola's sensory  
14 disturbance in her right leg the vaginal  
15 hysterectomy performed on November 17, 1986?

16 A. Well, I've already answered.

17 MS. REINKER: Objection. I think,  
18 you know, assuming she has such a disturbance.

19 MS. ILER: Well, the doctor just  
20 said there was a disturbance.

21 MS. REINKER: Well, he's describing  
22 what the patient tells him.

23 MS. ILER: Just a minute, Sue,  
24 because I think these talking objections are  
25 sounding like testimony to me and the doctor is

1 certainly capable to tell me what he found in  
2 Cheryl.

3 MS. REINKER: Just note my  
4 objection to the form of your questions.

5 Q. Okay.

6 THE WITNESS: Would you reread the  
7 question for me, please?

8 (Record read.)

9 MS. REINKER: Objection.

10 A. I don't know.

11 Q. Do you know what the cause is?

12 MS. REINKER: Objection.

13 A. I can't find any objective  
14 disturbance in her femoral nerve or in the L4  
15 distribution. My examination -- she has some  
16 sensory complaints and some disturbances on  
17 examination, but I'm not even absolutely  
18 certain that there is an ongoing femoral  
19 neuropathy. So that I can't say for sure, that  
20 this sensory disturbance that she has now, her  
21 complaints is definitely related to the vaginal  
22 hysterectomy.

23 Q. Is the sensory disturbance that you  
24 noted in your examination of July 20th, 1990  
25 related to the femoral neuropathy that you say

1 that she had at the time of her hospitalization  
2 at University Hospitals?

3 MS. REINKER: Objection.

4 A. It could be.

5 Q. Have you found any other cause for  
6 the sensory disturbance besides a femoral ✓  
7 neuropathy?

8 MS. REINKER: Objection.

9 A. Can't even find any definite  
10 evidence of a femoral neuropathy. I've already  
11 said her EMG is normal. She has no motor  
12 findings and as I -- honestly, as I could  
13 report there's a sensory disturbance in her ✓  
14 right lower extremity within the L4 dermatome  
15 and saphenous nerve distribution. That's all I  
16 can tell you for sure.

17 I do think I've already reported  
18 that she had a femoral neuropathy. I'm not  
19 even sure if she does anymore.

20 By the way, can I make you aware of  
21 a mistake I forgot to tell you? The very first  
22 word on page four where I said examination on  
23 the July 20th letter, that should read  
24 radiculopathy.

25 Q. I'm not sure where you are,



1 doctor. Can you point?

2 A. Page four, the very first word.

3 MS. REINKER: It's five.

4 A. I'm sorry. Page five. I stand  
5 corrected.

6 Q. Instead of examination it should be  
7 radiculopathy?

8 A. Right.

9 Q. Doctor, when you use the word  
10 impression, what does that mean to you?

11 A. That is -- I suppose my conclusion  
12 would be.

13 Q. That's your diagnosis?

14 A. That's my -- yes.

15 Q. Okay. Doctor, from your review of  
16 the records and examination of Cheryl Ola, she  
17 did not have any metabolic disturbance that  
18 would have caused a femoral neuropathy, did  
19 she?

20 A. No.

21 Q. From your review of the records and  
22 your examination of Cheryl, she was not a  
23 diabetic?

24 A. I have no evidence that she's a  
25 diabetic.

1 Q. From your review of the records and  
2 your examination of Cheryl, she had no lumbar  
3 disc that would cause a femoral neuropathy?

4 A. None was found.

5 Q. Nor did --

6 A. Well, lumbar disc would not cause a  
7 femoral neuropathy.

8 Q. Would some disturbance in the  
9 lumbar column cause a femoral neuropathy?

10 A. No.

11 Q. Would any type of disc cause a  
12 femoral neuropathy?

13 A. No.

14 Q. Okay. What's a radiculopathy?

15 A. Opathy means disorder of, radicular  
16 means root, so it's a disorder of the root in  
17 the back, what is often referred to as a  
18 pinched nerve in the back.

19 Q. You didn't find any evidence from  
20 your examination and your review of the records  
21 that Cheryl Ola had a pinched nerve or  
22 radiculopathy, did she?

23 A. No.

24 Q. Nor did you find any evidence of  
25 any vascular event during the surgery that

1 caused her femoral neuropathy?

2 A. None that -- from the reports  
3 available to me.

4 Q. Nor did Cheryl Ola have a tumor  
5 mass that would have caused her femoral  
6 neuropathy?

7 A. No.

8 Q. Okay. Doctor, back to your July  
9 20th -- I'm sorry -- yes, July 20th, 1990  
10 report of your examination also under  
11 impression is chronic headaches, probably  
12 muscular contraction in type?

13 A. Uh-huh.

14 Q. What is a muscle contraction  
15 headache?

16 A. Tension headache.

17 Q. Do you have an opinion as to the  
18 cause of those muscle contraction headaches?

19 A. In her?

20 Q. Yes.

21 A. Yes.

22 Q. And what's your opinion?

23 A. I think she's anxious and  
24 depressed.

25 Q. Why is she anxious and depressed?

1 MS. REINKER: Objection.

2 A. I don't know fully.

3 Q: Isn't it true that she's anxious  
4 and depressed because she can no longer do the  
5 things that she could do before the vaginal  
6 hysterectomy and that has caused her anxiety  
7 and stress?

8 MS. REINKER: Objection.

9 A. I'm not sure I can answer that  
10 question in any meaningful way.

11 Q. Doctor, do you have an opinion as  
12 to whether or not the sensory disturbance that  
13 you documented in Cheryl Ola in her right lower  
14 leg is permanent in nature?

15 MS. REINKER: Objection.

16 A. I don't know at this point. I'm  
17 not sure. It would depend on the generator.  
18 I'm not sure just what the generator is.

19 Q. More likely than not, is it  
20 permanent?

21 MS. REINKER: Objection. He just  
22 answered that.

23 A. I honestly don't know. I genuinely  
24 don't know.

25 Q. In your experience with femoral

1     neuropathies --

2             A.     If I knew the exact cause I might  
3     be able to give you a better answer.

4             Q.     -- isn't it true that in the case of  
5     femoral neuropathy if you're going to see  
6     recovery you would have done so?

7             A.     By two to three years out, yes.

8             Q.     Doctor, what is a chronic pain  
9     disorder?

10            A.     That's a person who's got pain for  
11     a long time.

12            Q.     Have you made that medical  
13     diagnosis in your patients?

14            A.     Yes.

15            Q.     And what does that diagnosis  
16     entail?

17            A.     Patient's history of having ongoing  
18     pain.

19            Q.     That's the only criteria that you  
20     need?

21            A.     It's a major factor, yes. Most  
22     patients with chronic pain do not have specific  
23     findings for that pain or a specific etiology.

24            Q.     And what is your treatment for  
25     patients with chronic pain?

1           A.     Avoidance of addictive drugs and a  
2     general, if you will, holistic approach to the  
3     pain, dealing with psychiatric organization,  
4     tricyclic antidepressants, which are very  
5     helpful in the treatment of the pain, and then  
6     depending on the -- specifically on the type of  
7     pain we're dealing with, physical therapy  
8     sometimes is useful, exercise, antiinflammatory  
9     drugs may be used for -- depends on the  
10    circumstances. Also, for the treatment of  
11    chronic pain is counseling, use of tricyclic  
12    antidepressants, biofeedback, those kinds of  
13    things.

14           Q.     And that counseling is part of  
15    behavior modification to help a patient deal  
16    with the chronicity of the pain, is that right?

17           A.     That and possibly if it's involved  
18    with the generation of the pain to deal with  
19    the etiology.

20           Q.     Could I just have a few minutes --  
21    I think I may be done -- to look over my  
22    notes?

23           A.     Sure.

24                   (Short recess taken.)

25           Q.     Just a few more questions, doctor.

1 A. Sure. Please.

2 Q. Is there a connection between the  
3 femoral neuropathy that was documented in  
4 University Hospital records by the neurologist  
5 on the 18th and 19th of November and the  
6 sensory disturbance that you noted in your  
7 report of July 20th, 1990?

8 A. I'm not totally sure. There could  
9 be.

10 Q. Doctor, in the last ten years how  
11 many medical negligent cases have you reviewed?

12 A. Now, does that mean just looking at  
13 records or going to court?

14 Q. That means just looking at  
15 records.

16 A. I do this so sporadically it's  
17 difficult for me to give you a precise answer,  
18 but I would guess --

19 MS. REINKER: Don't guess, doctor.  
20 If you don't know, you don't know.

21 A. I would say perhaps on average  
22 three a year, something like that. Maybe  
23 four. I honestly am not sure.

24 Q. And you review those on behalf of  
25 the defendant doctor or on behalf of the

1 patient?

2 A. Both. I've been on both sides of  
3 the fence.

4 Q. And what percentage of medical  
5 negligent cases did you review for the patient?

6 A. I can't give you precise  
7 percentages, but I would guesstimate somewhere  
8 around a third. That would be a guess.

9 Q. In the last ten years, about how  
10 many depositions have you given in relation to  
11 medical negligence cases?

12 A. Medical negligence in the last ten  
13 years?

14 Q. Yes.

15 A. I would guess probably one a year  
16 on average.

17 Q. And of those cases that you gave  
18 depositions in, were those on behalf of the  
19 patient or on behalf of the doctor?

20 A. Both.

21 Q. What percentage were for the  
22 defendant doctor?

23 A. Maybe two thirds.

24 Q. In the last ten years about how  
25 many times have you appeared in court or



1 testified for trial in medical malpractice  
2 cases?

3 A. Medical malpractice?

4 Q. Yes.

5 A. One, two -- medical malpractice  
6 probably four, five that I've testified,  
7 something like that over the last ten years.  
8 Well, actually over the last 12 years, 13 years  
9 since I've been here.

10 Q. Of those cases that you testified  
11 at trial, what percentages of those were on  
12 behalf of the defendant doctor?

13 A. In court. I've gone to court for  
14 some on the plaintiff's side, but those have  
15 been against companies. I don't remember going  
16 up against one or being on the plaintiff's side  
17 that has gone all the way to court against a  
18 physician. So I can't recall having gone to  
19 court on the side of the plaintiff.

20 Q. So it's been on the side of the  
21 doctor when you've testified in court?

22 A. Yes. Usually when I testified for  
23 the plaintiff it doesn't get past the  
24 deposition.

25 Q. Yes. I'll have to remember that.

1 Have you testified for the firm of Jacobson,  
2 Maynard, Tuschman & Kalur before?

3 A. In court? Have I gone to court?

4 Q. Have you gone to court?

5 A. That's a question.

6 Q. That's a question.

7 THE WITNESS: Do you know?

8 MS. REINKER: I don't remember  
9 any.

10 A. I can't recall. I can only tell  
11 you if I have, it's been like one or two. I  
12 can't remember specifically. I might have, I  
13 just don't know.

14 Q. Have you reviewed cases for the  
15 firm of Jacobson, Maynard, Tuschman & Kalur  
16 before?

17 A. I was actually trying to recall  
18 that for you and I can't -- I think I looked at  
19 some cases one time at a meeting downtown about  
20 seven or eight years ago. Two or three of us  
21 met as a panel.

22 Q. That's when you sat on the review  
23 board of the Physician Insurance Exchange,  
24 isn't it?

25 MS. REINKER: Objection.

1           A.     You know, I really can't remember  
2 under what capacity, but I think I've done that  
3 once, and my guess is that I have looked at  
4 some other cases, but I can't really  
5 specifically remember.

6           Q.     Okay. Are you an insured of  
7 Physician Insurance Exchange?

8           MS. REINKER: Objection.

9           A.     Yes.

10          Q.     Are you going to testify live at  
11 trial next week?

12          A.     I've been asked to. I'm prepared  
13 to.

14          Q.     You're prepared to, okay.

15          MS. ILER: We would ask for a  
16 waiver of signature.

17          MS. REINKER: I suggest that you  
18 review the deposition since there are medical  
19 terms involved, that you not waive your  
20 signature and have a chance to review it.

21          THE WITNESS: Oh, okay.

22                 (Deposition concluded at 6:50  
23 o'clock p.m.)

24                 - - - - -

25

## 1 CERTIFICATE

2 The State of Ohio, )

3 SS:

4 County of Cuyahoga. )

5

6 I, Donnalee Cotone, a Notary Public

7 within and for the State of Ohio, duly

8 commissioned and qualified, do hereby certify

9 that the within named witness, MICHAEL WILLIAM

10 DEVEREAUX, M.D., was by me first duly sworn to

11 testify the truth, the whole truth and nothing

12 but the truth in the cause aforesaid; that the

13 testimony then given by the above-referenced

14 witness was by me reduced to stenotypy in the

15 presence of said witness; afterwards

16 transcribed, and that the foregoing is a true

17 and correct transcription of the testimony so

18 given by the above-referenced witness.

19 I do further certify that this

20 deposition was taken at the time and place in

21 the foregoing caption specified and was

22 completed without adjournment.

23

24

25

1 I do further certify that I am not  
2 a relative, counsel or attorney for either  
3 party, or otherwise interested in the event of  
4 this action.

5 IN WITNESS WHEREOF, I have hereunto  
6 set my hand and affixed my seal of office at  
7 Cleveland, Ohio, on this 30th day of  
8 November, 1990.

9  
10  
11  
12 Donnalee Cotone

13  
14 Donnalee Cotone, Notary Public  
15 within and for the State of Ohio

16  
17 My commission expires January 14, 1992.  
18  
19  
20  
21  
22  
23  
24  
25