

Scanned

#1

IN THE COURT OF COMMON PLEAS OF FRANKLIN COUNTY, OHIO

- - -

Ann N. Nardi, et al.,

Plaintiffs, :

vs.

:Case No. 94CVA-05-3151

Saeeda Mobin-Uddin, M.D.,

Defendant.

- - -

DEPOSITION

of Stephen DeVoe, M.D., a witness herein, called by
the Plaintiffs under the applicable Rules of Civil
Procedure, taken before me, Xendra E. Johnston, a
Notary Public in and for the State of Ohio, at the
offices of Porter, Wright, Morris & Arthur, 41 South
High Street, Columbus, Ohio, on Thursday, March 28,
1996, at 2:00 o'clock, P.M.

- - -

ORIGINAL

APPEARANCES:

Mr. George Sarap,
Attorney at Law,
P.O. Box 779,
Worthington, Ohio 43085-0779,

On behalf of the Plaintiffs.

Porter, Wright, Morris & Arthur,
By Ms. Lisa L. Eschleman,
41 South High Street,
Columbus, Ohio 43215,

On behalf of the Defendant.

- - -

Thursday Afternoon Session,
March 28, 1996.

- - -

STIPULATIONS

It is stipulated by and between counsel for the respective parties that the deposition of Stephen DeVoe, M.D., a witness herein, called by the Plaintiffs under the applicable Rules of Civil Procedure, may be taken at this time and reduced to writing in stenotypy by the Notary, whose notes thereafter may be transcribed out of the presence of the witness; that proof of the official character and qualification of the Notary is waived; and that the examination, reading and signature of the said Stephen DeVoe, M.D., to the transcript of his deposition are waived by counsel and the witness; said deposition to have the same force and effect as though signed by the said Stephen DeVoe, M.D.

- - -

STEPHEN DEVOE, M.D.,

being by me first duly sworn, as hereinafter certified,
deposes and says as follows:

EXAMINATION

By Mr. Sarap:

Q. Doctor, my name is George Sarap, and
apparently you have been retained to be an expert and
to give some opinions in this case, in the Ann Nardi
case; is that correct?

A. That's correct.

Q. Okay. And I'm going to ask you some questions
about those opinions, Doctor, and if there's something
you don't understand, let me know and I'll rephrase it.
Okay?

A. Okay.

Q. And I know you've probably given a number of
depositions before; is that correct?

A. Yes.

Q. Okay. Now, Doctor, in regard to this case,
first of all, could you tell me something about your
background and training just briefly? In other words,
you're board certified, I take it?

A. I am board certified in OB-GYN. I went to
medical school at Ohio State. I graduated in 1969. I
spent five years at the University of Pennsylvania in

1 Philadelphia for internship and residency. I'm on the
2 aculty at Ohio State. I'm involved in medical
3 ducation by teaching residents and medical students at
4 iverside, and I'm in private practice.

Q. Okay. And how often on a yearly basis,
E octor, let's say, do you review medical negligence
6 ases, approximately?

A. I really would be guessing only, and I would
guess probably 8 to 12 new cases a year, one a month.

Q. And approximately how many times a year do yo
end up having to, let's say, testify either by
deposition, for use at court, or in court,
approximately?

A. These are really crude guesses, and I really
don't know. I would guess I probably testify six to
ten times a year.

16 Q. Okay. And what was your charge in this case
17 for reviewing and rendering an opinion, Doctor, to
18 date?

1 A. I don't know what I've -- Lisa said she wasn't
2 even sure I sent her a bill. I charge \$300 an hour. I
4 don't know whether I've sent her a bill or not.

22 Q. Okay. Now, in this case, Doctor, could you
23 tell us -- I want you to list for me the items or the
24 documents you reviewed in regard to giving an opinion.
25

1 A. I reviewed the medical record. I reviewed --
2 that included the hospitalization where the baby was
3 delivered. I reviewed some of Dr. O'Leary's office
4 records, some of Dr. Uddin's office records, some
5 records related to physical therapy for the child, an
6 evaluation at Nisonger Center. I think that was a
7 one-time only thing at Ohio State. I reviewed
8 interrogatories that the plaintiff provided or answers
9 to interrogatories. I reviewed the complaint and three
10 depositions.

11 Q. And those would be the depositions of Dr.
12 Uddin, Dr. Krane, and the plaintiff?

13 A. That's right, those three.

14 Q. Okay. First of all, Doctor, have you formed
15 any opinions in regard to the damage aspect of the
16 case? In other words, Ann Nardi obviously has a
17 withered arm; is that correct?

18 A. She has an Erb's palsy.

19 Q. 'She has Erb's palsy; is that correct?

20 A. Yeah.

21 Q. Now, do you have an opinion as to whether or
22 not the Erb's palsy was a result of the birth process?

23 A. Most likely -- yes, I do.

24 Q. And what's your opinion?

25 A. Most likely it occurred during the birth

1 process. There is some evidence that suggests that
2 perhaps Erb's palsies happen in the uterus also prior
3 to the onset of delivery or labor, and that always
4 remains a possibility, but we'll never know in this
5 case, I guess.

6 Q. In this case, I guess it's your opinion -- I'm
7 not trying to put words in your mouth -- that in all
8 probability, this Erb's palsy occurred during the birth
9 process; is that correct?

A. Most likely.

Q. Okay. Now, Doctor, first of all, you reviewed
Dr. O'Leary's chart; is that correct?

A. Yes, I did.

Q. Now, do you have any opinions in regard to the
care and treatment rendered by Dr. O'Leary?

15 A. Yes.

16 Q. And what is your opinion?

17 I think his care was adequate, met relevant
18 standards at the time.

19 Q. And you don't have any opinion that anything
20 that Dr. O'Leary did was inappropriate; is that
21 correct?

22 A. That's correct.

23 Q. Okay. And I'll ask the same question about
24 the hospital and the hospital staff, Doctor. Do you
25

1 have any opinions as to the care and treatment rendered
2 by the hospital and the hospital staff as to whether
3 anybody in that forum rendered any inappropriate care?

4 A. I do have an opinion, and it is that the
5 people at St. Ann's met all relevant standards in their
6 care of Ann's mother.

7 Q. And also, Doctor, do you have an opinion as to
8 whether there -- if it was needed, whether there was
9 appropriate anesthesiology available for Ann Nardi if
10 it was needed?

11 A. My understanding is that the anesthesia is
12 available at St. Ann's.

13 Q. And it was available in this situation; is
14 that your understanding?

15 A. Yes, because an anesthesia representative was
16 present for the resuscitation of the child shortly
17 after the birth, so they're obviously in the building.

18 Q. So you have no criticisms as to any of the
19 care that may have been rendered or absence of care
20 that may have been rendered by any anesthesiologist in
21 this case; is that correct?

22 A. That's correct.

23 Q. Okay. Now, Doctor, in regard to the review of
24 the chart and the office charts, now, isn't it true,
25 Doctor, that this situation presented at least in some
26

1 aspects a high risk pregnancy or a high risk delivery;
2 is that correct?

3 A. Yes.

4 Q. Okay. And could you list for me those items
5 which you contend led you to form those opinions? What
6 made it high risk?

7 A. Her weight.

8 Q. Okay. And what other factors?

9 A. She had developed some mild hypertension and
10 proteinuria the last couple weeks of pregnancy.

11 Q. Okay.

12 A. She was found on the day of induction, that
13 the induction was begun, she was found to have a
14 decreased amount of amniotic fluid, which makes it high
15 risk from a fetal standpoint of a placental
16 insufficiency or umbilical cord compression or both.

17 Those three support the high risk question.

18 MS. ESCHLEMAN: Excuse me. Doctor, any time
19 that you feel that you need to look at the medical
20 records, please feel free to do so.

21 THE WITNESS: Thank you.

22 MR. SARAP: Yeah, obviously you can look at
2 the records, Doctor.

2 Q. Now, the decrease in the fluid, Doctor, did
2 you form an opinion as to what caused that problem?
2

1 A. I didn't really think about it. It's one of
2 the things we see. It was probably in part tied to the
3 hypertension. She had some marginal hypertension,
4 occasional blood pressures elevated; then you'd check
5 her at rest maybe on the left side and it would be
6 better. But placental deterioration as a result of
7 blood pressure problems can result in decreased
8 amniotic fluid formation, and the net effect is a drop
9 in the amniotic fluid volume.

10 Q. And that leads or potentially leads to a more
11 difficult delivery process; is that correct?

12 A. No.

13 Q. Okay. That puts the baby or the fetus at
14 risk; is that correct?

15 A. Yes, it puts the fetus at risk for two things.
16 One is mainly compression of umbilical cord because the
17 fluid's no longer there as a buffer to protect the
18 cord; and two, it indicates the placenta may be
19 deteriorating in the quality of its function and,
20 therefore, may not be good enough to sustain the baby.
21 Because of those things, Dr. Uddin knew about the
22 amniotic fluid and did the proper thing, which is the
23 delivery. That's why I didn't spend a lot of time
24 thinking about the amniotic fluid, because her response
25 was immediate and appropriate.

1 Q. Obviously it's one of your opinions that one
2 the reasons you want to deliver the child on that
3 day is because of the decrease in fluid; is that
4 correct?

5 A. Right.

6 Q. Okay. Now, do you have any criticisms as to
7 the care and treatment rendered by Dr. Uddin, first of
8 all -- let me segment it -- prior to the delivery
9 recess?

10 A. No.

11 Q. Okay. And did your review of the records
12 reveal that she did or did not make an adequate review
13 of the prior office chart of Dr. O'Leary?

14 A. My review of the records suggested she
15 reviewed the records. I don't think it really
16 addresses one way or the other that she's studied the
17 whole record. She picked up the care. The problem of
18 this lady presents itself when you weigh her, you take
19 her blood pressure and check her urine and protein, and
20 review of the records is really not as important as the
21 problem she presents on June 30th.

22 Q. And when you have a situation where the mother
23 is overweight, as obviously is the case here,
24 approximately what? Three hundred pounds, give or
25 take?

1 A. 303 at delivery.

2 Q. Could you tell me, Doctor, what problems that
3 leads to in the birth process or the delivery process?

4 A. It makes her a high risk patient from a
5 variety of facets. One is she's a terrible risk for a
6 -section because of chances of wound infection, blood
7 lot in her legs, blood clot in her lungs, pulmonary
8 emboli, which is much more common to heavy people.
9 Infection in the lining is more common. She'd be
10 technically a hard C-section to do in terms of getting
11 access to the uterus, controlling bleeding, all this
12 sort of thing. She is at higher risk for requiring a
13 C-section because of her weight, and the weight takes
14 up space inside, so there's less room available for the
15 baby to come through. She has high blood pressure,
16 which is more common in heavy people, so the weight
17 contributes to that. She presents a formidable
18 challenge to the obstetrician.

19 Q. Now, a lot of those risks you just mentioned
20 are risks to the mother; is that correct?

21 A. Right.

22 Q. Now, specifically, how about risks to the
23 child in the delivery process as far as being
24 overweight?

25 A. Mother's weight increasing the child's risk;

1 s that the question?

2 Q. Yes.

3 A. Well, I think any time you have a situation
4 where there's a greater possibility of the baby being
5 stuck increases the likelihood of fetal injury at the
6 time of delivery, requiring a forceps delivery, for
7 example, or some other delivery that's going to be
8 potentially hazardous. Also, heavy mothers tend to
9 have slightly bigger babies. If the baby's big enough,
10 it can run out of space.

11 Q. Okay. That was my next question, Doctor.
12 How, did you review records in this case that would
13 indicate or basically indicate to you what size the
14 baby was?

15 A. Yes.

16 Q. Okay. And what were those records?

17 A. Well, the birth records, the records from the
18 hospital. The baby's birth weight was 9-11, nine
19 pounds 11 and a half ounces.

20 Q. I mean prior to that time, by sonogram,
21 anything like that?

22 A. They're not useful. There was one done a 32
23 weeks. I'd have to verify the date. Somewhere in
24 O'Leary's record, he put down the baby weighed,
25 somewhere he estimated, 3,000 grams. I don't know

1 whether that was his estimate putting his hands on her
2 : sonogram. I'm not sure. But it's not really
3 seful. Estimates of fetal weight aren't very useful
4 ate in pregnancy.

5 Q. In other words, the sonogram would not have
E een useful; is that correct?

A. That's correct.

Q. Okay.

A. Because --

1 Q. What other ways would you determine the size
or the weight of the baby? Could you list those for
me? I guess sonogram is one indicator; is that
correct?

15 A. Sonogram is one, is the best one, but a well
16 done sonogram still can be off 10 percent in an
17 estimate of fetal weight. So in this baby's weight of
18 nine pounds 11 ounces, it could be estimated to be
19 eight pounds six ounces and still be a well done
20 sonogram. So they really aren't useful enough or
21 accurate enough even when they're done well to make
22 much difference clinically.

23 Q. Okay. And I'll show you this sonogram,
24 Doctor, from St. Ann's Hospital. Did you review that?

25 A. Yes.

Q. And on what day was that sonogram done?

- 1 A. The day of induction, the 14th of July.
- 2 Q. Okay. And is there any indication on there as
3 to the size of the baby?
- 4 A. No.
- 5 Q. Okay. Now, besides the interpretation of the
6 sonogram, Doctor, there are also, I guess I call it, a
7 film or a printout of the sonogram; is that correct?
- 8 A. There usually are some pictures taken. The
9 reason there's no estimated fetal weight is because it
10 talks about her weight again compromising the accuracy.
11 The only thing they could see was length of the femur.
12 They couldn't really measure head, abdominal
13 circumference, some of the other things that go to
14 constructing a fetal weight.
- 15 Q. In other words, that sonogram was really not
16 able to detect the exact size or close to the size of
17 the baby? Nobody could be exact?
- 18 A. That's right.
19 A. That's right.
- 20 case, that the safe thing to do would be to presume
21 that the baby's going to be rather large if you have a
22 large mother?
- 23 MS. ESCHLEMAN: Objection.
- 24 A. No, it's not. It doesn't logically follow.
- 25 Q. Okay. And let me ask you this, Doctor, before

1 answer I give you will be kind of rough and
2 approximate.

3 A number of things don't apply here, such as
4 active herpes, placenta praevia, placental abruption.
5 None of those things apply here. There is no evidence
6 of fetal distress, so there's no indication from that
7 that she should have a C-section. It's tempting for
8 people who don't understand shoulder dystocia very well
9 to say "Aha, big mother, big baby, big risk of shoulder
10 dystocia. Let's do a C-section." That's very
11 fallacious reasoning in that the instance of shoulder
12 dystocia is .15 to one percent out of all deliveries.
13 Even when the baby weighs more than 4,000 grams, only
14 about two or three percent of them will have shoulder
15 dystocias, so in that case, you would be sectioning 100
16 women because two or three of the babies might get a
17 shoulder dystocia. If you're going to say, "Well,
18 she's at risk for shoulder dystocia, therefore, section
19 her," that's a totally wrong approach because you're
20 going to do a lot of sections due to the numbers I gave
21 you.

22 So indications for a section, the most common
23 one is that baby gets stuck in labor. You have good
24 labor, poor progress, eventually a lack of progress,
25 and you have no choice but to deliver by section. That

1 id not happen. This lady had very effective labor.
2 She went from four centimeters at 12:30 to completely
3 dilated at 3:00 a.m., 3:05. That's a very effective
4 labor. She didn't have a prolonged second stage, which
5 might be a problem for her and might indicate a
6 C-section. Second stage was 3:05 to delivery, an hour
and 15 minutes or something pretty reasonable. Breach
presentation is another indication for C-section.
Doesn't apply here.

Q. I forget my conversions. Four thousand grams
is about how many pounds?

A. I don't know. I think in grams, I think
that's nine pounds. I think it's right at nine pounds.

Q. Okay. I don't know if I forgot that or just
never knew that.

A. I never remember.

16 Q. All right. Doctor, now, you have also --
17 well, let me ask you this first. Now, let's talk
18 about -- I know you've already commented on this, but I
19 have to ask you for the record again.

20 The delivery process, we've talked about Dr.
21 Uddin's care and treatment rendered up and to the
22 delivery process, and you've indicated you had no
23 criticisms or opinions of anything that was
24 inappropriate; is that correct?
25

1 A. That's correct.

2 Q. And it's also your opinion today that the fact
3 that a C-section was not done, that that is not
4 inappropriate care in this situation; is that correct?

5 A. That's correct.

6 Q. Now, how about the birth process, Doctor? In
7 ther words, when the delivery process started and Dr.
8 ddin entered the birthing room or the delivery room,
9 o the end, do you have any opinions or do you have any
10 riticisms as to any care and treatment rendered by Dr.
11 ddin during that process?

12 A. No.

13 Q. Do you have any opinions as to whether
14 anything she did was inappropriate during that process?

15 A. No.

16 Q. I mean you have an opinion.

17

18

19

20

21

22

23

24

25

1 Q. Okay. I think the baby started to crown, and
2 hat was -- things were looking good then; is that
3 correct?

4 A. That's correct.

5 Q. Okay. And then some problems happened after
6 that in the baby being delivered; is that correct?

7 A. That's correct.

8 MS. ESCHLEMAN: . Objection.

9 Q. And based upon your review of the records,
10 Doctor, from the time the crowning started until the
11 time the delivery took place, do you remember how much
12 time elapsed?

13 A. I'd have to look at the record, but the two
14 don't logically follow, you know, especially with what
15 our understanding of what crowning is and my
16 understanding may be two different things. I think she
17 was described as crowning like a half-hour, 40 minutes
18 before the baby was born. That doesn't really have
19 anything to do with the difficulty of the delivery.

20 Q. Okay. Well, here is what I'm trying to get
21 at, Doctor. Now, when Dr. Uddin started to get
22 involved with the process and started to try to deliver
23 the baby, okay?

24 A. Okay.

25 Q. Now, there were a number of things that she

1 lid to perform this delivery.

2 A. Why don't you tell me what time of day you're
3 thinking about so we both know exactly we're agreeing
4 with one another.

5 Q. Well, I think we're talking about -- let's
start at 4:50.

A. Okay.

Q. Okay. Now, I believe there were some
aneuvers or procedures that were performed; is that
correct?

A. Yes.

10 Q. Okay. And ther'e was a McRobert's maneuver
11 performed; is that correct?

12 That's correct.

13 Q. -- that's the McRobert's maneuver -- McRobert
maneuver is?

A. McRobert's maneuver is removal of the
patient's feet from her stirrups and flexion of the
upper leg against the abdomen. In other words, she's
basically lying on her back, putting her knees up
toward her abdomen, out and open to the side.

19 Q. And is it your opinion that was done in this
20 case?

21 A. Yes.

22 Q. Okay. And what other procedures did Dr. Uddin
23
24
25

perform to deliver the baby?

A She rotated the shoulders to the oblique diameter; I believe that she also put pressure behind the pubic bone of the mother to help slide the shoulder underneath the pubic bone, and she tried to rotate the body, and it popped out.

Q Where she tried to rotate the body, I think she indicated she thought maybe the clavicle had broken. Is that correct?

A. Yes.

Q. And hypothetically, assuming that the injury occurred during the birth process, the Erb's palsy, was that probably the time that it occurred?

MS. ESCHLEMAN: Objection.

A. I don't know. I don't know when it occurred.

Q. Okay. Now, Doctor, in regard to having an anesthesiologist on board, is it your opinion that when these problems arose, that it would not have been appropriate to administer any other anesthetic or general anesthesia in this case?

A. That's correct, it would not have been appropriate.

Q. Okay. Now, also, Doctor, the method in which Dr. Uddin turned the baby, she reached in and turned the baby I believe with her fingers to try to get the

1 shoulders in the proper position; is that correct?

2 A. Yes.

3 Q. Okay. Now, is there anything about that
4 procedure in your opinion that she did that was
5 inappropriate?

6 A. No. All we have is what she says, and she
7 tried to turn it to an oblique diameter in the pelvis
8 where there's more room.

9 Q. Now, when you turn a baby in this fashion,
10 Doctor, I guess based on experience, you apply a
11 certain amount of pressure; is that correct?

12 A. Right.

13 Q. Okay. And then that's something that's hard
14 to describe; is that correct?

15 A. It's hard to measure. It's hard to describe
16 to someone else, yes.

17 Q. And that's just something that comes with
18 experience and years of doing this; is that correct?

19 A. Right.

20 Q. And there is such a thing as excessive or too
21 much pressure; is that not correct, Doctor?

22 A. You're talking about now where she's putting
23 pressure on the baby's shoulders to rotate it to an
24 oblique diameter?

25 Q. Yes.

1 A. I don't know if you -- I don't know what too
2 much is in this situation. I don't know how that would
3 hurt it.

4 Q. Okay. Now, how about the pressure? Was there
5 any pressure applied to the head in this delivery
6 process?

7 A. To the head. Well, I think she probably was
8 holding the baby's head as it delivered, as you do --
9 as the baby's head crowns, you grab what you're able to
10 grab, and that would be pressure on a baby's head.
11 She's holding it and trying to maneuver the baby.

12 Q. Okay. And at the time the baby was -- after
13 the baby was turned, okay? Now, do you have an opinion
14 based on a review of the records as to, if you could
15 describe it to me, the position of the baby's head and
16 the position of the baby's shoulders as the baby came
17 out?

18 A. As the baby came out, I would imagine the
19 shoulders were oblique, and that is kind of, if you're
20 looking at the pelvis as the face of a clock, on a 1:00
21 to 7:00 diameter would be the shoulders, maybe 2:00 to
22 8:00. Initially the shoulders had probably been
23 directly up and down, 12:00 to 6:00, and she was able
24 to rotate it to the oblique diameter, where there's
25 more room, and the baby came out.

1 Q. How about the position of the arms? Can you
2 determine what those were from the records, Doctor,
3 from your review?

4 A. No. You assume they're folded up along the
5 body.

6 Q. Now, Doctor, you've read Dr. Krane's
7 deposition, is that correct, his discovery deposition?

8 A. Yes.

9 Q. And Dr. Krane had some criticisms of the care
10 and treatment rendered in this case; is that correct?

11 A. Yes.

12 Q. Could you comment on those criticisms, Doctor,
13 and address that and what your opinions are as to
14 those?

15 A. Why don't you ask me about the ones you have
16 in mind or about them one by one.

17 Q. All right. We'll go about them one by one. I
18 have them marked. Just give me a second.

19 I believe he had a criticism about the lack of
20 anesthesia, Doctor?

21 A. Yes.

22 Q. And I think you've already commented on that;
23 is that correct?

24 A. Yeah. I'm not sure what he had in mind for
25 anesthesia. We don't want to put this lady to sleep.

1 he got some local. This is a time when we need her
2 enthusiastic participation and cooperation, so you
3 don't want her narcotized, or general anesthesia is
4 obsolete in obstetrics except under unusual
5 circumstances. I don't know exactly what he had in
6 mind there. He doesn't offer any evidence or details
7 either.

8 Q. And I believe he also has some criticisms as
9 to the pressure in the delivery applied to the
10 shoulders; is that correct?

11 A. I don't think so.

12 Q. Okay. Or pressure applied to the head; is
13 that correct?

14 A. No.

15 Q. Just give me a second and 1/11 find the exact
16 one here.

17 Okay. I think he indicates, Doctor -- and
18 1/11 certainly let you read this if you want, but the
19 second deviation -- I think the first one he refers to
20 is the anesthesiology, which we've already talked
21 about. Second deviation was that there was fundal
22 pressure used to deliver the head. Okay? "The mother
23 had no anesthesia, and if she couldn't push his head
24 out, something must have been keeping it up." Remember
25 reading about that, Doctor?

1 A. Yeah, and I think he retracted that in the
2 next statement or so.

3 Q. Okay. And I believe -- I know he retracted
4 part of that. Okay? But I believe he had an opinion,
5 and I can't put my hands on *it* right now; *if* necessary,
6 I'll take a minute and find *it* -- about excessive
7 pressure being used when the procedure was taking
8 place. Do you remember that?

9 A. Yes.

10 Q. Okay. That's what I'm trying to find out.
11 What is your opinion of that, Doctor, and your response
12 to that?

13 A. Fundal pressure *is* what you're talking about.
14 It's pressure on the top of the uterus during the time
15 of delivery, one part or other, of the baby, and he was
16 critical of the use of fundal pressure while the
17 shoulders were being delivered. Fundal pressure *is* a
18 very controversial tool in obstetrics, and there are --
19 you probably can find a textbook that will say *it's*
20 wrong to do *it* either sometimes or always wrong, yet
21 fundal pressure has saved a lot of *babies'* lives, and
22 there's not an obstetrician around who hasn't used *it*
23 or relied on *it* at one time or another to save the day,
24 and I think fundal pressure *is* very appropriate in
25 certain circumstances. In fact, if you read deeply

1 enough on this topic, some of the maneuvers described
2 for dealing with shoulder dystocia include fundal
3 pressure as part of the maneuver.

4 Q. okay. And --

5 A. Let me finish my thought. I'm sorry.

6 Q. I'm sorry. Go ahead.

7 A. So the use of fundal pressure in certain
8 situations is appropriate, I think, and in this
9 situation, it was appropriate.

10 Q. Okay. And then my next question along those
11 lines is, again, the application of fundal pressure is
12 also one of those things that are based on experience;
13 is that correct?

14 A. That's correct.

15 Q. In other words, how much is too much or not
16 enough is something that you base on your experience
17 and years of doing this; is that correct?

18 A. Right.

19 Q. Okay. And then there is a level at which the
20 fundal pressure is too much and it would be
21 inappropriate; is that correct?

22 MS. ESCHLEMAN: Objection.

23 A. Again, depends on who you talk to. The thing
24 you have to understand is the obstetrician is not
25 applying the fundal pressure. She's trying to deliver

1 the baby between the legs, and a nurse is applying the
2 fundal pressure. I suppose if you've got someone in
3 there with my strength and size, you could put too much
4 in. If you've got a 110 pound nurse, you probably
5 can't put enough on to do any harm. It's hard to
6 measure.

7 Q. Okay. But would you agree or admit at least
8 that there is a level at which when too much fundal
9 pressure is applied -- and I'm not talking about this
10 situation; I'm talking about in general -- that it
11 would amount to inappropriate medical care?

12 MS. ESCHLEMAN: Objection.

13 A. Yes, either too much or at the wrong time;

14 Q. Okay. Now, Dr. Krane also commented on the
15 time that elapsed when these procedures were taking
16 place, the McRobert's maneuver and the other
17 procedures; is that correct?

18 A. Yes.

19 Q. And I think there were only what, two minutes
20 marked in the chart from start to finish?

21 A. Right.

22 Q. Do you have an opinion as to whether that's
23 kind of a short amount of time for all these procedures
24 to be used?

25 A. No. Basically I think he insinuated that Dr.

1 Uddin was lying in that he said he didn't believe the
2 record, he didn't believe you could do a McRobert's
3 maneuver and position the shoulders and do episiotomy
4 and apply fundal pressure in a minute or two. I think
5 you can. Dr. Uddin most likely had a checklist or plan
6 like most of us do for shoulder dystocia. You can do
7 your maneuvers quickly. If you realize the first thing
8 you're doing isn't working, you quickly abandon that.
9 It doesn't take too long to figure out this baby isn't
10 just going to fall out. And two minutes in this
11 situation is a long time. Even the plaintiff
12 recognized that when it was a minute or two and she
13 said it felt like five or six. I don't think it is
14 true that it's impossible to go through that in two
15 minutes.

16 Q. I don't think he said Dr. Uddin was lying.

17 A. He inferred he didn't believe the record.

18 Q. All right. Now, also, Doctor -- and I know
19 you've listed some risk factors in this case. Is
20 there -- strike that. Let me ask you this first.

21 By reviewing the records, do you have any
22 opinions as to what else the mother could have done to
23 assist in this birth process to make it go better or to
24 be more cooperative?

25 MS. ESCHLEMAN: At what time, George?

1 MR. SARAP: During -- right after the crowning
2 until the delivery.

3 A. I don't think there's any evidence that she
4 was uncooperative. Where she should have been more
5 cooperative is when they wanted to put her in the
6 hospital on July 3rd, and she refused, and I guess a
7 couple days later --

8 Q. That was my next question. Before this
9 process started, tell me what else you have opinions of
10 as far as the mother's conduct.

11 A. I think she was cooperative, as near as we can
12 tell from the record, for the most part. She wouldn't
13 let them start the IV till her husband got there, and
14 that's unrelated. She was cooperative during labor and
15 delivery. I don't know what difference it would have
16 made, I don't know what events would have followed if
17 she'd come in July 3rd. Perhaps she would have been
18 induced and delivered, and then the baby would have
19 been smaller and not gotten stuck. She declined to be
20 admitted on July 3rd. I think she was approached about
21 that five days later and declined again. I don't know
22 what difference those things **would have** made. **As** I
23 said, who knows what would have happened if she'd been
24 in the hospital, evaluated, and perhaps induced then.
25 But she was not cooperative at those times. **And** her

1 weight, for which she's responsible to some extent, is
2 also a big contributing factor here.

3 Q. And **also**, Doctor, the fact that she didn't
4 want the IV started until her husband got there, that
5 probably had nothing to do with the outcome of the
6 case?

7 A. I said that it's not relevant to the outcome.

8 Q. Now, as far as the fundal pressure that's
9 applied, Doctor, even though the doctor may not be
10 applying the fundal pressure, does the doctor still
11 exercise **some** control as to what type of fundal
12 pressure should be applied and how much and when?

13 A. Yes.

14 Q. Okay. In other words, based on experience,
15 they get a feel for this? They can observe it and
16 basically know if it's too much; is that correct --

17 A. Yes.

18 Q. -- generally speaking?

19 A. Or not enough.

20 Q. Or not enough. Okay. And obviously the
21 timing, that's the doctor's call; is that correct?

22 A. Right.

23 Q. Okay. We've talked about Dr. Uddin, Doctor,
24 and I know I specifically asked you about the hospital,
25 their staff, anesthesiologists, things of that nature.

1 Do you have any opinions -- and I'm not
2 talking about Dr. Uddin now -- as to whether any other
3 medical provider in this case that provided care and
4 treatment to Ann Nardi rendered any inappropriate care
5 based on your review of the records?

6 A. I do have an opinion.

7 Q. And what's your opinion?

8 A. I don't think anyone else rendered -- I don't
9 think anyone rendered inappropriate care to this lady
10 anywhere along the way.

11 Q. And do you have an opinion as to whether there
12 was appropriate help or appropriate staff available in
13 the birthing room and the delivery room when this
14 process took place? In other words, numbers of people,
15 the appropriate people, were they there?

16 A. Yes, as near as I can tell, they were there.

17 Q. In other words, they weren't shorthanded,
18 anything like that?

19 A. That's correct, they weren't, best of my
20 knowledge.

21 Q. Were there any specific articles, Doctor, that
22 you relied on in this case in rendering your opinions?

23 A. Not really, no.

24 Q. Is there any specific treatise that you relied
25 upon?

1 A. No.

2 MR. SARAP: Doctor, I don't have any further
3 questions at this time. Thank you very much.

4 THE WITNESS: Yes, sir.

5 MS. ESCHLEMAN: Doctor, as you know, you have
6 the right to read this transcript should you so desire
7 if it's transcribed by the court reporter, or you also
8 have the right to waive that reading if you so desire.

9 THE WITNESS: Do you have a preference? I
10 don't care.

11 MS. ESCHLEMAN: I don't think there's any
12 reason you need to read it in this case unless you feel
13 so.

14 THE WITNESS: I'll waive.

15 (Signature waived.)

16 - - -

1 CERTIFICATE

2 State of Ohio

SS:

3 County of Franklin :

4 I, Kendra E. Johnston, Notary Public in and
 5 for the State of Ohio, duly commissioned and qualified,
 6 certify that the within named Stephen DeVoe, M.D.
 7 was by me duly sworn or affirmed to testify to the whole
 8 truth in the cause aforesaid; that the testimony was
 9 taken down by me in stenotypy in the presence of said
 10 witness, afterwards transcribed upon a computer; that
 11 the foregoing is a true and correct transcript of the
 12 testimony given by said witness taken at the time and
 13 place in the foregoing caption specified.

14 I certify that I am not a relative, employee,
 15 or attorney of any of the parties hereto, or of any
 16 attorney or counsel employed by the parties, or
 17 financially interested in the action.

18 IN WITNESS WHEREOF, I have hereunto set my hand
 19 and affixed my seal of office at Columbus, Ohio, on this
 20 1st day of April, 1996.

21 Kendra E. Johnston

22 Notary Public in and for the
 23 State of Ohio and Registered
 24 Professional Reporter.

25 My commission expires July 13, 1997