

COMMON PLEAS COURT
FRANKLIN COUNTY
STATE OF OHIO
- - -

HS
Examined

1

Ann N. Nardi, et al.,

Plaintiffs,

vs .

: Case No. **94CVA-05-3151**

Dr. Saeeda Mobin-Uddin,

Defendant.

- - -

May 6, 1999

Deposition of

Stephen J. DeVoe, M.D.

A witness herein, called by the
Plaintiffs for cross-examination under the applicable
Rules of Ohio Civil Court Procedure, taken before me,
Beth A. Higgins, a Registered Professional Reporter
and Notary Public in and for the State of Ohio, by
agreement of counsel, at the offices of the witness,
3555 Olentangy River Road, Columbus, Ohio 43214, on
Thursday, May 6, 1999, commencing at approximately
8:15 a.m.

- - -

<p>1 APPEARANCES:</p> <p>2 TERRILEN JOHNSON, Esquire and SIMINA VOURLIS, Esquire</p> <p>3 Plymale & Associates 350 South High Street, Suite 200</p> <p>4 Columbus, Ohio 43215 (614) 221-1166,</p> <p>5 On behalf of the Plaintiffs.</p> <p>6 GREGORY B. FOLIANO, Esquire Porter, Wright, Morris & Arthur</p> <p>7 41 South High Street Columbus, Ohio 43215 (614) 227-2089,</p> <p>8 On behalf of the Defendant.</p> <p>9 ---</p> <p>10</p> <p>11</p> <p>12</p> <p>13</p> <p>14</p> <p>15</p> <p>16</p> <p>17</p> <p>18</p> <p>19</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p>	<p>4</p> <p>1 PROCEEDINGS</p> <p>2 ---</p> <p>3 STEPHEN J. DEVOE, M.D.</p> <p>4 being by me first duly sworn, as hereinafter</p> <p>5 certified, deposes and says as follows:</p> <p>6 CROSS-EXAMINATION</p> <p>7 BY MS. JOHNSON:</p> <p>8 Q. Doctor, can you state your name and</p> <p>9 address for the record, please?</p> <p>10 A. Stephen John DeVoe, 3555 Olentangy</p> <p>11 River Road, Columbus.</p> <p>12 Q. Doctor, my name is Terri Johnson; and</p> <p>13 besides introducing ourselves just a few moments ago,</p> <p>14 have you ever met me before?</p> <p>15 A. No.</p> <p>16 Q. I represent the plaintiffs in this case,</p> <p>17 Annie Nardi and her mother; and I'm going to be</p> <p>18 asking you a series of questions.</p> <p>19 I assume you've done this before;</p> <p>20 correct?</p> <p>21 A. Yes.</p> <p>22 Q. So you know that your answers have to be</p> <p>23 verbal as opposed to a nod of the head or a gesture?</p> <p>24 A. Yes.</p>
<p>3</p> <p>1 Thursday Morning Session May 6, 1999</p> <p>2 8:15 a.m.</p> <p>3 ---</p> <p>4 STIPULATIONS</p> <p>5 It is hereby stipulated by and between</p> <p>6 counsel for the respective parties herein that this</p> <p>7 deposition of Stephen J. DeVoe, M.D., may be taken at</p> <p>8 this time by the Notary; that said deposition is</p> <p>9 being taken by agreement of counsel; that said</p> <p>10 deposition may be reduced to writing in stenotypy by</p> <p>11 the Notary, whose notes may thereafter be transcribed</p> <p>12 out of the presence of the witness; that proof of the</p> <p>13 official character and qualifications of the Notary,</p> <p>14 and the time and place of the taking of said</p> <p>15 deposition are hereby waived.</p> <p>16 ---</p> <p>17</p> <p>18</p> <p>19</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p>	<p>5</p> <p>1 Q. And I have a little bit of a cold today.</p> <p>2 If you can't understand my question or you don't hear</p> <p>3 it, will you let me know?</p> <p>4 A. Sure.</p> <p>5 Q. Now, I understand you're serving as an</p> <p>6 expert witness for Dr. Mobin-Uddin in this case. Is</p> <p>7 that correct?</p> <p>8 A. That's correct.</p> <p>9 Q. And you gave testimony in this matter</p> <p>10 about three years ago; correct?</p> <p>11 A. Yes.</p> <p>12 Q. Before testifying today, did you review</p> <p>13 your testimony at your last deposition?</p> <p>14 A. I reviewed some notes from it.</p> <p>15 Q. Okay. And did you review the medical</p> <p>16 records in the case?</p> <p>17 A. Yes.</p> <p>18 Q. Did you review anything else?</p> <p>19 A. A variety of depositions which have been</p> <p>20 taken since that time or that would have been taken</p> <p>21 before that I didn't have until recently.</p> <p>22 Q. Okay. Would that be Nurse Long?</p> <p>23 A. Long I had before, but several physical</p> <p>24 therapy people, physical medicine people, the</p>

6

1 grandmother, subsequent physical therapy records that
 2 were collected after the -- the baby's delivery.
 3 Q. Okay. Did you also review
 4 Dr. Goldstein's deposition?
 5 A. Yes.
 6 Q. Okay. And have you reviewed any medical
 7 literature or articles, anything like that?
 8 A. Specifically to prepare for this?
 9 Q. Yes.
 10 A. No.
 11 Q. Okay. The opinions you're going to be
 12 offering in the trial at this case, will any of them
 13 be based on any medical literature, textbooks, or
 14 articles?
 15 A. Uhm, I don't know the answer to that.
 16 I think -- You know, I think -- I can't answer that
 17 specifically.
 18 Probably, without having anything
 19 specific in mind, because some of what you practice
 20 and think is -- reflects of all your experience,
 21 including education, which is obtained by reading.
 22 Q. Okay.
 23 A. I can't put my finger on any specific
 24 items I've read, though.

7

1 Q. Okay. If there comes a time before you
 2 actually come in to testify at trial that you've
 3 decided you are going to be relying on any medical
 4 articles or textbooks or other kinds of medical
 5 literature, will you let your attorney know so that
 6 he can advise us?
 7 A. He's not my attorney, but I would let
 8 him know.
 9 Q. Okay.
 10 A. You know, I would tell you that I'm sure
 11 I'm relying on textbooks, articles, things I've read
 12 and heard without any specific notation. I assume
 13 you understand that.
 14 Q. Okay. Well, can you think of any
 15 articles you're relying on in forming your opinions?
 16 A. I'm doing this with 25 years. I can't
 17 think of anything specific, but I've read lots
 18 articles about shoulder dystocia.
 19 Q. And can you think of any particular
 20 medical textbook articles you're going to rely on?
 21 A. Nothing in specific at this point.
 22 Q. Okay. My question, then, was if you
 23 think of something in particular you're planning on
 24 relying on, will you let Mr. Foliano know?

8

1 A. Sure.
 2 Q. Okay. Have you served **as an** expert for
 3 Mr. Foliano in the past?
 4 A. Yes, once or twice.
 5 Q. Okay. And what types of cases were
 6 those?
 7 A. I don't remember. Not a shoulder
 8 dystocia case.
 9 Q. Okay. Have you also served **as** an expert
 10 for other attorneys in his **firm**?
 11 A. Uhm, well, another attorney in his firm
 12 had this before.
 13 I have served on -- When Virginia
 14 Lohmann was in that firm, I looked at a case or two
 15 for her, so -- and Jim Oliphant, also. So that "yes"
 16 is the answer, I guess.
 17 Q. And were any of those shoulder dystocia
 18 cases?
 19 A. Not to my knowledge, although I could be
 20 wrong.
 21 Q. Have you served **as** an expert in any
 22 other shoulder dystocia cases?
 23 A. Yes.
 24 Q. And **was** that on one other occasion or

9

1 more than one other occasion?
 2 A. More than one.
 3 Q. Do you remember what law **firm** had
 4 retained your services in those cases?
 5 A. No, I don't.
 6 Q. Do you remember who the plaintiffs were
 7 in those cases?
 8 A. No.
 9 You know, the details of these things
 10 just leave instantly as soon **as** it's over with. I
 11 don't have any idea. And it's over the years.
 12 Q. Do you know if you've served as an
 13 expert in any Erb's palsy or shoulder dystocia cases
 14 since you last testified in this case in '96?
 15 A. Yes.
 16 Q. Okay. And were those cases pending in
 17 Franklin County?
 18 A. No.
 19 Q. Where were those cases pending?
 20 A. **Uhm**, are pending.
 21 Q. Or are pending?
 22 A. One of them's in Dayton. One of them's
 23 in Akron. I don't know where the other one is. Some
 24 small town.

10

1 Q. In Ohio?

2 A. Yes.

3 Q. And those were Erb's palsy or shoulder

4 dystocia cases?

5 A. Shoulder dystocia.

6 Q. Okay. Have you served **as** an expert in

7 Franklin County on a shoulder dystocia case?

8 A. I don't know. I don't remember. And,

9 you know, I -- The last one that I -- that I recall

10 was some years ago, and I have no idea who the

11 plaintiff was or where it was or who the attorneys

12 were.

13 Q. Okay. And that would have been before

14 you gave testimony in this case in '96?

15 A. The best of my recollection, but I --

16 you know, I can't remember. The years run together.

17 Q. Okay. Has Mr. Foliano or anyone in

18 his law firm ever represented you in a medicolegal

19 matter?

20 A. Jim Oliphant represented me about a year

21 ago in a medicolegal matter. There was no suit

22 filed, but the hospital and I left an endo bag in a

23 lady's abdomen in a laparoscopy, and they threatened

24 to file suit. And we obviously didn't have a lot

11

1 of--

2 You know, we felt like we had to settle

3 it, so we settled it; and Oliphant represented me in

4 that.

5 Q. Okay. That was a settlement before **a**

6 lawsuit was filed?

7 A. Yes.

8 Q. Any other --

9 A. The lady had to have a second procedure,

10 just -- She had to have a laparoscopy to have the

11 endo bag taken out, and that was the end of it.

12 Q. Any other occasions that someone from

13 his offices represented you?

14 A. No.

15 Q. When you reviewed your notes from your

16 last deposition, do your opinions in this case stay

17 the same now **as** they did three years ago?

18 A. Yes.

19 Q. In your opinion, did the injury that

20 Annie Nardi suffered, did that occur during the birth

21 process?

22 A. Sometime during the birth process most

23 likely.

24 Q. Okay. What were the mechanics involved

12

1 in how that injury developed?

2 A. We don't know for sure.

3 Q. If I recall correctly, you had no

4 complaints about the care that was given by

5 Dr. O'Leary. Is that correct?

6 A. That's correct.

7 Q. And you had no complaints about

8 the care given by any of the staff members at

9 St. AM's; --

10 A. That's --

11 Q. -- correct?

12 A. That's correct.

13 Q. Do you have any opinions **as** to whether

14 any subsequent treating physicians were responsible

15 in any way for the injuries?

16 A. I can't review physical medicine

17 treatment as an expert, so I have no opinion right or

18 wrong.

19 Q. Let me phrase it this way.

20 At trial, are you going to be offering

21 any opinions that any subsequent treating healthcare

22 professionals caused the injuries?

23 A. No.

24 Q. How would you define shoulder dystocia?

13

1 A. Shoulder dystocia. Difficulty

2 delivering the baby's shoulders after the head is

3 delivered.

4 Q. Okay. And is there a -- some type of

5 mechanical reason for difficulty in delivering the

6 shoulders?

7 A. **Uhm**, the -- Mechanically it's a

8 disproportion between the size of the shoulders and

9 the available space.

10 Q. And what physically impedes the delivery

11 of the shoulders in those instances?

12 A. Recognize we are talking about shoulder

13 dystocia here, not Erb's palsy.

14 Q. Correct.

15 A. They are two different entities.

16 Q. Correct.

17 A. What impedes the delivery process is

18 the pubic symphysis usually or occasionally the

19 sacral promontory and the pubic symphysis.

20 Q. Okay. And is the symphysis pubis, in

21 your opinion, what was causing the difficulty in

22 delivering the shoulder in this case?

23 A. It was the mechanical impediment here,

24 yes.

14

1 Q. Now, you mentioned a differentiation
2 between shoulder dystocia and Erb's palsy.
3 In your opinion, did this child's Erb's
4 palsy result from difficulties in the birth due to
5 the shoulder dystocia?
6 A. You know, we don't know when Erb's
7 palsies occur. The more you study this, the more
8 unclear it is, in that there are babies born who
9 have Erb's palsies and have no shoulder dystocia in
10 their record. There have been babies delivered
11 who have had Erb's palsies after being delivered by
12 C-section. There are babies delivered who get stuck
13 with one shoulder; and the other side, the other
14 shoulder, the other brachial plexus is injured.
15 So that said, it's -- when you recognize
16 that there's a lot unknown about how this process
17 works, it becomes much more difficult to be precise
18 about when and what happened.
19 Q. Okay. Well, in this particular case, is
20 it your opinion that the Erb's palsy, and more likely
21 than not, resulted from events stemming from the
22 shoulder dystocia?
23 A. More likely than not, yes.
24 Q. Now, in your opinion, there was never

15

1 any indication for a cesarean-section delivery for
2 this baby; is that correct?
3 A. That's correct.
4 Q. And in your opinion, everything was fine
5 with the labor and delivery sequence, at least up to
6 the point where the baby's head ~~was~~ crowning. Is
7 that correct?
8 A. Yes.
9 Q. So we can just skip by all that part?
10 There's nothing in there that is
11 relevant to the injuries that occurred; is that
12 correct?
13 A. **Uhm**, yeah, I -- I agree with that. You
14 know, the --
15 Yeah, I agree with that.
16 Q. Okay. I'm not trying to put words in
17 your mouth. I'm just trying to limit the focus of
18 our questioning here.
19 A. That's fine.
20 If somebody comes back and says this
21 lady should have been recognized **as** a problem for
22 shoulder dystocia -- **as** a candidate for shoulder
23 dystocia, and we haven't talked about the reasons
24 why she wasn't a high -- high-risk shoulder dystocia

16

1 patient, then we've missed an opportunity to help
2 defend the -- the care.
3 Q. Okay. And you understand Dr. Goldstein
4 indicated that he did not feel she was a high risk
5 for shoulder dystocia; correct?
6 A. Yes.
7 Q. Okay. And you agree with that opinion?
8 A. Yes.
9 Q. Two of the maneuvers that
10 Dr. Mobin-Uddin performed, the McRoberts and the
11 rotation of the shoulders to the oblique diameter,
12 those are appropriate maneuvers for handling a
13 shoulder dystocia; correct?
14 A. Yes.
15 Q. And in your opinion, when those
16 maneuvers were performed, they were done correctly?
17 A. Yes.
18 Q. In fact, the rotation of the shoulders
19 is what ultimately freed the impacted shoulder;
20 correct?
21 A. Correct.
22 Q. Now, I believe last time you had
23 answered some questions about applying fundal
24 pressure to deliver the head; and would you agree

17

1 that that's not necessarily what everybody would like
2 to do, but it's accepted medical practice?
3 A. I think fundal pressure is accepted
4 medical practice.
5 Q. Okay. And that's for the delivery of
6 the head?
7 A. Well, I think --
8 I assume you're going to ask more
9 questions.
10 Q. Correct.
11 A. Is it acceptable medical practice for
12 delivery of the head? Yes.
13 Q. Okay. **Also**, is the use of fundal
14 pressure in connection with other simultaneous
15 maneuvers acceptable in some circumstances for
16 delivery of **an** impacted shoulder?
17 A. Yes.
18 Q. Okay. Are there circumstances when
19 fundal pressure can be applied in a shoulder dystocia
20 case where it is a departure from accepted medical
21 standards?
22 A. I think it's a de- -- can be a departure
23 if it's not -- if it's done in the absence of other
24 efforts, --

18

1 Q. Okay.

2 A. -- but that requires -- It really relies

3 on the judgment of the physician at the time whether

4 or not the fundal pressure is needed. And even in

5 those situations, there may be a possibi- -- there

6 may be appropriate care to use fundal pressure

7 when -- when other things haven't been done.

8 Q. Okay. Well, let's kind of dissect that.

9 You said it might be appropriate in

10 connection with other maneuvers, I think.

11 A. Right.

12 Q. Okay. Explain to me what you mean by

13 that.

14 A. Well, I think that's kind of reiterating

15 what you asked in a previous question.

16 Fundal pressure is part of the Hibbard

17 maneuver, for example. Hibbard.

18 Fundal pressure is a useful tool

19 to augment maternal expulsive efforts, particularly

20 if the mother's fatigued and can't add anything to

21 the delivery effort or adds a less than an optimal

22 amount of energy to help deliver.

23 Fundal pressure is perfectly appropriate

24 to deliver the abdomen if the -- when the shoulders

19

1 are freed, for example, in a large baby.

2 Q. At the point where the shoulders are

3 impacted, is it appropriate to apply fundal pressure

4 without any other maneuvers being given

5 simultaneously?

6 A. The shoulders are impacted and nothing

7 else is done.

8 Q. Uh-huh.

9 A. And you know the shoulders are impacted

10 or that it's not recognized till afterwards that the

11 shoulders were impacted?

12 Q. Well, let's try it both ways. Let's try

13 it before you know whether or not the shoulders are

14 impacted.

15 A. I think shoulder -- fundal pressure

16 could be appropriate in that circumstance.

17 Q. Okay. And what about after the

18 shoulders are impacted, after you recognize it?

19 A. I still see that shoulder -- or fundal

20 pressure is used occasionally in that circumstance.

21 You have to recognize that shoulder

22 dystocia occurs probably up to one percent of births;

23 and most the time it's relieved with fundal pressure,

24 increasing maternal expulsive efforts, and/or these

20

1 maneuvers. So it gets used a lot, and, you know.

2 Q. Okay. Just so that ~~Im~~ clear, there

3 are occasions when, in your opinion, it's okay to

4 use fundal pressure when you recognize that you

5 have an impacted shoulder even though you're not

6 contemporaneously using any other maneuvers. Is that

7 correct?

8 A. If the mother isn't pushing at all, you

9 know, or her effort is suboptimal due to fatigue or

10 lack of cooperation or whatever, a small amount of

11 fundal pressure and gentle traction are appropriate.

12 Q. Well, the doctor can't really gauge how

13 much fundal pressure is being applied, can he?

14 A. Uhm, I think he can to some extent.

15 You can't measure it. There's certainly

16 no way to quantitate it. But you can tell if

17 somebody's laying across a mother's abdomen or has a

18 forearm across a mother's abdomen and the veins are

19 popping out in their head and they weigh 230, that's

20 one kind of fundal pressure.

21 If the person is an average size nurse

22 who is putting a little hand on the fundus and giving

23 a little help, you know, that's much less.

24 Q. Okay. So the obstetrician is in a

21

1 position to gauge how much fundal pressure is being

2 applied?

3 A. Yes.

4 Q. And in this record, do you see any

5 indication that the mother is not able to adequately

6 provide the expulsive forces?

7 A. Ah, you -- Like if the mother -- From

8 the fund- -- Excuse me.

9 Like fundal pressure, we can't measure

10 that, either. I just -- You know, in general, after

11 pushing for an hour or two, people run out of steam

12 and they can push less effectively.

13 Q. Well, when you reviewed the records,

14 did you see any indications from the nurses or

15 anybody else that ~~was~~ in the room that the mother

16 is -- is fatigued and unable to continue pushing or

17 anything to that nature?

18 A. There's nothing in there about her being

19 unable to continue pushing.

20 I guarantee you she was fatigued.

21 Q. You can still be -- have some level of

22 fatigue and still be an adequate -- provide adequate

23 propulsive forces; correct?

24 A. Ah, sure. And you could have some level

<p style="text-align: right;">22</p> <p>1 of fatigue and pro- -- and have inadequate expulsive 2 forces. 3 Q. Is there any indication in the record 4 that the fundal pressure was -- was being given 5 because the mother was too fatigued at that point? 6 A. I don't think the mother's level of 7 fatigue is addressed one way or the other in the 8 record. 9 Q. Let me just go back. Just because your 10 answer was kind of long, I want to make sure I've got 11 it correct. 12 In your opinion, the only occa- -- 13 Let me start over again. 14 In your opinion, is the only 15 circumstance in which it's okay to give fundal 16 pressure when you have a recognized shoulder dystocia 17 the point when the mother is too fatigued or, for 18 whatever reasons, is not able to provide the 19 necessary expulsive forces? 20 A. I didn't say "only." 21 Q. Okay. 22 A. You asked me -- 23 Q. What other occasions? 24 A. You asked me an occasion when that would</p>	<p style="text-align: right;">24</p> <p>1 Q. Okay. 2 A. I think excessive fundal pressure 3 perhaps could. I think traction on the head being 4 excessive is more likely. 5 Q. Okay. And can a combination of 6 fundal pressure when the shoulders are impacted in 7 connection with some lateral traction on the head 8 cause the Erb's palsy? 9 A. That's the -- that's the theory. 10 Not some lateral traction. Excessive 11 lateral traction. 12 Q. Does the application of fundal pressure 13 at the same time as the lateral traction on the head 14 decrease the amount of lateral traction that's needed 15 to produce the Erb's palsy? 16 A. I don't know the answer to that. 17 I -- I -- I think brachial plexus 18 injuries probably occur across a spectrum of effort. 19 A little bit of effort and some kid has a brachial 20 plexus injury, and other babies withstand a 21 tremendous amount of traction without injury. 22 Q. Okay. Is there anything in the 23 testimony you've read or the records you've looked at 24 that indicates the McRoberts maneuver was done</p>
<p style="text-align: right;">23</p> <p>1 be. -- 2 Uhm, too fatigued or unable to give 3 expulsive efforts. That would cover high anesthesia. 4 Your question relates to when is it 5 appropriate to give fundal pressure when you have a 6 recognized shoulder dystocia in the absence of other 7 maneuvers? 8 Q. Correct. 9 A. I think there are very few other 10 situations besides maternal exhaustion or high 11 anesthesia where you would want to use the fundal 12 pressure alone. 13 Q. This was not a case of high anesthesia 14 level; correct? 15 A. That's correct. 16 Q. Okay. Okay. 17 In your opinion, can fundal pressure 18 being applied to impacted shoulders cause Erb's 19 palsy? 20 A. Uhm, I don't know if fundal pressure can 21 or maternal -- traction on the head can or -- 22 As I said earlier, it's not really 23 clear, not always clear when fundal-- when brachial 24 plexus injuries occur in the process.</p>	<p style="text-align: right;">25</p> <p>1 incorrectly by Dr. Mobin-Uddin? 2 A. Done incorrectly? 3 Q. Uh-huh. 4 A. No. There isn't any -- There's nothing. 5 Q. Same question. 6 Anything that you have reviewed indicate 7 that she rotated the shoulders in an inappropriate 8 manner? 9 A. No, nothing indicates that. 10 Q. Okay. Is there anything in the records 11 that indicates that -- or in the testimony that you 12 have reviewed that the performance of the McRoberts 13 maneuver or the rotation of the shoulders, those two 14 maneuvers caused the Erb's palsy? 15 A. Uhm, there's nothing that indicates 16 that; but as I said a couple times already, we really 17 don't know when in this process in general or I think 18 in this case the Erb's palsy injury is sustained -- 19 was sustained. 20 Q. Okay. In your opinion, is it more 21 likely or not that the Erb -- the brachial plexus 22 injury occurred during the McRoberts maneuver? 23 A. I don't think -- 24 You mean like was the McRoberts maneuver</p>

26

1 responsible for it?
 2 Q. Or -- or the actions that were done
 3 during the performance of it.
 4 A. I would doubt that the McRoberts
 5 maneuver caused a brachial plexus injury. I don't
 6 know how head flexion would do that.
 7 Q. How about the performance of the
 8 rotation of the shoulders? Is that more likely or
 9 less likely than not to have caused the brachial
 10 plexus injury, in your opinion?
 11 A. I think it's less likely than not.
 12 Q. Now, is there anything in applying
 13 fundal pressure to the delivery of the head that
 14 could cause a brachial plexus injury?
 15 A. Fundal pressure to deliver the head.
 16 Ah, I would say not.
 17 Q. Okay.
 18 A. We're operating on the assumption that
 19 the shoulder is not stuck at that point.
 20 Q. Right. Correct.
 21 In this particular instance, do you feel
 22 it was a departure from accepted medical practice
 23 to -- or for Dr. Mobin-Uddin to have directed
 24 Nurse Long to apply fundal pressure for the delivery

21

1 of the shoulders?
 2 A. No.
 3 Q. Okay. And why is that?
 4 A. Well, actually, I think she directed her
 5 before the delivery began, as the head was crowning,
 6 to give fundal pressure. I think --
 7 Although I can't really tell for sure
 8 when the fundal pressure was applied, there's a
 9 little check-off box, and that doesn't specify time.
 10 And she wrote in the nursing notes, Gave fundal
 11 pressure at Dr. Mobin-Uddin's order, or something.
 12 Q. Okay. Well, I'd like you to assume that
 13 her testimony was that she gave fundal pressure for
 14 delivery of the head and also for these shoulders.
 15 Do you feel it was a departure from
 16 accepted medical standards to direct Nurse Long to
 17 apply fundal pressure for delivery of the shoulders?
 18 A. Uhm, no, I don't.
 19 Q. Okay. Why not?
 20 A. If you don't know --
 21 If you're delivering the baby and you
 22 feel like you need some extra effort then, the baby's
 23 not coming right along and you want to augment
 24 mother's expulsive efforts slightly, fundal pressure

28

1 is a very commonly-applied tool. It's widely used;
 2 and there's, you know, not an obstetrician around who
 3 hasn't used it.
 4 Q. Okay. And that's for delivery of the
 5 head or --
 6 A. Well, both.
 7 Q. Both. Okay.
 8 After the delivery of the head, what
 9 physically as an obstetrician are you doing at that
 10 point?
 11 The -- the head has been delivered.
 12 What's your next step there?
 13 A. Gentle traction.
 14 You know, you pull on the head. That's
 15 what you have a hold of. You have to know from
 16 experience how hard is reasonable to pull. I think
 17 textbooks say gentle traction. And you --
 18 But you're also counting on maternal
 19 efforts to supply an umph or push from the other
 20 direction,
 21 Q. Okay. And in connection with that, do
 22 you direct the mother to push at that point, --
 23 A. Sure.
 24 Q. -- once you've got the baby's head in

29

1 your hands?
 2 A. Yes.
 3 Q. And at that point, are you holding the
 4 baby's head on both sides; in layman's terms, by
 5 their cheeks?
 6 A. Yes. Or actually you're kind of --
 7 Where they have -- You hold it there's things to
 8 grab onto, which is onto the jaw on both sides.
 9 Q. Okay. And at that point, then, is
 10 when you would ask the mother --
 11 When you've got everything lined up and
 12 your hands in place, is that when you ask the mother
 13 to give another push?
 14 A. Yeah. You get the head out, you
 15 suction the baby and clear mucus and materials
 16 from the throat that may be there while the chest
 17 is compressed, and then you have mom give another
 18 push.
 19 Q. And how long is generally the push at
 20 this point? It coincides with the contraction?
 21 A. Yeah, or they can just push and without
 22 a contraction.
 23 Q. Okay. How long is that push that you
 24 try to have the mom perform?

30

1 A. I don't know. I mean, I've never timed
 2 it.
 3 Seconds. Not -- Seconds as opposed to
 4 several minutes.
 5 Q. Okay. So something less than a minute
 6 or around a minute?
 7 A. Yeah. Probably up to a minute
 8 uncommon -- commonly.
 9 Q. Okay. And then if the baby's not coming
 10 at that point, what do you do next then?
 11 A. Well, you figure out why it's coming
 12 [sic].
 13 Q. Okay.
 14 A. Try to. Figure out --
 15 You slip a hand in the abdomen, see
 16 if there's anything wrong with the baby; that you
 17 might have some sort of birth defect that --
 18 protuberant mass that's sticking out that's
 19 obstructing things.
 20 Q. Is this the point in which you
 21 would normally determine if there was a shoulder
 22 dystocia?
 23 A. You can. Sure.
 24 Q. Okay. And how would you determine that?

31

1 A. Well, sometimes you will determine when
 2 the head sucks back in after it's out, and that's a
 3 suggestion that you might have a shoulder dystocia at
 4 that point.
 5 Q. Okay.
 6 A. Other times would be that when you've
 7 given what you think is an adequate traction --
 8 I mean, traction's part of the process.
 9 Anybody that says traction is not either doesn't
 10 deliver babies or isn't telling the truth.
 11 When you're giving what you think is
 12 adequate traction and not excessive, then you -- and
 13 the -- and the baby is not coming, then you start
 14 thinking shoulder dystocia.
 15 Q. Okay. So then what happens then at that
 16 point?
 17 A. I slip a finger up underneath and see
 18 if -- how the shoulder feels against the pubic bone.
 19 Q. Okay.
 20 A. I've already done McRoberts maneuver. I
 21 started doing that on virtually every delivery about
 22 ten years ago.
 23 Q. Okay. And that eliminates a lot of the
 24 shoulder dystocia problems before they even become

32

1 evident, in your opinion?
 2 A. Well, that's my theory. I have no proof
 3 of that.
 4 Q. Then let me just go back a second.
 5 So sweeping the finger around the
 6 shoulders, you would be able to tell, then, if it
 7 was impacted?
 8 A. If it feels like the shoulder is
 9 very snugly up against the symphysis, I would
 10 be suspicious I have a shoulder dystocia at that
 11 point.
 12 If it's not and it's just poor effort
 13 on mom's part, or mom's giving her best shot and I
 14 ex- -- and there's room in there and the shoulder is
 15 not stuck, that's the time where I would put fundal
 16 pre- -- have them use fundal pressure.
 17 Q. Okay. From your reading of the records
 18 and the testimony, is that the sequence of events
 19 that happened in this case?
 20 A. I don't think it's spelled out in exact
 21 detail as we're breaking this down second by second,
 22 about the -- putting the finger in and feeling the
 23 shoulder and that sort of thing.
 24 Q. I kind of skipped over some just general

33

1 background stuff here.
 2 You've delivered shoulder dystocia
 3 infants, I take it; --
 4 A. Yes.
 5 Q. -- correct?
 6 How many times have you probably run
 7 into that in your practice over the years?
 8 A. I have no idea. I was thinking a couple
 9 days ago I've probably delivered four or 5,000
 10 babies. So if it's one percent of the population,
 11 that's probably what? 50?
 12 Q. Okay. Have any of those babies, to your
 13 knowledge, sustained a permanent Erb's palsy injury?
 14 A. Not to my knowledge.
 15 Q. At trial, are you going to be offering
 16 any opinions as to any other causation for the
 17 child's injuries at this point?
 18 A. Uhm, I mean, I have to answer the
 19 questions I'm asked. I'm not going to come up with
 20 anything we haven't talked about so far.
 21 I do think it's -- The more I read about
 22 shoulder dystocia, the less clear the etiologies of
 23 Erb's palsies is to me. I think that's a point worth
 24 bearing in mind.

34

- 1 Q. In your opinion, did the mother do
2 anything during the delivery to cause this injury?
3 A. NO.
4 I think her -- You know, her weight's a
5 potentially contributing factor and her -- and the
6 baby's weight, which is not directly under her
7 control but indirectly so.
8 Q. Okay. She was a big baby; correct?
9 A. Yes.
10 Q. Okay. She was not a --
11 You've delivered bigger ones, though;
12 correct?
13 A. Sure. But, you know, nine-eleven or
14 whatever she is is getting at the 99th percentile
15 probably.
16 Q. When you indicated sometimes you need
17 to apply fundal pressure to deliver a big belly,
18 this isn't one of the -- that size range baby, is
19 it?
20 A. Well, it's not the s- -- absolute size.
21 The record doesn't say that was done, so
22 I don't think we have to go there. But it can be if
23 it's a smaller pelvis and a smaller mom.
24 Q. In your opinion, can a doctor's

35

- 1 negligence ever result in an Erb's palsy injury to
2 the baby?
3 A. Yes.
4 Q. And what type of circumstances can a
5 doctor's negligence lead to Erb's palsy?
6 A. I think failure to diagnose gestational
7 diabetes would be one case, because it contributes to
8 macrosomia, which is a contributing factor to Erb's
9 palsy.
10 Q. Okay.
11 A. Another would be that --
12 You know, we're talking truly
13 hypothetically here; that somebody has a shoulder
14 dystocia, puts on tremendous traction efforts on the
15 head without making -- doing any other maneuvers
16 would be contributing to it.
17 Q. Okay.
18 A. I think fundal pressure without any
19 other maneuvers probably contributes to it.
20 I think traction, excessive traction is
21 probably more contributory in a general sense.
22 You know, if -- you can push all you
23 want on the fundus; and if you're not pulling on the
24 head, you're probably not going to hurt their

36

- 1 brachial plexus.
2 Q. Well, if the doctor was applying lateral
3 traction to the head at the time the fundal pressure
4 was being given, can that -- those actions together
5 be negligence?
6 A. Lateral traction is part of the normal
7 delivery process. That's the gentle traction we've
8 been talking about.
9 Q. Uh-huh.
10 A. You know, you keep seeing the term
11 "excessive lateral traction" in here. I don't
12 know --
13 Somebody who wasn't there, presumes to
14 know it's excessive lateral traction's beyond me.
15 Q. Well, let me put it another way.
16 Have you ever seen a record where a
17 doctor wrote, "I applied excessive lateral traction
18 to the head in the delivery of this infant"?
19 A. No.
20 Q. How would you be able to tell after the
21 fact that excessive lateral traction was applied to
22 the head?
23 A. I can tell you how you can't tell, and
24 you can't tell by the existence of an Erb's palsy.

31

- 1 I don't think the existence of a Erb's palsy
2 necessarily implies excessive lateral traction.
3 Q. Okay. Well, how could you tell as a
4 reviewing physician when lat- -- excessive lateral
5 traction was applied by a doctor in a delivery?
6 A. One circumstance I can think of is if
7 the family had a videotape of the delivery.
8 Q. Okay. Do you know whether or not
9 there's a videotape in this case?
10 A. I would assume it would have been
11 introduced by now if it were.
12 I don't think it was -- there is, I
13 mean.
14 Q. Okay. Aside from a videotape, is there
15 any other way of telling after the fact whether or
16 not a doctor applied excessive lateral traction?
17 A. Only in the --
18 If there are other medical personnel
19 there who would have an opinion in that matter.
20 I don't think, you know, lay people or
21 after-the-fact observers are in a very good position
22 to judge what's excessive.
23 Q. Would an obstetrical nurse who is up by
24 the mother's head helping with fundal pressure be in

38

1 a position to gauge the amount of traction being
 2 applied by the physician?
 3 A. If she's up by the mother's head, she
 4 probably isn't in a position to see much. And she
 5 probably also was not up by the mother's head if she
 6 was applying fundal pressure.
 7 Q. Okay. If she's up in the position right
 8 next to the mother applying fundal pressure, would
 9 she be in a position to gauge the quality of the
 10 lateral traction?
 11 A. Yes, I think so.
 12 Q. And how would she be able to gauge that
 13 by observation? What would she be looking for?
 14 A. Experience -- Based on her experience
 15 that one someone was pulling too hard or too much
 16 of an angle or for too long. And it's a subjective
 17 call by the -- based on the experience of the nurse.
 18 Q. In your own practice, do you utilize the
 19 application of fundal pressure when you encounter
 20 shoulder dystocia?
 21 A. In general? Yeah, occasionally. It
 22 depends on exactly what part of the delivery process
 23 we're talking about.
 24 It's not a -- you know, the first thing

39

1 I do. But that doesn't condemn it. Other people
 2 rely on shoulder dys- -- or fundal pressure as a
 3 major part of their toolbox.
 4 Q. Okay. In the other cases where you have
 5 served as an expert in a shoulder dystocia case, were
 6 you always the expert for the doctor or were on
 7 occasion you the expert for the patient?
 8 A. I don't think I've been an expert for a
 9 patient in a shoulder dysto- --
 10 Yes, I have. I looked at a case for
 11 an attorney filing an action against a doctor for a
 12 shoulder dystocia case. I didn't serve as an expert.
 13 I don't know whatever happened to the case.
 14 Q. Okay. In your opinion, was the doctor
 15 negligent in that case?
 16 A. Yes.
 17 Q. Which attorney was that?
 18 A. Uhm, it was Greg Gibson, a guy in
 19 Dayton. I don't know if he was defending or pursuing
 20 the case. But it was clearly negligent, in my
 21 opinion.
 22 Q. Okay. And what was obvious in the
 23 records in that case to make the negligence
 24 obvious?

40

1 A. A failure to diagnose gestational
 2 diabetes resulting in like a 13- or 14-pound baby.
 3 Q. So that was primarily a -- kind of a
 4 prenatal departure.
 5 A. Right.
 6 Q. In any of the cases that you've
 7 reviewed, have you ever testified that the
 8 application of fundal pressure when a shoulder
 9 is stuck is a departure from accepted medical
 10 standards?
 11 A. I don't know. I -- Probably not.
 12 I think shoulder -- fundal pressure is
 13 something that every obstetrician has used at one
 14 time or another. Sometimes it saves some lives. It
 15 is controversial in the literature. But there's not
 16 a guy or gal around who has delivered babies who
 17 hasn't used it at one time or another to get a baby
 18 out.
 19 Q. For shoulders -- shoulder dystocia or
 20 for the delivery of a head?
 21 A. Either one.
 22 Q. Have you ever testified that application
 23 of fundal pressure when the shoulder is stuck is
 24 contraindicated?

41

1 A. I don't know. I would -- I don't
 2 remember, you know.
 3 Q. Have you ever testified that you
 4 personally do not use fundal pressure when shoulders
 5 are stuck?
 6 A. I don't know that.
 7 As I said earlier, I -- we've all used
 8 it at one time or another. It's not the first thing
 9 I would rely on.
 10 Q. If I told you you had testified in that
 11 manner on a prior occasion, would you indicate that
 12 your testimony was incorrect then?
 13 A. I would have to see --
 14 Repeat the question. If I testified in
 15 what manner?
 16 Q. Okay. Have you ever testified that you
 17 personally would not use fundal pressure when
 18 shoulders are stuck?
 19 A. I don't know if I've testified to that
 20 or not.
 21 As I've said, I'm saying to you now, I
 22 wouldn't -- it's not my first choice of tools to deal
 23 with this.
 24 Q. If you had testified in the last couple

42

1 of years that application of fundal pressure when the
 2 shoulders are stuck is a departure from accepted
 3 medical standards, would you disagree with your
 4 testimony now?
 5 A. I think I would. I don't remember
 6 testifying to that effect.
 7 Uhm, it is a controversial practice.
 8 It's much more condemned now than it was 15-- 10,
 9 15, 20 years ago.
 10 Q. ~~Has~~ anything -- Let's strike that.
 11 If I told you you have testified in the
 12 past that the application of fundal pressure when the
 13 shoulders are stuck is a contraindicated medical
 14 maneuver, would you say that your opinion has changed
 15 since the time you testified to that?
 16 A. I think that's a very black-and-white
 17 answer. I would like to see the context it's in.
 18 I'll repeat to you what I said earlier.
 19 I don't use fundal pressure as my first tool in this
 20 situation.
 21 And I know that there's literature
 22 saying that you're not supposed to do that, but I
 23 also know there's not an obstetrician around who
 24 hasn't saved a baby's life with fundal pressure.

43

1 Q. Has anything come out in the medical
 2 literature in the last year or two that would change
 3 your opinion with regards to the appropriateness
 4 of applying fundal pressure in a shoulder dystocia
 5 case?
 6 A. I don't think so.
 7 Q. Have you ever had the opportunity to
 8 speak with Dr. Mobin-Uddin directly about this
 9 particular delivery?
 10 A. No.
 11 Q. Have you ever had the opportunity to
 12 discuss -- Strike that.
 13 During the course of serving as a
 14 defense expert in this case, have you taken any notes
 15 about the records you have reviewed or your opinions
 16 or anything?
 17 A. I have written -- I've made notes, which
 18 I've got here from the record, to make review easier.
 19 I have not got any opinions on paper.
 20 Q. Okay. And are all of your notes simply
 21 notations of findings that you found in the records
 22 or testimony?
 23 A. Exactly. They're extracts -- extracts
 24 of the facts from the record, from depositions and

44

1 medical records so I don't have to review the whole
 2 thing.
 3 Q. Okay. Have you prepared any reports in
 4 this case?
 5 A. No.
 6 Q. And how many pages of notes have you
 7 taken?
 8 A. I don't know. I can count them if you
 9 want to.
 10 Q. Okay. ~~Can~~ you count them for me?
 11 A. (Witness complying.)
 12 Nineteen.
 13 MS. JOHNSON: Okay. We can take care of
 14 it after the fact, but I'd like to have a copy of
 15 those and just mark them as an exhibit and we'll make
 16 copies later on. I don't want to go through them
 17 now.
 18 Is that okay?
 19 MR. FOLIANO: Okay.
 20 Q. Do you have any other opinions
 21 concerning the standards of care in this case that
 22 we haven't discussed either in this deposition or
 23 at your prior one?
 24 A. I don't think so.

45

1 Q. Okay. Is it still your opinion
 2 that Dr. Mobin-Uddin did not depart from
 3 accepted standards in any manner in delivery of
 4 this baby?
 5 A. That's my opinion.
 6 MS. JOHNSON: Okay. I have no other
 7 questions. Thanks.
 8 MR. FOLIANO: He'll read.
 9 (Signature not waived.)
 10 ---
 11 Thereupon, the deposition concluded at
 12 approximately 9:10 a.m.
 13 ---
 14
 15
 16
 17
 18
 19
 20
 21
 22
 23
 24

46

AFFIDAVIT

1
2
3 THE STATE OF OHIO:

SS:

4 COUNTY OF FRANKLIN:

5 I, Stephen John DeVoe, M.D., do hereby
6 certify that I have read the foregoing transcript of
7 my deposition given on May 6, 1999; that together
8 with the correction page attached hereto noting
9 changes to form or substance, if any, it is true and
10 correct.

11

12

Stephen John DeVoe, M.D.

13

14

15 I do hereby certify that the foregoing
16 transcript of the deposition of Stephen John DeVoe,
17 M.D. was submitted to the witness for reading and
18 signing; that after he had stated to the undersigned
19 Notary Public ~~that~~ he had read and examined
20 his deposition, he signed the same in my
21 presence on this day of , 1999.

22

23 NOTARY PUBLIC, STATE OF OHIO

24 My Commission expires: _____

47

CERTIFICATE

1
2
3 THE STATE OF OHIO:

SS:

4 COUNTY OF FRANKLIN:

5

6

7 I, Beth A. Higgins, a Registered
8 Professional Reporter and Notary Public in and for
9 the State of Ohio, do hereby certify that before the
10 taking of his said deposition, the said Stephen John
11 DeVoe, M.D. was f i t duly sworn by me to tell the
12 truth, the whole truth, and nothing but the truth,

13

14 That said deposition was taken in all
15 respects pursuant to the stipulations of counsel
16 heretofore set forth, that the foregoing is the
17 deposition given at the said time and place by the
18 said Stephen John DeVoe, M.D.;

19

20 That I ~~am~~ not an attorney for or
21 relative of either party and have no interest
22 whatsoever in the event of this litigation.

23

24 IN WITNESS WHEREOF, I have hereunto set
my hand and official seal of office at Columbus,
Ohio, this 11th day of May, 1999.

16

17

18 BETH A. HIGGINS, RPR
19 NOTARY PUBLIC, STATE OF OHIO

20

21

22 My commission expires
23 July 16, 2000.

24
