

Scanned

**CONDENSED TRANSCRIPT
AND WORD INDEX
OF THE DEPOSITION OF**

STEPHEN J. DEVOE, M.D.

TAKEN JUNE 18, 1999

Higgins & Associates

1180 SOUTH HIGH STREET, 3RD FLOOR

COLUMBUS OH 43206

PHONE: 614-444-1211

Toll Free: 1-888-244-1211

FAX: 614-444-1223

E-mail - HigginsCR@ol.com

COMMON PLEAS COURT
FRANKLIN COUNTY
STATE OF OHIO

Denise Dooley, Administrator:
of the Estate of
Jeremy Dooley,
Plaintiffs, :
-vs- : No. 98CVA08-5982

John S. Russ, M.D.,
Defendant. :

JUNE 18, 1999

DEPOSITION OF

STEPHEN J. DeVOE, M.D.

A witness herein, called by the
Plaintiff for cross-examination under the applicable
Rules of Ohio Civil Court Procedure, taken before me,
Michael A. Caswell, Professional Reporter and Notary
Public in and for the State of Ohio, pursuant to
Notice and Agreement, at the offices of Plymale &
Associates, 350 South High Street, Suite 200,
Columbus, Ohio 43215-4510, on Friday, June 18, 1999,
commencing at 2:00 p.m.

2

1 APPEARANCES:

TERRILEN JOHNSON, Attorney at Law
Plymale & Associates
350 South High Street, Suite 200
Columbus, Ohio 43215
(614) 221-1166,

On behalf of the Plaintiffs.

WARREN M. ENDERS, Esquire
Reminger & Reminger
505 South High Street
Columbus, Ohio 43215
(614) 461-1311,

On behalf of the Defendant.

Friday Afternoon Session
June 18, 1999
2:00 p.m.

STIPULATIONS

It is hereby stipulated by and between
counsel for the respective parties herein that this
deposition of STEPHEN J. DeVOE, M.D. may be taken at
this time by the Notary; that said deposition is being
taken pursuant to Notice and Agreement; that said
deposition may be reduced to writing in stenotypy by
the Notary, whose notes may thereafter be transcribed
out of the presence of the witness; that proof of the
official character and qualifications of the Notary,
the time and place of the taking of said deposition
are hereby waived.

4

INDEX

STEPHEN J. DeVOE, M.D. PAGE
Cross-Examination, by Ms. Johnson 5

OBJECTIONS BY MR. ENDERS

PAGE	LINE	PAGE	LINE
30	22	31	7

EXHIBITS

DEPOSITION EXHIBITS MARKED REFERRED

1 -
"Riverside Obstetricians & Gynecologists",
Instructions For Keeping Fetal
Activity Charts, 2 pages 41 41

1 PROCEEDINGS

2 ---

3 STEPHEN J. DeVOE, M.D.,

4 being by me first duly sworn, **as** hereinafter

5 certified, deposes and says **as** follows:

6 ---

7 CROSS-EXAMINATION

8 BY MS. JOHNSON:

9 Q. Okay. Dr. DeVoe, my name is Terri Johnson.

10 I'm here representing Denise Dooley and the estate of

11 their son, Jeremy Dooley. If for any reason you don't

12 understand my question or don't hear my question, will

13 you let me know?

14 A. Yes.

15 Q. And you've done other depositions so you know

16 that your answers have to be verbal, correct?

17 A. Yes.

18 Q. Have you ever testified **as** an expert in any

19 other fetal demise malpractice cases?

20 A. One that I remember.

21 Q. And when **was** that approximately?

22 A. About a year ago or maybe 14 months ago.

23 Q. What were the circumstances in that case that

24 led up to the fetal demise?

1 also.

2 Q. Do you recall who the defense counsel was?

3 A. Jeff Beausay.

4 Q. Do you recall testifying in any other

5 malpractice cases involving fetal demise?

6 A. No, I don't.

7 Q. In that Strausbaugh case, did you give a

8 discovery deposition also?

9 A. I don't remember. I would imagine. I don't

10 remember that though. I've done a couple videos or

11 trial things where there was no discovery. So I don't

12 remember.

13 Q. Have you testified in any other cases

14 involving the standards for antepartum fetal

15 surveillance?

16 A. None that come to mind. **As** you know, I've

17 testified a number of times over the years. I would

18 think that I probably have, but none of them come to

19 mind specifically.

20 Q. Prior to testifying today, did you review any

21 records?

22 A. I did.

23 Q. What records did you review?

24 A. I reviewed Dr. Russ's office records, records

1 A. It **was** late pregnancy. I think the patient

2 had borderline high blood pressure, made a similar

3 claim about decreased fetal movement that she told the

4 doctor, the record didn't support that and it was a

5 defense verdict.

6 Q. Do you know who the plaintiffs attorney **was**

7 in that case?

8 A. No. I don't remember.

9 Q. Was that here in Franklin County?

10 A. Yes.

11 Q. Did you testify at trial in that matter?

12 A. I had to do that by video. They changed it.

13 At the last minute, they changed my court appearance

14 from a day I was able to go to a day I could not go.

15 So we did a video here one night in the middle of

16 trial.

17 Q. And was that in 1998?

18 A. Yes.

19 Q. Do you recall who the defendant was in that

20 case?

21 A. Somebody's name **was** Strausbaugh. I think

22 that was the plaintiff. I'm drawing a blank on the

23 defendant doctor's name. It's a family physician in

24 Dublin, but Strausbaugh could've been the physician

1 from Grant Hospital on February 22nd of '98, office

2 records included a postpartum visit and also autopsy

3 records. I don't know which thing they're a part of.

4 Autopsy records from the infant, several depositions

5 of Mr. and Mrs. Dooley, Dr. Russ and Dr. Goldstein, I

6 believe were the depositions. And I reviewed

7 Hockenberry's -- I don't know if it was Hockenberry's

8 notes or what, stuff related to his visit that

9 appeared in Russ's chart. He **was** the general surgeon

10 that saw her for the abdominal pain in the right upper

11 quadrant. I believe that's everything.

12 Q. Did you review any medical textbooks or any

13 other types of medical literature?

14 A. No.

15 Q. Did you review any technical bulletins or

16 other ACOG materials?

17 A. No, I did not. I meant to pull out the

18 technical bulletin Goldstein mentioned. I did not do

19 that.

20 Q. **As** a result of your review of the medical

21 records and depositions, did you generate some office

22 notes concerning this matter?

23 A. I did.

24 Q. Would it be fair to say that those office

1 notes contain summaries of the materials that you
 2 reviewed?
 3 A Right. Just abstracts of the records so I
 4 don't have to review the original.
 5 Q Do any of those notes contain any of your
 6 opinions or questions concerning the case?
 7 A No.
 8 MS. JOHNSON: At this time, I'd just request
 9 a copy of those be provided at some point. We don't
 10 need to go through them at this time.
 11 MR. ENDERS: Sure. We'll do that.
 12 Q Did you prepare any written reports or other
 13 written memoranda to defense counsel concerning this
 14 case?
 15 A No, I did not.
 16 Q Are there any medical textbooks or other
 17 medical literature you consider authoritative on the
 18 issues involved in this case?
 19 A No, I don't think so. There's lots of
 20 opinions and some of them are at variance with one
 21 another.
 22 Q In rendering your opinions in this case, are
 23 you intending on relying on any specific medical
 24 studies, articles or textbooks at trial?

1 A Not at the present time.
 2 Q If at some point you change your mind and
 3 decide to rely on any such materials, will you advise
 4 Mr. Enders so he can advise us?
 5 A Sure.
 6 Q What's the goal of antepartum fetal
 7 surveillance?
 8 A The goal of antepartum fetal surveillance is
 9 to verify fetal well-being, identify Cases where there
 10 is fetal jeopardy so that appropriate subsequent
 11 interventions can be carried out.
 12 Q And to what end?
 13 A Deliver a healthy baby.
 14 Q In your day-to-day practice of obstetrics and
 15 gynecology, do you perform any antepartum fetal
 16 surveillance testing?
 17 A Yes.
 18 Q Do you have the facilities to do some of that
 19 testing here in your office?
 20 A Yes.
 21 Q What kind of surveillance testing can you do
 22 here in your office?
 23 A The only testing we do in the office is
 24 nonstress testing and contraction monitoring if

1 somebody is contracting spontaneously.
 2 Q And on occasion, do you do any other types of
 3 fetal surveillance testing?
 4 A I don't personally. I do order ultrasounds
 5 from time to time as a means of fetal surveillance and
 6 occasionally I have patients count fetal movements.
 7 Q And the ultrasounds that you would order, are
 8 they done here at the hospital some place?
 9 A I have them done at the perinatal center in
 10 the hospital. There's a full-time staff over there
 11 who's better at it than I am. And I don't have the
 12 time.
 13 Q When you order -- I think you mentioned that
 14 sometimes you order fetal movement counting for some
 15 of your patients?
 16 A Right.
 17 Q And is that something that's done while the
 18 patient is here or in the hospital or at home or some
 19 place else?
 20 A The usual situation is somebody who's not
 21 here and they're doing it between visits on a daily
 22 basis like at home.
 23 Q When you ask your patients to do that, are
 24 they given any written instructions on how to do that?

1 A We give them a chart with the directions on
 2 the back of it.
 3 Q And the chart, does that have places in there
 4 for filling in their observations or their counting
 5 results?
 6 A Yes.
 7 Q Do you have a copy of a blank one of those?
 8 A Sure.
 9 Q Perhaps at the end of the deposition, we can
 10 take a break and you could get a copy of that for me?
 11 A Sure.
 12 Q Under what circumstances do you have patients
 13 of yours fill out one of those forms?
 14 A People who are recognized as high-risk
 15 patients, chronic hypertensives, diabetics. The most
 16 common usage is people who've past their due date.
 17 Q And for the other patients that aren't
 18 postterm, approximately when in the pregnancy do you
 19 start having them do those charting?
 20 A I don't have them do it routinely. For the
 21 ones I mentioned, clinical high-risk patients, I'll
 22 have them start doing it 32, 34, 36 weeks.
 23 Q And is that based on their medical condition
 24 at the time or some other factors?

- 1 A. Medical condition at the time, but I don't
 2 use it very often because it's strictly for the people
 3 I've described.
 4 Q. And those particular high-risk patients would
 5 be just the ones you've listed or do they encompass
 6 other types of medical conditions?
 7 A. There might be some other medical conditions.
 8 The occasional patient with lupus and people who have
 9 renal insufficiency and other causes and those kinds
 10 of things, but generally chronic medical problems,
 11 diabetes, hypertensive being the most common.
 12 Q. Prior to having the patients initiate the
 13 home charting, do you order any other types of
 14 antepartum fetal surveillance testing first?
 15 A. No. It depends on the reasoning. It's
 16 possible, but not routinely.
 17 Q. And on those patients where you do request
 18 that they perform this home charting, are any of those
 19 patients ones that have come in during one of their
 20 office visits with complaints of decreased fetal
 21 movement?
 22 A. Some of them may be. I don't customarily
 23 order that for those people. The most common decrease
 24 fetal movement complaint is someone who says, I

- 1 haven't felt the baby move for 24 hours or something.
 2 We'll bring them in, we'll do a nonstress test, it's
 3 reactive and it's fine. And they say, oh, yeah, I
 4 feel all that. I just wasn't aware that it was
 5 moving. I was busy and missed a lot of movement.
 6 I don't routinely put them on charts.
 7 Q. Are those generally first-time pregnancy
 8 mothers?
 9 A. I don't know. I've never made an observation
 10 or drawn a conclusion about that.
 11 Q. And the nonstress test that you would do on
 12 those patients, that's a test you would do here in the
 13 office?
 14 A. Yes.
 15 Q. Aside from your patients that say, I haven't
 16 felt any fetal movement in 24 hours, do you have other
 17 patients that make complaints of decreased fetal
 18 movement?
 19 A. We get that from time to time, yes.
 20 Q. And what, if anything, do you do for those
 21 patients?
 22 A. Depends on what they say, how the situation
 23 is presented.
 24 Q. Why don't you give me some of those variables

- 1 that would play into what you would do?
 2 A. It depends on how abrupt the decrease fetal
 3 movement is. I had a patient this week say, I haven't
 4 felt the baby move for an hour. It was 9:00 o'clock
 5 in the morning. I'm not worried about that. Call me
 6 back tomorrow or the next day if it -- if you feel
 7 it's really decreased all day. So that's a situation
 8 where I would just send her home.
 9 Other situation is they may call up and says.
 10 The movement is precipitously dropped off, or words to
 11 that effect, over a several-day's period or even all
 12 day to day and it's 3:00, 4:00. I felt nothing. You
 13 might say those people are getting a nonstress test
 14 done on them.
 15 Q. Do you also perform antepartum fetal
 16 surveillance testing on your patients where you've
 17 noticed severe growth restrictions from the fetus?
 18 A. Yes.
 19 Q. And how do you determine severe growth
 20 restrictions in those patients?
 21 A. I think the diagnosis is made by -- the
 22 severe extent is made by ultrasound usually. In the
 23 office you notice growth restriction, you may notice
 24 it by a discrepancy between the number of weeks of

- 1 pregnancy and the size of the baby. I would tell you
 2 that the majority, two-thirds, of growth restriction
 3 is not recognized clinically at all until delivery or
 4 late pregnancy or until demise. So it's uncommon to
 5 recognize growth restriction.
 6 Q. If you did notice -- Strike that.
 7 In the past when you have detected growth
 8 restriction in some of your patients, what did you do
 9 in those cases?
 10 A. Many times I think I'll have identified a
 11 discrepancy in the office setting and get an
 12 ultrasound and see if -- to see if it's real. And
 13 usually it's not real. The baby is 58th percentile or
 14 40th percentile or something and they just go back in
 15 the normal pool with the rest of the patients. For
 16 the occasional patient that does have growth
 17 restriction, she goes on the -- gets plugged into a
 18 home management protocol that depends on a variety of
 19 details.
 20 Q. And again, that would be testing that would
 21 be done over in the ultrasound?
 22 A. The ultrasound would be done over there.
 23 Q. Do you routinely perform fundal height
 24 measurements on your obstetrical patients?

- 1 **A.** Yes.
- 2 **Q.** Which visit does that start at?
- 3 **A.** Around **20** weeks. Before that, they're not
- 4 very reliable. From **20** weeks to about **38** weeks, **36**
- 5 weeks, they're pretty reliable.
- 6 **Q.** Is that the time frame in which you continue
- 7 to do the fundal height measurements?
- 8 **A.** I measure them from then until delivery, but
- 9 I think they're a little less meaningful close to
- 10 term.
- 11 **Q.** Now, the patients that you instruct in home
- 12 charting, do **you** make notations of that in your charts
- 13 when you give them the charts to fill out at home?
- 14 **A.** Yes, I do.
- 15 **Q.** Is there any office follow up concerning the
- 16 results of that home charting?
- 17 **A.** I ask them to bring the charts with them the
- 18 next visit and I ask them to call if they haven't got
- 19 the prescribed number of movements by the evening
- 20 meal.
- 21 **Q.** And what prescribed number of movements do
- 22 you use in your practice?
- 23 **A.** Ten in a day. It's a count-to-ten chart.
- 24 **Q.** In your practice, have you ever had an

- 1 occasion where you've asked a patient to, who was here
- 2 for an examination, to stay and count movements for a
- 3 certain period of time before they left?
- 4 **A.** No.
- 5 **Q.** Would that be rather impractical in an office
- 6 setting practice?
- 7 **A.** It would be. I guess you could do it. I
- 8 never even thought about it, but if a patient has the
- 9 time and willingness, et cetera, there's nothing wrong
- 10 with that.
- 11 **Q.** Now, as a result of being retained as the
- 12 expert for Dr. Russ in this case and having reviewed
- 13 the medical records and any other deposition
- 14 transcripts, have you made some conclusions or
- 15 opinions concerning the care that was rendered to
- 16 Denise Dooley in this case?
- 17 **A.** Yes, I have.
- 18 **Q.** And what's the sum and substance of those
- 19 opinions?
- 20 **A.** The sum and the substance of his care is that
- 21 Dr. Russ met Rollins standards of care in his
- 22 management of Mrs. Dooley in her pregnancy, that the
- 23 fetal demise was unexpected, obviously tragic outcome,
- 24 not preventable in this situation even though the care

- 1 was up to Rollins standards and that, you know, it
- 2 shows our tools aren't perfect and it's just one of
- 3 those things that just happen, unfortunately.
- 4 **Q.** Going to the second of the things you just
- 5 mentioned, that the results were unexpected for this
- 6 patient?
- 7 **A.** Right.
- 8 **Q.** What exactly are you referring to that was
- 9 unexpected?
- 10 **A.** The fetal demise.
- 11 **Q.** Were there any symptoms or warnings
- 12 concerning this fetus prior to the demise?
- 13 **A.** In retrospect, it's tempting to say, well,
- 14 there was a warning because the baby was not as
- 15 active, in quotation marks. And I'd probably -- If it
- 16 was real, that probably was a warning, but in the
- 17 prospective sense, we all have patients who report
- 18 fluctuating periods of fetal activity where babies
- 19 aren't as active at one time as they are at a
- 20 different time in the pregnancy.
- 21 **MS. JOHNSOS:** Would you read back his
- 22 response, please?
- 23 (Record was read back as requested.)
- 24 **Q.** Do you have any reason to believe that the

- 1 complaints Denise Dooley had of decreased fetal
- 2 movement were not real complaints?
- 3 **A.** No. I think they were real whether they --
- 4 but as I said, patients all experience fluctuations of
- 5 fetal activity, and if she had some decreased fetal
- 6 movement prior to the hospitalization, it may have
- 7 been related to the subsequent demise or it may not
- 8 have been. It may have been the normal fluctuations
- 9 we see.
- 10 **Q.** Do you know when a patient makes a complaint
- 11 to you of decreased fetal movement whether that's a
- 12 normal fluctuation or if it's a warning sign of fetal
- 13 distress?
- 14 **A.** You can't always tell. It depends on the
- 15 magnitude of every complaint. Almost always when they
- 16 make that complaint things are fine and everything is
- 17 okay.
- 18 **Q.** And that's determined by doing the objective
- 19 testing and seeing that the baby is okay and --
- 20 **A.** That's determined by --
- 21 **Q.** --the nonstress test?
- 22 **A.** It's determined by the outcome. Say you
- 23 evaluate the report and decide not to do anything,
- 24 most of those babies turn out fine, deliver

1 uneventfully at term.

2 Q. And when you make reference to "those babies
3 turn out fine", which particular babies **are** we talking
4 about?

5 A. In most cases where you take **a** history of
6 that complaint and, for whatever reasons, **you** decide
7 the investigation or evaluation **is** not required, the
8 majority of those babies turn out fine.

9 Q. And **is** that **as a** result of additional
10 information you elicit from the mother after she makes
11 that initial complaint of decreased fetal movement?

12 A. I talk **a** little more about over what period
13 of time. Like we talked a little bit ago what period
14 of time? **How** long is this **observation**? **What** period
15 of time does it relate to? **How** long **a** time? **How**
16 big -- How real -- Not real. How profound is the
17 change? Is it just **a** little less active or has it
18 stopped? **You** know, trying to get some more details.
19 In taking those questions, **you** inevitably arrive --
20 not you, I inevitably arrive at the conclusion that
21 this **a** patient that doesn't need to be evaluated, this
22 one does, based on some assessment of what they're
23 experiencing.

24 Q. At her deposition, Denise described that the

1 change in fetal movement had gone from feeling
2 movement hourly to feeling it once every four or five
3 hours and that this had been going on for **a** couple of
4 days. Is that what you would consider within the
5 realm of normal fluctuations in fetal movement?

6 A. Yeah, it probably is. My thing at this
7 point, we **all** have ways in dealing with -- We **all** get
8 the same questions. Probably **15** or **20** questions.
9 account for 90 percent of the things I'm asked. My
10 next thing would be to say, Do you feel ten movements
11 **a** day? Oh, yeah, I do. **That's** the usual answer. **Do**
12 you feel ten movements by dinnertime, I might say.
13 **That's** the usual answer. And I'll say, Well, keep
14 track of it and let me know if you don't; or **If** it
15 gets **worse or** changes, **call** me back.

16 Q. And that would be the circumstance where **you**
17 give them one of the charting forms to use **at** home?

18 A. I would give them **a** charting form **to** use **at**
19 home or I'd just say, Keep track of it for a while and
20 let me know. **If** I'm not particularly concerned, I'll
21 tell them, I'm not particularly worried about this.
22 **You** could miss **a** lot. Most people miss **a** lot of fetal
23 movement when they're busy and they're concentrating
24 on something else. Call me back if this persists or

1 if your impression of this persists. Sometimes I'll
2 give them a chart and sometimes I won't depending on
3 how worrisome the complaint is.

4 Q. To your knowledge, are there any studies
5 which definitively define how many fetal movements **a**
6 mother should feel in a given time period?

7 A. I don't know of any. I haven't read -- Fetal
8 movements were a hot topic in the early to mid '80s
9 and there was a lot published on it and you should
10 feel four in two hours, or ten in a day. There's **lots**
11 of schemes. I'm not inclined to read those because
12 they're repetitive. I don't know if there's **a**
13 definitive answer or not. I would say not.

14 Q. Would you agree that rather than a definitive
15 quantitative measurement it's more important to assess
16 the mother's perception of a change in the pattern of
17 movement?

18 A. Well, both are important. If somebody says
19 no fetal movement, obviously that's quantitative and
20 that's important. Change in quality doesn't bother
21 me. If the baby is due --

22 I'll say, Are you still feeling the baby
23 move? **How's** the fetal movement?

21 And they'll say, It's fine. It's not **as**

1 strong **as** it was. And then they'll say, you know, No,
2 I'm getting closer to my due date. The baby doesn't
3 have much room.

4 And I think that's right. It's hard for them
5 to quantitate strength of movement. So I've never
6 placed much reliance in that the baby has **a** strong
7 kick or **a** weak kick I mean it's present or absent.

8 Q. Let me phrase that a little differently. **Do**
9 you think rather than having a definitive number of
10 movements per hour or two hours or in **a** day it's more
11 important that the frequency of movements for a
12 particular mother whether that changes over a period
13 of time?

14 A. I don't have an opinion on that. I think --
15 Yeah, but you're still applying quantitative standards
16 to it. I guess I do have an opinion.

17 The frequency **for** a particular mother has **a**
18 lot **to** do with what she does for **a** living, how much
19 movement she notices, how much attention she pays to
20 it. **So** I guess in a sense that applies **to a**
21 particular mother, **but** I think the same ground **rules**
22 **apply**. If somebody says, **I got** no fetal movement **or** I
23 felt one in two days, regardless of what she
21 previously reported, that merits some evaluation.

1 Q. In the questioning of some of the witnesses,
2 there was questions concerning Denise's complaints of
3 upper quadrant pain in January and the gall bladder
4 and those issues. In your opinion, does any of that
5 have any bearing on what ultimately happened to the
6 infant in this case?

7 A. The question isn't very clear.

8 I don't think that hemangioma in the liver
9 had anything to do with what happened in the case.
10 Her feeling the day she saw Hockenberry and he
11 elicited fetal movement, I find that as reassuring
12 that the baby was okay then. I think that was
13 February 18th.

14 Q. And is that an indication that the baby was
15 okay or that the baby was alive still?

16 A. I think that probably we frequently use fetal
17 stimulation on nonstress tests. And that's very much
18 like you do when you stimulate a baby to wake up and
19 give you a reactive NST. When she felt movement in
20 response to that stimulus, I think she probably had a
21 reactive NST at that point, had she been on a fetal
22 monitor.

23 Q. When you're giving that kind of stimulus to
24 the baby in a testing situation there, you're

1 monitoring the fetal heart rate though, aren't you?

2 A. Right.

3 Q. There wasn't any monitoring of the fetal
4 heart rate in connection with that stimulus in that
5 situation though, right?

6 A. That's correct, but I think it's noteworthy
7 that the patient -- that the fetus responded to the
8 stimulus by moving.

9 Q. In his deposition, Dr. Goldstein had given
10 the opinion that when the mother complained of
11 decreased fetal movement, the appropriate standards of
12 care required to perform antepartum testing to
13 objectively rule in or rule out an abnormal condition.
14 Do you recall reading that?

15 A. Right.

16 Q. Do you agree or disagree with that opinion?

17 A. I think in general, I would agree with it,
18 but I think you have the obligation to kind of get
19 some feel of some details with the patient. What's
20 the decrease? You know, is it just some really
21 nervous mom who has felt eight movements and not ten
22 by noon, or is it somebody who's felt little or
23 nothing for 24 or 48 hours? I think it's reasonable
24 to try and quantitate this.

1 Q. Is there any quantitation that's in

2 Dr. Russ's office records?

3 A. He just put -- Not in the records themselves.

4 He put down what he discussed with her. And as I said
5 before -- He put down what he discussed with her in
6 the deposition.

7 Q. But not in his records?

8 A. As I said before, we tend to get asked the
9 same bunch of questions all the time and we have stock
10 answers. And I'm sure what he says he said in the
11 deposition is just what he said to her at the bedside
12 because you do. You work out the best answer over the
13 years and that's the way you deal with that recurring
14 same questions you get from everyone.

15 Q. Well, do you disbelieve Denise's testimony
16 where she said that Dr. Russ informed her that it was
17 just stress and nothing to worry about?

18 A. I don't disbelieve that. I think that's
19 reasonable. As I said several times, some patients
20 miss their fetal movements -- much of the fetal
21 movement that occurs because they're occupied with
22 their job or whatever else is going on around them.

23 Q. If Dr. Russ had not advised her to make some
24 quantitative assessment on fetal movement, would you

1 think that that's a departure from accepted medical
2 practice?

3 A. No. That just happens to be something I do.

4 Q. So on February 16th, when she came in with
5 complaints of decreased fetal movement, accepted
6 medical practice didn't require him to take any
7 actions towards evaluating that complaint?

8 A. I think he testified that he took actions.
9 He evaluated the magnitude of this change and decided
10 it didn't warrant anything more than what he did,
11 which was take a history.

12 Q. How was it that he evaluated?

13 A. He talked to her about it. And he said --
14 Basically, he said, Pay a little more attention if
15 you're able and to try to quantitate what you feel,
16 what's real for you in terms of how much change there
17 really is and let me know if you really feel like it's
18 decreased. That's a reasonable approach.

19 Q. What was it that he said -- Because I must
20 have missed it. What did he say that he elicited
21 during that conversation from her concerning the
22 quality of the complaint?

23 A. The question -- The conclusion I reached from
24 reading the record was that the baby was active. She

1 I felt it was not as active. And that's on the record.
 2 You know, also there was a great deal of
 3 discussion apparently at that visit about the demands
 4 of her job, how tired she was and the stretching
 5 overhead and getting some relief from work and that
 6 sort of thing. And he summarized the situation -- or
 7 analyzed the situation to be in which -- to be one in
 8 which where she was having a great deal of stress and
 9 fetal movement was frequently missed or probably was
 10 missed or she was not getting an opportunity to
 11 quantify it. And he suggested to do that and let me
 12 know.
 13 Q. Did Denise say in her deposition that he told
 14 her to do that?
 15 A. I don't know. I'd have to -- I don't recall
 16 that specifically.
 17 Q. If she says he did not tell her to do that,
 18 would that affect your opinion concerning that
 19 appointment?
 20 A. I'd have to read the whole context of that
 21 answer of how that goes.
 22 Q. If she saw another doctor that week and
 23 reported that there were no new instructions
 24 concerning her obstetrical care, would that affect

1 your opinion?
 2 A. She saw a general surgeon.
 3 Q. I said if she saw another physician that
 4 week.
 5 A. You're talking hypothetical?
 6 Q. Well, no. Actually, it's in the records.
 7 She did see another physician that week and reported
 8 that she had no new obstetrical instructions.
 9 A. The general surgeon.
 10 Q. No.
 11 A. She saw another doctor that week?
 12 Q. Yes.
 13 A. I'm unaware of who else she saw that week
 14 besides she saw Russ on the 16th and Hockenberry on
 15 the 18th.
 16 Q. She saw her family physician, Dr. Olmo (ph.),
 17 that week.
 18 A. I forgotten that. If it was in there, I
 19 don't remember when she saw him or what for. I didn't
 20 make a note of that.
 21 Q. Would you expect a patient that had been told
 22 to keep track of fetal movements to report to her
 23 family doctor a couple days ago that she had not
 24 received any new obstetrical instructions?

1 MR. ENDERS: Objection. That calls for pure
 2 speculation. You can answer that, Doctor.
 3 A. Repeat the question. I guess I was listening
 4 to what he said and I got lost on that.
 5 Q. Would you expect a patient who had been
 6 instructed to do home quantitative measurements of
 7 fetal movement to report to her family doctor a couple
 8 days later that she had not received any new
 9 obstetrical instructions on her last visit?
 10 MR. ENDERS: I'll object to that.
 11 A. I don't -- Not necessarily. I mean it
 12 depends on what he asks her for. How's your pregnancy
 13 going? She says, Fine, That's not the same thing as
 14 saying, Did you specifically get any new instructions
 15 at your last visit? If the latter question is what's
 16 asked, then I would expect her to say, Yeah, I'm
 17 supposed to be counting the movements. If the guy --
 18 If she's seeing him for some other reason and
 19 offhandedly he asks, How's the pregnancy going, and
 20 she says, Fine, I wouldn't expect that that's the same
 21 question; therefore, I wouldn't necessarily infer that
 22 that means she got other instructions or didn't get
 23 them.
 24 Q. Okay.

1 A. Maybe she saw him for ERI or something. I
 2 missed that. Didn't know that.
 3 Q. When Dr. Russ saw her on February 16th, did
 4 he perform a fundal height examination?
 5 A. Yes. He said he did, yes.
 6 Q. And is it recorded in his office notes?
 7 A. It's not.
 8 Q. When he said that he did, you're referring to
 9 his deposition testimony rather than some other
 10 reference in his chart?
 11 A. That's right. It's also very automatic I'm
 12 sure he did it. You just do it automatically.
 13 Q. Now, you said in the February 16th visit you
 14 felt one of the reasons why she might not have been
 15 perceiving as much fetal movement was because of the
 16 stresses at work?
 17 A. She was complaining about being extremely
 18 fatigued, how hard her work was and that sort of
 19 thing. And I think that was the visit where she was
 20 seeking some disability. I know that that had been
 21 discussed earlier also. I think there was -- The
 22 impression I got from the deposition was that it was
 23 Dr. Russ's feeling that she had enough going on at
 24 work that she didn't really have a fair opportunity to

1 assess fetal movement and he asked her to pay **as** much
 2 more attention as you can and let me **know**.
 3 **Q.** That was something that had been discussed in
 4 the past on prior office visits though also, correct?
 5 **A.** The disability thing.
 6 **Q.** **Yes.** About the stress at work and physical
 7 demands.
 8 **A.** Yes.
 9 **Q.** And that was discussed on other occasions
 10 when her husband was present for the exam?
 11 **A.** Right.
 12 **Q.** On those other exams when that was discussed,
 13 did she have any complaints of decreased fetal
 14 movement at that time?
 15 **A.** She didn't say that she did and there's
 16 nothing in the record about that.
 17 **Q.** Do you have an opinion as to what her fundal
 18 height would've been at the February 16th visit given
 19 the size of the infant at delivery?
 20 **A.** I'd be really speculating. I don't know.
 21 **No.** I don't have an opinion, not to reasonable
 22 medical probability.
 23 **As** I said earlier, two-thirds of growth
 24 restrictions are not recognized clinically.

1 **Q.** And would that hold true also for severe
 2 growth restriction?
 3 **A.** I don't know what "severe" is. I mean
 4 Goldstein threw that in as a diagnosis. I'm not sure
 5 he defined what was severe or what he required to be
 6 severe. I don't know if it was truly severe or not.
 7 It was below the tenth percentile, but that's the
 8 definition of growth restriction, not severe.
 9 **Q.** I believe he said this one was less than --
 10 considerably **less** than the three percentile --
 11 **A.** I think he said that.
 12 **Q.** --for gestational age.
 13 **A.** I didn't go look up that. I think he cited
 14 Hadlocks's data. I didn't look it up. Now, there are
 15 other data. The ones most used in the **midwest** are not
 16 Hadlock's. They're used in -- **What's** used mostly is
 17 the stuff from North Carolina which is Brenner &
 18 Hendricks. **And** they're a little lower -- or not at
 19 sealevel.
 20 **Q.** Do you have an opinion **as** to whether or not,
 21 with the size of this infant delivering six days
 22 later, whether or not the fundal height would've been
 23 in the range of **32** centimeters **on** that visit?
 24 **A.** In the range, yeah. I think it would've been

1 in the range. Concurrent range between fundal height
 2 and the gestational age is plus or minus two. I think
 3 it would've probably been 30 to **34, yes**.
 4 **Q.** And on all the prior visits before that, had
 5 a fundal height been right at the week gestation?
 6 **A.** It had.
 7 **Q.** When Dr. Goldstein gave his opinion that
 8 Dr. Russ failed to perceive the severe intrauterine
 9 growth restriction, is that an opinion then that you
 10 disagree with?
 11 **A.** Well, again, I might be taking issue with the
 12 "severe." I think Dr. Russ did not perceive the
 13 growth restriction and that is, as I've said a couple
 14 times now, **is** quite commonly the case, particularly at
 15 **32** weeks. The difference between what a baby feels
 16 like who's **AGA** at **32** weeks and one that is below the
 17 tenth percentile is pretty small at that point. It's
 18 only later on that the discrepancy becomes more
 19 obvious clinically. So it's not unusual to under
 20 appreciate growth restriction at **32** weeks. In fact,
 21 it's more common than not.
 22 **Q.** **If** a nonstress test had been performed on
 23 February 16th, do you have an opinion as to what it
 24 would've shown?

1 **A.** I think it would've been probably reactive
 2 because she had fetal movement in response to the
 3 stimulus that Hockenberry put on her on February **18th**.
 4 **Q.** And can you define for me what a reactive
 5 strip consists of?
 6 **A.** Reactive strip is the most common usage. The
 7 one we use is acceleration of **15** beats per minute in
 8 the fetal heart rate over baseline twice in ten
 9 minutes.
 10 **Q.** And the reaction from the examination in
 11 Dr. Hockenberry's office, that did not in any way
 12 **assess** fetal heart rate though, correct?
 13 **A.** Right. But fetal movements generally
 14 associate with an acceleration of fetal heart rate.
 15 **Q.** And one of the reasons why you do the
 16 nonstress test is to verify whether there's an
 17 acceleration of fetal heart rate in connection with
 18 movement, correct?
 19 **A.** Right.
 20 **Q.** Is there anything else about the facts that
 21 you know at this point to lend support the fact **you**
 22 feel it would've been a reactive strip if one had **been**
 23 performed on February 16th?
 24 **A.** No, not with certainty. We do -- We start

1 out doing nonstress tests weekly. And we found
 2 that --That's kind of --
 3 Never mind. That doesn't have anything to do
 4 with this.
 5 MS. JOHNSON: Okay. Can you read back the
 6 last question?
 7 (Record was read back as requested.)
 8 Q. Do you have an answer for me on that?
 9 A. No. I was going off on a direction that's
 10 unrelated, I think. So I stopped.
 11 Q. Okay. So the answer *is* no then?
 12 A. Do I have anything else, idea or basis that
 13 it was reactive? No.
 14 Q. Do you have any opinion as to the cause of
 15 death for this infant?
 16 A. The most likely explanation *is* it's related
 17 to the umbilical cord being around the child's neck
 18 four times with an obstruction of **flow** and ultimately
 19 cardiac arrest.
 20 Q. And do you have an opinion **as** to when it's
 21 most likely that the infant died?
 22 A. Well, based solely on what Mrs. Dooley told
 23 the nurses when she got there, that's about as
 24 contemporaneous **as** we get, she, I think, said she had

1 not felt the baby move since Friday night. So I would
 2 assume the baby died sometime shortly after she felt
 3 the last fetal movement.
 4 Q. That would've been Friday night or Saturday?
 5 A. Yes. Friday night or Saturday morning
 6 probably.
 7 Q. If the fetal surveillance testing had been
 8 done on February 16th and had shown a nonreactive
 9 strip, what would the standard of care have required
 10 to have been done at that point?
 11 A. Since most nonreactive strips do not mean
 12 fetal jeopardy, then what we usually do is a
 13 biophysical profile or an oxytocin challenge test or a
 14 nipple stimulation test which is to elicit some
 15 intrauterine contractions either through nipple
 16 stimulation or oxytocin and see how the fetal heart
 17 rate of the fetus tolerate the contractions.
 18 Q. So the decisions that would've been made
 19 after that point would've been based on further
 20 testing and evaluation, correct?
 21 A. Yes. And the same results could've happened
 22 because of the cord around the neck.
 23 Q. Well, if the nonstress test had been
 24 performed in his office and had been nonreactive, how

1 long would it have taken to get her to have a
 2 biophysical profile or one of the other challenge
 3 tests?
 4 A. I would assume that he could do a biophysical
 5 profile in the office. They have an ultrasound
 6 machine there. A biophysical profile is five points,
 7 one of which is NST and four are ultrasound criteria.
 8 So that could be done then. People usually don't do
 9 an oxytocin challenge test or a nipple stimulation
 10 test in their offices. Although, they could do a
 11 nipple stim in the office. They ~~have to~~ send them to
 12 the hospital for OCT. That could've been done that
 13 afternoon, that evening.
 14 Q. And at that point, the infant still would've
 15 been alive, correct?
 16 A. If we're assuming we had a heart rate when we
 17 did our NST and was nonreactive, but yes, sure.
 18 Q. If nothing happened in the interim to change
 19 the course of the infant's decline, there was
 20 approximately four days in which to attempt other
 21 treatments or make a decision about delivery before
 22 fetal demise, correct?
 23 A. Which -- You're assuming nothing was done and
 24 we had no NST, or where are we?

1 Q. If we're back on the 16th and he had done a
 2 nonstress test in the office and then he had done a
 3 biophysical profile.
 4 A. What are the results of our biophysical
 5 profile?
 6 Q. I'm just saying you still would've had a
 7 four-day window before there was fetal demise on
 8 Friday or Saturday for other interventions to have
 9 been tried before the baby died, correct?
 10 A. Yes.
 11 Q. And those would've included admitting her to
 12 a tertiary care hospital?
 13 A. You're trying to list the options that
 14 could've been done had we had a nonreactive NST?
 15 Q. Correct.
 16 A. The options could've been if the biophysical
 17 profile was eight out of ten, they probably would've
 18 sent her home and said, Come back in a couple of days
 19 and we'll do this again, NST. Ranging to a delivery
 20 that day or somewhere in between.
 21 Q. Okay. Do you know Dr. Russ personally?
 22 A. We're acquainted. We're not good friends or
 23 anything. He was a resident at the university when I
 24 used to go down to the high-risk clinic. I don't see

1 him socially except once in a while, once or twice a
2 year.

3 Q. Are you on staff of any of the same hospitals
4 together?

5 A. No.

6 Q. I believe Dr. Goldstein in his deposition
7 also indicated that the mother's complaints of
8 decreased fetal movement is the ~~number~~ one listed
9 reason for ordering antepartum fetal surveillance.

10 Would you agree with that opinion?

11 A. I don't think that's right. I think it's
12 postdatism (ph.).

13 Q. Have we covered all of the opinions that you
14 have formulated in this case based on your review of
15 documents and testimony so far?

16 A. Yes.

17 MS. JOHNSON I don't have any other
18 questions right now. I would like to just take a
19 break and get a copy of the charting form and make it
20 an exhibit to the deposition and then that's it.

21 MR. ENDERS: The Doctor will take the option
22 to read his transcript.

23 THE WITNESS: Whatever you want to do. I'll
24 read it.

1 (Signature not waived.)

2 ---

3 Thereupon, a document was marked for purposes
4 of identification as Deposition Exhibit 1 by the
5 reporter.

6 ---

7 Thereupon, the deposition concluded at
8 approximately 2:48 p.m.

9 ---

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

1 AFFIDAVIT

2 ---

3 THE STATE OF OHIO:

4 COUNTY OF FRANKLIN:

5 I, STEPHEN J. DeVOE, M.D., do hereby certify
6 that I have read the foregoing transcript of my
7 deposition given on June 18, 1999; that together with
8 the correction page attached hereto noting changes to
9 form or substance, if any, it is true and correct.

10

11

12

13

14 I do hereby certify that the foregoing
15 transcript of the deposition of STEPHEN J. DeVOE, M.D.

16 was submitted to the witness for reading and signing;

17 that after he had stated to the undersigned Notary

18 Public that he had read and examined his deposition,

19 he signed the same in my presence on

20 this 14th day of July, 1999.

21

22

23

24 My Commission expires:

NOTARY PUBLIC, STATE OF OHIO

JAMES A. GREVE

NOTARY PUBLIC, STATE OF OHIO

My Commission Expires Aug. 17, 2000

44

1 CERTIFICATE

2 ---

3 ---

4

5 THE STATE OF OHIO:

6 COUNTY OF FRANKLIN:

7

8

9 I, Michael A. Caswell, a Professional
10 Reporter and Notary Public in and for the State of
11 Ohio, do hereby certify that before the taking of this
12 said deposition, the said STEPHEN J. DeVOE, M.D. was
13 first duly sworn by me to tell the truth, the whole
14 truth and nothing but the truth;

15 That said deposition was taken in all
16 respects pursuant to the stipulations of counsel
17 heretofore set forth; that the foregoing is the
18 deposition given at the said time and place by the
19 said STEPHEN J. DeVOE, M.D.;

20 That I am not an attorney for or relative of
21 either party and have no interest whatsoever in the
22 event of this litigation.

23 IN WITNESS WHEREOF, I have hereunto set my
24 hand and official seal of office at Columbus, Ohio,
this 1st day of July, 1999.

17

18

19

20

21 My Commission expires

22 June 28, 2004

23

24

MICHAEL A. CASWELL

Notary Public
In and for the State of Ohio

ERRATA SHEET

PLEASE DO NOT WRITE ON THE TRANSCRIPT.

Any changes in form or substance you desire to make should be entered upon this sheet.

TO THE REPORTER:

I have read the entire transcript of my _____ taken on the 8 day of JULY 1997, or the same has been read to me.

I have signed my name to the transcript AFFIDAVIT page and authorize you to attach the same and a copy of this Errata Sheet to the original and all copies of the transcript.

PAGE	LINE	CHANGE	REASON
15	9	ANOTHER NOT ONE	
17	13	SHOULD BE A PERIOD AFTER SAY & NOT AFTER	NON
18	21	RELEVANT IN PLACE OF ROLLING	WRONG WORD
19	1	11 11 11 11 11	
25	16	OMIT PROBABILITY	DOESN'T BELONG
32	1	ERI SHOULD BE URI	
36	3	INSERT "FOR 15 SECONDS"	ADD MINUTE OMIT
38	15	OMIT "INTER"	WRONG WORD

DATE

7/14/97

SIGNATURE

