

1 IN THE COURT OF COMMON PLEAS
2 OF CUYAHOGA COUNTY, OHIO

3 - - - - -

4 NADIRAH D. MALIK, etc.,
5 Plaintiff,

6 vs Case No. 443949
 Judge Russo

7 MERIDIA HEALTH SYSTEMS,
 et al.,

8
 Defendants.

9
10 - - - - -

11 DEPOSITION OF JOEL O. D'HUE, M.D.
12 WEDNESDAY, FEBRUARY 27, 2002

13 - - - - -

14 Deposition of JOEL O. D'HUE, M.D., a
15 Defendant herein, called by counsel on behalf of
16 the Plaintiff for examination under the statute,
17 taken before me, Vivian L. Gordon, a Registered
18 Diplomate Reporter and Notary Public in and for
19 the State of Ohio, pursuant to agreement of
20 counsel, at the offices of Reminger & Reminger,
21 The 113 St. Clair Building, Cleveland, Ohio,
22 commencing at 4:30 o'clock p.m. on the day and
23 date above set forth.

24 - - - - -

25

FILED
10/21/02

1 APPEARANCES:

2

On behalf of the Plaintiff

3

Becker & Mishkind

HOWARD D. MISHKIND, ESQ.

4

Skylight Office Tower Suite 660

Cleveland, Ohio 44113

5

216-241-2600

6

On behalf of the Defendant Meridia Health
Systems

7

Reminger & Reminger

CHRISTINE S. REID, ESQ.

8

The 113 St. Clair Building

Cleveland, Ohio 44113

9

216-687-1311

10

On behalf of the Defendant D'Hue

Reminger & Reminger

11

SUSAN M. SEACRIST, ESQ.

The 113 St. Clair Building

12

Cleveland, Ohio 44113

216-687-1311

13

On behalf of the Defendant Dickerson

14

Ulmer & Berne

MURRAY LENSON, ESQ.

15

900 Penton Media Building

Cleveland, Ohio 44115

16

216-621-8400

17

- - - - -

18

19

20

21

22

23

24

25

1 JOEL O. D'HUE, M.D., a witness herein,
2 called for examination, as provided by the Ohio
3 Rules of Civil Procedure, being by me first duly
4 sworn, as hereinafter certified, was deposed and
5 said as follows:

6 - - - - -

7 EXAMINATION OF JOEL O. D'HUE, M.D.

8 BY MR. MISHKIND:

9 Q. Would you please state your name for
10 the record.

11 A. Joel D'Hue.

12 Q. Dr. D'Hue, my name is Howard Mishkind
13 and we have never met before, but we were
14 introduced before the deposition started.

15 As I think you probably know, I
16 represent the family in this case that's brought
17 the lawsuit against you. You understand that,
18 don't you?

19 A. Yes, I do.

20 Q. I'm going to be asking you some
21 questions concerning your involvement and
22 treatment of this patient, both at the time of
23 your surgery and then during the postoperative
24 period. Okay?

25 A. Yes.

1 - - - - -

2 (Thereupon, D'HUE Deposition
3 Exhibit 1 was marked for
4 purposes of identification.)

5 - - - - -

6 Q. Doctor, is that document marked as
7 Plaintiff's Exhibit 1 a current copy of your
8 curriculum vitae?

9 A. It appears to be.

10 Q. You were born in Jamaica?

11 A. I was.

12 Q. Have you written anything or
13 submitted anything that is not referenced in the
14 CV?

15 A. No.

16 Q. You are an otolaryngologist?

17 A. I am.

18 Q. Do you specialize in head and neck
19 surgeries?

20 A. No. I don't specialize. I treat it
21 as part of being an otolaryngologist.

22 Q. What percentage of your practice is
23 involved with head and neck surgeries?

24 A. I don't know that I can give you a
25 specific number. I see patients with ear

1 problems, throat problems, nose problems. I
2 don't have a number that I could define as just
3 being head and neck surgery.

4 Q. In looking at your CV, I wasn't able
5 to detect immediately whether or not you are
6 board certified.

7 A. I am.

8 Q. You are board certified. When did
9 you become board certified?

10 A. In 1984.

11 Q. Were you successful in becoming board
12 certified first time through?

13 A. No.

14 Q. How many attempts?

15 MS. SEACRIST: Note an objection. Go
16 ahead, doctor.

17 A. I had three attempts.

18 Q. What years did you sit for the
19 boards?

20 A. '82, '83, and '84. '81, '82, '83,
21 sorry.

22 Q. And I'm sorry, you said you were
23 successful in '84 or successful in '83?

24 A. I was actually successful in '83.
25 The test was done in '83, and as I recall, a

1 part of the test was done in '84. It's a
2 two-part test. There is a written part of the
3 test and an oral part of the test. And as I
4 recall, the oral part is actually done in '84.

5 Q. You have had your deposition taken
6 before; is that true?

7 A. Yes.

8 Q. How many times have you been deposed?

9 A. I don't remember. Two or three
10 times.

11 Q. You have also been named as a
12 defendant in medical negligence cases in the
13 past; correct?

14 A. Yes.

15 Q. How many times have you been named as
16 a defendant?

17 MS. SEACRIST: Note an objection,
18 please.

19 A. I don't remember specifically. I do
20 not remember specifically. Four or five times,
21 maybe six times.

22 Q. Have all the cases been here in
23 Cuyahoga County in Cleveland?

24 A. No.

25 Q. How many of the cases against you

1 have been here in Cleveland?

2 A. All but one.

3 Q. All but one?

4 A. Yes.

5 Q. Where was the one that was not in
6 Cleveland?

7 MS. SEACRIST: Continuing objection.

8 MR. MISHKIND: That's fine.

9 A. In Erie County, where Sandusky is.

10 MS. SEACRIST: That's Erie County.

11 Q. Are any of those cases still open, to
12 your knowledge?

13 A. No.

14 Q. The two to three times that you have
15 been deposed, were they in connection with the
16 cases that were brought against you?

17 A. Yes.

18 Q. Have you ever had your deposition
19 taken, sir, as an expert witness?

20 A. No.

21 Q. Have you ever served as an expert
22 witness?

23 A. No, not that I can recall.

24 Q. Did any of the cases that you were
25 named as the defendant involve from your

1 perspective any similar issues to the issues
2 which you understand exist in this case?

3 A. I'm not sure what you mean by similar
4 issues.

5 Q. You performed oral cancer surgery in
6 this case; correct?

7 A. Yes.

8 Q. You were caring for this patient
9 during the postoperative period prior to his
10 demise?

11 A. Yes.

12 Q. And then the patient died of
13 complications following your surgery; correct?
14 The patient that we are talking about is Molvin
15 Edwards.

16 A. The patient died. I'm not sure he
17 died of complications following my surgery.

18 Q. He died of complications which
19 developed after your surgery; correct?

20 A. The patient died two days after
21 surgery.

22 Q. Did any of the other cases involve
23 patients that you had performed any type of a
24 head and neck surgery on that died following
25 surgery?

1 A. No. Well, I should take that back.
2 There was one case, the patient died several
3 months later and I was sued as part of a global
4 lawsuit. But not in the immediate postoperative
5 period, the patient didn't die in the immediate
6 postoperative period.

7 Q. Of the cases that you have been named
8 as a defendant, the ones here in Cleveland, did
9 any of them go to trial?

10 A. Yes.

11 Q. How many?

12 A. One.

13 Q. And what was the result of that?

14 A. I obtained a defense verdict.

15 Q. How long ago was that, sir?

16 A. This was about a year or so ago.

17 Q. The other cases were either dismissed
18 or resolved in some way?

19 A. Yes.

20 Q. What about the case in Sandusky?

21 A. It was settled.

22 Q. Have you ever had your privileges
23 revoked or suspended or drawn into question in
24 any type of a disciplinary action?

25 A. I have had my privileges suspended

1 temporarily for charts, but that's the only
2 reason.

3 Q. Have you ever applied for privileges
4 at a hospital and been denied privileges?

5 A. No.

6 Q. Do you remember Mr. Edwards?

7 A. I do.

8 Q. Do you remember performing surgery on
9 the patient?

10 A. Yes.

11 Q. Do you remember meeting his daughter?

12 A. Yes.

13 Q. Did you have any contact with
14 Mr. Edwards outside of either the office or the
15 hospital setting?

16 A. No.

17 Q. The same question with regard to his
18 daughter. Did you have any contact with her
19 outside of the office or the hospital setting?

20 A. I think I may have had lunch with her
21 once after his death. I don't remember that I
22 went to the funeral. I think I might have gone
23 to the funeral. I don't remember.

24 Q. But you have a recollection of having
25 lunch with her after her dad died?

1 A. Yes.

2 Q. Tell me about that, what you
3 remember.

4 A. We just talked. I wanted to express
5 my condolences to her. It was just lunch. I
6 don't remember specifically what we talked
7 about.

8 Q. She, obviously, wanted to know why
9 her dad died, I would presume; is that a fair
10 assumption?

11 A. She was just expressing grief over
12 the fact that he had died. That's what I
13 remember it being.

14 Q. Where did you have lunch at, do you
15 recall?

16 A. It was at Baker's Square in Cleveland
17 Heights, in the Circle, Severance.

18 Q. Did she contact you to set up a
19 meeting or did you contact her?

20 A. That, I do not recall.

21 Q. Was it shortly after the death that
22 the lunch happened?

23 A. Yes.

24 Q. And was this a one time get together?

25 A. Yes.

1 Q. During the course of your meeting
2 with her, did you express to her any opinions as
3 to why her dad died?

4 MS. SEACRIST: Note an objection.

5 A. I don't remember saying anything to
6 her as to why he died.

7 Q. Do you remember any of the questions
8 that she had for you, if any, about what had
9 transpired during the postoperative period?

10 A. I don't remember any questions she
11 asked me, no.

12 Q. Did you maintain any type of a diary
13 or notes relative to your get together with the
14 daughter?

15 A. No.

16 Q. And was that recorded at all in any
17 of your office notes; the fact that you had
18 gotten together with her?

19 A. No.

20 Q. Did you have any communication with
21 the daughter on any other occasion, by phone, or
22 in any other manner, other than what you have
23 just told me about?

24 A. No.

25 MS. SEACRIST: You know, you received

1 a thank you note from the family, which I can
2 give you a copy of.

3 A. Yes, there was a thank you note sent
4 to me by them.

5 - - - - -
6 (Thereupon, D'HUE Deposition
7 Exhibit 2 was marked for
8 purposes of identification.)

9 - - - - -
10 Q. Doctor, is Plaintiff's Exhibit 2 a
11 copy of the thank you note that you received
12 from the family?

13 A. It appears to be, yes.

14 Q. Do you recall the daughter
15 questioning you at all about what went on while
16 her dad was on the telemetry unit after being
17 transferred out of intensive care?

18 A. No, I don't recall.

19 Q. How was Mr. Edwards referred to you?

20 A. He was referred to me by a dentist,
21 an oral surgeon or someone like that. A
22 dentist, I think.

23 Q. Other than the daughter, did you have
24 any contact with any other family members?

25 A. I don't recall.

1 Q. You have a copy of your office
2 records there?

3 A. Yes.

4 MR. LENSON: I don't have a copy.
5 Can you make sure I get a copy?

6 MS. SEACRIST: Sure.

7 Q. If I could just see your copy for one
8 second.

9 Doctor, your attorney provided me
10 with a copy of your records, and what I'm going
11 to do just to be complete, I'm going to mark
12 them as Exhibit 3 to the deposition.

13 - - - - -

14 (Thereupon, D'HUE Deposition
15 Exhibit 3 was marked for
16 purposes of identification.)

17 - - - - -

18 Q. I believe it's exactly what you have
19 in front of you.

20 I'm going to show you Plaintiff's
21 Exhibit 3, and I don't necessarily expect that
22 you are going to go through every page of it,
23 but does this appear -- and I'll represent to
24 you that it's a xerox and it was provided to me
25 by your attorney -- but does this appear to be a

1 copy of your entire chart --

2 A. Yes.

3 Q. -- on Mr. Edwards?

4 A. Yes.

5 Q. And it would contain not only your
6 office notes, but, as well, copies of labs and
7 portions of the hospital record that would be
8 sent to you; correct?

9 A. Yes.

10 Q. Do you recall receiving any
11 correspondence from Dr. Dickerson relative to
12 this patient?

13 And the reason I ask this, in looking
14 at this record, which is Exhibit 3, I don't see
15 any letters that either you wrote to him or that
16 he wrote to you. And I'm not necessarily
17 suggesting that there are any, but because
18 Dr. Dickerson was his cardiologist, I was
19 curious as to whether you received any such
20 correspondence.

21 A. I don't recall getting any from him.

22 Q. If you had received correspondence
23 from Dr. Dickerson, would that be part of your
24 file?

25 A. Yes, it would.

1 Q. And it would then be contained within
2 Plaintiff's Exhibit 3; correct?

3 A. Yes.

4 Q. Have you had a chance to read
5 Dr. Dickerson's deposition?

6 A. No.

7 Q. Have you been provided with a copy of
8 Dr. Dickerson's deposition?

9 A. I think so, yes.

10 Q. But you have not read it?

11 A. I don't remember.

12 MR. MISHKIND: Your attorney has a
13 curious look on her face.

14 MS. SEACRIST: I don't think I did
15 provide it to him.

16 Q. If you did read it, and it wasn't
17 provided to you by your attorney, then I have a
18 curious look on my face.

19 As you sit here right now, you have
20 no recollection of having read it?

21 A. No.

22 Q. Do you and Dr. Dickerson see each
23 other from time to time?

24 A. Yes.

25 Q. I take it you were aware that

1 Dr. Dickerson's deposition was taken a month or
2 so ago?

3 A. I was aware that it was taken.

4 MS. SEACRIST: Objection as to what
5 we discussed.

6 Q. Did Dr. Dickerson tell you that his
7 deposition was taken?

8 A. No.

9 Q. Have you and Dr. Dickerson at any
10 time talked about the circumstances surrounding
11 Mr. Edwards' death?

12 A. We spoke immediately following his
13 death.

14 Q. At the hospital?

15 A. We spoke by phone. I don't remember
16 whether it was at the hospital or from my
17 office, but we did speak about it.

18 Q. What do you recall about that
19 conversation with Dr. Dickerson?

20 A. Well, I remember telling him that
21 Mr. Edwards had died and his immediate reaction
22 to me was he had a heart attack.

23 Q. Do you know how Dr. Dickerson arrived
24 at that conclusion?

25 A. No, I don't.

1 Q. I'm going to represent to you that
2 Dr. Dickerson in his deposition indicated that
3 he cleared Mr. Edwards for surgery and that you
4 had asked for medical clearance from him. Does
5 that sound to be consistent with what probably
6 happened?

7 A. That is what happened.

8 Q. And Dr. Dickerson, I'm going to tell
9 you, indicated that he was advised by you that
10 the surgery was intended to be done for a cure
11 of Mr. Edwards' cancer.

12 A. Yes.

13 Q. And if Dr. Dickerson testified to
14 that effect, was that an accurate statement on
15 his part?

16 A. Yes.

17 Q. When you performed the surgery on
18 Mr. Edwards, were you optimistic that surgery
19 would provide him with a cure?

20 A. Yes.

21 Q. I want to go through a couple entries
22 before the surgery and then I want to talk to
23 you about the events that occurred in the
24 hospital, okay?

25 A. Okay.

1 Q. There is an office note on December
2 16th, 1999. If you could refer to that.

3 A. Yes.

4 Q. In that note, it says discussed with
5 patient and his daughter the various treatment
6 options. Do you see that?

7 A. Yes.

8 Q. Would you explain to me in all
9 likelihood what you would have explained to
10 Mr. Edwards and his daughter at that time?

11 A. I would have told him that he had a
12 malignancy in his mouth, throat, and that it was
13 potentially curable by surgery, by radiation, or
14 by a combination of both.

15 I would have told him the risks
16 associated with both of those options; the
17 advantages that he could expect from them, and
18 the disadvantages that he might experience from
19 either course of action.

20 And I would have told him, because I
21 knew he had a bad heart, that his chance of, as
22 I usually put it, getting off the table would be
23 less, because he had other medical problems.

24 I would have told him that the
25 surgery would be likely to change some of his

1 function later. We were planning to remove a
2 portion of the base of his tongue and that would
3 change his speech, at least temporarily.

4 I would have told him that it may
5 affect his ability to swallow. I would have
6 told him, because of the location of where this
7 tumor was, that there was a good chance I would
8 have to split his mandible; his mandible, the
9 lower jaw, in order to get back there to remove
10 it, and that I would need to remove tissue from
11 his chest or someplace else to fill the defect
12 after removing the cancer.

13 I would have told him that he would
14 be in the hospital until I was convinced that he
15 was able to eat and drink and that the surgery
16 had relatively healed well enough for us to
17 radiate him afterwards. Those are the things I
18 would have said to him.

19 Q. Was Mr. Edwards a bright man?

20 MS. SEACRIST: Objection.

21 A. I'm not sure what you mean by a
22 bright man, but he seemed to understand what I
23 was talking to him about.

24 Q. Probably not the best wording, but
25 what I meant by that was exactly what you just

1 said.

2 Did he appear to understand the
3 nature and the significance of his condition and
4 the options that you were explaining to him?

5 A. Yes, he did.

6 Q. His daughter was there to hear all of
7 what you explained as well; true?

8 A. Yes.

9 Q. And it may be a poorly worded
10 question again, but did his daughter appear to
11 be a relatively intelligent woman that seemed to
12 understand what you were explaining concerning
13 her dad's condition, from your perspective?

14 MS. SEACRIST: Objection. You can
15 answer.

16 A. Yes.

17 Q. You indicated that you were going to
18 do a biopsy first; correct?

19 A. Yes.

20 Q. And a biopsy was done, I believe, on
21 December 19th?

22 A. That was done before. Because
23 December 16th says that he has cancer.

24 Q. I'm sorry, the biopsy was actually
25 done December 7.

1 A. All right.

2 Q. And on December 16th, you reviewed --

3 A. The results of that biopsy.

4 Q. Got it. Based upon the biopsy
5 results, you were optimistic, were you not, that
6 the surgery would provide him with a cure for
7 the cancer?

8 A. Yes.

9 Q. After seeing Mr. Edwards and his
10 daughter on the 16th of December -- I'm sorry
11 for going out of order there. We have the
12 biopsy on the 7th, you see him on the 16th. How
13 many times did you see Mr. Edwards then before
14 he was admitted to the hospital for the actual
15 surgery?

16 MS. SEACRIST: In total or following
17 the 16th?

18 MR. MISHKIND: Following the 16th.

19 A. I saw him twice following the 16th.

20 Q. Was one of those visits December
21 20th?

22 A. Yes.

23 MS. SEACRIST: Just as to some of the
24 dates, it's a little difficult to see in the
25 copies, so as best as we can tell.

1 Q. On December 20th, doctor, it looks
2 like, at least in your chart, there is an EKG
3 that was performed.

4 A. In my chart?

5 Q. In your chart.

6 A. I don't do EKG's at my office.

7 Q. Actually, it looks like the EKG may
8 have been received by you on December 20th.

9 A. Right.

10 Q. But it was performed on December 6th.
11 Was this part of the pre-op
12 assessment before his biopsy was done, the EKG
13 was done?

14 A. It would have been.

15 Q. And then that EKG was sent to, a copy
16 of it was sent to you; correct?

17 A. Yes.

18 Q. Just to save time, you see where it
19 says referred by Dr. D'Hue, and then there is a
20 stamp, December 20th, that would be the date
21 that you received it?

22 A. Right. And I initialed it.

23 Q. And those are your initials?

24 A. Yes.

25 Q. So there is, in fact, a copy of the

1 EKG that was done on a pre-op basis before the
2 biopsy, a copy of which was eventually sent to
3 you for your chart; true?

4 A. Yes.

5 Q. When did you obtain surgical
6 clearance from Dr. Dickerson?

7 A. I don't remember the exact date, but
8 I remember speaking to Dr. Dickerson about him.
9 I made a note in my chart that I would discuss
10 it with Dr. Dickerson. That was the date, the
11 16th of December, and I discussed it with him by
12 phone, and then when the patient came back to
13 discuss the treatment, I actually sent him to
14 Dr. Dickerson for surgical clearance, cardiac
15 clearance for surgery.

16 Q. And normally you receive
17 correspondence from Dr. Dickerson relative to
18 the clearance for surgery?

19 MS. SEACRIST: Objection.

20 A. It depends. If the patient is going
21 to be going into the hospital to have surgery,
22 sometimes Dr. Dickerson will clear him there and
23 he won't necessarily send me a correspondence
24 before the patient gets admitted. Sometimes if
25 the surgery is scheduled far down the road, he

1 will send me a letter, the doctor that admits.

2 Q. Your testimony in this case is that
3 Dr. Dickerson did not send you a letter?

4 A. I don't recall him sending me a
5 letter before the patient actually got admitted
6 to the hospital.

7 Q. Do you have any type of a letter from
8 Dr. Dickerson at all relative to this patient in
9 your chart?

10 A. I have to review this. There is one
11 here. I know there is a note from him in the
12 hospital chart.

13 Q. I'm talking about an actual letter on
14 his letterhead that he sent to you.

15 A. I don't recall there being one.

16 Q. In any event, doctor, you were
17 satisfied based upon the clearance that you
18 received from Dr. Dickerson that Mr. Edwards was
19 an appropriate surgical candidate; true?

20 A. Yes.

21 Q. I noted in your records that you have
22 lab work from Huron Road Hospital. I think it
23 was December 6th, lab work.

24 A. When you say December 6th, do you
25 mean December 6th was the day when I initialed

1 it or the date --

2 Q. The date that the lab work was done.

3 A. Okay, yes.

4 Q. And at that time, his lab work, at
5 least relative to the hematology, the hemoglobin
6 and the hematocrit were within normal limits;
7 correct?

8 A. Yes.

9 Q. And he was not anemic at that time;
10 correct?

11 A. No.

12 Q. Were you aware of any history, either
13 on an acute or chronic basis, of Mr. Edwards
14 being anemic?

15 A. No.

16 Q. Let's talk about the surgery itself.
17 First, you actually did two surgeries when he
18 was in the hospital at Huron Road; correct?

19 A. First I biopsied him and then I
20 operated on his neck, yes.

21 Q. Were you involved in inserting the
22 peg tube?

23 A. No.

24 Q. So that was done by general surgery?

25 A. That was done by a gastroenterologist.

1 Q. And that was for purposes of
2 post-nutritional care after you performed your
3 oral surgery; right?

4 A. Right.

5 Q. Obviously, with the nature of the
6 surgery that you were going to be doing, he was
7 going to need to have some type of
8 hyperalimentation or feeding, and it wasn't
9 going to be oral?

10 A. Right.

11 Q. That went fine in terms of the peg
12 tube placement; no complications that you are
13 aware of?

14 A. As far as I'm aware.

15 Q. How many days after the peg tube was
16 your surgery done?

17 A. I don't recall exactly. It would
18 have been one or two days. Dr. Okafor's
19 progress note, I think. That would have been on
20 the 25th. Right, it would have been a day
21 before.

22 Q. You were assisted by a resident at
23 the time of your surgery?

24 A. Yes.

25 Q. Who was the resident?

1 A. Dr. Ahmed.

2 Q. Is Dr. Ahmed still with you?

3 A. He was never with me.

4 Q. Let me rephrase that. Is Dr. Ahmed

5 still in his residency through Huron Road?

6 A. No, he is not.

7 Q. Where is he now?

8 A. He is an attending at Huron at this

9 time.

10 Q. What year was he in his residency at

11 the time?

12 A. He was in his last year.

13 Q. Would that have been --

14 A. That's his chief residency. That

15 would have been his fifth year.

16 Q. There is reference to a Dr. Nimeri,

17 also.

18 A. Yes.

19 Q. Who is Dr. Nimeri?

20 A. A junior resident.

21 MR. LENSON: In surgery?

22 THE WITNESS: Yes.

23 Q. So Dr. Ahmed would have been the

24 senior resident in your department?

25 A. Yes.

1 Q. And then Dr. Nimeri would have been
2 the junior resident?

3 A. Yes.

4 Q. There is also reference to -- and
5 I'm just trying to get the players, if you will,
6 the names of the people. There is another
7 resident that's referenced, and I'll just show
8 you a page from the record. Perhaps you can
9 tell me who that resident is. You can see by
10 the highlighted language, the lower left-hand
11 corner, there is a name identified with a 7149.
12 Do you know who that is?

13 A. I do not recognize the signature.
14 But I suspect this is Dr. Ahmed's handwriting.

15 (Recess had.)

16 Q. Doctor, before I continue to move on
17 through the chart, I failed to ask you whether
18 or not you reviewed any medical literature at
19 all prior to coming here today.

20 A. No.

21 Q. Have you reviewed any medical
22 literature at all since this lawsuit has been
23 filed?

24 A. No.

25 Q. Is there any medical literature that

1 you deem to be reasonably reliable as it relates
2 to the issues that are involved in this type of
3 surgery and the postsurgical management of this
4 type of a patient?

5 MS. SEACRIST: Objection. You can
6 answer, doctor.

7 A. There are a number of medical
8 publications that deal with the subject. The
9 Archives of Otolaryngology, JAMA, Journal of the
10 American Medical Association, the Archives, the
11 Journal of Otolaryngology, Head and Neck Surgery
12 Society. There are a number of them. Clinics
13 of North America. A number of them.

14 Q. Any that you looked at specifically
15 with regard to matters that would be relevant to
16 this case?

17 A. I have not reviewed any.

18 Q. So as you sit here right now, there
19 is nothing that you can cite me to that you
20 would deem to be authoritative in the area of
21 head and neck surgery that you reasonably rely
22 on on a day-to-day basis; is that correct?

23 MS. SEACRIST: Objection. Go ahead
24 and answer.

25 A. I don't rely on anything on a

1 day-to-day basis. I read medical journals as I
2 need to.

3 Q. Have you read anything that would be
4 specific or even relevant to any of the issues
5 that you believe relate to this case?

6 A. Have I ever read anything or have I
7 read anything since this case happened?

8 Q. Since this case happened.

9 A. No.

10 Q. Tell me, what is the overall
11 prognosis for the squamous cell carcinoma that
12 Mr. Edwards had?

13 A. What do you mean by overall
14 prognosis? Are you talking about the chance of
15 cure?

16 Q. Right.

17 A. Are you talking about survival?

18 Q. Morbidity and mortality.

19 MR. LENSON: I'm going to object
20 because the pathology is moderately to poorly
21 differentiated squamous cell carcinoma, and I
22 don't think you gave the doctor that. If you
23 are going to ask him for a diagnosis, give him
24 what the actual pathology was.

25 Q. What did you believe to be the

1 overall prognosis for this patient based upon
2 the results of your surgery and the pathology
3 that you received following the surgery?

4 A. That is a difficult question to
5 answer, because the patient had severe -- well,
6 he had significant cardiac disease. And I am
7 not able to make a judgment of his prognosis
8 taking that into consideration.

9 Q. Okay.

10 A. Absent his cardiac condition, the
11 chance of curing a cancer such as this patient
12 had would be better than 50 percent, especially
13 if you combine radiation with it afterwards.

14 Q. His cancer did not involve any
15 distal metastases?

16 A. Not that we know of.

17 Q. And that's a good sign from a
18 prognostic standpoint; true?

19 A. Yes.

20 Q. He had apparently only one node
21 involved out of 18?

22 A. That's what the pathologist reported,
23 yes.

24 Q. And that's another good prognostic
25 factor; true?

1 A. Yes.

2 Q. Is there anything that you saw from
3 your expertise reflected in the autopsy that
4 would cause you to believe that his life
5 expectancy would have been substantially reduced
6 based upon the oral cavity cancer that he had?

7 A. I need to hear that question again.

8 Q. A moment ago you told me about
9 prognostic factors and what you believe to be
10 the likelihood of cure and the likelihood of him
11 surviving this cancer. My question was sort of
12 a corollary. Based upon the results of the
13 autopsy, and limited to your expertise as an
14 otolaryngologist, was there anything that you
15 saw in the autopsy that would alter your opinion
16 on his likelihood of survival other than what
17 you have already told me?

18 MS. SEACRIST: Note an objection. It
19 may have been some time since he looked at this.
20 May he look at this for the purpose of your
21 question?

22 MR. MISHKIND: Certainly. I assumed
23 he had reviewed it.

24 Q. You have seen it at some time?

25 A. I did see it some time, yes.

1 You need to ask me the question
2 again.

3 Q. Not a problem, doctor. You told me
4 before, based upon this cancer and the
5 pathology, you told me that you felt that the
6 patient, aside from any cardiac issues, had
7 better than 50 percent likelihood of survival;
8 true?

9 A. That's what I believe, yes.

10 Q. And I guess my question to you is,
11 based upon the autopsy results, do you have an
12 opinion as to whether the patient's life
13 expectancy would have been reduced based upon
14 the oral cancer?

15 MS. SEACRIST: Objection. Is this
16 again taking the cardiac issue out of the
17 question?

18 MR. MISHKIND: Yes.

19 A. No. I do not think that the cancer
20 alone would have reduced his life expectancy any
21 more than his 50 percent chance, as I mentioned
22 earlier.

23 Q. I think you told me that he had
24 greater than a 50 percent likelihood of
25 survival?

1 A. I think he had a better than 50
2 percent. Whether 80 or 60, I'm not able to say
3 at this point, but I think he had better than a
4 50 percent chance of surviving at least five
5 years, if I could have continued the treatment.
6 Surgery was just the first part of the
7 treatment. We had planned radiation afterwards.

8 Q. And that leads me to my next
9 question. Quality of life.

10 How debilitating and -- I'll just
11 leave it at that. How debilitating would the
12 radiation treatment that he likely would have
13 required to maximize his life expectancy have
14 been?

15 A. It's varies a lot from patient to
16 patient. One is not able to make a
17 determination just by saying radiation is going
18 to do this. Some patients do fairly well with
19 radiation and some patients don't.

20 But in any event, any debility that
21 he might have had would likely have been
22 temporary during the course of the radiation and
23 for some time thereafter.

24 There are some patients who have
25 chronic pain as a result of radiation therapy

1 and the pain goes on for a long time, and some
2 patients that go through the entire radiation
3 course and they don't stop eating.

4 Q. Statistically, do most patients fair
5 well with the radiation therapy following this
6 type of cancer surgery?

7 MS. SEACRIST: Objection. You can
8 answer, doctor.

9 A. More patients do well than don't do
10 well.

11 Q. There is a reference to a doctor -- I
12 will spell the name because I'm going to butcher
13 it -- K-U-I-V-I-N-E-N.

14 A. Dr. Kuivinen.

15 Q. Who is Dr. Kuivinen?

16 A. He was one of the surgical residents.
17 He was a junior resident at the time.

18 Q. So he was the same level as -- I
19 forgot the other doctor's name.

20 A. Dr. Nimeri.

21 Q. Right.

22 A. I don't think so. I think
23 Dr. Kuivinen was more advanced than Dr. Nimeri.

24 Q. Do you know where Dr. Kuivinen is
25 located now?

1 A. I do not know.

2 Q. At Huron Road?

3 A. He is not at Huron. He has graduated
4 and I don't know what he is doing.

5 Q. Mr. Edwards was admitted to the ICU
6 -- and please feel free to look at the
7 records -- on January 26th, I believe?

8 A. Yes.

9 Q. And then he was transferred from the
10 ICU to telemetry on the 28th; correct?

11 A. Yes.

12 Q. To try to save some time, I'm going
13 to ask you a couple questions, just in sort of a
14 global manner.

15 Who was the attending to the patient
16 while he was in the intensive care unit from the
17 26th to the 28th?

18 A. I was.

19 Q. And you had one or more of these
20 residents assisting you during the day and
21 perhaps during the evening?

22 A. Yes.

23 Q. How did the patient progress? How
24 did Mr. Edwards progress from the 26th up to the
25 time that a decision was made to transfer him on

1 the 28th?

2 A. Remarkably well.

3 Q. You were satisfied with his progress?

4 A. Very satisfied.

5 Q. Whose decision was it to transfer the
6 patient from the ICU to telemetry?

7 A. It was my decision.

8 Q. And when was that decision made?

9 A. I think it was on the 28th.

10 Q. Doctor, there are a number of orders
11 written. I'm going to show you, just to save
12 some time, a sheet of orders dated January 28th,
13 2000, at 9:00 a.m. Do you see those orders?

14 A. Yes.

15 Q. Whose orders were they?

16 A. They were my orders. They weren't
17 written by me, but they were my orders.

18 Q. And one of your orders included
19 transfusing the patient, two units of packed red
20 blood cells, each unit over a four hour period?

21 A. Yes.

22 Q. I take it that order was based upon
23 the patient's hemoglobin and hematocrit that had
24 gotten worse following the surgery?

25 A. Yes.

1 MS. SEACRIST: Objection to gotten
2 worse.

3 Q. I'm going to hand you, doctor, just
4 to save some time, a copy of the hematology
5 results. And I think that reflects both the
6 pre-op -- or let me just leave it -- from
7 January 24th through January 28th.

8 A. Yes.

9 Q. And on January 28th, his hemoglobin
10 was 8.8 and hematocrit was 25.9; correct?

11 A. Yes.

12 Q. And it had drifted lower each day;
13 correct?

14 MS. SEACRIST: I'm going to note an
15 objection to this exhibit, this cumulative
16 chart, because I don't believe that those are
17 created contemporaneously, while the patient is
18 admitted. That's the basis of my objection, but
19 you can answer.

20 Q. You knew on January 28th that his
21 hemoglobin was 8.8 and his hematocrit was 25.9?

22 A. Yes.

23 Q. And you knew on the 27th that the
24 hemoglobin was 9.1 and the hematocrit was 27.7?

25 A. Yes.

1 Q. And again, on January 26th, you knew
2 the hemoglobin was 9.6 and the hematocrit was
3 28.4?

4 A. Yes.

5 Q. And just to be overly complete, you
6 knew on January 26th that his hemoglobin was
7 10.4 and hematocrit was 31.1?

8 MR. LENSON: You said the 26th. Do
9 you mean the 25th?

10 MR. MISHKIND: There were two
11 readings on the 26th.

12 A. Yes, I was aware.

13 Q. And the 24th shows a hemoglobin of
14 13.5 and a hematocrit of 40.9; correct?

15 A. Yes.

16 Q. What caused this patient's hemoglobin
17 and hematocrit to drop?

18 A. Whenever you operate on someone, you
19 lose some blood. But also, there is a
20 dilutional effect from the fluid that's given to
21 the patient during surgery. And I believe that
22 was reflected in the lower numbers for the
23 hemoglobin and hematocrit.

24 Q. Why on the 28th did you give an order
25 to transfuse the patient?

1 A. He had been out of surgery for a
2 couple days. He had been diuresed, which means
3 we had tried to get some of the fluid off him,
4 and the hemoglobin was still low, so we thought
5 it would be wise to increase the oxygen carrying
6 capacity of the blood, which is what hemoglobin
7 does.

8 Q. What concerns did you have with
9 regard to the hemoglobin by way of any potential
10 problems that might happen if he was not
11 transfused?

12 MS. SEACRIST: Objection.

13 A. Healing is affected when your body is
14 not carrying around enough oxygen like it used
15 to. That is one concern. It is not good to
16 have someone with a low hemoglobin like that if
17 you can help it. Patients are accustomed to
18 having a higher hemoglobin, so you want to make
19 sure that it is returned to at least closer to
20 normal.

21 Q. Doctor, this would be Dr. Ahmed that
22 wrote the order, but it would have been pursuant
23 to your instructions; correct?

24 A. Yes.

25 Q. And certainly, you agreed with the

1 plan to transfuse the patient; correct?

2 A. Yes.

3 Q. Doctor, I don't mean to jump around
4 in the chart, but in the discharge summary, that
5 was prepared by Dr. Nimeri and also has your
6 name on it --

7 A. Yes.

8 Q. -- it indicates that the patient was
9 transfused with two units of blood, as his
10 H and H was 8.8 and 25.9, which was a drop from
11 9.2 and 27.7. Do you see that?

12 A. Yes.

13 Q. That's not correct, is it?

14 MR. LENSON: What's not correct?

15 MR. MISHKIND: That he was
16 transfused.

17 A. I don't think the record reflects
18 that he was actually given the transfusion.

19 Q. The intent was for him to be
20 transfused?

21 A. Yes.

22 Q. But where it says he was transfused,
23 that is not accurate?

24 A. I don't think it is.

25 Q. And the only reason I ask you, I'm

1 trying to figure out whether there is something
2 that I have missed that would suggest that he
3 was transfused, and this discharge summary
4 caused me to at least question that in my own
5 mind.

6 A. I don't think he was transfused.

7 Q. The order was taken off by a nurse
8 from the physician's orders; correct?

9 A. It appears so, yes.

10 Q. And you then, I take it, rely on the
11 nurses to implement your orders.

12 MS. REID: Objection.

13 A. Yes.

14 Q. Is there any indication that your
15 order or Dr. Ahmed's order was countermanded or
16 was withdrawn or changed?

17 A. No.

18 Q. Do you have any explanation in this
19 case why the patient was not transfused?

20 A. I do not have an explanation.

21 Q. Did you expect that the patient
22 should have been transfused on January 28th,
23 2000?

24 A. Yes. You expect it to happen that
25 day.

1 Q. And the responsibility for doing the
2 type and crossmatch on the blood, was that
3 nursing?

4 A. Yes.

5 Q. And then making sure that the blood
6 comes up from the blood bank and then is hung,
7 that's a nursing responsibility, as well; true?

8 A. Yes.

9 Q. And you don't see any evidence in the
10 record that the patient was typed and
11 crossmatched or the blood was administered;
12 correct?

13 A. I don't see any evidence that that
14 was done.

15 Q. You rely on nurses to take care of
16 all of those aspects; correct?

17 MS. REID: Objection.

18 A. Yes. I rely on the nurses to carry
19 out the orders that I write.

20 Q. Did you see the patient at all later
21 on on the 28th?

22 A. Yes.

23 Q. Did you make any note at all on the
24 28th acknowledging that an order that had been
25 taken off that morning had not been implemented?

1 A. I did not write that, no, but I made
2 a note of condition.

3 Q. What was your note to his clinical
4 condition?

5 A. That he was doing quite well.

6 Q. That doesn't mean that you didn't
7 want the patient transfused; correct?

8 A. No.

9 Q. You expected that when that order was
10 given and taken off at 9:00 a.m. that it was
11 going to be followed through; correct?

12 A. I expected that the order would be
13 carried out, yes.

14 Q. Can you tell by looking at this note
15 which nurse it was that took the order off?

16 A. It appears that the person who signed
17 off on it was named C. Welden, and the time was
18 11:55.

19 Q. And do you know who that nurse is?

20 A. I don't know. I cannot put a face to
21 that name.

22 Q. Do you have a recollection of ever
23 discussing with this nurse or with any of the
24 nursing staff why it is that an order that had
25 been given and taken off was not implemented?

1 A. You mean this specific order?

2 Q. Yes, sir.

3 A. No.

4 Q. Do you know of any reason from what
5 was going on on the 28th that would have
6 prohibited the nursing staff from having
7 administered two units of blood to Mr. Edwards
8 at some time during the day?

9 A. No.

10 Q. So is it fair to say that had the
11 nurses done what you had asked them to do,
12 Mr. Edwards should have received two units of
13 blood?

14 A. At sometime during the next several
15 hours, 24 hours or so, I would expect it to be
16 carried out, yes.

17 Q. Absent that, would you agree that the
18 failure to comply with your order would not be
19 reasonable and acceptable nursing care?

20 MS. REID: Objection.

21 MS. SEACRIST: Objection.

22 A. I wouldn't go that far. I do not
23 know what mechanisms have to take place before
24 blood can be transfused. I don't know whether
25 the blood is in the hospital or it has to be

1 obtained. So if it were not given by the
2 following day, I would say to someone, explain
3 to me what is happening.

4 Q. Do you know how long it takes to type
5 and crossmatch a patient?

6 A. That I don't know.

7 Q. Do you know what his blood type was?

8 A. No.

9 Q. Assuming his blood type wasn't
10 something so bizarre that we had to go places
11 outside of the hospital, do you know how long
12 the normal time period is, absent some other
13 emergency, to be able to type and crossmatch and
14 to get packed red blood cells hung and started?

15 A. I don't know that there is any
16 specific time that it requires to do all that.

17 Q. In your order you said that you
18 wanted each unit hung or each unit over four
19 hours; correct?

20 A. Yes.

21 Q. You didn't indicate that you wanted
22 the two units of packed red blood cells each
23 unit to be given over the next 24 hours;
24 correct?

25 MS. SEACRIST: Objection.

1 A. Right.

2 Q. Correct me if I am wrong, what you
3 expected and what you were saying to the nurses
4 is that you wanted two units of packed red blood
5 cells, each unit to be administered over a four
6 hour period; correct?

7 A. Yes.

8 Q. Thus, over eight hours, the two
9 units --

10 A. Would be given.

11 Q. -- would be given?

12 A. Yes.

13 Q. And clearly in this case, they were
14 not given; correct?

15 MS. REID: Objection.

16 A. I don't see any record -- I don't see
17 any indication in the record anywhere.

18 Q. Again, you have no explanation that
19 would explain why the nurses didn't do what you
20 asked them to do; true?

21 A. I don't.

22 Q. Dr. Dickerson had a resident by the
23 name of Dr. Labes. I think Sylvia is her first
24 name. Do you recall?

25 A. I don't know who Dr. Dickerson's

1 resident was at the time.

2 Q. There is a note on the 28th,
3 cardiology resident indicating that -- I'll show
4 it to you to save some time. It refers to,
5 under number two, the patient's anemia.

6 A. Yes.

7 Q. And it indicates, where it references
8 transfusion, that the cardiologist wanted to
9 make sure that the patient was not given any
10 type of an overload; correct?

11 A. Yes.

12 Q. And that would be standard practice
13 when a transfusion is given, just to make sure
14 that the patient is appropriately diuresed;
15 correct?

16 A. Well, in this case, it was a
17 consideration because the patient had a cardiac
18 history and we did not want to overload him. He
19 had had surgery two days before, and although we
20 had diuresed him, there was still some more
21 fluid in him. He had more in than had come out.
22 And when you have a patient like that, you want
23 to be careful about how much fluid you are
24 giving them, and this was the consideration.

25 Q. And that would certainly be a

1 reasonable thing to do while the patient is
2 receiving the transfusion, is to diurese the
3 patient to avoid any congestive heart failure?

4 A. Yes.

5 Q. But in any event, the patient never
6 received the blood, so there was no need to
7 diurese the patient any further to avoid any
8 congestive heart failure; true?

9 A. I don't know that there wasn't any
10 reason to not diurese the patient further. But
11 if we were going to give the patient blood, then
12 we would want to diurese them.

13 Q. Did you see the patient in the
14 afternoon on January 28th?

15 A. I did.

16 Q. And you then should have been aware
17 that the order given in the morning had not been
18 followed through on in terms of the blood;
19 right?

20 A. Yes.

21 Q. Do you remember being aware of that
22 order?

23 A. No, I don't remember. I don't recall
24 not being aware of it.

25 Q. If you had been aware of it on the

1 28th, and that the order had been taken off but
2 not implemented, what would you have done?

3 MS. SEACRIST: Objection.

4 A. I don't know that I would have done
5 anything at that time. I would probably have
6 waited a while longer to make sure that
7 everything was okay.

8 The patient, when I saw him, he had
9 been transferred from the ICU to the telemetry
10 unit, and he had just gotten to the telemetry
11 unit when I saw him. And I don't know what they
12 had to do and how they were prioritizing the
13 orders, so I don't think I would have done
14 anything at that point in time when I saw him at
15 1:00 o'clock that afternoon.

16 Q. That answers my question. So you saw
17 him shortly after his transfer?

18 A. Yes.

19 Q. And at that point, I think there
20 probably was one rhythm strip that would have
21 been available?

22 A. May I see it? This rhythm strip was
23 done at 11:24.

24 MR. LENSON: a.m.?

25 THE WITNESS: a.m. on the 28th.

1 A. I'm not sure if this rhythm strip was
2 done on the floor or in the ICU.

3 Q. Okay.

4 A. I do not know exactly what time the
5 patient went to the telemetry unit, and I do not
6 know exactly what time he left the ICU.

7 Q. If, in fact, this was a rhythm strip
8 that was done on the telemetry floor, would this
9 rhythm strip have been available to you if you
10 saw the patient at or around 12:00 or 1:00
11 o'clock that afternoon?

12 A. Yes, it would have been available to
13 me.

14 Q. On the telemetry floor, do you know
15 how frequently or how often per shift a strip
16 from the telemetry unit is to be placed in the
17 patient's chart?

18 A. No, I don't know.

19 Q. When did you last see the patient
20 before the patient coded?

21 A. It was that afternoon around 1:00
22 o'clock in the afternoon.

23 Q. Did you then go back to your office,
24 perhaps?

25 A. Yes.

1 Q. And then did you get a call in the
2 middle of the night that Mr. Edwards was found
3 unresponsive?

4 A. Yes.

5 Q. And you came back to the hospital?

6 A. Yes.

7 Q. Had he already been pronounced?

8 A. Yes.

9 Q. Who was responsible for the medical
10 management of the patient on the telemetry unit
11 that afternoon between 1:00 o'clock or so on the
12 28th, up until the time of his death?

13 A. I consulted Dr. Dickerson, because he
14 knew the patient; he was a cardiologist. And in
15 fact, the day when I transferred him, I
16 discussed that with him and he said make sure
17 you put him in a telemetry unit.

18 So when you say the medical
19 management of the patient, I understand that to
20 mean medical things as opposed to surgical
21 things. Cardiological things, I expect him to
22 be aware of those things.

23 Q. Would it be fair to say that you and
24 Dr. Dickerson were jointly responsible for the
25 care of Mr. Edwards the afternoon and evening of

1 January 28th, 2000?

2 MR. LENSON: Objection.

3 A. He was responsible for some things, I
4 would think, because I consulted him to do that.

5 Q. And you were responsible for some
6 things, as well; correct?

7 A. Yes.

8 Q. If a problem arose during the night
9 with Mr. Edwards' condition, who would the
10 nursing staff contact; you or Dr. Dickerson?

11 A. Their first contact would have
12 probably been the surgical resident, who would
13 then contact me, or he may contact the
14 cardiology resident and contact me, depending on
15 what the problem was.

16 Q. Is it fair to say that because both
17 you and Dr. Dickerson were jointly responsible
18 for the care of the patient that the nursing
19 staff could contact either one or both of you?

20 MR. LENSON: I'm going to object to
21 jointly responsible. Go ahead.

22 A. I would expect them to call
23 Dr. Dickerson if there was any arrhythmia or
24 something that is cardiological.

25 Q. Do you know who Nurse L. Nance is?

1 A. No.

2 Q. I'm going to show you a note which I
3 believe you wrote after Mr. Edwards died.
4 First, that is a note dated January 29th at 2:45
5 a.m.; true?

6 A. Right.

7 Q. And is that your handwriting?

8 A. That is my handwriting.

9 Q. Just so I don't misinterpret your
10 handwriting, although it's a lot better than my
11 handwriting, and a lot better than some doctor's
12 handwriting, would you read into the record what
13 you have written there?

14 A. I wrote informed of patient's demise.
15 Came in to discuss case with resident and with
16 family. Dr. Dickerson was called and is aware.
17 Family understandably upset. No nurse's note
18 recorded on patient after 5:00 p.m., 12-28-00.
19 No telemetry strip recorded during 3:00 to 11:00
20 shift. Apparently patient's EKG strip noted on
21 monitor to be flat. Code was called. Resident
22 responded but resuscitation was not successful.
23 Will get post and then I signed it.

24 Q. First question is, why did you
25 prepare that note?

1 A. I just wrote my impressions.

2 MS. SEACRIST: Objection.

3 Q. Should nurse's notes have been
4 recorded on this patient after 5:00 p.m. on
5 December 28th, 2000?

6 MS. REID: Objection.

7 Q. I'm sorry, actually it's not
8 December, it's January. I think you have marked
9 down December 28th, but I think you meant
10 January 28th.

11 A. Oh, yes, I did say 12-28, but it's
12 actually January 28th.

13 Q. We knew what you meant.

14 A. I think nurse's notes should have
15 been recorded, yes. I would expect them to be.

16 Q. And no telemetry strips were recorded
17 during the 3:00 to 11:00 shift. Would you have
18 expected telemetry to be recorded during the
19 3:00 to 11:00 shift?

20 MS. REID: Objection.

21 A. Yes.

22 Q. Do you know of any reason in this
23 case why they weren't recorded?

24 A. Actually, I asked the nurse in charge
25 that night and the answer that I got was that

1 after the patient coded, and they took him off
2 the monitor, that the memory of the telemetry
3 was erased. So there were no strips. I really
4 did not get a very good answer about why there
5 were no strips on the patient.

6 Q. When someone codes or has some type
7 of an abnormal arrhythmia, the telemetry unit is
8 set up to, for lack of better terminology, spit
9 out a strip at that particular time; correct?

10 A. That is my understanding, yes.

11 Q. And your understanding in this case
12 is that no such strip was generated at the time
13 that the patient became unresponsive; true?

14 A. True.

15 Q. And there should have been a strip if
16 the monitor was working properly at the time
17 that the patient became unresponsive; true?

18 MS. SEACRIST: Objection.

19 A. I would have expected there to be
20 one.

21 Q. And no one has ever given you a
22 memory chip problem as the explanation?

23 A. The explanation was that the memory
24 was erased. That's what I recall. I didn't
25 write that down there, but that's what I recall

1 hearing.

2 Q. That's not normal operating procedure
3 at Huron Road Hospital, is it?

4 MS. REID: Objection.

5 Q. I don't mean it in a facetious
6 manner, but that's not something that normally
7 happens at this hospital?

8 MS. SEACRIST: Note my objection.

9 A. I don't know what normally happens.
10 I just know when I ask for something, I usually
11 get it.

12 Q. And did anyone explain to you why
13 during the 3:00 to 11:00 shift there weren't any
14 rhythm strips that had been placed in the
15 patient's chart similar to the one that we have
16 at 11:24 a.m. on January 24th?

17 A. No one explained to me, no.

18 Q. Family understandably upset. Do you
19 remember any of what the daughter or any other
20 family member said or was saying at the time
21 that you arrived?

22 A. Well, they asked me what happened,
23 and I told them what I knew. I cannot remember
24 specific words that said this one said this or
25 that. I don't remember specific words.

1 Q. Tell me if you recall learning either
2 from the nurses or from the family that the
3 hospital on the floor on that evening was short
4 staffed?

5 MS. REID: Objection.

6 MS. SEACRIST: Objection.

7 A. I don't recall hearing that, no.

8 Q. Do you remember a nurse by the last
9 name of Bagi being there at or around the time
10 that you arrived?

11 A. I don't remember that name, no.

12 Q. Did anyone ever explain to you how
13 long Mr. Edwards had been unresponsive prior to
14 being discovered in his room?

15 A. No.

16 Q. Which resident was this that
17 responded but was unsuccessful with the
18 resuscitation?

19 A. That was Dr. Kuivinen.

20 Q. Did you ever talk with Dr. Kuivinen
21 at all about his observations that evening?

22 A. Yes, I did talk with him about it.

23 Q. Tell me.

24 A. I recall him saying that when he got
25 to the floor to see the patient, the patient was

1 cold.

2 MR. LENSON: C-O-L-D?

3 A. Cold. And that his pupils were fixed
4 and dilated, and that he got no response with
5 anything he tried in terms of resuscitation.
6 That's what I recall him saying to me.

7 Q. Did he give you any indication based
8 upon his body temperature or his pupils being
9 fixed and dilated as to how long he felt he
10 likely was unconscious when he discovered him?

11 MS. REID: Objection.

12 MS. SEACRIST: Objection.

13 A. No, he didn't.

14 Q. Did anyone ever indicate to you in
15 any discussions that you had at the hospital how
16 long Mr. Edwards likely had been unconscious
17 without anyone discovering him?

18 MS. REID: Objection.

19 A. No.

20 Q. Again, it's my understanding that if
21 a patient loses consciousness or has any type of
22 an abnormal arrhythmia, that if they are hooked
23 up to the telemetry unit on this floor, that
24 there is a mechanism that the information is
25 conveyed to the nursing station?

1 A. Usually there is a beep. The unit
2 makes a noise.

3 Q. And what's the purpose of that?

4 A. It's an alarm, to alert the nurses
5 that something is wrong.

6 Q. And then whose responsibility is it
7 to respond to those alarms?

8 A. I would think it would be the nurse
9 taking care of the patient. I don't know what
10 policies the hospital has for that particular
11 floor; whether they have someone especially just
12 looking at a monitor or whether it was each
13 nurse's responsibility, I don't know.

14 Q. No one has ever given you a good
15 explanation for why -- strike that.

16 Did anyone ever indicate to you that
17 an alarm sounded on Mr. Edwards?

18 A. No.

19 Q. Were you, in fact, advised that
20 Mr. Edwards wasn't even hooked up to the
21 telemetry unit at the time that he became
22 unresponsive?

23 MS. REID: Objection.

24 A. No one told me that, no.

25 Q. Now, you told me before that

1 Dr. Dickerson told you that he felt that the
2 patient suffered an MI?

3 A. Yes.

4 Q. Myocardial infarction?

5 A. Yes.

6 Q. Did he express that to you before or
7 after you became aware of the information that
8 we have just talked about in terms of there not
9 being any notes during the evening; that the
10 resident found Mr. Edwards with fixed and
11 dilated pupils?

12 A. I did not know what he knew when he
13 said that to me.

14 Q. Did he ever indicate to you whether
15 Mr. Edwards likely would have survived, assuming
16 this was an MI, had he been on a monitor at the
17 time that he suffered any type of electrical
18 disturbance?

19 MS. REID: Objection.

20 A. I don't remember Dr. Dickerson saying
21 anything about that to me.

22 Q. And I take it that would be outside
23 of your area of expertise?

24 A. Yes.

25 Q. I'm going to show you another note

1 and ask you if you can tell me who this is. The
2 highlighted language, highlighted name.

3 A. I cannot put a face to that name, no.

4 MS. REID: Can I see what you are
5 showing him, Howard?

6 MR. LENSON: Do you know the name,
7 though?

8 MR. MISHKIND: It looks like the
9 first name is Nadir.

10 MS. REID: I don't know who that is,
11 no.

12 MR. LENSON: Zitoni.

13 A. May I see that again, please? Well,
14 I know he is a medical resident because he said
15 it up top. He said medical resident on call.
16 So that's what he is. He is a medical resident.

17 Q. But as to who he is specifically, you
18 have no personal knowledge of him?

19 A. No.

20 Q. Other than his identification?

21 A. Right.

22 Q. You don't have any recollection of
23 having any discussion with him; is that correct?

24 A. No. Right. That is correct.

25 Q. Now, in his note, he says the

1 attending was called by the surgical resident
2 who decided to call it off and was announced
3 dead at 1:00 a.m.

4 The surgical resident that decided to
5 call it off, meaning the CPR, that surgical
6 resident would have been whom?

7 A. Dr. Kuivinen.

8 Q. After this death occurred, did you
9 ever talk with any of the nurses following the
10 death to determine why Mr. Edwards was found
11 unresponsive when he was on a telemetry unit
12 floor?

13 A. I remember asking a nurse manager,
14 nursing supervisor to look into it, but I cannot
15 recall who it was I spoke to. And I don't
16 remember her getting back with me about what
17 happened. But I do remember after the event
18 making a contact with a nurse manager and
19 letting her know that something happened here
20 and I would like to know what happened.

21 Q. And I take it you never got a
22 satisfactory answer from the nurse manager?

23 MS. REID: Objection.

24 MS. SEACRIST: Objection.

25 A. I don't remember someone getting back

1 to me about it.

2 Q. So whether the answer was
3 satisfactory or not, you just never got an
4 answer, period?

5 A. That's my recollection. I wish I
6 could recall who it was that I spoke to, but I
7 don't.

8 Q. What about any physicians, residents,
9 Dr. Dickerson, did you talk with them to try to
10 determine how this could have happened at Huron
11 Road Hospital when a patient is supposed to be
12 monitored on a telemetry floor?

13 MS. REID: Objection.

14 MS. SEACRIST: Objection.

15 A. I don't remember having any specific
16 discussion about that with any residents, beyond
17 that night when the resident spoke to me about
18 it.

19 Q. The frequency of postsurgical nurses
20 placing telemetry strips on a patient's chart,
21 is that something that you are or are not
22 familiar with?

23 MS. REID: Objection.

24 A. I don't know how often they are
25 supposed to do it.

1 Q. Fair enough. And as to what
2 Mr. Edwards' cardiac rhythm was shortly before
3 his cardiac arrest, has anyone, Dr. Dickerson,
4 or any other physician given you any indication
5 as to what his likely rhythm was shortly before
6 he arrested?

7 A. No.

8 Q. In terms of the ultimate cause of
9 death -- actually a couple questions I have for
10 you on that.

11 One, with regard to Dr. Dickerson's
12 statement that he said to you he likely suffered
13 a heart attack, I take it with regard to that
14 issue you would defer to a cardiologist?

15 A. Yes.

16 Q. I will save you some time. In the
17 Coroner's verdict, under other conditions
18 leading to the patient's death, do you know what
19 it means when the Coroner indicates therapeutic
20 complication?

21 MS. SEACRIST: Objection.

22 MS. REID: Objection.

23 A. I don't know what he means, no.

24 Q. There is no question in your mind
25 that the patient's death was not caused by the

1 cancer; correct?

2 A. That's correct.

3 Q. Do you have any criticism of
4 Dr. Dickerson?

5 A. No.

6 Q. If this patient had been monitored
7 with notes recorded by the nursing staff during
8 the 3:00 to 11:00 shift, do you know what the
9 outcome in this case would have been?

10 MR. LENSON: Objection.

11 MS. REID: Objection.

12 A. I can't know.

13 Q. Has anyone ever expressed to you,
14 other than what Dr. Dickerson told you, any
15 opinions as to why this patient who was
16 seemingly doing well following your successful
17 surgery, who was transferred to the telemetry
18 unit, died roughly 24 hours after being
19 transferred to the telemetry unit?

20 A. Please repeat the question.

21 Q. Other than what Dr. Dickerson has
22 told you --

23 A. Other than what Dr. Dickerson said,
24 no one has ever said anything to me.

25 Q. What I want to find out sort of in a

1 global manner before I finish -- the drum roll
2 comes in at this point to let you know that I am
3 nearing the end -- I want to find out from you,
4 because you have been very helpful and I
5 appreciate you being candid with what you
6 remember, but I want to find out whether there
7 is anything else that you recall or anything
8 else that you learned at or around the time of
9 this incident concerning Mr. Edwards' care or
10 what took place leading up to him being found
11 unresponsive that we have not talked about
12 already?

13 MS. SEACRIST: Objection. You can
14 answer.

15 A. His daughter had been with him until
16 around 6:00 or 7:00 in the evening, and when she
17 left, she thought that he was looking fine. And
18 Dr. Ahmed had gone by and looked at him that
19 evening too. I don't know if he wrote a note,
20 but he had looked in on him, and he was doing
21 well. He had no complaints. And that would
22 have been 6:00 or 7:00 o'clock or so.

23 Q. Okay.

24 A. That's all I can think of.

25 Q. Even as late as 6:00 or 7:00 o'clock,

1 Dr. Ahmed had not gotten the blood that had been
2 ordered to be administered to the patient;
3 correct?

4 A. There is no indication that the
5 patient had the blood, so I'm sure he -- I don't
6 know whether he was aware or not.

7 Q. Anything else that you recall from
8 conversations with the daughter, with Dr. Ahmed,
9 with the nurses, that we have not talked about
10 already leading up to the time of his demise?

11 A. No, not that I can think of.

12 MR. MISHKIND: I thank you very much.
13 I have no further questions.

14 EXAMINATION OF JOEL O. D'HUE, M.D.

15 BY MR. LENSON:

16 Q. Doctor, I appreciate your indulgence.
17 I'll be very brief. My name is Murray Lenson
18 and I represent Dr. Dickerson.

19 I want to make sure I understand your
20 testimony. When the patient was transferred
21 from the ICU to the telemetry unit, you have
22 indicated that your impression would be a
23 co-management of the patient between yourself
24 and Dr. Dickerson; is that correct?

25 A. Yes.

1 Q. When he was transferred to the
2 telemetry unit, there was nothing that you
3 observed or in the chart which would suggest
4 that there was, other than his history, a
5 cardiac issue at that time; is that correct?

6 A. I'm not sure what you mean by a
7 cardiac issue. I did not transfer him until I
8 had discussed it with Dr. Dickerson.

9 Q. No, I understand that. But there was
10 nothing in your observation of the patient which
11 would suggest that there was any cardiac issue
12 at that time?

13 MS. SEACRIST: Acutely on January
14 28th?

15 MR. LENSON: Yes.

16 A. I am trying to clarify cardiac issue.
17 There wasn't anything new. Nothing acute on the
18 patient.

19 Q. That's what my question is. Other
20 than the history that he had had bypass surgery
21 and the fact that he was a cardiac patient,
22 there was nothing at that time of an acute
23 nature which would suggest that he was at risk
24 at that time; correct?

25 A. Correct.

1 Q. All right.

2 A. I wouldn't have transferred him if
3 there were.

4 Q. And the reason he was transferred out
5 of ICU is that, in your opinion, he no longer
6 required intensive care attention; correct?

7 A. Right.

8 Q. Now, in the telemetry unit, he would
9 still be monitored, but not to the extent that
10 he would have been in the ICU; is that correct?

11 A. He would have been monitored as much
12 as he was in the intensive care. He no longer
13 needed the intensive nursing care. All of his
14 tubes had been taken out, the Foley catheter was
15 gone, he was taking tube feedings.

16 Q. And he had been extubated; correct?

17 A. He had a trache in. He wasn't in any
18 pain. He was sitting up in a chair. So
19 clinically he had progressed to the point where
20 he did not need a nurse sitting there watching
21 him eight hours a day.

22 Q. If he would not have been a cardiac
23 patient, would he have been sent to a regular
24 floor?

25 A. I make judgment based upon how the

1 patient is doing, and I cannot make that
2 judgment now.

3 Q. Maybe it was inarticulately asked.

4 If this patient assumed the same
5 status as far as the surgical intervention, but
6 he was not a cardiac -- he didn't have a cardiac
7 history, what would your choice have been once
8 you determined that he no longer needed ICU
9 care?

10 MS. SEACRIST: Objection. I think he
11 already tried to answer that. He cannot answer
12 it.

13 A. I would have referred him to a floor.
14 I don't know that I would have insisted that he
15 go to a monitored floor.

16 Q. So one of the options would have
17 still been a telemetry floor; is that correct?

18 A. Yes.

19 Q. But you discussed the transfer of the
20 patient to telemetry with Dr. Dickerson before
21 you did transfer him; correct?

22 A. I did.

23 Q. It was your judgment that he was not
24 an appropriate candidate to be transferred from
25 ICU to another floor, and in discussing with

1 Dr. Dickerson, you determined it was most
2 appropriate to be sent to the telemetry unit; is
3 that a fair statement?

4 A. That is a fair statement.

5 Q. Doctor, I want to ask you a question.
6 Mr. Mishkind asked you for a prognosis. The
7 cancer which was eventually evaluated by the
8 pathologist was referred to as moderately to
9 poorly differentiated squamous cell carcinoma of
10 the tongue with invasion of the skeletal muscle;
11 correct?

12 A. Yes.

13 Q. And you have indicated in your
14 opinion that he probably enjoyed about more than
15 a 50 percent chance of cure. Is that correct?

16 A. Yes.

17 Q. And your definition of cure is five
18 years survival?

19 A. Five years without cancer, yes.

20 Q. Now, are you suggesting, and I want
21 to make sure, that cure means that he will not
22 succumb to the illness?

23 MR. MISHKIND: Objection.

24 A. What illness are you talking about?

25 Q. He will not succumb to cancer. Is

1 that what you mean by cure?

2 MR. MISHKIND: Objection.

3 A. Cure means that he would not succumb.
4 He would not have another one, another cancer of
5 that type in the upper aerotitis tract.

6 Q. And what is the five year then? That
7 he would survive five years?

8 A. That over the next five years, if we
9 remove this cancer, and the margins, the
10 surgical margins are clean, and we are able to
11 radiate him, and we follow him, we expect that
12 after five years we can say to him, you don't
13 have this cancer anymore.

14 Q. Does that consider also whether or
15 not there is distant microscopic metastatic
16 disease?

17 MS. SEACRIST: Objection. You can
18 answer.

19 A. Well, that is a part of the
20 consideration, whether or not he would.

21 Q. And that's something that you just
22 can't answer based upon the procedure that was
23 performed by you in the hospital; correct?

24 MR. MISHKIND: Objection.

25 Q. Is that correct?

1 A. That is correct.

2 Q. My understanding, though, also,
3 doctor, is that the decision for a transfusion
4 was based upon your determination that the
5 hemoglobin and hematocrit had gone down from the
6 time of the surgical procedure until the time
7 that you put in the order; is that correct?

8 A. Yes.

9 MR. LENSON: Thank you, doctor. I
10 have no further questions.

11 MS. REID: I don't have any
12 questions, doctor.

13 MR. MISHKIND: Nothing further.

14 MS. SEACRIST: We will read it.

15 MR. MISHKIND: You can have 28 days.

16 - - - - -

17 (Deposition concluded at 6:15 p.m.)

18 (Signature not waived.)

19 - - - - -

20

21

22

23

24

25

1 AFFIDAVIT

2 I have read the foregoing transcript from
3 page 1 through 75 and note the following
4 corrections:

5 PAGE LINE REQUESTED CHANGE

6

7

8

9

10

11

12


13

14

15

16

17



JOEL O. D'HUE, M.D.

18

19

20 Subscribed and sworn to before me this 11th
21 day of April, 2002.

22

23 Notary Public 

24

25 My commission expires

ERICKA RENEE DeLANEY

Notary Public, State of Ohio, Cuy. Cty.

My Commission Expires Feb. 19, 2004

CERTIFICATE

State of Ohio,

SS:

County of Cuyahoga.

I, Vivian L. Gordon, a Notary Public within and for the State of Ohio, duly commissioned and qualified, do hereby certify that the within named JOEL O. D'HUE, M.D. was by me first duly sworn to testify to the truth, the whole truth and nothing but the truth in the cause aforesaid; that the testimony as above set forth was by me reduced to stenotypy, afterwards transcribed, and that the foregoing is a true and correct transcription of the testimony.

I do further certify that this deposition was taken at the time and place specified and was completed without adjournment; that I am not a relative or attorney for either party or otherwise interested in the event of this action. I am not, nor is the court reporting firm with which I am affiliated, under a contract as defined in Civil Rule 28 (D).

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my seal of office at Cleveland, Ohio, on this 7th day of March, 2002.



Vivian L. Gordon, Notary Public
Within and for the State of Ohio

My commission expires June 8, 2004.

1	INDEX	
2	EXAMINATION OF JOEL D'HUE, M.D.	
3	BY MR. MISHKIND.....	3:8
4	BY MR. LENSON:	69:15
5	Exhibit 1 was marked.....	4:3
6	Exhibit 2 was marked.....	13:7
7	Exhibit 3 was marked.....	14:15
8		
9		
10		
11		
12		
13		
14		
15		
16		
17		
18		
19		
20		
21		
22		
23		
24		
25		

<p>A</p> <p>ability 20:5</p> <p>able 5:4 20:15 32:7 35:2,16 47:13 74:10</p> <p>abnormal 57:7 60:22</p> <p>about 8:14 9:16,20 11:2,7 12:8,23 13:15 17:10,17,18 18:23 20:23 24:8 25:13 26:16 31:14 31:17 33:8 49:23 57:4 59:21,22 62:8,21 64:16 65:1,8,16,17 68:11 69:9 73:14 73:24</p> <p>above 1:23 77:11</p> <p>absent 32:10 46:17 47:12</p> <p>acceptable 46:19</p> <p>accurate 18:14 42:23</p> <p>accustomed 41:17</p> <p>acknowledging 44:24</p> <p>action 9:24 19:19 77:16</p> <p>actual 22:14 25:13 31:24</p> <p>actually 5:24 6:4 21:24 23:7 24:13 25:5 26:17 42:18 56:7,12,24 66:9</p> <p>acute 26:13 70:17 70:22</p> <p>Acutely 70:13</p> <p>adjournment 77:14</p> <p>administered 44:11 46:7 48:5 69:2</p> <p>admits 25:1</p> <p>admitted 22:14 24:24 25:5 37:5 39:18</p> <p>advanced 36:23</p> <p>advantages 19:17</p> <p>advised 18:9 61:19</p> <p>aerotitis 74:5</p> <p>affect 20:5</p> <p>affected 41:13</p> <p>AFFIDAVIT 76:1</p> <p>affiliated 77:16</p> <p>affixed 77:18</p>	<p>aforsaid 77:11</p> <p>after 8:19,20 10:21 10:25 11:21 13:16 20:12 22:9 27:2 27:15 51:17 55:3 55:18 56:4 57:1 62:7 64:8,17 67:18 74:12</p> <p>afternoon 50:14 51:15 52:11,21,22 53:11,25</p> <p>afterwards 20:17 32:13 35:7 77:11</p> <p>again 21:10 33:7 34:2,16 40:1 48:18 60:20 63:13</p> <p>against 3:17 6:25 7:16</p> <p>ago 9:15,16 17:2 33:8</p> <p>agree 46:17</p> <p>agreed 41:25</p> <p>agreement 1:19</p> <p>ahead 5:16 30:23 54:21</p> <p>Ahmed 28:1,2,4,23 41:21 68:18 69:1 69:8</p> <p>Ahmed's 29:14 43:15</p> <p>al 1:7</p> <p>alarm 61:4,17</p> <p>alarms 61:7</p> <p>alert 61:4</p> <p>alone 34:20</p> <p>already 33:17 53:7 68:12 69:10 72:11</p> <p>alter 33:15</p> <p>although 49:19 55:10</p> <p>America 30:13</p> <p>American 30:10</p> <p>anemia 49:5</p> <p>anemic 26:9,14</p> <p>announced 64:2</p> <p>another 29:6 32:24 62:25 72:25 74:4 74:4</p> <p>answer 21:15 30:6 30:24 32:5 36:8 39:19 56:25 57:4 64:22 65:2,4 68:14 72:11,11 74:18,22</p>	<p>answers 51:16</p> <p>anymore 74:13</p> <p>anyone 58:12 59:12 60:14,17 61:16 66:3 67:13</p> <p>anything 4:12,13 12:5 30:25 31:3,6 31:7 33:2,14 51:5 51:14 60:5 62:21 67:24 68:7,7 69:7 70:17</p> <p>anywhere 48:17</p> <p>apparently 32:20 55:20</p> <p>appear 14:23,25 21:2,10</p> <p>APPEARANCES 2:1</p> <p>appears 4:9 13:13 43:9 45:16</p> <p>applied 10:3</p> <p>appreciate 68:5 69:16</p> <p>appropriate 25:19 72:24 73:2</p> <p>appropriately 49:14</p> <p>Archives 30:9,10</p> <p>area 30:20 62:23</p> <p>arose 54:8</p> <p>around 41:14 42:3 52:10,21 59:9 68:8,16</p> <p>arrest 66:3</p> <p>arrested 66:6</p> <p>arrhythmia 54:23 57:7 60:22</p> <p>arrived 17:23 58:21 59:10</p> <p>aside 34:6</p> <p>asked 12:11 18:4 46:11 48:20 56:24 58:22 72:3 73:6</p> <p>asking 3:20 64:13</p> <p>aspects 44:16</p> <p>assessment 23:12</p> <p>assisted 27:22</p> <p>assisting 37:20</p> <p>associated 19:16</p> <p>Association 30:10</p> <p>assumed 33:22 72:4</p> <p>assuming 47:9 62:15</p> <p>assumption 11:10</p>	<p>attack 17:22 66:13</p> <p>attempts 5:14,17</p> <p>attending 28:8 37:15 64:1</p> <p>attention 71:6</p> <p>attorney 14:9,25 16:12,17 77:15</p> <p>authoritative 30:20</p> <p>autopsy 33:3,13,15 34:11</p> <p>available 51:21 52:9,12</p> <p>avoid 50:3,7</p> <p>aware 16:25 17:3 26:12 27:13,14 40:12 50:16,21,24 50:25 53:22 55:16 62:7 69:6</p> <p>a.m 38:13 45:10 51:24,25 55:5 58:16 64:3</p> <p>B</p> <p>back 9:1 20:9 24:12 52:23 53:5 64:16 64:25</p> <p>bad 19:21</p> <p>Bagi 59:9</p> <p>Baker's 11:16</p> <p>bank 44:6</p> <p>base 20:2</p> <p>based 22:4 25:17 32:1 33:6,12 34:4 34:11,13 38:22 60:7 71:25 74:22 75:4</p> <p>basis 24:1 26:13 30:22 31:1 39:18</p> <p>became 57:13,17 61:21 62:7</p> <p>Becker 2:3</p> <p>become 5:9</p> <p>becoming 5:11</p> <p>beep 61:1</p> <p>before 1:17 3:13,14 6:6 18:22 21:22 22:13 23:12 24:1 24:24 25:5 27:21 29:16 34:4 46:23 49:19 52:20 61:25 62:6 66:2,5 68:1 72:20 76:20</p> <p>behalf 1:15 2:2,6,10 2:13</p>	<p>being 3:3 4:21 5:3 11:13 13:16 25:15 26:14 50:21,24 59:9,14 60:8 62:9 67:18 68:5,10</p> <p>believe 14:18 21:20 31:5,25 33:4,9 34:9 37:7 39:16 40:21 55:3</p> <p>Berne 2:14</p> <p>best 20:24 22:25</p> <p>better 32:12 34:7 35:1,3 55:10,11 57:8</p> <p>between 53:11 69:23</p> <p>beyond 65:16</p> <p>biopsied 26:19</p> <p>biopsy 21:18,20,24 22:3,4,12 23:12 24:2</p> <p>bizarre 47:10</p> <p>blood 38:20 40:19 41:6 42:9 44:2,5 44:6,11 46:7,13 46:24,25 47:7,9 47:14,22 48:4 50:6,11,18 69:1,5</p> <p>board 5:6,8,9,11</p> <p>boards 5:19</p> <p>body 41:13 60:8</p> <p>born 4:10</p> <p>both 3:22 19:14,16 39:5 54:16,19</p> <p>brief 69:17</p> <p>bright 20:19,22</p> <p>brought 3:16 7:16</p> <p>Building 1:21 2:8 2:11,15</p> <p>butcher 36:12</p> <p>bypass 70:20</p> <p>C</p> <p>C 45:17</p> <p>call 53:1 54:22 63:15 64:2,5</p> <p>called 1:15 3:2 55:16,21 64:1</p> <p>came 24:12 53:5 55:15</p> <p>cancer 8:5 18:11 20:12 21:23 22:7 32:11,14 33:6,11 34:4,14,19 36:6</p>
--	---	---	---	--

67:1 73:7,19,25 74:4,9,13 candid 68:5 candidate 25:19 72:24 capacity 41:6 carcinoma 31:11 31:21 73:9 cardiac 24:14 32:6 32:10 34:6,16 49:17 66:2,3 70:5 70:7,11,16,21 71:22 72:6,6 cardiological 53:21 54:24 cardiologist 15:18 49:8 53:14 66:14 cardiology 49:3 54:14 care 13:17 27:2 37:16 44:15 46:19 53:25 54:18 61:9 68:9 71:6,12,13 72:9 careful 49:23 caring 8:8 carried 45:13 46:16 carry 44:18 carrying 41:5,14 case 1:6 3:16 8:2,6 9:2,20 25:2 30:16 31:5,7,8 43:19 48:13 49:16 55:15 56:23 57:11 67:9 cases 6:12,22,25 7:11,16,24 8:22 9:7,17 catheter 71:14 cause 33:4 66:8 77:10 caused 40:16 43:4 66:25 cavity 33:6 cell 31:11,21 73:9 cells 38:20 47:14,22 48:5 certainly 33:22 41:25 49:25 CERTIFICATE 77:1 certified 3:4 5:6,8,9 5:12 certify 77:9,13 chair 71:18	chance 16:4 19:21 20:7 31:14 32:11 34:21 35:4 73:15 change 19:25 20:3 76:5 changed 43:16 charge 56:24 chart 15:1 23:2,4,5 24:3,9 25:9,12 29:17 39:16 42:4 52:17 58:15 65:20 70:3 charts 10:1 chest 20:11 chief 28:14 chip 57:22 choice 72:7 CHRISTINE 2:7 chronic 26:13 35:25 Circle 11:17 circumstances 17:10 cite 30:19 Civil 3:3 77:17 Clair 1:21 2:8,11 clarify 70:16 clean 74:10 clear 24:22 clearance 18:4 24:6 24:14,15,18 25:17 cleared 18:3 clearly 48:13 Cleveland 1:21 2:4 2:8,12,15 6:23 7:1,6 9:8 11:16 77:18 clinical 45:3 clinically 71:19 Clinics 30:12 closer 41:19 Code 55:21 coded 52:20 57:1 codes 57:6 cold 60:1,3 combination 19:14 combine 32:13 come 49:21 comes 44:6 68:2 coming 29:19 commencing 1:22 commission 76:25 77:24 commissioned 77:8	COMMON 1:1 communication 12:20 complaints 68:21 complete 14:11 40:5 completed 77:14 complication 66:20 complications 8:13 8:17,18 27:12 comply 46:18 concern 41:15 concerning 3:21 21:12 68:9 concerns 41:8 concluded 75:17 conclusion 17:24 condition 21:3,13 32:10 45:2,4 54:9 conditions 66:17 condolences 11:5 congestive 50:3,8 connection 7:15 consciousness 60:21 consider 74:14 consideration 32:8 49:17,24 74:20 consistent 18:5 consulted 53:13 54:4 contact 10:13,18 11:18,19 13:24 54:10,11,13,13,14 54:19 64:18 contain 15:5 contained 16:1 contemporaneou... 39:17 continue 29:16 continued 35:5 Continuing 7:7 contract 77:17 conversation 17:19 conversations 69:8 conveyed 60:25 convinced 20:14 copies 15:6 22:25 copy 4:7 13:2,11 14:1,4,5,7,10 15:1 16:7 23:15,25 24:2 39:4 corner 29:11 corollary 33:12	Coroner 66:19 Coroner's 66:17 correct 6:13 8:6,13 8:19 15:8 16:2 21:18 23:16 26:7 26:10,18 30:22 37:10 39:10,13 40:14 41:23 42:1 42:13,14 43:8 44:12,16 45:7,11 47:19,24 48:2,6 48:14 49:10,15 54:6 57:9 63:23 63:24 67:1,2 69:3 69:24 70:5,24,25 71:6,10,16 72:17 72:21 73:11,15 74:23,25 75:1,7 77:12 corrections 76:4 correspondence 15:11,20,22 24:17 24:23 counsel 1:15,20 countermanded 43:15 County 1:2 6:23 7:9 7:10 77:5 couple 18:21 37:13 41:2 66:9 course 12:1 19:19 35:22 36:3 court 1:1 77:16 co-management 69:23 CPR 64:5 created 39:17 criticism 67:3 crossmatch 44:2 47:5,13 crossmatched 44:11 cumulative 39:15 curable 19:13 cure 18:10,19 22:6 31:15 33:10 73:15 73:17,21 74:1,3 curing 32:11 curious 15:19 16:13 16:18 current 4:7 curriculum 4:8 Cuyahoga 1:2 6:23 77:5	CV 4:14 5:4 C-O-L-D 60:2 <hr/> D <hr/> D 1:4 2:3 77:17 dad 10:25 11:9 12:3 13:16 dad's 21:13 date 1:23 23:20 24:7,10 26:1,2 dated 38:12 55:4 dates 22:24 daughter 10:11,18 12:14,21 13:14,23 19:5,10 21:6,10 22:10 58:19 68:15 69:8 day 1:22 25:25 27:20 37:20 39:12 43:25 46:8 47:2 53:15 71:21 76:21 77:19 days 8:20 27:15,18 41:2 49:19 75:15 day-to-day 30:22 31:1 dead 64:3 deal 30:8 death 10:21 11:21 17:11,13 53:12 64:8,10 66:9,18 66:25 debilitating 35:10 35:11 debility 35:20 December 19:1 21:21,23,25 22:2 22:10,20 23:1,8 23:10,20 24:11 25:23,24,25 56:5 56:8,9 decided 64:2,4 decision 37:25 38:5 38:7,8 75:3 deem 30:1,20 defect 20:11 defendant 1:15 2:6 2:10,13 6:12,16 7:25 9:8 Defendants 1:8 defense 9:14 defer 66:14 define 5:2 defined 77:17
--	---	--	---	---

<p>definition 73:17 demise 8:10 55:14 69:10 denied 10:4 dentist 13:20,22 department 28:24 depending 54:14 depends 24:20 deposed 3:4 6:8 7:15 deposition 1:11,14 3:14 4:2 6:5 7:18 13:6 14:12,14 16:5,8 17:1,7 18:2 75:17 77:13 detect 5:5 determination 35:17 75:4 determine 64:10 65:10 determined 72:8 73:1 developed 8:19 diagnosis 31:23 diary 12:12 Dickerson 2:13 15:11,18,23 16:22 17:6,9,19,23 18:2 18:8,13 24:6,8,10 24:14,17,22 25:3 25:8,18 48:22 53:13,24 54:10,17 54:23 55:16 62:1 62:20 65:9 66:3 67:4,14,21,23 69:18,24 70:8 72:20 73:1 Dickerson's 16:5,8 17:1 48:25 66:11 die 9:5 died 8:12,16,17,18 8:20,24 9:2 10:25 11:9,12 12:3,6 17:21 55:3 67:18 differentiated 31:21 73:9 difficult 22:24 32:4 dilated 60:4,9 62:11 dilutional 40:20 Diplomate 1:18 disadvantages 19:18 discharge 42:4 43:3 disciplinary 9:24</p>	<p>discovered 59:14 60:10 discovering 60:17 discuss 24:9,13 55:15 discussed 17:5 19:4 24:11 53:16 70:8 72:19 discussing 45:23 72:25 discussion 63:23 65:16 discussions 60:15 disease 32:6 74:16 dismissed 9:17 distal 32:15 distant 74:15 disturbance 62:18 diurese 50:2,7,10 50:12 diuresed 41:2 49:14 49:20 doctor 4:6 5:16 13:10 14:9 23:1 25:1,16 29:16 30:6 31:22 34:3 36:8,11 38:10 39:3 41:21 42:3 69:16 73:5 75:3,9 75:12 doctor's 36:19 55:11 document 4:6 doing 27:6 37:4 44:1 45:5 67:16 68:20 72:1 done 5:25 6:1,4 18:10 21:20,22,25 23:12,13 24:1 26:2,24,25 27:16 44:14 46:11 51:2 51:4,13,23 52:2,8 down 24:25 56:9 57:25 75:5 Dr 3:12 15:11,18,23 16:5,8,22 17:1,6,9 17:19,23 18:2,8 18:13 23:19 24:6 24:8,10,14,17,22 25:3,8,18 27:18 28:1,2,4,16,19,23 29:1,14 36:14,15 36:20,23,23,24 41:21 42:5 43:15</p>	<p>48:22,23,25 53:13 53:24 54:10,17,23 55:16 59:19,20 62:1,20 64:7 65:9 66:3,11 67:4,14 67:21,23 68:18 69:1,8,18,24 70:8 72:20 73:1 drawn 9:23 drifted 39:12 drink 20:15 drop 40:17 42:10 drum 68:1 duly 3:3 77:8,9 during 3:23 8:9 12:1,9 35:22 37:20,21 40:21 46:8,14 54:8 55:19 56:17,18 58:13 62:9 67:7 D'Hue 1:11,14 2:10 3:1,7,11,12 4:2 13:6 14:14 23:19 69:14 76:17 77:9 78:2</p>	<p>emergency 47:13 end 68:3 enjoyed 73:14 enough 20:16 41:14 66:1 entire 15:1 36:2 entries 18:21 erased 57:3,24 Erie 7:9,10 especially 32:12 61:11 ESQ 2:3,7,11,14 et 1:7 etc 1:4 evaluated 73:7 even 31:4 61:20 68:25 evening 37:21 53:25 59:3,21 62:9 68:16,19 event 25:16 35:20 50:5 64:17 77:15 events 18:23 eventually 24:2 73:7 ever 7:18,21 9:22 10:3 31:6 45:22 57:21 59:12,20 60:14 61:14,16 62:14 64:9 67:13 67:24 every 14:22 everything 51:7 evidence 44:9,13 exact 24:7 exactly 14:18 20:25 27:17 52:4,6 examination 1:16 3:2,7 69:14 78:2 exhibit 4:3,7 13:7 13:10 14:12,15,21 15:14 16:2 39:15 78:5,6,7 exist 8:2 expect 14:21 19:17 43:21,24 46:15 53:21 54:22 56:15 74:11 expectancy 33:5 34:13,20 35:13 expected 45:9,12 48:3 56:18 57:19 experience 19:18 expert 7:19,21</p>	<p>expertise 33:3,13 62:23 expires 76:25 77:24 explain 19:8 47:2 48:19 58:12 59:12 explained 19:9 21:7 58:17 explaining 21:4,12 explanation 43:18 43:20 48:18 57:22 57:23 61:15 express 11:4 12:2 62:6 expressed 67:13 expressing 11:11 extent 71:9 extubated 71:16</p>
F				
				<p>face 16:13,18 45:20 63:3 facetious 58:5 fact 11:12 12:17 23:25 52:7 53:15 61:19 70:21 factor 32:25 factors 33:9 failed 29:17 failure 46:18 50:3,8 fair 11:9 36:4 46:10 53:23 54:16 66:1 73:3,4 fairly 35:18 familiar 65:22 family 3:16 13:1,12 13:24 55:16,17 58:18,20 59:2 far 24:25 27:14 46:22 72:5 FEBRUARY 1:12 feeding 27:8 feedings 71:15 feel 37:6 felt 34:5 60:9 62:1 fifth 28:15 figure 43:1 file 15:24 filed 29:23 fill 20:11 find 67:25 68:3,6 fine 7:8 27:11 68:17 finish 68:1 firm 77:16 first 3:3 5:12 21:18</p>

26:17,19 35:6 48:23 54:11 55:4 55:24 63:9 77:9 five 6:20 35:4 73:17 73:19 74:6,7,8,12 fixed 60:3,9 62:10 flat 55:21 floor 52:2,8,14 59:3 59:25 60:23 61:11 64:12 65:12 71:24 72:13,15,17,25 fluid 40:20 41:3 49:21,23 Foley 71:14 follow 74:11 followed 45:11 50:18 following 8:13,17 8:24 17:12 22:16 22:18,19 32:3 36:5 38:24 47:2 64:9 67:16 76:3 follows 3:5 foregoing 76:2 77:12 forgot 36:19 forth 1:23 77:11 found 53:2 62:10 64:10 68:10 four 6:20 38:20 47:18 48:5 free 37:6 frequency 65:19 frequently 52:15 from 7:25 13:1,12 15:11,21,23 16:23 17:16 18:4 19:17 19:18 20:10 21:13 24:6,17 25:7,11 25:18,22 29:8 32:17 33:2 34:6 35:15 37:9,16,24 38:6 39:6 40:20 42:10 43:8 44:6 46:4,6 51:9 52:16 59:2,2 64:22 68:3 69:7,21 72:24 75:5 76:2 front 14:19 function 20:1 funeral 10:22,23 further 50:7,10 69:13 75:10,13 77:13	G gastroenterologist 26:25 gave 31:22 general 26:24 generated 57:12 gets 24:24 getting 15:21 19:22 64:16,25 give 4:24 13:2 31:23 40:24 50:11 60:7 given 40:20 42:18 45:10,25 47:1,23 48:10,11,14 49:9 49:13 50:17 57:21 61:14 66:4 giving 49:24 global 9:3 37:14 68:1 go 5:15 9:9 14:22 18:21 30:23 36:2 46:22 47:10 52:23 54:21 72:15 goes 36:1 going 3:20 14:10,11 14:20,22 18:1,8 21:17 22:11 24:20 24:21 27:6,7,9 31:19,23 35:17 36:12 37:12 38:11 39:3,14 45:11 46:5 50:11 54:20 55:2 62:25 gone 10:22 68:18 71:15 75:5 good 20:7 32:17,24 41:15 57:4 61:14 Gordon 1:17 77:8 77:22 gotten 12:18 38:24 39:1 51:10 69:1 graduated 37:3 greater 34:24 grief 11:11 guess 34:10	18:6,7 31:7,8 58:22 64:17,19,20 65:10 happening 47:3 happens 58:7,9 having 10:24 16:20 41:18 46:6 63:23 65:15 head 4:18,23 5:3 8:24 30:11,21 healed 20:16 Healing 41:13 Health 1:7 2:6 hear 21:6 33:7 hearing 58:1 59:7 heart 17:22 19:21 50:3,8 66:13 Heights 11:17 help 41:17 helpful 68:4 hematocrit 26:6 38:23 39:10,21,24 40:2,7,14,17,23 75:5 hematology 26:5 39:4 hemoglobin 26:5 38:23 39:9,21,24 40:2,6,13,16,23 41:4,6,9,16,18 75:5 her 10:18,20,25,25 11:5,9,19 12:2,2,3 12:6,18 13:16 16:13 21:13 48:23 64:16,19 hereinafter 3:4 hereunto 77:18 higher 41:18 highlighted 29:10 63:2,2 him 15:15,21 16:15 17:20 18:4,19 19:11,15,20,24 20:4,6,13,17,18 20:23 21:4 22:6 22:12,19 24:8,11 24:13,22 25:4,11 26:19 31:23,23 33:10 37:25 41:3 42:19 49:18,20,21 51:8,11,14,17 53:15,16,17,21 54:4 57:1 59:22	59:24 60:6,10,17 63:5,18,23 68:10 68:15,18,20 70:7 71:2,21 72:13,21 74:11,11,12 history 26:12 49:18 70:4,20 72:7 hooked 60:22 61:20 hospital 10:4,15,19 15:7 17:14,16 18:24 20:14 22:14 24:21 25:6,12,22 26:18 46:25 47:11 53:5 58:3,7 59:3 60:15 61:10 65:11 74:23 hour 38:20 48:6 hours 46:15,15 47:19,23 48:8 67:18 71:21 Howard 2:3 3:12 63:5 hung 44:6 47:14,18 Huron 25:22 26:18 28:5,8 37:2,3 58:3 65:10 hyperalimentation 27:8	21:17 69:22 73:13 indicates 42:8 49:7 66:19 indicating 49:3 indication 43:14 48:17 60:7 66:4 69:4 indulgence 69:16 infarction 62:4 information 60:24 62:7 informed 55:14 initialed 23:22 25:25 initials 23:23 inserting 26:21 insisted 72:14 instructions 41:23 intelligent 21:11 intended 18:10 intensive 13:17 37:16 71:6,12,13 intent 42:19 interested 77:15 intervention 72:5 introduced 3:14 invasion 73:10 involve 7:25 8:22 32:14 involved 4:23 26:21 30:2 32:21 involvement 3:21 issue 34:16 66:14 70:5,7,11,16 issues 8:1,1,4 30:2 31:4 34:6
	H H 42:10,10 hand 39:3 77:18 handwriting 29:14 55:7,8,10,11,12 happen 41:10 43:24 happened 11:22		I ICU 37:5,10 38:6 51:9 52:2,6 69:21 71:5,10 72:8,25 identification 4:4 13:8 14:16 63:20 identified 29:11 illness 73:22,24 immediate 9:4,5 17:21 immediately 5:5 17:12 implement 43:11 implemented 44:25 45:25 51:2 impression 69:22 impressions 56:1 inarticulately 72:3 incident 68:9 included 38:18 increase 41:5 INDEX 78:1 indicate 47:21 60:14 61:16 62:14 indicated 18:2,9	J JAMA 30:9 Jamaica 4:10 January 37:7 38:12 39:7,7,9,20 40:1,6 43:22 50:14 54:1 55:4 56:8,10,12 58:16 70:13 jaw 20:9 Joel 1:11,14 3:1,7 3:11 69:14 76:17 77:9 78:2 jointly 53:24 54:17 54:21 Journal 30:9,11 journals 31:1 Judge 1:6

<p>judgment 32:7 71:25 72:2,23 jump 42:3 June 77:24 junior 28:20 29:2 36:17 just 5:2 11:4,5,11 12:23 14:7,11 20:25 22:23 23:18 29:5,7 35:6,10,17 37:13 38:11 39:3 39:6 40:5 49:13 51:10 55:9 56:1 58:10 61:11 62:8 65:3 74:21</p> <hr/> <p>K</p> <p>knew 19:21 39:20 39:23 40:1,6 53:14 56:13 58:23 62:12 know 3:15 4:24 11:8 12:25 17:23 25:11 29:12 32:16 36:24 37:1,4 45:19,20 46:4,23 46:24 47:4,6,7,11 47:15 48:25 50:9 51:4,11 52:4,6,14 52:18 54:25 56:22 58:9,10 61:9,13 62:12 63:6,10,14 64:19,20 65:24 66:18,23 67:8,12 68:2,19 69:6 72:14 knowledge 7:12 63:18 Kuivinen 36:14,15 36:23,24 59:19,20 64:7 K-U-I-V-I-N-E-N 36:13</p> <hr/> <p>L</p> <p>L 1:17 54:25 77:8 77:22 lab 25:22,23 26:2,4 Labes 48:23 labs 15:6 lack 57:8 language 29:10 63:2 last 28:12 52:19</p>	<p>59:8 late 68:25 later 9:3 20:1 44:20 lawsuit 3:17 9:4 29:22 leading 66:18 68:10 69:10 leads 35:8 learned 68:8 learning 59:1 least 20:3 23:2 26:5 35:4 41:19 43:4 leave 35:11 39:6 left 52:6 68:17 left-hand 29:10 Lenson 2:14 14:4 28:21 31:19 40:8 42:14 51:24 54:2 54:20 60:2 63:6 63:12 67:10 69:15 69:17 70:15 75:9 78:4 less 19:23 let 28:4 39:6 68:2 letter 25:1,3,5,7,13 letterhead 25:14 letters 15:15 letting 64:19 Let's 26:16 level 36:18 life 33:4 34:12,20 35:9,13 like 13:21 23:2,7 41:14,16 49:22 63:8 64:20 likelihood 19:9 33:10,10,16 34:7 34:24 likely 19:25 35:12 35:21 60:10,16 62:15 66:5,12 limited 33:13 limits 26:6 LINE 76:5 literature 29:18,22 29:25 little 22:24 located 36:25 location 20:6 long 9:15 36:1 47:4 47:11 59:13 60:9 60:16 longer 51:6 71:5,12 72:8</p>	<p>look 16:13,18 33:20 37:6 64:14 looked 30:14 33:19 68:18,20 looking 5:4 15:13 45:14 61:12 68:17 looks 23:1,7 63:8 lose 40:19 loses 60:21 lot 35:15 55:10,11 low 41:4,16 lower 20:9 29:10 39:12 40:22 lunch 10:20,25 11:5 11:14,22</p> <hr/> <p>M</p> <p>M 2:11 made 24:9 37:25 38:8 45:1 maintain 12:12 make 14:5 32:7 35:16 41:18 44:23 49:9,13 51:6 53:16 69:19 71:25 72:1 73:21 makes 61:2 making 44:5 64:18 malignancy 19:12 MALIK 1:4 man 20:19,22 management 30:3 53:10,19 manager 64:13,18 64:22 mandible 20:8,8 manner 12:22 37:14 58:6 68:1 many 5:14 6:8,15 6:25 9:11 22:13 27:15 March 77:19 margins 74:9,10 mark 14:11 marked 4:3,6 13:7 14:15 56:8 78:5,6 78:7 matters 30:15 maximize 35:13 may 10:20 20:4 21:9 23:7 33:19 33:20 51:22 54:13 63:13 maybe 6:21 72:3</p>	<p>mean 8:3 20:21 25:25 31:13 40:9 42:3 45:6 46:1 53:20 58:5 70:6 74:1 meaning 64:5 means 41:2 66:19 66:23 73:21 74:3 meant 20:25 56:9 56:13 mechanism 60:24 mechanisms 46:23 Media 2:15 medical 6:12 18:4 19:23 29:18,21,25 30:7,10 31:1 53:9 53:18,20 63:14,15 63:16 meeting 10:11 11:19 12:1 member 58:20 members 13:24 memory 57:2,22,23 mentioned 34:21 Meridia 1:7 2:6 met 3:13 metastases 32:15 metastatic 74:15 MI 62:2,16 microscopic 74:15 middle 53:2 might 10:22 19:18 35:21 41:10 mind 43:5 66:24 Mishkind 2:3,3 3:8 3:12 7:8 16:12 22:18 33:22 34:18 40:10 42:15 63:8 69:12 73:6,23 74:2,24 75:13,15 78:3 misinterpret 55:9 missed 43:2 moderately 31:20 73:8 Molvin 8:14 moment 33:8 monitor 55:21 57:2 57:16 61:12 62:16 monitored 65:12 67:6 71:9,11 72:15 month 17:1 months 9:3</p>	<p>Morbidity 31:18 more 34:21 36:9,23 37:19 49:20,21 73:14 morning 44:25 50:17 mortality 31:18 most 36:4 73:1 mouth 19:12 move 29:16 much 49:23 69:12 71:11 Murray 2:14 69:17 muscle 73:10 Myocardial 62:4 M.D 1:11,14 3:1,7 69:14 76:17 77:9 78:2</p> <hr/> <p>N</p> <p>Nadir 63:9 NADIRAH 1:4 name 3:9,12 29:11 36:12,19 42:6 45:21 48:23,24 59:9,11 63:2,3,6,9 69:17 named 6:11,15 7:25 9:7 45:17 77:9 names 29:6 Nance 54:25 nature 21:3 27:5 70:23 nearing 68:3 necessarily 14:21 15:16 24:23 neck 4:18,23 5:3 8:24 26:20 30:11 30:21 need 20:10 27:7 31:2 33:7 34:1 50:6 71:20 needed 71:13 72:8 negligence 6:12 never 3:13 28:3 50:5 64:21 65:3 new 70:17 next 35:8 46:14 47:23 74:8 night 53:2 54:8 56:25 65:17 Nimeri 28:16,19 29:1 36:20,23 42:5</p>
--	---	--	---	---

<p>node 32:20 noise 61:2 normal 26:6 41:20 47:12 58:2 normally 24:16 58:6,9 North 30:13 nose 5:1 Notary 1:18 76:23 77:8,22 note 5:15 6:17 12:4 13:1,3,11 19:1,4 24:9 25:11 27:19 33:18 39:14 44:23 45:2,3,14 49:2 55:2,4,17,25 58:8 62:25 63:25 68:19 76:3 noted 25:21 55:20 notes 12:13,17 15:6 56:3,14 62:9 67:7 nothing 30:19 70:2 70:10,17,22 75:13 77:10 number 4:25 5:2 30:7,12,13 38:10 49:5 numbers 40:22 nurse 43:7 45:15,19 45:23 54:25 56:24 59:8 61:8 64:13 64:18,22 71:20 nurses 43:11 44:15 44:18 46:11 48:3 48:19 59:2 61:4 64:9 65:19 69:9 nurse's 55:17 56:3 56:14 61:13 nursing 44:3,7 45:24 46:6,19 54:10,18 60:25 64:14 67:7 71:13</p> <hr/> <p>O</p> <p>O 1:11,14 3:1,7 69:14 76:17 77:9 object 31:19 54:20 objection 5:15 6:17 7:7 12:4 17:4 20:20 21:14 24:19 30:5,23 33:18 34:15 36:7 39:1 39:15,18 41:12 43:12 44:17 46:20</p>	<p>46:21 47:25 48:15 51:3 54:2 56:2,6 56:20 57:18 58:4 58:8 59:5,6 60:11 60:12,18 61:23 62:19 64:23,24 65:13,14,23 66:21 66:22 67:10,11 68:13 72:10 73:23 74:2,17,24 observation 70:10 observations 59:21 observed 70:3 obtain 24:5 obtained 9:14 47:1 obviously 11:8 27:5 occasion 12:21 occurred 18:23 64:8 off 19:22 41:3 43:7 44:25 45:10,15,17 45:25 51:1 57:1 64:2,5 office 2:4 10:14,19 12:17 14:1 15:6 17:17 19:1 23:6 52:23 77:18 offices 1:20 often 52:15 65:24 Oh 56:11 Ohio 1:2,19,21 2:4 2:8,12,15 3:2 77:3,8,19,23 Okafor's 27:18 okay 3:24 18:24,25 26:3 32:9 51:7 52:3 68:23 once 10:21 72:7 one 7:2,3,5 9:2,12 11:24 14:7 22:20 25:10,15 27:18 32:20 35:16 36:16 37:19 38:18 41:15 51:20 54:19 57:20 57:21 58:15,17,24 61:14,24 66:11 67:24 72:16 74:4 ones 9:8 only 10:1 15:5 32:20 42:25 open 7:11 operate 40:18 operated 26:20 operating 58:2</p>	<p>opinion 33:15 34:12 71:5 73:14 opinions 12:2 67:15 opposed 53:20 optimistic 18:18 22:5 options 19:6,16 21:4 72:16 oral 6:3,4 8:5 13:21 27:3,9 33:6 34:14 order 20:9 22:11 38:22 40:24 41:22 43:7,15,15 44:24 45:9,12,15,24 46:1,18 47:17 50:17,22 51:1 75:7 ordered 69:2 orders 38:10,12,13 38:15,16,17,18 43:8,11 44:19 51:13 other 8:22 9:17 12:21,22,22 13:23 13:24 16:23 19:23 33:16 36:19 47:12 58:19 63:20 66:4 66:17 67:14,21,23 70:4,19 otherwise 77:15 otolaryngologist 4:16,21 33:14 Otolaryngology 30:9,11 out 13:17 22:11 32:21 34:16 41:1 43:1 44:19 45:13 46:16 49:21 57:9 67:25 68:3,6 71:4 71:14 outcome 67:9 outside 10:14,19 47:11 62:22 over 11:11 38:20 47:18,23 48:5,8 74:8 overall 31:10,13 32:1 overload 49:10,18 overly 40:5 own 43:4 oxygen 41:5,14 o'clock 1:22 51:15 52:11,22 53:11</p>	<p>68:22,25</p> <hr/> <p>P</p> <p>packed 38:19 47:14 47:22 48:4 page 14:22 29:8 76:3,5 pain 35:25 36:1 71:18 part 4:21 6:1,2,3,4 9:3 15:23 18:15 23:11 35:6 74:19 particular 57:9 61:10 party 77:15 past 6:13 pathologist 32:22 73:8 pathology 31:20,24 32:2 34:5 patient 3:22 8:8,12 8:14,16,20 9:2,5 10:9 15:12 19:5 24:12,20,24 25:5 25:8 30:4 32:1,5 32:11 34:6 35:15 35:16 37:15,23 38:6,19 39:17 40:21,25 42:1,8 43:19,21 44:10,20 45:7 47:5 49:9,14 49:17,22 50:1,3,5 50:7,10,11,13 51:8 52:5,10,19 52:20 53:10,14,19 54:18 55:18 56:4 57:1,5,13,17 59:25,25 60:21 61:9 62:2 65:11 67:6,15 69:2,5,20 69:23 70:10,18,21 71:23 72:1,4,20 patients 4:25 8:23 35:18,19,24 36:2 36:4,9 41:17 patient's 34:12 38:23 40:16 49:5 52:17 55:14,20 58:15 65:20 66:18 66:25 peg 26:22 27:11,15 Penton 2:15 people 29:6 per 52:15</p>	<p>percent 32:12 34:7 34:21,24 35:2,4 73:15 percentage 4:22 performed 8:5,23 18:17 23:3,10 27:2 74:23 performing 10:8 perhaps 29:8 37:21 52:24 period 3:24 8:9 9:5 9:6 12:9 38:20 47:12 48:6 65:4 person 45:16 personal 63:18 perspective 8:1 21:13 phone 12:21 17:15 24:12 physician 66:4 physicians 65:8 physician's 43:8 place 46:23 68:10 77:14 placed 52:16 58:14 placement 27:12 places 47:10 placing 65:20 Plaintiff 1:5,16 2:2 Plaintiff's 4:7 13:10 14:20 16:2 plan 42:1 planned 35:7 planning 20:1 players 29:5 PLEAS 1:1 please 3:9 6:18 37:6 63:13 67:20 point 35:3 51:14,19 68:2 71:19 policies 61:10 poorly 21:9 31:20 73:9 portion 20:2 portions 15:7 post 55:23 postoperative 3:23 8:9 9:4,6 12:9 postsurgical 30:3 65:19 post-nutritional 27:2 potential 41:9 potentially 19:13</p>
--	---	--	--	---

practice 4:22 49:12 prepare 55:25 prepared 42:5 presume 11:9 pre-op 23:11 24:1 39:6 prior 8:9 29:19 59:13 prioritizing 51:12 privileges 9:22,25 10:3,4 probably 3:15 18:5 20:24 51:5,20 54:12 73:14 problem 34:3 54:8 54:15 57:22 problems 5:1,1,1 19:23 41:10 procedure 3:3 58:2 74:22 75:6 prognosis 31:11,14 32:1,7 73:6 prognostic 32:18 32:24 33:9 progress 27:19 37:23,24 38:3 progressed 71:19 prohibited 46:6 pronounced 53:7 properly 57:16 provide 16:15 18:19 22:6 provided 3:2 14:9 14:24 16:7,17 Public 1:18 76:23 77:8,22 publications 30:8 pupils 60:3,8 62:11 purpose 33:20 61:3 purposes 4:4 13:8 14:16 27:1 pursuant 1:19 41:22 put 19:22 45:20 53:17 63:3 75:7 p.m 1:22 55:18 56:4 75:17	34:17 35:9 43:4 51:16 55:24 66:24 67:20 70:19 73:5 questioning 13:15 questions 3:21 12:7 12:10 37:13 66:9 69:13 75:10,12 quite 45:5 R radiate 20:17 74:11 radiation 19:13 32:13 35:7,12,17 35:19,22,25 36:2 36:5 reaction 17:21 read 16:4,10,16,20 31:1,3,6,7 55:12 75:14 76:2 readings 40:11 really 57:3 reason 10:2 15:13 42:25 46:4 50:10 56:22 71:4 reasonable 46:19 50:1 reasonably 30:1,21 recall 5:25 6:4 7:23 11:15,20 13:14,18 13:25 15:10,21 17:18 25:4,15 27:17 48:24 50:23 57:24,25 59:1,7 59:24 60:6 64:15 65:6 68:7 69:7 receive 24:16 received 12:25 13:11 15:19,22 23:8,21 25:18 32:3 46:12 50:6 receiving 15:10 50:2 Recess 29:15 recognize 29:13 recollection 10:24 16:20 45:22 63:22 65:5 record 3:10 15:7,14 29:8 42:17 44:10 48:16,17 55:12 recorded 12:16 55:18,19 56:4,15 56:16,18,23 67:7 records 14:2,10	25:21 37:7 red 38:19 47:14,22 48:4 reduced 33:5 34:13 34:20 77:11 refer 19:2 reference 28:16 29:4 36:11 referenced 4:13 29:7 references 49:7 referred 13:19,20 23:19 72:13 73:8 refers 49:4 reflected 33:3 40:22 reflects 39:5 42:17 regard 10:17 30:15 41:9 66:11,13 Registered 1:17 regular 71:23 REID 2:7 43:12 44:17 46:20 48:15 56:6,20 58:4 59:5 60:11,18 61:23 62:19 63:4,10 64:23 65:13,23 66:22 67:11 75:11 relate 31:5 relates 30:1 relative 12:13 15:11 24:17 25:8 26:5 77:15 relatively 20:16 21:11 relevant 30:15 31:4 reliable 30:1 rely 30:21,25 43:10 44:15,18 Remarkably 38:2 remember 6:9,19 6:20 10:6,8,11,21 10:23 11:3,6,13 12:5,7,10 16:11 17:15,20 24:7,8 50:21,23 58:19,23 58:25 59:8,11 62:20 64:13,16,17 64:25 65:15 68:6 Reminger 1:20,20 2:7,7,10,10 remove 20:1,9,10 74:9 removing 20:12	repeat 67:20 rephrase 28:4 reported 32:22 Reporter 1:18 reporting 77:16 represent 3:16 14:23 18:1 69:18 REQUESTED 76:5 required 35:13 71:6 requires 47:16 residency 28:5,10 28:14 resident 27:22,25 28:20,24 29:2,7,9 36:17 48:22 49:1 49:3 54:12,14 55:15,21 59:16 62:10 63:14,15,16 64:1,4,6 65:17 residents 36:16 37:20 65:8,16 resolved 9:18 respond 61:7 responded 55:22 59:17 response 60:4 responsibility 44:1 44:7 61:6,13 responsible 53:9,24 54:3,5,17,21 result 9:13 35:25 results 22:3,5 32:2 33:12 34:11 39:5 resuscitation 55:22 59:18 60:5 returned 41:19 review 25:10 reviewed 22:2 29:18,21 30:17 33:23 revoked 9:23 rhythm 51:20,22 52:1,7,9 58:14 66:2,5 right 16:19 22:1 23:9,22 27:3,4,10 27:20 30:18 31:16 36:21 48:1 50:19 55:6 63:21,24 71:1,7 risk 70:23 risks 19:15 road 24:25 25:22	26:18 28:5 37:2 58:3 65:11 roll 68:1 room 59:14 roughly 67:18 Rule 77:17 Rules 3:3 Russo 1:6 S S 2:7 same 10:17 36:18 72:4 Sandusky 7:9 9:20 satisfactory 64:22 65:3 satisfied 25:17 38:3 38:4 save 23:18 37:12 38:11 39:4 49:4 66:16 saw 22:19 33:2,15 51:8,11,14,16 52:10 saying 12:5 35:17 48:3 58:20 59:24 60:6 62:20 says 19:4 21:23 23:19 42:22 63:25 scheduled 24:25 SEACRIST 2:11 5:15 6:17 7:7,10 12:4,25 14:6 16:14 17:4 20:20 21:14 22:16,23 24:19 30:5,23 33:18 34:15 36:7 39:1,14 41:12 46:21 47:25 51:3 56:2 57:18 58:8 59:6 60:12 64:24 65:14 66:21 68:13 70:13 72:10 74:17 75:14 seal 77:18 second 14:8 see 4:25 14:7 15:14 16:22 19:6 22:12 22:13,24 23:18 29:9 33:25 38:13 42:11 44:9,13,20 48:16,16 50:13 51:22 52:19 59:25 63:4,13
---	--	--	---	---

seeing 22:9 seemed 20:22 21:11 seemingly 67:16 seen 33:24 send 24:23 25:1,3 sending 25:4 senior 28:24 sent 13:3 15:8 23:15,16 24:2,13 25:14 71:23 73:2 served 7:21 set 1:23 11:18 57:8 77:11,18 setting 10:15,19 settled 9:21 several 9:2 46:14 Severance 11:17 severe 32:5 sheet 38:12 shift 52:15 55:20 56:17,19 58:13 67:8 short 59:3 shortly 11:21 51:17 66:2,5 show 14:20 29:7 38:11 49:3 55:2 62:25 showing 63:5 shows 40:13 sign 32:17 signature 29:13 75:18 signed 45:16 55:23 significance 21:3 significant 32:6 similar 8:1,3 58:15 since 29:22 31:7,8 33:19 sir 7:19 9:15 46:2 sit 5:18 16:19 30:18 sitting 71:18,20 six 6:21 skeletal 73:10 Skylight 2:4 Society 30:12 some 3:20 9:18 19:25 22:23 27:7 33:19,24,25 35:18 35:19,23,24 36:1 37:12 38:12 39:4 40:19 41:3 46:8 47:12 49:4,20 54:3,5 55:11 57:6	66:16 someone 13:21 40:18 41:16 47:2 57:6 61:11 64:25 someplace 20:11 something 43:1 47:10 54:24 58:6 58:10 61:5 64:19 65:21 74:21 sometime 46:14 sometimes 24:22,24 sorry 5:21,22 21:24 22:10 56:7 sort 33:11 37:13 67:25 sound 18:5 sounded 61:17 speak 17:17 speaking 24:8 specialize 4:18,20 specific 4:25 31:4 46:1 47:16 58:24 58:25 65:15 specifically 6:19,20 11:6 30:14 63:17 specified 77:14 speech 20:3 spell 36:12 spit 57:8 split 20:8 spoke 17:12,15 64:15 65:6,17 squamous 31:11,21 73:9 Square 11:16 SS 77:4 St 1:21 2:8,11 staff 45:24 46:6 54:10,19 67:7 staffed 59:4 stamp 23:20 standard 49:12 standpoint 32:18 started 3:14 47:14 state 1:19 3:9 77:3 77:8,23 statement 18:14 66:12 73:3,4 station 60:25 Statistically 36:4 status 72:5 statute 1:16 stenotypy 77:11 still 7:11 28:2,5	41:4 49:20 71:9 72:17 stop 36:3 strike 61:15 strip 51:20,22 52:1 52:7,9,15 55:19 55:20 57:9,12,15 strips 56:16 57:3,5 58:14 65:20 subject 30:8 submitted 4:13 Subscribed 76:20 substantially 33:5 successful 5:11,23 5:23,24 55:22 67:16 succumb 73:22,25 74:3 sued 9:3 suffered 62:2,17 66:12 suggest 43:2 70:3 70:11,23 suggesting 15:17 73:20 Suite 2:4 summary 42:4 43:3 supervisor 64:14 supposed 65:11,25 sure 8:3,16 14:5,6 20:21 41:19 44:5 49:9,13 51:6 52:1 53:16 69:5,19 70:6 73:21 surgeon 13:21 surgeries 4:19,23 26:17 surgery 3:23 5:3 8:5,13,17,19,21 8:24,25 10:8 18:3 18:10,17,18,22 19:13,25 20:15 22:6,15 24:15,18 24:21,25 26:16,24 27:3,6,16,23 28:21 30:3,11,21 32:2,3 35:6 36:6 38:24 40:21 41:1 49:19 67:17 70:20 surgical 24:5,14 25:19 36:16 53:20 54:12 64:1,4,5 72:5 74:10 75:6 surrounding 17:10	survival 31:17 33:16 34:7,25 73:18 survive 74:7 survived 62:15 surviving 33:11 35:4 SUSAN 2:11 suspect 29:14 suspended 9:23,25 swallow 20:5 sworn 3:4 76:20 77:10 Sylvia 48:23 Systems 1:7 2:6 <div style="text-align: center;">T</div> table 19:22 take 9:1 16:25 38:22 43:10 44:15 46:23 62:22 64:21 66:13 taken 1:17 6:5 7:19 17:1,3,7 43:7 44:25 45:10,25 51:1 71:14 77:14 takes 47:4 taking 32:8 34:16 61:9 71:15 talk 18:22 26:16 59:20,22 64:9 65:9 talked 11:4,6 17:10 62:8 68:11 69:9 talking 8:14 20:23 25:13 31:14,17 73:24 telemetry 13:16 37:10 38:6 51:9 51:10 52:5,8,14 52:16 53:10,17 55:19 56:16,18 57:2,7 60:23 61:21 64:11 65:12 65:20 67:17,19 69:21 70:2 71:8 72:17,20 73:2 tell 11:2 17:6 18:8 22:25 29:9 31:10 45:14 59:1,23 63:1 telling 17:20 temperature 60:8 temporarily 10:1	20:3 temporary 35:22 terminology 57:8 terms 27:11 50:18 60:5 62:8 66:8 test 5:25 6:1,2,3,3 testified 18:13 testify 77:10 testimony 25:2 69:20 77:11,12 thank 13:1,3,11 69:12 75:9 Their 54:11 therapeutic 66:19 therapy 35:25 36:5 thing 50:1 things 20:17 53:20 53:21,21,22 54:3 54:6 think 3:15 10:20,22 13:22 16:9,14 25:22 27:19 31:22 34:19,23 35:1,3 36:22,22 38:9 39:5 42:17,24 43:6 48:23 51:13 51:19 54:4 56:8,9 56:14 61:8 68:24 69:11 72:10 though 63:7 75:2 thought 41:4 68:17 three 5:17 6:9 7:14 throat 5:1 19:12 through 5:12 14:22 18:21 28:5 29:17 36:2 39:7 45:11 50:18 76:3 time 3:22 5:12 11:24 16:23,23 17:10 19:10 23:18 26:4,9 27:23 28:9 28:11 33:19,24,25 35:23 36:1,17 37:12,25 38:12 39:4 45:17 46:8 47:12,16 49:1,4 51:5,14 52:4,6 53:12 57:9,12,16 58:20 59:9 61:21 62:17 66:16 68:8 69:10 70:5,12,22 70:24 75:6,6 77:14 times 6:8,10,15,20
---	--	---	--	---

6:21 7:14 22:13 tissue 20:10 today 29:19 together 11:24 12:13,18 told 12:23 19:11,15 19:20,24 20:4,6 20:13 33:8,17 34:3,5,23 58:23 61:24,25 62:1 67:14,22 tongue 20:2 73:10 top 63:15 total 22:16 Tower 2:4 trache 71:17 tract 74:5 transcribed 77:12 transcript 76:2 transcription 77:12 transfer 37:25 38:5 51:17 70:7 72:19 72:21 transferred 13:17 37:9 51:9 53:15 67:17,19 69:20 70:1 71:2,4 72:24 transfuse 40:25 42:1 transfused 41:11 42:9,16,20,22 43:3,6,19,22 45:7 46:24 transfusing 38:19 transfusion 42:18 49:8,13 50:2 75:3 transpired 12:9 treat 4:20 treatment 3:22 19:5 24:13 35:5,7 35:12 trial 9:9 tried 41:3 60:5 72:11 true 6:6 21:7 24:3 25:19 32:18,25 34:8 44:7 48:20 50:8 55:5 57:13 57:14,17 77:12 truth 77:10,10,10 try 37:12 65:9 trying 29:5 43:1 70:16 tube 26:22 27:12,15	71:15 tubes 71:14 tumor 20:7 twice 22:19 two 6:9 7:14 8:20 26:17 27:18 38:19 40:10 42:9 46:7 46:12 47:22 48:4 48:8 49:5,19 two-part 6:2 type 8:23 9:24 12:12 25:7 27:7 30:2,4 36:6 44:2 47:4,7,9,13 49:10 57:6 60:21 62:17 74:5 typed 44:10 U Ulmer 2:14 ultimate 66:8 unconscious 60:10 60:16 under 1:16 49:5 66:17 77:16 understand 3:17 8:2 20:22 21:2,12 53:19 69:19 70:9 understandably 55:17 58:18 understanding 57:10,11 60:20 75:2 unit 13:16 37:16 38:20 47:18,18,23 48:5 51:10,11 52:5,16 53:10,17 57:7 60:23 61:1 61:21 64:11 67:18 67:19 69:21 70:2 71:8 73:2 units 38:19 42:9 46:7,12 47:22 48:4,9 unresponsive 53:3 57:13,17 59:13 61:22 64:11 68:11 unsuccessful 59:17 until 20:14 53:12 68:15 70:7 75:6 upper 74:5 upset 55:17 58:18 used 41:14 usually 19:22 58:10	61:1 V varies 35:15 various 19:5 verdict 9:14 66:17 very 38:4 57:4 68:4 69:12,17 visits 22:20 vitae 4:8 Vivian 1:17 77:8,22 vs 1:6 W waited 51:6 waived 75:18 want 18:21,22 41:18 45:7 49:18 49:22 50:12 67:25 68:3,6 69:19 73:5 73:20 wanted 11:4,8 47:18,21 48:4 49:8 wasn't 5:4 16:16 27:8 47:9 50:9 61:20 70:17 71:17 watching 71:20 way 9:18 41:9 WEDNESDAY 1:12 Welden 45:17 well 9:1 15:6 17:20 20:16 21:7 32:5 35:18 36:5,9,10 38:2 44:7 45:5 49:16 54:6 58:22 63:13 67:16 68:21 74:19 went 10:22 13:15 27:11 52:5 were 3:13 4:10 5:11 5:22 7:15,16,24 8:8 9:17 16:25 18:18 20:1 21:4 21:12,17 22:5,5 25:16 26:6,12,21 27:6,22 38:3,15 38:16,17 40:10 47:1 48:3,13 50:11 51:12 53:24 54:5,17 56:16 57:3,5 60:3 61:19 71:3	weren't 38:16 56:23 58:13 WHEREOF 77:18 while 13:15 37:16 39:17 50:1 51:6 whole 77:10 wise 41:5 wish 65:5 withdrawn 43:16 witness 3:1 7:19,22 28:22 51:25 77:18 woman 21:11 worded 21:9 wording 20:24 words 58:24,25 work 25:22,23 26:2 26:4 working 57:16 worse 38:24 39:2 wouldn't 46:22 71:2 write 44:19 45:1 57:25 written 4:12 6:2 38:11,17 55:13 wrong 48:2 61:5 wrote 15:15,16 41:22 55:3,14 56:1 68:19 X xerox 14:24 Y year 9:16 28:10,12 28:15 74:6 years 5:18 35:5 73:18,19 74:7,8 74:12 Z Zitoni 63:12 I 1 4:3,7 76:3 78:5 1:00 51:15 52:10,21 53:11 64:3 10.4 40:7 11:00 55:19 56:17 56:19 58:13 67:8 11:24 51:23 58:16 11:55 45:18 113 1:21 2:8,11 12-28 56:11	12-28-00 55:18 12:00 52:10 13.5 40:14 13:7 78:6 14:15 78:7 16th 19:2 21:23 22:2,10,12,17,18 22:19 24:11 18 32:21 19th 21:21 1984 5:10 1999 19:2 2 2 13:7,10 78:6 2:45 55:4 20th 22:21 23:1,8 23:20 2000 38:13 43:23 54:1 56:5 2002 1:12 76:21 77:19 2004 77:24 216-241-2600 2:5 216-621-8400 2:16 216-687-1311 2:9 2:12 24 46:15 47:23 67:18 24th 39:7 40:13 58:16 25th 27:20 40:9 25.9 39:10,21 42:10 26th 37:7,17,24 40:1,6,8,11 27 1:12 27th 39:23 27.7 39:24 42:11 28 75:15 77:17 28th 37:10,17 38:1 38:9,12 39:7,9,20 40:24 43:22 44:21 44:24 46:5 49:2 50:14 51:1,25 53:12 54:1 56:5,9 56:10,12 70:14 28.4 40:3 29th 55:4 3 3 14:12,15,21 15:14 16:2 78:7 3:00 55:19 56:17,19 58:13 67:8
---	---	--	--	---

3:8 78:3 31.1 40:7 4 4:3 78:5 4:30 1:22 40.9 40:14 44113 2:4,8,12 44115 2:15 443949 1:6 5 5:00 55:18 56:4 50 32:12 34:7,21,24 35:1,4 73:15 6 6th 23:10 25:23,24 25:25 6:00 68:16,22,25 6:15 75:17 60 35:2 660 2:4 69:15 78:4 7 7 21:25 7th 22:12 77:19 7:00 68:16,22,25 7149 29:11 75 76:3 8 8 77:24 8.8 39:10,21 42:10 80 35:2 81 5:20 82 5:20,20 83 5:20,20,23,24,25 84 5:20,23 6:1,4 9 9.1 39:24 9.2 42:11 9.6 40:2 9:00 38:13 45:10 900 2:15				
--	--	--	--	--