

1 IN THE COURT OF COMMON PLEAS

2 HURON COUNTY, OHIO

3 ANGELIA FORTNER, ADM.,
4 ETC.,

5 Plaintiffs,

6 -VS-

CASE NO. CVA-96-756

7 FISHER-TITUS MEDICAL
8 CENTER, ET AL.,

Doc. 1:5

9 Defendants.

10 - - - -

11 Deposition of LeROY J. DIERKER, M.D., taken
12 as if upon cross-examination before Linda A.
13 Astuto, a Registered Merit Reporter and Notary
14 Public within and for the State of Ohio, at the
15 offices of Reminger & Reminger, Seventh Floor,
16 113 St. Clair Building, Cleveland, Ohio, at 9:00
17 a.m. on Wednesday, May 6, 1998, pursuant to
18 notice and/or stipulations of counsel, on behalf
19 of the Plaintiffs in this cause.

20 - - - -

21 MEHLER & HAGESTROM
22 Court Reporters
23 1750 Midland Building
24 Cleveland, Ohio 44115
25 216.621.4984
FAX 621.0050
800.822.0650

APPEARANCES:

Claudia K. Eklund, Esq.
Lowe, Eklund & Wakefield
610 Skylight Office Tower
1660 West Second Street
Cleveland, Ohio 44113
(216)781-2600,

On behalf of the Plaintiffs;

J.C. William Tattersall, Esq.
Fauver, Tattersall & Gallagher
5333 Meadow Lane Court
Elyria, Ohio 44035
(440) 934-3700,

On behalf of the Defendant
James Kasten, M.D.;

Beverly A. Sandacz, Esq.
Reminger & Reminger
7th Floor 113 St. Clair Building
Cleveland, Ohio 44114
(216) 687-1311,

On behalf of the Defendant
Ralph May, M.D.

LeROY J. DIERKER, M.D., of lawful age,
called by the Plaintiffs for the purpose of
cross-examination, as provided by the Rules of
Civil Procedure, being by me first duly sworn, as
hereinafter certified, deposed and said as
follows:

CROSS-EXAMINATION OF LeROY J. DIERKER, M.D.

BY MS. EKLUND:

Q. Doctor, I am Claudia Eklund. I represent Angelia
Fortner and the Estate of Ciara Renee Sams in
this case.

I'm going to ask you some questions. I
assume you've been deposed before.

You understand the ground rules, correct?

A. Yes.

Q. I have in front of me a copy of your curriculum
vitae which I assume is current?

A. It looks current.

Q. Okay. Would you state your name for the record.

A. LeRoy Joseph Dierker.

Q. Would you spell it?

A. D-i-e-r-k-e-r.

Q. And you are a medical doctor specializing in
OB/GYN?

A. Yes.

1 Q. And you're presently associated with which
2 hospital?

3 A. MetroHealth Medical Center.

4 Q. How long have you been with MetroHealth?

5 A. Twenty-two years.

6 MR. TATTERSALL: Pardon me?

7 A. Twenty-two years this summer.

8 Q. Do you hold any titles at MetroHealth?

9 A. Professor at Case Western Reserve and Director of
10 Obstetrics and Internal Fetal Medicine at
11 MetroHealth.

12 Q. How long have you been director at MetroHealth?

13 A. Since 1983.

14 Q. Are you a full professor at Case Western Reserve?

15 A. I am.

16 Q. What do you teach there?

17 A. Obstetrics.

18 MS. SANDACZ: I'm sorry?

19 A. Obstetrics.

20 Q. Is that classroom teaching or in hospital
21 training?

22 A. It varies. We have largely in-house training
23 with students that rotate through our service.
24 But on occasion lectures to the first and second
25 year students.

1 Q. In terms of your professorship at Case Western
2 Reserve, when was the last time you were in a
3 classroom instructing at the university?

4 A. About two years at the university.

5 Q. And I assume you've maybe given lectures at
6 MetroHealth?

7 A. Yes.

8 Q. And that would have been to residents rotating
9 through your service?

10 A. Students and residents but mainly students from
11 Case.

12 Q. Do you have privileges at any other area
13 hospitals?

14 A. I have privileges at Cleveland Clinic Foundation.

15 Q. Do they have an obstetrics ward at Cleveland
16 Clinic?

17 A. Yes, they do.

18 Q. Do you admit patients at the Cleveland Clinic?

19 A. No.

20 Q. Okay.

21 A. Consultative.

22 Q. Do you have a private practice in addition to
23 your hospital appointment and teaching
24 responsibilities?

25 A. Yes.

1 Q. Where is your office located?

2 A. At Metro.

3 Q. And is your private practice divided between
4 obstetrics and gynecology?

5 A. Yes.

6 Q. Is the majority of your patient work in
7 obstetrics?

8 A. Majority.

9 Q. Can you divide it in any percentage?

10 A. 70/30.

11 Q. Are you in partnership with anyone?

12 A. No.

13 Q. In the curriculum vitae which we have, there are
14 a number of articles listed, chapter review,
15 things of that nature.

16 Do any of these articles deal with pre-term
17 labor?

18 A. No.

19 Q. Have you ever written on the subject of pre-term
20 labor?

21 A. No.

22 Q. For how many years have you been doing
23 medical/legal consultation?

24 A. Probably about 15.

25 Q. And how many cases a year do you review?

1 A. My guess is six to ten.

2 Q Okay You reviewed primarily on behalf of
3 defendants?

4 A. Primarily

5 Q When was the last time that you can recall that
6 you reviewed a case on behalf of a plaintiff?

7 A. Two weeks ago.

8 Q When was the last time that you testified on
9 the side of a plaintiff?

10 A. I don't recall

11 Q When was the last time you testified on behalf of
12 a defendant?

13 R TAMMERSALL: You mean in a
14 deposition?

15 Q Deposition or in court

16 A. I think earlier this year, January or February

17 Q Was that in court or in deposition?

18 A. Deposition

19 Q Do you recall who the attorney was in that case?

20 A. No, I don't.

21 Q. Do you recall the attorney who retained you in
22 that case?

23 A. I'm not sure.

24 Q. Do you recall the name of the case?

25 A. I'm not sure

1 Q. I could have guessed that.

2 Have you testified in any cases involving
3 spontaneous rupture of membranes and pre-term
4 labor?

5 A. I don't think so. I don't think that was the
6 focus of the case. I may have been involved in a
7 pre-term labor case, it might be part of the
8 process but I don't think where that was the
9 focus.

10 Q. Have you been involved in pre-term labor cases?

11 A. I'm sure I have.

12 Q. Any that you recall the names of the attorneys
13 involved?

14 A. No.

15 Q. What do you charge for your services?

16 A. \$300 an hour.

17 Q. Is that for review of records?

18 4. Uh-huh. Yes.

19 2. Is that for deposition testimony?

20 A. Yes.

21 Q. Is that for trial testimony?

22 1. Yes.

23 2. Have you testified at Mr. Tattersall's request
24 before?

25 A. Yes.

1 Q. Can you tell me how many times you've worked with
2 him in a case?

3 A. I think once.

4 Q. Do you recall what that case was about?

5 A. I believe it was a shoulder dystocia.

6 Q. Have you worked with other members of Mr.
7 Tattersall's firm?

8 A. I don't think so.

9 Q. What about the law firm of Reminger & Reminger?
10 Have you worked with attorneys from that firm?

11 A. Yes, I have.

12 Q. Do you recall who you worked with?

13 A. If you give me a list, I can tell you.

14 MS. SANDACZ: It wasn't me.

15 Q. Do you know many attorneys here, is that fair to
16 say?

17 A. Several.

18 Q. Were these all in birth cases?

19 A. I think largely.

20 Q. Do you recall any cases in which you testified in
21 a courtroom setting?

22 A. For --

23 Q. Any party.

24 A. I remember several, yes.

25 Q. Do you remember the names of the cases?

1 A. I don't.

2 Q. Or the attorneys involved?

3 A. I have done some with PIE. I remember Jerry
4 Kalur. And Bill Bonezzi. I think I have done
5 some with Les Spisak.

6 Q. Now, Mr. Bonezzi is a defense attorney, correct?

7 A. Correct.

8 Q. And Jerry Kalur was a defense attorney?

9 A. That's correct.

10 Q. And Les Spisak is a defense attorney?

11 A. Yes.

12 Q. He is with Reminger & Reminger?

13 A. Yes.

14 Q. Do you know any of the physicians involved in the
15 Angelia Fortner case?

16 A. No.

17 Q. Have you met any of them?

18 A. No.

19 Q. Have you ever had privileges at Fisher-Titus
20 Medical Center in Norwalk?

21 A. No.

22 Q. Have you ever been to that facility?

23 A. No.

24 Q. Do you know any of the other experts involved in
25 this case?

1 A. I don't know them personally, no.

2 Q. Have you heard of any of them?

3 A. Fields.

4 Q. What have you heard of Dr. Fields?

5 A. I've just read his testimony in previous cases.

6 Q. And was that provided to you in regards to this
7 particular case?

8 A. I have his deposition.

9 Q. From this case?

10 A. From this case.

11 Q. Is that the deposition you're making reference
12 to?

13 A. Yes. I have read other depositions of his in
14 other cases.

15 Q. But you don't know of him personally?

16 A. No.

17 Q. What about Dr. Walentik who happens to be from
18 St. Louis?

19 A. I don't know.

20 Q. W-a-l-e-n-t-i-k. And Dr. Nowicki from Columbus?

21 A. Don't know him.

22 Q. N-o-w-i-c-k-i. In teaching your medical
23 students, do you teach them the basics of labor
24 and delivery?

25 A. Yes.

1 Q. Do you refer to any particular medical texts as a
2 teaching aid?

3 A. There are several that we use.

4 Q. Which ones?

5 A. We use Williams Obstetrics. We use Creasy &
6 Resnik. C-r-e-a-s-y, R-e-s-n-i-k.

7 Q. Those are the two basic ones?

8 A. And others. Journals as well.

9 Q. And you are a member of the American College of
10 Obstetrics and Gynecologists?

11 A. I am.

12 Q. And they publish --

13 A. Technical bulletins.

14 Q. I call them guidelines but you would disagree
15 with that?

16 A. They're called technical bulletins.

17 Q. And do you use those technical bulletins also as
18 a teaching aid with your students?

19 A. Yes.

20 Q. You use them yourself in your practice?

21 A. Yes. I refer to it.

22 Q. Have you ever been involved in a lawsuit as a
23 party?

24 A. I was named in one that was subsequently dropped.

25 Q. What type of a case was that?

1 A. It was a stillbirth.

2 Q. How long ago was that?

3 A. 13, 14 years.

4 Q. Do you recall who defended you in that case?

5 A. It never came about. I never gave a deposition.

6 The hospital.

7 Q. You didn't have personal counsel?

8 A. No.

9 Q. The case was just dropped?

10 A. Yes.

11 Q. You never testified at deposition or anything

12 like that?

13 A. Correct.

14 Q. Doctor, can you give me a textbook or a classroom
15 definition of labor?

16 A. Uterine contractions that result in cervical
17 change.

18 Q. And what type of cervical change are we talking
19 about?

20 A. Effacement and/or dilatation.

21 MR. TATTERSALL: What was the
22 other?

23 A. Effacement and/or dilatation.

24 Q. Do you need both effacement and dilatation to
25 have labor?

1 A. No. Either or.

2 Q. Either or. Does Williams define labor as
3 including the presence of cervical dilatation?

4 A. I'm not sure how Williams defines it.

5 Q. Do you know how Creasy and Resnik
6 define it?

7 A. I'm not sure of their specific definition.

8 Q. Would you agree that you cannot diagnose labor on
9 the basis of the presence of contractions only?

10 A. Correct.

11 Q. Do you agree that contractions have to be
12 producing change in the cervix?

13 A. In order to make a definite definition, yes.

14 a. And in order to determine whether there's been a
15 change in the cervix, you have to observe the
16 effects of the contractions over some period of
17 time, is that fair?

18 A. It depends on the situation. It may be that when
19 you examine them, there is perceived change from
20 what you would anticipate the cervix being.

21 2. That may or may not be a change, is that
22 something you would still have to observe to see
23 if that is a continuing process?

24 A. To make the definite definition, probably so.

25 B. Can you tell me how you distinguish false labor

1 from true labor?

2 A. False labors are irregular in frequency and
3 intensity and do not result in cervical change.

4 Q. Do they result in cervical dilatation?

5 A. No.

6 Q. And true labor?

7 A. Results in cervical change, effacement and/or
8 dilatation.

9 Q. Is it true that in a normal term pregnancy,
10 cervical effacement begins to occur before active
11 labor occurs?

12 A. It depends on how you are using specific
13 definitions. At mature labor, active labor being
14 the cervix being four to five centimeters, if
15 you're using that as a specific framework, then
16 usually there is effacement concurrent with
17 dilatation.

18 Q. When does effacement begin in a normal pregnancy?
19 Let's try that.

20 A. First or second, it depends whether it is a first
21 pregnancy or second pregnancy.

22 Q. First pregnancy.

23 A. Characteristically, usually the cervix effaces
24 starting around a 37, 38 week pregnancy. And
25 then dilatation will typically follow. But

1 that's not present in all cases.

2 Q. Okay. In some cases does cervical effacement
3 begin earlier?

4 A. It could.

5 Q. Would effacement begin -- strike that.

6 A first trimester abortion followed by a
7 second pregnancy, would there be any change in
8 the rate of effacement?

9 A. I wouldn't expect so.

10 Q. Can you distinguish for me the difference between
 pre-term labor and labor?

 A. Pre-term labor is labor occurring prior to 37
13 weeks. Labor is that which occurs 37 weeks on
14 out.

15 Q. Is 37 weeks the cut-off point for a pre-term
16 child and a term child?

17 A. Yes.

18 Q. In pre-term labor, what is the percentage of
19 labors that can be arrested with the use of
20 tocolytic agents?

21 A. It depends on the circumstances and the degree of
22 prematurity and the degree of dilatation.

23 Q. I don't know if you have all of these answers in
24 your head, but if there is rupture of membranes
25 with pre-term labor at let's say 34 weeks with

1 one centimeter dilatation, what is the success of
2 tocolytics in those situations?

3 A. We don't use tocolytics in that situation.

4 Q. Why not?

5 A. Because of the risk of infection.

6 Q. And the risk of infection is present because of
7 the rupture of membranes?

8 A. Correct.

9 Q. What is the standard of care in a situation with
10 34 weeks rupture of membranes and one centimeter
11 dilatation?

12 A. There is no standard of care.

13 MR. TATTERSALL: I'm sorry, I
14 didn't hear that.

15 A. There is no standard of care.

16 a. How would you care for such a patient if she was
17 presented at your emergency room or hospital for
18 treatment?

19 A. Contracting?

20 Q. Mild.

21 A. She's having mild contractions, we would observe
22 her.

23 MS. SANDACZ: I'm sorry?

24 A. We would observe her.

25 Q. Is that, that is called expectant management?

1 A. Yes.

2 Q. What are you observing it for?

3 A. Any signs of infection or progression of
4 contractions.

5 Q. What would be a sign of infection in a patient
6 such as that?

7 A. Fever, uterine tenderness, fetal tachycardia,
8 elevated white count.

9 Q. If there was no sign of infection such as you've
10 just mentioned, what would you do in terms of
11 treatment of that patient?

12 A. We would observe her.

13 Q. Would you hydrate the patient?

14 A. We would start an IV.

15 Q. And that would just be fluids?

16 A. Yes.

17 Q. And what is the purpose of IV fluids in that
18 situation?

19 A. To give the mother some fluids. Generally when
20 they might be going into labor, we prefer them
21 not to eat or drink because of aspiration should
22 delivery or surgery be needed.

23 Q. So that would prevent dehydration?

24 A. It helps, yes.

25 Q. How long would you observe a mother in that

1 situation?

2 A. At 34 weeks?

3 Q. Yes.

4 A. Probably 24 hours.

5 Q. And if labor hadn't commenced in 24 hours, would
6 you do anything?

7 A. We would induce her.

8 Q. And you would induce her whether or not there was
9 any sign of infection?

10 A. If we were sure of our dates we would.

11 Q. If you were sure it was a 34 week baby?

12 A. Yes.

13 Q. Would you induce if you had no information as to
14 lung maturity?

15 A. Yes.

16 Q. Would there be a risk to that infant to induce
17 labor if the lungs are not mature?

18 A. Sure.

19 Q. Why wouldn't you test for lung maturity in a 34
20 week infant before inducing labor?

21 A. If our dates are good, our data shows that
22 delivery beyond, at 34 weeks and beyond carries
23 minimal risk to the fetus whether you deliver
24 them or whether you observe them.

25 Q. And the risk that you're speaking of has to do

1 with lung maturity?

2 A. Infection versus lung maturity. Those two
3 things. Those are the things that you are
4 weighing.

5 Q. Is there a simple test to test for lung maturity?

6 A. There are several tests you can use.

7 Q. Can they be done in a hospital setting?

8 A. Yes.

9 Q. Do you do them at Metro?

10 A. We do them.

11 Q. How quickly can you get an answer?

12 A. Within an hour.

13 Q. Are you telling me you don't test for lung
14 maturity because it really makes no difference?

15 A. At 34 weeks we generally do not test for lung
16 maturity and we would induce in 24 hours if the
17 dates are good.

18 Q. And how do you know if your dates are good?

19 A. If we know last menstrual period, early
20 observation of the pregnancy, ultrasounds to
21 correlate the dates.

22 - - - -

23 (Thereupon, a discussion was had off
24 the record.)

25 - - - -

1 Q. When you are expectantly managing a patient in
2 the situation we have described, would you do a
3 vaginal exam?

4 A. Not if she's not contracting. We would do -- not
5 a digital exam. We would do a speculum exam to
6 confirm rupture of the membranes.

7 Q. That would preserve the sterile environment?

8 A. It would minimize the risk of infection.

9 Q. A digital exam would enhance the risk of
10 infection?

11 A. Probably.

12 Q. Have you heard of labor defined as regular
13 contractions with progressive change in the
14 cervix which includes cervical dilatation of two
15 centimeters or more and cervical effacement of at
16 least 80 percent?

17 A. I'm not sure what specific definition you're
18 using.

19 Q. Can you tell me what Braxton Hicks contractions
20 are?

21 A. Uterine contractions which do not result in
22 cervical change.

23 Q. Are those present throughout pregnancy?

24 A. --

25 Q. Do they tend to increase in intensity and

1 frequency as the pregnancy nears term?

2 A. As labor approaches.

3 Q. Are Braxton Hicks contractions frequently
4 misdiagnosed as active labor?

5 A. In using the phrase active labor specific. I use
6 the phrase active labor very specifically. And
7 so what do you mean by active labor?

8 Q. I'll rephrase my question.

9 Are Braxton Hicks contractions frequently
10 misdiagnosed as labor?

11 A. The patients often come in to have it evaluated
12 and sometimes it is misdiagnosed as labor.

13 Q. Is there an intensity level that is consistent
14 with the beginning of labor?

15 A. It tends to be painful. With time, it does
16 progress.

17 Q. What about the measurement of the uterine
18 pressure? Is there a range of values you would
19 expect to find?

20 MR. TATTERSALL: On pain you mean?

21 MS. EKLUND: No.

22 A. Of uterine pressure? It is highly variable.

23 Q. So there's no minimum level of uterine pressure
24 to diagnose labor pains?

25 A. Not that I'm aware of.

1 Q. And what is the uterine pressure measured in?
2 What is the unit of measure?

3 A. Millimeters of mercury.

4 Q. In expectantly managing a patient, would you
5 administer Bethamethasone?

6 A. It depends on the circumstances.

7 Q. In a 34 week infant?

8 A. Probably.

9 Q. And what is the purpose of the Betamethasone?

10 A. To accelerate pulmonary maturity.

11 Q. Do you feel that Betamethasone is effective in
12 the majority of patients in whom you administer
13 the drug?

14 A. I'm not sure about the majority. It certainly
15 helps as a group those who receive it if they are
16 pre-term, felt to be less than 34 weeks.

17 Q. I'm sorry, less than 34 weeks?

18 A. 34 weeks or less.

19 Q. And does Betamethasone need 48 hours to be
20 effective?

21 A. It works in less than 48 hours.

22 Q. How much less?

23 A. It works less than 24 under some circumstances.

24 Q. What is the maximum benefit from the drug
25 achieved?

1 A. Maximum is 48 hours.

2 Q. Would it be beneath the standard of care to
3 induce labor in a 34 week pregnancy with
4 spontaneous rupture of membranes in a patient who
5 is not in labor?

6 A. No.

7 Q. Are you familiar with the level of care hospital
8 that Fisher-Titus Medical Center is?

9 A. No.

10 Q. What level is MetroHealth?

11 A. III.

12 Q. And that means it is a full service facility?

13 A. Yes.

14 Q. In terms of your obstetrics department, you have
15 a neonatology unit?

16 A. We do.

17 Q. Does a Level I typically have a neonatology unit?

18 A. No.

19 Q. Would you deliver a 34 week baby in a Level I
20 setting?

21 A. I think that's acceptable.

22 Q. If you have an option as a physician to transfer
23 that patient to a Level III facility, would you
24 do it?

25 A. It depends on the circumstance, why the patient

1 needs to be delivered.

2 Q. Does there have to be a need for delivery?

3 A. To deliver a 34 weeker?

4 Q. Yes.

5 A. Sure.

6 Q. What circumstance would constitute need?

7 A. Infection, distress, sick mother.

8 Q. So you wouldn't just deliver a 34 week infant
9 without a reason?

10 A. Not on purpose.

11 Q. Who asked you to review Angelia Fortner's matter?

12 A. Mr. Tattersall.

13 Q. Did he give you information about the case?

14 A. Yes.

15 Q. What, did he talk to you over the phone?

16 A. He described the case over the phone and then
17 sent me some information.

18 Q. Did you take any notes regarding your telephone
19 conversation with Mr. Tattersall?

20 A. No.

21 Q. Can you tell me what he sent to you?

22 A. He sent me the complaint, office charts,
23 ultrasound reports, hospital record, some
24 depositions of Carlson, Trippe, Fortner, I think
25 May's, Fields and the pediatrician from St.

1 Louis.

2 Q. Can I see that pile that you have there, Doctor?

3 A. Sure. I think I got Kasten's, too.

4 Q. I don't see Dr. Field's deposition here.

5 A. I have it in my office.

6 Q. I'm just curious because I haven't got Dr.
7 Field's deposition.

8 MR. TATTERSALL: I'm sorry?

9 MS. EKLUND: Did you get Dr.
10 Field's deposition already?

11 MR. TATTERSALL: Yes.

12 Q. Doctor, this baby was not delivered by cesarean
13 section, correct?

14 A. Correct.

15 Q. And did you find any evidence in the records that
16 you have been provided with of the presence of a
17 mucus plug?

18 A. Was I provided any evidence of what?

19 Q. A mucus plug?

20 A. Any evidence of it? No. I saw none.

21 Q. Do you have any opinions as to the cause of death
22 of this infant?

23 MR. TATTERSALL: Objection.

24 MS. SANDACZ: Objection.

25 A. There seems to have been some difficulty in

1 resuscitation that led to a rather profound
2 acidosis.

3 Q. Did this baby have a hyaline membrane disease?

4 MS. SANDACZ: Objection.

5 Q. Hyaline membrane disease.

6 A. I don't think so. He had some problems
7 afterwards but I don't think he had much in the
8 way of hyaline membrane disease.

9 Q. Do you have an opinion as to whether the infant's
10 lungs were mature at delivery?

11 A. I think they probably were.

12 Q. Have you seen the x-ray films of the infant's
13 lungs?

14 A. No.

15 Q. Would that be important in determining whether
16 the infant's lungs were mature at delivery?

17 A. Not necessarily. It depends on the resuscitation
18 and the events that occurred around delivery.

19 Q. So if the resuscitation is not successful, you
20 may see results in the lungs that were caused
21 actually by the failure of resuscitation?

22 A. Well, abnormal lung x-rays, I'm not a
23 pediatrician, so I probably shouldn't even
24 testify about it. I'll just leave it alone.

25 Q. You don't have an opinion?

1 A. No.

2 MR. TATTERSALL: He's not going to
3 testify on the matter of resuscitation.

4 Only as to the standard of care.

5 Q. Is that correct, you have no opinions as to the
6 resuscitative effect, efforts on behalf of this
7 infant?

8 A. That's correct.

9 Q. Do you teach resuscitation at MetroHealth?

10 A. I teach a portion of the class that is involved.
11 I teach a portion of the obstetrical difficulties
12 associated with it that might be encountered in
13 the rendering of resuscitation. But not in the
14 actual resuscitation.

15 Q. Do difficulties include difficulties with
16 pre-term children?

17 A. Pre-term deliveries, yes.

18 Q. I take it you have not written any reports in
19 regard to this case?

20 A. That's correct.

21 Q. Have you made any notes in the process of your
22 reviewing the records or depositions?

23 A. No.

24 Q. I'll give this back to you, Doctor, because you
25 may need to refer to that.

doctor. Do your materials include the fetal monitor strips for the child?

A. Yes.

Q. And do you agree that this was a 34 week pregnancy at the time of admission?

A. I don't disagree with that

do you agree that there was no testing of lung maturity done by the physician in this case?

A. I don't think there was

do Doctor, from your review of the record, was there any indication which required induction of labor for Angelia Fortner?

4 She was having regular contractions. She had been examined and under those circumstances we would probably deliver.

Q Would you deliver because she had been digitally examined?

A. Plus the fact that she had ruptured membranes and was contracting every few minutes, that combined with the digital exam, we would deliver.

Q So once Dr. Kasten performed a digital examination, it was necessary to induce labor?

A. I don't know that it was absolutely necessary but I think it was indicated.

Q That would be the standard of care?

1 A. I think that most people would do that

2 Q When she was admitted to the hospital at 6:30

3 A.M., her contractions are really listed as

4 merely an ache and it's in quotation marks

5 A. Yes.

6 Q. In your opinion, does that constitute labor?

7 A. It might be the beginning of it. It would

8 where they're located, if you can visualize it

9 Q. Dr. Kaesten orders Pitocin for this woman at 7:30

10 A.M. at which point her contractions are

11 described as only mild

12 I believe that an appropriate order?

13 A. I think after an examination and physical
14 impression she was going into labor or it would
15 have an infection it would have

16 Q. Is there any basis for Dr. Kaesten to believe
17 there was an infection?

18 A. I don't know.

19 Q. Was there any temperature?

20 A. Did she have a fever? No specific

21 Q. I have children and I say fever.

22 Did she have fever?

23 A. No.

24 Q. Did she have uterine tenderness?

25 A. I don't think so.

1 1 Q. Did she have any fetal tachycardia?

2 2 A. No. Not that I saw.

3 3 Any elevated white blood count?

4 4 I don't recall what her white count was on
5 5 admission.

6 6 . As far as you remember now there was no sign of
7 7 infection?

8 8 . No.

9 9 . And from -- let's see. According to the chart,
10 10 her membrane ruptured at 7:00 a.m.?

11 11 A. What page are you on?

12 12 Q. I don't know which page. But it's the first page
13 13 of the labor process.

14 14 MS. SANDACZ: What date?

15 15 MS. EKLUND: It's 5/20/95.

16 Q 16 . It's probably in here. It starts with 5/20/95 at
17 17 6:30. You're getting close. Got it?

18 A 18 A. Yes.

19 Q 19 Q. Okay. All right. Doctor, we're on the same
20 20 page.

21 A 21 A. Uh-huh.

22 Q 22 Q. The records would reflect at 7:00 a.m. she had a
23 23 spontaneous rupture of membranes with clear
24 24 fluid, is that right?

25 A 25 A. There's a notation of spontaneous ruptured
There's a notation of spontaneous ruptured

1 membranes at 6:30.

2 Doesn't it say questionable? Do you read it that a
3 way?

4 Questionable at 5:30. She came to the hospital
5 with ruptured membranes, I think.

6 So you don't interpret the 7:00 a.m. note to mean_{ea}
7 that they ruptured at that time?

8 It says mom with ruptured membranes. I'm not
9 sure. I thought they ruptured at 5:30.

10 If they ruptured at 5:30, would there still be
11 the presence of clear amniotic fluid?

12 There may be.

13 And you could still do the Nitrazine testing at_{at}
14 that point?

15 Uh-huh.

16 2. Did Dr. Kasten allow enough time to determine
17 whether or not this woman was in true labor
18 before ordering Pitocin?

19 A. That's a subjective decision based on whether the_{the}
20 contractions are perceived by him and if he felt_{it}
21 that labor was beginning.

22 Q. He'd have to have some basis to believe that
23 labor was beginning, wouldn't he?

24 A. Uh-huh.

25 Q. He would have to have some basis to believe that ..

1 the mild contractions, which are described in the
2 nurses' notes, were causing cervical changes,

3 correct?

I mean

4 A. Well, he would talk to the patient, too. would go
5 he wouldn't just read nurses' notes. He
6 in and talk to patients.

7 Q. Based on what you know of this case, including
8 his deposition in the record, what was his basis
9 for believing that labor had begun?

10 A. I have to look at the records to see what
11 specifically. I'm not specifically sure what
12 you're referring to.

1134 Q. Based upon the hospital chart, what is the
indication that labor has commenced?

15 She has ruptured membranes. She's having uterine
16 contractions.

17 Uterine contractions could be pre-term labor?

18 A. That's corre

19 Q. And they may not be true labor;

20 A. Pre-term labor is true labor.

21 Q. But it may also be that it's not true labor;

22 A. That's possible.

23 Q. And what you need to determine in a 34 week

24 pregnancy is is this really labor or is this

25 something that won't stop?

1 A. Persistence of contractions and cervical change.

2 Q. To determine that, you have to observe the
3 effects of those contractions over a period of
4 time?

5 A. Part of your evaluation of the change is whether
6 the cervix has changed. So you have to examine
7 the patient to feel that change.

8 Q. What was her condition of her cervix before she
9 was admitted into the hospital on 5/20/95?

10 A. I don't know what it was before.

11 Q. Okay. So when you are examining the patient on
12 5/20/95, you don't know the prior state of her
13 cervix so you don't know whether these
14 contractions have caused any changes or not?

15 A. That's correct.

16 Q. So you would have to observe from the time of
17 your examination what occurs, how these
18 contractions are affecting the cervix?

19 A. Sure. If you're watching for cervical change
20 under these circumstances and you do an exam
21 because you have to palpate the effacement and
22 palpate dilatation, then you need to do an exam.
23 You do an exam, if your clinical suspicion is
24 raised sufficiently, you do a visual exam.

25 Q. Can you do a speculum exam and determine cervical

1 effacement?

2 A. No.

3 MR. TATTERSALL: What was your
4 question?

5 - - - -

6 (Thereupon, the requested portion of
7 the record was read by the Notary.)

8 - - - -

9 Q. In your hospital you wouldn't do a digital exam
10 until you were sure that labor had in fact
11 commenced?

12 A. If we were concerned and suspicious that labor
13 had commenced, we might do a speculum examination
14 or we might do a digital examination. It depends
15 on the circumstance.

16 Q. In a patient presenting such as Angelia Fortner
17 with what you see appearing in her hospital
18 chart, would you do a digital exam on this
19 patient?

20 A. I probably would have if I thought that her
21 contractions were getting stronger and
22 uncomfortable for her.

23 a. And can you locate the fetal monitor strips that
24 are in the records that coincide with the
25 admission? They start with 43624.

1 A. I got it.

2 Q. Now, the fetal monitor strip is attached right at
3 the time of admission or shortly thereafter,
4 correct?

5 A. I think so.

6 Q. And am I correct that the top strip measures the
7 fetal heart rate?

8 A. Correct.

9 Q. And the bottom strip measures uterine
10 contractions?

11 A. Yes.

12 Q. Do these fetal monitor strips from let's say 5:30
13 a.m. to let's say 7:00 a.m. show contractions
14 consistent with labor?

15 A. Yes.

16 Q. Do they have the intensity of contractions
17 consistent with labor?

18 A. You can't tell intensity from the tracing. All
19 you can tell is frequency.

20 Q. From the monitor, what are the frequencies of her
21 contractions?

22 A. It looks like every two to three minutes up until
23 around 7:00. Then you can't really tell. And
24 then at 7:50 it looks like they are occurring
25 every two or three minutes.

1 Q. Now, by 7:50 she's received Pitocin, corre
2 A. I'm not sure of the time of the start of 1
3 Q. About 7:30.
4 So she was having contractions every two to three
5 minutes.
6 Prior to 7:30.
7 Pardon?
8 Before 7:30.
9 .. Yes.
10 Q. And the Pitocin would have the effect of
11
12 the contractions?
13 Yes.
14 Was the administration of Pitocin in the dosages
15 which Dr. Kasten gave this woman throughout her
16 labor appropriate?
17 A. They're reasonable, sure.
18 Q. When is it appropriate to administer Pitoc
19 .. To either initiate or augment labor.
20 Q. If you are using it to augment labor, do you have
21 to have some basis to believe that the normal
22 uterine contractions are not sufficient to
23 produce the cervical changes required for
24 delivery?
25 A. You don't have to. It depends on the

1 circumstance.

2 Q. What circumstance?

 Well, if urgency was important, if you had signs

3 A.

4 of an infection, for example, which is not be
5 present in this case, then augmentation might
6 important to shorten the duration.

7 Q. Was there any indication of any urgency in
8 Angelia Fortner's case?

9 A. No.

10 Q Was there any indication for the administration
11 of Pitocin?

12 A. She had ruptured membranes and he felt that it
13 might be augmented. Clinically it is a judgment
14 call.

15 Q. You, in reviewing this, find no basis for the
16 decision to augment her labor?

 MR. TATTERSALL: Objection.

17
18 A. I find no fault with augmenting the labor. If he
19 examined her and felt that labor was beginning
20 and he wanted to shorten the amount of time
21 because of the dilatation of the cervix, then
22 that's a very reasonable approach.

23 Q. What are you talking about with the dilatation of
24 the cervix?

25 A. She is one centimeter dilated. If he foresaw it

1 might take a while, then augmenting would be
2 reasonable. It's a judgment call of a physician.

3 Q. Do you have an understanding of what is meant by
4 the standard of care?

5 A. I know what I think it is.

6 Q. What do you think it is?

7 A. It is reasonably appropriate under the majority
8 of circumstances.

9 Q. Is it what a reasonable physician would do under
10 the circumstances?

11 A. Yes.

12 Q. So it's not necessarily a judgment call what one
13 physician does under the circumstances?

14 A. I don't understand the question.

15 Q. I guess your answers to me have been basically
16 that it's a judgment call if Dr. Kasten felt that
17 Pitocin was appropriate.

18 Is there a standard of care in regard to when
19 it is appropriate to administer Pitocin?

20 A. I don't think there is a standard of care in this
21 circumstance. We're dealing with 34 weeks,
22 ruptured membranes. There certainly is with much
23 more pre-term events and with much more term
24 events. But 34 weeks, there is no standard of
25 care.

1 Q. Is one centimeter of dilatation commonly found in
2 a 34 week pregnancy?

3 A. Yes.

4 Q. Cervical dilatation occurs before the term is
5 completed in the normal pregnancy?

6 A. With the first delivery it tends not to dilate
7 until later in the pregnancy towards 38, 39
8 weeks. It is not unusual to find it but usually
9 under the majority of circumstances, the cervix
10 will be closed at 34 weeks gestation.

11 Q. And one centimeter of dilatation doesn't
12 necessarily mean that labor is about to commence,
13 does it?

14 A. It doesn't necessarily mean that.

15 Q. In reviewing the fetal monitor strips, did you
16 see any evidence of fetal distress?

17 A. Just toward the end, there was some decelerations
18 that would suggest distress just prior to the
19 delivery.

20 a. And this baby was born with good pH levels,
21 correct?

22 A. I believe the cord pH was good.

23 2. Does that indicate a baby that has survived the
24 labor process in an intact condition?

25 A. In a non-asphyxiated condition.

1 Q. Did you see anything in the record which would
2 explain the infant's inability to breathe upon
3 birth?

4 A. No.

5 Q. Do you have any opinion as to why this infant
6 couldn't breathe when she was born?

7 MR. TATTERSALL: Objection.

8 MS. SANDACZ: Objection.

9 A. No.

10 Q. Have you ever had a pre-term infant that you were
11 unable to ventilate?

12 MR. TATTERSALL: Objection.

13 MS. SANDACZ: Objection.

14 A. I don't usually do the resuscitations of infants.

15 Q. Do you have a neonatologist present when you have
16 a pre-term delivery? .

17 A. It depends on the circumstances. Usually not.

18 Q. Who is usually in attendance?

19 A. It depends on the time of day. We usually have a
20 neonatal nurse practitioner, perhaps a pediatric
21 resident, another nurse.

22 Q. In teaching students and residents how to
23 resuscitate a pre-term infant, do you tell them
24 that you may need to use greater than normal
25 pressures to ventilate?

1 MR. TATTERSALL: Objection.

2 MS. SANDACZ: Objection.

3 A. I don't teach the resuscitation.

4 Q. Okay. I thought that you said you did in
5 difficult deliveries.

6 A. No. You asked if I participated in teaching
7 resuscitation courses. I teach the portion that
8 deals with the conditions in which you might be
9 called upon to resuscitate.

10 Q. You don't take it to the next step?

11 A. No.

12 Q. Do you have any opinion as to the percentage of
13 34 week infants that will have immature lungs?

14 A. Define immature lungs.

15 Q. Inability to breathe, will require resuscitation.

16 MS. SANDACZ: Objection.

17 A. You mean at the time of delivery?

18 Q. Yes.

19 A. How many will need to have ventilation
20 immediately?

21 2. Yes.

22 A. The vast minority, assuming that the indications
23 associated with the delivery are not extreme such
24 as rupture or bleeding problems, distress.

25 Q. Was there any point in the laboring process of

1 this child where you felt a cesarean section
2 would have been appropriate?

3 | A. No

4 Q. In expectantly managing a patient with pre-term
5 labor, would you induce -- strike that.

6 The pathology on the placenta in this case
7 showed a chorioamnionitis?

8 A. I believe it did.

9 Q. And that is an infection of the placenta?

10 A. It's an inflammation in the placenta.

11 Q. And that inflammation could have occurred as the
12 result of 36 hours of labor and the vaginal exams
13 that accompanied that?

14 A. It could have.

15 Q. Was there any sign of infection in the pathology
16 afterbirth?

17 A. I'm not sure what you're saying.

18 Q. Did you review the pathology records from Toledo
19 Hospital?

20 | A. Yes

21 Q. Was there any sign of infection other than the
22 chorioamnionitis?

23 A. I don't recall. I'd have to specifically review
24 them again. But I don't recall any specifically.

25 | Q. In --

1 MR. TATTERSALL: Are you referring
2 to the mother or to the child or both?

3 MS. EKLUND: Either.

4 Q. In mothers who have a chorioamnionitis, isn't it
5 true that a very small percentage of those babies
6 will be affected by the chorioamnionitis?

7 A. A small percentage, yes, depending how you define
8 that.

9 Q. Define what, chorioamnionitis?

10 A. Yes.

11 Q. How many different ways can you define it?

12 A. You can define it on the basis of an evaluation
13 of the placenta, in which case you find it much
14 more frequently and in the clinical presentation
15 with fever and uterine tenderness.

16 Q. Doctor, is it true that pre-term labor can stop
17 spontaneously on its own?

18 A. If it does, it is not pre-term labor.

19 Q. What is it, Braxton Hicks?

20 A. If there is no cervical change and it is just
21 cervical change, it is just Braxton Hicks.

22 Q. Doctor, in your review of this record, was there
23 any reason that you can see in the records for
24 Dr. Kasten to have performed a digital exam at
25 7:30 a.m.?

1 A. That is really dependent upon the evaluation of
2 the clinician at the time. In the majority of
3 patients under this circumstance that are sent to
4 us from the outside, they are examined prior to
5 referral.

6 Q. That doesn't mean it's appropriate, does it?

7 A. It's the usual practice in the community.

8 Q. Does that make it appropriate?

9 A. It's not what we would prefer but that's the
10 usual practice.

11 Q. So you're saying you would prefer that they not
12 be digitally examined before they are sent over
13 to you?

14 A. We would prefer that.

15 Q. You would prefer a sterile environment so that
16 the expectant management can proceed?

17 A. If they are referred to us for expectant
18 management we would.

19 Q. Doctor, I just want to understand, are you
20 equating the standard of care with what is in
21 fact done in the medical profession as opposed to
22 what should be done?

23 A. No. Not necessarily.

24 Q. So the fact that referring physicians may
25 digitally examine patients before sending them to

1 you for expectant management, that doesn't make
2 it appropriate, does it?

3 A. Correct.

4 Q. And you would agree that the standard of care
5 would be that they should not be digitally
6 examined?

7 A. I think at 34 weeks, again, there is no clear
8 standard as to how to manage these patients.

9 Q. What is the cut-off point for when there's a
10 standard of care and when there isn't in terms of
11 pre-term labor?

12 A. Generally from 33 weeks and below. 33 weeks and
13 below, we would consider those significantly
14 pre-term that we would prefer referral to a
15 tertiary center, though there is no standard in
16 Ohio.

17 Q. Is there a standard somewhere in the United
18 States?

19 A. I'm sure there are. It may not be uniform. It
20 may not be.

21 Q. Does the American College of Obstetrics and
22 Gynecologists have guidelines?

23 A. They have guidelines.

24 Q. In regard to 34 week pre-term labor?

25 A. You mean the technical bulletin?

1 Q. Yes.

2 A. I presume. I've not read that one.

3 Q. Do the textbooks have guidelines or information
4 as to 34 week pregnancy?

5 A. They have opinions.

6 Q. Does your department have a protocol as to 34
7 week pregnancies?

8 A. No.

9 Q. Does it have written protocol as to 33 week
10 pregnancies?

11 A. No.

12 Q. Does it have any written protocol?

13 A. For?

14 Q. Pregnancy.

15 A. Yes. We have protocol for pregnancy.

16 Q. But not in regard to whether to induce or
17 expectantly manage labor?

18 A. In pre-term rupture of the membranes, that's
19 correct. That's with specific regard to inducing
20 or not inducing.

21 Q. You have no written protocol as to that?

22 A. That's my understanding, correct.

23 Q. Well, you head the department, correct?

24 A. I head that division.

25 Q. You would know, I assume?

1 A. I would think I would

2 Q Do you consult with neonatologists in terms of
3 whether or not to induce labor in a 34 week
4 pregnancy?

5 A. No.

6 Q. You tabbed some things in your apparent review of
7 the chart in this case

8 Are any of those tabbed items important in
9 terms of your opinions in this matter?

10 A. No. It just refers me to different areas of the
11 chart where I can follow the labor.

12 Q Is it your opinion in this case that Dr. Kastan
13 was exercising his clinical judgment in deciding
14 to order Pitocin for Angelia Fortner and induce
15 her labor?

16 MR. TAMMERSALL: objection.

17 A. You mean to augment her labor.

18 Q Did she augment her labor or induce it?

19 A. My impression is he augmented it

20 Q Do you know from the chart what he induced it
21 or augmented it?

22 A. No, I don't.

23 MR. TAMMERSALL: What was your
24 answer?

25 A. I can't tell She was having contractions with

1 rupture of the membrane every three minutes and
2 he made them stronger and closer.

3 Q. But you can't tell just because she's having
4 contractions with ruptured membranes whether or
5 not she's in labor or not?

6 A. My impression from somebody who is having their
7 first child, if she's 34 weeks and one centimeter
8 dilated, contracting every three minutes after
9 rupturing the membranes would mean that she was
10 in labor. That would be my initial impression.

11 Q. Would you act on that initial impression or would
12 you wait and see how that labor progresses?

13 A. I think there is no standard of care on how you
14 approach that. My personal plan would be to
15 observe her for a little bit longer. If she's
16 not changed in about six hours, then we would add
17 Pitocin at that time.

18 !. You would add Pitocin after six hours?

19 .. Yes. If she was still contracting.

20 What if she stopped contracting?

21 A. We might observe her. If she had been examined,
22 we probably would have done Pitocin.

23 Q. If she had not been examined?

24 A. Probably watch for 24 hours and then induce.

25 2. I want to get back to your opinions.

1 You have no criticism of Dr. Kasten's
2 decision to administer Pitocin in this case?

3 A. That's correct.

4 Q. Do you have any criticism of Dr. Kasten's digital
5 examination of this case?

6 A. It depends on the circumstance and what his
7 impression was when he saw the patient. So I
8 don't have any specific criticism if his
9 impression was she was in labor.

10 Q. If she was not in labor, you would be critical of
11 that decision?

12 A. If she was not contracting, I would prefer him
13 not to do that.

14 Q. How about if she's not in labor?

15 A. You don't know if she's in labor unless you
16 examine her.

17 Q. You have no criticism of Dr. Kasten's failure to
18 perform a lung maturity test?

19 A. Correct.

20 Q. You have no criticism of Dr. Kasten's decision to
21 deliver this pre-term infant in a Level I
22 hospital setting?

23 A. That's correct.

24 Q. And you have no criticism of the resuscitation of
25 this infant?

1 MS. SANDACZ: Objection.

2 MR. TATTERSALL: Say that again,
3 please?

4 Q. No criticism of the resuscitation of the infant?

5 MR. TATTERSALL: Objection.

6 MS. SANDACZ: Objection.

7 A. I can't discuss that as a criticism.

8 Q. You have no opinions?

9 A. I have no opinion.

10 Q. Are there any other opinions you have in regard
11 to this case that we have not discussed or I have
12 not mentioned?

13 4. Like what?

14 MR. TATTERSALL: If you have any
15 questions to ask him, I think he's covered
16 the waterfront as far as his opinions that
17 I'm going to ask him on direct examination.

18 You might ask him something on
19 cross which you haven't done today that he
20 might have an opinion on. But you got the
21 essence of it.

22 Q. I'm trying to understand if I've covered all of
23 the opinions that you intend to express at trial,
24 as far as you know?

25 A. I've responded to all your questions. I don't

1 know if you ask me a different question or you
 2 might ask something differently If you ask me
 3 these questions that you did ~~ask~~, that's fine
 4 Q You, I assume, have no opinions as to the car
 5 that was rendered at Molebo Hospital?

6 MR TATTERSALL: objection.

7 A. No opinion.

8 MS SKLUND: I have nothing
 9 further for you.

10 MR TATTERSALL: I want to make
 11 one correction in that record You asked
 12 him what he advised by way of records and
 13 he read off a list of dispositions

14 I don't know whether he listed Dr.
 15 Kastan's disposition but that is included in
 16 the list

17 A. I did

18 MS SKLUND: No further questions

19 - - -

20 CROSS-EXAMINATION OF LEROY J. DIERKER, M.D.

21 BY MS. SANDACZ:

22 Q As I introduced myself, I am Beverly Sandacz I
 23 represent. I represent Dr. May

24 With regard to your comments about the cord
 25 blood gases upon delivery. You indicated that

1 were within the expected or normal range --

2 A. That's my recollection.

3 Q. -- at the time of delivery.

4 And you indicated that that would indicate
5 that the baby was not born in an asphyxiated
6 condition?

7 A. That's my impression, correct.

8 Q. Is it fair to say that the existence of cord
9 gases within normal limits does not eliminate the
10 possibility that the neonate might have suffered
11 some distress during the pregnancy or during the
12 prenatal period?

13 MS. EKLUND: Objection.

14 A. That's correct.

15 Q. Or that the baby suffered some fetal asphyxia
16 prior, during the prenatal period?

17 MS. EKLUND: Objection.

18 A. Prior to this observation period, it could be,
19 yes.

20 Q. And in fact if there are low apgar scores as
21 noted, in this particular case, that could be
22 explained by some fetal asphyxia during the
23 prenatal period?

24 MS. EKLUND: Objection.

25 A. It's possible.

1 Q. And just so I'm clear, you don't have any
2 opinions regarding the basis or the reason for
3 any kind of non-compliance or the resistance that
4 was met during the resuscitative effort of this
5 baby?

6 A. That's correct.

7 MS. SANDACZ: That's all I have.

8 MR. TATTERSALL: Anything further?

9 - - - -

10 CROSS-EXAMINATION OF LeROY J. DIERKER, M.D.

11 BY MS. EKLUND:

12 Q. A baby who had suffered some event during
13 pregnancy, would you expect to see some evidence
14 of distress in the fetal monitoring during labor?

15 A. Not necessarily. Not necessarily.

16 Q. Would you probably see some distress?

17 A. I don't know that you would see distress. I
18 guess it would depend on the degree and type of
19 injury.

20 But I saw no sign of it in this case.

21 MS. EKLUND: Okay. Thank you.

22 MS. SANDACZ: We're done.

23 MR. TATTERSALL: Doctor, this
24 deposition will be transcribed. Would you
25 like to look at it and sign it?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

THE WITNESS: I'll read it.

MR. TATTERSALL: There will be no
waiver of signature.

LeROY J. DIERKER, M.D.

The State of Ohio,) SS:
County of Cuyahoga.)

IN WITNESS WHEREOF, I have hereunto set my
hand and seal of office, at Cleveland, Ohio, this
_____ day of _____ A.D. 19 _____

Linda A. Astuto, Notary Public, State of Ohio
1750 Midland Building, Cleveland, Ohio 44115
My commission expires October 25, 2002