

IN THE COURT OF COMMON PLEAS  
CUYAHOGA COUNTY, OHIO

REBEKAH BERLINGER,  
et al.,

Plaintiffs,

-vs-

MT. SINAI MEDICAL  
CENTER, et al.,

JUDGE KILBANE  
CASE NO. 94277

Defendants.

Doc  
133

Deposition of LEROY DIERKER, M.D., taken as if  
upon cross-examination before Pamela S.  
Greenfield, a Registered Professional Reporter  
and Notary Public within and for the State of  
Ohio, at Cleveland Metro General Hospital, 3395  
Scranton Road, Cleveland, Ohio, at 10:00 a.m. on  
Saturday, July 25th, 1987, pursuant to notice  
and/or stipulations of counsel, on behalf of the  
Plaintiffs in this cause.

MEHLER & HAGESTROM, INC.  
Registered Professional Reporters  
650 Engineers Building  
Cleveland, Ohio 44114  
(216) 621-4984

APPEARANCES:

Don C. Iler, Esq.  
Law Offices of Don C. Iler  
1640 Standard Building  
Cleveland, Ohio  
(216) 696-5700,

On behalf of the Plaintiffs;

Gary Galdwasser, Esq.  
Reminger & Reminger  
7th Floor 113 St. Clair Building  
Cleveland, Ohio 44314  
(216) 687-1311,

On behalf of Defendant  
Mt. Sinai Medical Center;

Patrick J. Murphy, Esq.  
Jacobson, Maynard, Tuschman & Kalur  
100 Erieview Plaza  
Fourteenth Floor  
Cleveland, Ohio 44114  
(216) 621-5400,

On behalf of Defendant  
Robert Schwartz, M.D.

- - - -

1                    LEROY DIERKER, M.D., of lawful age,  
2                    called by the Plaintiffs for the **purpose** of  
3                    cross-examination, as provided by the Rules of  
4                    Civil **Procedure**, being by me **first** duly **sworn**,  
5                    as hereinafter certified, deposed and said as  
6                    follows:

7                    CROSS-EXAMINATION OF LEROY DIERKER, M.D.

8                    BY MR. ILER:

9                    - - - -

10                    (Whereupon, Plaintiffs' Exhibit No.  
11                    1 was marked **for** purposes of identification.)

12                    - - - -

13                    MR. ILER: Let the record reflect  
14                    that we're taking the deposition of **Dr.** Dierker  
15                    as on cross-examination **and** pursuant to the  
16                    discovery **rules**; that he **appears** to **be** an expert  
17                    **for** the Defendant Mt. Sinai Hospital in this  
18                    case.

19                    MR. GOLDWASSER: I disagree with  
20                    the comment as to cross-examination. That's for  
21                    the record. **It's** a discovery deposition to be  
22                    inquiring as to what Dr. Dierker will testify to  
23                    on direct exam.

24                    Q. Doctor, have you had **your deposition** taken  
25                    before?

A. In this case?

2 Q. In any case?

A. Yes.

4 Q. If you find any tjme you don't understand one of  
E my questions, tell me. I'll **rephrase** it and set.  
6 if **we're** together on it so that I'm sure that  
7 you've answered correctly,

8 We have a copy of your curriculum vitae.  
9 There is only one copy. The girl didn't make  
10 another one so I'll turn thjs over to Mr.  
11 Goldwassers then he can make a **copy** of it and we  
12 can attach it to the deposition later on, if you  
13 want.

14 MR. COLDWASSER: Fine.

15 !. I'm not going to take the time, doctor, at this  
16 time to run through an extensive and a very  
17 complete curriculum vitae with your education.  
18 It's not to slight you in any way. I think tha  
19 later on your attorney **will** probably take you  
20 through every detail of your education hut, I  
21 think **we** can just **ask** a **few** preliminary  
22 questions.

23 You are a physician?

24 A. I am.

Q. And you have been licensed to practice since

1 what year, approximately?

2 A. Licensed to practice since '68.

3 Q. You practice, I understand, in several states  
4 Iowa and Ohio?

5 A. Virginia.

6 Q. And you have been practicing medicine in Ohio  
7 for how long now?

8 A. 11 years.

9 Q. I think you have confined your practice to a  
10 specialty in medicine, am I right?

11 A. I have.

12 Q. Could you tell us what that is?

13 A. Maternal/fetal medicine.

14 Q. Internal fetal?

15 A. Maternal/fetal medicine.

16 Q. For the record, what is that?

17 A. High risk obstetrics.

18 Q. What do you mean by high risk?

19 risk?

20 A. Depends on the problem. Women who are at risk  
21 for having either early deliveries which are  
22 dangerous to them or to the baby.

23 Q. Later on we'll come back and we'll see if we can  
24 engraft that term to Rebekah Berlinger and then  
25 you can tell me whether or not you think she's

1 high risk; if she wasn't, why you think so,  
2 okay?

3 A. Okay.

4 Q. Insofar as giving a medical opinion in defense  
6 that before? Have you given opinions?

Have you done it or Mr. Goldwa er's firm  
before?

I have.

11 Q. How many times have you worked with that firm,  
12 Reminger & Reminger, on cases?

13 A. Giving depositions?

14 Q. Yes.

15 A. Maybe one.

16 Q. Have you advised them on other cases or given  
17 opinions to that firm on other cases?

18 A. I have.

19 Q. How many cases do you think you have worked with  
20 the firm Reminger & Reminger?

21 A. Two or three.

22 Q. Over the past year or two years, sir?

23 Correct.

24 Q. About that time?

25 A. Yes.

1 Q. Have you ever testified in court?

2 A. I have.

3 Q. In Cleveland?

4 A. Yes.

5 Q. What case would that have been, do you recall?

6 A. It was Angerman versus somebody.

7 Q. Angerman?

8 A. Yes.

9 Q. Was that, did the Reminger firm hire you or who  
10 was the lawyer that you worked with on that  
11 case, do you remember?

12 A. I'm not certain, but I can get the name.

13 Q. Is it a local firm in town?

14 A. Yes.

15 Q. Have you done any of this work, that is  
16 defending physicians in medical negligence suits  
17 in any other state, Iowa or Virginia?

18 A. No.

19 Q. The question is really what I'm trying to get  
20 from you is if you've given medical opinion  
21 before, written reports before, given  
22 deposition5 before and there is nothing wrong  
23 with all of that or you testified in trial  
24 before, I just want to know the number of times  
25 you have and if you remember specifically the

1 cases or the lawyers, it would help me. 8

2 A. I have testified in one legal case and that was  
3 this year. the case that I mentioned.

4 Q. The Angerman case?

5 A. Yes.

6 Q. Down in downtown Cleveland was it?  
7 A. Correct.

8 Q. You don't remember the lawyers at this point or  
9 the firm?

10 A. It may have been Reminger. It may have been  
11 Arter & Hadden, I don't recall.

12 MR. GOLDWASSER: I don't think it  
13 was us; but if it was, I'd be happy to let you  
14 know. I just don't know the name of the case at  
15 all.

16 Q. How did it come to pass that the Reminger firm  
17 came to you for some advice for your opinion on  
18 some of these cases?

19 A. I'm not sure.

20 Q. Are you insured in medical negligence  
21 insurance? Do you have medical negligence  
22 insurance?

23 A. The hospital insures all the physicians.  
24 Q. Do you know the name of the company

25 the hospital? that insures



1 MR. GOLDWASSER: The hospital is  
2 ~~sei~~insured.

3 A. I think it's self-insured,

4 Q. I have marked as Exhibit Number 1 a report of  
5 February the 7th, 1986 signed by yourself and a  
6 **report** of February 25th, 1986. Would you take a  
7 look at those? **They** are copies, doctor.

8 Doctor, the report of February the 7th,  
9 1986, that's signed by yourself, is that.  
10 correct?

11 A. **Yes.**

12 Q. And it concerns this case of Rebekah Berlinger  
13 versus Mt. Sinai Hospital and it's **addressed** to  
14 Attorney Gary Goldwasser, am I correct?

15 A. **That's** correct.

16 Q. Then the second report is dated **February** 25th of  
17 '86 once again to Attorney Goldwasser signed by  
18 yourself and of course both of these concern  
19 your opinion concerning the Rebekah Berlinger  
20 case?

21 A. Yes.

22 Q. What did you understand your **task** to be in  
23 reviewing the Rebekah Berlinger case?

24 A. To look at the **records**, the monitoring tracings  
25 **and to render** an opinion as to whether the care

1 was appropriate or not.

2 Q. Are you familiar with, have you heard the  
3 standard of care, that concept used before?

4 A. I've heard the term.

5 Q. You used the word appropriate and I just, want to  
6 know when you say appropriate care, do you mean  
7 that legal concept that we lawyers have to use,  
8 the standard of care? Is that the same thing in  
9 your mind?

10 MR. GOLDWASSER: Well, your  
11 statement suggests that he should know the legal  
12 definition.

13 MR. ILER: No. I just want to know  
14 if he knows.

15 A. I don't know.

16 Q. Well, what standard did you use when you say  
17 whether or not the people used the appropriate  
18 care? I mean, how do I know what standard you  
19 used to determine if they were above it, equal  
20 to or below the appropriate care which should  
21 have been rendered Rebekah? What do you use for  
22 that?

23 A. I would use if I thought a physician was acting  
24 in the best interest of the patient and by  
25 standard guidelines.

1 Q. Where would I find those guidelines?

2 A. Perhaps in textbooks.

3 Q. Which ones could I refer to?

4 A. You could **refer** to Creasy and Resnick.

5 Q. Wow do you spell that?

6 A. C R E A S Y and R E S N I C K.

7 Q. What's the name of the book?

8 A. Maternal/Fetal Medicine.

9 Q. Any other book that would give me some  
10 guidelines on this case?

11 A. Williams' Obstetrics,

12 Q. That's the old classic, I guess?

13 A. Yes.

14 Q. Do you consider it to be a reasonably good book?

15 A. I think both of them are good books,

16 Q. From what I could read of your report of  
17 February 7th of '86 and the other **report** of  
18 February 25th of '86, it **appears** to be addressed  
19 to Mr. Goldwasser's client, which is Mt. Sinai  
20 Hospital, am I correct on that?

21 A. It's addressed to Mr. Goldwasser,

22 Q. **Yes**. But you're not giving or are you giving an  
23 opinion as to Dr. Schwartz? He's a defendant in  
24 the **case**, too; or are you confining your opinion  
25 strictly to Mr. Goldwasser's clients who are Mt.

1 Sinai Hospital and Mt. Sinai employees?

2 MR. GOLDWASSER: Now, in fairness  
3 to Dr. Dierker, he doesn't know what I'm going  
4 to ask him on direct examination. We haven't,  
5 discussed that; but in fairness, Don, I would  
6 think that you can't hardly separate the  
7 standard of care of the obstetrics from that, of  
8 the labor room nurses, particularly as to the  
9 issues drawn by Dr. Abramson, it has to overlap,  
10 although I'm certainly concentrating on the  
11 hospital.

12 Q. The only reason I ask you that, see, my  
13 questions are really designed, I had anticipated  
14 that what you were doing was going to render a  
15 medical opinion concerning the appropriateness  
16 of care only for hospital people, see, and that  
17 Mr. Murphy has his own expert, Dr. Mann to  
18 testify as to the defendant, Dr. Schwartz, okay?

19 A. Okay.

20 Q. I'm getting confused. So I don't know what to  
21 ask you.

22 Are you prepared to give an opinion for the  
23 hospital and Dr. Schwartz or just the hospital?

24 I don't know.

25 A. I could do either.

1 Q. You could do either?

2 A. I mean, I could.

3 Q. Well, let me get to the bottom. Let's take the  
4 hospital people first, okay?

5 A. Okay.

6 Q. Who would you include at the hospital that would  
7 encompass your opinion? Do you know what I mean  
8 by that?

9 A. Who would be involved in the hospital care?

10 Q. Yes. That you would address your expertise to  
11 which would you say, who at the hospital --

12 MR. GOLDWASSER: I think he  
13 understands the question.

14 A. Nurses and residents involved in the care.

15 Q. Why don't we start with that and we'll just take  
16 the nurses and the residents who were involved  
17 in the care of Rehekah Berlinger in 1984 when  
18 she was there, okay?

19 A. Okay.

20 Q. Then later on if you think you want to give an  
21 opinion defending Dr. Schwartz, the OB/GYN, then  
22 we'll go to him, all right.?

23 A. All right.

24 Q. Now, insofar as your opinion is concerned, who  
25 specifically at the hospital at Mt. Sinai during

1 the month of July when Mrs. Berlinger **was** first.  
2 admitted are you expressing an opinion about?  
3 Would it be the admitting nurse, admitting  
4 doctor, intern? Is that. the kind of thing?

5 A. In the letter or --

6 Q. Well, I've got to know who you are defending.

7 A. Whoever you'd like ~~me~~ to address, I'd be glad  
8 to.

9 MR. GOLDWASSER: Just, so you know,  
10 I intend ta ask him on direct examination, I  
11 would assume, as to whether- or not the  
12 obstetrical care was appropriate, particularly  
13 addressing the issues of Dr. Abramson, your  
14 expert.. He's the one who has created the issues  
15 in the case and I am certainly going to  
16 concentrate on his statement that the labor **room**  
17 nurse failed to recognize suggestions of  
18 abnormal electronic fetal monitoring and failed  
19 to do the appropriate things to call it to Dr.  
20 Schwartz's attention. That's essentially what  
21 Dr. Abramson says.

22 MR. TLER: I thought that's what  
23 Dr. Dierker was going to do on that point.

24 MR. GOLDWASSER: No question about  
25 **it.**

1 MR. ILER: Then I get the feeling  
2 as though he's going to go beyond that.

3 MR. GOLDWASSER: Well, Don, I  
4 intend to ask him just as well, if I can help  
5 you get to the point, as to his interpretation  
6 of the electronic fetal monitoring strip because  
7 that clearly goes to what Dr. Abramson says.

8 Q. No question. I appreciate that because I was a  
9 little bit -- generally the doctors will say,  
10 "I'm here to defend Dr. A, Dr. B or Dr. C," or  
11 "I'm here to defend Nurse A, B or C," and then 3  
12 know what questions to ask because I know then  
13 who they are involved with, so that helps me  
14 out. Yes, that's true, our physician did  
15 criticize the nurse.

16 So let us address ourselves then to the  
17 nurses who interpreted the external/internal  
18 monitor strips. Are you familiar with what they  
19 did in this case?

20 A. I think so. I didn't look at it specifically  
21 from the nursing standpoint. I looked at it  
22 from an overall care.

23 Q. Well, do you remember the nurses' names that  
24 were watching the fetal monitors?

25 A. No.

1 Q. Do you know when the monitors, do you know what  
2 kind of monitors were placed on Mrs. Herlinger?

3 A. I don't know the brand name; but I know this,  
4 that they were external and then they went to  
5 internal.

6 Q. Do you know when the external monitor was placed  
7 on her?

8 A. When she was admitted at nine.

9 Q. Do you know when an internal monitor was placed  
10 in Mrs. Herlinger?

11 A. Yes.

12 Q. Would that be the next morning?

13 A. Yes, seven or 8:00 in the morning.

14 Q. Do you know which hospital personnel had the  
15 responsibility to monitor both the external  
16 monitor and the internal monitor?

17 MR. GOLDWASSER: By name or  
18 generally speaking?

19 MR. ILER: By name.

20 A. I don't know that.

21 Q. Are you familiar with what nurses should be  
22 watching on an external monitor in a situation  
23 such as Mrs. Berlinger's?

24 A. Yes.

25 Q. What should they be doing?



1 A, They should be looking at the uterine  
2 contraction pattern **and** the heart rate patterns.

3 Q. For the baby?

4 A. Yes.

5 Q. And specifically what should they be **looking**  
6 for?

7 A. They should be looking **for** fetal stress or  
8 distress.

9 Q. What would those be?

10 A. A fall in the heart rate, bradycardia.

11 Q. Bradycardia, tachycardia?

12 A. Tachycardia, decelerations.

13 Q. I think there are several kinds of  
14 decelerations. There are late decelerations?

15 A. Correct.

16 Q. What other kind are there, for the record?

17

18

19

20

21

22

23

24 know the difference between a late deceleration  
25 and a variable deceleration, early deceleration?

1 A. Yes.

2 Q. Is that a requirement **for** them, nurses?

3 A. I would think so.

4 Q. Why?

5 A. They need to know patterns that are of concern.

6 Q. If during the time that Mrs. Rerlinger had the  
7 external monitor on, I'll ask you to make an  
8 assumption, assume there is an early  
9 deceleration that appears on the strip or  
10 appears, then what is your judgment on what the  
11 nurse should do about, that? Should she tell her  
12 supervisor, should she make a note in the record  
13 of the patient or should she call the OB/GYN,  
14 should she call a resident? What's the  
15 appropriate thing to do in such a situation?

16 MR. GOLDWASSER: Wait a minute. I  
17 need an explanation. Are you saying one single  
18 indication?

19 MR. ILER: Yes.

20 MR. GOLDWASSER: Just one?

21 Q. Right. We'll start with one and then work to a  
22 pattern and I will **give** you a chance to fully  
23 explain. I don't want to confine you,

24 A. Okay.

25 Q. Let's assume the first one appears. What's the

1 nurse supposed to do according to the standard  
2 of care?

3 A. If she notes early decelerations --

4 MR. GOLDWASSER: He's talking about  
5 one now in his question. One early  
6 deceleration.

7 Q. She sees the first one, let's assume.

8 A. Nothing.

9 Q. Is she supposed to mark it, down **somewhere**?

10 A. Not necessarily.

11 Q. Then is it important at the time the first-  
12 deceleration occurs, the time is unimportant or  
13 would a doctor or somebody like to know that the  
14 first one occurred at 10:30 a.m.? I mean that's  
15 the reason I'm asking you the question. Should  
16 somebody make a note about when the first one  
17 appears so that you can trace the length of time  
18 others may appear.

19 A. No.

20 Q. Let's assume that several late decelerations  
21 begin to appear?

22 A. Late?

23 Q. Yes. Late decelerations, they begin to appear  
24 in an external monitor situation on Mrs.  
25 Berlinger, should the nurse do anything about,

1           that; talk to anybody, say anything, write  
2           anything?

3   A.   First they would, they might notify another  
4       physician or they might turn them on their side,  
5       see if it got better.

6   Q.   From what I understand, turning on the side --  
7       it's on the left side, I assume?

8   A.   Correct.

9   Q.   Kind of helps take the pressure of the baby off  
10      the mother's vein?

11  A.   Yes.

12  Q.   And then sometimes they will give the mother  
33      some oxygen?

14  A.   They might.

15  Q.   The first part of your answer where you say they  
16      might notify a doctor, say a resident or  
17      somebody, why would you **do** that, why is the  
18      nurse supposed to do that?

19  A.   So they can evaluate the tracing.

20  Q.   In what way?

21                   MR. GOLDWASSER: Do you mean in  
22      what way to evaluate the tracing?

23                   MR. ILER: Yes.

24                   MR. GOLDWASSER: Now you are  
25      talking about the doctor evaluating the tracing.

1 Q. No. Before I get to the doctor, let's take a  
2 situation where some late decelerations occur in  
3 Mrs. Berlinger, I'm speaking hypothetically, and  
4 the nurse sees that, recognizes it and decides  
5 that she must advise somebody, a physician, a  
6 doctor about that and she does so. Why does she  
7 do that?

8 A. So that they could intervene if necessary.

9 Q. The physician?

10 A. Correct.

11 Q. Is it your judgment that the nurse without the  
12 consent of either a resident or the admitting  
13 physician can also take a patient. like Mrs.  
14 Berlinger, put her on her left side to relieve  
15 some pressure on the veins and also administer  
16 some oxygen?

17 A. Yes.

18 Q. She doesn't need a doctor's consent to do that,  
19 I assume?

20 A. I don't think so.

21 Q. What is a late deceleration?

22 A. That's a fall in the heart rate which follows a  
23 uterine contraction.

24 Q. For purposes of the record, are we speaking  
25 about, to put that term late deceleration within

1       the framework of labor, I would assume what  
2       you're saying is that you have a contraction of  
3       the uterus and during that period of time, if  
4       there is a monitor, I **assume** an external monitor  
5       will show you a late deceleration, am I right?

6   A.   Correct.

7   Q.   That during the contraction of the uterus in the  
8       mother, that the baby's heart will give an  
9       indication, is that what you mean?

10  A.   Could you rephrase it?

11  Q.   Yes.

12

- - - -

13               (Thereupon, the requested portion of  
14               the record was read by the Notary.)

15

- - - -

16  A.   The baby's heart will beat; and following the  
17       peak of the contraction, the heart beat would go  
18       down. That's the definition of a late  
19       deceleration.

20  Q.   That's a late deceleration?

21  A.   Yes.

22  Q.   And when we say it goes down, is it so that what  
23       we're talking about is a fetal heart rate  
24       between 120 beats and 160 beats? Is that a  
25       normal fetal heart rate?

1 A. The normal range is between 120, 160.

2 Q. So in the case of a late deceleration as you  
3 have described it, the baby's heart rate would  
4 fall **below** 120, is that right?

5 A. Not necessarily.

6 Q. Could you still have a late deceleration at; 120  
7 or 130 beats per minute for a fetus?

8 A. Yes.

9 Q. What's the criteria to use on whether the  
10 deceleration is late or normal?

11 A. Whether the onset of the deceleration follows  
12 the **peak** of the contraction,

13 Q. **Normally** when would the deceleration normally  
14 occur, at the beginning of the contraction?

15 A. With a late deceleration?

16 Q. No, with a normal.

17 A. You might not have any decelerations.

18 Q. **You** might not have any at all?

19 A. That's correct.

20 Q. Theoretically in your experience you could have  
21 a mother who's going through contraction,  
22 through labor and never exhibit at all on either  
23 an external or internal monitor any kind of  
24 decelerations, is that it?

25 A. It's possible.

1 Q. During the period of a late deceleration which  
2 occurs at the peak of a contraction in labor,  
3 why does the baby or the fetal heart rate drop,  
4 what makes it do that?

5 A. Low oxygen levels.

6 Q. How does that result? What causes a low oxygen  
7 level in the fetus because of a late  
8 deceleration?

9 A. The contraction.

10 Q. Why is that so physiologic? What happens? Can  
11 you explain that for us?

12 A. The contraction decreases the amount of blood  
13 flow to the fetus.

14 Q. By compressing what?

15 A. The spiral arterials.

16 Q. What, sir?

17 A. Spiral arterials.

18 Q. Those are arterial blood vessels that come from  
19 the mother?

20 A. Yes.

21 Q. Where are they found?

22 A. In the uterus, in the muscle.

23 Q. If I summarize incorrectly, please tell me.

24 During a contraction, then, we have a  
25 contraction of the uterine muscles which



1 compresses some of the arterial blood flow in  
2 the mother which **decreases** the amount of blood;  
3 therefore, the amount of oxygen which is given  
4 to the child, is that about right?

5 A. That's correct,

6 Q. There seems to be an importance medically to the  
7 amount of oxygen that goes from mother to the  
8 baby. I'm correct on that, am I not?

9 A. Yes.

10 Q. If the decrease of oxygen from the mother to the  
11 fetus or to the baby is severe enough or  
12 continuous enough, you can have a child who  
13 suffers brain damage, is that true?

14 A. True.

15 Q. Is that the reason in a case of late  
16 decelerations that people must be attentive to  
17 such an event **as** late decelerations?

18 A. Yes.

19 Q. In your professional judgment in the case of  
20 late decelerations, is that something which  
21 should be watched either by a nurses or by  
22 physicians continuously?

23 A. Yes.

24 Q. I have read, but I am not. **sure** you would agree  
25 with this, that in the case of late

1        decelerations, it is like a red flag warning to  
2        physicians and to nurses. Am I about right?

3        A. Correct.

4        Q. And what is being said by that sign is please  
5        pay attention to this woman's decelerations.  
6        See if they occur frequently or they occur,  
7        recur and do something about them. Is that  
8        about right?

9        A. That's correct..

10       Q. I'm speaking generally. Are there other either  
11       decelerations or medical phenomena or  
12       occurrences in a patient such as Mrs. **Rerlinger**,  
13       you know, in **for** the first time for a baby, a  
14       premie, that can **affect** the amount of oxygen  
15       which gets from mother to the baby aside **from**  
16       the late decelerations? Are there any other  
17       medical events or conditions that can cause a  
18       lessening or diminution of oxygen to the fetus?

19                MR. GOLDWASSER: Let me just  
20       understand. Are you saying limited to a  
21       primigravida **or** any woman?

22                MR. **ILER**: Any premie.

23                MR. GOLDWASSER: You don't, mean  
24       premie. You mean primigravida.

25                MR. **ILER**: Yes, not premature..

1 A. Yes.

2 Q. What would those be?

3 A. If the cord was occluded or compressed.

4 Q. That would be the umbilical cord?

5 A. The umbilical cord. A separation of the  
6 placenta. Low blood pressure. Position of the  
7 mother.

8 Q. Of the baby?

9 A. Of the mother and the baby. Blood loss in the  
10 mother.

11 Q. Is there one way for the -- if you think of  
12 anything else, you can bring it in whenever  
13 you're ready.

When we're talking about the oxygen supply  
15 from mother to the child, there is only one way  
16 that is transmitted to the baby and that is  
17 through the blood, am I correct?

18 A. That's correct.

19 Q. We talked about some other kinds of  
20 decelerations. We talked about early  
21 decelerations. Are those in any way significant  
22 insofar as the oxygen supply to the child? Do  
23 they affect the oxygen supply to --

24 A. Not usually.

25 Q. Are they medically significant?

1 A. Not usually.

2 Q. And the early deceleration would start when,  
3 before the contraction begins?

4 A. Correct.

5 Q. Would precede the first contraction of the  
6 uterus?

7 A. No. It's concurrent with.

8 Q. Concurrently?

9 A. Yes.

10 Q. No medical significance to that or is these?

11 A. No, not usually.

12 Q. With a high-risk mother, let's assume Mrs.  
13 Herlinger was high risk --

14 MR. GOLDWASSER: We're going to  
15 object to that; but go ahead with your  
16 question.

17 Q. Assume that she was high risk just for my  
18 purposes. Would early decelerations have any  
19 medical significance insofar as the oxygen which  
20 is getting to the baby?

21 A. It might depend on how long they lasted and how  
22 low they went; but generally speaking they're  
23 not.

24 Q. We spoke about variable decelerations?

25 A. We didn't.

1 Q. We didn't., but. I think we used that. term  
2 someplace. What is a variable deceleration?

3 A. It's a deceleration or lowering of the heart  
4 heat of the fetus which occurs at different  
5 times in response to contractions.

6 Q. How is it different than the late or early  
7 deceleration?

8 A. The early deceleration is a uniform deceleration  
9 that occurs with each contraction and begins  
10 early in the contraction, tends to reach its  
11 nadir at the peak of the contraction and recover  
12 by the end of the contraction.

13 Late decelerations tend to begin after the  
14 peak of the contraction. The nadir follows the  
15 peak of the uterine contraction and the recovery  
16 may be later.

17 Variable decelerations may occur at any  
18 time or intermittently with contractions.

19 Q. Is there any medical significance to a labor  
20 such as Mrs. Herlinger was going through on July  
21 the 10th and the 11th of a variable  
22 deceleration?

23 A. Would there be any significance to it?

24 Q. Yes.

25 A. It might imply some cord occlusion or

1 entanglement.

2 Q. When we talk about an external monitor, for  
3 purpose5 of the record, is there a permanent  
4 record made of those?

5 A. Yes.

6 Q. How are they -- it just appears on a strip?

7 A. Yes.

8 Q. Then the strip is either reviewed by the nurse  
9 or the doctor?

10 A. Correct.

11 Q. In the Berlinger case, when the external and the  
12 internal monitor was applied to her, assume that  
13 the nurse that was watching the **monitor**, that  
E4 would be external and then internal, did not  
E5 know the difference between an early  
16 deceleration or a late deceleration or a  
17 variable deceleration. In your judgment would  
18 that be below the standard of care?

19 MR. GOLDWASSER: Objection. You  
20 may answer.

21 A. Yes.

22 Q. **You've** read the records of Mt. Sinai Hospital,  
23 both for mother **and** child, I assume?

24 A. Yes.

25 Q. If you find at any time you want to refer to

1 anything in that record, go ahead and do it and  
2 if you can't find it, one of us will get it for  
3 you.

4 A. Okay.

5 Q. Would you consider Mrs. **Rerlinger** to be a  
6 high-risk patient. while she was at the hospital  
7 and before delivery?

8 A. When?

9 Q. Well, we know that she came to the hospital for  
10 the first time on July the 9th of '84. She  
11 thought she was starting labor, from a review of  
12 the records, I guess, and she was looked at and  
13 sent back home. Okay?

14 A. Yes.

15 Q. And then a couple hours later she came back and  
16 was admitted at this time?

17 A. Correct.

18 Q. From that point where she gets admitted to the  
19  
20

21 Rerlinger a high risk obstetrical case?

22 A. Yes.

23 Q. What is your judgment as to the very earliest.  
24 time that Mrs. Rerlinger became a high-risk  
25 patient?

1 A. When some late decelerations were noted.

2 Q. What time do you fix that to be? What date and  
3 time?

4 A. I'd have to review the records.

5 A. Around Panel 90, 91, 92.

6 Q. When we speak about Panel 91 and 92, we are  
7 talking about the fetal monitoring strip, am I  
8 correct?

9 A. That's correct.

10 Q. Would it be the external for the internal strip?

11 A. The internal.

12 Q. And what date and time would that be, for the  
13 record, please?

14 A. The date is the 10th of July. The time is  
15 difficult to tell.

16 Q. Is there any way to tell?

17 A. It says 1400 or 1410 on the record printed by  
18 the machine. That may not be correct.

19 Q. I think the time aspect can change as to whether  
20 or not the machine is shut off or not. Is that  
21 the point of it?

22 A. Not usually.

23 Q. It should be running continuously, I assume?

24 A. It should have a battery backup so that the  
25 clock continues to run.



1 Q. So it's your judgment, anyhow, at **Panels** 91, 9%  
2 on July the 10th of 1984 on what appears to be  
3 on the record at 1410 hours, which would be  
4 something like 2:10 in the afternoon or so,  
5 assume that is the correct time, is when you  
6 would consider this child and the mother to be  
7 at high risk, is that **it**?

8 A. To be at some risk.

9 Q. From your review of the record, is that the  
10 first time **you** found decelerations that **would**  
11 incline you towards this patient being a high  
12 risk?

13 MR. GOLDWASSER: He said **some**  
14 risk.

15 **a.** Some risk?

16 MR. GOLDWASSER: Go ahead.

17 A. Yes.

18 Q. What makes you say that?

19 A. They appear to be late decelerations.

20 Q. If late decelerations appeared earlier than  
21 that, would you then place the time in your mind  
22 earlier than that when the patient was at some  
23 risk? **I'm** trying to get your criteria for the  
24 time.

25 **a.** It would depend on whether they persisted and

1           whether there was variability.

2   Q.   When you say persisted, what would make your  
3       mind up on that point? How persistent would the  
4       late decelerations have to be?

5   A.   Several consecutive contractions.

6   Q.   Over what period of time?

7   A.   Depends on the frequency. If they're occurring  
8       every five minutes, then it may be 15 minutes.

9   Q.   Okay, Now, I just want to be plain. Can I rely  
10       that your opinion is this lady, Mrs. Berlinger,  
11       was at high risk when Panels 91 and 92 appear?

12                   MR. GOLDWASSER: He said some  
13       risk.

14   Q.   Well, everybody is at some risk. I'm looking  
15       for that high-risk point in your mind. I want  
16       to get that from you, if I can.

17   A.   Well, I'm not sure what you mean by high risk.

18   Q.   Okay. Let's go back and see if we can get  
19       that. What do you consider a high risk  
20       obstetrical case to be?

21                   Now, we talked about hypotension in a  
22       patient. We talked about where the umbilical  
23       cord or the placenta may be involved. We have a  
24       placenta that slipped down, could be causing a  
25       problem. That's a high-risk patient?

1 A. Yes.

2 Q. You probably would consider a cesarean section  
3 right away?

4 A. Correct.

5 Q. Then we talked about some other things; but now  
6 in Mrs. Berlinger's case, what would you  
7 consider to be a high-risk situation for her,  
8 just late decelerations?

9 A. That might be a risk.

10 Q. Would you consider this lady to be at high risk  
11 medically at any point in time prior to her  
12 delivery?

13 A. She is at increased risk with the uterine --  
14 when she has late decelerations.

15 Q. When we say increase, is that synonymous with  
16 high? I don't want to get tangled up in the  
17 term.

18 A. Well, there are patients who have diabetes and  
19 hypertension and who have triplet contributory  
20 pregnancy and have predate infants and postdate  
21 infants and some are at risk but some are higher  
22 than others; so high is just a --

23 Q. It's a relative term?

24 A. Yes, it's a relative term.

25 Q. Let's do this: Let's use your word increased

1 **risk.** And can we do this? Is it your opinion  
2 that Mrs. Rerlinger and the baby are at  
3 increased risk at Panels 91, 92 on 7/10/84?

4 A. There is **risk** there that needs to be **evaluated**.

5 Q. What is the risk?

6 A. Decreased oxygen supply to the baby.

7 Q. Which **could result** in what?

8 A. Damage.

9 Q. To the baby's brain?

10 A. Right.

11

12 A. Correct.

13 Q. So what. medically should be done for Mrs.  
14 Berlinger and her baby when late decelerations  
15 occur on Panel 91 and 92 that normally would not  
16 be done for a normal pregnancy and labor? What  
17 additional things, if any, in your judgment  
18 should be done for Mrs. Berlinger at that point  
19 in time?

21 turn her on her side; and if the pattern  
22 persists, she may need oxygen.

23 Q. Then after, if those things are done, say she's  
24 turned on her left side and oxygen is  
25 administered and then assume that the late

1        decelerations continue, and I think they did in  
2        this case, did they not, or do you know?

3    A.    They resolved for a while and then recurred at a  
4        later time.

5    Q.    What is your judgment as to when they occurred  
6        the second time, these late decelerations? Can  
7        you look at the monitoring strip?

8    A.    She has a couple on Panel 100.

9    Q.    What time would that be, then?

10   A.    It. says 1450 printed on the record.

11   Q.    She has two then or how many would you estimate?

12   A.    There are two on that panel.

13   Q.    Two on Panel 100?

14   A.    Yes.

15   Q.    Are there some more or not. after Panel 100?

16   A.    They resolve for several minutes and there are a  
17        couple more on Panel 103.

18   Q.    Two more?

19   A.    Yes.

20   Q.    What time would those late decelerations have,  
21        occurred on Panel 103?

22   A.    The time printed is 1510.

23   Q.    Did you find some more late decelerations after  
24        Panel 103?

25   A.    There is one on 104.

1 Q. What would the time be on that panel, doctor?

2 a. Oh, that might be the same one. That's the same  
3 one that I was referring to. The copying  
4 technique --

5 Q. We'll withdraw the 104.

6 A. -- there is overlap on the copy.

7 Q. When is the next one?

8 A. I'm not sure what type of a deceleration is on  
9 Panel 107.

10 Q. Either late, early or questionable, variable?

11 A. Correct.

12 Q. What do you want to do with that? Eliminate it  
13 as a sign of caution or concern or do you want  
14 to include it as something that would increase  
15 the risk?

16 A. It's hard to tell from this tracing.

17 Q. Are there more decelerations?

18 A. There are more decelerations, certainly.

19 Q. Are they late?

20 A. They seem to be mixtures, combined patterns.

21 e. Would that be late, a mixture of lates, earlies  
22 and variable decelerations? Is that what you  
23 mean?

24 A. It's hard to say for sure. There is some  
25 variable component and some suggestion of a late

1 component.

2 Q. In your report of February the 7th of '86, do  
3 you have a copy of that? You might want to  
4 refer to it.

5 A. Yes.

6 Q. In the first report you indicate that, down the  
7 second last paragraph, you indicate, "My  
8 impression from reviewing this data," that  
9 sentence, "they were treating suspicious  
10 tracings appropriately."

11 What do you mean by suspicious tracings?

12 A. I did not have the tracings at that time, so I  
13 was just reading the intrapartum notes. They  
14 were acting on what they saw.

15 Q. The fact is that when you rendered your medical  
16 opinion through your report of February the 7th  
17 of 1986, wherein you concluded medically that  
18 the care in monitoring was appropriate for Mrs.  
19 Berlinger, am I right?

20 A. Right.

21 Q. That's what you said on February 7th of '86 in  
22 writing. It also is true that on February 7th,  
23 1986 when you wrote this report, you did not  
24 have the monitoring strips to review, true?

25 A. That's correct. They couldn't find them.

1 Q. I'm back to the point where you have, we started  
2 with Panel 91, 92 and then you gave us a few  
3 more decelerations.

4 Now, as those decelerations occur, late  
5 decelerations occur, should the physician be  
6 advised of those late decelerations as you saw  
7 them on the strip?

8 A. What page are you on? Did I mention on 91?

9 Q. You had 91 and 92 were the first panels we  
10 talked about. Then we had a couple of late  
11 decelerations on Panel 100 and also on 103 and  
12 that's where we stopped, I think; but on those  
13 three occasions where there are late  
14 decelerations, should the doctor be advised or  
15 somebody be advised?

16 A. Well, the patient should be managed and then the  
17 doctor notified.

18 Q. If the doctor is not notified by the nurse who  
19 is watching the monitoring, is that a breach of  
20 the standard of care?

21 MR. GOLDWASSER: Objection. You  
22 may answer.

23 A. If the pattern persists and worsens, it  
24 certainly would be.

25 Q. Well, here we have a situation with Panel 91/92,



1 we found a couple late decelerations. We have  
2 discussed Panel Number 100 where we had two late  
3 decelerations and then we had Panel 103 that was  
4 two lates at 1510.

5 Now, based on just that short period of  
6 time or that period of time, would it be below  
7 the standard of care if the nurse does not  
8 advise either the resident or a physician, a  
9 medical doctor about these late decelerations?

10 MR. GOLDWASSER: Objection. You  
11 may answer.

12 A. I don't think so.

13 Q. Do you practice obstetrics here at Cleveland  
14 Metro?

15 A. I do.

16 Q. You see patients?

17 A. Yes.

18 Q. How is your nursing staff advised here about  
19 this kind of a situation? Do you want to know  
20 when your patient has gone through late  
21 decelerations? Do you want the nurses to call  
22 you and say, "Doctor, your patient has had six  
23 late decelerations"? Do you want to know that?

24 A. I would want to know.

25 Q. Do you instruct your nurses to tell you, to call

1       your if your patient does experience that or do  
2       they already know that that's what you want?

3   A.   They would notify the resident usually.

4   Q.   A medical doctor would he notified here at  
5       Cleveland Metro about that?

6   A.   That's correct.

7   Q.   Well, can we do this? In speaking about your  
8       report of February the 7th, 1986, it appears  
9       that the report was written without you knowing  
10      how many late decelerations had occurred because  
11      you had not been given the monitoring strips to  
12      observe, is that right?

13  A.   That's correct.

14  Q.   Then would you say that the opinion rendered on  
15      February 7th, '86 in this case should be  
16      withdrawn by you because you did not have the  
17      actual, you were not given the actual monitoring  
18      strips to look at?

19                   MR. GOLDWASSER: He wasn't given  
20      them because remember you had the originals and  
21      none of the other parties in this lawsuit had  
22      them until we discovered that you had the  
23      originals when you were taking Dr. Schwartz's  
24      deposition. I just did not want you to imply  
25      that we intentionally did not give the doctor

1 the strips.

2 MR. ILER: I would not make that  
3 reference that way.

4 MR. GOLDWASSER: I know that's not  
5 what you meant but I don't want the record to  
6 show that.

7 A. This opinion was rendered based on the available  
8 evidence at this time. Additional evidence is  
9 available now.

10 Q. Well, let me put it this way: See, I'm trying  
11 to see from what it appears to me, but it's up  
12 to you, when I look at the report of February  
13 7th, '86, I find out that you didn't have the  
14 monitoring strip for whatever reason, no fault.  
15 of your own, but you rendered a medical opinion  
16 which says that the care that was administered  
17 to Mrs. Berlinger and the baby by the nurses was  
18 okay and I'm saying, well, maybe that opinion  
19 was a little premature, based on the fact you  
20 didn't have the monitoring strips. Do you agree  
21 with that. or not?

22 A. That could be,

23 Q. Okay. Now, when we come to the report of  
24 February 25th, '86, you now have had an  
25 opportunity to actually look at the mon

1 strips and now see what was happening to mother  
2 and child and then impose your medical judgment  
3 with that additional evidence, the monitoring  
4 strip, am I right?

5 A. Okay.

6 Q. Now, have you seen Dr. David Abramson's report  
7 to me?

8 A. I have.

9 Q. Have you had a chance to look at his deposition?

10 A. Yes.

11 Q. Did you read what he was critical about?

12 A. Yes.

13 Q. I don't have it here, but I think you probably  
14 have an idea what the doctor is saying, how he  
15 criticizes medically the nurses who were doing  
16 the monitoring, both external and the internal  
17 monitoring, okay?

18 A. I don't recall. I would have to review his  
19 criticism of the nurses.

20 Q. At this point, today, this deposition today that  
21 you have given, can you tell me how many late  
22 decelerations there were that appeared on the  
23 external or the internal monitoring strip from  
24 the time the external/internal monitoring strip  
25 was placed on Mrs. Berlinger?

1 MR. GOLDWASSER: Over and beyond  
2 what he's told you already?

3 Q. Yes, in addition to what we've talked about.

4 A. There were six to eight that were apparently  
5 pure late decelerations that occurred  
6 intermittently from Panel 91 on and there were  
7 some other decelerations which occurred later,  
8 part of which could have been late in nature.

9 Q. Have you concluded as of this date the number of  
10 late decelerations that appeared on the monitor  
11 strip that were seen on the monitoring strip  
12 which you have reviewed?

13 MR. GOLDWASSER: You are talking  
14 about something he's already told you because he  
15 went through each panel from 91 on. He reviewed  
16 each one.

17 Q. Well, let me ask you this: What I'm trying to  
18 get from you today is can you tell me today  
19 exactly how many late decelerations you found in  
20 the monitoring strip? I assume that this is --  
21 what strip is that you have been looking at, the  
22 external or the internal?

23 A. The internal.

24 Q. Let's just stay with the internal. How many  
25 late decelerations did you find from the

1 monitoring strips which you were given to review  
2 from beginning to end, how many lates did you  
3 find?

4 A. I didn't count **them**,

5 Q. Does that make any difference to your opinion as  
6 to whether there were six late decelerations or  
7 26 late decelerations? Does **it** make any  
8 difference to you?

9 A. Sure **it** would make a difference.

10 Q. Why?

11 A. **It would** indicate that there could a continuing  
12 problem with longer numbers.

13 Q. I notice you have made some notes for yourself,  
14 is that right?

15 A. Yes.

16 Q. Are those your own notes on that,?

17 A. **Yes**, these are mine.

18 Q. Can I have that marked? Is **it** written on both  
19 sides?

20 A. **Yes**.

21 - - - -

22 (Whereupon, Plaintiffs' Exhibit No.  
23 **2 was marked** for purposes of identification.)

24 - - - -

25 Q. Doctor, I'm handing you what has been **marked** as

1 Exhibit Number 2. It's a yellow sheet both  
2 sides. What is this, doctor?

3 A. Those are notes that I made in reviewing the  
4 chart.

5 Q. When were these notes made? Is there a date on  
6 them or anything?

7 A. July 13th.

8 Q. July 13th of what year?

9 A. '87.

10 Q. Are these the only notes which you have made in  
11 reviewing the chart and rendering the fetter  
12 reports you did? Are there any other notes?

13 MR. GOLDWASSER: Handwritten notes,  
14 you mean?

15 Q. Yes.

16 A. I don't believe there are.

17 Q. Now, these notes which were made on 7/13/87 are  
18 entitled, "Review"?

19 A. Yes.

20 Q. It's done in your handwriting, I take it?

21 A. That's correct.,

22 Q. You have "7/9/84, 39"?

23 A. "5/7ths".

24 Q. Then you have underneath that, "11:15 p.m."

25 What does that. say?

1 A. "Contracts," contractions.

2 Q. "Since early evening"?

3 A. Yes.

4 Q. And then, "11:45," you have "FH" **here**. What's  
5 that?

6 A. "Stable."

7 Q. Fetal heart sate?

8 A. Yes.

9 Q. At 1:35 a.m. you have a "1.5 centimeters." What  
10 does that line say?

11 A. It's, "1.5 centimeters. 75 percent effaced.  
12 Minus one station. Fetal heart **rate stable**."

13 Q. On this document have you -- I assume you got  
14 this information from the medical records, the  
15 hospital charts -- made a little summary **for**  
16 yourself?

17 A. Correct.

18 Q. Then I see that at 2:35 you have, "MS Code  
19 230." What is that?

20 A. Morphine sulfate.

21 Q. She was given medication?

22 A. **Yes**.

23 Q. Do you know why?

24 For sedation.

25 Q. Why did she need that?



- 1 A. I don't know.
- 2 Q. Make any difference to you?
- 3 A. Why she needed it?
- 4 Q. Yes.
- 5 A. She was probably tired.
- 6 Q. That was given to her at 2:55 in the morning?
- 7 A. 2:35.
- 8 Q. It was how much, dosage?
- 9 A. I don't have the dosage.
- 10 Q. The next line is what?
- 11 A. The exam at that time was two centimeters
- 12 dilated.
- 13 Q. What's the next?
- 14 A. 75 percent effaced.
- 15 Q. Then after that you have, "fetal heart rate
- 16 stable"?
- 17 A. "With accelerations."
- 18 Q. "With accelerations"? What do you mean by
- 19 accelerations?
- 20 A. Increases in the heart heat.
- 21 Q. What do you attribute that to have been caused
- 22 by at 2:35 in the morning?
- 23 A. Probably fetal movement..
- 24 Q. Nothing to be concerned about?
- 25 A. No.

1 Q. What's the MS mean?

2 A. Morphine sulfate.

3 Q. Then we have at 4 --

4 A. 35.

5 Q. 35 a.m. and this would be now on the 10th?

6 A. Yes.

7 Q. July 10th. What's your next note?

8 A. "Contractions irregular."

9 Q. What does that mean? What's happening here?

10 A. They've become spaced out.

11 Q. Nothing to be concerned about here?

12 A. No.

13 Q. Everything progressing okay so far --

14 A. Yes.

15 Q. -- with this lady and her baby?

16 A. Yes.

17 Q. Then we come to 0700. That would be 7:00 in the

18 morning?

19 A. Correct.

20 Q. Of July the 10th, you have a note. What does it

21 say?

22 A. "Three to four centimeters dilated. 90 percent

23 effaced. Minus one station. Fetal heart rate

24 variability returned."

25 Q. Returned? Where **was** it?

1 A. Apparently it had decreased.

2 Q. Well, that's what the resident put down in his  
3 note, didn't he?

4 A. I believe so.

5 Q. What was the trouble? I don't understand. What  
6 did you understand was occurring during the  
7 night before the resident made his note?

8 A. Decreased variability.

9 Q. Well, what was happening to this lady at night,  
10 then?

11 MR. GOLDWASSER: He just told you.  
12 You are talking about to the fetus. He just  
13 said decreased variability.

14 Q. Well, how long had that gone on during the **early**  
15 morning of July the 10th. Do we know?

16 A. I'd have to review the records again.

17 Q. Is that a significant point.?

18 A. I don't believe so. She had had morphine  
19 sulfate which is a sedative and decreases the  
20 variability in the heart rate.

21 Q. What's your medical judgment? That was caused  
22 by the medication?

23 A. I would think so.

24 Q. What if it wasn't?

25 MR. GOLDWASSER: Objection. He

1       said it was.

2   Q.   Hypothetically let's assume it wasn't caused by  
3       the medication. Then does it become a matter of  
4       concern or we still don't have to worry about  
5       it?

6                   MR. GOLDWASSER:  Objection.  You  
7       can answer.

8   A.   Depends how long it went, how long it lasted.

9   Q.   Your next note on this exhibit?

10  A.   ~~We~~ were down to 7:00 a.m.

11  Q.   Then you have, "fetal heart rate variability --"

12  A.   "Returned."

13  Q.   "Returned." What does the little note say here  
14       on the side, 50?

15  A.   That's, "Demerol 50.  25 Phenergan at 8:30 in  
16       the morning."

17  Q.   What do you have there, cord what?

18  A.   "Cord pattern."

19  Q.   What does that mean?

20  A.   Variable decelerations.

21  Q.   Were they just variables? Is that what, you came  
22       up with?

23  A.   That was my impression.

24  Q.   Did **you get** that information **from** the nurses'  
25       notes or what?

1 A. From reviewing the record.

'2 Q. The monitor strips or just the record?

3 A. The monitoring strips, I believe.

4 Q. ~~We~~ are now at 8:00 a.m. and your note here says,  
5 "8:00 a.m. AROM"?

6 A. Artificial rupture of membranes. "Little  
7 amniotic fluid, show. Scalp electrode. Early  
8 uniform decelerations after Demerol."

9 Q. Now at 8:00 in the morning I think Dr. Schwartz  
10 ruptured the membranes?

11 A. Yes.

12 Q. And the fluid was clear?

13 A. Correct.

14 Q. At this point in time, this is 8:00, and after  
15 the doctor had ruptured the membranes, and the  
16 fluid had come out, do you believe at this point  
17 in time that the baby, Rebekah, has gone under  
18 no distress or stress?

19 A. No evidence from the, in the record.

20 Q. ~~For~~ an example, if we -- hypothetically  
21 speaking, when the doctor had broken the  
22 membranes at 8:00 and let's assume the green pea  
23 soup meconium comes out. Then we could assume  
24 that the child had undergone some stress prior  
25 to this time?

1 A. That would be a reasonable assumption.

2 Q. So at 8:00 in the morning on February the 10th,  
3 how would you assess the child?

4 A. Normal.

5 Q. And how would you assess the labor?

6 A. Normal.

7 Q. Wow far had the labor progressed **from** the  
8 beginning of labor until 8:00 in the morning on  
9 July the 10th? You said complete effacement,  
10 correct?

11 A. Yes.

12 Q. How about the stations? Where had that gone?

13 A. Minus one. Stayed the same.

14 Q. Stayed the same?

15 A. Yes.

16 Q. How long had the station remained the same?

17 A. Since the onset of labor it had dropped, 11:00  
18 p.m. it was minus two and it had come down to  
19 minus one.

20 Q. So in a **period** of how many hours did it take to  
21 drop down?

22 A. Eight, nine.

23 Q. That's not normal, is it?

24 A. That's normal.

25 Q. So at this point you are **satisfied** with the

1 progress of labor and you are satisfied in your  
2 mind as a physician as to the stations of the  
3 child?

4 A. Correct.

5 Q. Now, 10:00 is your next note and can you tell me  
6 what you've written there and what it means?

7 A. "Four to five centimeters. 100 percent  
8 effaced. Minus one station. Fetal heart rate  
9 155. Decreased variability."

10 Q. What does decreased variability mean to you in  
11 this particular setting?

12 A. Decreased from normal.

13 Q. What's happening to the baby? Why is there a  
14 decrease?

15 A. My impression was medication.

16 Q. Was she given more medications, then, that  
17 caused that?

18 A. She still had the medication from an  
19 hour-and-a-half before.

20 Q. If you didn't have medication and the  
21 decelerations were not caused by medication,  
22 would that raise a concern in your mind?

23 A. No.

24 Q. The next entry is at what time?

25 A. 11:00.

1 Q. In the morning?

2 A. A.m.

3 Q. What have you written there?

4 A. "Five to six centimeters dilated. 100 percent  
5 effaced. Zero station. Epidural. And  
6 Pitocin."

7 Q. Was Pitocin started then?

8 A. I'm not sure of the time. It's either 10:40 or  
9 11:40.

10 Q. I think it is at that time, between 11 and  
11 11:40.

12 MR. GOLDWASSER: The chart says  
13 11:45 as I recall.

14 Q. Okay. What's an epidural?

15 A. It's a method of providing analgesia.

16 Q. For what purpose was it given to Mrs. Berlinger?

17 A. To relieve pain.

18 Q. That's the only purpose of it?

19 A. Yes.

20 Q. Does it have any effect, on the labor?

21 A. It may,

22 Q. In what way?

23 A. It may decrease contractions transiently.

24 Q. What effect would that have on a labor?

25 A. It might slow it down.



1 Q. Is there a timing that should be used in  
2 epidural? In other words, should it be done at,  
3 certain times and not done at other times?

4 MR. GOLDWASSER: During the course  
5 of the labor, you're talking about?

6 Q. Yes, during the course of labor.

7 A. Not necessarily. Depends on the labor.

8 Q. I have read some literature which says that you  
9 have got to be careful when you do the epidural  
10 because what you can do is throw the whole labor  
11 pattern off?

12 A. Certainly.

13 Q. Did that epidural have any effect on the labor  
14 pattern of this lady?

15 A. It doesn't appear to have.

16 Q. Now, at 11:00 the station is where **now**?

17 A. Zero.

18 Q. So at this point, 11:00, doctor, the baby has  
19 remained, hasn't really descended very well, has  
20 it?

21 A. It's at zero station.

22 Q. What do you think about that?

23 A. Normal.

24 Q. Normal?

25 A. Yes.

1 Q. You don't normally give Pitocin to someone who  
2 is progressing normally at labor, do you?

3 A. Her labor is on the slow side of average.

4 Q. Well, we know that Mrs. Rerlinger was given  
5 Pitocin, right?

6 A. Yes.

7 Q. Under what circumstances is it your judgment  
8 that you would give Pitocin to such a lady?

9 A. If the labor **progress** falls below normal.

10 Q. Well, did it fall below normal when Pitocin was  
11 administered to Mrs. Rerlinger?

12 A. By a stricter criteria, just barely.

13 Q. What are we saying now? What is your judgment  
14 here? What is Pitocin, first of all?

15 A. It's a hormone that causes uterine contractions.

16 Q. There are same benefits to this drug, Pitocin or  
17 oxytocin. It's the same thing, am I right?

18 A. Correct.

19 Q. I think **she** got oxytocin. Same thing?

20 A. Same thing.

21 Q. Rut this is a dangerous drug, isn't it, Pitocin?

22 A. All drugs are dangerous.

23 Q. Why is Pitocin dangerous to use in a lady such  
24 as Mrs. Berlinger?

25 MR. GOLDWASSER: Well, objection to

1 the conclusion that Pitocin was dangerous to  
2 Mrs. Berlinger. Rut you're asking why is it  
3 potentially dangerous? Is that your question?

4 Q. Yes, That's a good question. Thank you.

5 Why is it. potentially dangerous to Mrs.  
6 Berlinger when it's given to her at. 11:00 on  
7 July the 10th?

8 A. Pitocin can be dangerous to any laboring  
9 patient..

10 Q. Why?

11 A. It could cause distress to the fetus.

12 Q. How?

13 A. Increasing the frequency of contractions so that  
14 there is decreased time for oxygenation to the  
15 baby.

16 Q. How does that occur?

17 A. We had mentioned that the contractions, the  
18 blood flow is between contractions and  
19 increasing the frequency so that there was no  
20 relief between contractions could be  
21 detrimental.

22 Q. By cutting off the blood supply to the baby?

23 A. Yes.

24 Q. Is it true that what Pitocin does is it makes  
25 the uterus contract a little stronger than a

1 normal labor contraction?

2 A. That's correct..

3 Q. Does Pitocin increase the frequency of  
4 contractions or the severity and length of the  
5 contraction?

6 A. It can do both.

7 Q. So what you can have, theoretically speaking,  
8 with the use of Pitocin is you can have a uterus  
9 contracting for a longer period of time than it  
10 normally would contract without Pitocin and  
11 thereby cut off the supply of oxygen to the  
12 fetus for a longer period of time than would  
13 normally be done if no Pitocin was used?

14 A. That's correct.

15 Q. What's your judgment on why Pitocin was used on  
16 this lady?

17 A. She had a protraction.

18 Q. What is that?

19 A. A slower than minimal rate of dilatation.

20 Q. Dilatation of what?

21 A. Cervix.

22 Q. And the baby's head was not descending into the  
23 canal. Is that true, too?

24 A. That's not why. I don't know why -- I don't.  
25 know if that was a factor in their decision.

1 Q. So the purpose of the Pitocin was to increase  
2 the dilatation of the cervix, right?

3 A. Increase the contractions to result in increased  
4 cervical dilatation.

5 Q. Now, is this true: That during a contraction, a  
6 normal contraction during the labor, it's the  
7 baby's skull which is projected forward or  
8 downward into the cervix?

9 A. Yes.

10 Q. And with the use of Pitocin, is that forceful  
11 downward movement of the head of the fetus  
12 during the contraction, is that increased?

13 A. Could be.

14 Q. Do you agree that when using Pitocin, the  
15 standard of care is that the physician or a  
16 doctor should be present from the time the  
17 Pitocin is started on the patient until the time  
18 the Pitocin is discontinued?

19 MR. GOLDWASSER: Are you talking  
20 about present at the patient's bedside?

21 MR. ILER: Yes.

22 MR. GOLDWASSER: Not in the  
23 hospital alone, but at the patient's bedside?

24 MR. ILER: Yes.

25 MR. GOLDWASSER: You may answer,

1 doctor.

2 A. No.

3 Q. Do you believe somebody should be watching the  
4 patient as the Pitocin is being dripped into the  
5 mother's blood stream?

6 A. Sitting by the patient's side?

7 Q. I don't know. Anywhere?

8 MR. GOLDWASSER: Well, wait a  
9 minute. There is a difference. I mean she is  
10 obviously in a hospital.

11 MR. ILER: Yes. We know that.

12 Q. With Mrs. Berlinger, when the Pitocin was  
13 administered to her at 11:45 a.m. on July the  
14 10th of '84, it's your medical opinion that a  
15 medical doctor should not be present. as the  
16 Pitocin is run into -- let me finish.

17 You have testified, doctor, that a  
18 physician does not need to be present at the  
19 bedside of Mrs. Berlinger when the Pitocin is  
20 being administered and during the period of time  
21 the Pitocin is going into Mrs. Herlinger's blood  
22 stream, right?

23 A. That's correct.

24 Q. Is it your opinion that, somebody who is trained  
25 to **know** the effects of Pitocin should be at the

1 bedside of Mrs. Berlinger while the Pitocin is  
2 being administered and dripped into her blood?

3 A. The entire time?

4 Q. Yes.

5 A. No.

6 Q. Is this true: That the use of Pitocin causes  
7 the uterine contractions either to increase in  
8 severity or in length of time?

9 MR. GOLDWASSER: He's answered that  
10 already. Go ahead again, doctor.

11 A. That's correct.

12 Q. Isn't this true: That if nobody is watching the  
13 contractions in the mother, like Mrs. Berlinger,  
14 when Pitocin is being administered, then nobody  
15 knows how long the contractions are taking place  
16 because of the Pitocin and how severe the  
17 contractions are?

18 MR. GOLDWASSER: Objection. Are  
19 you talking about continuously? I object to the  
20 question. You may answer, doctor.

21 A. That's not what you said.

22 Q. Let me retry the question.

23 See, I think that either the doctor or a  
24 resident or a very well-trained nurse should be  
25 watching Mrs. Berlinger after the Pitocin is

1 started on her because you don't know what  
2 effect the Pitocin has on Mrs. Berlinger until  
3 you actually see with your eyes the contractions  
4 taking place. That's what I think, see? Do you  
5 agree with that?

6 A. I agree.

7 Q. Well, if nobody is watching, neither a resident  
8 nor a physician nor a trained nurse is watching  
9 the contractions during the time the Pitocin is  
10 being administered to Mrs. Berlinger, then  
11 nobody knows how long the oxygen is being cut  
12 off to Rebekah, the baby, during these  
13 contractions, is that right?

14 MR. GOLDWASSER: Objection. That's  
15 not the circumstances in this case. I object to  
16 the hypothetical.

17 Q. That's all right. Go ahead.

18 A. But that's not the question you asked me.

19 Q. Is that correct?

20 MR. GOLDWASSER: Hypothetically if  
21 that be the case, doctor, which I object to, if  
22 nobody was ever there to look.

23 Q. Yes.

24 A. You stated that a nurse or a physician must be  
25 at the bedside the entire time of administration



1 of oxytocin. My response was no.

2 Q. **That's** right,.

3 A. But I think that somebody needs to observe  
4 either the patient. or the monitoring tracings  
5 which need not be at the bedside but somewhere  
6 so that if increasing contractions are noted,  
7 they can be attended to.

8 Q. And the reason for that opinion is so that  
9 somebody is either watching the monitor strip  
10 while Pitocin is being administered or watching  
11 the patient- so that we do not get a prolonged  
12 contraction which cuts off blood and oxygen to  
13 the fetus?

14 A. Sure.

15 Q. Now, would it be below the standard of care for  
16 the nursing staff not to be watching the monitor  
17 strip while Pitocin is being administered to  
18 Mrs. Berlinger?

19 MR. GOLDWASSER: Objection. You  
20 may answer.

21 A. You mean continuously sitting there looking at  
22 the strip?

23 Q. Observing it.

24 A. Well, they should observe the strip for changes  
25 that are worse, yes.

1 Q. What would they be looking for; late  
2 decelerations, early decelerations or variables  
3 or what?

4 A. They would be looking for bradycardias, late  
5 decelerations, increasing frequency in tone of  
6 the, uterine contractions as **being** monitored or  
7 the mother complaining of something.

8 Q. Okay. Did you read the nursing notes during the  
9 period of time that Pitocin was being  
10 administered to Mrs. Berlinger?

11 A. I'd have to refer to the notes again. I did,  
12 but I **don't** recall what you're referring to.

13 Q. Here at Cleveland Metro, is it a requirement of  
14 the nursing staff in such a situation where  
15 Pitocin is being administered to one of your  
16 patients to record in **the** medical records what  
17 is happening to the patient and what indications  
18 are on the monitor?

19 A. To interpret the monitoring tracings?

20 Q. Yes.

21 A. Yes.

22 Q. Do you tell your nurses here to do that?

23 A. Yes.

24 Q. Why?

25 A. So that they note changes that are worrisome.

1           They usually note abnormal patterns.

2   Q.   And that would be, what, late decelerations?

3   A.   Late decelerations, bradycardia.

4   Q.   Did you find, when you reviewed this case, did  
5       you have your mind on this particular point as  
6       to who was monitoring the monitor strip or Mrs.  
7       Berlinger during the period of time the Pitocin  
8       was being administered to her? Did you have  
9       that in your mind?

10  A.   No.

11  Q.   Does it make any difference to you in your  
12       opinion as to whether the nursing staff  
13       comported with the standard of care in Mrs.  
14       Berlinger's case as to whether or not they were  
15       watching the monitoring strip or Mrs. Berlinger  
16       during the use of Pitocin?

17  A.   I'm not sure I understand.

18                   MR. ILER: Can you read it back?

19                   - - - -

20                   (Thereupon, the requested portion of  
21       the record was read by the Notary.)

22                   - - - -

23                   MR. GOLDWASSER: Object.

24  A.   I still don't understand your question. It's  
25       not clear.

1                   MR. GOLDWASSER: I don't understand  
2                   your question, either.

3 Q. You have opined that you believe that the  
4                   nursing staff that was watching Mrs. Berlinger,  
5                   taking care of her during this labor time  
6                   comported with the standard of care, right?

7 A. I have opined that?

8 Q. Yes.

9 A. I did not review the record specifically looking  
10                  at the nursing care.

11 Q. You're not making an opinion as to that standard  
12               of care for those nurses, then?

13               MR. GOLDWASSER: Oh, no. On direct  
14               examination I may well ask the doctor in view of  
15               his experience working with nurses, whether the  
16               nurses in this case complied with acceptable  
17               standards. I just don't want you to be misled.  
18               He doesn't know what I'm going to ask him on  
19               direct examination. I haven't discussed it with  
20               him, yet.

21               MR. ILER: Maybe I'm taking this  
22               deposition prematurely, then.

23               MR. GOLDWASSER: No, you're not.

24               MR. ILER: Do you want to talk with  
25               him?

1 MR. GOLDWASSER: No. You go ahead  
2 and proceed. In fact, you're doing quite well.  
3 I'm not objecting to you taking the deposition.

4 In fairness to you I'm telling you  
5 something probably a lot of lawyers wouldn't  
6 even mention to you.

7 MR. ILER: I know. See, I've got  
8 to get ready for this thing. What I'm trying to  
9 get from the doctor --

10 MR. GOLDWASSER: Well, then, ask  
11 the doctor the question.

12 - - - -

13 (Thereupon, a recess was had.)

14 - - - -

15 Q. Have you reviewed the medical records made by  
16 the nurses who were attending Mrs. **Rerlinger**?

17 A. Yes.

18 Q. Did you find from the notes, the nurses' notes  
19 themselves, that they reported to Dr. Schwartz  
20 all the significant signs, that is decelerations  
21 or variabilities or beat-to-beat variabilities  
22 or any other clinical problems that may have  
23 occurred during the period of time of labor?  
24 Did you find **from** the records that these **nurses**  
25 did report such conditions to **Dr.** Schwartz?

1

2

3

4

5

6

7

8

9

10

11

12 may answer that hypothetical.

13 A. Is this --

14 MR. GOLDWASSER: Wait a minute.

15 Doctor, I contend that that is absolutely

16 contrary to what is recorded in the nurses'

17

18 know that; but you can indicate that in the

19 hypothetical if they didn't know.

20

21

22

23

24

25

1 A. Did I state that?

2 Q. I'm **sorry**?

3 A. Did I state that?

4 Q. I assume that's what you are going to state.

5 MR. GOLDWASSER: Then why don't you  
6 ask him if he has that opinion? Do you have an  
7 opinion that the nurses comported with the  
8 standard of care from your review of the  
9 record. I assume that's what you want to know.

10 MR. ILER: That's what I want to  
11 know.

12 MR. GOLUWASSER: Well, you've **got**  
13 the cart before the horse. You didn't ask him  
14 that question.

15 A. I don't think the opinions that I have **are**  
16 strictly with regard to a nursing care  
17 standpoint.

18 Q. Specifically with regard to the letters of  
19 February 7, '86 and February 25, '86 from  
20 **yourself** to Mr. Goldwasser, did those opinions  
21 include the nurses that attended Mrs. Berlinger  
22 during her labor?

23 A. **It was** the entire case.

24 Q. Including the nurses?

25 A. The entire case was my opinion.

1 Q. Now, let's stay with the nursing staff for a  
2 moment, and upon what facts do you base your  
3 opinion that is contained in your report of  
4 February 7th and February 25th that the nurses  
5 who were monitoring, observing and watching Mrs.  
6 Berlínger **from** the time of labor until the time  
7 of delivery acted in accordance with the  
8 standard of care? What facts do you base that  
9 on?

10 A. My written opinion on February whatever was  
11 based on the overall care and attention given to  
12 monitoring and intervention as I felt reviewing  
13 the record both from a nursing, physician,  
14 anesthesia standpoint.

15 I did not review them specifically from  
16 either individual point, but I can review the  
17 nursing records, if you'd **like**.

18 The heart rate was noted every 15 minutes  
19 on the intrapartum record, noting that, they were  
20 at least observing the record.

21 Q. In the letter of February 7th of '86, this  
22 appears near the bottom of the second last  
23 paragraph, "This relieves much of my concern  
24 about the absence of written clinical notes  
25 following the notation of 12:30."



1                   What occurred at 12:30 that seemed to be a  
2                   point to you?

3   A.   Can I refer to the record?

4   Q.   Oh, yes.

5                   MR. GOLDWASSER:   I think you **are**  
6                   talking **about** the progress note of 12:30.  
7                   Doctor, it's the clinical notes in **the** index I  
8                   sent you.

9   A.   Okay.   In the clinical notes of 12:30.

10   Q.   That would be on July?

11   A.   10th, I believe.

12   Q.   Okay.   At 12:30 what occurred?

13   A.   The observation of the cervix was seven  
14                   centimeters, completely effaced, zero **station**.  
15                   The fetal heart rate showed mild **late**  
16                   decelerations.   She **was** given oxygen and turned  
17                   on her left side.

18   Q.   Now, apparently **from** your letter report, that  
19                   incident of late decelerations and then so forth  
20                   at 12:30 **raised** some concern in **your** mind, am I  
21                   right,, **from** your letter?

22   A.   Yes.

23   Q.   What was your concern?

24   A.   **There were** no further notations until 3:30 p.m.  
25                   on that --

1 MR. GOLDWASSER: Notations in the  
2 clinical notes?

3 A. Right.

4 Q. There should have been, I assume?

5 A. At Metro, the nursing notes and physician **notes**  
6 are simultaneously on the **same** sheet, so I am  
7 accustomed to seeing nurses' notes in there, as  
8 well.

9 Q. Do you find any nursing notes at all in Rebekah  
10 Berlinger's case -- Mrs. Berlinger's case that  
11 occur after 12:30 up to 3:30?

12 A. Not physician notes.

13 MR. GOLDWASSER: He is asking about  
14 nurses' notes. He didn't ask about physician's  
15 notes.

16 Q. Nurses' notes I'm asking **for**. I think what, you  
17 indicated was there was a nurse's note at 12:30  
18 and the next one appeared at 3:30?

19 A. I think that's a physician **note**.

20 Q. Why don't you take a look at it.

21 MR. GOLDWASSER: That's a **resident**  
22 in obstetrics.

23 Q. Let's go back over it again.

24 There appears to be no physician's note  
25 after 12:30 on 7/10/84 until 3:30. Three

1        hours. There is no **doctor's** note, is that  
2        **right?**

3        A. That's correct,.

4        Q. Insofar as the nursing notes are concerned  
5        during that, period of time, what do we see  
6        there?

7        A. I'll have to refer to the nursing notes. Just  
8        observation of the heart rate.

9        Q. What time?

10       A. And decreasing slightly with contractions at  
11       3:00.

12       Q. When is the note, any nurse's note before 3:00  
13       on July 10th?

14                        MR. GOLDWASSER: You **are** excluding  
15       the hospital form. You are just talking about  
16       **the narrative form?**

17       Q. The narrative form.

18       A. I'm **sorry**. What was the question?

19       Q. You just read a note which apparently was as  
20       3:00?

21       A. There is a note at 3:00 where they mention the  
22       heart rate.

23       Q. Okay. Before that,, 2:30, 2:00, 1:30, 1:00 are  
24       there any notes?

25       A. **There** are notes.

1 Q. Who has signed those notes, do we know?

2 A. They are not signed. Or they are signed down  
3 lower, I guess, B. Thomas.

4 Q. What has Nurse Thomas reported at 12:30 on her  
5 note?

6 A. "12:25, Exam per Dr. Auld,"

7 MR. GOLDWASSER: A U L D?

8 A. "7 centimeters. Comfortable. Talkative. 1:15  
9 Dr. Schwartz here. Nine cm's."

10 Q. At 9:15 what, sir?

11 A. Dr. Schwartz here.

12 Q. What else does it say?

13 A. Nine cm's.

14 Q. Then your next note?

15 A. "At 1:30 patient allowed to push. Has no  
16 feeling in peroneal area."

17 Q. Then what?

18 A. 2:00 p.m. is noted but I'm not sure of what's  
19 there,

20 Q. You can't read it?

21 A, There is nothing there.

22 Q. Then what's after that.?

23 A. "3 continuous pushing. Fetal heart tones, 140  
24 to 160. Decreasing slightly with contractions.  
25 Dr. Schwartz examine. No progress. Will do

1 C-section."

2 Q. What's the last part? C-section?

3 A. " Will do C-section."

6 A. 1:30 till when?

7 Q. 3:30?

8 A. There are heart rate decelerations noted.

9 Q. That's late decelerations?

10 A. It doesn't say.

11 Q. But are there any specific references during  
12 that period of time, 1:30 to 3:30 of late

13

14

15

t

you

16

17

MR. GOLDWASSER: For after, when it.

18

started and then stopped, you say?

19

MR. ILER: Yes.

20

MR. GOLDWASSER: I object. You may

21

answer, doctor.

22

A. When did labor stop? When did progress in labor

23

stop?

24

Q. Yes, progress.

25

A. No change after 1:00. 1:00 p.m.

1 Q. And at 1:00 p.m., where is your note on Exhibit  
2 **Number 2** for for 1:00 p.m.?

3 A. "1530. Complete zero/minus one since 1300."

4 Q. So at 1:30 in the afternoon, July 10th, would  
5 you say the progress stopped for Mrs. Rerlinger  
6 in her labor?

7 A. After when?

8 Q. 1:30?

9 A. There was no **progress** from 1:00 on.

10 Q. From 1:00 on, there is no progress?

11 A. That's correct.

12 Q. Is that the time to **do** the cesarean section?

13 A. When?

14 Q. 1:30?

15 A. No.

16 Q. You want to let her -- she's still on Pitocin at  
17 1:30?

18 A. Yes.

19 Q. She had been on Pitocin for how long now, from  
20 11:45 to 1:30, maybe a couple hours **or** so?

21 A. Couple hours.

22 Q. You can let her run on Pitocin?

23 A. Yes.

24 Q. What's going to **make** your mind up as to when you  
25 are going to do a cesarean here or aren't you

1  
2

3

4 A.

5 Q.

6  
7

8 she is not doing very well pushing?

9 A. She's not able to push too well,

10 Q. What time was that note reflected?

17 Q. "After"?

18 A. "IV Demerol."

19 Q. And then your next note says what? "Off

20

21 A. "Off monitor 11:05 for epidural."

22 Q. This is the external?

23 MR. GOLDWASSER: Off the external

24 monitor, you mean?

25 Q. **Yes.** Or the internal? We don't know?

1 A. Well, she was on internal from 7:00 a.m.

2 Q. So then at 1350 you've got a note? What does  
3 that mean, doctor? Can you read it for me then  
4 tell me what it means?

5 A. "Fetal heart rate 150 to 160 with minimal  
6 variability."

7 Q. The next line is "1400"?

8 A. "1400 to 1410. Four light decelerations. 10  
9 beats per minute. 160 to 150."

10 Q. What time would that be?

11 A. 1410.

12 Q. That would be about. 2:10 or so?

13 A. As noted on the tracing.

14 Q. Do you think you should be doing a cesarean  
15 right now?

16 A. No.

17 Q. Is the child, just taking it up to this note in  
18 your record of 1400 to 1410, when you say four  
19 late decels, okay?

20 From this point backwards has Rehekah  
21 Rerlinger, the baby, been placed under any  
22 distress or stress?

23 A. I'm not sure about these times because, as we  
24 noted, there is a question of the times being  
25 printed and the times as they actually were,



1 so --

2 Q. Rut up till this point, 2:00 in the afternoon of  
3 July the 10th of 1984, has this baby gone under  
4 any stress?

5 A. I don't believe so.

6 Q. Has she gone under any distress?

7 A. None we have observed on the tracing.

8 Q. Then your next note is 1420, something is  
9 resolved?

10 A. Yes.

11 Q. What resolved?

12 A. The late decelerations.

13 Q. And then the baseline?

14 A. 160.

15 Q. And what's your next note?

16 A. "Mild intermittent lates."

17 Q. The lates have come back again?

18 A. Apparently. That's what we mentioned earlier.

19 Q. Yes, I think you did.

20 So now is this based upon your analysis at  
21 this point in time, taking the 1420, where mild  
22 intermittent lates returned, okay?

23 From the summary of your sheet that you  
24 have here, this yellow sheet, how many times  
25 have late decelerations occurred up to this

1 point of 2:20 or 1420?

2 A. Two episodes.

3 Q. And then your next note is at 1500. That's  
4 3:00, right?

5 a. On the tracing.

6 Q. What's happened on the tracing then?

7 A. "Regional variability, decelerations."

8 Q. Your next note?

9 A. "Pushing. Cord pattern. Normal variability.  
10 1640 variable decelerations. Good variability.  
11 Baseline 150."

12 Q. What's your last?

13 A. "I would not have gotten scalp pH."

14 Q. Have you done that before, scalp pH's?

15 A. Yes.

16 Q. Do you have to do that or can a nurse do that?

17 A, I do it or a resident does it.

18 Q. A resident can do that?

19 A. Yes.

20 Q. Is that helpful to show you whether the child  
21 has become acidotic?

22 A. Yes.

23 Q. It wasn't done in this case?

24 A. No.

25 Q. When you do them, the scalp pH, does that

1           generally give you reliable evidence as to  
2           whether *or* not the child has been acidotic or is  
3           becoming acidotic?

4   A.   It confirms your **impression from** the heart **rate**  
5           tracing what's going on at the time.

6   Q.   Here is a mother who **has** not progressed in her  
7           labor, right, up until 1:30 in the afternoon of  
8           July the 10th? She's still at zero station, I  
9           think, isn't that right?

10   A.   She is at zero station.

11   Q.   She's been given medications for either **pain** or  
12           discomfort or for whatever- reason, right?

13   A.   Correct.

14   Q.   She has been given Pitocin?

15   A.   Yes.

16   Q.   Do you recall the dosage of the Pitocin, **where**  
17           it started and where it went to?

18   A.   I don't recall.

19   Q.   You are **still** prepared to give your opinion that  
20           there **was** no problem with the Pitocin even  
21           though you don't, know how much Pitocin was given  
22           to this lady, right?

23                   MR. GOLDWASSER: Well, he **knows** if  
24           he looks in the record.

25   Q.   Well, wait. Hold it.

1 A. Can you repeat your question?

2 Q. Do you know how much Pitocin was given to Mrs.  
3 Berlinger initially and what the last dosage  
4 was?

5 A. No.

6 Q. Does it make any difference to you as to whether  
7 or not the dosage was increased or decreased on  
8 Mrs. Berlinger? Does that affect the standard  
9 of care in your judgment or not in this case?

10 A. I'm not sure what you mean.

11 Q. Okay. Let us assume that the initial dosage of  
12 Pitocin was increased with Mrs. Berlinger.

13 Does it make any difference to you insofar  
14 as the standard of care was met or not, as to how  
15 much it was increased or not?

16 A. There are certain, if you give it too fast, you  
17 may have a bad outcome, so I think you need to  
18 watch the dose that you are giving.

19 Q. Do you have any rule or any guidelines in that  
20 respect?

21 A. We do.

22 Q. What is it?

23 A. For augmenting Pitocin, we increase, we usually  
24 start at about two milliunits per minute and  
25 increase every 35 to 20 minutes.

1 Q. To what.?

2 A. By one or two units of Pitocin.

3 Q. To the maximum of what dosage?

4 A. It depends.

5 Q. How much have you given?

6 A. How much have I given?

7 Q. Yes.

8 A. Under this circumstance for patients who are  
9 progressing during the labor and they need  
10 supplemental oxytocin, rarely more than 16  
11 milliunits.

12 Q. And we know that she has been, Mrs. Berlinger  
13 has been on Pitocin from 11:45 to approximately  
14 3:30, right?

15 A. That's correct.

16 Q. That has not assisted the progress of labor,  
17 true

18 A. That's correct. It did assist, progress of  
19 complete dilatation.

20 Q. ut. insofar as the rest of the labor, did the  
21 Pitocin assist in any way?

22 A. It did not. Did not result in descent.

23 Q. Did ot result?

24 A. Correct.

25 Q. Then we also know that, from at least what your

1 review of the monitor strips are, that the child  
2 has gone through late decelerations, true?

3 A. Had some earlier.

4 Q. We know that that's in there and there was an  
5 experience of bradycardia with the child during  
6 labor?

7 A. I don't recall that.

8 Q. Were there any other abnormal findings in the,  
9 from 12:30 till 3:30 in the afternoon of July  
10 30th that I have not included?

11 A. There were decelerations that occurred while she  
12 was pushing.

13 Q. Then we also know that she was not pushing very  
14 well medically, am I correct on that point?

15 A. That's what the nursing notes state.

16 Q. Okay. And based upon that, is it your opinion  
17 that you still would not have done a scalp pH or  
18 would you have now done a scalp pH?

19 A. When?

20 Q. At 3:30?

21 A. I would have delivered the patient,.

22 Q. You would not have obtained a scalp pH between  
23 12:30 and 3:30 in the afternoon?

24 A. That's correct.

25 Q. The other side of Exhibit Number 2 says, "Review

1 deposition. Who is this guy? ER physician."

2 That's your handwriting?

3 A. Correct.

4 Q. "Boarded in peds, newborn, perinatal medicine  
5 and --"

6 A. "ER medicine, not neonatology."

7 Q. "Director nursing, Georgetown." Okay.

8 The next note, sir, what does that say?

9 A. "Critique."

10 Q. Under number one you have what?

11 A. "Unengaged vertex." Those are in reference to  
12 Abramson's deposition which I reviewed.

13 Q. Was he correct on that?

14 A. That it was an unengaged vertex?

15 Q. Yes.

16 A. When she presented in labor, it was not engaged.

17 Q. Was he right?

18 A. It was not engaged.

19 Q. Number two critique says what?

20 A. "Fetal distress. Decreased variability **before**  
21 ruptured membranes on the monitor."

22 Q. Is he right?

23 A. I don't believe so.

24 Q. Continue with **your** comment number two?

25 A. "Fetal distress later."

1 Q. Is he correct on that?

2 A. I don't believe so.

3 Q. Then?

4 A. "Not **recognized** by residents, RN's."

5 Q. Is he right?

6 A. I don't believe so.

7 Q. Your third critique is what?

8 A. " Arrest of labor and descent."

9 Q. Is he right, on that?

10 A' She did have an arrest of labor and descent.

11 Q. At what time was that, do you know?

12 A. Her labor arrested at 1:00, from then on; but  
13 you would not recognize that until after, later.

14 Q. Then what's the next critique?

15 A. "Should have done pH by 11:40."

16 Q. You disagree with that?

17 A. I do.

18 Q. Then the next, item number five?

19 A. "He noticed variability decreased on those  
20 panels."

21 Q. "87 to 90. 131 to 132. 143 to 44. There was a  
22 suspicion.

23 Do you agree with that **analysis** he **made**?

24 A. No. I noticed those were his observations,

25 Q. Rut was he right? Was these variability on



1 Panels 87 to 90?

2 A. I'd have to review the panels.

3 a. You want to do that?

4 A. Yes. Variability is decreased on 97. Can I  
5 look at that?

6 87 to 90. I disagree.

7 Q. For Panels 87 to 90?

8 A. Yes.

9 e. How about 131 and 132?

10 MR. GOLDWASSER: That's in the very  
11 beginning. This is when she's in the evening  
12 before.

13 A. It's difficult to say. That's an external  
14 monitor.

15 Q. Then you have marked down here, "0400 to 0645"?

16 A. 4:00 a.m. to 6:45.

17 Q. What does he say there?

18 A. He says it was suspicious.

19 Q. What was suspicious?

20 A. I don't know. The heart rate tracing.

21 Q. Was there anything suspicious during that period  
22 of time that you found in that record?

23 MR. MURPHY: What's the time frame?

24 A. 0400 to 0645.

25 MR. GOLDWASSER: That's again the

1 external tracing?

2 A. The external tracing.

3 I disagree.

4 Q. What does thjs note here mean on the hack of  
5 Exhibit 2?

6 A. "40 to 43 compromise."

7 Q. What does that mean?

8 A. Those were his observations. He felt that it  
9 represented compromise.

10 Q. What do you think about that?

11 A. I'll have to look at those sections.

12 No.

13 Q. You do not agree?

14 A. That's correct,.

15 Q. Did you read Dr. Schwartz's deposition?

16 A. No.

17 MR. ILER: If I could get a copy of  
18 this before I leave --

19 MR. GOLDWASSER: I'll be happy to  
20 get that for you.

21 Q. Is oxytocin or Pitocin contraindicated in a case  
22 where a baby is going through some distress?

23 MR. GOLDWASSER: Objection. You  
24 may answer. Distress noted before the  
25 utilization, before the Pitocin is used, is that,

1 correct, Don? To make sure I understand it.

2 MR. ILER: Yes.

3 MR. GOLDWASSER: Objection. You  
4 may answer.

5 A. I'm sorry.

6 Q. Is Pitocin contraindicated where a child has  
7 been undergoing fetal distress, has been  
8 .experiencing fetal distress?

9 As a general rule.

10 Why should you not use Pitocin with a child who  
11 is undergoing -- has undergone fetal distress?

12 Who is undergoing?

13 Has undergone it?

14 It depends on the situation. If it's undergone  
15 it and it discontinued, you can.

16 Let me strike the question.

17 It may worsen.

18 If a child is going through late decelerations,  
19 is Pitocin contraindicated in that case?

20 MR. GOLDWASSER: Objection. You  
21 may answer.

22 If the patient is having late decelerations,  
23 would it not be a good idea to give Pitocin?

24 Is it contraindicated?

25 If the late decelerations persist and you cannot

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

Q. Has it gone through any decelerations at all  
before 11:45 on July the 10th?

A. There may have been one or two intermittent  
somewhere in the previous hours.

1 A. Eventually, yes.

2 Q. When in this case should it have been stopped?

3 A. When do I think?

4 Q. Yes.

5 A. After a couple of hours of no progress with good  
6 pushing.

7 Q. That would bring you to about, oh, the Pitocin  
8 was started at 11:30, Gary?

9 MR. GOLDWASSER: 11:45.

10 Q. Say 12:45, 1:45 you probably would have stopped  
11 the Pitocin, right?

12 A. No, that's not what I said.

13 Q. What did you say?

14 A. I said when she had been on Pitocin and pushing  
15 well with no descent and given a good trial of  
16 that, then I would do a cesarean.

17 Q. But we know from the record that she was pushing  
18 but pushing without success, isn't that right?

19 A. She was not pushing well at 1:30.

20 Q. At 1:30. Well, doesn't that mean to you that  
21 you would have stopped the Pitocin at 1:30?

22 A. No.

23 Q. Can you tell me how long the child, Rebekah, had  
24 been at zero station from prior to her delivery  
25 backwards? Do you know how long that baby had

1           been at zero station?

2   A.   11:00 a.m.

3   Q.   So that would be how many hours?

4   A.   What time was she delivered?

5           Do you know what time she was delivered?

6                   MR. MURPHY:   4:14, I think it was.

7   A.   Five hours and 14 minutes.

8   Q.   Is that your judgment? She had been at zero  
9       station since that point in time?

10  A.   That's what I read from the records.

11  Q.   There was meconium staining that was evident at  
12       the delivery of the child?

13  A.   That's correct.

14  Q.   But what caused that?

15  A.   I don't know.

16  Q.   Pardon me?

17  A.   I don't know.

18  Q.   Is it, is meconium staining occurring when a  
19       child is under stress?

20  A.   It can occur under those circumstances.

21  Q.   Is it usually associated, that is, is meconium  
22       usually associated with a baby that has been  
23       under stress while in the mother's womb?

24  A.   It depends on the situation; but generally  
25       speaking, you can assume there is some **stress**

3           sometime.

2   Q.   What meconium is is fecal matter, is that right?

3   A.   That's right.

4   Q.   In other words, the child is placed under stress  
5       while in the mother's womb for whatever season,  
6       say lack of oxygen, you know, cutting off of  
7       blood supply, or medication and the baby  
8       defecates in the mother's womb, is that right?

9   A.   That's correct.

10   Q.   Would you agree that since the fluid was clear  
11       **when** Dr. Schwartz ruptured the membranes at 8:00  
12       a.m. on July the 10th of '84, that the meconium  
13       was the result of stress placed on Rehekah  
14       Berlinger, the baby, from a point after 8:00  
15       until the time of delivery at 4:12?

16                   MR. MURPHY:  Objection.

17   A.   I don't know.  The reason I say that is that  
18       when he ruptured membranes at 8:00, there was  
19       very little amniotic fluid and so it's  
20       theoretically possible that there would be  
21       meconium **up** high in the fundus that would not be  
22       noted when the membranes were ruptured.

23   Q.   That's guessing, though, isn't it?

24   A.   **Sure.**  It's guessing when you say that it  
25       occurred later.

1 Q. You think it's guessing to say that it occurred  
2 later as the result of stress?

3 A. I say it could have occurred either time.

4 Q. Okay. Dr. Schwartz did not find any meconium at  
5 8:00, did he?

6 A. None was noted. They did --

7 Q. Let's assume there was meconium that was  
8 evidenced there. Then should Dr. Schwartz have  
9 done the cesarean immediately at 8:00 a.m.?

10 A. No.

11 Q. Do you know how many times the child was  
12 suctioned after delivery for meconium?

13 A. No.

14 MR. ILER: Well, without hearing  
15 any more of of your direct testimony, because I  
16 won't, know what it is until Mr. Goldwasser  
17 videotapes you Thursday, then I will end my  
18 deposition at this point.

19 MR. MURPHY: I don't have any  
20 questions.

21 MR. ILER: Can we ask for a waiver  
22 of signature to the deposition?

23 MR. GOLDWASSER: Well, it's my  
24 practice not to waive signature.

25



---

LEROY DIERKER, M.D.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25


C E R T I F I C A T E

The State of Ohio, ) SS:  
County of Cuyahoga .)

I, Pamela S. Greenfield, a Notary Public within and for the State of Ohio, authorized to administer oaths and to take and certify depositions, do hereby certify that the above-named LEROY DIERKER, M.D., was by me, before the giving of his deposition, first duly sworn to testify the truth, the whole truth, and nothing but the truth; that the deposition as above-set forth was reduced to writing by me by means of stenotypy, and was later transcribed into typewriting under my direction; that this is a true record of the testimony given by the witness, and was subscribed by said witness in my presence; that said deposition was taken at the aforementioned time, date and place, pursuant to notice or stipulations of counsel; that I am not a relative or employee or attorney of any of the parties, or a relative or employee of such attorney or financially interested in this action.

IN WITNESS WHEREOF, I have hereunto set my hand and seal of office, at Cleveland, Ohio, this \_\_\_\_ day of \_\_\_\_\_, A.D. 19 \_\_\_\_.

\_\_\_\_\_  
Pamela Greenfield, Notary Public, State of Ohio  
650 Engineers Building, Cleveland, Ohio 44314  
My commission expires June 29, 1988



FEB 14 1986

# Cleveland Metropolitan General Hospital

## Highland View Hospital

3395 SCRANTON ROAD • CLEVELAND, OHIO 44109 • 216-398-6000

HENRY E. MANNING  
PRESIDENT

February 7, 1986

JAMES KRETZSCHMAR  
SENIOR VICE - PRESIDENT  
OPERATIONS

Gary H. Goldwasser  
c/o Reminger and Reminger  
Attorney's at Law  
The Leader Bldg.  
Cleveland, Ohio 44114

RE: BERLINGER, REGEKAH vs. MT. SINAI MEDICAL CENTER  
U#: 69-73-39

Dear Mr. Goldwasser :


I have again reviewed the records of the intrapartum events of Heidi Cerlinger on July 10, 1984. The technique of recording the fetal heart rate which is used at Mt. Sinai was one which I was not familiar with. It is apparent that the fetal heart rate was monitored and noted following the epidural anesthesia which was given at approximately 11:15. Mild late decelerations were noted at 12:30 when the patient was 7 centimeters dilated. The patient was treated appropriately by turning her on her side and giving her oxygen. This indicates that the monitor was being closely observed and when abnormal tracings were noted they were treated appropriately. The intrapartum record shows a gradual rise in the fetal heart rate ranging from 150 to 180 and then back down to the 140 range over the next few hours. It was noted at least every 15 minutes and there **was** no apparent concern on the part of the clinicians managing the patient.

My impression from reviewing these data is that the patient was indeed monitored throughout and although the fetal heart rate tracing cannot be located, they were treating suspicious tracings appropriately and observing her closely. This relieves much of my concern about the absence of written clinical notes following the notation at 12:30.

If I can be of any further assistance in this case, please do not hesitate to contact my office.

Sincerely yours,

LeRoy J. Dierker, Jr., M.D.  
Associate Professor  
Director, Maternal-Fetal Medicine  
Case Western Reserve University  
Department of Ob/Gyn



MAR 3 1986

# Cleveland Metropolitan General Hospital

## Highland View Hospital

3395 SCRANTON ROAD • CLEVELAND, OHIO 44109 • 216-398-6000

HENRY E. MANNING  
PRESIDENT

February 25, 1986

JAMES KRETZSCHMAR  
SENIOR VICE-PRESIDENT  
OPERATIONS

Gary H. Goldwasser  
c/o Reminger and Reminger  
The Leader Bldg.  
Cleveland, Ohio 44114

RE: REBEKAH BERLINGER VS MT. SINAI MEDICAL CENTER

Dear Mr. Goldwasser:

I reviewed the electronic fetal monitoring strips which you forwarded to me. On review of the monitoring tracing, it is evident that there were some mild late decelerations, as well as occasional variable decelerations and early decelerations. Throughout the tracing, the fetal heart rate variability was normal with accelerations of the heart rate apparently associated with fetal movement. The decelerations were not present with all contractions, and, as mentioned, were always associated with normal variability, a feature indicating that the fetus appeared to be non-acidotic.

Sincerely yours,

LeRoy J. Dierker, Jr., M.D.  
Associate Professor  
Director, Maternal-Fetal Medicine  
Department of Ob/Gyn

LJD/deb

2M 7/13/87 Review

Reminger & Reminger - Goldwasser

Berlinger, Heidi VS MT. SINAI MED Center -

7/9/84 39<sup>5</sup>/<sub>7</sub> wk 2570 PO  
11:15 PM Contact since early evening 1 cm, 50%, -2  
11:45 PM FHR stable

7/10/84 1<sup>35</sup>/<sub>A</sub> 1.5 cm, 75%, -1 FHR stable  
MS 0230 2<sup>35</sup>/<sub>A</sub> 2 cm, 75% FHR stable & accel M.S.  
4<sup>55</sup>/<sub>A</sub> Contr irreg

50 & 25 phm 0700 3-4, 90%, -1 FHR variability restored  
Dum 0830 0800 AROM - little AF, show, scalp electrode - early uniform DECE  
Cord PATTERN 1000 4-5, 100%, -1 FHR 55 ↓ variability (medicated) AFTER D:  
1100 5-6, 100, 0 Epidural, Pitocin  
1230 7am, 100, 0 mild late decels, O<sub>2</sub>, ⊙ side  
3<sup>30</sup>-15<sup>30</sup> Complete, 0/-1 since 1300

Monitor - Normal variability - early ~~var~~ decels 09.  
after IV Demerol.

Off monitor 1105 for epidural - okay before

1350 150-160 = Normal int variability  
1400-1410 - 4 late decels 10 BPM (160-150)  
1420 Resolved Baseline 160 - mild intermittent  
1. late  
1500-1520 normal variability, accel  
Pushing - Cord getting, normal variability  
1640 Normality, good variability base 150  
I would not have gotten ready for -

Review Deposition - Who is this guy? ER physician?

Boarded in Peds, Newborn + Perinatal Medicine +  
ER med - NOT Neonatology

Director Nursery Georgetown 1970-77 - OB/Gyn?!!

Critique - 1) unengaged vertex

2) Fetal distress -  $\downarrow$  variability, before ARM, monitor -

Fetal distress later, NOT recognized by residents/RN.

3) Arrest labor / descent.

4) Should have done pH by 11:40

5)  $\downarrow$  Variability, period 87-90

131-132

143-44 suspicious

0400-0645 suspicious

Arrest 2420 - ? late decels 40-43 ? likely v late

By 0400  $\downarrow$  variability, "nonprogressive labor"

47-43 compromise

7/16/87 (3hr)

