

The State of Ohio,)

County of Cuyahoga.) SS:

IN THE COURT OF COMMON PLEAS

Joshua Paramore, A)

Minor, etc., et al.,)

Plaintiffs)

vs.) Case No. 207608

S. H. Butt, M.D.,)

et al.,)

Defendants)

* * *

Deposition of LINDA DiPASQUALE, a
Witness herein, called by the Plaintiffs as upon
cross-examination, taken before Janine M. Park,
Registered Professional Reporter and a Notary
Public within and for The State of Ohio, at the
offices of Weston, Rurd, Fallon, Paisley &
Howley, 2500 Terminal Tower, Cleveland, Ohio,
on Friday, August 21, 1992, at 10:15 a.m.

* * *

CAMILLO COURT REPORTERS
COMPUTERIZED TRANSCRIPTION
589 W. BROAD STREET
ELYRIA, OHIO 44035
(216) 323-3381

1 **APPEARANCES:**

2 On behalf of the Plaintiffs:

3 Janet D. Tomko, Esq.

4
5
6
7 On behalf of the defendant, Dr. Butt:

8 NO APPEARANCE

9
10
11
12 On behalf of the Defendant, Southwest:

13 Weston, Hurd, Fallon, Paisley &

14 Howley, by

15 Donald H. Switzer, Esq.

16
17 * * *

18
19
20 LINDA DiPASQUALE,

21 of lawful age, a Witness herein,

22 being first duly sworn and/or affirmed,

23 as hereinafter certified, was examined

24 and testified as follows:

25

1 MS. TOMKO: This is the deposition
2 of Linda DiPasquale.

3 Is that how you pronounce your name?

4 THE WITNESS: Silent E.

5 MS. TOMKO: Okay. DiPasquale?

6 THE WITNESS: Urn-hum.

7 MS. TOMKO: In Case No. 207608.

8 Is there any objections to notice, service of
9 notice or qualifications of the Court Reporter?

10 NR. SWITZER: No. We ought to note
11 that the doctor's counsel did receive notice.

12 MS. TOWKO: And chose, apparently,
13 not to attend.

14 WR. SWITZER: He's not here, so I
15 assume he's not.

16 CROSS-EXAMINATION OF LINDA DiPASQUALE

17 BY MS. TOHKO:

18 Q Would you prefer me to call you Linda or --

19 A That's fine. Please do.

20 Q -- or Ms. DiPasquale?

21 A No, Linda.

22 Q Okay. Linda, we served a notice of
23 deposition; and you were asked to bring with you
24 your complete file in regard to this matter.

25 Did you do that?

1 **A Yes**

2 **Q Could I see that, please, before we start?**

3 **A Well, it's all these. I did leave in my car a**
4 **lot of depositions that I could not carry.**

5 **MR. SWITZER: She didn't bring the**
6 **transcripts.**

7 **Q Okay.**

8 **A And you want to see all of this, right?**

9 **MR. SWITZER: We've made a copy of**
10 **her notes.**

11 **A That's what this is.**

12 **Q All right. You're representing these are all**
13 **the notes which you have in your possession which**
14 **you took while reviewing this case or subsequently?**

15 **A Yes.**

16 **Q Okay.**

17 **A And, then, these are all the depositions.**
18 **This one, too.**

19 **Q Thank you.**

20 **MR. SWITZER: The rest are in the**
21 **car.**

22 **A And then there's some in the car.**

23 **Q So you have some transcripts in the car, as I**
24 **understand?**

25 **A They're depositions.**

1 Q Deposition transcripts?

2 A They are -- the ones that are here, I can't
3 remember which ones I brought, but the rest are in
4 the car.

5 MS. TOMKO: Why don't we mark this
6 as an exhibit. Can we do that?

7 (Handwritten notes and draft of report marked
8 Exhibit A for :

9 (report
10 which is the report that was given to us that
11

12 A Right.

13 (

14

15 A Yes.

16 And you've brought some of them with you today
17 and others are in your car --

18 A Yes.

19 Q -- is that right? But these are
20 all-inclusive, this list on Plaintiffs' Exhibit A
21 is all-inclusive of the depositions which you have
22 reviewed to date, the transcripts?

23 A Correct.

24 Q Okay.

25 A Plus, at the bottom, the policies and

1 procedures for Southwest.

2 Q It also includes the notes on review of the
3 policies. Does that mean your notes which you just
4 gave me, these?

5 A Yes.

6 Q And the addendum to summary of medical
7 records?

8 A Yes.

9 Q

10 A

11

12 Q Oh, okay.

13 A

14 Q

15 A Yes.

16 Q And then policies and procedures from
17 Southwest Hospital and No. 40. What is that?

18 A It was marked Plaintiffs' Exhibit No. 40.

19 Q Exhibit No. 40?

20 A Um-hum.

21 a This review of medical records and perinatal
22 nursing opinion, which is also included in
23 Plaintiffs' Exhibit A, is the report which I
24 ultimately received.

25 Do you know?

1 MR. SWITZER: No, that's her first
2 draft.

3 Q That's your first draft?

4 A Yea.

5 Q So there are some differences?

6 A Yes.

7 Q Are there any other drafts that you made other
8 than this one?

9 A No.

10 Q Was this draft prepared prior to your
11 reviewing any transcripts in the case, and just
12 from having reviewed these 8 items which are
13 contained in your report?

14 A It was developed just from this list.

15 Q Okay.

16 MR. SWITZER: Hold on, Janet. She
17 did have 2 transcripts, the Plaintiffs' transcripts
18 she had.

19 Q Okay. And so -- what I mean, this draft, in
20 fact, is, as well as the report that I got, were
21 both based on the 8 items which you have first
22 listed in the reports?

23 A Yes.

24 Q And it was subsequent to the time that this
25 draft was made: and the final report, which I

1 received, was made after you received the other
2 items which are listed on the first page of
3 Plaintiffs' Exhibit A; is that correct?

4 A Correct, um-hum.

5 Q Can I ask you a general question, so I don't
6 have to ask you specific questions? And, that is,
7 did any of these transcripts or other materials
8 which you read change the initial opinions which
9 are evidenced by either one of these reports, the
10 draft or the report that you --

11 A Did not change any of the information I have
12 in there.

13 Q Did it allow you to add to your opinions or
14 further support your opinions?

15 A Further support.

16 Q Did you develop new opinions as a result of
17 the further information which you obtained?

18 A No.

19 Q I have here a curriculum vitae which has your
20 name on it. Can you tell me whether or not that
21 is, in fact, your curriculum vitae and whether or
22 not it's accurate and current other than my notes
23 which appear here?

24 A Yes.

25 Q Yes to both questions?

1 **A** **Yes.**

2 **Q** **All right.**

3 **MR. SWITZER.** **What's the date of**
4 **that?** **Does it have your new position on it?**

5 **THE WITNESS:** It has -- yes, it
6 does, right here.

7 **MR. SWITZER:** Oh, okay.

8 **MS. TOMKO:** Can we mark this as **B?**

9 (CV marked as Plaintiffs' Exhibit
10 B for identification.)

11 **Q** When **you** said it has your new position on it,
12 which one were you referring to? This one here,
13 right here, University Hospitals of Cleveland,
14 McDonald's **Womens** Hospital, Perinatal Clinical
15 Nurse Specialist?

16 **A** **Yes.**

17 **Q** Is that the same title **as** Jo Ann Szwaczkowski,
18 or is that the same type of job?

19 **A** Jo **Ana** is in **a** different position than I'm in.
20 I think she used to be a Clinical Nurse Specialist,
21 probably still is **a** Clinical Nurse Specialist, but
22 is in a different position.

23 **Q** So, in other words, she used to hold this
24 position?

25 **A** Similar position. She **was** based in labor and

1 delivery area.

2 Q Do you know Jo Ann?

3 A I've met her just this year.

4 Q Do you know whether or not she has a good
5 reputation among the nurses?

6 A

7 Q What are your current duties, could you tell
8 me?

9 A

10

11

12

13 the transport of the mother from different
14 hospitals into McDonald.

15 I'm also a resource person for the Nursing
16 Staff on the high risk antepartal unit. I provide
17 staff education for the nurses. I have a clientele
18 of patients that I work with in collaboration with
19 Dr. Nancy Judge in her high risk practice. I am
20 supposed to do some research.

21 Q She smiles.

22 A Yes, that's essentially --

23 Q When you say you're a resource person, if a
24 nurse is attending a laboring mom and has some
25 questions or isn't getting an appropriate response

1 A They could.

2 Q And a nurse is supposed to be able to
3 recognize abnormal heart pa fetal
4 monitor?

5 A Yes.

6 Q And, by that, by abnormal fetal heart
7 patterns, do you take me to mean patterns such as
8 an increase in variability or decrease in
9 variability?

10

11 object to the phrase, "do you take me to mean."

12 Q

13 stumble through this.

14

15

16 variability?

17 A Yes.

18 Q Do you consider that abnormal heart patterns
19 on a fetal monitor can reflect as bradycardic
20 events or late decelerations?

21 A Yes.

22 Q Okay. And, with respect to recognizing
23 abnormal signs or symptoms and findings in a
24 laboring morn, would you consider an abnormal
25 finding to be slow progression of labor?

1 A Abnormal?

2 Q Abnormal.

3 A I wouldn't consider a slow progression of
4 labor to be abnormal.

5 Q You would consider that to be normal?

6 A Yes.

7 Q Any abnormal laboratory values are also
8 something that should be able to be recognized by a
9 nurse caring for a laboring mom?

10 A Yes.

11 Q Identification and recognition of significant
12 data, as I understand, is an important part of a
13 nurse's duties and responsibilities, is that your
14 opinion?

15 A Yes.

16 Q And, also, is it your opinion within the
17 duties and responsibilities and obligations of a
18 nurse to effectively communicate those recognized
19 abnormal signs, symptoms and findings to a
20 physician attending the laboring mom?

21 Do you agree with that?

22 A Yes, um-hum.

23 Q That was a yes?

24 A Yes.

25 Q Do you agree or is it your opinion that such

1 significant information including abnormal findings
2 should also be communicated to other nurses who
3 will assume the care of the patient, the laboring
4 mom, from shift to shift?

5 A Yes.

6 Q W

7 I

8 Q Continuity of care is very important, isn't
9 that right?

10 A Yes.

11 {

12 would you agree that all significant abnormal
13 findings must be communicated?

14 A Yes.

15 { Is timing important on that issue?

16 A Yes.

17 Q The nurse has this obligation: and the reason
18 for the need to communicate all significant
19 abnormal findings is so that the physician can have
20 a complete and full basis on which to make an
21 informed decision with respect to the physician or
22 patient care.

23 Would you agree with that?

24 A Yes.

25 Q Would you agree that the nurse in the

1 physician's absence is the eyes and the ears of the
2 doctor?

3 MR. SWITZER: Objection. And I
4 can't envision how that can happen.

5 MS. TOMKO: I had 9 years on the
6 other side.

7 MR. SWITZER: Eyes and ears, it must
8 be a new type of transplant procedure.

9 Q Let me say it this way.

10 MR. SWITZER: No, go ahead, Janet.

11 Q If the nurse doesn't see or hear it in the
12 absence of the physician, it doesn't get seen or
13 heard, is that right?

14 A Host probably, yes.

15 Q Do you agree that if a nurse is not adequately
16 communicating all significantly abnormal findings
17 and information to a physician, she's preventing
18 the physician from making fully informed decisions
19 with respect to what is needed for the patient?

20 HR. SWSTZER: Objection.

21 A Would you read that again?

22 MS. TOMKO: Well, I kind of changed
23 it from my notes.

24 MR. SWITZER: Why don't you go ahead
25 and read the question back.

1 sure that that communication exists, is that
2 correct?

3 A Yes.

4 Q And so, if you, as a nurse, understand that
5 the physician isn't reading the nurse's notes, you
6 would still have an obligation to verbally
7 communicate to them?

8 A Yes.

9 Q Does constant attendance -- I notice you used
10 that phrase in your report -- equal good nursing
11 care?

12 A No.

13 Q You need someone there who is able to assess,
14 recognize and interpret information available to
15 them, wouldn't you?

16 A Yes.

17 Q Do you agree and is it your opinion that a
18 nurse should be trained to determine what is
19 significant information to which a doctor must be
20 made privy?

21 A Should be trained?

22 Q Trained like a pit bull.

23 A Right, educated.

24 Q Let me rephrase it, okay? Should a nurse be
25 educated, then, and be able to determine what is

1 significant information to which a doctor must be
2 made privy?

3 A Yes.

4 Q And, when charged with the responsibility to
5 monitor a laboring mom or unborn baby, a nurse is
6 trained, wouldn't you agree, to determine abnormal
7 and significant findings which either need nursing
8 intervention or need immediate physician
9 communication or potential physician intervention?

10 Would you agree with that?

11 A Yes.

12 Q A nurse must also determine which abnormal
13 findings are of such importance that they should be
14 communicated immediately to the physician, and
15 which abnormal findings do not create an urgent
16 need to call a physician.

17 Would you agree with that?

18 A Yes.

19 Q If you don't agree with these, tell me. I
20 made them up at 4:00 o'clock in the morning, so --
21 is it true that in order for a nurse to know what
22 is significantly abnormal, a nurse has to know what
23 signs and symptoms and findings in a mom, in a
24 laboring mom, are potential evidence of harm to the
25 patient, either mom or baby?

1 A Yes.

2 Q Not only does a nurse have to determine what
3 is abnormal and what is normal in a laboring mom
4 and an unborn baby, but the nurse should have some
5 understanding as to what is or could be causing an
6 abnormal finding in such a situation to determine
7 how serious and immediate the response of the nurse
8 has to be.

9 Would you agree with that?

10 A Yes.

11 Q And whether or not immediate physician
12 intervention is necessary?

13 A Right.

14 Q Is it your opinion that the nurse who is
15 unable to recognize significant abnormal findings
16 in a laboring mom is not practicing within the
17 standard of care?

18 A Correct.

19 Q Is it your opinion that the nurse who is able
20 to and does recognize abnormal findings in a
21 laboring mom and communicates those findings to the
22 physician is, or, with respect to that nursing
23 duty, practicing within the appropriate standard of
24 nursing care?

25 A Yes.

1 Q Okay. Is it your opinion that the nurse who
2 is unable to tell just what is abnormal versus
3 normal is also not fulfilling her nursing
4 obligations; and, hence, deviating from an
5 acceptable standard of care?

6 A Yes.

7 Q Would you agree that the reason for that as
8 being unable to determine just what is or is not
9 significantly abnormal prevents her from proper and
10 complete communication with the physician?

11 If she can't, if she doesn't know what she's
12 seeing, then she can't communicate it, is that
13 correct?

14 A True.

15 Q And so, if she doesn't know what's normal and
16 what's abnormal, then she surely can't communicate
17 abnormal findings to the physician, is that
18 correct?

19 A Yes.

20 Q How many times did you review this chart and
21 the fetal monitoring strips in preparation for your
22 deposition today or in preparation for rendering
23 any reports?

24 A Oh, let's see.

25 Q Ballpark.

1 A 5, 10; 5 times.

2 Q Okay. As a nurse serving as an expert in the
3 area of appropriate nursing care for the hospital,
4 and having reached conclusions that Dr. Butt was,
5 indeed, kept informed -- which is one of your
6 conclusions, isn't that correct?

7 A Yes.

8 C -- you must have determined, first, as I
9 understand, what information was necessary to
10 communicate to the doctor in order to meet an
11 appropriate standard of nursing care?

12 A Yes.

13 Q Did you do that?

14 A Yes.

15 Q And, then, you must have determined that all
16 of that information was, indeed, recognized and
17 identified by the nurses who took care of the mom
18 and baby on both shifts; is that correct?

19 A Yes.

20 Q And, then, you must have determined that the
21 information which was recognized and identified
22 was, indeed, communicated to the physician so that
23 the physician was able to make an informed
24 decision?

25 A Yes.

1 Q And is that what, in fact, you did in this
2 case? You, first of all, assessed that the
3 information that was necessary -- strike that.

4 You first determined what information was
5 necessary for the nurses to identify in order for
6 them to meet an appropriate standard of nursing
7 care?

8 A Yes.

9 Q And then you determined that that information
10 was, indeed, recognized and identified by the
11 nurses who were caring for this mother on the
12 shift, correct?

13 A Yes.

14 Q And then you determined that that information
15 that was necessary was communicated to the
16 physician?

17 A Yes.

18 Q And not only was communicated to the
19 physician, but was communicated in a timely manner?

20 A Yes.

21 Q In your mind, are there any -- it has to be in
22 your mind, there's no other place for it to be --
23 are there any circumstances when a nurse is
24 watching a laboring mother and feels that the baby
25 is in distress that would justify the nurse going

1 over the head of the physician and seeking help
2 from her supervisor?

3 A Yes.

4 Q And what typical situation would that be?

5 A Where it was obvious that the fetus was in
6 danger or that the mother was in danger.

7 Q What is the significance, if any, to you, as a
8 nurse, of clear amniotic fluid at 1:40 a.m.?

9 A In this particular case?

10 Q In this particular case.

11 A The significance of clear amniotic fluid?

12 Q Reassuring?

13 A Yes.

14 Q Any other significance?

15 A No.

16 Q Did this labor progress, did this labor
17 progress on the Friedman curve for a second child,
18 do you know?

19 A I didn't plot it out. It was probably a
20 little bit slow in the active phase.

21 Q Does that have any significance for you?

22 A No.

23 Q What are the potential causes of slow
24 progression of labor?

25 A Ineffective uterine contractions, large baby.

1 Q Anything else?

2 A Medication.

3 Q Anything else?

4 A Not that I can think of.

5 Q How about chorioamnionitis?

6 A And slow progression of labor?

7 Q Yes.

8 A I don't know if there's a relationship.

9 Q Is there a way to determine whether or not
10 there's ineffective uterine contractions, or is
11 that circular logic?

12 A In this particular case, I don't believe she
13 was monitored internally with a pressure monitor to
14 gauge the intensity.

15 Q You are correct.

16 A They were certainly frequent enough. I don't
17 know how strong they were, so --

18 Q Is there anything to determine whether or not
19 this was a large baby? I mean, can you palpate and
20 determine that the baby is apparently or seemingly
21 not too big to be born by vaginal delivery?

22 A You could. And, speaking of large, you're
23 talking also about bigness of the head or the
24 presenting part.

25 Q Shoulders?

1 A Yeah, shoulders.

2 Q Okay. Is there any indication that this
3 delivery could not have been perfected by vaginal
4 delivery based on any size of the baby?

5 A I didn't see anything in the record that spoke
6 to size of the baby. It really wasn't spoken to at
7 all.

8 Q Understanding that you did not have the
9 transcript of the nurses, or the transcripts of the
10 nurses prior to rendering your reports --

11 A Um-hum.

12 Q -- and that your opinions have not changed, I
13 want you to assume that there are no entries in the
34 progress notes of Dr. Butt from 1:55 until 8:45.

15 I want you to assume further that Nurse
16 Sarnicki recognized and testified that, "There were
17 repeated instances of nonreassuring fetal status
18 that had been reported to him," Dr. Butt, and is
19 not reflected in his progress notes.

20 Based on those assumptions in reaching your
21 conclusion that the nurse met an appropriate
22 standard of care, did you choose to believe the
23 nursing communication only occurred when it is
24 documented in the medical records, and the extent
25 of communication which occurred is only that which

1 Q And, based on your training and experience
2 going through the record, there were pieces of
3 information as the labor progressed, which, in
4 order for a nurse to meet an appropriate standard
5 of care, needed communicated to the physician; is
6 that correct?

7 A Yes.

8 Q And, as you sit here today, you are not sure
9 exactly what was communicated to the physician each
10 time that the nurse says, "Patient care discussed
11 with physician," or something of that sort, but you
12 assume that the things that she's writing about in
13 her notes or the conclusions that should have been
14 reached at that point were, in fact, communicated
15 to the physician?

16 MR. SWITZER: Wait a minute. Let me
17 just make an objection here. You're now
18 extending -- your earlier questions had to do as of
19 the time she prepared her report.

20 MS. TONKO: And 3 said as you sit
21 here today.

22 MR. SWITZER: So now we have
23 deposition testimony, so she's talking about August
24 21st, I guess.

25 MS. TONKO: Can you read back my

1 question?

2 (Question read.)

3 Q Did you understand that or is that too long?

4 A It's long.

5 Q Did you understand it?

6 A Yes.

7 Q Can you answer it or should I rephrase it?

8 A Well, rephrase it.

9 Q Thank you. Shoot, the ball's in my court.

10 As a nursing expert in this case, you
11 identified the times that communication was made
12 with the physician as the labor progressed, did you
13 not?

14 A Yes.

15 Q And, even though a note may not have said
16 exactly what was discussed with the patient's
17 physician, you assumed during each of those
18 discussions that all necessary and significant
19 information was communicated to the physician; is
20 that correct?

21 A Yes.

22 Q And your assumption is based on what
23 significant information was there in the chart to
24 be seen; is that correct?

25 A Yes.

1 Q And, when the nurse doesn't specify in the
2 chart that she recognized a condition or finding of
3 significance, and when you recognized in the chart
4 if there was ever a difference, did you take that
5 to mean the nurse would not have communicated that
6 specific finding? In other words -- strike that.

7 Did you have to see the nurse record a
8 specific finding in the chart for you to assume
9 that when she talked with the doctor, she
10 ultimately told him about it?

11 A The nurse could tell the doctor more than she
12 wrote.

13 Q Okay. Do you assume that she told the doctor
14 everything she wrote?

15 A Yes.

16 Q If, in fact, there were late decelerations,
17 which are not noted in the chart or identified, did
18 you assume anything with respect to whether or not
19 those were communicated to the physician?

20 A Did I assume they were communicated to the
21 physician? No, if it was not documented.

22 Q What are the causes of variable decelerations,
23 can you tell me?

24 A Cord compression.

25 Q Anything else?

- 1 A No.
- 2 Q Cord compression could also include
3 vasocontraction, potentially; and that's a form of
4 cord compression, isn't it?
- 5 A Vasoconstriction, yes, in the cord.
- 6 Q Well, all the experts have been using
7 vasocontraction, but -- vasoconstriction?
- 8 A Um-hum, yes.
- 9 Q Okay. Should a nurse be expected to know the
10 potential cause of variable decelerations to
11 discern whether or not it's important enough to
12 tell the doctor about? Strike that.
- 13 Should a doctor be notified of persistent
14 patterns of variable decelerations?
- 15 A Yes.
- 16 Q And what's the reason for that?
- 17 A Persistent patterns of variable decelerations
18 cause constriction of blood flow to the fetus.
- 19 Q Could limit the oxygen that the baby's
20 getting?
- 21 A Sure, urn-hum.
- 22 Q What do you call persistent?
- 23 A Hours.
- 24 Q Hours of variable decels?
- 25 A Yes.

1 Q How many would you need to see in an hour in
2 order to call it a persistent pattern of variable
3 decels?

4 A That's very individualized. It would have to
5 be in connection with the number of contractions
6 that she was having and other parameters along with
7 the variable decelerations.

8 Q Can you tell me what the standard of care is
9 with respect to informing a doctor of variable
10 decelerations, late decelerations or bradycardic
11 events?

12 We had testimony from one of the nurses that
13 all late decelerations should be communicated to
14 the physician. Do you agree with that?

15 A No.

16 Q What is your standard with respect to
17 communication to the physician on each of those
18 subjects, late decelerations, variable
19 decelerations and bradycardic events, if you want
20 to consider that a different --

21 A Could I take each one of those?

22 Q Sure, that's what I want you to do.

23 a Okay. Variable decelerations, if they were a
24 typical variable deceleration with poor variability
25 in between decelerations and lasting for more than

1 30 minutes and not responding to any interventions,
2 I would do -- I would contact the physician.

3 Q Okay.

4 A Late decelerations that were not responding to
5 interventions that I did would be reported.

6 Q By not responding to interventions, if you, in
7 fact, correct a late deceleration and then have
8 that pattern recurring, would you contact the
9 physician even though you were getting a resolution
10 at each late deceleration?

11 Do you understand what I mean?

12 A Probably.

13 Q Probably. You do understand what I mean, you
14 would probably?

15 A Yes, I would probably contact the physician.

16 Q Okay. Can you look in the chart -- do you
17 have the chart there? Is that it?

18 MR. SWITZER: Yes.

19 A It's in that stack of stuff, too.

20 Q Oh, I have it, too?

21 A I didn't have a band or anything around it.

22 Q Why don't we refer to pages which are marked.
23 That will be a lot easier, and we'll use that.

24 A Do you want me to take those?

25 Q Would you take that back? I may need to copy

1 those down and check them to make sure I have every
2 one, but otherwise --

3 MR. SWITZER: What page are you
4 looking at?

5 MS. TOMKO: 58.

6 MR. SWITZER: Okay.

7 Q As I understand, it says, "Dr. Butt called,
8 informed of patient's status," is that correct?

9 A Yes.

10 Q
11 what information should be communicated during that

12
13 A

14
15 Q If the nurse does not communicate all of that
16 information which you said meets an acceptable
17 standard of care, is she deviating from an
18 acceptable standard?

19 A She's not communicating all that?

20 Q I asked you what information is necessary to
21 meet an acceptable standard of care.

22 A Um-hum.

23 Q And so you would agree that she would be
24 deviating from an acceptable standard if, in fact,
25 that information which you just considered

1 significant was not communicated to the physician;
2 is that correct?

3 A Right.

4 Q Do you come to the conclusion that Nurse
5 Sarnicki reported all of those items?

6 A Sarnicki, I don't think she was the one who
7 had written this page.

8 Q Oh, I'm sorry. The nurse who wrote the note,
9 did you come to the conclusion that that was, in
10 fact, probably communicated?

11 A Yes.

12 Q And the basis of that opinion?

13 A What's here in the record.

14 Q What it says is, "Informed of patient's
15 status," you would assume --

16 A Well, all that's in front of that, I would
17 assume she would tell the physician.

18 Q Would you consider the long-term variability
19 on admission or once the monitor's placed to be
20 moderate?

21 A I'd have to look at it again. Should I look
22 at mine?

23 Q Please.

24 A Okay. They're in pieces, so I have to get the
25 right time.

1 MR. SWITZER: Why don't you just
2 look through here. Here's where it starts.

3 A To be moderate? No, it's average long-term
4 variability.

5 Q What's your definitions of average and
6 moderate long-term variability?

7 A Average is 6 to 10, moderate is 11 through 15,
8 I think, and then over that is marked.

9 Q Does average variability imply anything other
10 than a healthy, normal baby? Is there any cause
11 for concern?

12 A Is there any cause? No, no cause for concern.

13 Q Did you read the transcript of Dr. Post?

14 A Yes, the first part of his. I understand it
15 was 2 days.

16 MR. SHITZER: I just got the second
17 half.

18 Q Okay. As I understand, Dr. Butt comes in and
19 does an amniotomy. And that is at 1:40. Is that
20 correct? Is that your understanding?

21 A I'd have to look at the time.

22 Q Page 80.

23 MR. SWITZER: Oh, you're looking at
24 the strip, okay.

25 A "Amniotomy per Dr. Butt."

1 Q All right?

2 A "Clear fluid."

3 Q All right.

4 A 1:40.

5 Q The next time, as I understand it, Dr. Butt
6 comes in at 2:17, where it says, "Dr. Butt here."
7 And that's on Page 59, is that correct?

8 A Um-hum, pes.

9 Q To meet an appropriate standard of care, and
10 with everything that's gone on during that time
11 period, what should the nurse communicate to the
12 physician?

13 A From the time of the amniotomy until 2:17?

14 Q Well, if you feel that the nurse should
15 recommunicate anything that went before the last
16 time she saw the doctor, you can tell me that, too,
17 but I'm asking you in total, what should the nurse
18 communicate to Dr. Butt when she saw him at 2:17?

19 A If there's any change in the patient or the
20 fetal status.

21 Q Well, that's what I'm asking you. In this
22 chart, specific to this case, can you tell me at
23 2:17 what specific information a nurse should have
24 communicated to Dr. Butt when she sees him at 2:17
25 in order to meet an appropriate standard of nursing

1 care?

2 A Well, let's see. She would say that she noted
3 some decelerations and she attempted to place an
4 internal fetal monitor on the patient.

5 Q Would that be it?

6 A That the patient continues to leak large
7 amounts of amniotic fluid. What I'm doing is
8 reading off the strip.

9 Q Okay. But you are answering my question, is
10 that right?

11 A Yes, urn-hum. That she placed oxygen on the
12 patient. That she took the oxygen off about, let's
13 see -- 15 minutes she had had the oxygen on, she
14 took it off.

15 Maybe she would tell what the vital signs were
16 if she had taken them. That's on another piece of
17 paper here.

18 Q If she didn't communicate that information to
19 the physician, would she be deviating from the
20 acceptable standard of care? And that's just the
21 reverse of the question I asked you.

22 A Would she be deviating from the standard of
23 care, yes.

24 Q Okay. Now, why is an internal fetal monitor
25 needed at this point in time, can you tell me?

1 A Well, evidently, Miss Sarnicki thought that
2 she probably needed it because of, let's see,
3 because the amniotomy was done. So there was
4 access, probably, to get a better strip.

5 Q Okay. Why is there -- why is it significant
6 that there's large amounts of amniotic fluid?

7 A Probably she wrote that because she was unable
8 to get the IFM on, and that could be a reason she
9 couldn't attach it to the fetal scalp if there was
10 a lot of fluid.

11 a Rather than guessing her thought process, can
12 you tell me what reasons there may be for
13 documenting large amounts of amniotic fluid or what
14 the significance of that may mean?

15 A No, I don't know what the significance would
16 be.

17 a As I understand, the next time Dr. Butt's
18 conferred with, and I may be wrong, is 4:21, where
19 it says, "Conferred with Dr. Butt re patient's
20 status. Orders received." And that's on Page 60,
21 as I understand.

22 Tell me, to meet a minimum acceptable level of
23 nursing care, and based on everything you see in
24 the chart that's gone on before 4:21, what needs to
25 be communicated to the doctor at this point?

1 A She would communicate what the vaginal exams
2 indicated; that the patient was 3 centimeters, that
3 the baseline was -- the baseline of the fetus was
4 right around 150 to 160 with some decelerations
5 that wore probably head compressions. If I
6 remember, that's what she called those.

7 What the vital signs were, that she gave the
8 patient Demerol and Vistaril, and she probably
9 should have indicated to the physieian how the
10 patient reacted to the medication. And it looks
11 like that she didn't react well, so they had to
12 give her some more at 4:17.

13 Q If she didn't communicate all those things
14 which you stated meets an acceptable standard or
15 level of care, was she then deviating from an
16 acceptable standard of care?

17 A Yes.

18 Q Should she have concerned herself and told the
19 doctor regarding the slow progression of labor by
20 3:30 in the morning?

21 A By 3:30, the slow progression of labor --
22 let's see, that the patient was just dilated to 3
23 centimeters?

24 Q Yes.

25 A I mean, she probably told him that the patient

1 was 3 centimeters. I don't know that she told him
2 it was slow.

3 Q So is it your testimony, then, that she has to
4 simply tell him that the patient is 3 centimeters
5 dilated, or does a nurse have an obligation to look
6 at the whole picture and remind the doctor that the
7 patient has only dilated so much, or, to her, it's
8 slow, or some other communication other than just
9 giving him a number?

10 A I think it's appropriate for the nurses to
11 give him the number, the feel of the cervix or
12 whatever.

13 Q Okay.

14 A Especially, if he's not doing it himself.

15 Q Did you agree, as you reviewed this chart,
16 with Nurse Sarnicki that at the change of shift the
17 baby was showing signs of nonreassuring status?

18 A There were some changes in the fetal status
19 that I noted, also. I don't know that I would call
20 them nonreassuring.

21 There were certainly changes from what had
22 gone on earlier in the night or earlier in the
23 morning; baseline had changed, there were some late
24 decelerations, maternal temperature was obvious.

25 Q Are any of those reassuring to you?

1 A Well, if you look at the total picture, I
2 wouldn't call them nonreassuring, because there was
3 still some variability in the fetus, and I looked
4 at that.

5 Q So, with all of those things, you would have
6 had to see a loss of variability in order to call
7 it a nonreassuring status?

8 A Sure.

9 Q Do you agree that a nonreassuring fetal status
10 can be assessed by a scalp pH being obtained by a
11 physician?

12 A That's one way of evaluating a fetus, yes.

13 Q Do you agree that nurses have a professional
14 responsibility to protect their patients from harm?

15 A Yes.

16 Q Is it your opinion that if a nurse is not
17 reassured by a physician's assessment or care plan
18 that nurse has the right and obligation to question
19 a physician's order?

20 A Yes.

21 Q What if there's a lack of an order which may
22 be harmful to the mother or the fetus, would you
23 question the lack of that order?

24 A Yes.

25 Q Did you take into consideration when deciding

1 whether or not Nurse Sarnicki met an acceptable
2 level of nursing care, her testimony that she
3 frequently went out to Dr. Butt and told him what
4 her findings were every time that she noted them in
5 the progress records and probably sometimes that
6 are not noted?

7 Did you take that into consideration when you
8 determined that she met an acceptable standard of
9 care?

10 A Yes.

11 Q If a nurse is that concerned, as evidenced by
12 her testimony, with respect to fetal status, would
13 it be appropriate to communicate that concern to
14 the physician?

15 A Yes.

16 Q If a nurse believes that her patient is not
17 receiving medical care which a patient should be
18 receiving, what *is* the nurse's responsibility?

19 A To contact her supervisor.

20 Q I want you to assume for the purposes of my
21 next question that Nurse Sarnicki testified that
22 she reached the conclusion that, "As a consequence
23 of these nonreassuring signs, additional
24 information should be obtained."

25 I want you to assume further that she

1 testified, "You would get further information about
2 fetal status that you could not obtain merely by
3 looking at the strip, which would be a scalp pH."

4 Do you agree with Nurse Sarnicki, if that is,
5 in fact, her testimony, that such information was
6 necessary the morning of 6-29?

7 A Yes.

8 Q So you would agree that the medical care which
9 Nurse Sarnicki recognized as being necessary, that
10 is, obtaining a scalp pH to further assess the
11 baby's needs, was an appropriate concern of Nurse
12 Sarnicki?

13 A Yes.

14 MR. SWITZER: Objection.

15 Q Can you tell me what the effect of 17 vaginal
16 exams has on increasing the possibility of
17 infection?

18 A I don't know.

19 Q Do vaginal exams increase the possibility of
20 infection?

21 A I've heard conflicting reports from physicians
22 about that. I would think that it probably would,
23 but I don't know if there's anything in the
24 literature that supports that.

25 Q As you reviewed this chart a number of times,

1 Did you share the same concern: that Nurse Sarnicki
2 testified to with respect to or for the welfare of
3 this baby by 6:00 a.m.?

4 MR. SWITZER: Objection. I think
5 you're mischaracterizing, but You can answer that
6 question.

7 A Was I concerned, is that what you're asking?
8 Yes.

9 Q As you reviewed the chart, and now that I've
10 understood that you've reviewed Nurse Sarnicki's
11 transcripts, did you come to the same conclusions
12 Nurse Sarnicki did when she testified, "I was
13 concerned when I saw the rise in fetal heart tones,
14 the baseline rise in fetal heart tones, that there
15 was a possibility of incipient chorioamnionitis"?

16 A Yes.

17 Q Did you come to that concern?

18 A Yes.

19 Q Did you, when reviewing the chart, recognize
20 this possibility as early as 6:00 o'clock?

21 A That there was a possible infection?

22 Q Yes.

23 A Yes.

24 Q What is chorioamnionitis?

25 A An infection of the chorion and the amnion.

1 Q Is it within appropriate standards of nursing
2 care to be able to recognize these signs that she
3 recognized as signs of possible chorioamnionitis?

4 A I think a nurse, a prudent nurse, would
5 recognize the signs of infection, whether it's
6 chorioamnionitis or whatever.

7 Q When you say prudent, you're saying a nurse
8 practicing within an acceptable standard of care?

9 A Yes; an elevated temperature and a rising
10 baseline, something's going on.

11 Q And a nurse has an obligation to know what
12 potentially dangerous conditions would be reflected
13 by a fetal heart rate pattern or a rise in maternal
14 temperature, is that correct?

15 WR. SWITZER: Objection.

16 A Would you ask that again?

17 (Question read.)

18 I I don't understand the question.

19 Q Let me see. Is chorioamnionitis a potentially
20 dangerous problem?

21 A Potentially dangerous, yes.

22 Q Do you know if, in fact, a diagnosis is made
23 of chorioamnionitis whether or not physicians would
24 instruct nurses to prepare for an immediate
25 C-section?

1 chorioamnionitis, can you tell me whether or not
2 there would be, as a nurse, any other
3 considerations in a differential diagnosis, a
4 differential diagnosis of a nurse?

5 MR. SWITZER: Objection, I don't
6 think there's any such thing under Ohio Law, but go
7 ahead and answer that.

8 A If the nurse is thinking of all the things
9 that could be wrong, the patient might be
10 dehydrated, and that's why the elevated
11 temperature.

12 Q Okay. Any other explanation for these
13 symptoms as they're documented by Nurse Sarnicki
14 and testified to by Nurse Sarnicki around the 6:00
15 o'clock period?

16 A Just that there's infection or that the woman
17 is dehydrated.

18 Q Is there any indication in this chart that
19 this woman is dehydrated?

20 A Oh, let me look. Let me see if she ate before
21 she came in. She had an IV going. Let me see.
22 They usually put it down here. Last oral intake
23 6-28, 6:00 p.m.; liquid 6-28, 9:30, she had pop at
24 9:30. She was working very hard with her
25 contractions. She had an emesis sometime in here,

1 I remember seeing that.

2 Yes, could be, she could be dehydrated.

3 Q What's the nursing intervention for
4 dehydration?

5 A Providing the intravenous fluids or allowing
6 the physician to know the signs and symptoms that
7 she's picking up; the elevated temperature, the
8 fact that the woman had an emesis, her urine
9 output.

10 Q As a nurse practicing within an acceptable
11 standard of care, would you assign chorioamnionitis
12 at 6:00 p.m. as having a high probability of being
13 the explanation for these signs and symptoms which
14 are being reported by Nurse Sarnicki?

15 HR. SWITZER: Objection.

16 A I would say infection. I wouldn't say
17 chorioamnionitis.

18 Q Okay. If there is an infection, would you, as
19 a nurse, expect that more, as Nurse Sarnicki did,
20 that more information was needed to assess fetal
21 status?

22 A Yes.

23 Q Would you consider, as a nurse, that a scalp
24 pH was necessary to assess oxygenation to the baby
25 at this time?

1 A That's one of the ways.

2 Q I want you to assume hypothetically that Nurse
3 Sarnicki by 4:20 went to the physician and said,
4 "I'm concerned here. She's pushing against the
5 cervix. I'm concerned that the cervix may be
6 edematous. And I'm wondering, you know, with the
7 strength of these contractions why she's not
8 progressing faster. And I'm basically concerned
9 because of her involuntary pushing that she may
10 retard the progress of the labor, because the
11 cervix is not well dilated."

12 I want you to assume that Nurse Sarnicki
13 testified that at 5:50, "When I get the first
14 evidence of an increased temperature, I'm saying
15 now we have an edematous cervix, still no progress,
16 I'm getting bradycardia here, and I have a rising
17 temperature. I'm putting all these elements
18 together before him and saying, this is what I'm
19 seeing in the course of this labor. And 3 or 4 of
20 those are not reassuring. What orders do you have
21 for me?"

22 Based on those assumptions that she will
23 testify that those communications were had with the
24 physician, and that she recognized what she said
25 she recognized, to meet an acceptable standard of

1 nursing care, was it necessary for Nurse Sarnicki
2 to communicate that which she said she communicated
3 to the physician at that time?

4 A Was it necessary?

5 Q Yes.

6 A Yes.

7 Q Okay. And, to meet an acceptable standard of
8 nursing care, was it necessary to give him each
9 piece of that information which she claimed she
10 gave him?

11 A Yes.

12 Q And, as a nurse, assuming what I just asked
13 you to assume, was it appropriate for Nurse
14 Sarnicki to make her own nursing assessments and
15 communicate those assessments?

16 A Yes.

17 Q And was it appropriate based on those
18 assumptions and good nursing care to reach
19 conclusions that additional information was needed
20 and communicate that concern to Dr. Butt?

21 A Yes.

22 Q Okay. Does Nurse Sarnicki have an obligation
23 to make sure she's adequately communicating her
24 concerns to Dr. Butt in this situation?

25 A What was the first part of the question?

1 Q Does Nurse Sarnicki have an obligation to make
2 sure she is adequately communicating her concerns
3 to Dr. Butt?

4 A Yes

5 Q Do you agree with Nurse Sarnicki that based on
6 those assumptions of what she told the doctor that
7 she had done all she could do without further
8 orders?

9 A Yes.

10 Q What is the obligation of a nurse when she
11 concludes, as Nurse Sarnicki did, that she needed
12 additional information; and that for the well-being
13 of the mom and baby more information is needed with
14 respect to fetal status: and that she has no orders
15 which allow her to obtain more information; and
16 because of her determination that there may be
17 infection in the mom, there is a possibility or
18 risk of harm to the baby if the situation is
19 permitted to continue without further information?

20 A What is her obligation?

21 Q What is her obligation?

22 MR. SWITZER: You're asking her to
23 assume that as being true?

24 Q I want you to assume that as being true.

25 A To make sure that Dr. Butt understands where

1 she's coming from, that's her obligation; and for
2 him to realize her concern; and then to discuss the
3 plan of care with him and see what he has to say.

4 Q And, if she communicates what she said she
5 communicated to the physician, including her
6 concerns and including her concern especially of
7 infection or incipient chorioamnionitis and the
8 need for further information, and communicates no
9 further than that, and gets no response from the
10 physician, what is her obligation at that point?

11 A Probably to inform her supervisor.

12 Q If we are to believe Nurse Sarnicki had those
13 concerns, and she said to Dr. Butt what she said
14 she said, and got no order which would allow her to
15 obtain additional information which she said she
16 needed, did she then have an obligation at that
17 point to go to her supervisor?

18 MR. SRITZER: I'll just object to
19 the characterization of the evidence, but go ahead.

20 A Yes.

21 Q Is there any indication in the chart that she
22 did go to her supervisor at any time during her
23 shift?

24 A In her chart, in this medical record, I
25 don't --

1 Q Strike that. Without going through the chart,
2 I don't want to do that right now.

3 Let me ask you this: When you said she had an
4 obligation to go to her supervisor, rather than
5 just going to her supervisor and saying, hello, how
6 are you today, what obligation does she have to
7 communicate?

8 A To communicate her concerns to her supervisor
9 or her charge nurse.

10 Q And, based on what you see in the record and
11 based on what she said happened in her deposition
12 transcript, can you tell me what information would
13 have been communicated to a supervisor at that
14 time?

15 NR. SWITZER: What time are you
16 talking about?

17 Q Well, we're talking about 6:00 o'clock when
18 she has --

19 A This is based on her deposition and all of the
20 information that we have?

21 Q That's right. Based on her deposition, based
22 on the chart, knowing what she was thinking now
23 that you've read her transcript, and knowing what
24 she was thinking at the time, and knowing that she
25 determined that more information was needed, and

1 knowing that she got no response, or assuming that
2 she got no response from Dr. Butt for more
3 information, and knowing, as you've just told me
4 she would have, under those circumstances, had an
5 obligation to go to her supervisor, what should she
6 have said to her supervisor?

7 MR. SWITZER: Let me just note an
8 objection. That is mischaracterizing her
9 testimony, but go ahead. You're assuming what the
10 attorney says is true.

11 A She would inform her supervisor of all of
12 those things that we just talked about.

13 Q Of all of her concerns?

14 A Yes.

15 Q Okay.

16 A And I think that she did. It seems like I
17 remember that she did that.

18 Q Do you know what time she did that?

19 A No, I can't remember without looking at the
20 records.

21 Q Wow, I want you to assume for this next
22 hypothetical that all of her concerns -- let me
23 strike that.

24 I want you to assume that nurse Sarnicki had
25 the concerns to which she testified in her

1 transcript.

2 I want you to assume that she communicated all
3 the information which she says she communicated to
4 Dr. Butt at approximately 6:00 o'clock, 6:20, and I
5 want you to further assume that Dr. Butt neither
6 ordered antibiotics, nor ordered a C-section, nor
7 did he order a fetal scalp.

8 If, in fact, Nurse Sarnicki had then gone to
9 her supervisor with the information which you say
10 she should have given her, what would be the
11 appropriate response of the supervisor?

12 MR. SWITZER: Objection. Go ahead.

13 A To review all of the circumstances. That
14 would have been the response of the supervisor;
15 discuss the plan of care with Eileen and Dr. Butt,
16 probably involve him.

17 Q Would the supervisor have had any further
18 obligation?

19 A That's hard to say. I mean, if she reviewed
20 the strips and came to similar conclusions as
21 Eileen, and then discussed with Dr. Butt, maybe he
22 reassured her, and there would be no further need
23 to do anything else.

24 Q If you were, in fact, the supervisor, and this
25 nurse came to you with her concerns to which you've

1 already testified, and if you reviewed this chart
2 which you've now reviewed at least 5 times, first
3 of all, you've told me you would have shared the
4 same concerns; is that right?

5 A Yes.

6 Q As the supervisor, would you then have taken
7 the additional step and gone to Dr. Butt to discuss
8 his care plan?

9 A Yes.

10 Q And, in this hypothetical situation, had you
11 discussed Dr. Butt's care plan, and still did not
12 receive an order which would allow you mors
13 information regarding this fetus, what would you
14 have done?

15 A Well, it depends on what Dr. Butt would tell
16 me.

17 a Can you think of anything in this chart that
18 he could tell you that would convince you that more
19 information was not necessary?

20 Can you think of any set of circumstances that
21 he could tell you which would convince you that
22 more information was not necessary?

23 MR. SWITZER: Are you talking about
24 6:00?

25 A Her temperature is up to 38, and fetal heart

1 is only 60.

2 Q I'd like --

3 A He might say to me hypothetically, Linda, the
4 variability looks okay to me. What do you want me
5 to do?

6 And I would say how about a scalp pH. And I
7 don't think he did those, because I read his
8 deposition. That was not something that he
9 routinely did.

10 Then I might say what about a vibroacoustic
11 stimulation. And I don't know if Southwest had
12 that.

13 And then I might say, well, how about just a
14 vaginal stimulation of the fetal scalp to see if
15 you get any accelerations. Or what is your idea
16 about starting antibiotics on a woman who has an
17 elevated temp. Those are things that I might say
18 to him.

19 Q You would suggest a treatment plan?

20 A Sure.

21 Q What if he still did nothing?

22 A Well, it would depend on our conversation.

23 Q Well, I mean, your conversation is suggesting
24 to him. I'm asking you what if, in fact, you get
25 no positive response?

1' that said I would be satisfied with him doing
2 nothing until I saw, correct me if I'm wrong,
3 nonreassuring signs or something else on the fetal
4 monitor, at what point in time would that have been
5 to where you would have not been satisfied then
6 with Dr. Butt doing nothing?

7 MR. SWITZER: Objection. He didn't
8 do nothing.

9 A I don't think there's anything in here that I
10 would see -- I mean, he later did some cultures.

11 Q If the baby is in an infected amniotic fluid,
12 and there's a risk of sepsis in the baby or
13 meningitis, and you, as a nurse, understand those
14 risks, would you be content with a doctor obtaining
15 another culture as opposed to direct physician
16 intervention?

17 MR. SWITZER: Objection.

18 Q I'm just asking. If you would, tell me.

19 MR. SWITZER: Objection.

20 A No, I wouldn't be satisfied.

21 Q I want you to assume that Nurse Sarnicki has
22 testified that around 5:06, 5:07, during an attempt
23 to place an internal monitor, there was an episode
24 of bradycardia. And one of Nurse Sarnicki's
25 responses was to yell down the hall to Bonnie

1 Mistak, "Bonnie, I'm going down, I have heart tones
2 going down."

3 And she testified that the purpose of that
4 communication was that if it had not corrected, we
5 would need to go for immediate crash section

6 My question, based on that hypothetical
7 testimony that I'm giving you, first of all, have
8 you ever personally been in that situation?

9 A Yes.

10 Q Would you agree that a nurse's duties during
11 such an event is to inform the physician
12 immediately, and the charge nurse, so that a
13 C-section could be performed immediately, if
14 necessary?

15 A Yes.

16 Q When the temperature increases at 5:50, did
17 you understand that the last temperature taken had
18 been almost 2 hours prior at 4:00 o'clock? Did you
19 understand that from the record?

20 A I didn't put any significance to it.

21 Q Is that what you understand the record to
22 reflect?

23 A I would have to go and look and see.

24 Q I want you to assume for the purposes of my
25 question --

1 A Assume?

2 Q No, I'm just making it easier. I want you to
3 assume for purposes of my question that the
4 temperature was taken at 4:00 o'clock, and that
5 temperature was noted to be 37.2.

6 A Um-hum.

7 Q And then the next temperature was taken at
8 5:50. Would you consider that appropriate nursing
9 care?

10 A Yes.

11 Q Do you have any opinion as to what the
12 temperature would have been had it been taken at
13 5:00 o'clock?

14 A No.

15 Q Is it your opinion that a nurse communicating
16 with the second shift coming on has a duty to make
17 sure that second shift understands the signs and
18 symptoms which were perceived the previous shift?

19 A Yes.

20 Q And the nurse who is giving report to the
21 other nurse coming on in the second shift has a
22 duty to make sure that nurse understands the
23 concerns of the previous shift with respect to
24 fetal status?

25 Would you agree with that?

1 A Yes.

2 Q And she should also communicate to that nurse
3 coming on, in this case, Ellen Jewell, Nurse
4 Sarnicki doing the communicating, the prior
5 communications that have been held between
6 physician and herself; is that correct?

7 A Yes.

8 Q Okay. Should she also communicate to --
9 should Nurse Sarnicki also have communicated to
10 Ellen Jewell the response of Dr. Butt in the
11 previous shift or the lack of response?

12 A Yes.

13 Q Should the second shift nurse, in this case,
14 Ellen Jewell, be able to depend on the adequacy of
15 the communication from the prior shift as to
16 whether or not the baby was in potential trouble?

17 MR. SWITZER: Objection.

18 A Yes.

19 Q Can you tell me based on the record and based
20 on the testimony of Nurse Sarnicki, what Nurse
21 Sarnicki should have communicated to Ellen Jewell
22 as Ellen Jewell came on her shift at 7:00 o'clock
23 on that morning to meet an appropriate level of
24 nursing care?

25 A Dilatation of the cervix, contraction

1 patterns, how much fluid had been given to the
2 patient, what the baseline fetal heart was, and any
3 interventions that she might have done for any
4 deceleration patterns.

5 Q When you say contraction patterns, how
6 specific should she have gotten? And, that is,
7 should she have said there were some late decels,
8 or should she have describsd not only what she saw,
9 but her concerns with respect to that information?

10 A Probably what she saw, that there were some
11 decelerations.

12 Q Should she have communicated the maternal
13 temperature information?

14 A Urn-hum, yes.

15 Q I don't know if you said that, I didn't write
16 it down.

17 A Yes, the vital signs.

18 Q Okay. Should she have, in the event that
19 Ellen Jewell did not look through the whole strip,
20 have communicated not only the baseline, but any
21 rises or falls in fetal baseline?

22 A Yes.

23 Q Should she have communicated to Ellen Jewell
24 her concern with respect to potential infection?

25 A Yes.

1 Q Is it your opinion that a nurse has an
2 obligation to be able to recognize a late
3 deceleration?

4 A Yes.

5 Q Could you tell me your definition of a late
6 deceleration?

7 A It's a deceleration from a baseline that
8 happens after the peak of the contraction and
9 returns to the baseline after the contraction has
10 ceased.

11 Q Do you believe that a nurse has an obligation
12 to communicate to the physician when there is a
13 late deceleration?

14 A Not every late deceleration, no.

15 Q In this particular situation, with the pattern
16 which is represented by the fetal monitor strips
17 for the first 7 to 8 hours -- or, excuse me, let me
18 start over,

19 In this particular case, and assuming the
20 course of the strips as they appear in your exhibit
21 and with the history, that Nurse Sarnicki should
22 have communicated to Nurse Jewell with respect to
23 what had been perceived and known the shift before,
24 based on that history, should Nurse Jewell
25 communicate any further late decelerations when

1 late decelerations as they appear on her shift to
2 the physician?

3 A No.

4 Q If the nurse communicates to a physician that
5 the patient was having variable decelerations with
6 good recovery, when, in fact, they were late
7 decelerations, would that be inappropriate?

8 A Would it be inappropriate that she was telling
9 the physician there were variable decelerations
10 with good recovery when, in fact, they were late?

11 Q Yes.

12 A Is that inappropriate that she misnamed them?
13 That's inappropriate. That she told the physician
14 there were decelerations, no, that's not
15 inappropriate.

16 Q Would it be a deviation from an acceptable
17 standard of care to inappropriately label a late
18 deceleration as a variable with good recovery, and
19 communicate that inappropriate communication to the
20 physician?

21 A My understanding is people have different
22 definitions. And I think that's what was happening
23 with this. Ellen Jewell's definition of a variable
24 and a late was different than some other people.

25 Q Did you reach an opinion when you reviewed

1 this chart as to whether or not Nurse Jewell was
2 competent and qualified to observe and interpret
3 fetal monitoring strips?

4 First of all, did you reach an opinion?

5 A If she was competent, I can't speak to her
6 competence. We probably disagreed on some of the
7 decelerations' nomenclature.

8 Q Did you reach an opinion based on -- first of
9 all, did you read her deposition transcript?

10 A Yes.

11 Q In general, did you reach any opinion as to
12 whether or not she was qualified to interpret fetal
13 monitoring strips?

14 A She'd had a course.

15 Q That's not what I'm asking you, and you know
16 it.

17 A I had some question about her knowledge base.

18 Q Can you tell me the basis of that opinion?

19 A Just that we disagreed on calling those
20 variable decelerations. And there were -- a number
21 of them were late decelerations.

22 Q Would you expect a nurse practicing within an
23 acceptable standard of care to be able to
24 distinguish those decelerations which you saw as
25 being late decelerations?

1 A From what I understand in reading the
2 testimonies, there were varying opinions.

3 Q I'm asking you your opinion. You're the
4 nursing expert. Would you expect a nurse, if you
5 were practicing in the same hospital with that
6 nurse and being her supervisor, would you expect
7 her to be able to distinguish those patterns which
8 you're referring to in the second shift as late
9 decelerations, in some instances where Nurse Jewell
10 distinguished them as variable decelerations?

11 MR. SWITZER: She didn't call them
12 patterns, first, but go ahead.

13 A Yes.

14 Q In order for a nurse to be able to communicate
15 significantly abnormal or significant and abnormal
16 information to a physician, she has to first be
17 able to interpret what is significant and what is
18 abnormal, do you agree?

19 A Yes.

20 Q I may have asked you this. You would agree
21 when a nurse cannot assess well-being of a baby in
22 utero from information being obtained, then she
23 needs to communicate to the OB that concern?

24 A Urn-hum, yes.

25 Q Do you want to take a break for 5 minutes?

1 A I'm okay.

2 MR. SWITZER: I can go to the
3 restroom.

4 (Short recess taken.)

5 A I just wanted to clear up something in one of
6 the previous questions. You were speaking about
7 Ellen Jewell and her knowledge base or her
8 competence. I don't want it to sound like I think
9 she's incompetent, because I don't.

10 Q Did you discuss this with Mr. Switzer at our
11 break?

12 A Pes.

13 Q All right. And can you tell me what that
14 conversation consisted of?

15 A Just that the way the questions or the answers
16 were phrased, it might have sounded like I was
17 saying that Ellen Jewell was incompetent.

18 Q Did Mr. Switzer bring that to your attention?

19 A Yes.

20 Q Okay.

21 A Can I continue?

22 Q Oh, you have more to say?

23 A Just that there are different definitions of
24 deceleration patterns. And, because she called
25 something different from the way that I would call

1 it, doesn't mean that she's incompetent. That's
2 all.

3 MS. TOMKO: I read your report.
4 And, in the last paragraph, you gave an opinion
5 with respect to causation.

6 And what my question is now, do you plan to or
7 are you going to give her any questions on direct
8 examination with respect to causality?

9 MR. SWITZER: That was my -- in
10 fact, you'll see in the draft, I asked her to take
11 out the questions on causation. And I would
12 have -- the first sentence would have been deleted,
13 too, but I just didn't catch that.

14 MS. TOMKO: Do you expect to ask any
15 questions with regards causation?

16 MR. SWITZER: What caused the
17 child's condition, neurological condition, brain
18 damage, I can't ask that question.

19 MS. TOMKO: But do you plan to ask
20 her questions on direct with respect to causation?

21 MR. SWITZER: Well, I can't.
22 Neither can your nurse -- neither 1 of the 2 nurses
23 can testify on causation.

24 This doesn't have to be on the record.

25 (Discussion had off the record.)

1 MS. TOMKO: Do you plan to ask this
2 witness any questions on direct with respect to
3 life expectancy?

4 A.R. SWITZER. I.O.

5 Q Do you have any opinions on life expectancy?

6 A No.

7 Q Did you, at any of the jobs which you've held,
8 including your current job, have any
9 responsibilities for developing policies with
10 respect to laboring moms and their babies?

11 A Yes.

12 Q And what job were you when you had those
13 responsibilities? What was your title, in other
14 words?

15 A The job before this one, I was Clinical Nurse
16 Specialist at Fairview General, and I was involved
17 in policy writing, as I am in this position, also.

18 Q I want you to assume hypothetically that there
19 is a policy that states, in the event the charge
20 nurse retains a reasonable concern regarding the
21 appropriateness of an order, she or he may delay
22 implementation until the order is evaluated by the
23 appropriate Medical/Surgical Officer. This option
24 is void in situations of life or limb consequence.

25 And, based on that assumption, as I understand

1 and they don't like the language.

2 Q And they have the right to strike it?

3 A Well, they have approval in some institutions
4 of policies that are developed for their
5 department, yes.

6 MS. TOMKO: The policy just reminded
7 me of something, and I'm looking for it. Because
8 I'm not Tom, it will take me a few minutes.

9 MR. SWITZER: Which one are you
10 looking for?

11 MS. TOMKO: I'm looking at, I
12 believe, when Nurse Sarnicki attempted to place the
13 fetal monitor and did, in fact, get it placed,
14 which would be 5 --

15 MR. SWITZER: You're talking
16 5-something, yes.

17 Q 5:44. It's my understanding that there were
18 late decels right before that time, which is one of
19 the reasons why Nurse Sarnicki again attempted and
20 succeeded this time in placing the fetal monitor.

21 Is that your understanding? I believe she
22 testified at 5:37 and 5:39 a.m., which is on Page
23 115.

24 WR. SWITZER: Why don't you just
25 give her the interpretation? Is that what you

1 want?

2 Q Is it your understanding -- I guess my
3 question is, is it your understanding that the IFM
4 was attempted to be placed, and was, in fact,
5 placed after these late decelerations which are
6 noted on Page 115 of your exhibit?

7 A I see 2 late decelerations on Page 115, and I
8 see that the IFM was placed at 5:44 on Page 116.

9 Q Is it your understanding from reading the
10 testimony of Nurse Sarnicki that that was one of
11 the considerations as to why she again attempted to
12 place the fetal monitor?

13 A To get a better reading on the monitor, yes.

14 Q Okay. Is it the obligation of a nurse,
15 pursuant to standard nursing practice and pursuant
16 to the policies of this particular hospital, which
17 you reviewed, to monitor the fetal heart rate
18 between repetitive attempt at catheter placement
19 and immediately following placement?

20 MR. SWITZER: Clarify what you mean
21 by catheter placement?

22 Q I'm sorry.

23 A Epidural catheter?

24 Q Yes, okay.

25 A Yes.

1 Q All right. Was an epidural catheter placed in
2 this patient?

3 A Yes.

4 Q What time, do you remember?

5 A You want to know what time that was?

6 Q Yes. Do you have it?

7 A Page 112 at, let's see, 5:07.

8 Q Is it your understanding from this chart that
9 the fetal heart rate was not again monitored when
10 the belt was off after the --

11 MR. SWITZER: Wait, that's not
12 right. 5:07 is not right.

13 Q 5:14.

14 MR. SWITZER: Because this is 5:10
15 right here.

16 Q Okay, 5:14.

17 A Yeah.

18 Q Is it your understanding, then, that the fetal
19 heart monitor was off for the epidural at 5:14?

20 A Yes.

21 Q And is it further your understanding that the
22 fetal heart rate was not again monitored by the
23 electronic fetal monitor until 5:32?

24 A Correct.

25 Q Did the nurse have an obligation during this

1 time period pursuant to policy and pursuant to
2 appropriate nursing care to monitor the patient and
3 the fetal heart rate during this period?

4 A Before and after placement of the catheter,
5 yes, she did.

6 Q Was it done in this instance?

7 A Yes.

8 Q Also, as I understand, pursuant to nursing
9 policy that -- strike that.

10 What's your definition of ominous?

11 A Oh, bad.

12 Q So, when you say, "I saw no ominous fetal
13 heart patterns in the fetus during the time 1:49 to
14 4:57," you're saying you saw no bad fetal heart
15 patterns, is that correct?

16 A Um-hum, yes.

17 Q Did you see then, when you limit that
18 statement from 1:49 to 4:57, did you see then after
19 4:57, bad fetal heart patterns?

20 a Occasionally, here and there.

21 Q Okay.

22 A I think I was responding to somebody's ominous
23 words in some report that there was some ominous
24 patterns during that time frame.

25 Q But you'd take ominous to be bad, and that's

1 how you use the word?

2 A Um-hum.

3 Q That's a yes.

4 As a nurse, after 9:40, and with the pattern
5 improving, is that any significance to you? Loss
6 it, to you, demonstrate fetal well-being?

7 A I just -- I saw improvement in the fetal
8 monitor strip. I wouldn't go as far as to say
9 there was fetal well-being. But deceleration
10 patterns had improved, variability was present.

11 Q Did you see anything in the monitoring strip
12 after 9:40, up unto the time that the fetal monitor
13 was removed, to show you that there was not fetal
14 well-being?

15 A No. Well -- no.

16 Q No?

17 A No.

18 Q Is the answer no?

19 A Yes, no.

20 MS. TOMKO: Okay. Let me see, did I
21 forget anything? That's it. I'm done.

22 Are you going to waive signature?

23 MR. SWITZER: No, she'll read it.

24 (Deposition concluded.)

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Linda DIPasquale

Date

C E R T I F I C A T E

The State of Ohio,)
County of Lorain.) SS:


I, Janine M. Park, Registered Professional
Reporter and a Notary Public within and for The
State of Ohio, duly commissioned and qualified, do
hereby certify that the within-named witness:

LINDA DIPASQUALE,

was by me duly sworn to testify the truth, the
whole truth, and nothing but the truth in the
cause aforesaid.

I do further certify that this deposition
is a true record of the testimony given by the
witness.

IN WITNESS WHEREOF, I have set my hand
and affixed my seal of office at Elyria, Ohio, this
25th day of August, 1992.



Janine M. Park, R.P.W.

Notary Public, State of Ohio

589 W. Broad Street

Elyria, Ohio 44035

My commission expires 3-22-94.

Amie Report dated February.

depositions of

Mr. Butt

Karen Cesary

Eslee Sarnicki

Ellen Jewell

Mr. Post 1 day


Jo Ann Szwachkowski

Notes on review of policies

Addendum to summary of med. records

Policies & Procedures from S.W. H

40



Draft

Review of Medical Record and Perinatal Nursing Opinion

I have reviewed the following information related to Joshua Paramore versus S. W. Butt, et al:

1. Medical records of Carolyn Paramore
(Southwest General Hospital 6/29/90 - 6/30/90)
2. Medical records of Joshua Paramore
(Southwest General Hospital (6/29/90)
3. Fetal monitor strips
(Southwest General Hospital (6/29/90)
4. Deposition of Carolyn Paramore (12/14/91)
5. Deposition of Thomas Paramore (12/14/91)
6. Report of Dr. Herbert Keyser
7. Report of Dr. Arnold Medaris
8. Report of JoAnn Szwaczkowski, MSN

It is my opinion that the nurses who cared for Mrs. Paramore and her infant used appropriate judgment and skills in their assessments and interventions throughout her labor and subsequent delivery.

It was obvious from the thorough documentation starting at 0045 on 6/29/90 that a nurse was in constant attendance. Mrs. Paramore stated in her deposition that a nurse was with her most of the time. In addition to the constant assistance of a nurse, Mrs. Paramore was continuously monitored throughout her labor. From the nurse's documentation, the fetal heart monitor and the uterine monitor strips, a wealth of information was available. All of this information assists one to understand the fetal response to labor.

After Dr. Butt performed an amniotomy at 0140, the fetus responded by exhibiting variable decelerations, early decelerations and an increase from average long term variability to moderate long term variability. The cushion of amniotic fluid for the fetus was now gone and he responded predictably to an increase in contractile pressures on the cord (variable decelerations) and on the head (early decelerations). The nurse responded appropriately in giving care to Mrs. Paramore and her fetus by changing her position, administering oxygen, increasing the intravenous rate and informing the physician. Mrs. Paramore was obviously uncomfortable. The nurse indicated in her notes that Mrs. Paramore was having strong uterine contractions and feeling the urge to push. The nurse responded appropriately by acquiring an order for medications from the physician as well as assisting Mrs. Paramore and her husband in techniques for relaxation.

I saw no ominous fetal heart patterns in this fetus during the time 0149-0457. The deceleration patterns from 0316-0457 are early decelerations caused by head compression frequently seen in active labor from 4-7 cm. dilatation and are typically benign. There was however at 0458 a significant deceleration -- most probably related to the position of the mother and three contractions within

four minutes. The nurse took appropriate action -- Mrs. Paramore's position was changed, oxygen was administered, and her intravenous rate was increased. The fetal heart rate responded. Dr. Butt was informed of the happenings.

During the time period from 0538-0700 there were significant changes in the fetal heart rate pattern: 1) Baseline rate changed from 150 to 170, 2) Evidence of occasional late decelerations, 3) Evidence of some variable decelerations, 4) A change in variability of the baseline. All of the above were noted by the nurse and appropriate interventions were administered. These interventions included position change, oxygen administration, application of an internal electrode and informing the physician. The fetus was reacting to this environment and all of the above changes can be explained by understanding the physiology. The maternal temperature was elevated, therefore the fetal heart rate increases -- a normal response. Late decelerations indicate utero placental insufficiency and can happen when Mrs. Paramore was in the supine position (vaginal exams, bed pan use, and insertion of the IFM). The change in variability can be related to a sleep cycle of the fetus, or the epidural anesthetic which had a narcotic added. The documentation by the nurse indicated all of the above, appropriate interventions were administered and the physician was kept informed.

The time from 0730 to 0940 on the monitor strip did show decelerations and a tachycardia. Appropriate nursing interventions were accomplished and in fact the nurse notified the nursery and respiratory. She obviously realized the fetus was stressed and did follow policy by alerting the appropriate personnel.

After 0940 a reassuring pattern was evident -- decelerations no longer appeared and variability was average. At 1055 variable decelerations became apparent. Variable decelerations are present in many labors and are not unusual. The added presence of short term and long term variability was indicative of fetal reserves and is considered to be the most important fetal heart characteristic in predicting fetal status.

Mrs. Paramore was taken to the delivery room at 1140. At 1226 the monitor was removed as ordered by the physician. Mrs. Paramore was completely dilated and pushing, she was near delivery. From the records the fetal heart rate was recorded at 1230-160; 1245-145; 1250-142; 1258-78-84; 1300-78. Delivery was at 1313. During that time pitocin was administered to augment delivery and fundal pressure was administered. Both of these procedures done to expeditiously deliver the fetus. Epidural anesthesia inhibits the response to push, plus Mrs. Paramore was exhausted having labored all night. It was certainly appropriate and necessary to quickly deliver this fetus. The infant was born with no heart rate, no spontaneous respirations, meconium stained and foul smelling. Immediate resuscitation resulted in Apgar scores of 0 at 1 minute, 0 at 5, 1 at 10 minutes, 3 in 15 minutes and 4 in 20 minutes. The infant was sent to a level III nursery for treatment.

The nurses who cared for Mrs. Paramore identified stressful times for the infant and acted and intervened appropriately. They obviously kept Dr. Butt informed and followed policy in alerting the resuscitation team.

It is my opinion based on the facts within this medical record, Joshua Paramore suffered a catastrophic reaction to the beta strept carried by his mother. Her elevation in temperature, elevated WBC, foul odor at delivery and positive cultures indicate an infectious process. It is also my opinion that based on the monitor strip and delivery notes, there was significant cord compression during the last 15 minutes of delivery that probably added to this adverse outcome.

Linda DiPasquale, RNC, MS
Perinatal Clinical Nurse Specialist

Linda DiPasquale
2/10/92.

7/15/92 meeting @ Sunrise 3:00 - 5:45

7/17/92 1400 - 1600

Get some definitions of lates - Murray. OK

p. 51 - look at tracing 06:40

p. 54 source for definition STV

0628 - panel 37118 look at it. ^{minimal to} absent
Review this intake area - for STV

p. 61 panel 02671

p. 64 0630 - 710 3 7128

Look at strip where she describes variable &
late components - No way -

p. 74 uses terms "appears", "somewhat"

WPAECOG standards - adequate p. 79-80

~~Review~~ p. 90 - look at all those -

7/20/92 1700 - 1740 -

p. 33 - definition of late #18 -

p. 34 difference in terms of when lates

end p. 35 #18, 9 - don't reference to!!

ACOG 1900-1930

- 1) induction / augmentation of labor
dosage adjusted - swapped 2-3 minutes
apart - but true no guidelines specific
to interval or increments

ACOG standards - no hospital who
worked in used that 0.5 - 1 mg.
30-1 hr. Lots of disagreement -
research and literature

- 2) Anti 87
Venous blood obtained usually -
should have highest pH value!

- 3) "Should be" evaluated - 5 min - Just a
guideline - I do that distinguish
about risk category. "What is high risk
People differ in their opinions

- 4) Persistent late decelerations and decreased
variability are signs to evaluate
the fetus further - most delivery
rooms who have been associated
with are not that specific - There
are other diagnostic tools to use
VAS, scalp pH - stimulation

1) Prison was given to me - I gave it to the -
 delivery immediate - this matter about
 not matter. #HP was already 1258 78-84

2) When he was 20, he was in prison
 it did not let go of the 20 years
 that took when they started -
 as the way information is given

3) They did separate information

4) Not present

5) matter between Republic attempts
 and following placement - here -

6) NAD's - documented matter and
 to about -

Admission

at 2000 past
 2045-2145
 3/15/91

Clearly dehydration patterns were
thought to be a problem -
from position changes, O₂ & IV fluids.

Need to ask nurses -
~~was there a time when you thought~~
↳ When Mr. Butt called and no new
orders received what could they
do.

Was it practice to call their supervisor
and why not?

Yes fetus is stressed -
Variability is okay -

What are P&P related to documenting
FH during second stage - ?
If physician says remove monitor
does nurse have to keep to
monitor fetus -
how often?

Notified several houses to have debate.

nurses kept the Butts injured

Should they have gone over his
leg -

they identified decubitus patterns
and did cog. neg. intervention

They knew infant stressed
mat temp - fetal. temperature
decub - variability remained
average -

Support what nurses did until
take off belts -

How often did they take FHR - ?

did it ever occur to call someone
else - or let supervisor know -

No!!!

What prompted (8:30) call to nursing
sup care -

Admitted
15th

called
at 4 PM
4:10 PM

8:30

nurses kept Mr. Butts informed

Should they have gone over his
head -

they identified dehydration patterns
and did esp. neg. interventions

admitted
15th

They knew infant stressed
not temp - fetal. temperature
stable - variability remained
average -

called
at 4 PM
4 PM

Support what nurses did until
take off belts -

How often did they take FHR - ?

did it ever occur to call someone
else - or did supervisor know -

No!!!

What prompted (830) call to nursing
sup car -

30

CURRICULUM VITA

OF

LINDA DIPASQUALE

Educational Preparation:

<u>Institution</u>	<u>Degree</u>	<u>Year</u>
Medical College of Georgia School of Nursing Augusta, Georgia	BSN	1966
Frances Payne Bolton School of Nursing Case Western Reserve University Cleveland, Ohio	MSN Major: Perinatal Nursing	1985

Professional Experience

University Hospitals of Cleveland
MacDonald Womens Hospital
Perinatal Clinical Nurse Specialist (High Risk Obstetric Unit
and Ob/Gyn Specialties, Inc.)

Institution:

Fairview General Hospital 18101 Lorain Avenue Cleveland, Ohio	Clinical Nurse Specialist Womens & Childrens Service	1988-1991
Akron University College of Nursing Akron, Ohio	Instructor in N 320 Diminished Health--Clinical Instructor-Obstetrical Nursing	1985-1988
Akron General Medical Ctr. Akron, Ohio	Staff Nurse/PRN New Life Center (L&D)	Summer, 1987
Cuyahoga Community College Metro Campus, Nursing Cleveland, Ohio	Part-time Instructor Lecturer & Clinical Inst. in Maternity Nursing	1984-1985
Akron City Hospital Idabelle Firestone School of Nursing Akron, Ohio	Instructor, Nursing of the Childbearing Family, Medical-Surgical Nursing, Senior-Clinical Instructor in Team Leading & Management	1981-1983
Fort Sanders Hospital School of Nursing Knoxville, Tennessee	Instructor, Maternity & Gynecologic Nursing	1977-1981

PLAINTIFFS
EXHIBIT

B
8-21-92

significant recent accomplishments: 1989 - 1991

- Developed electronic fetal monitoring class that provides 7.5 ONA contact hours.
- Developed neonatal resuscitation course that provides 16 ONA contact hours.
- Coordinated advanced fetal monitoring class for experienced labor and delivery nurses.
- certification as hospital based neonatal resuscitation instructor (American Heart and American Academy of Pediatrics)
- Coordinator for Perinatal Symposium (1989, 1990, 150 attendance)
- Provide neonatal resuscitation classes for nurses and physicians.
- Provide outreach education for several smaller hospitals in western Cuyahoga County.
- Coordinated a change in nursing documentation for 5 patient care units.
- Certified by NAACOG in Inpatient Obstetric Nursing.
- Developed competency exams for intrapartal nurses and high risk antepartal nursing staff.
- Coordinator and facilitator for support group (Families Experiencing Fetal Loss)
- Have attended numerous seminars and workshops related to professionalism, high risk obstetrics, legal issues and electronic fetal monitoring.
- Committee member of FGH policy and Procedure, Documentation and Quality Assurance Committees.
- Conducted, and planned numerous inservice programs related to high risk obstetrical care.
- Initiated prenatal diagnostic counseling for clients who experience negative outcomes.
- Assisted in planning two day perinatal medical update symposium (Coprovidership with March of Dimes)
- Coordinator of a service oriented research committee.