

Doc. 141

IN THE CIRCUIT COURT OF THE
11th JUDICIAL CIRCUIT IN
AND FOR DADE COUNTY FLORIDA
GENERAL JURISDICTION DIVISION

CASE NO. 89-14928 CA 15

ROBERTO PINO, as survivor and
personal representative of the
Estate of Albertina Berta Pino,

Plaintiff,

vs.

AMI/KENDALL REGIONAL MEDICAL CENTER,
et al.,

Defendants.

9100 South Dadeland Blvd.
Miami, Florida
Tuesday, March 12, 1991
6:20 p.m.

TELEPHONE DEPOSITION OF JOHN DOWNS, M.D.

Taken before TERI NAAR, Registered
Professional Reporter and Notary Public for the State
of Florida at Large, pursuant to Notice of Taking
Deposition filed in the above cause.

- - - - -

1 APPEARANCES :

2 KEITH CHASIN, ESQUIRE
on behalf of the Plaintiff.

3 STEPHENS, LYNN, KLEIN & McNICHOLAS

4 By: SONdra L. FARBER, ESQUIRE
on behalf of Defendant Rodriguez.

5 PARENTI & FALK
6 By: MICHAEL J. PARENTI, ESQUIRE
on behalf of Defendant AMI.

7

8 INDEX,

9 WITNESS

DIRECT

CROSS

10

11 JOHN DOWNS

3

12

(Chasin)

13

14

15

16

17

18

19

20

21

22

23

24

25

1 Thereupon:

2 JOHN DOWNS, M.D.

3 was called as a witness on behalf of the Plaintiff and,
4 after having been first duly sworn, was examined and
5 testified as follows:

6 DIRECT EXAMINATION

7 BY MR. CHASIN:

8 Q. State your name, please.

9 A. John Downs.

10 Q. Dr. Downs, since your last deposition do
11 you know what other materials you have reviewed to
12 date?

13 A. Since my last deposition the only thing I
14 reviewed that I can recall is the deposition transcript
15 of my deposition which I reviewed for correction.

16 MR. PARENTI: I don't think I sent him
17 anything.

18 BY MR. CHASIN:

19 Q. The morning of July 24th, 1987, it's your
20 understanding that Nurse McKay contacted Dr. Rodriguez
21 and informed him of the extubation with Berta Pino.

22 Is tha't correct?

23 A. Let me state, because I wasn't sure what
24 material we'd cover and I assumed it wouldn't be the
25 entire thing, that I really didn't review for this

1 deposition any of the material in any significance, so
2 I don't remember the nurse's name, but I agree that a
3 nurse did contact Rodriguez to inform him of the
4 extubation.

5 Q. Okay. Well, we know the case pretty
6 intimately so that nurse has been identified as Nurse
7 McKay for the purpose of this deposition. Okay?

8 A. All right.

9 Q. And-she said that she contacted Dr.
10 Rodriguez about 1:10 in the morning of July 24th, '87
11 and informed him that the tube extubated.

12 MR. PARENTI: She called him and then he
13 called back. The call to him was about, I
14 think, quarter to one and he called back around
15 1:10, or 1:15.

16 BY MR. CHASIN:

17 Q. Whatever. At 1:15 Dr. Rodriguez is
18 informed of the extubation event.

19 Can you assume that as true, Dr. Downs?

20 A. Yes. That's what the nurses' notes
21 stated.

22 Q. Okay. Was it appropriate at that time for
23 Dr. Rodriguez to order that this patient be
24 reintubated?

25 A. By appropriate do you mean is that what he

1 should have done?

2 Q. Yes.

3 A. I believe so.

4 Q. Why do you believe that it was appropriate
5 for Dr. Rodriguez to order that the patient be
6 reintubated at about **1:15** a.m.?

7 A. Because ~~at that~~ time I believe that it was
8 Dr. Rodriguez's impression that the patient still
9 required mechanical ventilation and/or a PEEP and an
10 inspired oxygen concentration to maintain optimum
11 pulmonary status.

12 Q. Before Dr. Rodriguez is informed of the
13 extubation the nurse put Mrs. Pino on a non-rebreather
14 mask with **100** percent oxygen after the extubation event
15 occurred at approximately **12:40**.

16 Can you assume that as true, Dr. Downs?

17 A. Again, I believe that's what was stated.

18 I do not assume that the patient was
19 really getting 100 percent oxygen, but that was the
20 attempt, yes.

21 Q. Okay. Would it have been appropriate at
22 **1:10** or **1:15** that morning when Dr. Rodriguez
23 communicates with the nurse and is informed of the
24 extubation and that the patient is on a non-rebreather
25 mask to allow the patient to remain on a non-rebreather

1 mask?

2 A. You mean rather than to remove the mask?

3 Q. Rather than to order reintubation.

4 A. With the knowledge that he had at the time
5 I don't believe that would have been appropriate.

6 Q. Would that be below the prevailing
7 standard of care for a pulmonary physician in Dr.
8 Rodriguez's position at that time?

9 MS. FARBER: Object to the form.

10 THE WITNESS: Not necessarily. He could
11 have asked for further information regarding the
12 patient's status which might have led him to the
13 conclusion that she did not require immediate
14 reintubation.

15 BY MR. CHASIN:

16 Q. What other information would be important
17 for Dr. Rodriguez to know at that time **if** I asked you
18 to assume that he did not order immediate reintubation?

19 A. If following the extubation the patient
20 was noted to be breathing comfortably and regularly and
21 if arterial blood analysis revealed adequate
22 oxygenation and adequate carbon dioxide elimination,
23 then it might be appropriate to continue with mask
24 oxygen therapy at least for a period of time.

25 Q. Doctor, can you give me a range or

1 parameters of **ABG's** which would be acceptable at that
2 time to enable the patient to remain on a
3 non-rebreathing mask?

4 A. Well, **it's** very difficult to give you the
5 absolute parameters.

6 It would be a lot easier to give examples
7 when it would not be acceptable for the patient to
8 remain on a non-rebreathing mask.

9 Q. Let me ask it this way. That's fair.

10 What if on the non-rebreathing mask her
11 PO2 was less than 60?

12 Would that alone mandate that the patient
13 be reintubated?

14 A. If the patient what, I'm sorry?

15 Q. Would that alone mandate that the patient
16 be reintubated?

17 A. Most likely, but not necessarily. I would
18 prefer to pick something in the range of 50 if we're
19 splitting hairs.

20 Q. What about a **PCO2** greater than **45**? Would
21 that finding alone mandate that the patient be
22 reintubated?

23 A. No, definitely not.

24 I think that more important than the **PCO2**
25 would be the combination of the **PCO2** and the pH.

1 In other words, if the patient were to
2 demonstrate a significant respiratory acidosis along
3 with a physical examination that dictated that the
4 patient was probably not going to be able to continue
5 breathing adequately much longer, I'd have to have that
6 information together.

7 ~~So PC02 alone wouldn't be adequate.~~ If
8 her pH was 7.40 with a PC02 of 45, I would say that's
9 perfectly acceptable.

10 Q. What about about a PC02 of 77, doctor, and
11 a pH level of 7.3?

12 A. A PC02 of 77?

13 Q. Yes, and a pH level of 7.3.

14 A. That would be-- again, we're not
15 discussing this patient in particular now obviously,
16 but generally speaking a pH of that level with a PC02
17 in that level would indicate to me that the patient was
18 probably going to be in serious respiratory distress
19 within the next-- well, at least in the immediate time
20 frame, and intubation should proceed along with
21 mechanical ventilation probably in the very near
22 future.

23 Q. Why do you say that it would indicate to
24 you that the patient would be in serious respiratory
25 distress based on the two parameters I've given you, a

1 PC02 of 77 and a pH of 7.3?

2 A. Well, normally the patient would try and
3 maintain a pH around 7.40, and obviously with those two
4 numbers that you've given me the patient is not
5 maintaining an adequate pH, and the PC02 is
6 sufficiently high that I would be worried about C02
7 narcosis occurring should it climb much higher.

8 Q. Can you give me--

9 A. Very rapidly the patient would begin to
10 hypoventilate even more seriously and probably go into
11 respiratory arrest.

12 Q. You used the phrase C02 narcosis.

13 Can you give me a brief description of
14 what that is in this scenario, Dr. Downs?

15 MR. PARENTI: In what scenario?

16 THE WITNESS: Well, I don't know about in
17 this scenario.

18 C02 narcosis, generally speaking, would
19 be-- don't hold me to the definition, but would
20 be a physiologic state where the carbon dioxide
21 tension is so high that it's actually taking on
22 a sedative-like effect rather than a respiratory
23 stimulant effect that it normally would have.

24 BY MR. CHASIN:

25 Q. Can a patient who is undergoing C02

1 narcosis have a clouded mental process?

2 MR. PARENTI: Let me--

3 THE WITNESS: Yes.

4 MR. PARENTI: --just note an objection.

5 THE WITNESS: They do have a clouded
6 mental process. Almost always.

7 MR. PARENTI: Okay,

8 Dr. Downs, let me just note an objection.

9 This deposition was to ask you about Dr.
10 Rodriguez, not to give Mr. Chasin another
11 opportunity to redepose you.

12 So unless we get back on track with Dr.
13 Rodriguez, I may have to instruct you again not
14 to answer any more questions.

15 THE WITNESS: All right.

16 MR. PARENTI: Mr. Chasin 'is on the other
17 phone now so we're sort of taking a break.

18 Keith, I don't know where you're going,
19 but the Court gave you the right to resume the
20 deposition to continue your line of questioning
21 about Dr. Rodriguez.

22 I have not talked to this doctor about
23 carbon dioxide narcosis.

24 This has nothing to do with what the Court
25 has permitted you to question him about and I

1 wish we'd get back on track'or I'm going to be
2 forced to instruct him again now not to answer
3 the questions.

4 BY MR. CHASIN:

5 Q. Would the patient's pH levels be important
6 for Dr. Rodriguez to know when he is informed of the
7 extubation event?

8 A. Well, ordinarily it would be probably less
9 so in this patient.

10 Our previous discussion on CO2 narcosis
11 and so on is essentially irrelevant to this case as far
12 as I'm concerned.

13 Q. My question is would the pH level be
•14 important for Dr. Rodriguez to know when deciding
15 whether she may be able to remain on the non-rebreather
16 mask?

17 A. The pH and PCO2 together would be
18 important.

19 Q. And that's to determine whether she's
20 reaching a stage where she can enter CO2 narcosis?

21 A. No. I don't think that was ever
22 consideration in this patient.

23 Unless my memory is totally deficient, I
24 ~~don't recall~~ that this patient was ever in a range of
25 hypoventilation that they'd worry about CO2 narcosis.

1 That's generally only 'considered in
2 patients with severe chronic obstructive pulmonary
3 disease.

4 This patient didn't have that problem, to
5 my knowledge.

6 Q. So it seems to me, doctor, that when the
7 extubation event was told to Dr. Rodriguez, that most
8 likely you feel that he should have ordered
9 reintubation at that point knowing this patient's
10 history and status as he did?

11 A. Yeah. I think you asked me that before
12 and that's what I said.

13 Q. Okay.

14 A. If I understood your question correctly.

15 If it's a different question, perhaps you
16 should restate it. I didn't understand, perhaps.

17 MR. PARENTI: It was the same question.
18 You gave the same answer.

19 THE WITNESS: Pardon?

20 MR. PARENTI: I said that was the same
21 question and you did give the same answer.

22 BY MR. CHASIN:

23 Q. The reintubation when Dr. Rodriguez was
24 informed of the extubation event, should it have taken
25 place within a reasonable amount of time after he gives

1 the order?

2 MS. FARBER: Object to the form. Are all
3 of your questions regarding reintubation
4 assuming that Mrs.--

5 THE WITNESS: Reasonable period of time.

6 MS. FARBER: Dr. Downs. You can tell him
7 I'm talking because he can probably hear you
8 better.

9 MR. CHASIN: Can you Sondra, doctor?

10 THE WITNESS: No.

11 MR. CHASIN: Speak **up**, Sondra.

12 MR. PARENTI: If he's talking, that won't
13 come through. It's like a walkie-talkie. You
14 say 10-4 at the end.

15 If he's talking, he can't hear an
16 objection.

17 THE WITNESS: I can't hear much of
18 anybody.

19 MR. PARENTI: Okay.

20 MS. FARBER: My objection is all your
21 questions about whether or not she should have
22 been reintubated or when and how fast and so
23 forth, is that all assuming that Mrs. Pino
24 refused ~~or didn't~~ refuse?

25 You're not laying a proper predicate.

1 BY MR. CHASIN:

2 Q. Assuming that Dr. Rodriguez ordered
3 reintubation.

4 Should Dr. Rodriguez have ordered
5 reintubation within a certain period of time, such as
6 STAT or ASAP, Dr. Downs?

7 MS. FARBER: Same objection.

8 THE WITNESS: I believe that Dr. Rodriguez
9 should have ordered the patient to be
10 reintubated as soon as possible, you know, and
11 that entails that reasonable care in
12 reintubating her should take place.

13 In other words, I don't think STAT would
14 be appropriate because they might kill her in
15 trying to get a tube in and cramming it in too
16 quickly, so I don't think that would be
17 reasonable.

18 I think it should be done expeditiously.

19 BY MR. CHASIN:

20 Q. Okay. Can you give me the maximum length
21 of time that you feel an expeditious reintubation
22 should take place and to comport with the standard of
23 care if you assume the order for reintubation is
24 received at 1:10 a.m.?

25 MR. PARENTI: Are you assuming also the

1 Consent of the patient?

2 MS. FARBER: Same objection.

3 MR. CHASIN: Obviously.

4 THE WITNESS: I didn't hear Mr. Parenti.

5 MR. PARENTI: My objection was whether in
6 the hypothetical presented to you Mr. Chasin was
7 also including that the patient consented to
8 reintubation and he answered yes, that would be
9 part of the hypothetical.

10 MS. FARBER: Join.

11 THE WITNESS: Could you repeat it for me
12 because I couldn't hear Mr. Parenti again.

13 BY MR. CHASIN:

14 Q. Assuming that the patient consents to the
15 reintubation at 1:10, Dr. Downs, what would be the
16 maximum length of time that an intubation should take
17 place?

18 A. That can't be answered because you have to
19 take many other things into consideration.

20 Whoever is going to be there to intubate
21 would have to evaluate the patient's physical status
22 and then determine whether or not the patient would
23 need to be sedated, paralyzed, whether it would be an
24 oral tracheal intubation, a nasotracheal intubation and
25 so on.

1 So the answer to your question is it could
2 be as little as fifteen minutes perhaps or even ten or
3 perhaps it could even be an hour, and then in that time
4 in evaluating the patient if the patient seemed to be
5 relatively stable, breathing comfortably with stable
6 arterial blood gases, then the clinician who's there to
7 intubate might call Rodriguez and say, "I don't think
8 you ought to reintubate her right now. She seems to be
9 doing all right. Maybe we ought to watch her a while."

10 Q. And monitor her ABG's?

11 A. And her physical status.

12 Q. Monitor her ABG's and her physical status?

13 A. Yes.

14 Q. And if the ABG's, particularly the
15 arterial, P02 gets too low, then perhaps reintubation
16 may be necessary.

17 Is that correct?

18 A. That would be a possibility or, **as** I think
19 I mentioned in my other deposition, I don't remember
20 for sure, perhaps even **CPAP** by face mask.

21 Q. Once Dr. Rodriguez was informed that the
22 patient refused reintubation, assuming by 2 a.m. he is
23 told that this patient categorically is refusing
24 reintubation, Dr. Downs, should Dr. Rodriguez have
25 ordered anything else on this patient?

1 A. No.

2 I think he should have just told the
3 nurses to keep trying to convince the patient that she
4 should be reintubated.

5 Q. Should he have informed the nurses to
6 communicate anything specific to Mrs. Pino?

7 A. To try to emphasize that it would be
8 important that she receive appropriate respiratory
9 care.

10 Q. Do you think Dr. Rodriguez should have had
11 the nurse say to Mrs. Pino, "Besides that, it's
12 important to have good respiratory care."

13 A. Well, I would assume that the nurses
14 understood that her condition might be life-threatening
15 and that they should communicate that to Mrs. Pino, but
16 obviously Mrs. Pino was aware of that, and I might also
17 suggest that her husband come in and see if he can be
18 convinced to try and convince her of the need for
19 reintubation.

20 Q. If you can assume this as being true, Dr.
21 Downs, if Dr. Rodriguez is physically in the hospital
22 when the extubation event occurs and he's aware that
23 the patient is refusing reintubation, do you believe to
24 comport with the standard of care that he should have
25 gone to the patient's room and spoken to the patient

1 himself?

2 MS. FARBER: Object to the form.

3 THE WITNESS: Well, not necessarily.

4 Let's assume that if he was there and he was
5 tied up in a procedure with another patient,
6 then I do not believe that standard of care
7 would dictate that he leave that patient, for
8 example, to go see this one.

9 Rather, that he could just ask that
10 another physician take responsibility for
11 getting her reintubated and put back on a
12 ventilator.

13 BY MR. CHASIN:

14 Q. Well, that was a good point.

15 Let's assume he's doing rounds and he's
16 not involved in an operation, but he's physically in
17 the hospital at the time the extubation event occurs
18 and he's informed that his patient is refusing
19 reintubation.

20 Do you believe he should come to the
21 patient's room and communicate directly with the
22 patient?

23 MS. FARBER: Object to the form.

24 THE WITNESS: That certainly would be
25 advisable. If he's been informed by the nurses

1 that she's refusing treatment, then he might
2 talk with the patient and see if he in fact
3 would have any effect on it.

4 Whether or not the standard of care would
5 dictate that I actually haven't given
6 consideration to.

7 I think the standard of care dictates that
8 he be available to take care of her as soon as
9 she's agreeable or have someone else do it, and
10 clearly if he's able I would think it would be
11 advisable for him to go there, but I can't
12 honestly say that the standard of care would
13 dictate that he do that because there wouldn't
14 be anything he could do.

15 BY MR. CHASIN:

16 Q. Well, one thing he could 'do would be to
17 communicate directly with the patient.

18 Is that correct?

19 A. Yes, he could.

20 Q. Another thing he could do would be to
21 independently assess the patient.

22 Is that correct?

23 MS. FARBER: Object to the form.

24 THE WITNESS: That's correct.

25 MS. FARBER: Hold it.

1 MR. CHASIN: We're still on my
2 hypothetical.

3 MS. FARBER: That he's in the hospital and
4 not busy?

5 BY MR. CHASIN:

6 Q. That he's in the hospital and he's
7 available to see the patient.

8 MR. PARENTI: Can I ask you is there any
9 proof of this?

10 MS. FARBER: Object to the form.

11 MR. PARENTI: If there's some proof of it,
12 then fine.

13 MS. FARBER: Assumes facts not in
14 evidence.

15 THE WITNESS: Your hypothetical assumes
16 he's in the hospital, which then obviously makes
17 it easier for him to get there than if he was in
18 his car or in his house or in a different town,
19 and I guess the problem I'm having is I don't
20 know that the distance he is from her makes any
21 difference.

22 If the patient has refused therapy, as I
23 said, I think it would be advisable for him to
24 do it, but I can't honestly say that the
25 standard of care would dictate that he show up

1 in an attempt to change her mind when nobody
2 else has been able to change her mind.

3 BY MR. CHASIN:

4 Q. You said that the distance that the doctor
5 is from the patient really in your mind doesn't make
6 any difference.

7 Is that correct?

8 A. Well, within reason. Obviously if he
9 couldn't physically get there within a couple of hours,
10 that would make a difference, but then he clearly would
11 have to have transferred his responsibilities for
12 patient care to someone else.

13 So assuming that he could get there in
14 five minutes or twenty-five minutes, I don't think that
15 makes a lot of difference.

16 Q. Now, Dr. Downs, if a patient such as Mrs.
17 Pino is refusing reintubation and Dr. Rodriguez has
18 been informed that the nurses are unable to convince
19 her to allow for his ordered therapy, that is,
20 reintubation, do you believe that he should have
21 ordered that a doctor go to her bedside?

22 A. No.

23 Q. Do you believe that he should have made
24 sure that someone get to her bedside besides the nurses
25 who are unable to convince her for reintubation if he

1 was physically unable to do that? .

2 A. I don't think the standard of care
3 dictates that.

4 As I said, I think it would be advisable
5 to have her husband come in and try and talk her into
6 it.

7 You know, to get somebody there who might
8 have some influence on her, and in my opinion the
9 nurses who had been caring for her hour after hour
10 would have a much greater likelihood of talking her
11 into the form of therapy than some strange doctor she
12 had never seen before.

13 Q. What about the attending physician who had
14 cared for her for years?

15 Do you think it would have been advisable
16 for Dr. Rodriguez to contact him when he's aware of the
17 fact that the patient is refusing reintubation?

18 MR. PARENTI: Let me just object.

19 THE WITNESS: Only if Dr. Rodriguez felt
20 that the attending or the primary care physician
21 would have a likelihood of being more convincing
22 than the nurses, and again I don't think that's
23 the standard of care.

24 That would just be common sense and
25 advisable.

1 MS. FARBER: I just want to object to the
2 form of that question.

3 THE WITNESS: Whoever might be able to
4 talk her into it, to come in. In his opinion
5 nobody could talk her into it.

6 I don't think the standard of care would
7 dictate that he call anyone else.

8 MS. FARBER: I'm just going to object to
9 the form of the last question.

10 I think again it's not a proper
11 hypothetical given the past history of Mrs.
12 Pino's extubation and the fact that her family
13 doctor for years was called and wasn't able to
14 convince her.

15 THE WITNESS: Are we still connected?

16 MR. CHASIN: Still connected. The
17 attorneys are voicing an objection.

18 MS. FARBER: Doctor, were you able to hear
19 me?

20 MR. PARENTI: No.

21 MR. CHASIN: Why don't you speak up.

22 MS. FARBER: Can you hear me?

23 THE WITNESS: Just barely.

24 MS. FARBER I objected to the question
25 because I felt that it was not a proper

1 hypothetical because Mr. Chasin failed to
2 include that the patient's family physician had
3 been called on the prior extubation and he was
4 not successful in convincing her at that time.

5 Can you hear me?

6 THE WITNESS: Yes, I heard you.

7 BY MR. CWASIN:

8 Q. Doctor, you understood that a code was
9 called that morning.

10 Correct?

11 A. Yes, that's right.

12 Q. And the nurse says that she contacted Dr.
13 Rodriguez for the code.

14 Do you know why a doctor such as Dr.
15 Rodriguez would be contacted when a code is called on
16 his patient?

17 A. I suspect that's standard hospital
18 procedure to call a patient's physician when the
19 patient arrests.

20 Q. And do you believe that Dr. Rodriguez
21 should have responded to the code by coming into the
22 hospital?

23 MS. FARBER: Object.

24 THE WITNESS: I'd say more often than not
25 when a physician is called at home to be told

6 THE WITNESS: The emergency physician
7 usually responds to the code in most community
8 hospitals that I'm familiar with.

10 Q. Do you believe that Dr. Rodriguez should
11 have responded at all to the code in any manner?

13 BY MR. CHASIN:

16 Should he do anything more?

19 THE WITNESS: I would think that he would
20 ask that he be kept informed of the patient's
21 status.

23 Q. Anything else?

25 THE WITNESS: Perhaps ask the nurses-- if

1 it appears that she might be successfully
2 resuscitated, then he should come in and assume
3 care of the patient.

4 MR. PARENTI: Why don't we just give you a
5 standing objection to make it easier.

6 Is that okay, Keith?

7 MR. CHASIN: Sure.

8 THE WITNESS: Are you still on?

9 MR. CHASIN: Yes. It's not going to be
10 that much longer.

11 BY MR. CHASIN:

12 Q. With regard to ordering reintubation or
13 not on Mrs. Pino, Dr. Downs, does it matter how the
14 extubation event occurred?

15 In other words, whether the patient
16 herself extubated or whether the tube inadvertently
17 came out of her trachea?

18 A. Well, are we talking hypothetically or in
19 this case?

20 Q. In this case.

21 A. Well, I think the physiologic condition of
22 the patient at the time the tube came out would be
23 constant whether or not the tube came out or she pulled
24 it out, so I don't think the mechanism by which the
25 tube came out would have any effect on her physiologic

1 status and therefore would have no effect on the
2 urgency or lack thereof of reinserting the tube.

3 In the hypothetical I think it might make
4 a difference.

5 Just having it come out when having their
6 bed sheets changed they might be in much more critical
7 condition than if the patient doesn't want the tube in
8 and reaches up and takes it out.

9 MR. PARENTI: Doctor, may I ask you not to
10 offer hypotheticals?

11 He asked you about this case, and please
12 don't go beyond the question asked of you
13 because that's not required of you.

14 THE WITNESS: All right.

15 MR. PARENTI: Besides it may prolong the
16 deposition and no one wants to do that.

17 MR. CHASIN: I'm enjoying it.

18 BY MR. CHASIN:

19 Q- The therapy of reintubation that Dr.
20 Rodriguez I'm asking you to assume he ordered at 1:15
21 a.m., was that because Mrs. Pino had an accidental
22 extubation in the preceding hour, Dr. Downs?

23 MR. PARENTI: Can I object, Keith?

24 Now we're going back to general questions
25 about the case and what you asked the judge to

1 do was to resume the deposition for the purpose
2 of asking about Dr. Rodriguez.

3 MR. CHASIN: I'm asking about the standard
4 of care following an accidental extubation.

5 MR. PARENTI: What does that have to do
6 with Dr. Rodriguez?

7 MR. CHASIN: It has everything to do with
8 Dr. Rodriguez. It's his patient.

9 MR. PARENTI: It's five to 7. It's dark
10 and we're--

11 BY MR. CHASIN:

12 Q. Would this be the standard of care for Dr.
13 Rodriguez to follow after an accidental extubation, Dr.
14 Downs?

15 MS. FARBER: Object to the form.

16 THE WITNESS: Now are we talking about a
17 hypothetical or this case?

18 BY MR. CHASIN:

19 Q. We're talking about this case.

20 MS. FARBER: Object to the form.

21 THE WITNESS: In this patient when her
22 tube comes out the question is would it be a
23 standard of care to order reintubation?

24 BY MR. CHASIN:

25 Q. Yes.

1 A. In this particular case, I think so.

2 Q. Are are you familiar with the phrase
3 accidental. extubation, Dr. Downs?

4 A. Sure.

5 Q. What does that mean, please?

6 A. Well, it's not a very specific term, but
7 usually it means that the tube comes out of the trachea
8 in an unintentional manner.

9 MR. CHASIN: That's 'all I have, Dr. Downs.
10 The other attorneys may have questions for you,
11 but I doubt it.

12 MR. PARENTI: Doctor, we have no
13 questions.

14 We thank you for your time. Can you hear
15 me, doctor?

16 THE WITNESS: Yeah, I heard that. Nothing
17 else?

18 MR. PARENTI: Nothing else. We'll send
19 you a copy of this transcript for your review as
20 well.

21 Thank you again and good night.

22 THE WITNESS: Thank you very much.

23 Perhaps also you could send a copy of a
24 deposition that I could keep.

25 MR. PARENTI: I will. I will send you the

1 Old one too.

2 THE WITNESS: Okay.

3 MR. CHASIN: Good-bye, Dr. Downs.

4 (Thereupon, the deposition was concluded

5 at 6:58 p.m.

6 Reading, signing and notice of filing were
7 not waived.)

8

9

10

11

12

13

14

15 _____
JOHN DOWNS, M.D.

16

17

18

19 Sworn and subscribed before me

20 this ____ day of ____ 1991.

21

22

23

24

25

CERTIFICATE PAGE

1

2

3 STATE OF FLORIDA)

4) SS.

5 COUNTY OF DADE)

6

7 I, TERI NAAR, hereby certify that the foregoing
8 transcript, pages 3 through 30, inclusive, is a true
9 and correct transcript of the deposition of JOHN DOWNS,
10 M.D. before me at the time and place stated in the
11 caption thereof.

12 I further certify that said witness was duly
13 sworn according to law.


14 I further certify that reading, signing and
15 notice of filing were not waived.

16 I further certify that I am not of counsel to
17 any of the parties to said cause or otherwise
18 interested in the events thereof.

19 IN WITNESS WHEREOF, I hereunto set my hand and
20 affix my official seal of office this 26th day of March
21 1991.

22

23



24

TERI NAAR

25

1

1000000