

Scanned

DOC 142

IN THE CIRCUIT COURT FOR COOK COUNTY, ILLINOIS
COUNTY DEPARTMENT - LAW DIVISION

JERRY A. McGHEE, individually,
and as independent administrator of
the Estate of BRENDA McGHEE,
deceased,

Plaintiff,

vs.

GOTTLIEB MEMORIAL HOSPITAL,
S. BURTON ROTH, M.D.; ALAN J.
ZUCKER, M.D.; ROTH & ZUCKER,
M.D., S.C., and CARLITO TABORA,
M.D.,

Defendants.

FILE NO. :
90-L8085

VOLUME 1

DEPOSITION OF:

JOHN B. DOWNS, M.D.

TAKEN BY:

Counsel for Defendants

BEFORE:

Lynne J. Ide,
CP, RPR, CM
Notary Public,
State of Florida at large.

DATE:

March 22, 1995
commencing at 11:00 a.m. and
concluding at 5:30 p.m.

PLACE:

Hyatt Westshore
Sandhill Crane Conference Room
Courtney Campbell Causeway
Tampa, Florida.

KANABAY & KANABAY - OFFICIAL COURT REPORTERS
ST. PETERSBURG, CLEARWATER - 821-3320
TAMPA - 224-9500

RECEIVED
APR 4 1995
POWER, ROGERS & SMITH

1 APPEARANCES : THOMAS G. SIRACUSA, ESQUIRE
2 Power, Rogers & Smith, P.C.
3 35 West Wacker Drive
4 Suite 3700
5 Chicago, Illinois 60601
6 PH: 312-236-9381
7 Counsel for Plaintiff.

8
9
10 CHAD CASTRO, ESQUIRE
11 Lord, Bissell & Brook
12 115 South LaSalle Street
13 Chicago, Illinois 60603
14 PH: 312-443-0700
15 Counsel for Gottlieb Memorial
16 Hospital.

17
18 JOHN V. SMITH, 11, ESQUIRE
19 Pretzel & Stouffer, Chartered
20 One South Wacker Drive
21 Suite 2500
22 Chicago, Illinois 60606
23 PH: 312-346-1973
24 Counsel for Roth & Zucker, M.D.,
25 S.C.

26
27 KATHY FOX, ESQUIRE
28 Wildman, Harrold, Allen & Dixon
29 225 West Wacker Drive
30 Suite 3000
31 Chicago, Illinois 60606
32 PH: 312-201-2000
33 Counsel for Alan J. Zucker, M.D.

INDEX TO PROCEEDINGS

21	Direct Examination by Mr. Castro	Page 3
22	Cross-Examination by Mr. Smith	Page 151
	Cross-Examination by Ms. Fox	Page 202
23	Redirect Examination by Mr. Castro	Page 229
	Recross-Examination by Mr. Smith	Page 231
24	Cross-Examination by Mr. Siracusa	Page 234
	Recross-Examination by Ms. Fox	Page 236
25		

1 WHEREUPON,

2 JOHN B. DOWNS, M D.,

3 the deponent herein, being first duly sworn,

4 was examined and testified as follows:

5 DIRECT EXAMINATION

6 BY MR. CASTRO:

7 Q. Could you state your name for the record,
8 please?

9 A. John Burton Downs.

10 MR. CASTRO. Let the record reflect this is
11 the discovery deposition of Dr. John Downs taken
12 pursuant to notice and continued to today's date by
13 agreement of the parties, and also taken pursuant to
14 the applicable rules.

15 BY MR. CASTRO:

16 Q. Dr. Downs, have you given a deposition
17 before?

18 A. Yes.

19 Q. I'm just going to repeat three rules I'm sure
20 you have heard many times before.

21 The most important one, though, is I will be
22 asking you some questions regarding your opinions in
23 this case and some medical terms, including adult
24 respiratory distress syndrome, et cetera.

25 If I confuse any question, if you are not

1 clear in anyway, if I confuse medical terminology,
2 please indicate that, because I don't want you to
3 answer any question you don't feel that you fully
4 understand. Okay?

5 A. Yes.

6 Q. And as you know, the court reporter is taking
7 this down. She cannot take down two things at the same
8 time, so, please, even though you will anticipate my
9 question, let me get the question out before you
10 answer: All right?

11 A. Yes.

12 Q. Finally, as you know, she can't take down
13 nods of the heads or uh-huh's, so please make your
14 responses verbal. All right?

15 A. Yes.

16 (WHEREUPON, Deposition Exhibit 1 is marked
17 for identification purposes).

18 BY MR. CASTRO:

19 Q. Dr. Downs, let me show you what I have had
20 marked as Deposition Exhibit Number 1.

21 Is that your curriculum vitae?

22 A. It certainly appears to be.

23 Q. Okay, is that current up to today's date?

24 A. Probably not.

25 Q. Okay.

1 A. I brought a recent copy with me that is
2 probably more up-to-date.

3 Q. All right. Could we have that copy?

4 A. Yes.

5 Q. Why don't we just mark that Exhibit Number 2
6 then.

7 A. Yes, this one is more up-to-date than this
8 one.

9 (WHEREUPON, Deposition Exhibit 2 is marked
10 for identification purposes).

11 BY MR. CASTRO:

12 Q. Is there anything on Exhibit Number 2 that
13 you would modify, delete, add, that would bring this
14 curriculum vitae up to today's date?

15 A. No. Not that I know of.

16 Q. Your current position is what, doctor?

17 A. I am Professor and Chairman of the Department
18 of Anesthesiology at the University of South Florida
19 College of Medicine in Tampa, Florida.

20 Q. And how long have you been in that position?

21 A. A little over seven years.

22 Q. Before that, where were you?

23 A. I was in Columbus, Ohio.

24 Q. And what was your position there?

25 A. Professor and Vice Chairman of the Department

1 of Anesthesiology at Ohio State University College of
2 Medicine, Columbus, Ohio.

3 Q. Before that, where were you?

4 A. My residence was in Champaign, Illinois.

5 Q. Were you affiliated with a hospital or
6 medical center at that time?

7 A. Both.

8 Q. What hospital and medical center?

9 A. I was primarily practicing at Mercy Hospital
10 in Urbana, Illinois and I held positions at
11 Northwestern University College of Medicine, also the
12 University of Illinois College of Medicine, Urbana/
13 Champaign Campus, and the University of Illinois School
14 of Veterinary Medicine.

15 Q. Did you have any actual practice at
16 Northwestern?

17 A. No.

18 Q. It was through your work at Mercy Hospital
19 you had teaching responsibilities through the various
20 universities you mentioned?

21 A. Well, it wasn't through Mercy Hospital
22 necessarily. I had teaching responsibilities at the
23 institutions mentioned but not necessarily through
24 Mercy Hospital.

25 Q. All right. Did you actually have formal

1 teaching at Northwestern Medical School?
 2 A. Only on **rare** occasions.
 3 Q. Okay. Your teaching would be where then for
 4 the majority of the time back at that period of time?
 5 A. School of Veterinary Medicine, Mercy
 6 Hospital, and occasionally in lecture rooms at the
 7 University of Illinois.
 8 Q. Since you have been down here in South
 9 Florida, has your practice been pretty much the same
 10 over the past seven years?
 11 A. You mean **has** it varied week to week? Or year
 12 to year?
 13 Q. Let's say year to year.
 14 A. Yes, I would say that it **has** varied year to
 15 year.
 16 Q. Can you tell me currently what your practice
 17 consists of?
 18 A. Practice of medicine?
 19 Q. Your professional time?
 20 A. My professional time is split most recently
 21 between administrative duties at the University of
 22 South Florida College of Medicine and teaching and
 23 research duties which are primarily but not completely
 24 limited to Tampa General Hospital.
 25 And my administrative duties vary

1 significantly anywhere from ten to perhaps **as** much as
 2 fifty to *sixty* percent of my time on a day-to-day
 3 basis.
 4 The remainder of my time is spent in either
 5 teaching or research-related activities.
 6 Q. Over the past seven years, roughly how much
 7 of your time has been administrative?
 8 A. Probably **has** remained pretty consistently
 9 around forty to *sixty* percent, but in terms of total
 10 hours, it has declined slightly because I spent more
 11 time six or seven years ago when I was developing the
 12 department than I do now in maintaining it.
 13 Q. Okay. With regard to research, what areas
 14 are you doing research in?
 15 A. My primary interests have to do with
 16 pulmonary physiology, sometimes with the cardiologic
 17 interaction with the pulmonary system, and
 18 pathophysiology of the respiratory system and the
 19 treatment of the -- the pathology of the respiratory
 20 system.
 21 Q. What percentage of your time over the past
 22 seven years has been devoted to research?
 23 A. It is very difficult to put an accurate
 24 number on it, but probably somewhere in the range of
 25 ten to twenty percent.

1 Q. And that would be approximately another --
 2 how much would be teaching then?
 3 A. Teaching, probably -- and that is combined
 4 with clinical because most of my teaching is clinical
 5 teaching, but that is not consistent, either, somewhere
 6 around forty percent.
 7 *Also*, research has to be combined in that
 8 because sometimes the research is combined with
 9 teaching, and it is -- a lot of research is clinical
 10 research.
 11 Q. Your clinical practice, where is that
 12 generally? What areas does that generally involve?
 13 A. The operating room environment and surgical
 14 intensive care unit of the Tampa General Hospital is
 15 where the vast majority of my clinical work occurs.
 16 Q. How often do you do rounds in the SICU?
 17 A. I try to do it twice a week but oftentimes it
 18 is once a week.
 19 Q. And do you take general call -- strike that.
 20 Do you take a general rotation as an
 21 anesthesiologist in the operating room?
 22 A. No, I don't, no longer.
 23 Q. When is the last time you did that?
 24 A. Probably four years ago, maybe three years
 25 ago.

1 Q. When you say your practice is partially
 2 centered in the **OR**, what does that involve?
 3 A. That involves making rounds from room to
 4 room, spending variable period of time with our
 5 residents in the operating room environment. Rarely
 6 would that exceed **an** hour, rarely would it be less than
 7 fifteen or twenty minutes per room.
 8 Q. Are you the director of SICU?
 9 A. No, I'm not.
 10 Q. Who is the director?
 11 A. The director of the ICU's, not just SIC,
 12 both is actually split between doctors **Jim** Hearst,
 13 Roy Cane (phonetic) and a designee from pulmonary
 14 medicine who right now I believe is **Alan** Goldman.
 15 Q. Prior -- strike that.
 16 About four years ago, during the first three
 17 years, if I understand, when you have been down here in
 18 Tampa, you actually took rotation as an
 19 anesthesiologist in surgery, is that correct?
 20 A. Well, I don't -- I wouldn't phrase it that
 21 way.
 22 Q. Okay.
 23 A. I took night *call*, usually a couple --
 24 anywhere between two and four times a month, and I
 25 would be, on a rare occasion, assigned to the operating

1 room. But **because** of administrative responsibilities
2 that would often interfere with continuity of care, I
3 was rarely assigned **as** one of the anesthesiologists to
4 the operating room for the last seven years.

5 Q. Now, **you** indicated before Tampa **you** were at
6 Ohio State Medical Center?

7 A. No, Ohio State University College of
8 Medicine.

9 Q. When were you there again, doctor?

10 A. From 1985 until 1988. I stopped in December
11 of 1987, actually.

12 Q. Okay. Did **you** have any hospital affiliation?

13 A. I was on the staff at Ohio State University
14 Hospital.

15 Q. During those three years, can **you** describe
16 your professional practice at that time?

17 A. My time was split approximately fifty-fifty
18 between the intensive care unit and operating room
19 environment.

20 Q. Did you have any administrative
21 responsibilities?

22 A. Yes.

23 Q. What percentage of your time was
24 administrative?

25 A. The administrative responsibilities occurred

1 more or less in conjunction with my clinical
2 activities, and if I had to put a percentage time on
3 it, it probably would have been somewhere in the range
4 of ten to fifteen percent.

5 Q. As far as research, did **you** do any research
6 at that time?

7 A. **Yes**, most of it was clinical, and that was --
8 that occupied perhaps fifteen to twenty percent of my
9 time. And, again, that occurred while I was still
10 performing clinical work.

11 Q. The areas that you told me about that you
12 were doing research in here, were those similar areas
13 that you were doing, similar areas in Ohio State?

14 A. They were similar.

15 Q. What was your rotation as far as your call in
16 ICU?

17 A. I split the call with another
18 anesthesiologist and surgeon more or less equal basis.
19 I believe I took call a quarter of the time in the ICU,
20 and I took call probably ten percent of the time in the
21 main OR. It may have been a little less than that.

22 Q. When you say a quarter of the time in ICU,
23 that would be approximately three months a year you
24 would rotate through there?

25 A. If you added up the days, but we didn't do it

1 that way.

2 Q. It was just certain weeks or months you would
3 be --

4 A. Or days.

5 Q. Okay. And how often would **you** be involved as
6 the anesthesiologist in surgical procedure?

7 A. Probably a hundred and twenty days out of the
8 year, plus the **days** on call.

9 Q. And then prior to 1985 **you** were at Mercy
10 Hospital in Urbana?

11 A. That is correct.

12 Q. Did **you** have any administrative functions
13 there?

14 A. Yes.

15 Q. What were your administrative
16 responsibilities?

17 A. I don't remember exactly. I think most of
18 them are outlined in my CV. But I **was** head of
19 profusion services, director of the intensive **care**
20 unit, I was in charge of pulmonary medicine, which is
21 the same **as** respiratory care, I was in charge of the
22 operating room, I was in charge of the recovery room
23 and I was director of anesthesiology.

24 There may have been some other titles that I
25 had, **as** well.

1 Q. How much actual clinical time did **you** spend
2 where **you** were the anesthesiologist in charge of this
3 operation?

4 A. Essentially every day.

5 Q. **You** would actually work as the
6 anesthesiologist each day?

7 A. **Yes**, there were only two of us most of the
8 time, and we covered, between the two of us, **six**
9 operating rooms.

10 Q. Okay.

11 A. With nurse anesthetists, of course.

12 Q. And what was your actual hands-on involvement
13 in the ICU?

14 A. I was director, I was in charge of managing
15 almost every patient that was on a mechanical
16 ventilator. Occasionally they would consult a
17 pulmonologist but usually I was consulted.

18 Q. What were the years, doctor, -- strike that.

19 **You** are not -- do they have a subspecialty
20 of obstetric anesthesiologist?

21 A. Yes.

22 Q. Do you have any double board certification in
23 the area?

24 A. No one does. There isn't double board
25 certification.

1 Q. It is just an area of specialization you can
2 take training in?
3 A. That is correct.
4 Q. Okay. You are board certified in
5 anesthesiology as well as critical care medicine?
6 A. No, there aren't boards in critical care
7 medicine, either. There is certificate of special
8 qualifications obtained by passing an examination.
9 I have passed that examination. I do hold
10 that certificate, but it is not considered a
11 subspecialty board.
12 Q. Besides board certification in
13 anesthesiology, are you board certified in anything
14 else?
15 A. No.
16 Q. Besides the certification of specialization
17 in critical care medicine, do you have any other
18 certifications in any other specialties?
19 A. Not other specialties. But I do hold the
20 certificate of demonstration of qualifications, or
21 whatever it is called, of continued qualifications in
22 anesthesiology which was just recently offered.
23 Q. Is that like recertification?
24 A. Yes. Exactly.
25 Q. All right. Over the years of your practice,

1 have you had experience with patients who have suffered
2 from DIC?
3 A. Yes.
4 Q. Can you tell me approximately how many
5 occasions or how many patients you have seen with that
6 disorder?
7 A. No, I can't. But it is many.
8 Q. Can you give me in any way an approximation?
9 A. Less than a thousand. Probably more than a
10 hundred.
11 Q. Okay. Have any of those patients that you
12 have either been involved with or seen in your
13 hospitals ever gone and developed DIC?
14 A. Yes, I thought you asked me if they had DIC,
15 did they develop DIC?
16 Q. I'm sorry, did any of the patients that had
17 DIC go on and develop adult respiratory distress
18 syndrome?
19 A. Yes.
20 Q. Can you tell me approximately how many?
21 A. No.
22 Q. Can you give me any kind of an approximation
23 whatsoever?
24 A. Many. That is not an uncommon evolution.
25 Q. What is the mechanism for DIC leading to the

1 development of adult respiratory distress syndrome?
2 A. Nobody knows for sure.
3 Q. Can you give me any kind of educated guess or
4 belief?
5 A. I don't believe you want me to guess.
6 Q. Okay.
7 A. And I cannot give you a more likely mechanism
8 than any other mechanism. It has been speculated
9 widely in the literature. I'm moderately familiar with
10 that literature. But I certainly cannot tell you the
11 precise mechanism by which DIC could lead to
12 respiratory failure.
13 Q. Do you know what the reported incidence in
14 the literature is for patients who have DIC that go on
15 to develop ARDS?
16 A. It is extremely variable.
17 Q. What does it range from?
18 A. Well, it can range from, with extremely mild
19 DIC, to little or no incidence, or it can range to in
20 some reports by Doctors Blaisdale and Hardaway, for
21 example, in the older literature, it is their opinion
22 that patients with severe DIC almost always go on to
23 develop respiratory failure.
24 I have not reviewed that literature for well
25 over twenty years so it may not be a terribly accurate

1 representation of their work, but I think so.
2 Q. Do you know what the more current literature
3 indicates the range would be?
4 A. It still is extremely variable, it is my
5 impression.
6 Q. You have obviously had a great deal of
7 experience — strike that.
8 For purposes of the deposition, doctor, is it
9 ARDS, or A R D S people generally refer to as adult
10 respiratory distress syndrome?
11 A. I heard both terms.
12 Q. What would you prefer us, so I don't have to
13 keep going through the long litany?
14 A. ARDS.
15 Q. Okay. You have had a great deal of
16 experience with A R D S patients, is that correct?
17 A. Yes, I think that is true.
18 Q. Can you tell me approximately how many
19 patients in your career you have treated with A R D S?
20 A. Hundreds, maybe thousands.
21 Q. Of the patients you have cared for with
22 A R D S, can you tell me generally what the mortality
23 rate has been that you have seen in your patients?
24 MR. SIRACUSA: Object -- excuse me, object
25 to the form of the question, it is vague.

1 A. I can't answer that question **as** precisely
 2 phrased because there are sometimes when I have been
 3 completely in charge of the *care* of patients and
 4 mortality would be one **figure**, and there have been
 5 other circumstances where I was merely a consultant and
 6 had no authority over direction of the *patient care*,
 7 and then also there were times when I was acting
 8 primarily as a consultant, in a referral situation,
 9 where patients were coming in very late, and mortality
 10 would vary extremely over those different scenarios.
 11 BY MR. CASTRO.
 12 Q. Taking into consideration the timing as to
 13 when **you** would become involved in the aspects and
 14 degree **you** would become involved in the aspects of the
 15 patient care, what has the range been generally of
 16 mortality in the patients you had some degree of
 17 involvement with?
 18 A. Twenty percent to eighty percent.
 19 Q. The patients that you have been involved with
 20 that have had DIC that have then gone on to develop
 21 ARDS, can **you** tell me what the percentage of
 22 mortality rate of those patients have been?
 23 A. No.
 24 Q. Can **you** give me any kind of an approximation
 25 or range?

1 A. No.
 2 Q. Doctor, the articles that you have published
 3 in – over the years, do you consider those
 4 authoritative?
 5 A. No.
 6 Q. Why not?
 7 A. Well, as you pointed out, they have been
 8 published over the years and so, therefore, medical
 9 knowledge and opinion changes considerably from time to
 10 time. As more information is gathered, it sometimes
 11 puts in doubt information that was previously
 12 published.
 13 Therefore, much of what I published as little
 14 **as**, say, two years ago might have changed in subtle
 15 ways the opinions that I have held prior to that.
 16 Q. Okay.
 17 A. Authoritative, to my way of thinking, means
 18 absolutely accurate. And, so, therefore, I couldn't
 19 say that anything is ever completely authoritative.
 20 Q. Would you agree that the articles **you**
 21 published are articles that physicians could reasonably
 22 rely on in learning about and studying the disease
 23 process that you might be publishing on?
 24 A. At the time of the publication that would
 25 certainly be true, and in some cases it might even be

1 true for a period of time following its publication.
 2 Q. Are there articles in your bibliography that
 3 today you would not find to be authoritative?
 4 A. I just told you that I would not find
 5 anything I have ever written to be authoritative by my
 6 definition of authoritative.
 7 Q. Are you familiar with Dr. Michael Matthay?
 8 A. I know him.
 9 Q. Have **you** read his articles?
 10 A. I have read some of his articles, I'm sure.
 11 Q. Do you consider the articles you have read by
 12 Dr. Matthay to be authoritative?
 13 A. No, I don't.
 14 Q. Do you know Dr. Bone?
 15 A. Yes.
 16 Q. Have **you** read some of the articles published
 17 by Dr. Bone?
 18 A. Yes.
 19 Q. Do you consider the articles published by Dr.
 20 Bone to be authoritative?
 21 A. No.
 22 Q. Are there any authors in the United States
 23 that you feel are authoritative in the area – whose
 24 published articles are considered authoritative in the
 25 field of ARDS?

1 MR. SIRACUSA: Let me **just** object again to
 2 the form.
 3 A. Well, they may be considered by some people
 4 to be authoritative, but not me.
 5 BY MR. CASTRO:
 6 Q. I'm talking about **you**.
 7 A. No.
 8 Q. Are you familiar with Dr. Bone's work and
 9 experience in the field of ARDS?
 10 A. Somewhat.
 11 Q. Would **you** consider him to be a – an – a
 12 national authority in that field?
 13 A. Yes.
 14 Q. I take it since you don't believe any of the
 15 articles **you** have published or seen are authoritative,
 16 that **you** don't consider any textbooks to be
 17 authoritative on the disease of ARDS?
 18 A. That's correct.
 19 Q. And that would be true of DIC, as well?
 20 A. Your question?
 21 Q. That there would be no authoritative articles
 22 or texts with regard to the disease DIC?
 23 A. Well, I'm not familiar with all texts on
 24 either DIC or ARDS so I can't say that there are none
 25 that are authoritative. But none that I'm familiar

1 with are authoritative.

2 Q. What journals do you subscribe to?

3 Well, what journals do you generally read?

4 I'll shorten it a little.

5 A. That doesn't shorten it very much.

6 Anesthesiology; Abridged Journal of

7 Anesthesiology; Canadian Anesthetists Society Journal;

8 Intensive Care Medicine; Society of Critical Care

9 Medicine; Journal of Critical Care Medicine; American

10 Review of Respiratory Disease has changed its title and

11 I never can remember precisely but it is something like

12 American -- it is Journal of Critical Care Medicine

13 something, I never can get the new title; Chest; The

14 Journal of the American Medical Association;

15 occasionally the New England Journal of Medicine;

16 Hillsborough County Medical Association Journal;

17 Florida Medical Association Journal; Resident

18 Physician; and then several other -- oh, Medical

19 Economics; and several other nonmedical journals, and

20 probably a few others that have slipped my mind.

21 Q. Okay. Do you know Dr. Ostheimer?

22 I'll spell it but I'm sure I will flip a

23 letter, probably, O S T H E I M E R.

24 A. Yes.

25 Q. Do you consider him expert in the field of

1 anesthesiology?

2 A. Yes, I do.

3 Q. Have you ever reviewed a case for the firm of

4 Joe Power -- or Joe Power, Rogers and Smith before?

5 A. Possibly, I don't remember.

6 Q. Do you know how they got your name in this

7 case?

8 A. Mrs. Sosenko I have worked with, and I don't

9 know if it was with this firm or when she was with

10 another firm. I'm not sure. It seemed to me she may

11 have been with Winter, Gray (phonetic) at one time,

12 that is a possibility, but I'm guessing so I can't say

13 for sure.

14 Q. How many occasions have you worked with her

15 in the past?

16 A. I don't know.

17 Q. How long have you been doing reviews in

18 medical legal cases such as this?

19 A. The first case that I reviewed was in about

20 1981, and that was for John Hayes.

21 Q. How many reviews have you done with Jack

22 Hayes or John Hayes?

23 A. That was the only one.

24 Q. Since 1981, approximately how many reviews

25 have you done?

1 A. I couldn't tell you accurately. It has been

2 extremely variable from year to year. I probably did

3 only one or two in '82 and '83, then built up, by '85,

4 to perhaps seven or eight a year.

5 Then at Columbus, Ohio it went up

6 considerably, probably as many as twenty to twenty-five

7 a year.

8 And in Florida, when I moved here, it

9 markedly decreased again, then last year I reviewed

0 forty-five cases, so I can tell you with some

1 accurately last year's experience.

2 Q. Of those forty-five cases, how many were on

3 behalf of a plaintiff?

4 A. Twenty-three.

5 Q. And the other twenty-two were on behalf of

6 the defense?

7 A. You got it.

8 Q. There were no state or governmental

9 involvement or anything?

10 A. Well, I consider -- I have done a few DPR

11 cases for physicians and I regard those as defense

12 since the DPR is usually not for the physician when

13 they are reviewing them.

14 Q. What areas of medicine have the majority of

15 your depositions been in?

1 A. Anesthesiology and critical care.

2 Q. Have they been on the subjects of adult

3 respiratory distress similar syndrome?

4 A. Very often.

5 Q. How about DIC?

6 A. I think occasionally.

7 Q. Have you given depositions where there have

8 been -- where there was a patient who had DIC and ARDS

9 together?

10 A. I'm sure I have.

11 Q. Can you tell me the names of any of those

12 cases?

13 A. No, I can't.

14 Q. Do you know the names of any of the

15 attorneys?

16 A. Involved in cases like that?

17 Q. Involved in cases like that?

18 A. No.

19 Q. Do you keep a list at all as far as the cases

20 you have reviewed over the years?

21 A. Only for billing purposes.

22 Q. Okay. When you say only for billing

23 purposes, what do you mean by that?

24 A. If they are active files for the past year,

25 then I -- my assistant would have those in the

1 computer. But, for example, I don't keep records going
2 back two or three or four years.

3 Q. Who is your assistant?

4 A. Miss Patricia Smith.

5 Q. Is she **your** assistant solely for purposes of
6 medical legal reviews? Or does she have other
7 responsibilities for you?

8 A. That is the only one she has for my
9 corporation. My personal corporation. Professional
10 corporation.

11 Q. So Miss Patricia Smith works for your
12 personal corporation?

13 A. Yes, she does.

14 Q. And what is the name of that corporation?

15 A. John B. Downs, M.D., Ltd.

16 Q. What does the corporation — what business
17 does that corporation engage in?

18 A. It is a medical corporation, it is an
19 Illinois medical corporation doing business in Florida.

20 Q. Okay. The income you receive from doing your
21 work as an expert gets billed by that corporation?

22 A. That's correct.

23 Q. Any other income from your activities other
24 than work as an expert get billed by that corporation?

25 A. Yes.

1 Q. What other activities?

2 A. Many activities, including consulting,
3 speaking, any other non-university medical activity.

4 Q. So within the year or so of active cases,
5 Miss Smith would have a list, for billing purposes, of
6 those cases that are active or just recently went
7 inactive?

8 A. Yes.

9 Q. Would they list the attorney that the bill is
10 going to be submitted to?

11 A. Possibly.

12 Q. Okay.

13 A. I'm not exactly familiar with what her
14 computerized list is since I don't review that, but my
15 guess is that it would have the case name and the
16 attorney's name, and the firm's name to some extent.
17 Of course, if the deposition is involved, it would only
18 have the one attorney's name.

19 MA. CASTRO: I would make a request for at
20 least as far back as Miss Smith can go, recognizing
21 what are active or inactive, the list of the various
22 cases and attorneys the doctor has been involved in.

23 A. I don't think I can comply with that because
24 in some cases I may not have been listed as an expert,
25 yet, and so I don't think I could do that without

1 having the permission of each of the attorneys involved
2 to describe to you their name, the name of the case and
3 so on.

4 MR. CASTRO: Well, I have made the request.

5 MR. SIRACUSA: We'll deal with it later.

6 MR. CASTRO: For purposes of that, I'll put
7 on the record we can put a protective order that this
8 won't be disclosed outside this case or something, or
9 sent to any other firm or matters, to give the doctor
10 the confidentiality that he believes he needs in that
11 regard.

12 But I think I'm entitled to the list, so I
13 would put on the record the request.

14 BY MR. CASTRO:

15 Q. How many depositions have **you** given, doctor?

16 A. I don't know exactly how many I have given.

17 Q. Can you give me an approximation, the best
18 approximation **you** can give?

19 A. No, I can't give you an accurate
20 approximation. I can tell you exactly how many I did
21 last year, I did seven.

22 Q. Okay. Do **you** remember the names of any of
23 the firms that you gave depositions for last year?

24 A. No.

25 Q. Do you remember the names of any of the firms

1 — have you testified in any depositions this year?

2 A. Yes.

3 Q. Have any of these dealt with ARDS?

4 A. Probably.

5 Q. Do you remember any of the names of the firms
6 that retained you in cases this year where **you** have
7 given depositions?

8 A. No.

9 Q. But those would be on the computer list?

10 A. Whether or not they would be listed as
11 depositions, I doubt very much, but they are on the
12 list.

13 Q. But the case would be because they are so
14 current, correct?

15 A. I suspect they would be, yes.

16 Q. Have you been in court this year?

17 A. This year, no.

18 Q. Were you in court last year?

19 A. Yes.

20 Q. How many times?

21 A. Twice.

22 Q. What court?

23 A. I don't remember, a small town in Ohio, not
24 too far from Toledo, I don't remember the name of the
25 town.

- 1 Q. And where was the other one?
 2 A. I don't remember.
 3 Q. Where else have you given court testimony at?
 4 A. Southern Illinois.
 5 Q. Whattown?
 6 A. I don't remember the name of it. But about
 7 thirty miles south of Champaign.
 8 Q. Where else?
 9 A. Kansas City. West Virginia.
 10 Q. Do you remember the town or the court?
 11 A. No, I don't remember. Morgantown, perhaps,
 12 but I am not sure.
 13 Q. All right.
 14 A. Toledo. I had a courtroom appearance there
 15 once.
 16 Here in Florida in Sarasota -- no, no,
 17 Clearwater, I'm sorry.
 18 That is all I remember offhand.
 19 Q. What is your fee for reviewing cases?
 20 A. Three hundred dollars an hour.
 21 Q. How about for deposition?
 22 A. The same.
 23 Q. And for court testimony?
 24 A. The same, with a limit.
 25 Q. Pardon?

- 1 A. With a limit.
 2 Q. What is your limit?
 3 A. Ten hours a day.
 4 Q. Is that the minimum?
 5 A. No, that is the limit.
 6 Q. Okay. And I assume you would charge fees and
 7 expenses for coming up to Chicago for testifying in
 8 court?
 9 A. Yes.
 10 Q. Does your corporation have a billing
 11 statement that they submit to attorneys who are
 12 considering retaining you, a fee schedule?
 13 A. No.
 14 Q. Do you advertise in any way?
 15 A. No.
 16 Q. Have you ever been affiliated with any group
 17 that has advertised consulting work, maybe not
 18 specifically for you?
 19 A. I think there was one that Ted Stanley at the
 20 University of Utah, a consulting firm, he may have
 21 advertised. That firm went out of business about seven
 22 or eight or nine years ago, it was a firm that was
 23 organized to assist attorneys by giving a cadre of
 24 experts available for review both for plaintiff and
 25 defense.

- 1 I only reviewed one or two cases for them and
 2 I don't know if they advertised.
 3 When I was in either Illinois or early on in
 4 Ohio I think there was a group in Kentucky that I may
 5 have reviewed a case for that was -- that would
 6 basically be an intermediary between attorneys as a
 7 defense expert. I did not sign a contract with them,
 8 would not sign a contract with them, and I don't think
 9 they referred any other cases to me. And I don't know
 10 if they advertised or not.
 11 But to my knowledge, my name has never been
 12 advertised as an expert.
 13 Q. Does the income you receive -- strike that.
 14 Does your corporation break down the income
 15 that the corporation receives based on the different
 16 activities that you perform, such as speaking
 17 engagements, medical legal review, consulting work?
 18 A. Not to my knowledge.
 19 Q. It is just one lump sum?
 20 A. I don't know how that is handled. It is
 21 handled by an accountant out of Columbus, Ohio and I
 22 don't know how it is broken down.
 23 Q. Do you know what percentage of your income is
 24 from acting as an expert in medical legal matters like
 25 this?

- 1 A. No, I can only make an estimate, somewhere
 2 around fifteen percent.
 3 Q. Doctor, what have you reviewed with regard to
 4 this case?
 5 A. The material that I reviewed is outlined in
 6 the material that you had copied.
 7 Q. Okay.
 8 A. I would be happy to go through that. I --
 9 MR. CASTRO: Let's stop one second. Can you
 10 mark this as Exhibit Number 3.
 11 (WHEREUPON, Deposition Exhibit 3 is marked
 12 for identification purposes).
 13 A. Your question was?
 14 BY MR. CASTRO:
 15 Q. Doctor, first, have you had a chance to
 16 review what I have had marked as Exhibit Number 3?
 17 A. With the exception of billing statements and
 18 so on, yes.
 19 Q. Okay. Will you just go through those quickly
 20 just to make sure we have everything, because my
 21 question is going to be do we have a complete -- is
 22 Exhibit Number 3 a complete copy of your file?
 23 A. Well, the answer is going to be no, because
 24 my file also includes all of the depositions that I
 25 have reviewed, plus the medical records.

1 Q. Okay
 2 A. And those are not here.
 3 Q. Excluding the deposition transcripts
 4 themselves and the medical records from Gottlieb
 5 Memorial Hospital, does Exhibit Number 3 contain the
 6 remaining portion of your file?
 7 A. It appears to be mixed up a little bit. So
 8 the order is different than my file.
 9 (Discussion had off the record).
 10 (Short pause).
 11 A. This appears to be a complete copy of my
 12 correspondence file and notes.
 13 Q. Okay. Then other than the medical records
 14 from Gottlieb Memorial Hospital, what else have you
 15 reviewed in this case, what depositions?
 16 A. The notes from my deposition reviews are in
 17 there, with the exception of deposition transcript from
 18 Dr. Matthey, Part 1 and Part 2, which I have just
 19 skimmed.
 20 Also, I have reviewed then the medical
 21 records also of Baby McGhee, Carl Barsanti's
 22 deposition, DeLeon's deposition, Morris's deposition,
 23 Hanlon's deposition, Jerry McGhee, Kriio, Roth, Zucker,
 24 Orvino, Sandberg.
 25 I reviewed a statement from Dr. Tabora and

1 the deposition transcript of Dr. Tabora, and the
 2 deposition transcript of Mr. McGhee.
 3 Q. Any other documents that you reviewed for
 4 purposes of this case?
 5 A. I read the abstract entitled "The Shock Lung
 6 Syndrome: Anemia as a Predisposing Factor" from the
 7 Surgical Forum, and the article entitled "Shock Lung
 8 Anemia as a Predisposing Factor" by Gerald
 9 Moss in the American Journal of Surgery, prior to
 10 sending that to Mr. Power.
 11 Other than that, I don't believe I have
 12 reviewed any other material.
 13 Q. Where is -- Page 26, "The Shock Lung
 14 Syndrome: Anemia As a Predisposing Factor," where is
 15 this out of again?
 16 A. I believe it is from the Surgical Forum.
 17 Q. Do you have the cite for this?
 18 A. No, I don't.
 19 Q. This is just a one-page abstract?
 20 A. Yes.
 21 Q. Okay.
 22 A. It was published in the early nineteen
 23 seventies in Surgical Forum, but I can't tell you the
 24 year and the date. It had to have been after 1972
 25 because there are references to a '73 article. Well,

1 it might have been '72 or '73.
 2 Q. Do you consider this an authoritative
 3 article?
 4 A. No.
 5 Q. How about the "Shock Lung: Anemia as a
 6 Predisposing Factor," do you consider that an
 7 authoritative article?
 8 A. No.
 9 Q. Have you ever worked in conjunction with Dr.
 10 Bone at any time?
 11 A. Depends upon how you define work, worked
 12 with, we have been on panels together. We have
 13 probably collaborated on some projects together, but I
 14 can't remember precisely what the event would have
 15 been.
 16 Q. You don't recall any specific events
 17 regarding collaboration with Dr. Bone?
 18 A. No.
 19 Q. Doctor, can you give me your definition of
 20 adult respiratory distress syndrome?
 21 A. ARDS is a term that has been applied to
 22 describe patients with acute and severe lung injury
 23 resulting from a myriad of different etiologic factors,
 24 characterized by increased lung water, increased
 25 interpulmonary shunting of blood, arterial hypoxemia,

1 oftentimes requiring application of positive airway
 2 pressure and usually accompanied by radiologic changes
 3 characteristic of interstitial pulmonary edema.
 4 This pulmonary edema is usually a non-cardiac
 5 origin, although cardiac failure may play some role.
 6 The definition is -- as I mentioned, is
 7 extremely variable in the literature, and I think'
 8 although there may be general agreement, there
 9 oftentimes is some disagreement on the characteristic
 10 features that must or must not be present before one
 11 can make a diagnosis of ARDS. It is very frequently a
 12 catchall term used to describe someone with severe
 13 acute lung injury.
 14 Q. Is one of the things that happens increased
 15 microvascular permeability in the lungs?
 16 A. I would say that would be a feature, yes.
 17 Q. Do you have an opinion in this case whether
 18 or not Brenda McGhee developed adult respiratory
 19 distress syndrome?
 20 A. She clear would have the features that would
 21 meet criteria for that diagnosis to be made.
 22 Q. So in your opinion Brenda McGhee did have
 23 ARDS?
 24 A. Yes.
 25 Q. And the basis of that opinion is what,

1 doctor?

2 A. She had severe arterial hypoxemia,
3 relatively refractory to oxygen therapy, requiring
4 application of positive airway pressure.

5 She had the radiologic features and clinical
6 features that would be associated with that syndrome.

7 Q. What were the clinical features of that --
8 that would be associated with that syndrome?

9 A. She had tachypnea, she had shortness of
10 breath.

11 She had severe arterial hypoxemia, described
12 at least on one occasion as being so severe as to
13 produce cyanosis.

14 And she had radiologic signs of interstitial
15 pulmonary edema throughout both lungs.

16 Q. Can you state to reasonable degree of medical
17 certainty when Brenda McGhee developed adult
18 respiratory distress syndrome?

19 A. I think it was more likely than not that she
20 had the early features of ARDS by the time she was in
21 the recovery room following her Cesarean section.

22 Q. What do you base that on?

23 A. The fact that she had severe arterial
24 hypoxemia, refractory to oxygen therapy.

25 Q. Anything else?

40

1 A. Well, those were the -- since she didn't have
2 chest x-ray at that time, you can't say much about
3 that.

4 She did have, as described by the nurses,
5 some abnormal lung sounds anteriorly following
6 extubation that were fairly nondescript, but she didn't
7 have a good and thorough physical examination recorded,
8 nor did she have the chest x-ray.

9 So the only thing we would have to go by is
10 the arterial oxygen tension. Although it is possible
11 for some pathologic features to cause hypoxemia to the
12 severe degree that she had, other than ARDS, it would
13 be extremely unlikely.

14 So for that reason, I think more likely than
15 not she had the early features of ARDS as early as
16 seven-thirty in the morning on the 6th of April.

17 Q. In your opinion, based on reasonable degree
18 of medical certainty, the hypoxemia that Brenda McGhee
19 was exhibiting at seven-thirty in the morning is more
20 likely than not due to ARDS?

21 A. Yes.

22 Q. Can you state to reasonable degree of medical
23 certainty when the initial acute lung injury began in
24 Brenda McGhee?

25 A. No. Not precisely.

41

1 Q. Can you give any kind of range or time period
2 when the initial acute lung injury would have begun?

3 A. It is possible that it began at the time that
4 her abrupton began causing abnormality of her
5 coagulation system, which would have been sometime in
6 the early morning hours of the 6th of April.

7 Almost certainly it was in evolution during
8 the Cesarean section. And clearly was manifested by
9 the time she arrived in the recovery room following her
10 Cesarean section. And I don't think it would be
11 possible to be anymore precise than that.

12 Q. So in your opinion, the acute lung injury
13 might or could have begun as early as when the DIC
14 first began?

15 A. That is a possibility, after, not when it
16 began, but after the DIC began to manifest itself,
17 and intervascular coagulation was occurring.

18 Q. DIC is a cause of ARDS, correct?

19 A. That is one of the many causes that has
20 been --

21 Q. Transfusions of blood products are also a
22 cause of ARDS?

23 A. Debatable, but I think that there probably
24 have been occasions when transfusion of blood products
25 has resulted in lung injury, but that clearly is not a

42

1 frequent cause.

2 Q. Okay. Assuming Dr. Matthay testified in
3 deposition that even as low as one transfusion can
4 cause ARDS, would you agree with that statement?

5 A. I -- as I stated, I'm sure it has happened,
6 especially if there is a transfusion reaction, then I'm
7 sure that has occurred.

8 But, again, it would have to be considered
9 a very rare cause of lung injury.

10 Q. Amniotic fluid embolism is a cause of ARDS?

11 A. That has been listed as a cause of ARDS.

12 Q. In this case, do you have an opinion whether
13 or not Brenda McGhee may have suffered from amniotic
14 fluid embolism?

15 A. I don't think she did, but it can't be ruled
16 out conclusively.

17 Q. What is the basis of your opinion that she --
18 in your opinion she did not likely have an amniotic
19 fluid embolism?

20 A. The most likely time that it might have
21 occurred would have been in the immediate peri-
22 operative period, perhaps even intraoperatively. She
23 did not manifest with hemorrhagic type fluid, which
24 often occurs, although she did have severe arterial
25 hypoxia, which may have been with amniotic fluid.

1 She did not have cardiovascular changes that
 2 would be associated with it, and she did not manifest
 3 severe right heart failure, for example, which would be
 4 associated with the severe pulmonary hypertension that
 5 amniotic fluid embolism usually causes.
 6 So for those reasons, I don't think it is
 7 likely that she had it. However, again I would
 8 reiterate, I don't think you can completely rule out
 9 the possibility of amniotic fluid embolism causing
 10 respiratory failure.
 11 Q. What is the mortality rate generated
 12 associated with amniotic fluid embolism?
 13 A. It is high. I can't tell you precisely
 14 what the literature would say. I have not reviewed
 15 it in many years.
 16 Q. Would you agree it has been reported as high
 17 as ninety percent?
 18 A. I can't disagree but I wouldn't necessarily
 19 agree.
 20 Q. You don't know one way or another?
 21 A. I don't know if it has been reported to be
 22 ninety percent --
 23 Q. Okay.
 24 A. -- or not. I wouldn't phrase it as I don't
 25 know one way or another.

1 Q. It carries a very significant mortality rate,
 2 that disease?
 3 A. I agree with that statement.
 4 Q. I take it in your opinion the cause of the
 5 ARDS was the DIC that she had?
 6 A. I think that is a possibility. I think it is
 7 more likely than not, I think, that aspiration
 8 pneumonia is also a possibility.
 9 MR. SMITH: Could I hear that answer?
 10 (Answer read back by the reporter).
 11 BY MR. CASTRO:
 12 Q. What is the basis of your opinion that
 13 aspiration pneumonia in this case might or could have
 14 caused the ARDS in Brenda McGhee?
 15 A. The clinical features of her respiratory
 16 failure are compatible with aspiration pneumonia.
 17 Dr. Tabora testified that there was no
 18 incidence of regurgitation nor, therefore, the
 19 possibility of aspiration.
 20 However, Jerry McGhee, in his testimony,
 21 described induction of anesthesia with a technique that
 22 would be compatible with regurgitation and silent
 23 aspiration, that is, neither Tabora nor McGhee
 24 described the application of cricoid pressure during
 25 the induction of general anesthesia, and McGhee

1 actually described the use of positive pressure by
 2 mask, I believe, after the patient had general
 3 anesthesia induced but before the tracheal tube was
 4 inserted.
 5 So although I don't think it is more likely
 6 than not that she aspirated, certainly the testimony by
 7 both Tabora and McGhee would be compatible with that.
 8 Q. Do you have any criticism of the technique
 9 used by Dr. Tabora in intubating Brenda McGhee?
 10 A. If cricoid pressure was not applied, then I
 11 would be critical of the technique, because that, in
 12 fact, was not then an appropriate and correct
 13 application of rapid sequence induction.
 14 Q. In your opinion, the most likely cause of the
 15 ARDS is the DIC, more probably than not, is that
 16 correct?
 17 A. That is what I stated, yes.
 18 Q. Although you cannot rule out completely
 19 aspiration pneumonia as a possible cause?
 20 A. That is correct.
 21 Q. What are the clinical features associated
 22 with aspiration pneumonia in this case?
 23 A. Tachypnea; dyspnea; hypoxemia, somewhat
 24 refractory to oxygen therapy and requiring positive
 25 pressure.

1 Q. Those are the same clinical findings that are
 2 found with ARDS?
 3 A. Exactly, because ARDS can be caused by
 4 aspiration pneumonia.
 5 Some would claim if you can make a precise
 6 diagnosis such as DIC, aspiration pneumonia or
 7 whatever, then you cannot make the diagnosis of ARDS
 8 because that, in fact, is not the case if you know what
 9 caused the respiratory failure.
 10 Many would say that ARDS is caused by those
 11 things, so it depends which definition is used. I
 12 would define ARDS as being caused by many different
 13 things.
 14 Q. Okay.
 15 A. And the fact, you know, etiologic factor does
 16 not rule out that diagnosis.
 17 Q. So in this case it is your opinion that the
 18 patient had ARDS and that it was most likely caused by
 19 the DIC, correct?
 20 A. That is correct.
 21 Q. Are you able to state one way or another with
 22 reasonable degree of medical certainty whether cricoid
 23 pressure was used in this case?
 24 A. I cannot state with certainty that it was or
 25 was not used. I don't recall that that question was

1 ever asked of Dr. Tabora, and it was certainly not
2 described.

3 Q. What is cricoid pressure, doctor?

4 A. Pressure on the cricoid.

5 Q. Okay, when is that generally applied in the
6 case of induction similar to this situation?

7 A. With rapid sequence induction, pressure would
8 be applied to the cricoid cartilage at the time of
9 induction, at the time of injection of the barbiturate,
10 and prior to injection of the muscle relaxant.

11 Q. You say it is something that is routinely
12 done in all inductions, or something that is done when
13 doing a rapid sequence induction?

14 A. The latter.

15 Q. What is the purpose of the cricoid pressure?

16 A. To prevent regurgitation of gastric contents
17 into the oropharynx.

18 Q. Why is the not done generally with routine
19 induction?

20 A. Because risk of regurgitation of gastro
21 contents into the oropharynx is minimal into normal --
22 patients who don't have increased intra-abdominal
23 pressure or who have not eaten within the previous few
24 hours, and who, therefore, would not have an increase
25 in gastric contents.

1 Q. Do you know when the last time Brenda McGhee
2 had eaten?

3 A. No.

4 Q. Does the timing of when Brenda McGhee last
5 ate affect risk associated with aspiration pneumonitis?

6 A. No, not in her.

7 Q. Why?

8 A. Because she was pregnant.

9 Q. Why does pregnancy have nothing -- why would
10 that not affect the pregnant woman?

11 A. She had markedly increased intra-abdominal
12 pressure because of the enlarged uterus and she had
13 delayed gastric emptying time because of her pregnancy,
14 and also probably because of the anxiety and pain that
15 she was experiencing. So she would have to be
16 considered to have delayed gastric emptying and what we
17 would refer to as a full stomach.

18 Q. Can the -- strike that.

19 Are there radiographic findings associated
20 with aspiration pneumonitis such that that diagnosis
21 can be made on chest x-ray?

22 A. Not really.

23 Q. So there is no way to differentiate in chest
24 x-ray aspiration pneumonitis versus ARDS?

25 A. As I stated before, aspiration pneumonitis

1 is a form of ARDS, according to some definitions.

2 There would be some who would attempt to make a
3 diagnosis of aspiration pneumonitis based on
4 distribution of the pulmonary edema, but that is not at
5 all reliable.

6 And x-ray findings of aspiration pneumonitis
7 are extremely variable and not reliable in either
8 making a diagnosis or ruling out the diagnosis.

9 Q. Is regurgitation something that
0 anesthesiologists can note?

1 A. It is possible.

2 Q. Is it generally the situation?

3 A. Well, generally the patients don't
4 regurgitate.

5 Q. But on those occasions when there is
6 regurgitation, is that something that generally an
7 anesthesiologist will make note of?

8 MR. SIRACUSA: Objection to foundation.

9 Go ahead.

10 A. If the regurgitated volume is sufficient for
11 them to notice it, then they would certainly make note
12 of that in the anesthesia record, more often than not.

13 On the other hand, it is well known small
14 amounts of material may be regurgitated and might go
15 unnoticed by the anesthesiologist.

1 Q. Would a small amount of regurgitation cause
2 the degree of lung injury, in your opinion, that Brenda
3 McGhee suffered?

4 MR. SIRACUSA: Objection to foundation.

5 BY MR. CASTRO:

6 Q. More likely than not?

7 A. Probably a, quote, small, unquote amount of
8 regurgitation would not usually be associated with
9 massive lung injury of the sort that was exhibited by
0 Brenda McGhee.

1 However, if one compounds the lung injury
2 that she had early in the morning, and then the fact
3 that she was permitted to breathe spontaneously with
4 essentially no appropriate therapy for many hours after
5 that, it is known that an exacerbation of the lung
6 injury may occur.

7 And it is possible that she began with a
8 relatively small lung injury, enough to cause severe
9 hypoxemia, but not enough to cause profound pulmonary
0 edema, which then progressed over the next eight hours
1 to the point that she emergently required intubation in
2 the intensive care unit.

MR. SMITH: Could you read that answer
3 back.

(Last answer read back by the reporter).

1 BY MR. CASTRO:

2 Q. Doctor, you indicated that was possible but
3 you cannot state with reasonable degree of medical
4 certainty that sequence of events was more likely than
5 not the mechanism of her acute lung injury, is that
6 correct?

7 A. Well, as I stated, I can't say more likely
8 than not that she aspirated, so that would follow.

9 Q. Do you have an opinion as to the cause of the
10 DIC in this case?

11 A. I believe that the DIC was more likely than
12 not secondary to an abruption of her placenta.

13 Q. What do you base that on?

14 A. The chart review, the pathological diagnosis
15 as being compatible with, although not diagnostic of,
16 abruption.

17 And the fact that she had evidence of an
18 impending DIC prior to her delivery, with beginning
19 resolution following her delivery.

20 Q. When, in your opinion, did Brenda McGhee
21 develop DIC?

22 A. I don't believe it is possible to put a
23 precise time on it. We can say that she had a
24 decreasing fibrinogen and probably decreasing platelet
25 count prior to her Cesarean section, and that certainly

1 would be considered a program for DIC.

2 Whether or not the actual diagnosis could be
3 made at that time, her platelet count didn't halt at
4 that time or fibrinogen.

5 I don't know if she had fibrinogen split
6 products done at that time, I don't think she did. And
7 you -- we would need to know those levels before
8 diagnosis could be certain.

9 Q. So to reasonable degree of medical certainty,
10 you cannot say that the diagnosis could be made of DIC
11 prior to the time of surgery?

12 A. I don't think you could make the diagnosis of
13 DIC prior to it. However, in retrospect, the
14 laboratory work would certainly be consistent with an
15 impending DIC, if not an established DIC, prior to
16 Cesarean section.

17 Q. All right. Is sepsis a cause of ARDS?

18 A. It is one of the many things that have been
19 considered to be etiologic for ARDS.

20 Q. Do you have an opinion whether or not
21 plaintiff had any evidence of sepsis on or before
22 April 6th?

23 A. Well, sepsis is a rather general term. I
24 don't believe she had evidence for generalized
25 septicemia, certainly.

1 And although there may have been a
2 possibility that she had bronchitis, I don't think even
3 by any stretch of the definition of sepsis one could
4 consider that she was septic from the bronchitis prior
5 to her Cesarean section.

6 Q. So in your opinion sepsis would not have been
7 a contributing etiology to the development of her ARDS
8 in this case?

9 A. I think that is highly unlikely.

10 Q. You cannot rule that out, though?

11 A. I think I can come as close to ruling it out
12 as possible.

13 Q. Okay. And how can you do that?

14 A. I don't think that bronchitis is -- I am not
15 familiar with that ever being a precipitating event for
16 ARDS.

17 I would like to go back and correct one of my
18 earlier answers. Her fibrinogen split product was
19 elevated by thirty on the sixth, so I think one could
20 make a presumptive diagnosis of early ARDS -- DIC as
21 early as -- and the fibrinogen was below a hundred at
22 that time, as well. So --

23 Q. The increased white blood count in this case,
24 would that be consistent with sepsis?

25 A. Well, of course an increase in white blood

1 cells can occur with sepsis and often does, as does
2 decreased white count, if it is a profound sepsis. So
3 it is consistent with the diagnosis of sepsis.

4 Q. And the fact there is negative cultures in
5 this case would not rule out sepsis, is that right?

6 A. That's correct.

7 Q. That is often seen with patients who are
8 septic, negative cultures?

9 A. It is often seen in those patients, often
10 that is because they are already on antibiotic therapy,
11 as well.

12 Q. And was Brenda McGhee on antibiotic therapy
13 for her bronchitis?

14 A. I think she was.

15 Q. And was her temperature normal?

16 MR. SIRACUSA At the time --

17 BY MR. CASTRO:

18 Q. At the time of admission, do you recall, or
19 preoperatively?

20 A. I don't recall that she had a significant
21 febrile state upon admission, but I don't remember her
22 temperature.

23 Q. I don't remember one, either.

24 You can have a relatively normal temperature
25 and still have evidence of sepsis?

1 A. That is correct.
 2 Prior to Cesarean section her temperature was
 3 ninety-seven degrees. She was not febrile.
 4 Q. Doctor, you read Dr. DeLeon's deposition, is
 5 that correct?
 6 A. That is correct.
 7 Q. Do you recall Dr. DeLeon testifying that
 8 anemia does not cause ~~or~~ contribute to ARDS?
 9 A. Mmm, I don't have independent recall of that.
 10 I'll be happy to review my notes of DeLeon's deposition
 11 transcript review and see if I had that in my notes.
 12 Your question was do I recall DeLeon saying
 13 what, I'm sorry?
 14 Q. That anemia does not cause or contribute to
 15 the development of ARDS?
 16 A. I would not dispute that but I don't remember
 17 him testifying one way or another on that particular --
 18 Q. In your opinion, you would agree that anemia
 19 does not cause ~~or~~ contribute to ARDS?
 20 A. No, I think that is just too generalized a
 21 statement for me to say I agree with it.
 22 Q. Okay.
 23 A. Because it is not true. It *can*. It is not a
 24 direct contributing cause. But because hemoglobin is
 25 extremely important for carrying oxygen, and picking up

1 oxygen in the lung, then anemia *can* be a contributing
 2 factor in the manifestation of and seriousness of ARDS.
 3 Q. Do you recall Dr. Matthay's testimony where
 4 he indicated anemia alone does not cause ARDS?
 5 A. I don't remember that.
 6 Q. In your opinion, would you agree with the
 7 statement that anemia alone does not cause ARDS?
 8 A. Anemia, in the absence of any other
 9 pathologic features, to my knowledge, has not been
 10 described as an etiologic factor for ARDS.
 11 Q. And why would anemia alone not cause ARDS?
 12 A. That is -- that is a question that I think
 13 would be impossible to sensibly answer, why would it
 14 not cause ARDS?
 15 Q. Let me rephrase it then. Okay?
 16 When we use the term anemia, that would
 17 include both chronic, patients with chronic anemia, as
 18 well as acute anemia, is that correct?
 19 A. Well, only if you specified it, I *guess*. If
 20 you don't specify it, then it is not clear whether you
 21 *are* referring to acute or chronic.
 22 Q. Would you agree with the statement acute
 23 anemia does not cause -- alone, in and of itself, does
 24 not cause ARDS?
 25 A. Of course, you can't develop acute anemia

1 by itself, that is not a feature of any pathologic
 2 syndrome that I **am** aware of.
 3 In other words, sudden blood loss in an adult
 4 human, or just in a human, doesn't occur, absent some
 5 other traumatic event.
 6 Chronic anemia *can*, and I don't know of any
 7 mechanism by which that could be assumed to cause lung
 8 injury.
 9 Q. Do you recall Dr. Hanlon testifying that
 10 anemia does not cause ~~or~~ contribute to the development
 11 of ARDS?
 12 A. Could you repeat your question?
 13 Q. Sure.
 14 A. Please.
 15 Q. Do you recall Dr. Hanlon testifying that
 16 anemia did not cause or contribute to ARDS?
 17 A. In this patient?
 18 Q. Yes.
 19 A. I don't recall one way or another.
 20 Q. Do you recall that testimony, in general,
 21 that anemia does not cause ~~or~~ contribute to the
 22 development of ARDS?
 23 MR. SIRACUSA: What do you mean, in general?
 24 By anyone in this case?
 25

1 BY MR. CASTRO:
 2 Q. Not related to this case.
 3 A. I don't recall that testimony, but I would
 4 not dispute it.
 5 Q. You don't have anything of that nature
 6 regarding that testimony in your notes about Dr.
 7 Hanlon's deposition, do you?
 8 A. My notes do not reflect that was so testified
 9 to.
 10 Q. Would that be something of importance
 11 regarding the consultant's opinions with regard to
 12 whether ~~or~~ not anemia might cause or contribute to
 13 ARDS?
 14 A. Important to who?
 15 Q. To you.
 16 A. An infectious disease expert on the causation
 17 of ARDS would, generally speaking, not be very
 18 important to me, especially if she was a fact witness
 19 and not an expert witness.
 20 Q. How about a pulmonologist, critical care
 21 expert who is a physician --
 22 A. What about it?
 23 Q. -- who is a treating physician in the case
 24 as to the cause of ARDS in a patient he ~~or~~ she was
 25 caring for?

1 MR. SIRACUSA: Would that testimony be
2 significant to him?
3 BY MR. CASTRO:
4 Q. Would it be important to you?
5 A. It is possible, because they might have facts
6 that would be important to me, but their opinion may or
7 may not be important.
8 Q. Okay. In your review of Dr. DeLeon's
9 deposition, you didn't note any opinions Dr. DeLeon
10 had with regard to anemia being a cause of ARDS, is
11 that your --
12 A. I thought you stated that he testified that
13 he didn't think anemia was.
14 Q. That is what I said you are to assume, but
15 your notes don't reflect any such testimony you
16 recorded as being important?
17 A. I think that is probably accurate.
18 Q. Doctor, in any of the articles you published
19 have you ever listed anemia as a contributing cause of
20 ARDS?
21 A. Certainly not a sole cause.
22 Q. Okay. How about contributing cause, in any
23 of the articles you published?
24 A. It is possible that there was a discussion of
25 anemia as a contributing factor to hypoxemia and to

1 inadequacy of oxygen delivery, but I don't recall
2 specifically. I haven't recalled most articles I have
3 written for a long time, so ...
4 Q. Other than the two articles which you
5 provided to us today, are you aware of any other
6 articles that lists anemia as a predisposing cause to
7 ARDS?
8 MS. FOX: Object to the form of the
9 question.
10 BY MR. CASTRO:
11 Q. Strike that.
12 Are you aware of any articles that indicate
13 anemia as a contributing cause to the development of
14 ARDS?
15 A. I don't know if there are or not.
16 Q. Do you recall Dr. DeLeon testifying that
17 hypoxia does not cause or contribute to the development
18 of ARDS?
19 A. I think I do remember a statement of that
20 sort.
21 Q. That is not contained in your notes regarding
22 your review of her deposition -- or his or her
23 deposition?
24 A. Is that a question?
25 Q. Yes. I don't see it, but I just want to make

1 sure it is not there, that I'm missing it?
2 A. Certainly not by that forum it is not.
3 Q. Is there by any forum?
4 A. No, I don't think so.
5 Q. Would you agree with the statement that
6 hypoxia does not cause or contribute to the development
7 of ARDS?
8 A. No, I wouldn't. I think it is wrong.
9 Q. Would you agree that hypoxia alone does not
0 cause ARDS?
1 A. Well, there is no such thing as hypoxia
2 alone. That is an impossible situation.
3 Q. Are you aware of experimental studies with
4 animals, doctor, where animals were put into states of
5 severe hypoxia and animals did not develop any ARDS?
6 A. Well, hypoxia is a general term and so that
7 doesn't make sense because you are not describing where
8 the hypoxia was.
9 And I am familiar with several situations
0 where hypoxemia can lead to lung injury. And also
1 where breathing hypoxic mixtures can lead to lung
2 injury.
3 Now, does that mean somebody could publish an
4 article and show that hypoxia of some tissue somewhere
5 isn't associated with lung injury, I suspect that is

1 probably true that there is such an article somewhere,
2 but I don't know specifically what you are referring
3 to.
4 Q. You are not aware of animal studies that
5 establish hypoxemia and reported in the literature that
6 hypoxemia does not cause or contribute to the
7 development of ARDS?
8 A. Well, one wouldn't expect --
9 MR. SIRACUSA: Well, the only question is
0 are you aware of such a study?
1 THE DEPONENT: But his question is
2 sufficiently vague that I can't answer it yes or no.
3 BY MR. CASTRO:
4 Q. Answer it any way you want to, doctor.
5 A. I don't think your question is answerable
6 in the sense that hypoxemia is not a cause of ARDS. I
7 believe that that is possible.
8 Q. What is possible?
9 A. That hypoxemia could be created without
0 causing lung injury. I'm not aware of a study that did
1 just that.
2 Q. Do any of your articles list hypoxia or
3 hypoxemia as a cause of ARDS?
4 A. Well, depends upon what your definition of
5 hypoxia is. I would have to say yes.

1 Q. What is your definition of hypoxia?
 2 A. Low oxygen tension with a site undefined.
 3 Q. What is your definition of hypoxemia?
 4 A. Low oxygen tension in the blood without
 5 specifying whether arterial or venous.
 6 Q. Do **your** articles list hypoxia, or hypoxemia,
 7 or both, as cause of ARDS?
 8 A. That, per se, no, no one would do that. It
 9 is a nonsensical statement.
 10 Q. In any of **your** articles have you ever
 11 reported that hypoxia causes ARDS?
 12 A. Well, it is entirely possible that tissue
 13 hypoxia of some sort might be a cause of lung injury
 14 and, yes, that **has** been reported and it has been
 15 reported in some of my articles, but not under the term
 16 hypoxia alone as causing ARDS.
 17 Q. Under the term tissue hypoxia have you
 18 reported it as being a contributing cause of ARDS?
 19 A. I think that that could be a potential cause.
 20 And tissue could either be cardiac, pulmonary, or maybe
 21 even peripheral tissue, and certainly could be brain.
 22 It is well known hypoxia of the brain *can* cause
 23 pulmonary injury.
 24 MR. SIRACUSA: Let me take two minutes.
 25 (Recess taken).

1 BY MR. CASTRO:
 2 Q. Doctor, in the literature, what is the
 3 general mortality rate -- strike that.
 4 Would you agree that the reported mortality
 5 rate associated with ARDS is generally sixty to seventy
 6 percent?
 7 A. It is as high as eighty percent.
 8 If you look at overall populations, it is as
 9 low as twenty percent.
 10 Q. In fact, associated with sepsis, it may even
 11 be as high as ninety percent, is that right?
 12 MR. SIRACUSA Reported?
 13 BY MR. CASTRO:
 14 Q. Reported.
 15 A. That **has** been reported.
 16 Q. The general mortality rate associated with
 17 ARDS, though, is generally reported as greater than
 18 fifty percent?
 19 A. It has been reported as greater than fifty
 20 percent in many articles since the mid 1970's.
 21 However, it has recently, I think, been
 22 agreed upon that that -- although that is a general
 23 reported mortality rate, it need not be that high, and
 24 certainly has been reported to be lower than that.
 25 Q. Would you agree that **DIC** has been shown or is

1 correlated with the poor prognosis in ARDS patients?
 2 A. I don't know if it has been shown but I
 3 wouldn't agree it is associated with poor prognosis
 4 except for the fact it is usually associated with
 5 multiple organ failure and usually occurs in sicker
 6 patients, *so*, therefore, it would be associated with a
 7 higher morbidity and mortality.
 8 But DIC alone would not necessarily portend
 9 a bad prognosis.
 10 Q. But generally, DIC in patients with ARDS have
 11 been associated with a higher morbidity and mortality
 12 rate?
 13 A. Generally in the literature, that is a true
 14 statement.
 15 Q. Would you agree that patients can develop
 16 adult respiratory distress syndrome even with
 17 appropriate care?
 18 A. Sure.
 19 Q. Okay. Would you agree that the majority of
 20 patients who develop adult respiratory distress
 21 syndrome will die even with appropriate care?
 22 A. Absolutely not.
 23 Q. Okay. What is the basis of that statement,
 24 doctor?
 25 A. My experience.

1 Q. Anything other than your experience the basis
 2 of that statement?
 3 A. Sure, some of the literature would also
 4 support that.
 5 Q. Some of the literature would support the
 6 statement I made, is that correct?
 7 A. No, I wouldn't necessarily say it supports
 8 it. Some of the literature would say the mortality
 9 would be greater than fifty percent but it doesn't
 10 necessarily indicate that the care has been appropriate
 11 in such studies.
 12 Q. In the articles that are published is it your
 13 understanding that the care is inappropriate?
 14 MR. SIRACUSA: Object to foundation, without
 15 referring to specific article.
 16 A. No, and I didn't say that.
 17 BY MR. CASTRO:
 18 Q. Okay.
 19 A. Generally speaking, the care isn't outlined
 20 very accurately in the published series.
 21 Q. Would you agree that the fact a patient
 22 develops ARDS from DIC does not mean inappropriate care
 23 was rendered?
 24 A. That is correct.
 25 Q. Would you agree the fact that patient dies

1 from ARDS does not mean inappropriate care was given?

2 A. That, in and of itself, doesn't mean that,
3 but it doesn't indicate inappropriate *care* wasn't also
4 applied or that appropriate *care* is withheld.

5 Q. Would you agree that anemia is not reported
6 - has not been - been reported as a poor prognostic
7 factor in patients with ARDS?

8 A. I wouldn't agree with that. I don't know
9 whether it has been or not.

10 Q. You are not aware of any articles that lists
11 anemia as a poor prognostic factor in patients with
12 ARDS?

13 A. I would say that anemia is almost a hundred
14 percent of the time associated with ARDS, so that it
15 wouldn't be one way or another.

16 Q. To answer my question, though, you are not
17 aware of anemia ever being listed as a poor prognostic
18 factor with ARDS?

19 A. Well, I did answer your question. I think
20 the written transcript will show that.

21 If it is associated with ARDS nearly one
22 hundred percent of the time, then it cannot be used as
23 a discriminating factor, but it would be associated
24 with people with poor prognosis as well as those people
25 with a good prognosis.

1 Q. So in almost a hundred percent of the cases
2 anemia is almost uniformly associated with ARDS or
3 found in patients with ARDS?

4 A. I think that is probably not an accurate
5 representation of what I said.

6 Patients with ARDS almost all of the time
7 will have some degree of anemia is what I stated. If I
8 didn't, then I will correct myself.

9 Q. Okay. Are you aware of any articles that
10 indicate hypoxia or hypoxemia is a poor prognostic
11 factor in patients with ARDS?

12 A. Yes. Several of the articles would indicate
13 that the more severe the hypoxemia, arterial hypoxemia,
14 which is not the same thing as hypoxia so they are not
15 equivalent, even though the question indicates that they
16 might be, arterial hypoxemia, the degree of that would
17 indicate to some degree what the prognosis might be in
18 some of the written articles.

19 Q. what, you have indicated there are degrees of
20 hypoxemia, correct?

21 A. Sure.

22 Q. What is considered severe hypoxemia, arterial
23 hypoxemia?

24 A. That would vary from individual to
25 individual.

1 Q. Generally can you give me a range that you
2 would consider to be severe arterial hypoxemia?

3 A. I don't like the term "severe" particularly
4 and it could be variable and it could depend on the
5 clinical situation.

6 If one resides in Denver, arterial oxygen
7 tension well might be around sixty or slightly below,
8 and that would be a degree of hypoxemia that would not
9 be considered severe in Denver.

0 Q. Let's talk about people in Chicago at that
1 elevation.

2 A. In Chicago if one had a PO2 below sixty and
3 you are a perfectly normal individual and no reason for
4 having hypoxemia, you would consider that very
5 disturbing and perhaps even a severe hypoxemia.

6 But if it is in somebody with severe
7 obstructive lung disease with chronic hypoxemia for
8 many years, then it would become relative again and you
9 would say that is not severe hypoxemia.

0 So you would have to add a lot more
1 qualifiers to it before I could tell you a number that
2 would be considered severe arterial hypoxemia.

3 However, in any human being, a PO2 of forty
4 in arterial blood would be considered severe hypoxemia.

5 Q. I was about to ask you, in your opinion,

1 would you consider a PO2 of forty-six in Brenda McGhee
2 to indicate severe hypoxemia?

3 A. Sure.

4 Q. Okay. In your opinion then, the PO2 of
5 forty-six at eight-fifteen would be a very poor
6 prognostic indicator for her outcome, is that correct?

7 A. Oh, no, I wouldn't agree with that at all.
8 You asked me if I was aware literature said that, and I
9 said yes.

0 In fact, her PO2 of forty-six, breathing less
1 than a hundred percent oxygen spontaneously, would not,
2 in my opinion, indicate a poor prognosis at all.

3 The prognosis would depend upon the treatment
4 of her hypoxemia and not on the degree of hypoxemia
5 itself.

6 Now, in the absence of any treatment
7 whatsoever, which is what she got for her hypoxemia,
8 I would agree a PO2 of forty-six was a poor prognostic
9 sign.

0 Q. Would you agree, doctor, that over the past
1 twenty years there has been a lot of research in the
2 area of ARDS?

3 A. A lot is very subjective. Yes.

4 Q. Okay. Well, would you agree that there have
5 been advances in intensive care over the past twenty

1 years?

2 A. Some.

3 Q. Okay. Would **you** agree that despite the
4 advances in intensive care medicine, that the mortality
5 rate from ARDS has not significantly changed?

6 MR. SIRACUSA: In twenty years?-

7 BY MR. CASTRO:

8 Q. In the past twenty years?

9 A. No, I would not agree with that.

10 Q. Are **you** aware of articles that indicate that?

11 A. Yes.

12 Q. But **you** would disagree with the authors of
13 those articles?

14 A. Yes, I would.

15 Q. Would you agree that not all patients with
16 acute lung injury are mechanically ventilated or
17 intubated?

18 A. Sure.

19 Q. Would **you** agree that the clinical recognition
20 of adult respiratory distress syndrome is often
21 considerably delayed from the time the initial alveoli
22 capillary microstructure is initially injured?

23 A. I'm sure that is true in some cases. This is
24 one fact.

25 Q. Putting it a little simpler, the diagnosis is

1 commonly made much later than when the initial acute
2 injury takes place, is that correct?

3 MR. SIRACUSA: Object to foundation.

4 A. That is probably an accurate statement.

5 BY MR. CASTRO:

6 Q. An accurate? Or inaccurate?

7 A. It is an accurate statement.

8 Q. Would **you** agree that adult respiratory
9 distress syndrome can develop quickly within hours
10 after the inciting clinical event?

11 A. Yes.

12 Q. Would you agree that it had been hoped that
13 therapy could be instituted before the onset of ARDS to
14 alleviate the severity of the acute lung injury, but
15 that -- that objective is difficult to obtain because
16 of the acute onset of -- after the inciting event?

17 A. That doesn't make sense.

18 MR. SIRACUSA: Wait.

19 A. Maybe because of inflection.

20 BY MR. CASTRO:

21 Q. All right. The goal of medicine is to try to
22 get treatment started before the onset of ARDS, is that
23 correct?

24 A. That is impossible. That is nonsensical.

25 You wouldn't initiate therapy for something before it

1 starts.

2 Q. Let me read **you** this sentence:

3 "It had been hoped that therapy could be
4 instituted before the onset of ARDS to alleviate the
5 severity of acute lung injury."

6 Would **you** agree that sentence is nonsensical?

7 MR. SIRACUSA: Objection.

8 A. I think that is a nonsensical --

9 MR. CASTRO: Let him finish his answer.

0 MR. SIRACUSA: Let me object before he
1 answers. I object. "It had been hoped"? There is no
2 -- it is vague. It doesn't make sense.

3 A. You have obviously taken a quotation from
4 somebody's publication out of context, and in the
5 context that we are now hearing it, it doesn't make
6 very much sense because it assumes that ARDS is
7 separate from the inciting insult and the subsequent
8 lung injury.

9 In other words, that sentence would probably
10 assume that ARDS can't be diagnosed until somebody sees
11 it and makes a diagnosis, which obviously would have to
12 come before any therapy could be instituted.

13 BY MR. CASTRO:

14 Q. Okay.

15 A. So as stated, I think it is a nonsensical

1 sentence.

2 Q. Then if it was followed by the sentence,
3 "However, it may be difficult to achieve that objective
4 in many patients because of the time lag between the
5 inciting event and --"

6 A. No.

7 MR. SIRACUSA: Wait.

8 BY MR. CASTRO:

9 Q. Would **you** also agree it is a nonsensical
10 sentence?

11 A. No, not necessarily because probably what it
12 is stating is the lung injury and ARDS follow within a
13 matter of seconds. Just the diagnosis is going to be
14 delayed.

15 Q. Okay. So in your opinion, ARDS can follow
16 within a matter of seconds after the inciting event
17 begins?

18 A. Well, if you recall back when you asked me to
19 define ARDS, if you go by the definition that I gave
20 you, yes.

21 If you go by the definition that might be
22 found in the literature in other instances that says
23 you have to have chest x-ray findings and you have to
24 have PO2 at a certain amount, then I would say no,
25 that it wouldn't, because you are not going to get

1 chest x-ray findings immediately and so on.
 2 It depends upon how you define ARDS.
 3 Q. In the way you define ARDS, ARDS follows as
 4 quickly as seconds after the inciting event?
 5 MR. SIRACUSA: Can follow?
 6 A. It is possible.
 7 BY MR. CASTRO:
 8 Q. Okay. Does it generally happen?
 9 A. Well, I don't think that is answerable
 10 because it would depend upon the inciting event that
 11 you were going to give me in the hypothetical.
 12 Q. How about DIC as the inciting event?
 13 A. You can have DIC without any lung injury so
 14 it is possible you wouldn't. If you had DIC that
 15 causes lung injury, causes pulmonary hypertension and
 16 so on, that, by definition, is ARDS.
 17 Q. Does that -- obviously not all cases of DIC
 18 go on to lead to ARDS, you already stated that,
 19 correct?
 20 A. That is correct.
 21 Q. My question, though, is is when those
 22 situations do arise where the DIC is the inciting
 23 event for the development of ARDS, does the ARDS
 24 generally develop within a very brief period of time,
 25 within the first hour?

1 A. After what?
 2 Q. After the DIC begins?
 3 A. Not necessarily. The DIC may be there for
 4 days before the lung is injured.
 5 Q. Would you agree that no therapy has been
 6 found to ameliorate the underlying injury after it
 7 occurs in patients with ARDS?
 8 A. No, I would not agree.
 9 Q. Would you consider that statement to be
 10 nonsensical?
 11 A. No, I wouldn't consider it nonsensical. I
 12 just don't think it is accurate.
 13 Q. Would you agree with the statement as result
 14 of the fact that no therapy has been found to
 15 ameliorate the underlying injury after it occurs in
 16 patients with ARDS, that therapy for ARDS is limited to
 17 supportive care?
 18 MR. SIRACUSA: I think it depends on what
 19 underlying injury you are talking about. It is not
 20 identified in that statement.
 21 I don't know how you can ask him to agree or
 22 disagree with the question.
 23 BY MR. CASTRO:
 24 Q. Doctor?
 25 A. The first part of the sentence, you are --

1 it makes a statement that I believe is false.
 2 And the second part of the statement is that
 3 any therapy is going to be supportive, I actually agree
 4 with. But I -- but it doesn't -- A doesn't follow B.
 5 Q. You agree the treatment for ARDS is limited
 6 supportive care?
 7 A. Generally speaking, that is true.
 8 Q. When you say "generally speaking," what do
 9 you mean?
 10 A. Well, it depends upon what the etiologic
 11 factor is. For example, if we know that DIC is the
 12 etiologic factor and you don't treat the DIC, and it
 13 continues, then lung injury continues, then -- then
 14 you would -- you are withholding therapy.
 15 If you treat the DIC and the lung injury
 16 stops, that is not supportive therapy, that is
 17 definitive therapy.
 18 If you had somebody who continues to aspirate
 19 day after day after day and you stopped the aspiration
 20 by doing gastrostomy, that is definitive therapy, it is
 21 not supportive.
 22 That is not what the author of your quotation
 23 is intending, however.
 24 Q. Let me be more specific then, doctor. In the
 25 patient who is DIC and you treat the DIC and the

1 patient continues to manifest ARDS, the treatment for
 2 the ARDS then is supportive care, is that correct?
 3 A. The appropriate therapy for the ARDS would be
 4 considered supportive care.
 5 Q. Would you agree there is no way to prevent a
 6 patient from developing ARDS?
 7 A. No, I would not agree.
 8 Q. How do you prevent it from developing?
 9 MR. SIRACUSA: What is the etiologic factor?
 10 The question is vague.
 11 BY MR. CASTRO:
 12 Q. Any etiologic?
 13 A. Prevent them from aspirating, from getting
 14 DIC, you prevent them from getting septic and so on
 15 down the line for every etiologic factor you would
 16 consider causative of the ARDS.
 17 Q. Is there any way to prevent a patient with
 18 DIC from developing ARDS?
 19 A. Yes, you stop DIC before the ARDS develops
 20 and you will prevent the ARDS from developing.
 21 Q. Okay. Oftentimes would you -- would you
 22 agree that oftentimes it is difficult to treat the
 23 DIC before ARDS develops in a patient?
 24 MR. SIRACUSA: Object to form.
 25

1 BY MR. CASTRO:

2 Q Because of the closeness in time to the
3 inciting event?

4 MR. SIRACUSA: Object to form.

5 A. Well, I might agree with your statement. I
6 don't know if often would be true.

7 But it might be difficult to treat the DIC.

8 But it isn't because of the proximity of the ARDS to
9 DIC, necessarily, it is just because DIC is sometimes
10 difficult to treat.

11 The DIC is a result of some other event and
12 that has to be treated. You don't treat the DIC
13 primarily, you treat whatever triggers DIC.

14 Q Eventuating the inciting event such as an
15 abruption with removal of the fetus and placenta, it
16 may be difficult to prevent the development of ARDS in
17 those type of patients, is that correct?

18 A. Well, that is a possibility.

19 'But as we have already covered, ARDS does not
20 commonly follow abruption in DIC.

21 Q. What is a mechanism -- strike that.

22 What is the cause of death when patients die
23 of adult respiratory distress syndrome?

24 A. Usually it is multiple organ failure but not
25 always. Very frequently it is sepsis and shock. There

1 is a myriad of different things that cause death.

2 Q. When we say multiple organ failure, what are
3 we talking about, doctor?

4 A. More than one organ system failing at the
5 time.

6 Q. Generally what type of organs go into failure
7 in patients with ARDS?

8 A. Kidney, liver, heart, brain, lungs, gut.

9 Q. Would you agree that, although widely
10 accepted, there is little scientific evidence to
11 indicate endotracheal intubation or mechanical
12 ventilation will prevent or even slow onset of ARDS?

13 A. No.

14 Q. Why not, doctor?

15 A. That is a statement that is reported
16 particularly in the pulmonary literature by a few so-
17 called authorities and experts, and I just don't agree
18 that it is true.

19 I think there is pretty good evidence that
20 supportive treatment of the lung-injured patient can
21 result in improvement in oxygenation and outcome.

22 Q. How long have you been of that opinion?

23 A. But -- but let me finish, I don't believe
24 that just sticking a tube in somebody and hooking them
25 up to a ventilator, in and of itself, will cause any

1 change. There has to be someone that is manipulating
2 the ventilator that knows what they are doing in order
3 to be able to make that statement accurate.

4 Q. How long have you been of the opinion that
5 statement would be inaccurate?

6 A. Since 1973, approximately.

7 Q. Would you agree that even when positive and
8 expiratory pressure, commonly known as PEEP, is added
9 to the ventilator -- mechanical ventilator of high risk
0 patients, ARDS may or may not be prevented or severely
1 decreased?

2 A. Well, I would agree that PEEP does not
3 prevent ARDS. And the severity of ARDS may continue in
4 spite of the addition of PEEP. But PEEP, I would still
5 consider, to be supportive therapy for ARDS.

6 Q. Has there been any showing that PEEP reduces
7 the mortality of patients with ARDS?

8 A. Depends upon what you mean by show. Since
9 there has never been a blinded study and there has
never been a comparison, the answer would be no.

10 But then, nobody has shown that the sun will
11 rise tomorrow. But we have pretty good evidence that
12 it will, even though nobody has done the experiment to
13 show it will, in fact, occur.

So the fact that nobody has done a

1 comparative study doesn't mean that it doesn't exist,
2 and certainly there is supportive evidence that if an
3 individual is treated by protocol, that the severity
4 and mortality of the ARDS will be decreased.

5 And that is certainly in the recent
6 literature, as well as literature as old as fifteen
7 years ago.

8 Q. Are there different types of shock, doctor?

9 A. Sure.

0 Q. Hypovolemic, cardiogenic and septic shock?

1 A. Those are three types.

2 Q. Are there others?

3 A. Probably.

Q. What are the others I haven't named?

5 A. You can have shock from a variety of
6 etiologic factors and they have some common features.

7 And I would say that you can have brain
8 injury that leads to profound hypo-perfusion, for
9 example, and that could be classified as circulatory
0 shock. But so could septic shock. There is wide
1 overlap between those categories you read off.

2 Q. Are there any other general categories
3 describing type of shock other than the three I listed?

4 Are those the generally descriptive terms for
5 the types of shocks reported?

1 A. The three you list again?
 2 Q. Hypovolemic, cardiogenic and septic.
 3 A. I think there is such a wide overlap,
 4 obviously somebody could categorize it that way, but I
 5 don't know that would be an accurate way of
 6 categorizing it.
 7 Q. Do you have an opinion whether or not
 8 plaintiff was ever in hypovolemic shock on April 6th?
 9 A. I don't believe that she was.
 10 Q. What do you base that on?
 11 A. I don't believe she was hypovolemic at any
 12 time of any significant degree.
 13 Q. Do you have an opinion whether or not
 14 plaintiff was ever in cardiogenic shock on April 6th?
 15 A. I doubt very much that she was in cardiogenic
 16 shock.
 17 Q. And I take it it would be your opinion she
 18 was not in septic shock?
 19 A. No, she was not.
 20 Q. Do you have an opinion whether or not
 21 plaintiff was in shock at any time on April 6th?
 22 A. I doubt it.
 23 Q. Why do you say that?
 24 A. I don't know of any evidence that she was in
 25 shock.

1 Q. What evidence would you look for?
 2 A. Inadequate perfusion of peripheral tissues.
 3 Decreased urinary output. Severe and prolonged
 4 hypotension. Severe and prolonged tachycardia.
 5 Decreased sensorium due to inadequate cerebral
 6 perfusion.
 7 Q. And she didn't exhibit any of those?
 8 A. I don't believe so.
 9 Q. Would you agree, doctor, that there is
 10 clinical and experimental evidence suggesting shock
 11 alone is an uncommon cause of severe acute lung injury
 12 in the absence of over-aggressive fluid therapy or some
 13 other complication such as aspiration or sepsis?
 14 A. Yes.
 15 Q. Would you agree that adult respiratory
 16 distress syndrome is infrequently associated with shock
 17 alone?
 18 A. Yes.
 19 Q. Would you agree in most post-traumatic
 20 patients, the adult respiratory distress syndrome is
 21 usually linked to sepsis?
 22 A. Not usually. That means more often than not,
 23 I would assume, in the legal sense. Greater than fifty
 24 percent of the time. And I'm not sure that is true.
 25 It could be in some series but it wouldn't be in all

1 series.
 2 Q. All right. Are you familiar with the reports
 3 of the casualties in Vietnam involving development of
 4 adult respiratory distress syndrome?
 5 A. Many of them.
 6 Q. Would you agree that three different
 7 retrospective reviews of the course of casualties in
 8 Vietnam led to the conclusion that serious
 9 deterioration of pulmonary function seldom occurs until
 10 sepsis develops?
 11 A. Would I agree that three of them said that?
 12 I don't know, you would have to tell me what three you
 13 are talking about. There are hundreds of reports
 14 coming out of Vietnam.
 15 Q. Are you aware of some of the reports
 16 indicating that serious deterioration of pulmonary
 17 function seldom occurred until sepsis developed,
 18 regardless of the number?
 19 A. I wouldn't disagree with that, that there
 20 probably are such reports. But, again, it would depend
 21 upon what type of injury it was.
 22 I wouldn't agree if you take chest wound
 23 injuries, for example, if they took bullets and flack
 24 to the lung, they developed respiratory distress long
 25 before sepsis manifested itself, but that is not what

1 the articles are talking about you are referring
 2 to, I'm sure. And, of course, those aren't exactly
 3 relevant to this case.
 4 There were very few placentas coming out of
 5 Vietnam, as well.
 6 Q. Are you familiar with the articles by a Dr.
 7 Demling involving his research on sheep and putting a
 8 sheep into hemorrhagic shock?
 9 A. What do you mean by familiar?
 10 The fact I know they exist?
 11 Q. Have you read articles over the course of
 12 your years in training?
 13 A. All his articles? Probably not.
 14 Q. Have you read some of his articles involving
 15 his research with putting sheep into hemorrhagic shock
 16 and their effect on lung injury?
 17 A. I have read some of them.
 18 Q. When we talk about hemorrhagic shock, we are
 19 talking about shock from excessive blood loss, is that
 20 right?
 21 A. That is usually what is implied.
 22 Q. Okay. And his research and findings indicate
 23 that the animals subjected to hemorrhagic shock did not
 24 have any evidence of increased microvascular
 25 permeability, is that right?

1 MR. SIRACUSA: ~~Is~~ that what his article said?

2 ~~Is~~ that the question?

3 BY MR. CASTRO:

4 Q. Yes.

5 A. I don't know if that is what all his articles
6 show or not.

7 And I don't know whether we are talking about
8 the articles where he re-infused blood or added
9 crystalloid.

10 And there *are* a number of experiments out
11 there with hemorrhagic shock and lung injury, and I'm
12 not sure that I can separate for you which were
13 Demling's and which were others.

14 And that research has been going on for a lot
15 longer than Demling has been doing research.

16 Q. Generally the finding, though, is that even
17 in those patients who are not resuscitated or
18 re-infused, they still did not go on to develop acute
19 lung injury, is that correct?

20 A. I don't think Demling did any experiments
21 where he subjected patients to hemorrhagic shock, then
22 did not treat them.

23 Q. If that is the case, would you agree that
24 ~~that~~.

25 Your general understanding of the research

1 involved with inducing hemorrhagic shock, some of those
2 studies, they re-infused and resuscitated patients,
3 others they did not and let the shock continue, is that
4 correct?

5 A. Well, in animals, yes. But not in patients.
6 You said patients.

7 Q. Animals, I am sorry, animals, the
8 experimental studies we are talking about?

9 A. Yes, and I don't know, we *are* beating around
10 the bush. I agree with those experiments, if Demling's
11 or not, generally speaking do not show significant
12 development of lung injury.

13 Q. Then we are beating around the bush. Thank
14 you.

15 Doctor, have you — I represent Gottlieb
16 Memorial Hospital in this case. I should have
17 introduced myself earlier, I apologize for that.

18 I want to ask you now regarding opinions you
19 have formulated with regard to this case. And I want
20 to ask them specifically as they deal with my client,
21 which is **Gottlieb** Memorial Hospital. So for purposes
22 of this question, exclude for the moment any opinions
23 you might have developed with regard to Dr. Roth, Dr.
24 Zucker and Dr. Tabora. Okay?

25 A. Yes.

1 Q. Have **you** formed any opinions regarding any

2 deviations from the standard of care **by** Gottlieb

3 Memorial Hospital personnel?

4 A. First let me state that **as** regards the agency
5 question, whether Tabora is an agent of the hospital, I
6 am assuming, based on your request to limit my opinions
7 to hospital personnel, that he is not considered an
8 agent of the hospital and that **will** be a legal, not a
9 medical, determination.

10 Q. All right.

11 A. So my criticism of the hospital personnel
12 would be the inadequate monitoring of the patient's
13 hypoxemic condition by nursing personnel, not in the
14 sense **that** they didn't obtain the information, because
15 they did. But, rather, that they didn't inform the
16 appropriate people so that appropriate intervention
17 and treatment could occur.

18 And **that** would be both the recovery room
19 personnel and the intensive **care** unit personnel,
20 nursing personnel.

21 That was not very articulate. In other
22 words, I think the nurses in the ICU and the recovery
23 room should have been very much aware **that** arterial
24 saturations **as** low as this patient exhibited and **also**
25 supported by blood gas values, should have prompted

1 them to have appropriate medical intervention.

2 Q. Okay. Any other criticisms regarding
3 hospital personnel that you formulated?

4 A. I think that covers it. It may occur to me
5 as we go on, other things may come up and I'll let you
6 know.

7 Q. If it does, thank you.

8 Let's talk specifically then, since the
9 criticism covers both recovery room nurse and the ICU
10 nurse, what I would like to **do** is take each one
11 separately, if that is all right.

12 A. Certainly.

13 Q. In your opinion, the recovery room nurse **o**
14 not appropriately inform the appropriate persons **o** **f** the
15 ~~that~~.

16 What is the exact deviation that Nurse Kriho
17 failed to **do** in the recovery room?

18 A. The patient had a pulse oximeter reading
19 indicating saturations in the high ~~seventies~~, low
20 eighties, initial one being actually being fifty-nine
21 percent.

22 And any recovery room nurse should be aware
23 that those are unacceptable low readings, and perhaps
24 even life-threatening, and should get appropriate
25 intervention.

1 Upon informing Dr. Roth, Zucker or Tabora,
2 and not getting appropriate therapy, it is my opinion
3 that she should have immediately contacted her
4 supervisor, who then should have contacted the
5 appropriate medical personnel to have appropriate
6 intervention taken.

7 However, according to her deposition
8 testimony, she viewed these readings as "a little bit
9 lower than normal," and the PH and blood gas at eight-
10 fifteen of seven-point-two-four as, "a little bit low
11 at seven-point-two-four."

12 Thus indicating to me that she did not
13 appreciate that these were exceedingly low values. And
14 she clearly did not seek more aggressive therapy of the
15 patient but, rather, transferred the patient to the
16 surgical intensive care unit without ensuring that
17 appropriate medical intervention occurred.

18 Q. The appropriate person to inform of the O2
19 saturations would be the anesthesiologist, Dr. Tabora?

20 A. Initially, that would be correct.

21 Q. Your understanding, Dr. Tabora was made aware
22 of all the O2 saturations while the patient was in the
23 recovery room?

24 A. That is correct.

25 Q. And initially, as you have indicated, that

1 would be appropriate care by Nurse Kriho, correct?

2 A. Yes, it would.

3 Q. And Dr. Roth, the patient's attending
4 surgeon, was also in the recovery room for a portion of
5 the time, is that correct?

6 A. He was in the recovery room for a period of
7 time, but there is no indication that he was made aware
8 of the pulse oximeter readings.

9 MR. SMITH: Could I hear the answer?

10 A. To my review.

11 (Last question and answers read back by the
12 reporter).

13 BY MR. CASTRO:

14 Q. The arterial blood gas results that were
15 obtained while the patient was in the recovery room,
16 those were reported by Nurse Kriho to Dr. Tabora, is
17 that correct?

18 A. I believe that is correct because Tabora
19 ordered an increase in the FIO2 in response to those
20 results, indicating, to me, he was aware of them.

21 Q. And that would have been appropriate care on
22 the part of Nurse Kriho to report that information to
23 the anesthesiologist, correct?

24 A. Well, I don't know that she reported it, so
25 -- but it would have been appropriate if she had the

1 information and he didn't, for her to report it to him.

2 Q. And it is also your understanding that Dr.

3 Roth, the attending surgeon, was aware of the O2

4 arterial oxygen level when he was in the recovery room,
5 is that correct?

6 A. I don't remember that. It is possible. I
7 would have to review my notes on his transcript -- on
8 his deposition transcript.

9 Q. Looking at the discharge summary, doctor,

10 Page -- on Page 5, I will hand this to you in a second,

11 if you look at the third paragraph, the second

12 sentence, at least according to the discharge summary

13 it indicates that Dr. Tabora notified Dr. Roth of the

14 PO2 of forty-six when the patient was in the recovery
15 room.

16 If you are looking who prepared it, it was
17 prepared by Dr. Zucker, I believe.

18 A. That is what Dr. Zucker stated. But, of
19 course, that was not dictated until long after that

20 event, so I don't know whether that is an accurate
21 characterization of what really happened or not.

22 Q. Based on the discharge summary, it would
23 indicate, just on this document alone, that the PO2 was
24 reported to Dr. Roth in the recovery room, correct?

25 A. That is what that indicates.

1 Q. Okay. Have you reviewed anything that would
2 suggest contrary to that, that that was not, in fact,
3 reported to him?

4 A. Not that I recall.

5 Q. Okay. Dr. Roth, as the attending surgeon,
6 would also be an appropriate person to advise of the
7 PO2 results, is that correct?

8 A. It would be appropriate for him to be
9 informed of that result.

10 Q. So if I understand your testimony, Nurse

11 Kriho did at least initially report and provide the

12 information, from what you have reviewed, to the

13 anesthesiologist, and possibly to the attending

14 surgeon, when the patient was in the recovery room, is
15 that correct?

16 A. That is possible.

17 Q. Okay. And you have not read or seen anything
18 that would indicate contrary to that, have you?

19 A. No, I haven't.

20 Q. Okay. And so that is the assumption, that at
21 least as far as your review in this case has gone, that
22 is the assumption?

23 A. That she reported it to those two
24 individuals?

25 Q. Yes.

1 A. No, it is not, I'm -- she reported it to
 2 Tabora, more likely than not, and that she possibly
 3 reported it to Roth.
 4 Q. Your criticism then is that while acting
 5 appropriately initially and reporting to the
 6 appropriate people the appropriate information, she
 7 deviated in then not taking action when, in your
 8 opinion, appropriate care was not provided by those
 9 physicians, is that correct?
 10 A. Not quite that way.
 11 I would state that she deviated when she
 12 merely transferred the patient to another nursing care
 13 facility without any appropriate intervention being
 14 taken.
 15 I believe the way you stated it might
 16 indicate I would hold the nurse responsible, if not for
 17 diagnosis, at least for therapy and knowledge of what
 18 appropriate therapy would be. And I would not.
 19 I would state that a nurse in recovery room
 20 should know that a pulse oximeter reading of seventy-
 21 eight percent, which more likely than not has been
 22 confirmed by a blood gas analysis result, that that
 23 nurse would know that that is an unacceptable and
 24 life-threatening situation and should seek appropriate
 25 medical intervention when she did not obtain that from

1 either Tabora, Roth or Zucker initially.
 2 MR. CASTRO: Read that back very slowly to
 3 me, please.
 4 (Last answer read back by the reporter).
 5 BY MR. CASTRO:
 6 Q. Okay. Doctor, just so I can make sure I'm
 7 clear, because of the length of that answer, I'm going
 8 to go back and ask it point by point, just to make sure
 9 I understand what you are saying.
 10 You are not holding Nurse Kriho responsible
 11 or saying she has responsibility of making a medical
 12 diagnosis in this case, is that correct?
 13 A. Correct.
 14 Q. Okay.
 15 A. Only nursing diagnoses.
 16 Q. Which is different than medical diagnoses?
 17 A. Yes.
 18 Q. And you are not holding her responsible for
 19 therapy to be instituted in this case, is that correct?
 20 A. Not for medical therapy, that is correct.
 21 Q. Again, just so I make sure I'm clear, you are
 22 saying that she should have the knowledge sufficient
 23 enough to recognize the severity of the information she
 24 had obtained in the recovery room, and then after
 25 initially reporting that information to the appropriate

1 person, Dr. Tabora, should have then sought appropriate
 2 medical intervention when the three doctors you
 3 mentioned failed to provide such, is that correct?
 4 MS. FOX. Object to the form of the
 5 question because I don't believe the facts are going to
 6 demonstrate Dr. Zucker was there.
 7 MR. CASTRO: I agree. But he just
 8 mentioned him, that is why I'm including him in his
 9 response.
 10 MS. FOX: I think there are facts that
 11 show that won't be in evidence.
 12 A. I don't know if that is precisely what I
 13 stated or not.
 14 I would stick with what I said before. I
 15 believe I stated what I felt was to be the case.
 16 I think that she should seek to inform
 17 appropriate authorities so that appropriate medical
 18 intervention could occur. That would mean she might
 19 contact a nursing supervisor.
 20 The way you reiterated it to me, it would
 21 make it sound like she should contact another doctor,
 22 and I don't think that is necessarily the case.
 23 BY MR. CASTRO:
 24 Q. Okay.
 25 A. Although that would certainly be appropriate,

1 as well. For example, if she contacted the medical
 2 director of the recovery room, that would be an
 3 appropriate step, but so would the nursing supervisor.
 4 Q. So either nursing supervisor, or another
 5 physician, possibly the director of anesthesia -- I
 6 mean director of the recovery room, in your opinion,
 7 was required, by standard of care, for Miss Kriho to
 8 contact after failing to get a response from the
 9 physicians in the recovery room?
 10 A. Well, I didn't say she failed to get a
 11 response. And I really, I'll stand with the way I said
 12 it first.
 13 Q. Okay.
 14 A. Rather than your reiteration of what I said.
 15 Q. She did get, in fact, a response from Dr.
 16 Tabora and Dr. Roth regarding how this patient was
 17 going to be managed, is that correct?
 18 A. I don't know she got a response from Dr. Roth
 19 or not.
 20 Dr. Tabora increased her inspired oxygen
 21 concentration, and I stated that before. He then left
 22 the care of the case. He took himself off of the case.
 23 And Dr. Roth transferred the patient to -- or
 24 left an order to transfer the patient to the ICU, so,
 25 yes, I think there was a response.

1 Q. You disagree with the response by the
2 physicians in this case, correct?
3 A. No, I don't disagree with it. That is what
4 happened.
5 Q. Okay. I'm talking about you disagree with
6 the appropriateness of the response by the physicians
7 in this case?
8 A. I think the initial increase in FIO2 was
9 okay, that there was no further response that was --
10 was appropriate on the part of Tabora, that I do
11 disagree with.
12 And further I don't believe there was an
13 appropriate medical response that was complete by Roth
14 in that all he did was transfer the patient and request
15 a consult from a critical care physician, without any
16 assurance that it would occur in a timely fashion.
17 Q. Nurses are not responsible for determining
18 what tests should be ordered, is that correct?
19 A. Not always, but they certainly can be.
20 Q. Generally speaking, though, tests are ordered
21 by physicians, is that right?
22 A. Yes.
23 Q. And, in fact, you are familiar with the
24 Illinois licensing requirements, nurses can't order
25 medical tests, is that correct?

1 A. I'm not familiar with that. I would have
2 assumed that ARNP's in the state of Illinois could
3 order tests under protocol described by a physician.
4 But if you -- if that is not the case, no.
5 But in the case of recovery room nurse, or
6 ICU nurse, unless it is done by protocol under
7 physician order, I would assume that would be the case.
8 Q. And nurses can't order blood to be given
9 except under physician protocol?
10 A. I assume that is true.
11 Q. Ordering of tests and ordering of blood is
12 the practice of medicine, is that right?
13 MR. SIRACUSA Object to the vagueness of the
14 question.
15 A. Not necessarily.
16 BY MR. CASTRO:
17 Q. What would it be if it is not the practice of
18 medicine?
19 A. Well, I think there are probably some tests
20 that can be ordered by some people that wouldn't
21 necessarily be a practice of medicine.
22 Q. Okay. Ordering arterial blood gases,
23 ordering transfusions of blood, that is generally
24 considered the practice of medicine?
25 A. As far as I know, it is.

1 Q. Okay. And nurses are prohibited from
2 practicing medicine, is that correct?
3 A. Nurses practice nursing. I believe that only
4 people licensed to practice medicine can practice
5 medicine. So, therefore, I guess that would be
6 prohibited, but I don't know if the law states
7 specifically that nurses cannot practice medicine.
8 Q. Would you agree that the nurse can rely, to
9 some degree, on the physician to manage how the patient
0 will be treated?
1 A. Yes.
2 Q. Would you agree that a nurse -- strike that.
3 One of the things a nurse takes into
4 consideration in her nursing care of a patient is the
5 care plan or management that is being developed by the
6 patient's physicians, is that correct?
7 A. I doubt it. I don't know many care plans are
8 developed by physicians, and I sure didn't see any
9 evidence of one in this case.
0 Q. Let me rephrase it then.
1 A. Nurses usually develop care plans, not
2 physicians.
3 Q. Let's take the words "care plan" out. Nurses
4 can rely on the therapy that is going to be implemented
5 by the physicians, is that correct?

1 A. I don't think that sentence, that statement,
2 makes much sense. That they would rely on it? To do
3 what?
4 Q. Well, in determining a sense of patients,
5 their patients' well-being, one of the things they take
6 into consideration is what the physicians have ordered
7 for that patient, correct?
8 A. Well, I don't know if I agree with that.
9 Certainly that is not applicable in this case.
0 Q. Well, let me give I an example. If no orders
1 whatsoever are given for a patient, a nurse may have
2 some sense of alarm, versus if numerous orders,
3 consultants, tests, therapies have been ordered, isn't
4 that correct?
5 MR. SIRACUSA Object to the vagueness of the
6 question.
7 BY MR. CASTRO:
8 Q. Do you understand what I'm asking, doctor?
9 A. I understand your question. It is not
0 applicable in this case.
1 Q. I'm not asking about this particular case,
2 doctor.
3 A. Okay, if you are hypothetical.
4 Q. In general.
5 A. If it does not relate to this case, then I

1 would say yes, general speaking, the nurses could rely
2 upon the physician to relay orders that would be
3 appropriate for their patient's care.

4 Q. And depending upon what those orders may be,
5 might reflect the urgency in which the nurse may or may
6 not go up the chain of command, correct?

7 MR. SIRACUSA The same objection.

8 A. The urgency with which the nurse would go up
9 the chain of command?

10 BY MR. CASTRO:

11 Q. Or whether or not she will go up the chain of
12 command?

13 A. No, I think the patient's degree of illness
14 would determine the urgency with which she would go up
15 the chain of command, and not what the orders are.

16 Q. Well, doctor, what if the patient is severely
17 ill but the patient has gotten all appropriate orders
18 as far as calling in consultants, getting all
19 appropriate care and all appropriate management by
20 every team in the world, is it your opinion, because of
21 the patient's condition, she still has to go up the
22 chain of command?

23 A. No, I -- and I didn't state that, either.

24 Q. So it is not necessarily the patient's
25 condition, but what the physicians are doing in

1 response to the patient's condition is taken into
2 consideration by the nurse in deciding whether or not
3 to go up the chain of command, is that correct?

4 A. No, I think the patient's condition also
5 relates. If the physician does nothing, but the
6 patient is not very sick, there wouldn't be any reason
7 for the nurse to go up the chain of command.

8 Q. So the nurse is going to take into
9 consideration how sick the patient is and what the
10 physicians have been -- are ordering for that patient
11 in deciding whether or not to go up the chain of
12 command?

13 A. I think that would be appropriate.

14 Q. Okay. Have we covered your opinion regarding
15 Nurse Kriho and the basis for that opinion?

16 A. Yes.

17 Q. Okay. Regarding the ICU nurse, doctor, what
18 is it that the ICU nurse -- in what way did the ICU
19 nurse deviate from the standard of care?

20 A. I believe that the nurse who received the
21 patient in transfer approximately between nine-thirty
22 in the morning, as reflected by her first vital sign
23 recording, and ten o'clock, according to her first
24 narrative, failed to recognize the severity of the
25 patient's pulmonary condition and failed to insure that

1 the patient received appropriate and supportive medical
2 care.

3 There is no indication, according to her
4 note, that she contacted anyone regarding the patient's
5 condition, and there is no indication that she did
6 anything other than take vital signs every thirty
7 minutes and record those dutifully, while not
8 recognizing the patient's deterioration.

9 Q. With regard to communicating to someone, the
10 person that -- the initial person that the nurse would
11 communicate with -- strike that -- one of the
12 appropriate persons that the nurse might communicate
13 with in the ICU would be the attending physician, is
14 that correct?

15 A. I would assume that is true.

16 Q. All right. And you would agree that would at
17 least be an appropriate initial person to communicate
18 with, correct?

19 A. Unless a consultant had been obtained who
20 would obviate that communication.

21 Q. All right. And you are not aware of that
22 being done in this case?

23 A. To my knowledge, that was not done in this
24 case.

25 Q. Okay. Nurses receive -- strike that.

1 ICU nurses receive reports from the recovery
2 room nurse when the patient is transferred?

3 A. Are you talking in general?

4 Q. In general?

5 A. In general, that is true. That is if the
6 patient is being transferred from recovery room to the
7 intensive care unit.

8 Q. So you would assume in this case that that
9 practice was followed, that a report would have been
10 given to the ICU nurse by the recovery room nurse?

11 A. I would assume that that did occur.

12 Q. Okay. And one of the things that might be
13 included in a report -- strike that -- one of the
14 things that is oftentimes included in a report from
15 nurse to nurse is what communication and information
16 has already been relayed to the patient's attending
17 physician, is that correct?

18 MR. SIRACUSA: Objection to foundation.

19 A. That would be appropriate.

20 BY MR. CASTRO:

21 Q. Okay.

22 A. We have no evidence that that occurred in
23 this case, however.

24 Q. Well, you don't know if it did or didn't,
25 correct?

1 A. That is what I just said, I don't have any
2 information that would indicate that that occurred in
3 this case.

4 Q. When a nurse contacts an attending physician
5 depends, in part, on that nurse's understanding as to
6 the information already provided to the attending
7 physician, isn't that correct?

8 MR. SIRACUSA Objection, foundation.

9 A. That might be in a stable patient, but that
10 is not appropriate in a patient that is unstable such
11 as this one.

12 BY MR. CASTRO:

13 Q. All right. You indicated that the patient
14 deteriorated in the ICU. Is that your opinion, doctor?

15 A. Yes, it is.

16 Q. What do you base that on?

17 A. I base it on, first, the note that the nurse
18 wrote at four o'clock in the afternoon stating,
19 "Patient developed respiratory distress."

20 Q. Anything else?

21 A. I further base it on the fact that the
22 respiratory rate, which initially was thirty-two but
23 then fell to twenty-four, which, in part, could have
24 been due to administration of narcotic which is a
25 respiratory depressant, which was also inappropriate,

1 but then --

2 MR. SMITH: I'm sorry, I didn't hear
3 that?

4 A. -- inappropriate, but then steadily rose from
5 twenty-four to twenty-eight, then twenty-six, then
6 twenty-four, thirty-four, finally to thirty-six.

7 And by virtue of the fact that the lungs,
8 which were initially clear, deteriorated first to
9 having anterior rhonchi, then just to rhonchi, which
10 would indicate to me probably diffuse, and then in
11 addition to that, crackles on the right side.

12 Q. Anything else?

13 A. No.

14 MR. SMITH. Could I hear the previous answer
15 back, please?

16 (Last answer read back by the reporter).

17 BY MR. CASTRO:

18 Q. Doctor, the respiratory rate remained stable
19 at least through two p.m. in the afternoon, is that
20 correct?

21 A. No, that is not correct.

22 Q. There was no significant rise in the
23 respiratory rate between nine-thirty a.m. and two
24 p.m.?

25 A. Well, initially it actually fell from thirty-

1 two to twenty-four. As I mentioned, she was given a
2 narcotic, which is a respiratory depressant.

3 Q. I understand that.

4 A. So that would be expected to decrease her
5 respiratory rate. Her respiratory rate actually
6 fluctuated, it went up to twenty-eight at ten-thirty
7 from twenty-four. And then it fell to twenty-six, and
8 twenty-four, then it began gradually going up,
9 beginning at twelve o'clock noon.

10 Q. Well, twelve o'clock noon, doctor, it was
11 twenty-four?

12 A. Right, then twenty-six at one o'clock,
13 thirty-four at two o'clock, and thirty-six at three
14 o'clock, as I stated before.

15 Q. Do you consider a change in respiratory
16 rate of twenty-four to twenty-six significant?

17 A. I do when it is in continuum, as it is in
18 this case, where it is progressively going up each
19 hour.

20 If it was an isolated increase and then fall,
21 I would not.

22 Q. Well, in this case from eleven o'clock to
23 twelve o'clock it did fall down to twenty-four,
24 correct?

25 A. From twenty-six.

1 Q. Correct.

2 A. Correct. But it didn't continue that trend
3 to twenty-two and twenty, and if it had, I would have
4 considered that decrease also significant.

5 Q. Eleven-thirty, twenty-four, and twelve
6 o'clock, twenty-four, right?

7 A. I explained, I suspect that was due to the
8 fact she was given a narcotic, which is a respiratory
9 depressant.

10 Q. Prior to one o'clock, would you agree her
11 respiratory rate was not significant?

12 A. No, I think her respiratory rate is
13 significant. It would be insignificant if it was zero.
14 Her respiratory rate was elevated. Normal respiratory
15 rate is ten to twelve breaths per minute.

16 Q. There was no deterioration in her respiratory
17 rate between ten o'clock and one o'clock, would you
18 agree with that?

19 A. There was no increase or decrease between ten
20 o'clock and twelve o'clock.

21 Q. Okay. And in your opinion, a change at
22 twelve o'clock from twenty-four, to twenty-six at one
23 o'clock, is a significant change?

24 A. It is when we take into account that that is
25 the beginning of a progressive increase from twenty-

1 four, to twenty-six, to thirty-four, to thirty-six.
 2 Q. Okay. I'm not talking retrospective,
 3 doctor. You have got the benefit of looking at this
 4 whole thing in retrospect.
 5 A. You are absolutely correct. I have that
 6 benefit and, yes, it is significant in retrospect.
 7 Q. Nurses don't that have benefit ~~or~~ the doctors
 8 treating this patient, correct?
 9 A. No, but this nurse also had a pulse oximeter
 10 and a number of other things.
 11 Q. I understand, but I'm talking about
 12 respiratory rate right now, doctor. Okay?
 13 In prospective, looking at this patient, is
 14 it your testimony the fact it changed from twenty-four
 15 to twenty-six significant in a patient like this,
 16 looking at the information that you have as of one
 17 o'clock?
 18 A. I believe that I answered that question.
 19 Q. Well, you did it so retrospectively because
 20 you included what went on afterwards, and I'm asking
 21 you now, don't use hindsight.
 22 I'm asking you at one if a nurse got a
 23 respiratory rate of twenty-six, as opposed to twenty-
 24 four, which it had been the hour before, and then
 25 twenty-four at eleven-thirty, in your opinion that is

1 a significant change?
 2 A. If you are giving me a hypothetical that
 3 assumes that no change occurs after twenty-six, is a
 4 change in respiratory rate of two beats per minute
 5 going to be significant in that hypothetical, then I'll
 6 say no, an increase of two breaths per minute with no
 7 other changes following that is not going to be
 8 significant.
 9 Q. Okay. And you don't know what the other
 10 changes are until they occur, correct?
 11 A. No, I do know.
 12 Q. Well, you know because you have the benefit
 13 of looking retrospectively, correct?
 14 A. That's correct.
 15 Q. But people caring for this patient don't have
 16 that luxury, though, do they?
 17 A. They do now.
 18 Q. But they didn't at the time?
 19 A. I wouldn't consider that a luxury of knowing
 20 what is coming in the future.
 21 However, I think a nurse caring for a patient
 22 who has saturation in the seventies and eighties, and
 23 then to say that they don't know what the respiratory
 24 rate is going to be one hour from now and, therefore,
 25 they aren't responsible for caring for the patient

1 appropriately, is ludicrous.
 2 Q. That is not my question, doctor. People
 3 caring for Brenda McGhee at the time didn't have the
 4 luxury of knowing what was going to happen in the
 5 future, did they?
 6 A. Well, they could have --yes, I think they
 7 did, with saturation in the seventies, you could pretty
 8 much predict what is going to happen.
 9 Q. By one o'clock, the consultant had been
 10 called into this case, is that correct?
 11 A. Consultant had been notified, not called in,
 12 no.
 13 Q. What is the difference?
 14 A. The consultant did not arrive, I don't
 15 believe, at one o'clock.
 16 Is that your understanding?
 17 Q. Let me make sure we are on the same
 18 wavelength.
 19 What is your understanding of called in?
 20 Does that mean arrived? Or contacted?
 21 A. I believe that Tabora had requested a
 22 consult, I thought it was before one o'clock but
 23 perhaps not -- not Tabora, Roth, excuse me.
 24 Q. A consultant had been reached and orders
 25 rendered prior to one o'clock, is that correct?

1 A. And orders received from the consultant?
 2 Q. Yes.
 3 A. I need to look at the order sheet to see
 4 whether I agree with that or not.
 5 MR. CASTRO: Do you have *those* handy, you
 6 guys?
 7 A. The consult to Dr. Banerji is not timed,
 8 unless that is a time, and I certainly can't read it,
 9 there is a check mark.
 10 The next timed order from Dr. Banerji is at
 11 two-fifty-five.
 12 Q. So we don't know when Dr. Banerji actually
 13 was spoken to initially, but we have orders from him at
 14 two-fifty-five?
 15 A. No. We can assume that at two-fifty-five Dr.
 16 Banerji was contacted, because that is when he gave
 17 orders.
 18 The previous order was to consult Dr.
 19 Banerji, and that was a telephone order from Dr.
 20 Zucker. But it does not indicate that that, in fact,
 21 occurred until two-fifty-five.
 22 Q. And we don't know when that order was given?
 23 A. No, because there is not a time.
 24 Q. When were rhonchi reported, doctor?
 25 A. I'm sorry?

1 Q. When did the nurse make the note of rhonchi
2 in the lungs?

3 A. Well, the recovery room nurse did it
4 following extubation. The ICU nurse noted anterior
5 rhonchi at ten-thirty in the morning.

6 Q. And then I think you indicated if progressed
7 to rhonchi in both lungs, as you understood it to be?

8 A. I assumed it was both lungs. It just states
9 rhonchi, and I think I said uniform rather than just
10 anterior.

11 Q. Okay. When did that take place?

12 A. Eleven-thirty, it is noted at eleven-thirty.
13 (Recess taken).

14 BY MR. CASTRO:

15 Q. Doctor, the various persons that would have
16 been appropriate for the nurse to speak with in the ICU
17 would have been the attending physician, or nursing
18 supervisor, or someone associated with the intensive
19 care unit?

20 A. Repeat the question, please.

21 Q. Sure. I think you indicated that she
22 deviated in not contacting anyone – the appropriate
23 persons regarding the patient's condition when a
24 patient was in the ICU under her care? That is a
25 general paraphrase of my question.

1 I want – I just want to – my question is
2 the appropriate persons that she could have contacted
3 would include the attending physician, the – her
4 nursing supervisor, or the medical director of the ICU?

5 A. That would generally be appropriate. They
6 may have in their protocol a different sequence of
7 individuals to contact and rules or policies and
8 procedures for the intensive care unit, and I wouldn't
9 disagree with that if it deviated slightly from what I
10 had suggested.

11 But clearly a standard of care would dictate
12 that the nurse should recognize that the patient's
13 respiratory status was very precarious and that she was
14 in serious distress, and that was the case prior to the
15 time that the patient was intubated, which was at
16 approximately three-thirty, three-forty-five, it is
17 difficult to tell.

18 And standard of care would further dictate
19 that she contact an appropriate individual to institute
20 appropriate medical therapy.

21 Q. Have we covered all of the opinions you have
22 regarding the ICU care?

23 As far as the nurse, I mean, that is the
24 criticism you have regarding – the opinions you have
25 regarding the nursing care in the ICU, correct?

1 A. Yes.

2 Q. You told me now the bases for that opinion,
3 correct?

4 A. Yes.

5 Q. Doctor, can you state to reasonable degree of
6 medical certainty had the patient not been given the
7 narcotic, that the patient more likely than not would
8 have survived?

9 A. I don't believe that, given -- I don't
10 believe that the narcotic Vistaril she received had any
11 effect whatsoever on the eventual outcome of this case.

12 Q. Doctor, I want to talk to you now about Dr.
13 Tabora.

14 I assume you have certain opinions regarding
15 Dr. Tabora's care?

16 A. Yes.

17 Q. Do you have any opinions regarding deviations
18 from the standard of care by Dr. Tabora while the
19 patient was in the operating room?

20 A. Potentially, yes. I believe that a rapid
21 sequence induction, including cricoid pressure, was
22 indicated for induction of anesthesia in this patient.

23 If Dr. Tabora failed to properly execute a
24 rapid sequence induction, I believe that that would be
25 deviation from an acceptable standard of care.

1 Q. Okay. Other than the question of whether or
2 not cricoid pressure was applied, is there anything you
3 have that suggests that his induction was
4 inappropriately performed?

5 A. Yes. Jerry McGhee's deposition said he saw
6 Dr. Tabora apply a mask and administer anesthetic gases
7 prior to insertion of the tracheal tube, and that would
8 be inappropriate.

9 Q. Why would that be inappropriate, assuming it
10 was actually done that way?

11 A. Because that is deviation from the way a
12 rapid sequence induction would be performed.

13 Q. In your opinion, did applying a mask and
14 administering anesthesia gases before insertion of an
15 ET tube, if it had occurred, cause Brenda McGhee to
16 die?

17 A. It is possible that could result in
18 regurgitation of gastric contents, aspiration of same,
19 and ARDS secondary to aspiration pneumonia.

20 Q. If he did not apply cricoid pressure and if
21 he applied a mask and administered anesthesia gases
22 prior to the insertion of the ET tube, in your opinion
23 that would – that technique would be deviation from
24 the standard of care, correct?

25 A. Well, I don't know I would call it a

- 1 technique, and I didn't call it a technique.
 2 Q. Those steps?
 3 A. But that sequence would be a deviation from
 4 the acceptable standard of *care*.
 5 Q. Okay. The only way those -- that sequence
 6 would have caused or contributed to Brenda McGhee's
 7 death is if, in fact, she developed aspiration
 8 pneumonitis, is that correct?
 9 A. The only way that would be what?
 10 Q. That would have caused or contributed to her
 11 death is if, in fact, she did develop aspiration
 12 pneumonitis?
 13 A. Yes.
 14 Q. Okay. Is there anything that can shed light
 15 one way or another in the anesthesia record regarding
 16 the sequence of events?
 17 A. No. Not that I'm aware of.
 18 Q. Other than the sequence of induction that we
 19 have talked about, the care by Dr. Tabora in the
 20 operating room, in your opinion, met the standard of
 21 care for an anesthesiologist?
 22 A. I believe so.
 23 Q. Doctor, somewhere in your notes I notice a
 24 reference to hemodilution with regard to the intra-
 25 operative hemoglobin hematocrit that was performed --

- 1 strike that.
 2 Do you recall -- strike that.
 3 Hemodilution can occur when there is an
 4 infusion of fluids which might artificially drop a
 5 hemoglobin level when a hemoglobin hematocrit is taken,
 6 is that correct?
 7 A. It would drop the hemoglobin concentration.
 8 Q. The same amount of hemoglobin would be in the
 9 blood, it is just that because of the infusion of fluid
 10 the concentration appears lower, is that correct, or
 11 the concentration is lower?
 12 A. Your statement is not accurate.
 13 Q. Okay.
 14 A. The same amount of hemoglobin would not
 15 necessarily be in the blood.
 16 Q. Okay. Why is that?
 17 A. Well, you could have blood loss that would
 18 decrease the amount of hemoglobin.
 19 Q. I'm not talking about blood loss, I'm just
 20 talking about the infusion of fluid can artificially
 21 lower the hemoglobin level, is that correct?
 22 A. It is not an artificial lowering, it is a
 23 real lowering of the concentration.
 24 Q. Okay.
 25 A. Not level.

- 1 Q. But the concentration -- strike that.
 2 The amount of hemoglobin contained in the
 3 body is still the same, it is just that the
 4 concentration, because of infusion of fluid, is lower,
 5 is that correct?
 6 A. Well, it depends on the hypothetical. If
 7 your hypothetical is, number one, the hemoglobin amount
 8 total stayed the same and you add more fluid, then the
 9 concentration will decrease. That is not a medical --
 10 Q. I understand that.
 11 A. -- concept, that is, you know, that is --
 12 that would be just a common sense conclusion.
 13 Q. Exactly. So when we talk about hemodilution,
 14 we are not necessarily talking about a lower amount of
 15 actual hemoglobin in the body simply because of
 16 infusion of fluid, correct?
 17 A. I don't think your statement is accurate.
 18 Q. What is inaccurate about it?
 19 A. Well, you said when we are talking about it.
 20 Q. Right.
 21 A. Well, maybe you should repeat -- have the
 22 question read back.
 23 Q. All I want to make sure I'm clear is that
 24 when a patient gets infused fluid and you obtain a
 25 hemoglobin hematocrit, the number you get, the

- 1 concentration will be lower because of the increased
 2 fluids that you have administered into the body, is
 3 that correct?
 4 A. That isn't necessarily true because it
 5 depends on the type of fluid that is administered and
 6 it also depends upon the amount of fluid administered.
 7 If it is a crystalloid solution, that
 8 contains no protein, it is entirely possible that the
 9 majority of it will leave the vascular system, or that
 10 it will be urinated out. As in this case, this patient
 11 had a very high urinary output.
 12 So that it may be that none of the fluid you
 13 have given is retained in the vascular system and there
 14 may be no change in the reading of the hemoglobin
 15 concentration if there has been no blood loss.
 16 Q. Can you state to reasonable degree of medical
 17 certainty that there was no hemodilution effect in this
 18 case as result of the fluids Brenda McGhee received
 19 intraoperatively?
 20 A. No, I can't. I think there was hemodilution.
 21 But I certainly think there was large blood
 22 loss, as well, so they were both going on at the same
 23 time.
 24 Q. How -- strike that.
 25 One unit of blood will generally raise the

1 hemoglobin one point?
 2 A. It depends. Depends upon the size of the
 3 person.
 4 Q. Okay. In a patient --
 5 A. Circulating blood volume, a lot of things.
 6 Q. In a patient such as Brenda McGhee, how much
 7 rise in hemoglobin would you expect one unit of blood
 8 to cause?
 9 A. Again, it would depend upon a lot of things.
 10 You say such as her, so that is somebody who has acute
 11 blood loss going on, fairly large urinary output,
 12 massive infusion of clear fluids at the same time, you
 13 couldn't make any guess about what one unit of blood
 14 would do to the hemoglobin hematocrit because of all
 15 these other compound variables occurring at the same
 16 time.
 17 Q. Would you expect two units of blood to raise
 18 the hemoglobin from four-point-seven to eight?
 19 A. In somebody who is bleeding?
 20 Q. Somebody who is bleeding?
 21 A. Is receiving large amount of crystalloid
 22 and urinating at the same time and so on?
 23 Q. Yes.
 24 A. I would say that it would be possible, but it
 25 is just -- it is possible it would.

1 Q. Would a patient who is continuing to bleed,
 2 would it be unlikely, although possible, that two units
 3 of blood would raise the hemoglobin four-point-seven to
 4 eight?
 5 A. It would depend again on the size of the
 6 patient, so it would be possible, because if the
 7 patient is continuing to actively bleed when it is
 8 four-point-seven, and there has been a lot of
 9 crystalloid infused, then not only would the
 10 crystalloid be being bled out, but also it would be
 11 leaving the vascular system, so there would be a
 12 relatively hemo concentration going on.
 13 So I believe that is possible. I would not
 14 -- it would not lead me to believe it was an inaccurate
 15 measure, if that is what you are getting at.
 16 Q. You are saying it is possible and I agree.
 17 Is it likely that two units of blood in a
 18 patient whose hemoglobin is four-point-seven, in a
 19 patient such as Brenda McGhee, who is continuing to
 20 bleed, will raise their hemoglobin up to eight?
 21 A. It depends on a lot of other variables. It
 22 is certainly a believable value, it is not something
 23 that can be -- you say, "Hey, that can't be accurate."
 24 I think it could be accurate.
 25 MS. FOX: You are referring to the reading

1 of eight?
 2 A. I'm referring to the four-point-seven to
 3 eight with hemo being transfused, with all these --
 4 these things going on, it is possible her hemoglobin
 5 could go from four-point-seven to eight with no units
 6 being added.
 7 Q. Doctor, you indicated earlier that in your
 8 opinion the increasing of oxygen from forty percent to
 9 sixty percent initially by Dr. Tabora after receiving
 10 the ABG results was appropriate, is that correct?
 11 A. It was appropriate. It didn't do anything
 12 but it was appropriate.
 13 Q. Do you have any opinions regarding deviations
 14 from the standard of care by Dr. Tabora in the recovery
 15 room?
 16 A. Yes.
 17 Q. Can you list for me what those are?
 18 A. First, he removed the tracheal tube, and that
 19 was inappropriate.
 20 Second, he did not initiate appropriate
 21 ventilator therapy, which would include application of
 22 continuous positive airway pressure. To not apply that
 23 therapy was a deviation from the acceptable standard of
 24 care.
 25 Third, he prematurely relinquished

1 responsibility for the care of the patient to Dr.
 2 Roth.
 3 Q. Is that it?
 4 A. That is what I recall right now.
 5 Well, let's add to it, following his removal
 6 of the tracheal tube, he failed to reinsert it when he
 7 recognized that she had ARDS or its equivalent, I don't
 8 know how he defines ARDS, but he made that diagnosis
 9 and he failed to treat her appropriately.
 10 Q. After he extubated the patient, he ordered
 11 arterial blood gas, is that correct?
 12 A. Yes.
 13 Q. And that would have been appropriate?
 14 A. Yes, it is appropriate.
 15 Q. Would you agree that anesthesiologists do not
 16 need to order arterial blood gases before extubating
 17 patients?
 18 A. Yes. Not always.
 19 Q. I understand.
 20 A. Not always.
 21 Q. Anesthesiologists oftentimes use clinical
 22 assessment and judgment in deciding whether or not to
 23 extubate a patient?
 24 A. They often do, but never when the pulse
 25 oximeter reading is fifty-nine percent to seventy

1 percent. Standard of care would dictate that not
 2 occur.
 3 Q. So the basis of your first criticism that he
 4 removed inappropriately the ET tube is the pulse
 5 oximeter reading?
 6 MR. SIRACUSA: One of the bases.
 7 BY MR. CASTRO:
 8 Q. Right?
 9 A. Repeat your question, please.
 10 Q. One of the bases for your criticism that he
 11 removed inappropriately the -- that he inappropriately
 12 extubated the patient are the pulse oximetry readings
 13 obtained prior to that extubation, would that be fair?
 14 A. One of the bases, yes.
 15 Q. Any other bases?
 16 A. Well, he failed to do the appropriate test to
 17 determine that the patient could safely be extubated.
 18 Q. Which are?
 19 A. Well, auscultation of her lungs to make sure
 20 that she had clear breath sounds.
 21 Q. Did Brenda McGhee have clear breath sounds at
 22 seven-thirty?
 23 A. Well, one of the nurses reported she had
 24 clear breath sounds, but the anesthesiologist did not
 25 confirm that. She, in fact, did not have clear breath

1 sounds following extubation.
 2 Q. However, those breath sounds immediately
 3 cleared up within fifteen minutes after that, didn't
 4 they, doctor?
 5 A. Mmm, I don't know that that is true.
 6 Q. Isn't that what Nurse Kriho reported in her
 7 report, doctor?
 8 A. I don't recall that. That is a possibility.
 9 Q. All right. Anything else that forms the
 10 basis of your opinion that --
 11 A. To go back to your previous question.
 12 Q. Sure.
 13 A. I'll quote, "After extubation, patient has
 14 coarse breath sounds on expiration in upper lung
 15 lobes."
 16 So I don't believe that she reports that the
 17 lungs were clear after extubation.
 18 Q. In forming criticisms of the care being
 19 rendered, would the breath sounds being noted after
 20 extubation be an important piece of information for
 21 you?
 22 A. After extubation?
 23 Q. Yes.
 24 A. I can't -- can you repeat your question?
 25 Q. Yes, she better read that back.

1 (Question read back by the reporter).
 2 A. I suppose "important" is a relative term.
 3 Yes, it has some importance.
 4 Q. Okay. Going back to my question then, any
 5 other basis for your first criticism, other than the
 6 pulse oximetry readings and his failure to auscultate
 7 the lungs to see if they were clear?
 8 A. Well, in the face of the pulse oximeter
 9 reading, failure to do a blood gas, because he assumed
 10 that the pulse oximeter readings were inaccurate, and
 11 so prior to pulling the tube he should have insured
 12 that, in fact, she was not severely hypoxemic, and he
 13 didn't do that.
 14 Q. What is the basis of your opinion that Dr.
 15 Tabora thought the pulse oximetry readings were
 16 inaccurate?
 17 A. His deposition testimony.
 18 Could I go back to another question you asked
 19 about the hemoglobin going from four-point-seven to
 20 eight?
 21 Q. Sure.
 22 A. I believe that the basis for that was the
 23 blood gas slip that had the hemoglobin reading of
 24 eight. And the four-point-seven was read from the
 25 laboratory culture counter. Those are two

1 completely different devices.
 2 The laboratory reading had hematocrit
 3 and hemoglobin differently, it went from four-point-
 4 seven to seven-point-four when measured on the same
 5 equipment, and not eight. Eight being blood gas
 6 analyzer, which is a very inaccurate way to determine
 7 blood gas concentration.
 8 So if we put in your hypothetical seven-point-
 9 four instead of eight, then I think the answer is --
 10 they are the same but even more plausible.
 11 Q. Doctor, is it your understanding that Dr.
 12 Tabora believed that the low PO2 might have been due to
 13 the low hemoglobin and anemia that the patient was
 14 suffering from?
 15 A. That is my impression, yes.
 16 Q. Okay. In your opinion, would that be at
 17 least a reasonable belief on his part?
 18 A. No. That is not reasonable.
 19 Q. Why not?
 20 A. Because it is not accurate,
 21 Q. What is the basis of that?
 22 A. Standard physiologic principals.
 23 Q. Can you explain, doctor, why that would not
 24 be a reasonable assumption?
 25 A. Yes, because the pulse oximeter reading

1 doesn't depend upon hematocrit.
 2 Q. I'm not talking about pulse oximetry reading,
 3 I'm talking about the PO2 of forty-six that was
 4 obtained by arterial blood gas?
 5 A. Oh, okay. That also doesn't depend upon
 6 hematocrit.
 7 Q. So in your opinion the PO2 of forty-six was
 8 not in any way related to the hemoglobin level the
 9 patient was suffering from?
 10 A. No, and I didn't say it wasn't in any way
 11 related, I said it wasn't due to that.
 12 Q. Okay.
 13 A. Anemia may have a mild contributing part on
 14 hypoxemia that, in fact, is the basis for the diagram
 15 that is listed in Exhibit Number 3 that says, "Faxed
 16 11/29/94."
 17 In fact, that diagram explains why there can
 18 be a slight decrease in arterial oxygen tension in the
 19 face of severe anemia, but there cannot be any
 20 hypoxemia that is due solely to the anemia. There has
 21 to be a contributing component from either ventilation
 22 perfusion mismatching, or right to left intrapulmonary
 23 shunting of the blood.
 24 Q. In this case, it is your opinion that the
 25 hypoxemia is evidenced by the arterial blood gas

1 results of eight-fifteen as result of adult respiratory
 2 distress syndrome, is that correct?
 3 A. No, it was the result of right to left
 4 intrapulmonary shunting of blood.
 5 Q. Caused by what?
 6 A. Right to left pulmonary shunting of blood was
 7 caused by perfusion of non-ventilated alveolar spaces,
 8 which is characteristic of a patient with ARDS.
 9 Q. In your opinion, was Dr. Tabora's
 10 recommendation to give two more units of blood in the
 11 recovery room appropriate?
 12 A. It was okay,
 13 Q. Doctor, can you state to reasonable degree of
 14 medical certainty what Brenda McGhee's mortality rate
 15 was at the time she presented to the recovery room?
 16 A. It is my opinion that the mortality rate that
 17 one would predict for a patient such as Brenda McGhee
 18 at the time that she hit the recovery room with a
 19 saturation on the pulse oximeter of fifty-nine percent
 20 would be, in part, dependent upon the type of therapy
 21 that she received.
 22 In other words, had she had appropriate
 23 ventilator therapy instituted immediately, I believe
 24 that her likelihood of survival in a reasonable
 25 hospital with reasonable physicians and nurses caring

1 for her would have been in the range of -- her survival
 2 would have been predicted to be in the range of sixty
 3 to ninety percent.
 4 Q. What do you base that opinion on, doctor?
 5 A. My past experience.
 6 Q. Anything else?
 7 A. Reading the medical literature, selective
 8 medical literature.
 9 Q. When you say selective medical literature,
 10 you are talking about --
 11 A. Not editorials written by people who
 12 pontificate that in spite of modern therapy survival
 13 rates have not changed and, therefore, nothing we have
 14 done for twenty-five years really makes any difference
 15 in the overall prognosis or outcome of respiratory
 16 failure, and that is sort of a summary of what some
 17 people have stated.
 18 Q. Can you name any such authors, doctor?
 19 MR. SIRACUSA: Pontificating authors? Or
 20 others?
 21 BY MR. CASTRO:
 22 Q. Any pontificating editorials?
 23 A. Not that would have verbatim stated what I
 24 just stated.
 25 Q. In general.

1 A. But I know some people that have expressed
 2 such thoughts in the literature.
 3 Q. Can you tell me --
 4 A. Dr. Bone is one.
 5 Q. You would put him in that classification?
 6 A. Of what? Of having stated something of that
 7 sort?
 8 Q. Pontificator?
 9 A. No, I did not say that. I said I stated that
 10 he has made such editorial commentary in the past.
 11 Q. Okay.
 12 A. I believe. I believe that Dr. John Weg
 13 from Michigan has probably stated that, W E G.
 14 And Dr. Dantzker, D A N T Z K E R.
 15 Q. Where is he at?
 16 A. I don't know where he is now. I believe he
 17 maybe at the University of Texas in Houston but I'm
 18 not sure.
 19 Weg is in Michigan.
 20 So is bone now, I guess.
 21 And some other people have stated similar
 22 opinions.
 23 Q. Would other selected literature suggest a
 24 much lower survival rate for Brenda McGhee at the time
 25 she hit the recovery room?

1 A. Much lower than what?

2 Q. Than the sixty to ninety percent that you

3 have indicated based on selected literature you are

4 relying on?

5 A. No, because that literature that I quoted,

6 and those people would insist upon having, for example,

7 x-ray evidence of ARDS, auscultatory changes in the

8 lung, which is missing.

9 So the only evidence that we have to go by is

10 one isolated pulse oximeter reading at the time she

11 arrives from the recovery room. And I doubt very much

12 whether Dr. Bone or anyone else would make a prognostic

13 judgment of survival less than fifty percent based on

14 one pulse oximeter reading, but perhaps they would.

15 Q. Let me rephrase the question. Assuming

16 Brenda McGhee had, in fact, developed ARDS from DIC,

17 by the time she arrives in the recovery room with a

18 pulse oximetry level at fifty-nine, would you agree

19 that there are other authors in the literature who

20 would suggest a much lower survival rate than what

21 you've indicated?

22 A. Well, I would hope you could find one, so I'm

23 sure that there are.

24 They would not, however, have any basis for

25 that in terms of the literature. I don't think.

1 Q. What was her — strike that.

2 Did that mortality rate for Brenda McGhee

3 change, in your opinion, after she arrived in the

4 recovery room?

5 MR. SIRACUSA: Yes, it went to a hundred

6 percent when she died. What do you —

7 MR. CASTRO: Then he can say that and ~~11~~

8 ask him when it changed.

9 A. I think that the failure to institute

10 appropriate supportive therapy for her severe

11 respiratory failure for more than eight hours

12 significantly changed her likelihood of survival.

13 BY MR. CASTRO:

14 Q. At four p.m. on April 6th, in your opinion,

15 what was her mortality rate?

16 A. I think it was more likely than not that she

17 would not survive as of four p.m. on that day.

18 Q. You don't have any criticisms of the care

19 rendered after the consults were involved in the care

20 at four o'clock on?

21 A. My area of expertise has been anesthesia,

22 critical care, especially as it deals with respiratory

23 failure, and I do not have any criticism of the

24 respiratory care that was delivered to her following

25 that.

1 I have not been asked to render an opinion on

2 the anesthetic management or the diagnosis and

3 treatment of her ischemic colon and so on.

4 Q. So you have no opinions in that regard?

5 A. I have not been asked to express any opinions

6 in that regard and don't intend to unless asked, and I

7 am sure you'll be notified ahead of time if I was asked

8 to do so.

9 Q. Your third criticism regarding Dr. Tabora

0 involves the relinquishing of the patient's care to

1 Dr. Roth prematurely, do you recall that?

2 A. Yes, I recall that.

3 Q. Okay. Is it your understanding that Dr.

4 Tabora, at or around eight-thirty to eight-forty-five,

5 had made certain orders for administration of blood, is

6 that correct, ordered two units?

7 A. No, I don't think so.

8 Q. And that Dr. —

9 A. I have to review it. I think he — he had

10 told nurses to do it, and Roth countermanded the order.

11 Is that the one you are referring to?

12 Q. That is what I'm talking about.

13 A. You weren't referring to the first two units?

14 Q. No, I'm sorry, I'm talking about eight-thirty

or eight-forty-five Dr. Roth — Dr. Tabora made

1 recommendation for giving blood which Dr. Roth

2 countermanded?

3 A. Right, actually, I think he made the order, I

4 thought the nurse said she didn't write it down because

5 Roth countermanded it.

6 Q. All right. And at that period of time, it

7 was Dr. Tabora's belief that he was primarily being

8 taken off the case, is that correct?

9 A. No, I — now, that is not Roth's judgment.

0 The surgeon can't remove an anesthesiologist from the

1 care of a patient in the recovery room where the

2 anesthesiologist has primary responsibility for the

3 care.

4 The fact that he countermanded the

5 anesthesiologist's order for blood did not remove the

6 responsibility Tabora had to continue to care for his

7 patient until the patient's care was taken over by an

8 appropriate individual.

9 Q. Okay. When the patient is transferred to the

ICU, the attending surgeon would then be responsible

11 for either caring for that patient or obtaining

12 whatever necessary consultants that could provide

appropriate care?

13 A. I don't disagree with that statement.

However, the patient shouldn't be transferred from the

1 recovery room until released by the anesthesiologist.
 2 Q. But once released, that is when the attending
 3 surgeon will take over, when the patient is in the ICU?
 4 A. Correct, and that is my criticism of Tabora,
 5 he released the patient prematurely, in my opinion.
 6 Q. Doctor, patients such as Brenda McGhee often
 7 go from **OR** straight to ICU without ever being recovered
 8 in the recovery room, is that correct?
 9 A. Such **as** Brenda McGhee?
 10 Q. Yes.
 11 A. I suppose in some hospitals that might be the
 12 case. It certainly hasn't been the case in any
 13 hospital where I have ever worked.
 14 Q. You are not aware of critically ill patients
 15 being directly sent to ICU from **OR**?
 16 A. Well, of course she wasn't recognized **as**
 17 being critically ill when she went to the recovery
 18 room.
 19 Q. That is not my question, doctor.
 20 A. **Well**, it was your question.
 21 Q. No. **You** are not aware of critically ill
 22 patients being sent directly to the ICU from **OR**?
 23 A. I didn't state I wasn't aware.
 24 Q. That is my question, that is what I'm asking
 25 **you**.

1 A. You asked if I wasn't aware of it.
 2 Q. I can phrase it any way I want, but my
 3 question **is** aren't you aware that critically ill
 4 patients often go straight to ICU from **OR**?
 5 A. The record will reflect I think he did not
 6 ask it that way.
 7 And the answer is, yes, I am aware of that.
 8 Q. So patients such as Brenda McGhee can go
 9 straight to ICU from **OR**, isn't that correct?
 10 A. Well --
 11 MR. SIRACUSA What, appropriately?
 12
 13 BY MR. CASTRO:
 14 Q. Appropriately.
 15 MR. SIRACUSA: As opposed to physically?
 16 BY MR. CASTRO:
 17 Q. Because she's critically ill?
 18 A. But you just stated a minute ago you didn't
 19 phrase it that way, that she was critically ill,
 20 Q. That is why -- I don't like to reread
 21 questions, that is why -- each question will be
 22 different. If I start to repeat --
 23 A. It certainly is, even when you claim they are
 24 the same.
 25 Q. No, I'm asking different questions

1 A. You are. But you are phrasing them **as** though
 2 they are not different questions.
 3 Q. Well, but I'm asking different questions.
 4 I'm not here to repeat things. **So** each question of
 5 mine is different.
 6 A. I know that.
 7 Q. Okay. It would be appropriate to send a
 8 patient such as Brenda McGhee, who **you** believe to be
 9 critically ill, straight from **OR** to ICU, isn't that
 10 correct?
 11 A. I don't believe that Brenda McGhee was
 12 recognized as being critically ill at this point in
 13 time.
 14 Q. Regardless of whether they recognize it, my
 15 question to you is it would be appropriate for a
 16 patient such as Brenda McGhee, who is considered
 17 critically ill, to be sent straight from **OR** to ICU?
 18 A. Can be -- regardless of whether she is
 19 critically ill and then considered to be critically
 20 ill.
 21 Q. I'm talking in general, a patient, I'm not
 22 talk about Brenda McGhee directly.
 23 A. But you are mixing -- you are saying at one
 24 point she's not critically ill, then you are saying in
 25 another she's recognized to be critically ill.

1 Q. Let me get the question out very carefully.
 2 Patients such as -- who have the condition --
 3 strike that.
 4 It would be appropriate to transfer
 5 critically ill patients directly from **OR** to ICU?
 6 A. In which hospital?
 7 Q. In any hospital?
 8 A. No, not necessarily. Because the ICU nurses
 9 are trained to take care of patients in the post-
 10 operative period, and in many hospitals intensive care
 11 units are not trained to take care of recovering
 12 patients, patients recovering from an anesthetic,
 13 whether they are critically ill or not.
 14 Q. And the hospitals **you** have been affiliated
 15 with through your years do not on occasion transfer
 16 critically ill patients straight from **OR** to ICU?
 17 A. Is that a question? Or statement?
 18 Q. It was a question.
 19 A. I don't believe I stated that.
 20 Q. That is why I'm asking **you**, doctor?
 21 A. Ask it again, please.
 22 MR. CASTRO: Read it back.
 23 (Question read back **by** the reporter).
 24 A. Well, hospitals don't transfer patients. The
 25 anesthesiologist does.

1 And I would say in every hospital that I have
2 been affiliated with, I have on occasion taken patients
3 directly from the operating room to the intensive care
4 unit.

5 However, not because they *are* critically ill
6 but because the nurses there would be appropriate to
7 take care of the patient that I was transferring.

8 On many occasions, I have taken critically
9 ill patients to the recovery room so I could continue
10 to take care of them while still in proximity of the
11 operating room.

12 Q. Dr. Tabora left the care of this patient
13 approximately fifteen or twenty minutes before the
14 patient was transferred to ICU?

15 A. It is difficult to say, because as I told you
16 before, the nurses indicate that the patient was
17 transferred variably from nine-thirty to ten o'clock in
18 the morning, and it is not clear to me when Tabora
19 actually walked out of the unit.

20 We have recording of vital signs at nine-o-
21 five by the recovery room personnel, so I assume that
22 the patient was transferred sometime after nine-o-five
23 and before nine-thirty.

24 Q. I'm just looking, I thought somewhere it was
25 noted the patient was transferred around nine-o-five or

1 nine-ten that morning to ICU.

2 MR. SIRACUSA: Well, I think what the doctor
3 is referring to is the admit note at ten a.m., so it
4 gets confusing.

5 A. Then there is vital sign recorded in ICU at
6 nine-thirty.

7 BY MR. CASTRO:

8 Q. The patient then would have been, assuming
9 vital signs taken at nine-thirty in ICU, patient would
10 at least have been in ICU at nine-thirty, according to
11 the record?

12 A. According to the record.

13 Q. And assuming Dr. Tabora left the case at
14 around eight-forty-five, eight-thirty to eight-forty-
15 five, do you have an opinion whether or not leaving the
16 case thirty to forty-five minutes early caused or
17 contributed to Brenda McGhee's death?

18 A. Yes, I do, I think it did.

19 Q. In what way, doctor?

20 A. Well, Dr. Tabora, by abandoning the patient
21 at that point in time, which I think he did, failed to
22 initiate and maintain appropriate care for her
23 respiratory failure.

24 Q. Do you know what care Dr. Tabora would have
25 provided had he remained on the case?

1 MR. SIRACUSA: What care he would have? Or
2 should have?

3 BY MR. CASTRO.

4 Q. Would have.

5 A. Well, that is a hypothetical that is
6 difficult to answer because he didn't. And he didn't
7 render any particular care before he left.

8 So if one assumes he continued, he would have
9 continued the same lack of care after eight-thirty as
10 he did before, then there wouldn't have been any
11 difference in care.

12 The only thing that I can judge from his
13 deposition testimony is that he felt very strongly that
14 more blood should be given, and that was the basis for
15 his leaving, because Dr. Roth wouldn't allow him to
16 give more blood, he felt that he should -- he had been
17 dismissed from the case and he should leave.

18 So I assume had he had the authority and
19 power to do what he wanted to, he would have given more
20 blood.

21 Q. Okay. Do you have an opinion whether or not
22 the administration of blood in this case of two units,
23 everything else being the same, would have prevented
24 Brenda McGhee from dying?

25 A. No, I don't think it would have. The

1 additional two units you *are* talking about?

2 Q. The additional two units.

3 A. I don't believe it would have prevented her
4 from dying.

5 Q. So in your opinion would you agree that it is
6 more likely than not that even if Dr. Tabora had kept
7 himself on the case, that the outcome in this case
8 would not have been any different?

9 A. I agree with that.

10 Q. So that the failure to -- that the premature
11 relinquishing of care by Dr. Tabora did not cause
12 Brenda McGhee to die, is that correct?

13 MR. SIRACUSA: Just with respect to the blood?

14 MR. CASTRO: No.

15 MR. SIRACUSA: Well, he's including the
16 ventilator --

17 MR. CASTRO: Dr. Tabora didn't do that, and
18 I want to know --

19 A. But that is like saying that the fact that he
20 left --

21 MR. SIRACUSA: Yes?

22 A. -- didn't have anything to do with her dying
23 because if he stayed he would have given inappropriate
24 care anyway. He would have given negligent care even
25 if he had stayed, so it didn't make any difference if

1 he left. So I guess I'll agree with that.

2 BY MU. CASTRO:

3 Q. So all I'm saying is without knowing what Dr.
4 Tabora would have done had he stayed, it is speculation
5 and conjecture to say his premature relinquishing of
6 care caused or contributed to Brenda McGhee's death,
7 would that be fair?

8 A. I think his premature leaving did contribute
9 to the death because his premature leaving did preclude
10 him from administering appropriate care.

11 Now, had he stayed and still not administered
12 appropriate care, then I would say his failure to
13 administer appropriate care contributed to her death.
14 But I'm not going to agree that his leaving didn't
15 contribute to it because he wouldn't have given her
16 good care anyway.

17 Q. Any other opinions that you have regarding
18 deviations from the standard of care by Dr. Tabora?

19 A. I don't believe so.

20 Q. Have we covered all of the bases for that?

21 A. I believe so.

22 MU. CASTRO: That is all I have, doctor,
23 for now. I just want to read your notes.

24 (Deposition continues in Volume 2).
25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25