

Scanned
Doc 466

5		-	-	.
---	--	---	---	---

Case No.: 306012
Judge Lillian Greene

1: **DEPOSITION OF:** **JOHN B. DOWNS, M.D.**

1: **TAKEN:** Pursuant to Notice

1: **TIME:** Beginning at 2:00 p.m.
Concluded at 3:50 p.m.

1: **DATE:** Thursday, August 7, 1997

1: **PLACE:** Raytheon Aircraft Services
2450 N. Westshore Boulevard
Tampa, Florida 33607

1: **BEFORE:** **LYNDA J. MILLS**
Registered Merit Reporter
Notary Public
2 State of Florida at Large

[illegible]

1 APPEARANCES:

2 On behalf of Plaintiffs:

3 DON C. KEENAN, ESQ.
 4 STACY HERREN, ESQ.
 5 The Keenan Law Firm
 6 148 Nassau Street, N.W.
 7 Atlanta, Georgia 30303
 8 (404) 523-2200

7 On behalf of Defendant Winston Ho, M.D. (Via
 8 Telephone):

8 STEPHEN WALTERS, ESQ.,
 9 JIM MALONE, ESQ.
 10 Reminger & Reminger
 11 The 113 St. Clair Building
 12 Cleveland, Ohio 44114

12 On behalf of Defendant Peter Adamek, M.D.:

13 SUSAN REINKER, ESQ.
 14 Jacobson, Maynard, Tuschman & Kalur
 15 1001 Lakeside Avenue, Suite 1600
 16 Cleveland, Ohio 44114-1787

18 C O N T E N T S

19		PAGE
20	Stipulations	4
21	Direct Examination by Mr. Keenan	5
22	Cross-Examination by Mr. Walters	70
23	Certificate of Oath - Reporter's Certificate	75

EXHIBITS

EXHIBIT NO. : PAGE

For the Plaintiff:

No. 1 Curriculum Vitae
(To be supplied by Susan Reinker, Esq.) 5

No. 2 (Opinion Letter - 6/12/97) 5
(Attached)

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

S T I P U L A T I O N

It was stipulated and agreed by counsel for the parties that:

1. Same stipulations apply as contained in previous depositions taken.

1 Whereupon,

2 JOHN B. DOWNS, M.D.,

3 was called as a witness, and being duly sworn by the
4 Notary, was examined and testified as follows:

5 DIRECT EXAMINATION

6 BY MR. KEENAN:

7 Q Okay. Let me go ahead and direct the
8 Doctor's attention to Exhibit 1 which, Doctor, is your
9 curriculum vitae, and also Exhibit 2, being the letter
10 that was furnished to us, and I just ask you to confirm
11 the correctness of those.

12 A The CV appears to be dated 11/5/96, so
13 it's several months old at this time, but it does
14 appear to be otherwise a complete copy of my curriculum
15 vitae and my bibliography.

16 Q all right. And the opinion letter,
17 Exhibit 2?

18 A All four pages of that letter appear to
19 be present.

20 Q All right. Doctor, with regard to your
21 CV, can you identify any specific book chapter or
22 journal article or abstract or even letter to the
23 editor which deals with the issues in this case?

24 A Let me first give you a broad answer that
25 my areas of expertise and interest deal with pulmonary

1 physiology, cardiovascular, cardiopulmonary monitoring,
2 and critical care medicine so that there's a likelihood
3 that probably half of the articles here, at least
4 tangentially, deal with issues that might be discussed
5 in this case.

6 As far as direct application, we have
7 some work in progress dealing with cardiopulmonary
8 resuscitation which has not yet been published. There
9 are some issues in some of the articles that I have
10 authored dealing with monitoring cardiac and pulmonary
11 functions. But if I were to go through these on an
12 item by item basis I suspect that there would be a very
13 large number that would have tangential importance to
14 the case, but nothing directly that I can recall
15 without going through each of them.

16 Q Well, in fairness let me ask you some
17 specific questions. Have you ever written on the
18 circumstances or conditions that mandate a pulmonary
19 artery catheter or Swan-Ganz?

20 A I have, I have written some things where
21 that is mentioned, particularly in dealing with the
22 topic of dual oximetry and when a pulmonary artery
23 catheter would be indicated for investigating the
24 pulmonary condition of the patient.

25 I have written a few articles dealing

1 with the accuracy of a pulmonary artery catheter for
2 evaluating left ventricular function in an experimental
3 setting.

4 Q All right. Let me refine that question.
5 Have you ever written specifically on what preoperative
6 and intraoperative circumstances mandate the use of a
7 pulmonary artery catheter?

8 A I hesitate to say no because it's
9 possible that I have mentioned, but I don't recall any
10 particular article that speaks to the precise issues
11 that would mandate a pulmonary artery catheter
12 placement prior to an operative procedure.

13 The one possible exception to that might
14 be for major vascular surgical procedures, and it is
15 likely that I have mentioned in some articles that
16 pulmonary artery catheter is indicated for such
17 operations.

18 Q That would not apply to Dewey's case,
19 would it?

20 A It would not.

21 Q Now, at one point you did a study or
22 wrote an article which elicited a response from
23 Dr. Swan and Dr. Ganz, do you recall that?

24 A I believe it was Dr. Swan. I don't think
25 Dr. Ganz actually participated in that, but it's been

1 over twenty years ago so I could be wrong.

2 Q And what was it that Dr. Swan was taking
3 issue with that you made a response?

4 A Again, it's been twenty years since I
5 have reviewed that, but I believe that Dr. Swan was
6 somewhat insulted that we published an article that
7 insinuated that in critically ill patients and unstable
8 post-operative patients that thermodilution cardiac
9 output might not be as accurate as other means of
10 measuring cardiac output.

11 Q All right. You didn't have to review any
12 literature in this case, did you? Or did you?

13 A I did not review any literature.

14 Q Did not.

15 A And I don't believe I was expected to.

16 Q Okay. And what materials did you review
17 prior to forming your opinions that are set forth on
18 Exhibit 2?

19 A I reviewed the hospital records of
20 Mr. Jones for his admission to Meridia Huron Hospital
21 on 10/17/94; the deposition transcripts of Drs. Adamek
22 and Sanchyshak; and I also reviewed reports from
23 Drs. Greendyke, Kaplan, Greenhouse, Semigran, Khan,
24 Tompson, Orloff, Nearman, and Rapkin.

25 Q All right. The depositions then you have

1 reviewed are of the resident and the defendant
2 anesthesiologist?

3 (Brief interruption.)

4 BY MR. KEENAN (resuming):

5 Q I'm sorry, Doctor,

6 A That's correct. In addition, I have also
7 reviewed the deposition transcript of Dr. Beverly
8 O'Neill.

9 Q Were you provided the deposition taken in
10 New York of plaintiff's expert Dr. Kaplan?

11 A No.

12 Q Were you made aware of any of the sworn
13 testimony that he's given?

14 A I was provided with several faxed pages
15 of his deposition transcript this afternoon. I did not
16 have a chance to read that.

17 Q Were you aware that two anesthesiologist
18 experts were deposed yesterday, Dr. Kascorvy (sic) and
19 Dr. Rapkin?

20 A Yes, I was told that.

21 Q Were you told the substance of their
22 opinions?

23 A I was told some of the substance of their
24 testimony. I can't recall anything in particular. It
25 was more the feeling rather than the substance that was

1 discussed.

2 Q Well, were you told specifically what
3 their opinion was regarding the placement of the
4 Swan-Ganz catheter?

5 A If I was I don't remember.

6 Q About whether the attending should have
7 been there at the time of induction?

8 A I think I was told that Dr. Rapkin felt
9 that he should be there essentially throughout the
10 whole case. Whether that's what he said or not I don't
11 know, this was just verbal communication with
12 Ms. Reinker.

13 Q Okay. So you weren't specifically told
14 they had this opinion or that opinion that differed
15 from yours?

16 A I don't believe so.

17 Q All right. And I assume that
18 conversation occurred today?

19 A That's correct.

20 Q All right. Doctor, when did you first
21 begin testifying in medicolegal matters?

22 A I believe the year was approximately
23 1981.

24 Q And how many times do you believe you
25 have either been in front of a jury live or your

1 videotape has being shown to them?

2 A I don't know that a videotape has ever
3 been shown to a jury of my testimony, but it's a
4 possibility because I have given some videotaped
5 testimony.

6 It would be an estimate of how many times
7 I have appeared live in court. Up until a few years
8 ago it hadn't been very many at all, maybe three or-
9 four times, but in recent years it's been more
10 frequent, and in fact I think I've testified two or
11 three times already this year in court, which is a very
12 large number of times for me.

13 So I would estimate somewhere in the
14 range of ten times in court. But I can't say that
15 that's accurate, it could have been more than that.

16 Q And depositions given?

17 A That's very difficult to estimate because
18 most of the depositions I have given do not result
19 in -- the cases do not go to trial. I suppose I give a
20 deposition on the average of five, six times a year in
21 recent years.

22 Q Do you recall testifying three years ago
23 that you actually kept a list of all the cases that you
24 reviewed, all the cases that you have given a
25 deposition in, and that your assistant keeps a list and

1 you occasionally review it; do you recall that
2 testimony?

3 A I think that probably was testimony that
4 said I kept it for the year. But, no, I don't recall
5 testifying that I have a permanent list because I do
6 not, nor do I -- I don't think I ever did. If I did it
7 would have been in years when the list wasn't very
8 long. But for a number of years I have not kept a
9 complete list of all the cases.

10 Q Have you ever testified in a federal
11 court that requires you to list every case that you
12 have, in fact, testified in or reviewed?

13 A I have testified in federal court. The
14 one time I remember was in South Carolina last year,
15 and I do not recall that that required that I provide
16 every case. I don't think it did. If it did I
17 wouldn't have been able to do it.

18 Q Okay.

19 A To **my** knowledge the only list I have are
20 of active cases.

21 Q You have previously testified that your
22 split between defense and plaintiff was at one point
23 70/30 and at another it was 65 percent for the defense.
24 What is it now?

25 A It's about 50/50. And the only time that

1 it was -- you said 70 for the plaintiff or --

2 Q For the defense.

3 A I don't recall that being the case. If
4 it was it was sometime back. It would have been when I
5 lived in Ohio. If anything, I would say that the
6 preponderance has been more towards plaintiff since I
7 moved to Florida, and in the last two or three years
8 it's leveled out to approximately 50 percent.

9 Q Okay. Doctor, if we drove from Tampa to
10 Cleveland, you would have testified in every state that
11 we would drive through, true?

12 A You mean at trial?

13 Q Either by trial or deposition testimony.
14 And if my geography is correct, that would be Florida,
15 Georgia, Tennessee, Kentucky, and Ohio.

16 A Kentucky certainly.' Ohio certainly. I
17 don't recall testifying in Tennessee. I don't recall
18 testifying in Georgia. Now, I have reviewed cases from
19 Georgia. I don't remember Tennessee, but it certainly
20 is possible. **And** I have given depositions in cases
21 that the suit was brought in Georgia. But I don't
22 remember Tennessee.

23 Q South Carolina certainly?

24 A Oh, sure, South Carolina. A very
25 memorable case in South Carolina.

1 Q Several in Indiana or Illinois?

2 A Many in the Chicago area, yes.

3 Q And also in the Detroit area, Michigan?

4 A Yes, correct.

5 Q And also as far away as Arizona, too?

6 A Yes, I gave a deposition here in Tampa
7 with an attorney from Arizona once. I don't remember
8 any other than that one case.

9 Q Okay. Do you keep copies of your
10 depositions, Doctor?

11 A No.

12 Q And why not?

13 A When the case is settled or completed the
14 only -- I'm trying to think if I even keep the
15 correspondence. But when the case is settled or
16 completed at trial, then my associate calls and asks
17 what do you want done with the records.

18 And if she's told to destroy them she
19 does, and if she's told to send them back she does
20 that. And I would say that the answer to your question
21 then is because the attorneys have directed me to do
22 whatever they want done with the records and I have.
23 complied with their wishes.

24 Q Doctor, have you ever testified in a case
25 similar to Dewey Jones? And we understand that no two

1 medical cases are the same, but I'm talking about a
2 chronic disease process, obesity, cardio problems, a
3 relatively elective surgery that either caused brain
4 injury or ultimate death?

5 A Probably.

6 Q Do any of them come to mind?

7 A No.

8 Q And I assume that you approached the
9 Dewey Jones case in the same analytical manner that you
10 would those other cases?

11 A well, I don't know if your assumption is
12 correct or not. I would hope that I would, and I feel
13 that I usually am pretty consistent over the years in
14 my opinions. But that's not to say that my opinions
15 don't occasionally change.

16 Q Well, let me ask you specifically about a
17 statement that you wrote a number of years and see
18 whether or not you still agree with it.

19 "In the anesthetic management of any
20 surgical patient the knowledge of the pathophysiology
21 of the disease process, the effect of the pharmacologic
22 and surgical intervention and anticipation of pre-intra
23 and post-operative complications will usually result in
24 minimal morbidity and mortality." Do you still agree
25 with that statement?

1 A Pretty safe statement.

2 Q Okay. Now, what can you tell me about
3 your knowledge of Dr. Adamek?

4 MR. MALONE: I'm having trouble hearing
5 you guys.

6 MR. KEENAN: I'm sorry, Jim.

7 MR. MALONE: I'm having a little trouble
8 hearing you. The voice kind of cuts out. When
9 your voice goes down, that speaker doesn't pick
10 it up.

11 MR. KEENAN: Okay. Are we okay?

12 MR. MALONE: Great. We hear you when
13 you're kind of maybe closer to the microphone,
14 but **you** get into periods of quiet. I don't mean
15 to interrupt but we're trying to follow you here
16 and it's getting difficult.

17 MR. KEENAN: Jim, if that occurs, please
18 speak up and we will do better on this end,
19 okay?

20 MR. MALONE: We will try not to interrupt
21 too much, but I appreciate anything you can do.
22 Thanks.

23 BY MR. KEENAN (resuming):

24 Q Okay. Tell me about the qualifications
25 of Dr. Adamek.

1 A In terms of his training and so on?

2 Q Yes. I mean, where did he go to school?
3 Where did he do his residency? Is he board certified?
4 Does he have any specialties?

5 A I would have to refer back to his
6 deposition transcript to answer that question if that's
7 okay.

8 Q Okay.

9 A I don't have an independent recall of all
10 of those things.

11 Q Do you recall specifically how many times
12 he was unsuccessful taking his boards?

13 MS. REINKER: Objection.

14 A I do not recall the specific number. I
15 do recall him being asked, and I believe he had failed
16 his oral boards. I don't remember if it was the
17 written or oral for sure. But I know that he was not
18 board certified.

19 Q Did you make any determination of why he
20 was unsuccessful?

21 A Not that I recall.

22 Q And at Tampa General how many attendings
23 do you supervise?

24 A I have on my faculty approximately
25 twenty-three clinical anesthesiologists. They are not

1 all at Tampa General at any one time. There are a
2 number of anesthesiologists at Tampa General Hospital
3 that are not on my faculty for which I have no
4 responsibility.

5 Q You are board certified, aren't you?

6 A Yes, I am.

7 Q Successful the first time?

8 A The first time that I took the written
9 and orals I passed, yes.

10 Q All right. And you have participated
11 over the years in the examination process, haven't you?

12 A I have.

13 Q Do you know whether or not Dr. Adamek
14 had, prior to Dewey Jones, a similar patient with
15 similar complications and risk factors?

16 A I do not know that.

17 Q Was it any significance to you that Dewey
18 was given oxygen on the morning of the surgery prior to
19 going into the operating room?

20 A That is done sometimes as a routine in
21 some institutions, and it did not, it did not have any
22 significance to me.

23 Q So, your understanding of the facts was
24 that the oxygen was given just as a pre-operative
25 routine?

1 A I, frankly, did not give thought to it,
2 and at this time I do not recall having an impression
3 of why it was given or if it was given.

4 Q All right. You are aware that the
5 evening before the surgery an n.p.o. order was written
6 by the resident?

7 A Yes.

8 Q And that's standard practice, isn't it?

9 A It's standard practice for an n.p.o.
10 order to be written.

11 Q All right. And you were aware that Dewey
12 had been for quite sometime on some blood pressure
13 medication?

14 A Yes.

15 Q Do you understand that that n.p.o. order
16 the evening before his surgery also included a
17 discontinuation of that medication?

18 A It would unless it was specified that he
19 would be given that medication orally on the morning of
20 the operation.

21 Q And did the conduct of the resident in so
22 not designating that he should be continued on with his
23 blood pressure medication meet the standard of care in
24 your opinion?

25 A It would not be a deviation to omit the

1 anti-hypertensive medication on the morning of the
2 operation.

3 Q All right. What was the medical reason
4 for discontinuing his medication?

5 A You would have to ask the resident who
6 wrote the order what his reason was. I don't believe
7 it was given in the chart.

8 Q Well, when you instruct residents and
9 when you, yourself, practice, do you automatically
10 discontinue blood pressure medication on the evening
11 before gall bladder surgery?

12 A Usually not.

13 Q Why not?

14 A Usually the medication is continued on
15 the morning of the operation in order to ensure that
16 the medication has a continued effect on the patient
17 throughout the pre-intra and post-operative period.

18 Q All right. And is it your opinion that
19 the discontinuation of the blood pressure medication by
20 the n.p.o. order the night before had no effect on the
21 consequences of the outcome of Dewey Jones' case?

22 A It is my opinion that the discontinuation
23 of his medication had minimal if any effect on the
24 outcome.

25 Q Describe for me how from a minimal

1 standpoint it would have had an effect on his outcome?

2 A Anti-hypertensive medication, when
3 administered for a long period of time, may have the
4 effect of preventing precipitous increases and
5 decreases in blood pressure during the operative
6 procedure.

7 Anesthetic agents tend to exacerbate the
8 etiologic factors, if you will, of hypertension so that
9 during periods of light anesthesia, in particular
10 stimulation, may cause large increases in blood
11 pressure. And during the anesthetic phase the blood
12 pressure may actually be decreased significantly when
13 the anti-hypertensive medication is not maintained.

14 That was a convoluted answer. I hope
15 it's clear in written form. It wasn't very clear
16 verbally, I realize.

17 Q I understand it, Doctor. Do you know
18 whether or not the resident who did not include a
19 continuation of the meds in the n.p.o. order did so
20 after consulting with an attending?

21 A I don't know that.

22 Q Do you know whether or not it was simply
23 an oversight?

24 A I don't know that.

25 Q Well, from a surgical anesthetic

1 standpoint was there any advantage in discontinuing his
2 blood pressure medication?

3 A Certainly none that I know of.

4 Q All right. Doctor, from an anesthetic
5 standpoint how was Dewey Jones' surgery classified?

6 A Your question isn't clear to me,

7 Q All right. Does your institution use any
8 kind of grading system or percentage system indicating
9 how high a risk a patient presents?

10 A They use the American Society of
11 Anesthesiologists' risk categorization, yes.

12 Q And who assigned the categorization of
13 Dewey Jones?

14 A It would appear that Dr. Sanchyshak most
15 likely, since he filled out the anesthetic record, was
16 the one that did that.

17 Q And what grade did he assign?

18 A Three.

19 Q Do you agree with that?

20 A I don't disagree with it.

21 Q Your letter indicates, Doctor, that there
22 was a long-standing history of congestive heart failure
23 in Dewey Jones. Do you recall writing that?

24 A Yes.

25 Q Your letter also says that Dr. Adamek was

1 unaware of that. I believe that's page 2 at the bottom
2 under number 1, Doctor.

3 A Could you direct me to the line?

4 Q Number 1 it says, "Records exist which
5 were not made available to me, and which were not
6 available to the anesthesiologist conducting the
7 pre-operative evaluation, which document Mr. Jones'
8 long-standing history of congestive heart failure."

9 Did I read that right?

10 A Yes. I thought your question had to do
11 with hypertension, I'm sorry.

12 Q No. But do you understand the question?

13 A Perhaps you should repeat it.

14 Q Let me repeat it.

15 A Because I didn't understand it. I
16 thought it was hypertension you had asked about.

17 Q Let me rephrase it. Dr. Adamek did not
18 know of that long-standing history of congestive heart
19 failure on the morning of surgery, did he?

20 A I believe that Dr. Adamek knew that he
21 had a history of congestive heart failure, but I don't
22 think that he knew of the length and significance of
23 it.

24 Q Should he have? And by that I mean
25 should someone have told him?

1 A I don't believe that the standard of care
2 dictated that in this particular instance, but that
3 kind of information is always desirable to an
4 anesthesiologist.

5 Q All right. Now, the doctor who did the
6 pre-operative anesthetic evaluation the evening before
7 certainly didn't have that information, did he?

8 A We don't know, I don't believe. We don't
9 know what information was made available to him I don't
10 believe. I don't know that.

11 Q And is it your testimony that the absence
12 of that information had no impact on the grading of the
13 risk factors for surgery?

14 A No, that would not be my testimony. You
15 didn't ask me that I don't believe.

16 Q Okay.

17 A I believe that that kind of information
18 does have, in fact, relevance to the ASA physical
19 status assignment.

20 Q **All** right. **So**, had the doctor who did
21 the pre-operative anesthetic evaluation had the
22 information, either the records or had been verbally
23 told of this long-standing history of congestive heart
24 failure, what would the grade have been?

25 A It still very likely would have been

1 three because Dr. Ho's assessment was that the patient
2 was not currently in congestive heart failure, and so
3 therefore it would have been of historical significance
4 but not, certainly would not have placed the patient in
5 the four category in and of itself.

6 Q Is it your understanding that this
7 congestive heart failure was end-stage on the morning
8 of surgery?

9 A No.

10 Q Where would you put it?

11 A Based on the information that was
12 available to me in the chart and so on I would say that
13 he had compensated left ventricular failure secondary
14 to long-standing hypertension which was moderately in
15 control. And certainly I would not classify it as
16 end-stage congestive heart failure.

17 In fact, I don't think he demonstrated
18 signs of congestive heart failure either prior to,
19 during, or following his induction of anesthesia for
20 some period of time.

21 Q You understand this was a 34-year-old man
22 who was completely disabled, unable to work and was
23 receiving a Social Security disability because of that
24 condition?

2 A I don't think I was aware of all of that.

1 Q Not aware of that. Okay. What other
2 risk factors were known prior to the surgery?

3 A The fact that the patient had
4 hypertension was known, and the fact that the patient
5 was obese, and the fact that he had evidence and **a**
6 history of obstructive sleep apnea with mild oxygen
7 desaturation during sleep. I believe those were --
8 that's a complete list.

9 Q well, does cardiomegaly go hand in hand
10 with hypertension?

11 A It certainly is a secondary effect of
12 long-standing hypertension, yes. And his chest x-ray
13 did reveal cardiomegaly.

14 Q To what extent, profound?

15 A I don't remember what the adjective was
16 that preceded it, I just remember the cardiomegaly. I
17 will be happy to refer back to it if you want me to.

18 Q Well, I just want to know whether or not
19 it was significant to you in forming your opinions.
20 There was no statement in your report on it, and if it
21 has no significance to a degree then **we** will move on.

22 A The chest x-ray just said the impression
23 was cardiac enlargement unchanged.

24 Q Doctor, would you agree that it's
25 inappropriate for an anesthesiologist to accept the

1 internist's clearance for anesthesia in surgery?

2 A No, I wouldn't agree with that.

3 Q You would not?

4 A No.

5 Q So, if an internist clears a patient for
6 surgery the anesthesiologist then has no independent
7 responsibility to go beyond that and to make an
8 independent assessment?

9 A I don't agree with that either.

10 Q All right. Tell me your opinion then on
11 the role between the anesthesiologist and the internist
12 if, in fact, the internist has been asked to clear the
13 patient for surgery?

14 A Well, the term clearing for surgery is
15 not a very helpful or meaningful term, per se. I
16 expect the internist to elucidate an accurate history
17 and to perform a relevant and accurate physical
18 examination and determine whether or not the patient is
19 in optimal condition for the planned operative
20 procedure and anesthetic, and, if not, to relay that
21 information to me as the anesthesiologist.

22 And then I will take that information
23 into account in determining whether or not I feel the
24 patient is an appropriate anesthetic risk and plan the
2s anesthetic appropriately.

1 Q Well, you would agree that it's important
2 for the anesthesiologist and the surgeon to have a
3 dialogue between each other about the relative risk
4 factors?

5 A The anesthesiologist and the surgeon?

6 Q Yes.

7 A In some cases, yes. Not in all cases.

8 Q Well, clearly in Dewey's case?

9 A I think in Dewey's case that it would
10 have been appropriate for the surgeon and the
11 anesthesiologist to discuss the patient's medical
12 condition.

13 Q Did they?

14 A I know of no evidence that that took
15 place.

16 Q Do you assume they did?

17 a I don't assume they did.

18 Q Well, did the standard of care require
19 them to do so?

20 A I don't think the standard of care
21 required that.

22 Q Was the surgery itself elective?

23 A I believe it was -- if it wasn't elective
24 it was close to elective.

25 Q And did the surgery itself carry any

1 accepted classification or grade to it?

2 A I'm not sure what you mean by the
3 question, I don't understand the question.

4 Q Well, do surgeons have a similar
5 classification about degree of elective surgery,
6 necessary surgery that anesthesiologists grade risk
7 factors?

8 MS. REINKER: Objection.

9 A I don't think it's a 'similar
10 categorization. I think that they certainly classify
11 the operative procedures according to emergent urgent,
12 elective and so on, but that has nothing to do with the
13 ASA physical status assignment.

14 Q And what was it, what was it in this
15 case?

16 A I don't remember.

17 Q You certainly have formed no opinions
18 about the necessity for surgery, true?

19 A That's correct.

20 Q And will give no opinions on that?

21 A I haven't been asked to and I don't think
22 I would. I think I could go back and review it with
23 that in mind whether or not, but it was certainly not
24 my impression that this was an emergent procedure that
25 had to be performed that morning.

1 Q All right. In this case did Dr. Adamek
2 make an independent decision to clear this patient for
3 surgery from both an anesthetic and a surgery
4 standpoint?

5 A According to his deposition transcript
6 testimony, I don't believe that he did.

7 Q And it's your opinion he should not have?

8 A I don't believe he had the opportunity
9 to. According to his testimony, I believe that he
10 basically came on the scene about the time that the
11 patient was to be induced, or shortly thereafter, and
12 that another anesthesiologist perhaps was there for the
13 first part of the procedure.

14 Q Well, that's nowhere in the records, is
15 it?

16 A Oh, it's certainly not in the records.

17 Q All right. And it's only in Dr. Adamek's
18 testimony, true?

19 A I believe that's correct.

20 Q All right. That should be something that
21 was charted, wouldn't it? Wouldn't you chart an
22 attending's present at the beginning of a procedure?

23 A I would say that it would not always get
24 charted.

25 Q Do you tell your attendings don't bother

1 with it?

2 A No, I would not tell them that.

3 Q You tell them to chart it, don't you?

4 A I would certainly tell them if asked that
5 if an anesthesiologist was present for induction of
6 anesthesia that that individual's name should appear on
7 the record, and probably would appear in the operating
8 room record,

9 Q Did you review the operating room record?

10 A Yes.

11 Q And was this other doctor, who Dr. Adamek
12 says was there at the beginning, is he reflected in
13 that record?

14 A I don't believe so.

15 Q Doctor, is it your opinion that it was
16 the resident Sanchyshak that did the pre-operative
17 evaluation, or what doctor was that?

18 A We are talking about the pre-anesthetic
19 sheet?

20 Q Correct. Correct.

21 A That's not entirely clear to me, but I
22 believe that Dr. Adamek signed it, that another
23 resident filled out at least a good part of it on the
24 19th, but yet it was dated the 20th at eight o'clock in
25 the morning.

1 And there is some writing that appears to
2 be made with a similar type pen that Dr. Sanchyshak
3 used on the anesthesia record, so it is not completely
4 clear to me who was responsible for either all, or a
5 majority, or some of this record.

6 Q Well, specifically the pre-operative
7 evaluation, we don't know who did it, is that your
8 testimony? And by "we" I don't mean myself, I mean you
9 don't know who did it?

10 MS. REINKER: Objection, it was in
11 Dr. Sanchyshak's deposition.

12 MR. KEENAN: I'm sorry.

13 A It was referred to in Dr. Sanchyshak's
14 deposition, so whether or not he was right or not he
15 said it was -- he gave a name, but when I looked at the
16 initials it didn't look like the initials fit the name
17 that he gave. But he said that he recognized it. I
18 believe it started with an "F" the first name. I don't
19 remember precisely.

20 Q Okay. And do you know anything about the
21 background or qualifications of the resident that did
22 the pre-operative evaluation?

23 A No.

24 Q Did you make any assumptions?

25 A No.

1 Q Did you assume that whoever did the
2 pre-operative evaluation was not the same resident who
3 did the induction?

4 A Yes.

5 Q Doctor, at Tampa General, do you spend
6 most of your time at Tampa General?

7 A I spend a major portion of my time at
8 Tampa General. I spend a little bit of time at Moffitt
9 Cancer Hospital but not very much.

10 Q Are you chair at Tampa General?

11 A I'm no longer chief of anesthesia at
12 Tampa General.

13 Q All right. You were a chief for a while,
14 weren't you?

15 A I was. That's an elected position.

16 Q And as such, did you have a role in
17 developing policies and procedures for the anesthesia
18 department?

19 A Probably at least a few of them, more
20 than -- the major role would have been in revising and
21 reapproving them though.

22 Q Let me go back for a minute on the
23 pre-anesthesia evaluation. Dr. Adamek signed off on
24 it?

25 A Yes, I assume he did.

1 Q And what do you assume that meant?

2 A What it usually means and what I would
3 assume it meant was that he reviewed it and he agreed
4 with it.

5 Q Now, the next morning you assumed that
6 Dr, Adamek was not present for the induction?

7 A Well, he said he wasn't. Sanchyshak said
8 he was. I'm not sure that I assumed he was or he
9 wasn't. There's a conflict in the record there.

10 Q Well, if he wasn't present and if there
11 was a resident himself, that would be a breach of the
12 standard, wouldn't it?

13 A That would not comport with the standard
14 of care if the resident did it in an unsupervised
15 fashion in an elective case, yes.

16 Q And unsupervised you mean an attending
17 not being there?

18 A Not being physically present. But
19 neither Sanchyshak or Adamek claimed that that was the
20 case. Adamek claimed that another anesthesiologist was
21 there.

22 Q We can assume that the pulmonary artery
23 catheter was not used during the operative procedure,
24 true?

25 A Yes, that's correct.

1 Q What resident was it that suggested that
2 the Swan-Ganz should be used?

3 A Sanchyshak claimed that he did.

4 Q All right.

5 A Or asked. He may not have -- I don't
6 know if he said he suggested it be used, but he brought
7 up whether or not it should be used with Adamek.

E Q And at what point did he bring it up,
c preoperatively?

10 A He said that he brought it up prior to
11 taking the patient into the operating room, I believe.
12 But it was definitely preoperatively.

13 Q And was that commendable for a resident
14 to make that suggestion?

15 A Well, I'm not sure that he made the
16 suggestion or if he just raised the issue of whether or
17 not it should be done. And I don't believe it's either
18 commendable or uncommendable.

19 Q It wasn't stupid, though?

20 A Wasn't stupid? No.

21 Q And what was Dr. Adamek's response?

22 A According to Sanchyshak his response was
23 that that wasn't necessary.

24 Q Now, you are aware that Drs. Kaplan,
25 Kascorvy and Dr. Rapkin are all of the opinion it was

1 necessary; you are aware of that?

2 A I knew that Kaplan thought it was
3 necessary. I don't recall what Rapkin and I don't
4 believe I saw a report on Kascorvy.

5 Q All right. Well, assume that their sworn
6 testimony yesterday indicated that?

7 MS. REINKER: Objection.

8 A I will assume that. What, indicated
9 what, that it should have been used?

10 Q Should have been used.

11 A Does that mean the standard of care
12 dictated that it should be used?

13 Q Correct.

14 MS. REINKER: Objection. That was not
15 the testimony.

16 BY MR. KEENAN (resuming):

17 Q What's your opinion?

18 A My opinion is that the standard of care
19 did not dictate that a pulmonary artery catheter be
20 used. And my opinion is that, although it certainly
21 would have been acceptable to put a pulmonary artery
22 catheter in preoperatively, that it would have had no
23 effect on the ultimate outcome in this case.

24 Q Why would it have been acceptable?

25 A Well, I believe that the risk of a

1 pulmonary artery catheter in accomplished hands is
2 fairly small, and that the information that one can
3 gain from the pulmonary artery catheter is sometimes
4 very helpful. And that's particularly true in patients
5 with unstable cardiopulmonary physiology.

6 So that oftentimes the catheters are used
7 and are of no value, which would have been likely the
8 case here, at least in terms of outcome -- I wouldn't
9 want to say would have been worthless but it would not
10 have altered the outcome -- but there are times when
11 things happen unexpectedly and the information that's
12 gained from the catheter is helpful in guiding therapy.

13 Q Did you see where **Dr.** Adamek in his sworn
14 testimony said that if a Swan-Ganz would have been in
15 that it would have, according to him, foretold the
16 bradycardia?

17 A I don't recall him saying that.

18 Q Is that true?

19 A No.

20 Q It would not have?

21 A I don't believe that at all.

22 Q All right. Now, what kind of useful
2 information can a Swan-Ganz generate, Doctor?

23 A We could be here for a long time
24 discussing that.

1 Q Well, just give me a thumbnail.

2 A Well, as long as there's a stipulation
3 it's not a complete issue.

a Q Sure.

S A I think that in a case similar to this a
6 pulmonary artery catheter would give useful information
7 regarding the after-load to the right ventricle from
8 the pulmonary artery pressure and the filling status of
s the left ventricle.

10 I personally do not believe that cardiac
11 output measurement would be very useful in an
12 individual such as this. And mixed venous saturation
13 values would confirm adequacy of cardiac output.

14 So that's a very rough thumbnail sketch
15 of how it might be used in a case such as this.

16 Q And it's your opinion then that in
17 Dewey's case, although the Swan-Ganz can give useful
18 information about decreases in cardiac output, it
19 wouldn't in Dewey's case?

20 A No, that was not my testimony.

2: Q Okay. Well, let's take it this way then,
2: Doctor. With regard to the cardiac output in Dewey's
2: case, what benefit would a Swan-Ganz have in telling a
2: doctor what the cardiac output was?

2' A Well, it could tell what the cardiac

1 output was. But in my opinion, based on his blood
2 pressure and pulse throughout the operative procedure
3 and his oxygen saturation while receiving 50 percent
4 oxygen, I believe that the cardiac output measurement
5 itself would not have been particularly helpful.

6 I think that the pulmonary artery
7 occlusion pressure would have aided in guiding fluid
8 therapy, and my estimation would have been it would
9 have led to an increase in fluid thkrapy, not to a
10 decrease.

11 And the cardiac output measurement itself
12 would not be as useful as the mixed venous saturation
13 in determining whether or not the output was actually
14 adequate to meet the body's demand.

15 Q All right. What information would the
16 Swan-Ganz give regarding the degree of left ventricular
17 dysfunction?

18 A If the pulmonary artery occlusion
19 pressure were elevated, and I don't think it would have
20 been because it wasn't in the post-resuscitative phase,
21 it would have given an indication that there, in fact,
22 was left ventricular dysfunction and left ventricular
23 failure.

24 In the absence of decreased cardiac
25 output and increased pulmonary artery occlusion

1 pressure, the pulmonary artery catheter would not give
2 an accurate reflection of left ventricular dysfunction.

3 Q All right. Doctor, do you recall
4 testifying in a case that without the Swan-Ganz or the
5 pulmonary artery catheter you can't tell the degree of
6 left ventricular dysfunction, you can't tell any
7 decreases in cardiac output, you can't tell low or
8 elevated pulmonary occluded pressure, and according to
9 you, and I quote, "There's just no way to tell. You
10 would simply be guessing, and that's exactly the reason
11 you need the catheter"; do you recall testifying to
12 that?

13 A I don't recall testifying to that, but I
14 don't disagree with the statements in some clinical
15 situations. But that's certainly -- clearly those
16 statements would not be true of a perfectly normal,
17 healthy human being, for example, so that it would
18 depend upon the clinical situation. And I don't know
19 what the context of that statement was.

20 Q And you are not telling me that Dewey
21 Jones was in the category of a perfectly good human
22 being, true?

23 A Well, no, and he was under general
24 anesthesia. And I certainly wouldn't say that I could
25 say what his pulmonary artery occlusion pressure was at

1 eleven o'clock, or that anybody else would either, but
2 that doesn't mean that it's 25. The fact that I don't
3 know exactly what it is doesn't mean that it's
4 distinctly abnormal high or low.

5 Q Well, isn't that the point of why we use
6 the catheter is to tell so no one's guessing?

7 A Well, I think that's the reason one would
8 use it if it's important to know that information. And
9 there are times when it is important to know that
10 information. There is a big debate going on right now
11 whether it's ever useful or important.

12 Q For the -- I'm sorry.

13 A And a moratorium was called for by
14 Dr. Roger Bone in the last few years saying that we
15 shouldn't use them anymore at all because there is no
16 evidence that they are ever of any value. So that's
17 certainly not an opinion held by everyone that there's
18 even ever a use for the Swan-Ganz catheter.

19 Q Well, when is the last time that you
20 circulated in a case with a Swan-Ganz?

21 A That I circulated? You mean --

22 Q Yes, that you were either at the
23 beginning, end, or middle of a procedure?

24 A Friday.

25 Q Did you think that --

1 A Thursday or Friday.

2 Q Did you think it was useless to the
3 patient?

4 A In this particular case it probably was
5 not very helpful. But you can't predict ahead of time
6 sometimes when it will be helpful or not

7 Q Well, isn't that the point? You don't
8 have to know for certain before you use it to use it;
9 you use it in the anticipation that it may be helpful?

10 A I agree with that statement.

11 Q All right. Now, prior to Friday when is
12 the last time that you used a Swan-Ganz?

13 A Probably the day before in the intensive
14 Care Unit.

15 Q Okay. So you use Swan-Ganz frequently in
16 your practice?

17 A Very.

18 Q Very frequently?

19 A Very frequently.

20 Q What percentage of surgical procedures do
21 you think a Swan-Ganz is in?

22 A I have no idea. It used to be put in
23 every open heart, and we're doing that less and less
24 now. Certainly any time the aorta is cross-clamped I
25 believe it's indicated.

It's not an insignificant number. It's certainly not a high percentage of all patients undergoing anesthesia for operative procedures, but it's a high percentage in some operative procedures. And in most high risk patients for cardiovascular surgery I would say a pulmonary artery catheter will be placed.

Q When was the arterial catheter placed in this case?

A During the -- it's listed on the code sheet, I believe.

Q By code we are talking about after the arrest?

A It was 1:27 p.m., so approximately thirteen minutes after the CPR was initiated.

Q Certainly not during the surgery then?

A I agree.

Q Okay. Doctor, do you recall writing the following: "That arterial catheterization is necessary for anesthetic management of patients with significant pulmonary dysfunction or cardiovascular insufficiency, severe metabolic disorders, or morbid obesity to facilitate monitoring of the arterial blood pressure and to allow frequent sampling of the arterial blood for assessment of blood gasses"; do you recall writing

1 that?

2 A No, but I would guess if I did that it
3 was before 1988.

4 Q Okay. Is that just flat out wrong today?

5 A It's not as accurate as it was at one
6 time because we now have more accurate non-invasive
7 blood pressure monitors and we have pulse-oximeters,
8 which makes the necessity for frequent sampling of
9 arterial blood much less and the necessity for blood
10 pressure measurement much less than it used to be. But
11 I certainly at one time believed that was true.

12 Q And would have been of no benefit in
13 Dewey's case intraoperatively?

14 A Oh, I don't agree with that. I think it
15 would have been of benefit as it turned out, especially
16 during the period of time when he developed
17 cardiovascular instability.

18 Q At approximately what time?

19 A Hard to say from the charting. But it
20 would appear that at approximately 1300 it was a
21 possibility and by 1315 it was a certainty.

22 Q And how would the arterial catheter have
23 aided the surgical team in Dewey's case had it been in?

24 A It would have given them a beat to beat
25 knowledge of his blood pressure. It would have allowed

1 them to sample arterial blood for analysis for oxygen
2 tension, CO2 and pH. It would have assisted them.

3 Q All right. Was it a breach not to have
4 used it?

5 A No.

6 Q They could have used it?

7 A They could have.

8 Q And does the use **of** an arterial catheter
9 pose less risk than a Swan-Ganz?

10 A They are different risks. I would
11 hesitate to say it's less or more. They are different.
12 I think that the general feeling would be that you can
13 get away with less morbidity with an arterial line than
14 with a pulmonary artery line.

15 Q Did you note the urine input or output,
16 rather, during surgery?

17 A Yes.

18 Q And why was that significant to you?

19 A Well, there was a claim that the patient
20 had fluid overload which caused his pulmonary edema,
21 which I thought was highly unlikely. And the urinary
22 output of only 25 milliliters an hour to an hour and a
23 half into the case would certainly not substantiate
24 fluid overload.

25 And the patient's response to Lasix later

1 with a 425 milliliter urinary output does not
2 substantiate a substantial fluid overload, and it also
3 confirms that the patient had profusion of his kidneys
4 even after his period of cardiovascular instability.
5 So I think there's useful information in the urinary
6 output.

7 Q The fluids he received intraoperatively
8 was how much?

9 A It was documented to be 2100 milliliters
10 of crystalloid solution.

11 Q So, 2100 went out or went in, right?

12 A That's what was recorded.

13 Q And 25 went out?

14 A No, 425 went out.

15 Q And that's an adequate balance in your
16 opinion?

17 A Well, in an obese individual who is
18 undergoing a cholecystectomy it's pretty much what you
19 would expect. However, the 425 is with stimulation
20 from Lasix, and that's not what you would expect, you
21 would actually expect a little higher urinary output.

22 Q Now, who was in attendance when the
23 muscle relaxant drugs were reversed?

24 MS. REINKER: At what point in time? Do
25 you want to point out to us what you are talking

1 about?

2 BY MR. KEENAN (resuming):

3 Q No, I just wondered who was in
4 attendance?

5 MS. REINKER: At what time?

6 BY MR. KEENAN (resuming):

7 Q When there was a reversal at the end of
8 the surgical procedure?

9 A Well, that was at slightly after 12:30,
10 assuming that the notation of time is accurate. And we
11 know that Dr. Sanchyshak was present. It's likely that
12 the surgeon had left the room by that time, and then
13 there were nursing personnel and perhaps a surgical
14 resident present.

15 Q Who made the decision to reverse?

16 A Well, we don't know that for sure. It's
17 obvious that Sanchyshak did because he gave it. Now,
18 whether Adamek felt that this particular muscle
19 relaxant should be reversed or not I don't believe was
20 addressed. If it was I didn't pick up on it and recall
21 it. This was an intermediate acting muscle relaxant.
22 It doesn't always have to be reversed.

2 Q Well, was the standard of care met by
2 Dr. Adamek not being present during reversal in this
2 patient?

1 A Oh, I think so, yes. I don't think that
2 reversal of the medication in fact made that much
3 difference anyway because he had documented before that
4 there was a four over four train of four present, which
5 meant that physiologically the relaxant had already
6 been reversed.

7 Q In this case should there have been any
8 anticipation of complications once the reversal
9 occurred?

10 A Well, as I said, I think the reversal
11 occurred over a period of time. The previous dose of
12 Norcuron had been given at 11:15, so it was over an
13 hour since there had been any administration, so that
14 the reversal, in fact, had been occurring for over an
15 hour at that time.

16 You are referring to the pharmacologic
17 reversal in that, and I doubt that it had any
18 significant effect one way or another.

19 Q Do you know whether or not Dr. Adamek
20 gave specific instructions to the resident as to the
21 timing or even the appropriateness of the reversal?

22 A I don't recall if there was such
23 testimony.

24 Q If he didn't say a word would that have
25 been in keeping with the standard of care, and that is,

1 Dr. Adamek not saying anything to the resident one way
2 or the other?

3 A One way or another I don't think it would
4 make any difference in this particular case. This
5 individual had been doing anesthesia for a long time,
6 this particular resident. And I think an attending
7 could assume that after more than a year of anesthesia
8 experience that he could judge when the appropriate
9 time, if at all, would be for administration of
10 pharmacologic reversal of the muscle relaxant would be.
11 That is not synonymous with extubating the patient,
12 obviously.

13 Q You utilize residents at Tampa General,
14 don't you?

15 A We train residents at Tampa General.

16 Q Do you generally inform the patients in
17 advance as to the role of the residents?

18 A Patients are informed upon admission to
19 the hospital that it is a teaching hospital and that
20 physicians in training will be playing a significant
21 role potentially in their care and treatment.

22 Q Are they told that it could well be that
23 residents alone will be attending the pre-operative
24 evaluations?

25 A If a resident does the pre-operative