

IN THE COURT OF COMMON PLEAS

OF CUYAHOGA COUNTY, OHIO

LESLIE M. LYNIX, et al.,

Plaintiffs,

vs.

Case No.

PARMA COMMUNITY

85610

GENERAL HOSPITAL, et al.,

Defendants.

Deposition of KENNETH DVORAK, M.D., a

Defendant herein, called by the Plaintiffs  
for examination under the statute, taken before  
me, Vivian L. Gordon, a Registered Professional  
Reporter and Notary Public in and for the State  
of Ohio, pursuant to notice and stipulations of  
counsel, at the offices of Harry E. Guion,  
Esq., 5566 Pearl Road, Parma, Ohio, on Monday,  
July 14, 1986, at 10:00 o'clock a.m.

PG LN -----COMPUTER INDEX-----

PG LN BY-M\*  
219 13 KENNETH DVORAK, M.D. BY-MR. CHARMS: Q.  
219 23 KENNETH DVORAK, M.D. BY-MR. JEFFERS: Q.

PG LN MARK'D  
199 2 1 through 22 were MARK'D for

PG LN AFTERNOON-SESSION

PG LN -----THIS-INDEX-IS RESEARCHED BY-COMPUTER-----

## 1 APPEARANCES:

2 On behalf of the Plaintiffs:

3 HARRY E. GUION, ESQ.

4 JONATHAN GATZ, ESQ.

5 5566 Pearl Road

6 Parma, Ohio 44129

7 886-7089

8 On behalf of the Defendant

9 Parma Community General Hospital:

10 Weston, Hurd, Fallon,

11 Paisley &amp; Howley, by

12 JOHN W. JEFFERS, ESQ.

13 25th Floor Terminal Tower

14 Cleveland, Ohio 44113

15 241-6602

16 On behalf of the Defendant

17 Kenneth Dvorak, M.D.:

18 Reminger &amp; Reminger, by

19 STEPHEN D. WALTERS, ESQ.

20 The 113 Building

21 Cleveland, Ohio 44114-1273

22 687-1311

23

24

25

1 On behalf of the Defendant  
2 Charles Greenwald, M.D., Greenwald,  
3 Hanna & Schoeck, Miguel A. Dominguez,  
4 M.D., and Albainy Pathology Assoc., Inc.:  
5 Jacobson, Maynard,  
6 Tuschman & Kalur, by  
7 STEPHEN CHARMS, ESQ.  
8 One Erieview Plaza  
9 Cleveland, Ohio 44115  
10 621-5400

11 ----

12 ALSO PRESENT:

13 Leslie M. Lynix  
14 Edwin J. Wagner, Esq.

15 -----

1 MR. GUION: Doctor, I'm  
2 going to be asking you a lot of questions.  
3 Obviously I am going to go through a lot of  
4 records.

5 I'm not in any sense or way trying to  
6 fool or confuse you. If I ask you anything  
7 that you do not understand or you have any  
8 doubts about what I am asking, ask me and I  
9 will clarify it.

10 I will assume if you do answer the  
11 question that you do understand it; is that  
12 reasonable?

13 THE WITNESS: Yes.

14 Q. To begin, would you state your full name.

15 A. Kenneth James Dvorak.

16 Q. Your residence address?

17 A. 5711 Warwick Road, Parma, 29.

18 Q. Doctor, your date of birth?

19 A. 9/6/49.

20 Q. Doctor, what I would like to do first of  
21 all is go back and get your educational  
22 background so we have a basis for things.

23 Will you tell us where you went to high  
24 school?

25 A. Padua.

1 Q. That's in Parma?

2 A. Yes.

3 Q. What year did you graduate from Padua?

4 A. 67.

5 Q. Would you take me from there where you  
6 went to college?

7 A. Western Reserve and I graduated four  
8 years later.

9 Q. That would be 1971?

10 A. That's right. Yes. I did a year  
11 research after that at Milwaukee County  
12 Hospital.

13 Q. What did that involve?

14 A. Cancer research.

15 Q. Okay.

16 MR. JEFFERS: Where was that?

17 THE WITNESS: Milwaukee,  
18 Marquette.

19 A. I was a special Fellow in the department  
20 of surgery at that time.

21 Q. This was prior to going to medical school?

22 A. Yes.

23 Q. Did your year at that institution involve  
24 any of the facial type tumors that we will be  
25 getting into discussing later today?

1 A. No.

2 Q. What type of cancer was it?

3 A. Lung cancer.

4 Q. Okay. Go ahead.

5 A. Then I came back to Western Reserve and  
6 went to their medical school. I graduated in  
7 1976.

8 Following that, I did a year of  
9 internship in general surgery at the Cleveland  
10 Clinic and I followed that by a year of general  
11 surgery residency at the Cleveland Clinic.

12 I followed that with three years of ear,  
13 nose and throat at University Hospitals of  
14 Cleveland.

15 I followed that with an Allen Scholarship  
16 for 12 months in a combined program with  
17 University and Cleveland Clinic.

18 Most of my time was at the Cleveland  
19 Clinic.

20 MR. JEFFERS: Allen?

21 THE WITNESS: A L L E N.

22 A. I followed that by acting six months as a  
23 chief resident in the plastic surgery program  
24 at which time my financial situation was such  
25 that I quit and went into practice.

1 Q. What year was it that you went into  
2 practice?

3 A. I always have trouble remembering. I  
4 think 1983.

5 Q. 1983?

6 A. Yes.

7 Q. That was the year then that this case  
8 transpired that we are talking about?

9 A. Yes.

10 Q. That was the year you started your  
11 private practice?

12 A. Yes.

13 Q. Going back to medical school, what year  
14 did you graduate in general? I am trying to  
15 remember. You started medical school --

16 A. 72 to 76 were the years I was in medical  
17 school.

18 Q. Now, during that time in medical school,  
19 did you become familiar in any way with the  
20 disorder or disease process that we are talking  
21 about here today, which is basically this  
22 juvenile nasopharyngeal angiofibroma?

23 A. Yes.

24 Q. You had some exposure to that in medical  
25 school.

1 In what form did you have exposure to  
2 that disease process?

3 A. Textbook exposure.

4 Q. Do you recall that textbook you used at  
5 that time? What would the textbook be?

6 A. Numerous. Ballinger and Saunders were  
7 the two that we relied on.

8 Q. Ballinger and Saunders. Both books were  
9 on otolaryngology?

10 A. Sort of bibles, that sort of thing.

11 Q. Also a textbook like Murphy, a bound  
12 white five volume type, did you use?

13 A. Yes. That textbook is made up of  
14 contributions by numerous authors. Murphy  
15 actually didn't write that book.

16 Q. Is that an authoritative text in the  
17 field of otolaryngology, along with others?

18 A. I'd say it is used not anywhere near the  
19 bible.

20 Q. But a textbook recognized as a standard  
21 for ENT men?

22  
23 MR. JEFFERS: What is the  
24 other one?

25 THE WITNESS: Ballinger.

MR. JEFFERS: And what was



1 the other one?

2 THE WITNESS: A textbook by  
3 Saunders. And also a different one by  
4 Papparella, P A P P A --

5 Q. Are these all textbooks that are  
6 considered authoritative?

7 A. Yes.

8 Q. Now, following medical school, you did  
9 what after medical school?

10 A. A year of internship.

11 Q. During that year of internship, did you  
12 have any further exposure to this type of tumor,  
13 this angiofibroma?

14 A. No.

15 Q. You ran into no case of that type?

16 A. Right.

17 Q. And after that year of internship, you  
18 did what again?

19 A. A year of residency in general surgery.

20 Q. During that time did you have any  
21 exposure to any of these?

22 A. No.

23 Q. Following that --

24 MR. JEFFERS: The answer was  
25 no?

1 THE WITNESS: The answer was  
2 no.

3 Q. Following that?

4 A. During my residency in ear, nose and  
5 throat I did have exposure.

6 Q. There you did. Was there additional  
7 textbooks or those same three?

8 A. I remember clinical cases, a couple of  
9 clinical cases.

10 Q. Of what had been diagnosed as the  
11 juvenile nasopharyngeal angiofibroma?

12 A. Yes.

13 Q. I will call it JNA for understanding.

14 A. Sure.

15 Q. Your residency then was at the Cleveland  
16 Clinic?

17 A. No. Ear, nose and throat residency was  
18 at University.

19 Q. Who were the doctors in ENT that were on  
20 the staff?

21 A. Katz, Witt, Slyman, Sogg, Sedwick. Quick  
22 was there for a short period of time and that's  
23 it.

24 Q. These were the men that trained you  
25 during residency?

1 A. Yes.

2 Q. Then following the residency, what was  
3 your next step?

4 A. Allen Scholarship.

5 Q. What did that involve, exactly? Where  
6 did you --

7 A. Teaching ear, nose and throat and doing  
8 research on skin transplantation.

9 Q. Where did this take place?

10 A. Both at University and at the Cleveland  
11 Clinic.

12 Q. So you were involved in teaching.

13 Now, in the course of that teaching, did  
14 that involve some of these types of tumors?  
15 Did you have occasion to get into this at all  
16 in teaching?

17 A. No. In that year there were no JNA.

18 Q. What did you do next after that?

19 A. I entered the plastic surgery program at  
20 University Hospitals.

21 Q. Now, do you know what year that was?

22 A. I suspect it was started in July of 82.

23 Q. Who were your teachers at that point?

24 A. John Dupre was chairman of the department,  
25 Gary Brownstein was there. Linda Chuck was

1 there, and those are the three I remember.

2 MR. JEFFERS: Is that the  
3 John Dupre from Harvard?

4 THE WITNESS: Yes.

5 Q. Then in 1983 sometime you went into  
6 private practice?

7 A. Right. January of 83.

8 Q. To continue your education beyond that,  
9 what did you do? Did you take any formal  
10 training programs? Did you attend any seminars  
11 or lectures?

12 What did you do from January of 1983,  
13 let's say, through December of 83 to continue  
14 your education?

15 A. I attended the yearly meeting of the head  
16 and neck, ear, nose and throat meetings.

17 Q. What is the name of that society? Does  
18 it have a name, that society?

19 A. I guess it is the American Society for  
20 Head and Neck Surgery, Otolaryngology, Facial  
21 Plastic and Reconstructive Surgery.

22 Q. Were those meetings held in different  
23 cities every year, the same way the law things  
24 are?

25 A. Uh-huh.

1 Q. In addition to that, did you continue  
2 your education through reading the journals  
3 written by experts in the various journals?

4 A. Uh-huh.

5 Q. What journals do you subscribe to?

6 A. The Journal of Head and Neck Surgery, the  
7 Journal of Otolaryngology.

8 Q. Any others that you subscribe to?

9 A. Also Laryngoscope.

10 Q. Any others?

11 A. I read the Plastic Surgery Journal,  
12 Plastic and Reconstructive Surgery.

13 Q. Those are all authoritative journals that  
14 give you information that you can use in your  
15 practice?

16 A. Yes.

17 Q. In addition to that, do you read any  
18 journals peripheral to your direct practice;  
19 Radiology, Cancer, those type of journals not  
20 directly related to ENT journals, but which may  
21 on occasion have issues or articles?

22 A. Uh-huh. I would like to point out that I  
23 have published 30 papers, so I'm quite familiar  
24 with journals and reading them, out of interest.

25 Q. What are some of the journals that you

1 have published articles in?

2 A. New England Journal of Medicine,  
3 Transplantation. I think I have an article in  
4 Cancer. And a number of other articles. I  
5 just don't recall what they are.

6 MR. JEFFERS: Did you bring  
7 a CV with these publications?

8 THE WITNESS: I didn't, but  
9 I can get you one.

10 MR. GUION: I would like  
11 that, Steve, if you can get that to me.

12 Q. In your practice then in January, we now  
13 move to this specific case in point.

14 I would like to take you through some of  
15 the items and events that occurred.

16 First of all, we are talking about  
17 obviously Little John Lynix.

18 By the way, refer to your records when I  
19 ask you any questions about any of this, doctor.

20 Along those lines, let me make sure of  
21 one thing. This was sent to me by request of a  
22 motion to produce all of your office records  
23 and so forth. I see you have an enormous stack  
24 there.

25 MR. WALTERS: These are not

1 his office records. You said he had an  
2 enormous stack.

3 MR. GUION: I didn't finish,  
4 Steve.

5 Q. Included in that enormous stack, I assume,  
6 are your office records?

7 MR. WALTERS: Let's get the  
8 record straight. He has a black binder from my  
9 office which are Cleveland Clinic and Parma  
10 records.

11 MR. GUION: Okay.

12 MR. WALTERS: That's the  
13 enormous stack. This very thin packet is his  
14 office records, okay?

15 MR. JEFFERS: Doctor, could  
16 you just show me the face sheet on what he  
17 handed you?

18 MR. GUION: Okay. These are  
19 his office records.

20 Q. These are your office records; is that  
21 right, doctor? I'm not trying to -- I'm  
22 looking at what is in front of me.

23 A. Uh-huh.

24 Q. Let's see what you have. I just want to  
25 see if we have everything that's the same,

1 that's all. I don't want to start asking you  
2 about things I don't have.

3 MR. JEFFERS: Is that what  
4 is on the cover?

5 THE WITNESS: I believe so.  
6 A couple of clinical notes.

7 MR. JEFFERS: I'm with you.  
8 If I were looking like this?

9 THE WITNESS: Yes.

10 Q. In other words, doctor, is it fair to say  
11 that what I am looking at right now, here in my  
12 hand right now, these are your entire office  
13 notes, everything in your possession, other  
14 than the hospital records? Everything in your  
15 possession is in this folder handed to me by  
16 your attorney; is that correct?

17 A. That's correct.

18 Q. What I want to do is just go over those.

19 You have a statement in there, a bill  
20 that is from 11/22 to 6/15. That's one page.

21 We can go through these one by one.

22 It looks to me like they are identical.

23 I just want to identify them, that's all.

24 MR. WALTERS: I will fold  
25 those up.

1 Q. You have a statement there, number 2.

2 That's one page. You have office notes  
3 on a patient which are two pages. I saw them  
4 somewhere.

5 A. Three pages.

6 Q. Let me see if I understand that.

7 MR. JEFFERS: Does it  
8 actually cover four sides?

9 THE WITNESS: Yes.

10 MR. WALTERS: You have been  
11 provided Xerox copies of that folder that has  
12 those office notes on it.

13 MR. GUION: I see. I didn't  
14 understand that this was part of the ENT.

15 Q. The ENT and office notes are really four  
16 pages, okay.

17 I understand that now. The Parma  
18 Hospital admission summary of 1/27/84, which is  
19 one page.

20 MR. JEFFERS: The discharge  
21 summary now?

22 MR. GUION: No, the  
23 admission summary, one page, 1/27/84 is the  
24 date. Also there is the Parma --

25 THE WITNESS: I don't think

1 I have that in my office chart. That would be  
2 in the hospital chart.

3 MR. JEFFERS: I have your  
4 discharge summary report.

5 MR. GUION: I am going by  
6 what was sent to me by your attorney.

7 MR. WALTERS: No. I think  
8 there is a history and physical report.

9 MR. GUION: Parma Hospital  
10 admission summary dated 1/27. Here. This  
11 looks like -- this was what was sent to me.  
12 Let me see if I can find it.

13 MR. WALTERS: Find it in the  
14 copy.

15 MR. GUION: I have it  
16 numbered five, history and physical, right here.

17 MR. WALTERS: That's not the  
18 admission summary.

19 MR. GUION: Well, it is  
20 called --

21 MR. JEFFERS: History and  
22 physical. We do not have anything in our Parma  
23 Hospital called admission summary.

24 MR. GUION: Back here, up  
25 here is admission summary, number 3.

1 THE WITNESS: I think the  
2 terminology, it is a history and physical.

3 MR. WALTERS: This little  
4 half sheet. It is there.

5 MR. JEFFERS: That's the  
6 face sheet.

7 Q. Now, we have those five. The next one is  
8 a middle ear analyzer 1723 form dated 1/5/84.

9 MR. WALTERS: Yes.

10 Q. The next is Parma Hospital urinalysis.  
11 There is an addendum to that, okay.

12 That is called the middle ear analyzer.

13 MR. GUION: That may have  
14 been on here. That's right here, okay.

15 MR. WALTERS: Part of the  
16 same Xerox sheet.

17 MR. GUION: Right.

18 Q. There is an urinalysis dated 11/26/83,  
19 Parma Hospital.

20 MR. WALTERS: Here it is.  
21 What is the date?

22 MR. GUION: 11/26/83.

23 MR. WALTERS: What I am  
24 doing, Harry, as you read these off, we are  
25 putting them back in back to front, or do you

1 want it from front to back?

2 MR. GUION: It doesn't  
3 matter.

4 MR. WALTERS: I want to do  
5 it the way you want.

6 Q. The next one is Parma Hospital operative  
7 report 11/26/83.

8 MR. JEFFERS: Which is the  
9 date?

10 MR. GUION: 11/26/83.

11 MR. WALTERS: Here it is.

12 Q. The next one is the operative report -- I  
13 mean the Parma Hospital CAT scan 1/27/84.

14 A. I have it.

15 Q. The next is the Armed Forces Institute of  
16 Pathology report of 1/30/84.

17 MR. WALTERS: Yes.

18 Q. Doctor King, Ram and Associates radiology  
19 report of 12/16/83.

20 A. There it is.

21 MR. WALTERS: We have it.

22 Q. The Clinic notes from 2/27/84 to 7/25/84  
23 consisting of three pages.

24 MR. JEFFERS: I have four.

25 MR. GUION: You may have

1 something later than what he has in his records.  
2 I think I have some more too.

3 MR. WALTERS: Wait. He has  
4 a couple laying over here. There we go.

5 MR. GUION: The four is one,  
6 the duplicative of the other. The one is the  
7 same as the other.

8 MR. WALTERS: One had been  
9 sent to him before the subsequent visit.

10 MR. GUION: So there are  
11 four pages?

12 MR. WALTERS: Four pages.

13 MR. JEFFERS: From where,  
14 Harry, February 27th through?

15 MR. GUION: July 25, 84.

16 MR. WALTERS: Whether or not  
17 everything is on these, I don't know. For  
18 example, one sheet has a note of Dr. Levine of  
19 February 27, 84, nothing below it. The next  
20 sheet has a copy of that same note, but by this  
21 time there is a March visit noted below. The  
22 next one has just a May 2, 84 of Levine and the  
23 fourth one has just the July 25 of 84 of Levine.

24 Since these are simply copies of  
25 Cleveland Clinic records, whether or not there

1 are additional entries there, I have no idea.

2 MR. GUION: That's no  
3 problem.

4 Q. And the last thing is the Parma Hospital  
5 discharge summary of 1/27/84 which consists of  
6 two pages.

7 Now, what is left that I don't have on  
8 this list?

9 MR. JEFFERS: One page or  
10 two pages on the discharge summary?

11 THE WITNESS: Two.

12 Q. Whatever is left, I don't have.

13 A. History and physical.

14 Q. History and physical for -- no, that's  
15 okay. I have a copy of it anyway. History and  
16 physical of 1/27, okay.

17 MR. WALTERS: What about  
18 this CBC card?

19 MR. GUION: What was that?

20 MR. WALTERS: 1/26/83. I  
21 think they were on one sheet.

22 Q. This constitutes, doctor, your entire  
23 office file that you had from the time you  
24 first saw this patient until the last time you  
25 saw the patient?

1 A. Yes.

2 Q. Okay. What I would like to do next is --  
3 we will start on the records that we just went  
4 over and talk about those visits.

5 Your very first visit with the patient  
6 was on what date?

7 A. The 22nd.

8 Q. By the way, before you refer to those,  
9 doctor, do you have an independent recollection  
10 of young John? Do you remember him at all?

11 A. Uh-huh.

12 Q. What do you remember about him in terms  
13 of the significant medical factors, without  
14 referring to the records?

15 MR. JEFFERS: For the entire  
16 time?

17 Q. From the first visit on?

18 A. I remember John had been sick a long time  
19 and had been seen by a number of physicians  
20 before he had seen me, and he was referred to  
21 me after quite awhile. Somebody happened to  
22 look in his nose and saw what appeared to be a  
23 foreign body and said, help us out, there is  
24 something in here. We have been seeing him a  
25 long time and we are worried that maybe

1 something needs to be done.

2 I saw John and looked at his X-rays which  
3 the previous physician had obtained, and looked  
4 in his nose and saw a foreign matter in there.  
5 I thought it was a foreign body that he had  
6 placed in it.

7 I scheduled him for surgery. Surgery was  
8 done, I believe, the next day or next few days.  
9 At that time it was not a foreign body, it was ,  
10 a neoplasm. The etiology was not determined at  
11 that time.

12 A subsequent determination came back to  
13 be a benign neoplasm.

14 He had significant bleeding at the time  
15 of surgery. Confirmation came back at that  
16 time that this was a benign problem we are  
17 dealing with. I thought that we had extirpated  
18 it totally at the time and followed him along;  
19 however, his clinical course was up and down.  
20 It improved one time and it would be worse the  
21 next time.

22 As time went on, we got more studies and  
23 at the last time I saw him, we admitted him to  
24 the hospital to get a consult, surgical consult  
25 and CAT scan, and it turned out that the CAT

1 scan revealed a new finding in terms of the  
2 extent of more, and we transferred him to the  
3 Cleveland Clinic.

4 Q. Okay. Let's start with that very first  
5 visit, 11/22.

6 Now, you can refer to your notes. You  
7 said he had been seen by a lot of other doctors.  
8 Who had he been seen by?

9 A. Pediatrics Services Incorporated and Dr.  
10 Mohan Durve.

11 Q. The Pediatrics Services, was there a  
12 particular person that he had been seen by?

13 A. I don't have that.

14 Q. If I was to tell you that was Dr.  
15 Hostetler --

16 MR. WALTERS: What is the  
17 name?

18 Q. Did you at any time, doctor, have any  
19 contact whatsoever with Dr. Hostetler?

20 A. No.

21 Q. Were you aware that he was in fact the  
22 pediatrician that was involved in taking care  
23 of Little John?

24 A. I assumed it was Dr. Butler, because she  
25 helped us when John had bleeding after surgery.

1 Q. Is she associated with Dr. Hostetler?

2 A. Yes.

3 Q. In the same office?

4 A. Yes.

5 Q. You had no contact with Dr. Hostetler?

6 A. That's correct.

7 Q. Did you at any time receive any records  
8 from Dr. Hostetler's office or his forms from  
9 any physicians?

10 A. No.

11 Q. Now, the next doctor was which one?

12 A. Mohan Durve, D U R V E.

13 Q. What was your contact with Dr. Durve?

14 A. Dr. Durve got an X-ray and said this  
15 child has a real severe sinus infection. I  
16 have been treating him for allergies and he  
17 hasn't gotten better. He happened to get an  
18 X-ray to see what we can find and you look at  
19 the child for me.

20 Q. Did he send you any of his office records  
21 or his office notes?

22 A. No.

23 Q. Did he tell you how long he had been  
24 treating Little John, how many times he had  
25 seen him prior to referring him to you?

1 A. He had mentioned it in passing; numerous  
2 times.

3 Q. He said he had seen him numerous times?

4 A. He had done a number of allergy tests.  
5 He had done blood tests and scratch tests.

6 Q. What did he say about those tests?

7 A. Those are negative.

8 Q. He had an X-ray taken at the time?

9 A. No. He had an X-ray taken subsequent  
10 down the road.

11 Q. But prior to seeing --

12 A. Prior to seeing me, he did have an X-ray.

13 MR. JEFFERS: He did not?

14 THE WITNESS: He did.

15 Q. Had he interpreted that X-ray for you?

16 A. Yes.

17 Q. What was his finding?

18 A. One sided sinus infection.

19 Q. Did you have an occasion to review that  
20 same X-ray?

21 A. Yes, I did.

22 Q. What were your findings?

23 A. Opacification of the right maxillary  
24 sinus consistent with an infection.

25 Q. Was that patient referred to you by Dr.

1 Durve?

2 A. Yes.

3 Q. That's how you came to see Little John is  
4 Dr. Durve specifically sent him to you?

5 A. Yes.

6 Q. During the course of your treatment from  
7 11/22 to the termination on 1/27, did you ever  
8 go back to Dr. Durve and tell him the progress  
9 of the case or have any oral consultations with  
10 him?

11 A. Probably four times.

12 Q. What were the -- tell me the gist of  
13 those consultations or conversations.

14 A. I found something in John's nose that is  
15 causing his infection. It could be a foreign  
16 body or neoplasm. Whatever it is, it should be  
17 removed. We will do it under general  
18 anesthesia.

19 I let him know how John did under general  
20 anesthesia and kept him informed twice during  
21 his post surgical care.

22 Dr. Durve had, in fact, records that I  
23 sent him.

24 Q. What is his specialty?

25 A. Pediatric allergy.

1 Q. Does he have any control over the type of  
2 tumor that we are talking about, the juvenile  
3 nasopharyngeal angiofibroma? Would this be  
4 something that an allergist would handle?  
5 Would he have any responsibility in either  
6 making the diagnosis or treating this  
7 particular problem?

8 MR. WALTERS: Objection.

9 MR. CHARMS: Objection.

10 Q. You can answer. He is just objecting for  
11 the record.

12 A. I can't understand the question.

13 Q. Okay. I will start it over again.

14 The pediatric allergist, what is his  
15 expertise in dealing with JNA?

16 MR. WALTERS: Objection. If  
17 you know.

18 A. I think Dr. Durve's involvement with the  
19 JNA should be limited. He should look in the  
20 nose.

21 Q. And beyond that, if he feels there is a  
22 problem, what should he do then?

23 A. Refer to a specialist.

24 Q. In that sense, is it your statement here  
25 today that you feel Dr. Durve did the right and

1 proper thing with this boy?

2 MR. WALTERS: Objection.

3 MR. CHARMS: Objection.

4 MR. WALTERS: Doctor, in  
5 terms of involvement of other physicians, care  
6 providers who are not parties to this lawsuit,  
7 you need not render an opinion as to the type  
8 of care they gave.

9 Counsel can't compel you to have an  
10 opinion as to those people. If you don't have  
11 one, or don't care to render one, just say so.

12 A. I prefer not to render an opinion.

13 Q. As to Dr. Durve?

14 A. Uh-huh.

15 Q. Also, in terms of Dr. Hostetler, you, as  
16 you previously stated, he did not refer the  
17 patient to you; is that correct?

18 A. That's correct.

19 Q. And you had no contact with him directly?

20 A. Correct.

21 Q. You never had a conversation with him; is  
22 that correct?

23 A. Yes.

24 Q. Now, on 11/22/83, the first time that you  
25 saw Little John, did you take a history?

1 A. Yes.

2 Q. And who did you take the history from?

3 A. Primarily from the mother.

4 Q. And what did she tell you at that time?

5 You can refer to your notes if you like.

6 By the way, I see there are no notes from  
7 11/22.

8 A. No, that is incorrect. It is just --  
9 there is not a date right here.

10 Q. Those are the 11/22 notes?

11 A. That's correct, yes.

12 Q. I didn't understand that.

13 MR. JEFFERS: That's the  
14 initial?

15 THE WITNESS: Yes.

16 Q. Okay. Fine. Would you tell us what your  
17 history revealed at that point?

18 A. Sure. The patient at that time had  
19 increased nasal secretion, swelling of the  
20 right cheek area. He had been seen previously  
21 by Dr. Durve, as I said, who did skin testing  
22 for allergies with negative results.

23 He got an X-ray of the face at that time,  
24 which showed an apparent sinus infection.

25 MR. JEFFERS: What does it

1 say after who performed what?

2 A. Skin testing and rash testing, which is a  
3 blood test for allergies, negative results.

4 He had an additional -- do you want me to  
5 read the notes? Additional X-ray of -- I can't  
6 read that -- sinuses revealing right side to  
7 have an active infection process.

8 The next line is physical examination and  
9 that's the ears have in fact thick membrane, no  
10 pus coming out of ears, no infection; nose, the  
11 right side is completely blocked with what  
12 appeared to be pus.

13 The left side is edematous, revealing  
14 some secretions in there too. The throat, the  
15 right tonsil had a bit of tonsillitis.

16 That's about all that is germane to this,  
17 I think.

18 Q. What is this next --

19 A. Which line are you at?

20 Q. Right after where you just stopped. The  
21 next line.

22 MR. WALTERS: No increase in  
23 size.

24 A. No increase in size, yes. I think that  
25 means left tonsil. Infected, but not increased

1 in size.

2 I have trouble with that second word  
3 there too.

4 Q. And going on from there?

5 A. There are palpable nodes in the neck as  
6 we see in infected processes.

7 Q. Is that the end of your note for 11/22?

8 A. Yes.

9 MR. WALTERS: There is some  
10 writing below that.

11 A. The diagnosis at that time which was  
12 presumably right maxillary sinusitis. The  
13 treatment of Ceclor and a nasal spray to break  
14 up his secretions.

15 Q. Now, in addition to your note here, did  
16 you have any records at that time from Dr.  
17 Durve sent to you? Did you have the X-ray  
18 itself that had been sent to you?

19 MR. JEFFERS: You have to  
20 say yes or no.

21 A. No, I had no additional records from Dr.  
22 Durve. At the end of my day I went over and  
23 looked at the X-ray myself.

24 Q. That's when you drew your own conclusions  
25 that you already stated on the record?

1 A. That's correct.

2 Q. And the treatment at that time, you were  
3 assuming at that point, based on what you saw,  
4 that he had infection of the sinus?

5 A. Infection of the sinus probably secondary  
6 to a foreign body in the right nostril.

7 Q. Now, doctor, at the very beginning, did  
8 you entertain other possibilities in terms of  
9 what we would call the differential diagnosis?

10 A. Uh-huh.

11 Q. First of all, would you tell us what the  
12 differential diagnosis is as you medically  
13 understand it?

14 A. It could be inflammatory in nature, for  
15 example, could be allergies. It could be  
16 infection in nature; sinusitis, for example.  
17 It could be a foreign body; an eraser, for  
18 example. It could be neoplastic, for example,  
19 benign or malignant tumor, which includes what  
20 we are talking about today, a JNA.

21 Q. Did you on 11/22 entertain all those  
22 possibilities?

23 A. Yes, I did.

24 Q. Is it the duty of the doctor when he  
25 considers a differential diagnosis for the

1 benefit of the patient to rule out the most  
2 serious ones, the most life threatening and  
3 most dangerous? Is that the duty of the  
4 physician?

5 MR. WALTERS: Objection.  
6 You may answer.

7 A. I think the duty of the doctor is to get  
8 the diagnosis. Does that answer your question?

9 Q. In trying to accomplish the diagnosis,  
10 when he has a list of possible problems, does  
11 he have a duty to look at the most serious and  
12 rule that out first and go down in terms of  
13 severity?

14 MR. WALTERS: Objection.  
15 You can answer.

16 A. Well, I think it is not as simple as that.

17 Q. As you understand it.

18 A. It is not as simple as the question is  
19 phrased; to rule out all sorts of malignancies.  
20 For example, a CAT scan with dye injections and  
21 the child need to be extirpated and a diagnosis  
22 needs to be obtained quickly. If a diagnosis  
23 cannot be obtained, the next higher risk,  
24 modality needs to be entertained at that time.

25 Q. But that's what I'm trying to establish.

1 In order to get at the diagnosis that you say  
2 has to be done quickly, if you have a whole  
3 group, how do you rule out the other ones?

4 MR. WALTERS: Wait a second.  
5 We are talking in generality. I think he  
6 answered. There are some things that take a  
7 lot of testing. If you can find the answer,  
8 without doing that to a kid, great, and I think  
9 that's what he said.

10 Q. Is that what you're saying? Are you  
11 saying that?

12 A. Not everything that comes in with a sinus  
13 infection needs a CT scan with angiogram.

14 Q. And the ones that do need that, how do  
15 you tell the ones that do from the ones that  
16 don't?

17 MR. WALTERS: Objection.  
18 Give him some parameters.

19 Q. Well, in other words, you understand I am  
20 talking in general terms, not specifically  
21 about Little John. I'm simply trying to find  
22 out in terms of a differential diagnosis, if  
23 you have a number of possible disorders present  
24 or disease processes, how do you come down to  
25 the one that you decide is the proper diagnosis?

1 A. Just see what you think is the most  
2 likely one, first.

3 Q. And what do you base that on, in general  
4 again?

5 A. Appearance of the patient, clinical  
6 experience, epidemiologic statistics.

7 Q. And based on those things on 11/22, it  
8 was your determination that none of those other  
9 processes were at work; it was mainly a sinus  
10 infection?

11 MR. WALTERS: Objection.

12 A. I didn't say that.

13 Q. What was your conclusion on 11/22?

14 A. Unilateral maxillary sinus infection,  
15 etiology to be determined.

16 Q. When was the next time you saw Little  
17 John?

18 A. 11/26.

19 Q. And again, doctor, I'm not trying to be  
20 redundant, but I don't see any notes for that  
21 date.

22 A. That was the date of surgery.

23 MR. JEFFERS: What is the  
24 next date?

25 THE WITNESS: 11/26.

1 Q. Did you see him first before the surgery  
2 in your office?

3 A. No.

4 MR. WALTERS: Other than  
5 what he just told you about on the 22nd.

6 Q. Was there anything else that occurred?  
7 Let's say, is there anything else on the 22nd  
8 that occurred that is of any significance that  
9 we have not discussed? This is the initial  
10 visit.

11 A. No.

12 Q. On that 11/22, visit, on that day, did  
13 you try to probe into John's nose at any time  
14 and try to remove anything?

15 A. Yes.

16 Q. Was there any bleeding that took place on  
17 that day?

18 A. No.

19 Q. How extensive was your probing on that  
20 first day, 11/22?

21 A. Superficial.

22 Q. Now, moving then to 11/26.

23 A. It was not probing. I think a better  
24 word would be trying to extirpate what was in  
25 there, assuming a foreign body in nature. That

1 means that first of all we cleaned out the pus  
2 in the nostril with suction, and with small  
3 forceps tried to remove whatever was in there  
4 with no luck and no bleeding. This is  
5 psychologically traumatizing to a little boy,  
6 which is why we didn't go further.

7 Q. Now, on 11/26, which is the second office  
8 visit --

9 A. No, that is not an office visit, that is  
10 the date of surgery.

11 Q. Well, let me try to refresh your  
12 recollection. Mrs. Lynix recalls that on that  
13 day, 11/26, she first took Little John to your  
14 office and that he had a tee shirt on and he  
15 had on white jeans. Before you went to the  
16 hospital, you were probing in the office and a  
17 lot of blood started to come out and you then  
18 decided to take him over to the hospital. Is  
19 that possible that it occurred that way?

20 A. A lot of things are possible. I don't  
21 recall that.

22 Q. You don't recall that?

23 A. No.

24 Q. Okay. Would you tell us what you do  
25 recall from 11/26? This is the second time.

1 A. That was the day of surgery. So now a  
2 point in fact should be made. If John was in  
3 the office and I took him over to the hospital  
4 for surgery, we would have to determine when he  
5 last ate and things of that nature, because he  
6 is scheduled as a general anesthesia. We could  
7 refer to the Parma Hospital operative schedule  
8 to see if he was an emergency add-on or  
9 whatever.

10 Q. Okay. Now, along those lines, I have a  
11 set -- here is a set of all of the Parma  
12 records. This may make it easier than using  
13 your own, because I have them all in order.

14 On that sheet, doctor, would you identify  
15 that? That's from the emergency room of Parma  
16 Community General Hospital, and the date on the  
17 top is what?

18 A. 11/26.

19 Q. Is this now the hospitalization that we  
20 are referring to?

21 A. Yes.

22 MR. WALTERS: You're looking  
23 at an emergency room sheet at the top here.

24 Q. Would you tell us what the complaints are  
25 listed on that sheet at that time?

1 A. That he has a mass in his right nostril.

2 Q. All right. How is it listed? It says  
3 complaints: Possible FB nose.

4 What does that mean?

5 A. Possible foreign body in nose.

6 Q. Okay. And the next line under that,  
7 would you read that?

8 A. Mother states patient to see Dr. Dvorak  
9 in ER and then to surgery to remove foreign  
10 body.

11 Q. And over there where the coding section  
12 is, are you familiar with what those two code  
13 sections would be; 478.1 and 21.31?

14 A. Probably PC codes. What they refer to, I  
15 don't know.

16 Q. Could we turn to the next page, please,  
17 doctor. Just flip those over.

18 Now, would you identify that document?

19 A. It is an operative report.

20 Q. And what is the date of surgery on that?

21 A. 11/26/83.

22 Q. Now, again, the second visit we are  
23 talking about, or the second time you have  
24 contact with Little John?

25 A. Yes.

1 Q. And would you tell us now the  
2 preoperative diagnosis? Would you read that,  
3 please?

4 A. Occlusive internasal mass, right nostril.

5 Q. Would you explain what that exactly --  
6 what your definition of that is?

7 A. The right nostril is blocked.

8 Q. It is as simple as that?

9 A. Uh-huh.

10 Q. Post-operative diagnosis, would you read  
11 that?

12 A. Occlusive internasal mass, right nostril;  
13 neoplastic process.

14 Q. What does that part mean, the neoplastic  
15 part?

16 A. Something is growing there.

17 Q. So at this point you have changed your  
18 diagnosis from a possible foreign body to a  
19 mass or tumor of some kind; is that correct?

20 MR. WALTERS: Objection.

21 A. Yes.

22 Q. Now, the procedure on that page, of the  
23 operative report, the answer was general; is  
24 that correct?

25 A. Yes.

1 Q. Estimated blood loss 5cc?

2 A. Yes.

3 Q. Part way down that description on that  
4 same page, doctor, where it starts out tissue  
5 was totally occlusive, do you see that line?

6 A. Yes.

7 Q. It is about halfway down. There is an  
8 arrow there.

9 MR. WALTERS: On his copy,  
10 Mr. Guion put an arrow.

11 Q. Would you read starting there, doctor,  
12 please.

13 MR. WALTERS: With that  
14 sentence?

15 A. Tissue was totally occlusive,  
16 approximately three quarter centimeter from the  
17 caudal aspect of the nostril.

18 Q. Will you tell us what that means?

19 A. Three quarters of a centimeter in.

20 Q. And totally occlusive means what?

21 At that point it was blocked up  
22 completely?

23 A. Uh-huh.

24 Q. Okay. And would you continue to read  
25 from there on?

1 A. It appeared to be a cottonoid material,  
2 not unlike the appearance of a nasal tampon.  
3 Using straight biting forceps following nasal  
4 vasoconstriction with Neo-Synephrine, a Freer  
5 elevator was used and circumferentially the  
6 mass was freed from the nasal mucosa.  
7 Following this, using a straight biting forceps,  
8 the nasal mass was grasped. However, it was  
9 not freely movable. It appeared to be friable --  
10 meaning easily breakable.

11 MR. WALTERS: Just read it  
12 and he may ask you questions.

13 A. -- as tissue was grasped and pulled  
14 toward the operator it tore.

15 It appeared then that this mass was  
16 neoplastic in origin and not a foreign body.  
17 The mass was 90 percent extubated from the  
18 nasal chamber and sent to pathology for a  
19 permanent section. The middle meatus was  
20 identified. However, the sinusostia were not  
21 freely identified.

22 Q. That's enough, doctor.

23 Going back now if we can talk about this  
24 a little bit. When you say using straight  
25 biting forceps, could you describe to us what

1 you were doing?

2 A. Looked like little alligator cups. A  
3 straight one like this and upbiting one like  
4 that. Trying to pull out what is in there.

5 Q. So you're reaching into the nose?

6 A. Taking in and pulling out.

7 Q. Pulling out chunks or pieces of this?

8 A. Yes.

9 Q. You say it was not freely movable. In  
10 other words, it was resisting?

11 A. Yes.

12 Q. And when you say friable, what does that  
13 mean?

14 A. Easily breakable.

15 Q. So as you would reach in, the pieces  
16 would break off.

17 And as the tissue was grasped and pulled  
18 toward the operator, it tore. What were you  
19 attempting to do; trying to pull it out all in  
20 one piece?

21 A. As it says, extubate, remove it.

22 Q. And as the tissue mass tore, it bled  
23 copiously. In other words, it was very bloody?

24 A. Yes.

25 Q. The word copiously, was that very, very

1 excessive?

2 A. Uh-huh.

3 Q. Then it appeared that this mass was  
4 neoplastic in origin and not a foreign body.  
5 So at this point, on 11/26, you knew you were  
6 dealing with something that wasn't a foreign  
7 body; is that a fair statement?

8 A. Uh-huh.

9 Q. Now, the statement --

10 MR. WALTERS: It is best if  
11 you answer yes or no.

12 A. Yes.

13 Q. However, the sinus ostia were not freely  
14 identified. What does that mean, doctor?

15 A. The area where the sinus drains into the  
16 back of the nose. The sinus drainage pathway  
17 point.

18 Q. You say it was taken from the nasal  
19 chamber, the middle meatus was identified. In  
20 other words, you could see part of what was  
21 going on, but still some obstruction.

22 A. The lateral aspect of the nose. It  
23 divided into three areas, the middle meatus is  
24 where the axillary comes in.

25 Q. So does this mean when you stopped on

1 11/26 that you were aware that there was still  
2 some tumor left in the face area?

3 A. Yes.

4 Q. Okay. What was the reason the procedure  
5 was terminated at that point? Was it because  
6 of bleeding?

7 A. Yes.

8 Q. Okay. Now, on the next page, doctor,  
9 this page now, would you identify that?

10 MR. JEFFERS: Does this have  
11 a number in the right-hand corner?

12 THE WITNESS: Nine.

13 MR. WALTERS: We have just  
14 been on one and seven and moving now to nine.

15 Q. This is the operating room nurses notes  
16 and surgical record; is that correct, doctor?

17 A. Yes.

18 Q. 11/26/83?

19 A. Yes.

20 Q. On this date it says 5:20 into the  
21 operating room. And time out, was that a five  
22 or six? Were you in the operating room --

23 A. 25 minutes.

24 Q. Not an hour and 25 minutes?

25 A. No. That's my recollection, merely.

1 Q. Would you read the next line there, what  
2 you wrote there, if that's your writing?

3 A. That's not my writing.

4 Q. It is the nurse's?

5 A. Internasal mass, etiology undetermined.

6 The next line says right internasal --  
7 well, they have a mistake in spelling, but  
8 right internasal mass and biopsy.

9 Post-operative diagnosis is the same pending  
10 path report, anesthesia is general.

11 What else? Would you like me to read on  
12 this page?

13 Q. Do you know where the blood type is? Now,  
14 is it correct to say that for this particular  
15 operation no blood was prepared?

16 A. Yes.

17 Q. Whose responsibility is it to order blood  
18 if it is to be used?

19 A. Mine.

20 Q. Yours or the anesthesiologist?

21 A. Mine.

22 Q. So you would contact the lab or what is  
23 the procedure for doing that?

24 A. Blood would be drawn, a type cross  
25 matched and be held in preparation.

1 Q. And this would be something you would do?

2 MR. WALTERS: Objection.

3 MR. JEFFERS: No. He shook  
4 his head, yes, but he would order it.

5 THE WITNESS: Yes, that's  
6 correct. I stand corrected.

7 Q. And doctor, that was not done on this  
8 particular day for this procedure?

9 A. No, it wasn't done.

10 Q. Okay. Prior to this particular day, this  
11 11/26 when you went over to the hospital, did  
12 you have any indication that day there might be  
13 excessive bleeding? Did you feel that was a  
14 potential problem or not?

15 A. I thought it was a consideration to keep  
16 in mind, but I did not anticipate.

17 Q. You did not anticipate that you would  
18 need blood; is that correct?

19 A. Yes, it is correct.

20 Q. The next page, doctor, 8 in the corner.  
21 Parma Community Hospital, pathology report  
22 dated November 28, 1983; is that correct,  
23 doctor?

24 A. Yes, that's correct.

25 Q. And the operation is titled removal nasal

1 mass; is that correct?

2 A. Yes.

3 Q. Okay. And would you read for us the  
4 microscopic description as listed there on  
5 11/30/83?

6 A. The specimen reveals many small pieces of  
7 a markedly necrotic lesion composed of  
8 inflammatory and fibrous elements. The  
9 inflammatory cells consist of many neutrophilic  
10 granulocytes, lymphocytes and monocytes. The  
11 fibrous tissue is markedly cellular and  
12 contains many thin walled blood vessels. In  
13 some areas the lesion shows a bizarre  
14 fibroblastic reaction. A few pieces are  
15 partially covered with irregular stratified  
16 squamous epithelium. No obvious malignant  
17 changes are seen in the material submitted for  
18 examination.

19 Q. And the diagnosis, doctor?

20 A. Benign inflammatory pseudotumor.

21 Q. At that point, this is the first time  
22 that you actually had a pathology report; is  
23 that correct? This is now 11/28.

24 A. That's correct.

25 MR. JEFFERS: Objection.



1 You mean as opposed to one called up?

2 THE WITNESS: Correct.

3 Q. Had you any prior to this time?

4 A. I will have to refer to the records on  
5 that. I just don't recall off the top of my  
6 head. We might have obtained a frozen section  
7 which seems appropriate at the time.

8 Q. But you do not reflect any of that in  
9 your office records?

10 A. That would not show up here. That is a  
11 verbal report.

12 Q. And nowhere would that be recorded in  
13 your office records?

14 A. In my records it would not be recorded.  
15 In the pathology department records, it may be.

16 Q. Okay. Now, the doctor here is Dominguez.  
17 Is that how you say his name?

18 A. Dominguez.

19 Q. Did you have a conference or verbal  
20 confrontation, if you will, with Dr. Dominguez  
21 regarding this report?

22 MR. CHARMS: Objection.

23 A. I wouldn't say we had a conversation. We  
24 just discussed the etiology of this neoplastic  
25 process.

1 I said, we have a lot of tissue  
2 approximately the size of a golf ball; what do  
3 you think it is? And then he told me this.

4 Q. When did that conversation take place?

5 A. Numerous times.

6 Q. Were you, during the course of those  
7 numerous conversations, did you relate any of  
8 the other symptomology that you were aware of  
9 that was present with Little John?

10 A. Goes without question I would tell him  
11 the clinical condition as such.

12 Q. You did relate the clinical picture to  
13 the doctor?

14 A. Presentation, history, methods of  
15 treatment, X-ray findings, all sorts of things.

16 Q. And based on all of that, this was the  
17 doctor's conclusion, benign inflammatory  
18 pseudotumor?

19 MR. WALTERS: He doesn't  
20 know what the doctor made his conclusion on.  
21 Objection.

22 MR. GUION: This is the  
23 doctor's diagnosis.

24 MR. JEFFERS: He doesn't  
25 know everything that was in that particular

1 doctor's mind, obviously.

2 Q. This was what the doctor conveyed to you  
3 in written form; is that a fair statement?

4 A. This is the written report, yes.

5 Q. Did you rely on this written report in  
6 any way, doctor?

7 A. I certainly did. These are reputable  
8 people. If he said that, I believe it.

9 However, I would like to also add that we  
10 were concerned about this individual, whether  
11 there would be benign pseudotumor in the right  
12 nostril, and asked that the specimens be sent  
13 to the Cleveland Clinic pathology department  
14 for a second opinion, which they did, and also  
15 sent it to the Armed Forces Institute of  
16 Pathology, all of us working in conjunction  
17 with each other to be sure the diagnosis was  
18 appropriate and in fact such.

19 Q. When was the request made to the Armed  
20 Forces Institute of Pathology to give you the  
21 determination of what this tumor was?

22 A. I cannot immediately recall.

23 Q. Do you recall, was it back at this time  
24 or on November 28 when the first biopsy report  
25 came to you, or was it after that?

1 A. Probably the time course of this  
2 situation would be for the meantime we looked  
3 at this and thought about it and saw how John  
4 was doing. The second thing we did probably  
5 four or five days later, sent it to the  
6 Cleveland Clinic Department of Pathology and  
7 had them confirm this diagnosis, and followed  
8 that to get a third opinion, we sent it to the  
9 Armed Forces Institute of Pathology in  
10 Washington.

11 Q. Had you known on this date that the  
12 pathology report came back with a diagnosis of  
13 juvenile nasopharyngeal angiofibroma, would  
14 that have changed your course of treatment from  
15 that day on?

16 A. Certainly would have. We would have  
17 immediately transferred the child to Cleveland  
18 Clinic.

19 Q. Doctor, on the next page, which is number  
20 3 in the corner, the history and physical  
21 report which consists of two pages, doctor, it  
22 is dated -- the date of dictation at least is  
23 11/29/83.

24 MR. JEFFERS: What page?

25 THE WITNESS: Three.

1 Q. Doctor, would you read what the chief  
2 complaint is listed there?

3 A. Periorbital edema and cellulitis. Two,  
4 marked premaxillary edema. Three, right nasal  
5 obstruction. Four, right nasal neoplasm;  
6 benign mass, presumably secondary to intranasal  
7 remote foreign body.

8 Q. And then, doctor, would you go on.

9 MR. WALTERS: For the record,  
10 the January admission -- not to confuse you.

11 MR. GUION: No. It is not.  
12 This is -- we are still talking about the  
13 history and physical report now for November  
14 29th when he was admitted on November 30th.

15 MR. WALTERS: Okay, fine.  
16 It has a number 3 at the lower right-hand  
17 corner.

18 MR. JEFFERS: I don't have a  
19 complete set.

20 MR. WALTERS: Can we take a  
21 second and look at the record?

22 MR. GUION: Let's get this  
23 straight.

24 MR. JEFFERS: Harry, would  
25 you hand this to me for a second?

1 MR. GUION: I want to make  
2 sure we are talking about the right  
3 hospitalization also.

4 MR. JEFFERS: No, the one  
5 that you're looking at is from January.

6 MR. WALTERS: That's what I  
7 thought.

8 MR. GUION: It is dictated  
9 11/29 at the bottom of the second sheet.

10 MR. JEFFERS: I'm sorry. It  
11 is funny, I have this in my -- -- I have date  
12 admitted, I have this in my 11/30 to 12/3,  
13 which must have been an early morning admission  
14 as opposed to this one day.

15 (Discussion off the record.)

16 Q. Doctor, referring now to the next page,  
17 which is the history and physical report, it is  
18 mentioned for Parma Community Hospital that it  
19 was dictated on 11/29/83. Can we agree that  
20 really it should have been 11/30; that this was  
21 referring to that hospitalization from 11/30/83  
22 to 12/3/83?

23 A. I can't agree on the spot. I would have  
24 to go back and look at this.

25 Q. Would you agree that it is possibly a

1 typographical error?

2 A. It is possible.

3 Q. Would you read the chief complaint listed  
4 under the history and physical report.

5 You read that already?

6 A. I believe I did, yes.

7 Q. History of present illness of patient.

8 When you talk about number one, the  
9 periorbital edema and cellulitis, what does  
10 that mean?

11 A. Redness around the eye.

12 Q. To what extent? How pervasive was it in  
13 Little John on this date when you dictated this?

14 A. Five on a scale of one to ten.

15 Q. By the way, on this particular day, going  
16 back to your office visits, did you see Little  
17 John when he came in on 11/30? This would have  
18 been when he came into the hospital. Did you  
19 see him that morning when he was admitted? It  
20 is not in your office notes, but would you have  
21 seen him and examined him that morning also?

22 A. Yes.

23 MR. JEFFERS: Is there a Big  
24 John too? We keep calling him Little John. Is  
25 the father's name John, too?

1 MR. GUION: I call him  
2 Little John because of his size.

3 THE WITNESS: He has grown  
4 now. He is six or seven.

5 MR. GUION: Right. At the  
6 time he was five, right?

7 THE WITNESS: That's correct,  
8 yes.

9 Q. Now, 2, marked premaxillary edema, what  
10 is that exactly?

11 A. Red cheek.

12 Q. When you used the word "marked", would  
13 this be something that would stand out and be  
14 obvious to a casual observer?

15 A. No. I would say that was less so as  
16 compared to the redness around his eye. To an  
17 ear, nose and throat doctor, it was marked, but  
18 to a layman, I don't think it would be thought  
19 of as marked.

20 Q. Okay. And right nasal obstruction, your  
21 number three complaint, what was the situation  
22 there again?

23 A. I have gone over it. It means he had  
24 occlusion in the right nostril.

25 Q. This had been, again despite what had

1     been done on 11/26, this was still his  
2     condition on 11/30 then?

3     A.     Yes.

4     Q.     Right nasal neoplasm.  What exactly does  
5     that refer to?

6     A.     I must refer to our pathology report.

7     Q.     And benign mass, presumably secondary to  
8     intranasal remote foreign body, at this point  
9     you're not considering this to be a cancerous  
10    tumor?

11    A.     At this point our pathology report says  
12    it is a benign process.

13    Q.     Okay.  Continuing on then, doctor, would  
14    you read the next part, the history of the  
15    present illness, and I will ask you some  
16    questions as you go along.

17    A.     The patient was in his usual state of  
18    optimum good health until approximately one  
19    month prior to this admission.  At that time  
20    the patient developed first a serous and then a  
21    purulent white nasal discharge.

22    Q.     Stopping you right there, prior to, you  
23    mentioned he had for a long period had problems.  
24    Is that an inaccurate statement then to say  
25    that he was in good health until one month

1 prior to November 30th?

2 A. According to what the mother told me, she  
3 had taken him to the pediatrician and nurses  
4 had taken cultures of the runny nose, but he  
5 didn't get precipitously ill until a month  
6 prior to when I saw him.

7 Q. So instead of using the optimum good  
8 health, there was a discharge from his nose for  
9 quite some time?

10 A. Conceivably consistent with an allergy.

11 Q. At that time the patient developed first  
12 a serous and then a purulent white nasal  
13 discharge. So in fact that discharge could  
14 have been back far before one month, based on  
15 what you were told?

16 A. Yes.

17 Q. Would you continue, doctor?

18 A. He was examined by Dr. Durve, following  
19 nasal culture by Dr. Hostetler, which revealed  
20 normal pathogens. Dr. Durve performed a number  
21 of allergic tests, including scratch tests, as  
22 well as RAST tests with no demonstrably  
23 markedly abnormal allergens noted. However,  
24 soon after being seen by Dr. Durve recently,  
25 the patient developed a constellation of

1 symptoms, including right maxillary cellulitis,  
2 periorbital edema, premaxillary edema,  
3 occlusive right nasal obstruction and right  
4 sided facial pain.

5 Q. Stopping you right there then, doctor, at  
6 this point it is now 11/30/83. Has your  
7 potential diagnosis changed? Are you now  
8 considering other possibilities other than the  
9 sinus infection that we talked about on 11/22?

10 MR. WALTERS: Wait a minute.  
11 He already testified after the 11/26 he knew it  
12 was a mass.

13 Q. What is your potential diagnosis at this  
14 point, 11/30?

15 MR. WALTERS: Potential  
16 diagnosis?

17 Q. What are the possibilities you're  
18 considering at this point?

19 A. Well, the most likely one seems to be  
20 based on the pathology finding that he had  
21 pseudotumor of the right nostril.

22 MR. JEFFERS: Do you have  
23 the pathology report there from the Cleveland  
24 Clinic on this?

25 MR. WALTERS: That hasn't

1       been handed to us yet.

2                       THE WITNESS:   No.

3       Q.       Now, at this point, based on just what we  
4       read so far, is a juvenile nasopharyngeal  
5       angiofibroma a possibility based on these  
6       symptoms?

7                       MR. JEFFERS:   Objection.

8                       MR. WALTERS:   Show my  
9       objection.

10      Q.       Is this something you're considering at  
11      all at this stage?

12      A.       Yes.

13      Q.       Okay.  Would you continue on?

14      A.       He was appropriately treated by Dr. Durve  
15      with a combination of antibiotics and  
16      decongestants with some resolution but not a  
17      complete resolution of the problem.  Dr. Durve  
18      referred the patient to me, at which time a  
19      right intranasal mass was noted.

20      Q.       Stopping at that point, doctor, at this  
21      point his vision then, you're saying, in both  
22      eyes was normal, 20/20?

23      A.       Uh-huh.

24                       MR. WALTERS:   Say yes for  
25      the record.

1 A. Yes.

2 MR. WALTERS: Objection.

3 Where does it say that you did a test, a visual  
4 acuity?

5 THE WITNESS: I did not.

6 MR. WALTERS: Listen to the  
7 question. How does he know if it was 20/20?

8 MR. GUION: He is dictating  
9 it, isn't he?

10 MR. WALTERS: Where does it  
11 say 20/20?

12 MR. GUION: It says normal.  
13 That's what 20/20 is.

14 THE WITNESS: Normal  
15 compared to his base line. He has an  
16 ophthalmologist and that's what I meant.

17 Q. Would you continue on?

18 A. He was placed on very intensive oral  
19 antibiotics, decongestants and nasal spray and  
20 he markedly improved. At the next visit, 48  
21 hours following the first visit, a right  
22 intranasal mass was noted following right  
23 intranasal vaso- constriction. This was  
24 examined in the office. It was thought to be  
25 consistent with a foreign body --

1 Q. Can we stop there for a minute, doctor.

2 Before you did not recall an office visit  
3 on 11/26. Does this seem to change your  
4 opinion about that?

5 A. Yes.

6 Q. Would you be in agreement then that the  
7 mother would be correct if she said that the  
8 office visit on 11/26/83 did take place and at  
9 that time that she recalls that there was  
10 bleeding, profuse bleeding in the office?

11 MR. WALTERS: Wait a minute.  
12 I thought they both agreed it took place. The  
13 question is profuse bleeding?

14 MR. GUION: Whether anything  
15 took place in the office. He thought nothing  
16 took place in the office. I'm asking if he  
17 recalls this happening in the office.

18 A. I think at some extent the office records  
19 stand corrected.

20 Q. Would you continue, please?

21 A. It was thought to be consistent with a  
22 foreign body which was not able to be removed  
23 in the office. Following that examination, he  
24 was immediately taken to the operating room of  
25 Parma General Hospital --

1 MR. JEFFERS: Would you stop.  
2 I think this is an explanation. He has already  
3 had him in the office and many times a history  
4 and physical is not redone if the particular  
5 physician has already seen the patient, has  
6 transferred immediately to the hospital. I  
7 think you will find that's correct and that  
8 will explain the history.

9 MR. WALTERS: Why we don't  
10 have one for the 11/26?

11 MR. JEFFERS: And which that  
12 one is for the second.

13 Q. You can continue, doctor.

14 A. The first examination still was  
15 consistent with a foreign body, first cotton  
16 and then followed by a possible nasal tampon.  
17 However, upon attempted removal of the foreign  
18 body, massive bleeding incurred. It was  
19 thought to be consistent with neoplastic  
20 disease, possibly even epidermoid carcinoma.  
21 Multiple biopsies, approximately six in number,  
22 were taken. Nasal packing was placed to  
23 control epistaxis, biopsies were sent for  
24 permanent section and the initial reading was  
25 not conclusive.

1           This refers to the frozen section we  
2 referred to earlier.

3       Q.       Now, all of this previous line that you  
4 just described is describing back that 11/26  
5 first time in the hospital; is that correct?

6       A.       Uh-huh.

7       Q.       Okay. Continue on.

8       A.       A reading today demonstrated this mass to  
9 be benign in nature by consensus from the  
10 pathology department of the Cleveland Clinic,  
11 possibly granulomatous reaction to a remote  
12 intranasal foreign body. CT scan of the  
13 sinuses obtained on this day revealed soft  
14 tissue mass consistent with both purulent  
15 secretions and/or neoplastic degeneration of  
16 the right maxillary sinus, the right ethmoid  
17 sinus, the base of the right orbit, the entire  
18 right nostril, all superior inferior middle  
19 meatus, not extending to the nasopharynx.

20       Q.       Doctor, stopping right there. Your  
21 description of the CAT scan at that point is  
22 somewhat less than the actual description of  
23 the CAT scan which is, if you go just into your  
24 very next page there --

25       A.       Uh-huh.

1 Q. Was there any reason not to dictate some  
2 of the other involvement that was mentioned on  
3 the CAT scan into that history and physical?

4 A. This is part of the hospital records. So,  
5 whoever wants to look at it could just refer to  
6 this.

7 Q. You felt at that point that what you were  
8 dictating into the history and physical report  
9 did not have to be complete as to what the CAT  
10 scan showed?

11 MR. WALTERS: Objection. We  
12 note that the exam date is the 29th. Earlier  
13 there had been a question about the dictation  
14 on the history and physical report. If it was  
15 as it indicates dictated on the 29th, that  
16 would be before the typed CT scan report would  
17 have been available. I don't pretend to know  
18 the answer to that, but I'm saying that's a  
19 possibility.

20 A. I think that is the answer.

21 Q. You mean, you did not physically at the  
22 time you were dictating the history and  
23 physical, you did not physically have that CAT  
24 scan report in your possession; is that correct?  
25 Is that what you are saying?

1 A. I presume at this time I had a verbal  
2 report, but not a written report.

3 Q. I see. Okay. Then we can just continue  
4 on. Now, let's stop anyway.

5 We will get to the CAT scan as the next  
6 sheet anyway. You can continue on then.

7 A. He is admitted at this time for intensive  
8 intravenous and antibiotics and preparation for  
9 the following procedures: One, right  
10 intranasal antrostomy, right aspiration of the  
11 maxillary contents; two, right intranasal  
12 ethmoidectomy with removal of the ethmoid  
13 contents; and three, previous to all of these  
14 aforementioned procedures, extirpation in toto  
15 of the right intranasal mass.

16 Q. Doctor, taking those last three things,  
17 will you explain a little bit what those are,  
18 starting with number 3, previous to all of  
19 these aforementioned procedures, extirpation in  
20 toto of the right intranasal mass?

21 A. Clear out the mass in the right nostril.

22 Q. First?

23 A. Yes.

24 Q. What was the second thing you were going  
25 to do?

1 A. Clear out the mass from the ethmoid sinus  
2 which is the sinus right here.

3 Q. That's up high?

4 A. Yes.

5 Q. That's the second step?

6 A. Yes.

7 Q. What was the last thing you were going to  
8 do?

9 A. Drain his right sinus, right maxillary  
10 sinusitis.

11 Q. So what you are saying, if I understand  
12 you correctly, on the bottom of this page, one,  
13 two, and three, you were going to completely  
14 remove this tumor and drain the sinuses?

15 A. Uh-huh.

16 Q. Is that it in a nutshell?

17 A. Drain one sinus, yes.

18 Q. Okay. Going over to the next page then,  
19 doctor.

20 Now again, where the physical examination  
21 is, where it says head, would you read what you  
22 have there?

23 A. Reveals a normocephalic skull with no  
24 tenderness.

25 Q. The next one, the eyes?

1 A. Reveals the pupils to be equal, round,  
2 react to light and accommodation with full  
3 extraocular movements and no funduscopy  
4 abnormalities, no diplopia.

5 Q. Now, did you do this examination yourself?

6 A. Uh-huh.

7 Q. And these were your findings?

8 A. Uh-huh.

9 Q. Could I ask you, at this time from the  
10 basis of your examination, your findings, the  
11 eyes appeared to be normal?

12 A. I didn't refract them.

13 Q. From what you did do. In other words, if  
14 you want to take it, the pupils were equal; is  
15 that correct?

16 A. Uh-huh.

17 Q. Round and react to light and  
18 accommodation with full extraocular movements?

19 A. Right, yes.

20 Q. That's all correct?

21 A. Yes.

22 Q. No funduscopy abnormalities; is that  
23 correct?

24 A. Yes.

25 Q. In other words, based on what you

1 examined and what you found, the eyes were  
2 normal?

3 A. No. I said what I said here is true.

4 MR. WALTERS: His findings  
5 were all normal.

6 Q. Your findings were all normal?

7 A. That's correct.

8 Q. Did you feel the need at this time -- and  
9 we are now talking about 11/30 -- to refer John  
10 to an eye specialist at this point?

11 A. No.

12 Q. Did you have, in fact, an ophthalmologist  
13 available at the hospital for consultation?

14 A. Yes.

15 Q. And up to this point, at least, you did  
16 not consult with any of them; is that correct?

17 A. That's correct.

18 Q. Okay. Would you read on about the nose  
19 now?

20 A. Reveals a left normal superior, inferior,  
21 middle meatus. Examination on the right  
22 reveals a rather friable, highly vascularized  
23 whitish mass totally occluding the superior,  
24 middle and inferior meatus.

25 Q. Now, when you say highly vascularized,

1 what does that mean?

2 A. Blood vessels present.

3 Q. You were able to determine in this mass  
4 in the nose a lot of blood vessels in it?

5 A. Uh-huh.

6 Q. Okay. The next one, the premaxillary  
7 area?

8 A. Reveals marked edema with no erythema,  
9 but there is visually a prominent swelling,  
10 minimal tenderness to palpation in the  
11 premaxillary area.

12 Q. And finally the oral cavity?

13 A. Reveals purulent nasopharyngeal discharge,  
14 granular pharyngitis of the oral cavity.

15 Q. And doctor, finally, down where it says  
16 impression, would you read that?

17 A. Right intranasal mass, biopsy report so  
18 far is consistent with benign neoplasm with a  
19 possibility of granulomatous reaction to  
20 remote intranasal foreign body placement.

21 Q. Okay. And number 2?

22 A. Opacification of the right maxillary  
23 sinus.

24 For the record, we should state then that  
25 immediately upon taking our biopsies, pathology

1 specimens were sent to the Cleveland Clinic to  
2 confirm our initial diagnosis as this was a  
3 confusing complication, okay.

4 Q. The next morning you were about to do --

5 MR. JEFFERS: Did you finish  
6 the sentence?

7 THE WITNESS: Yes, I did,  
8 thank you.

9 MR. CHARMS: Let's take a  
10 quick break.

11 (Recess had.)

12 Q. We are finished with the history and  
13 physical report and ready to move on.

14 Doctor, would you take a look now at the  
15 next one which is the radiology examination  
16 report, page two in the right-hand side corner.

17 Would you identify that document, doctor?

18 A. Yes, I have it.

19 Q. Would you tell us what it is.

20 MR. JEFFERS: Here is my  
21 problem. What admission are we on?

22 MR. GUION: The original CAT  
23 scan, the one done on 11/29/83. The original  
24 CAT scan, the first one.

25 MR. JEFFERS: I'm with you.

1 Q. First, doctor, let me ask you this.  
2 Other than the X-ray which you reviewed, which  
3 was sent out by Dr. Durve prior to your first  
4 examination, is this the second time that any  
5 type of radiologic examination is done on  
6 Little John, to your knowledge?

7 A. Yes.

8 Q. Okay. Would you identify this document  
9 and tell us what this is?

10 A. A radiographic report.

11 Q. And what date is on that?

12 A. 11/29/83.

13 Q. Would you read to us, please, what it  
14 says there in that report?

15 A. CT study was performed on the face and  
16 orbit with attention to the right maxillary  
17 sinus site of lesion apparently benign by  
18 biopsy. Serial cuts demonstrate evidence of an  
19 extensive soft tissue mass which involves the  
20 right maxillary sinus and extends medially  
21 through the ethmoid region as well as  
22 superiorly into the right orbit. There is loss  
23 of bone with destructive change seen involving  
24 portions of the posterior wall as well as  
25 medially. There is further destruction in the

1 region of the posterior orbit. Extent of soft  
2 tissue mass makes evaluation of location of  
3 origin uncertain. Extension of mass into the  
4 posterior orbit is seen in the region of the  
5 optic nerve with possible visual involvement  
6 requiring clinical correlation. There is some  
7 proptosis of the right orbit noted. In view of  
8 the extensive osseous destruction radiologic  
9 findings are considered to favor a malignant  
10 lesion, however, it is possible that a benign  
11 granulomatous mass could produce these changes.  
12 No conventional studies or laminograms are  
13 available and evaluation requires clinical  
14 correlation.

15 CT showing extensive right facial mass  
16 with bone destruction and extension into right  
17 orbit with exact origin and etiology not  
18 determined, as above.

19 Q. Now, upon reviewing that CAT scan, would  
20 you tell me what this meant to you?

21 MR. WALTERS: Upon reviewing  
22 the report he just read to you?

23 MR. GUION: Yes.

24 A. Bone changes more commonly seen in  
25 malignant diseases than benign, but could be

1 consistent with pressure increases to blood  
2 supply to the bones in the area. As most  
3 X-rays, it is rather equivocal to what it means.

4 Q. Beyond bone changes, what else did it  
5 mean with regard to the eye, the right eye?

6 A. The orbit had been impinged upon in  
7 certain areas.

8 Q. Were you concerned about that?

9 A. Yes.

10 Q. How concerned were you? What did you do  
11 about that?

12 A. I followed it up with repeat CAT scan to  
13 see what was going on with the optic nerve and  
14 asking Mrs. Lynix to take her son to have an  
15 ophthalmologist see him.

16 Q. When did you follow up with a repeat CAT  
17 scan?

18 A. Well, the next day we got more X-rays,  
19 for one thing.

20 Q. Let's go to the next day and we will go  
21 back to the CAT scan.

22 The next day is the laminograms of the  
23 paranasal sinuses, the radiologist examination.

24 A. Laminograms of the paranasal sinuses done  
25 in the Water's and AP positions --

1 Q. What is the date of the laminograms?

2 A. The next day, 11/30.

3 Q. Prior to you doing the surgery on 12/1,  
4 is that correct? The next day, 12/1, you did  
5 the surgery.

6 Would you read what the laminograms have  
7 to say?

8 A. No destruction of the lateral or inferior  
9 walls of the right maxillary sinus. However,  
10 there is a generalized haziness throughout the  
11 sinus. There is bowing of the floor of the  
12 orbit upward with erosion of the medial wall of  
13 the ethmoid sinus and no clear separation is  
14 seen between the maxillary and ethmoid sinus.  
15 No abnormalities are seen in the orbit or sinus  
16 on the left. This is consistent with the mass  
17 seen on the CT examination, with destruction of  
18 the medial wall of the sinus and erosion and  
19 displacement of the floor of the orbit.

20 Q. Now, based on having these two  
21 radiological reports available to you prior to  
22 going in and doing the surgery, what was your  
23 plan as far as the eye, the right eye goes at  
24 that point?

25 A. To remove the pressure on the eye.

1 Q. How did you propose to do that?

2 A. As I indicated earlier, by removing the  
3 tumor mass from below.

4 Q. Did you give any consideration upon  
5 reviewing these two radiologic examinations of  
6 bringing in an ophthalmologist?

7 A. Uh-huh.

8 Q. And what made you decide against that?  
9 What reason did you decide against that?

10 A. No complications during surgery.

11 Q. I'm talking about prior to surgery, did  
12 you consider this?

13 A. Well, I discussed the case orally with an  
14 ophthalmologist and he said call me, I will be  
15 available if you have trouble.

16 Q. And who was that ophthalmologist? What  
17 was his name?

18 A. Dr. Coseriu, C O S E R I U.

19 Q. And you discussed that prior to the  
20 surgery and he said he would be available if  
21 you needed him during the surgery?

22 A. Yes.

23 Q. Did you also discuss these two radiologic  
24 examinations? I see there are two radiologists,  
25 Dr. Berman and Dr. Greenwald. Did you first of

1 all discuss the CAT scan findings with Dr.  
2 Greenwald?

3 A. No.

4 Q. You did not discuss?

5 A. No.

6 Q. You did not discuss --

7 A. No.

8 Q. Why is that?

9 A. I discussed the whole thing with Dr.  
10 Berman.

11 Q. Both reports?

12 A. Yes.

13 Q. And what was that conversation? What did  
14 that entail?

15 A. What do you think this is; there is  
16 certainly something growing there and pressing  
17 in the sinus area, in the orbital area. If you  
18 already have a pathology report --

19 Q. At this time you have the pathology  
20 report. You have the Parma pathology report,  
21 at least?

22 A. It is conceivable that the benign mass  
23 could be causing the radiographic changes.

24 Q. Did the radiologist give you any  
25 diagnosis?

1 MR. CHARMS: Objection.

2 A. Well --

3 MR. WALTERS: Wait a minute.

4 Are you saying something other than the  
5 radiographic report, the reports that we talked  
6 about, a CT and laminogram?

7 Q. When you spoke with Dr. Berman, before  
8 you did the surgery on 12/1, did he give you  
9 any type of a diagnostic impression?

10 MR. CHARMS: Objection.

11 MR. WALTERS: Objection.

12 You can answer.

13 A. All the findings were consistent with the  
14 pathology report we had at that time.

15 Q. In other words, the diagnosis of the  
16 radiologist -- this is important -- the  
17 diagnosis of the radiologist was the same as  
18 the diagnosis of the pathologist?

19 MR. WALTERS: Objection.

20 MR. CHARMS: Objection.

21 MR. WALTERS: Objection.

22 A. You can't say it in those terms.

23 Q. First of all, did you present to Dr.  
24 Berman a complete clinical picture the way you  
25 described to the pathologist?

1 A. Yes.

2 Q. The complete clinical picture of this  
3 patient prior to the 11/30?

4 A. Yes.

5 Q. Upon doing that and upon his reviewing  
6 with you the two radiological reports, did Dr.  
7 Berman suggest any type of diagnosis as a  
8 possibility, other than what the pathologist  
9 had recommended?

10 MR. JEFFERS: Objection.

11 MR. WALTERS: Objection.

12 A. Our discussion was not aimed in that  
13 direction. Dr. Berman said the X-ray findings  
14 can be consistent with the pathology report we  
15 had at that time.

16 Q. And did he suggest any other  
17 possibilities is what I am trying to get at?

18 MR. WALTERS: Objection.

19 MR. CHARMS: Objection.

20 MR. WALTERS: Objection.

21 A. I don't recall that.

22 Q. Were you aware at this point in time --  
23 we are now moving towards the morning of --

24 MR. WALTERS: Are you done  
25 with 13?

1 MR. GUION: Yes.

2 Q. So you were aware -- one last thing,  
3 backing up one second to make sure there is no  
4 misunderstanding.

5 You were aware that there was danger to  
6 the optic nerve at this point?

7 MR. WALTERS: Objection.

8 Q. Based on reading the CAT scan?

9 A. Abnormality in the orbital floor.

10 Q. Were you aware, as stated here, that the  
11 tumor was in the region of the optic nerve?

12 A. Yes, I was aware of that.

13 Q. And possible --

14 A. Correlation, yes.

15 Q. What other clinical correlation did you  
16 do at that point besides call the  
17 ophthalmologist and ask if he would be  
18 available?

19 A. I examined his extraocular movements  
20 basically, and funduscopic examination, gross  
21 visual fields, and that was it.

22 Q. And where are those examinations recorded?

23 A. They are not recorded, I don't believe,  
24 other than that previous sheet we had.

25 MR. WALTERS: The history

1 and physical that was talked about.

2 Q. Let me ask you this question.

3 MR. WALTERS: Wait a second.

4 Q. You're now referring to what you dictated  
5 on 11 -- that's when that was done.

6 MR. WALTERS: Page number 4.

7 Q. Had Dr. Berman -- again, had Dr. Berman  
8 hypothetically told you that this could or was  
9 a juvenile nasopharyngeal angiofibroma, would  
10 that have altered your course of treatment from  
11 that point forward?

12 MR. JEFFERS: Objection.

13 MR. CHARMS: Objection.

14 A. I prefer not to answer questions like  
15 that.

16 Q. You can answer the question. Would it  
17 have altered your course of treatment had you  
18 known it was a JNA at that point?

19 MR. WALTERS: Objection.

20 You may answer.

21 MR. CHARMS: Objection.

22 MR. JEFFERS: Objection.

23 A. It is an involved -- do you know how we  
24 diagnose a JNA radiologically? Do you know how?

25 Q. How do you do that?

1 A. Inject dye, and it is a blood vessel  
2 study with considerable risk to the patient.

3 Q. What is that procedure called?

4 A. Angiogram.

5 Q. Is that a common procedure used in  
6 diagnosing JNA?

7 A. Yes.

8 Q. So it is commonly done?

9 MR. JEFFERS: Objection.

10 A. No, it is a high risk procedure. With no  
11 indication based on a pathology report that  
12 this was a JNA, the benefits would far outweigh  
13 the risks.

14 Q. Are there journal articles that you read  
15 in the course of your readings that say exactly  
16 the opposite; that in fact this is the  
17 technique and method of diagnosing the tumor as  
18 opposed to the biopsy?

19 A. I just said that.

20 MR. WALTERS: If you have  
21 something in mind, bring it to him.

22 A. I just said it is radiographic.

23 Q. This is referred to as what type?

24 A. Angiogram, arteriogram.

25 Q. Are these synonymous, angiogram and

1 arteriogram?

2 A. Yes.

3 Q. Internal, external carotidgrams now?

4 A. Yes.

5 Q. Does Parma Community General Hospital  
6 have the facilities to do that?

7 A. Yes.

8 Q. Does Dr. Berman have the --

9 A. State of the art equipment abilities.

10 Q. He does have that? But in this case, who  
11 would make that recommendation to do that  
12 procedure? Would that be you or Dr. Berman?

13 MR. JEFFERS: Objection.

14 MR. CHARMS: Objection.

15 MR. WALTERS: Objection.

16 A. Probably a joint decision.

17 Q. Was that decision discussed?

18 A. I don't recall.

19 Q. You don't recall whether or not you or  
20 Dr. Berman ever talked to each other about ever  
21 using this particular diagnostic procedure at  
22 this point?

23 A. I don't recall, because we already had  
24 one pathology report we were dealing with  
25 already.

1 Q. And you were basing everything on that?

2 A. Uh-huh.

3 MR. JEFFERS: Plus the  
4 pathology reports.

5 THE WITNESS: The two  
6 pathology reports.

7 Q. Then at this point we now move toward the  
8 morning of 12/1, which is when this surgery  
9 also takes place. What you said you would do  
10 in the history and physical is to entirely  
11 remove this mass; is that correct?

12 A. Yes.

13 Q. Okay. Now, at that point on 12/1 then,  
14 so I'm sure we have gone over this properly --

15 MR. JEFFERS: Give me a page  
16 when you get to it.

17 MR. GUION: I want to review  
18 some things.

19 Q. As you now approach 12/1, and you're  
20 going to go into surgery to remove this mass,  
21 is it correct that you knew the following  
22 things -- and this isn't from any sheets, this  
23 is just a review of everything we covered.

24 Number one, you knew the patient had a  
25 serous and purulent white nasal discharge prior



1 to 12/1?

2 A. Yes.

3 Q. You knew there was right maxillary  
4 sinusitis?

5 A. Yes.

6 Q. All of this you knew prior to the morning  
7 of the operation.

8 You knew there was periorbital edema?

9 A. Yes.

10 Q. You knew there was premaxillary edema and  
11 it was marked?

12 A. Yes.

13 Q. You knew there was occlusive right nasal  
14 obstruction?

15 A. Yes.

16 Q. You knew there was right-sided facial  
17 pain?

18 A. Yes.

19 Q. You knew the right intranasal obstruction  
20 was noted?

21 A. Yes.

22 Q. You knew massive bleeding had occurred  
23 upon attempted removal?

24 Q. Yes.

25 Q. You knew the mass was benign in nature at

1 this point?

2 A. Yes.

3 Q. You knew the CAT scan was consistent with  
4 neoplastic degeneration of right maxillary  
5 sinus, the right ethmoid sinus, the base of the  
6 right orbit, the entire right nostril, all  
7 superior, inferior medial meatus, right? You  
8 knew that?

9 MR. WALTERS: Do it slow.  
10 You're reading from something and he doesn't  
11 have it in front of him.

12 Q. What I'm basically relating to now is the  
13 CAT scan. These are things you knew before  
14 this we just covered.

15 The CAT scan, CT consistent with  
16 neoplastic degeneration of the right maxillary  
17 sinus, the right ethmoid sinus, the base of the  
18 right orbit, the entire right nostril, and all  
19 superior, inferior medial meatus.

20 A. That's an erroneous statement, I'm afraid.

21 Q. What is not accurate?

22 A. The laminogram also bowing and upper  
23 displacement on the floor of the orbit. You  
24 say there is neoplastic degeneration.

25 Q. I'm going back over what was said in the

1 CAT scan.

2 MR. WALTERS: You're looking  
3 at the CAT scan?

4 MR. GUION: Yes.

5 MR. WALTERS: Which one?

6 MR. JEFFERS: November 29th,  
7 right, Harry?

8 Q. What is the problem, doctor? What are  
9 you --

10 MR. WALTERS: The problem is  
11 you're not quoting the CAT scan.

12 A. I am trying to be real specific. We  
13 can't say the whole sinus has been destroyed or  
14 something like that.

15 Now, on page, the page that has 13 on the  
16 bottom --

17 MR. JEFFERS: What?

18 Q. The laminograms.

19 A. We don't have to go over all of this  
20 stuff.

21 MR. WALTERS: There is no  
22 question in front of you. He will ask you a  
23 question.

24 THE WITNESS: Okay. I think  
25 the way you stated, it is overstated. It is

1 not like the entire sinuses have been destroyed.  
2 There is bowing of certain bones, erosion and  
3 displacement.

4 Q. Let's take the sentence, the CAT scan is  
5 consistent with neoplastic degeneration of the  
6 right maxillary sinus.

7 MR. JEFFERS: What are you  
8 reading from?

9 A. Where is that line?

10 MR. JEFFERS: Are you  
11 reading from the CAT scan?

12 MR. GUION: I put together a  
13 lot of notes. I thought this came out of the  
14 CAT scan. These are all taken from notes that  
15 we have covered.

16 Q. Well, let me put it this way to save time.  
17 Doctor, do you agree -- if you disagree, tell  
18 me you don't agree -- that you were aware of  
19 that and if you weren't aware of it, you  
20 weren't aware.

21 Are you aware that it was consistent with  
22 neoplastic degeneration of right maxillary  
23 sinus?

24 A. Yes.

25 Q. Right meatus sinus?

1 A. Yes.

2 Q. That it was consistent with degeneration  
3 of the base of the right orbit?

4 MR. WALTERS: Where do you  
5 see degeneration in these reports? Come on.  
6 If we are going to be precise, let's be precise.

7 MR. GUION: The base of the  
8 right orbit.

9 MR. WALTERS: We have bowing.  
10 The word involvement. There is more than that.

11 MR. GUION: Destruction, too.

12 MR. WALTERS: In the CT scan  
13 there is the word destruction, but I don't see  
14 degeneration.

15 MR. GUION: Destruction,  
16 let's use that word then.

17 Q. All right. Destruction, do you like the  
18 word better than degeneration? Destruction of  
19 the base of the right orbit, you're aware of  
20 that; correct?

21 A. Yes.

22 Q. Now, the entire right nostril, this is  
23 where you said before, this is where you were  
24 having a problem the entire right nostril.

25 A. I have problems with that, yes.

1 Q. Okay. Now, how about the superior  
2 inferior middle meatus?

3 A. That's a problem with that, yeah. It  
4 hasn't been destroyed.

5 Q. Were you aware of some damage there, some  
6 destruction?

7 A. No, there wasn't destruction.

8 Q. Some invasion?

9 A. No, there wasn't invasion there.

10 Q. Anything there?

11 A. A mass there. Remember in my operative  
12 note with my Freer elevator, I went around it.

13 Q. You were aware there was a mass in that  
14 area?

15 A. Oh, yes.

16 Q. You were also aware prior to the  
17 operation that you were dealing with a rather  
18 friable highly vascularized mass totally  
19 occluding the superior medial and inferior  
20 meatus?

21 MR. WALTERS: Where is that  
22 from?

23 MR. GUION: A quote out of  
24 one of these notes that we read.

25 MR. JEFFERS: That was the

1 operative report and prior to the removal, and  
2 then 90 percent or so, was that, so that all  
3 that isn't there after one removes it.

4 Q. But I'm talking about on 12/1, you were  
5 aware that this was a friable mass; correct?

6 MR. WALTERS: What he took  
7 out was friable.

8 Q. Is there a presumption if what you took  
9 out was friable that remaining part would also  
10 be friable?

11 A. No.

12 Q. So you didn't know if it was friable or  
13 not is what you're saying?

14 MR. JEFFERS: Objection.

15 MR. WALTERS: Objection.

16 Q. Or do you know? Let them object. If  
17 they don't want you to answer, they will tell  
18 you.

19 A. The initial taking out more towards the  
20 periphery could have been more friable and  
21 going backwards could have been stronger,  
22 firmer.

23 Q. You were aware that this was a highly  
24 vascular tumor?

25 A. Bloody.

1 Q. Would you describe it as -- you did -- as  
2 highly vascularized?

3 A. Yes.

4 Q. All right.

5 Now, you were aware of all of these  
6 things as we move into 12/1 now, based on that.  
7 We will continue back on the 12/1.

8 The first thing I would like you to look  
9 at is the preoperative checklist.

10 MR. JEFFERS: Harry, again,  
11 if you would call off pages.


12 MR. GUION: 14 is in the  
13 corner.

14 Q. Following on that preoperative checklist,  
15 doctor, there is a number 5 which refers to  
16 blood available. And the no column is checked;  
17 is that correct?

18 A. Correct.

19 Q. Who would have made the decision prior to  
20 going into this operation -- you know now that  
21 it is a highly vascularized tumor, you know now  
22 the blood problems you had on 12/26. Who would  
23 have made the decision to order blood at this  
24 point?

25 MR. WALTERS: Objection...



1 That states he knew that what he removed on the  
2 26th was vascularized because he had the  
3 bleeding.

4 THE WITNESS: Could I ask  
5 something? What happened on the 26th again?  
6 I'm getting so confused.

7 MR. WALTERS: The surgery  
8 where you went in with the forceps, the  
9 alligator --

10 Q. The date of surgery where John bled  
11 copiously, as you described it.

12 A. Okay.

13 Q. Knowing that John had bled copiously on  
14 the 26th, can you tell me, doctor, why it was,  
15 knowing you're now going in to totally remove  
16 this vascularized tumor, why you did not order  
17 the blood?

18 MR. WALTERS: Objection.

19 A. Do we have a figure on the blood loss on  
20 the 26th?

21 MR. JEFFERS: 5 cc. That's  
22 my memory, or was it .5?

23 THE WITNESS: No. It was 5.

24 A. I just didn't think a clinical situation  
25 required having blood on hand. Just a clinical

1 determination.

2 Q. And that was your clinical determination.  
3 Did you consult anybody about that?

4 A. No.

5 Q. The anesthesiologist is Dr. Manzano; is  
6 that correct?

7 A. Yes.

8 Q. Did he play any role at all in  
9 determining whether blood was needed at that  
10 point?

11 MR. JEFFERS: Objection.

12 A. No, he didn't play a role.

13 Q. Let me ask you this. Was Dr. Manzano the  
14 same anesthesiologist on the 26th when that  
15 procedure was performed?

16 A. I can't answer that without seeing the  
17 report.

18 Q. Okay. I believe he was.

19 Did you not discuss with Dr. Manzano  
20 anything about availability of blood at that  
21 point?

22 MR. JEFFERS: Dr. Manzano  
23 was the anesthesiologist on November 26th.

24 A. Okay.

25 Q. Did he in any way discuss this with you,

1 the need for blood?

2 A. We didn't think we needed blood.

3 Q. When you say "we", you did discuss this  
4 with Dr. Manzano?

5 A. Yes.

6 Q. And it was your joint decision that no  
7 blood would be required?

8 MR. JEFFERS: Objection.

9 Q. Is that correct, doctor?

10 A. Yes.

11 MR. WALTERS: Do we have an  
12 issue of blood loss on the November 30th  
13 operation?

14 MR. JEFFERS: No.

15 MR. GUION: We do.

16 MR. WALTERS: To my  
17 knowledge, we don't.

18 MR. GUION: Which one?

19 MR. WALTERS: The one you're  
20 talking about, December 1.

21 MR. GUION: We will get to  
22 it.

23 (Recess had.)

24 Q. Doctor, going the next page which is 22,  
25 going to the next page, would you identify,

1 doctor, what that document is?

2 A. It says nurses notes surgical record from  
3 the operating room.

4 Q. And what is the date of that?

5 A. 12/1/83.

6 Q. What was the time that the patient was  
7 taken into surgery?

8 A. 1:25.

9 Q. And what was the time that he was taken  
10 from surgery to the recovery room?

11 A. 2:35.

12 Q. And are we saying, doctor, that you  
13 performed this operation in the course of an  
14 hour and ten minutes; is that correct?

15 A. Yes.

16 Q. This was an hour and ten minute procedure.  
17 Would you read what the operation  
18 involved at that point, this is the 12/1  
19 operation?

20 A. Right.

21 Q. This is the operating room nurses notes  
22 and surgical record and read it if you can,  
23 doctor.

24 A. Right inter -- right internasal  
25 rhinoscopy.

1 Q. This was what was done on that according  
2 to the nurses notes?

3 A. Uh-huh.

4 Q. Now, again, no blood is listed on that  
5 sheet as being required; is that correct?

6 A. Correct.

7 MR. JEFFERS: Where are you  
8 looking?

9 A. The rest of the operation is rhinoscopy  
10 and excision of right internasal mass.

11 Q. Okay. These are the nurses notes; is  
12 that correct?

13 A. Yes.

14 Q. And where they normally would have blood  
15 type, that is all scratched out indicating no  
16 blood was in the operating room; is that  
17 correct?

18 A. I presume so, yes.

19 Q. Okay.

20 MR. JEFFERS: You mean there  
21 was no blood crossed and typed.

22 Q. Crossed and typed at that point?

23 A. Yes.

24 Q. We are now coming to the operative report,  
25 the first operative report. As a matter of

1 fact, doctor, two operations took place on that  
2 day, didn't they?

3 MR. WALTERS: Let him take a  
4 look at the record now.

5 MR. GUION: Take your time.

6 A. Yes.

7 Q. Two operations?

8 A. Yes.

9 Q. Let's talk about the first operation  
10 which was the operation on 12/1/83, the first  
11 operative report. Would you read what the  
12 preoperative diagnosis was there?

13 A. Extensive soft tissue mass involving, one,  
14 right nostril, totally occluding superior,  
15 inferior and middle meati; two, right maxillary  
16 antrum; three, right anterior and posterior  
17 ethmoid labyrinth; four, involving the inferior  
18 aspect of the floor of the orbit.

19 Q. Okay. Now, where it says the operation  
20 performed, would you read that?

21 A. Number one is rhinoscopy; two,  
22 extirpation of right nasal mass extending to  
23 the superior, middle and inferior meati; three,  
24 nasopharyngoscopy; four, right maxillary nasal  
25 antrostomy; five, excision of right maxillary

1 soft tissue mass; six, right anterior  
2 ethmoidectomy; seven, right posterior  
3 ethmoidectomy.

4 Q. Now, again, doctor, to take you through  
5 one of those at a time, the rhinoscopy, what  
6 exactly is that?

7 A. Looking in the nose.

8 Q. You're just looking in the nose at that  
9 point?

10 Number two, extirpation of the right  
11 nasal mass extending to the superior middle and  
12 inferior meati?

13 A. Removal of cup forceps.

14 Q. Is that the same biting forceps you  
15 described earlier?

16 A. Yes.

17 Q. Number three?

18 A. Looking at the thing that hangs in the  
19 back of the throat.

20 Q. To look into the nasopharynx for that  
21 purpose?

22 Number four?

23 A. Draining the right maxillary sinus.

24 Q. And what is the number five?

25 A. That's reaching into the sinus and

1 removing all the tissue available.

2 Q. And number six?

3 A. That's removal of tissue involving the  
4 anterior ethmoid sinus right here.

5 Q. Is that the one where you do the central  
6 window procedure?

7 A. No. That's just straight through the  
8 nose.

9 Q. Straight through the nose. And finally  
10 the last one?

11 A. I went a little bit further back.

12 Q. Okay. Would you read the procedure  
13 including gross findings?

14 A. Clinical note. This patient recently  
15 presented with approximate two month history of  
16 progressing right-sided nasal obstruction,  
17 serosanguineous progressing to purulent right-  
18 sided nasal drip, right premaxillary edema  
19 progressing to tenderness and erythema and  
20 recently right periorbital cellulitis with no  
21 orbital or ocular infringement.

22 Preoperative radiographic examination  
23 including plain films, tomographic examination,  
24 CT scan revealed a mass to involve the entire  
25 right nostril, the right maxillary antrum, the

1 right anterior and posterior ethmoid labyrinth,  
2 to be involving the inferior aspect of the  
3 orbit, not to be extending to the nasopharynx,  
4 with numerous areas of bony destruction noted,  
5 more specifically including the medial wall of  
6 the maxillary sinus, the floor of the orbit,  
7 and lateral wall of the ethmoid labyrinth.  
8 Previous attempted extirpation associated with  
9 heavy bleeding revealed specimens following  
10 immediate and final diagnostic procedures  
11 including consultation with the pathology  
12 department at the Cleveland Clinic to reveal a  
13 diagnosis of pseudotumor, possibly associated  
14 with previous foreign body placement in the  
15 right nasal chamber. Due to cosmetic and  
16 functional deformities at this time the patient  
17 is admitted for extirpation of the mass.  
18 Q. Now, at that point again you mention the  
19 fact that prior there had been heavy bleeding  
20 at that prior operation; is that correct?  
21 A. Yes.  
22 Q. Going down to where it says following  
23 this, will you start there.  
24 A. Following this, the right intranasal mass  
25 was removed with the use of a number of

1 instruments including blunt and sharp straight  
2 and up-biting cup forceps. A large amount of  
3 tumor was removed. It was my gross clinical  
4 impression that the site of the origin of this  
5 tumor was the right middle meatus, specifically  
6 the turbinate, however, it was very hard to  
7 specifically ascertain the site of origin due  
8 to the massive involvement of surrounding  
9 structures with tumor and significant bleeding.

10 Q. Stopping there, doctor, when you say  
11 significant bleeding, at this point is he  
12 starting to bleed profusely?

13 A. When you're looking at a five year old's  
14 nose, 2 cc is a significant bleeding.

15 Q. Again, when you're using cup forceps,  
16 would you describe how you're taking that out?

17 A. The alligator type approach, bite, take  
18 out; bite, take out.

19 Q. These are like alligator clippers where  
20 you're reaching in the nose and pulling out  
21 pieces at a time?

22 A. Yes.

23 Q. About how many times in this procedure  
24 would you say this would be required to do?

25 A. Multiple times. I don't know a number.

1 Q. Okay. Doctor, would you continue on on  
2 the next page then. It should be noted that  
3 this --

4 A. It should be noted that the tumor was not  
5 infiltrating the mucus membranes of the right  
6 nostril course mitigating against so-called  
7 malignant infiltration.

8 Q. Let me stop you there. At this point  
9 then are you saying in a sense that you do not  
10 feel this is a malignant tumor then?

11 MR. WALTERS: Objection.

12 A. The thought in mind, it is not carcinoma.

13 Q. Okay.

14 A. Hemostasis was obtained via the use of  
15 sequential nasal packing. Following the  
16 induction of an adequate level of nasal member  
17 hemostasis, a pathway was formed from the  
18 caudal aspect of the nasal chambers through to  
19 the nasopharynx. It should be noted that  
20 clinically there was no evidence of the mass  
21 involving, extending or coming from the  
22 nasopharyngeal aperture. Following this a  
23 right --

24 Q. Let me stop you there for a second.

25 Now, after you went in with the alligator

1     clippers in the nose and removed what you could,  
2     are you now saying you packed the nose?

3     A.     No.

4     Q.     Where it says hemostasis by sequential  
5     nasal packing?

6     A.     Packing and unpacking. I will pack until  
7     the bleeding stopped and take out the pack.

8     Q.     And go back in the same area again?

9     A.     Yes.

10    Q.     And where it says it should be noted  
11    clinically there was no evidence of the mass  
12    involving, extending or coming from the  
13    nasopharyngeal aperture --

14    A.     That is not in the nasopharynx.

15    Q.     Was that a little bit unusual in this  
16    particular case?

17    A.     It was consistent with our radiographic  
18    examinations.

19    Q.     Okay. Would you continue on?

20    A.     Following this a right maxillary nasal  
21    antral window was performed using the small  
22    size rat's tail rasp. Using an upbiting  
23    rongeur, the nasal antral window was enlarged  
24    to be approximately the size of a five cent  
25    piece. Following this the tumor was removed

1 using right angle instruments, once again  
2 upbiting and straight biting piecemeal.

3 Q. Stop again, doctor. Where is that naso  
4 antral window? Way up there?

5 So you're creating a window inside?

6 A. Yes.

7 Q. And continuing on with --

8 A. These alligator clippers are curved so I  
9 could get into the sinus right here, which is  
10 the size of a golf ball.

11 Q. Moving into the side of the face, right?

12 A. Yes.

13 Q. Following the normal drainage pathways  
14 under the middle meatus, an intranasal  
15 ethmoidectomy was performed.

16 Now, what is that exactly doctor?

17 A. I am getting into this sinus here.

18 Q. From here you're now going up?

19 A. Yes.

20 Q. Okay.

21 A. Both the pathways under the middle meatus  
22 intranasal was performed --

23 Q. You skipped something.

24 A. Both the anterior and posterior cells  
25 were removed. These were also filled with

1 tumor. At no time was the floor of the orbit  
2 entered or clinically in danger.

3 Q. Now at that point, doctor, you knew there  
4 is tumor in the orbit. You knew that the optic  
5 nerve was in potential danger. You made the  
6 decision not to go into the orbit; is that  
7 correct?

8 A. Uh-huh. Following the nasal antrostomy,  
9 removal of the antral mass and anterior and  
10 posterior ethmoidectomy on the right side, the  
11 procedure was terminated. Copious bleeding was  
12 observed throughout the procedure as this was a  
13 highly vascularized mass.

14 Q. At this point, do I understand it to mean  
15 that all the while you were doing this  
16 procedure, this boy was bleeding, bleeding,  
17 bleeding?

18 MR. JEFFERS: Objection. It  
19 is argumentative.

20 MR. WALTERS: Objection.

21 Q. I'm asking, was constantly bleeding, if  
22 you would prefer this?

23 A. From my viewpoint, it is hard to see  
24 within a nose because there is blood cells.  
25 How many are in there, it is hard to say.

1 Q. When you use the word copious bleeding --

2 A. I mean bleeding made it hard for me to  
3 see. It was not bleeding to the extent that  
4 the blood pressure fell precipitiously, as I  
5 recall, during the operative procedure.

6 Q. And you say again, you mention the fact  
7 this was a highly vascular recognized mass.

8 Is this becoming more obvious to you as  
9 you're doing this procedure?

10 MR. WALTERS: What?

11 Q. As you're pulling these pieces out, is it  
12 becoming more obvious to you that this is a  
13 highly vascularized mass?

14 A. Anything in the nose is bloody.

15 Q. At this point, having not done the  
16 operation or procedure you refer to as an  
17 angiogram, you were not fully aware of exactly  
18 what was feeding this tumor, were you?

19 MR. JEFFERS: What?

20 Q. Feeding the tumor. You were not aware.

21 MR. WALTERS: In terms of  
22 blood supply?

23 A. Correct.

24 Q. You were not, okay.

25 A. Hemostasis once again was obtained via

1 the sequential administration of nasal packs.  
2 At the termination of the case, the right  
3 nostril from anterior to posterior was firmly  
4 packed with one-half inch new gauze heavily  
5 impregnated with Bacitracin Suspension. A  
6 cotton pledget was placed in the caudal aspect  
7 of the nasal chamber on the right side. At  
8 this time the procedure was terminated.

9 Q. Stopping you right there, doctor, was the  
10 procedure terminated at that point because of  
11 the bleeding?

12 A. Yes. We were starting to get heavy  
13 bleeding, let's get out.

14 Q. Go ahead.

15 A. The right premaxillary edema had somewhat  
16 subsided. There was no proptosis following  
17 surgery. The oropharyngeal areas were  
18 suctioned free of mucus and blood and at this  
19 time the procedure was terminated and the  
20 patient was taken to the recovery room up to  
21 this point satisfactorily having tolerated the  
22 surgical procedure.

23 Q. No proptosis following surgery, what does  
24 that mean?

25 A. No bleeding into the orbit pushing the

1 eye forward.

2 Q. Had this been present before the surgery?

3 A. Proptosis?

4 Q. You say, the way you word it, no  
5 proptosis. Had it been present at any prior  
6 time?

7 A. No. It means we had not had the  
8 complication of bleeding into the orbit.

9 (Discussion off the record.)

10 Q. Let's take the second one of this.

11 MR. GUION: John, what we  
12 are talking about first of all is page number 9  
13 in the corner.

14 Q. Crossmatch compatible. This is the sheet.

15 MR. WALTERS: We had a page  
16 9 before.

17 MR. GUION: There are a lot  
18 of page 9's. These things get very mixed up.

19 He has a copy of those.

20 MR. WALTERS: Let me take a  
21 look.

22 MR. JEFFERS: Those are  
23 separate dates of one admission or multi-day  
24 admissions, and what you are doing is now page  
25 9 of this admission of November 30 to December

1 3rd. They are not mixed up in terms of  
2 hospital chart.

3 Q. What I am going to do, doctor, obviously  
4 this is for the blood. The key thing here,  
5 would you first -- I have a question up here  
6 about patient type. Would you read what type  
7 that is?

8 A. B.

9 Q. B what?

10 A. Positive.

11 Q. And donor type is B what?

12 A. Negative.

13 Q. Does that create any problems in terms of  
14 it being different?

15 A. No.

16 Q. Why would they give a donor type of RH  
17 negative when the patient had a RH positive?

18 A. That was readily available.

19 Q. In other words, does that mean they  
20 probably didn't have the RH positive available?

21 A. Probably.

22 MR. WALTERS: Objection.

23 Q. Does that cause, medically speaking, harm  
24 in the fact that you're crossing the RH  
25 positive with negative?

1 MR. JEFFERS: Objection.

2 MR. WALTERS: Objection.

3 A. No.

4 Q. Okay. Now, also the date on this blood  
5 drawing is what date?

6 A. 12/1.

7 Q. Do you know where it says time started?

8 A. 3:15.

9 Q. And time stopped?

10 A. 4:00.

11 Q. Okay. And the amount transfused?

12 A. 250.

13 Q. In other words, he was removed from the  
14 operating room at 2:35 and taken to the  
15 recovery?

16 A. Yes.

17 Q. Blood was not started for 40 minutes  
18 later; is that correct?

19 A. That's correct.

20 Q. Now, we can go to the next page.

21 MR. WALTERS: Let me just --  
22 you went through that last part.

23 I'm looking at the time. The way you had  
24 indicated was as though he were not in the  
25 subsequent surgical procedure, but go ahead.

1 MR. GUION: What do you mean?  
2 We are not there yet.

3 MR. WALTERS: I know. Go  
4 ahead.

5 Q. On the next sheet, would you identify  
6 that, doctor?

7 A. Parma Hospital recovery room record.

8 Q. What time does it show that Little John  
9 was brought to the recovery room?

10 A. 2:35.

11 Q. And what is the name of the admitting  
12 nurse there, the nurse in charge of the  
13 recovery room?

14 A. Got me.

15 Q. Looks like Babroski.

16 A. Looks like that.

17 Q. Are you familiar with a nurse of that  
18 name at all?

19 A. No.

20 Q. And the operation that is mentioned  
21 underneath there?

22 A. Where is that?

23 Q. Right underneath the nurses note.

24 A. Rhinoscopy, excision right internasal  
25 mass, right internasal antrostomy, right

1 interethmoidectomy.

2 Q. Now, he is now in the recovery room and  
3 would you start to read down there, doctor,  
4 where those notes are. First of all, the time?

5 A. 2:35.

6 Q. All right.

7 A. Patient on admission; 2:35, patient pale  
8 and having cyanotic.

9 Q. Lips could that be?

10 A. Lips. Ear lobes cyanotic. Unresponsive.  
11 Dr. Manzano drew blood from left jugular vein  
12 for typing and crossing. One half unit packed  
13 cells. Blood taken to lab.

14 2:40 patient responds to deep pain.  
15 Capillary refill poor. Patient cool to touch.  
16 Dr. Manzano unable to start IV.

17 2:50, Dr. Dvorak attempts cut-down at  
18 right antecubital with an IV solution, that  
19 means.

20 2:55 cut-down site leaking, call placed  
21 to Pediatric Services. Blood pressure not  
22 palpable. Obtainable per dopple machine, but  
23 patient -- I can't read that.

24 3:00 the patient was given Inderol, one  
25 milligram, per doctor. Cut-down per Dr. Dvorak.

1 Q. Now, as we go over to the graph on the  
2 bottom, doctor, at the admission time they have  
3 numbers there going down that column. Zero,  
4 zero and one.

5 At the time of admission then, based on  
6 activity, what does that zero imply?

7 MR. JEFFERS: Under which  
8 one?

9 MR. WALTERS: Where?

10 MR. GUION: Under here.

11 MR. JEFFERS: Are you asking  
12 about activity?

13 MR. GUION: We are going to  
14 go down the list.

15 Q. Zero activity when he is first brought to  
16 recovery room. What does that imply the  
17 patient's condition is at that point? Zero,  
18 able to move zero extremities voluntary or  
19 command; is that correct?

20 A. Yes.

21 Q. Respiration is one and what does that  
22 mean?

23 A. Limited breathing.

24 Q. Consciousness, what would that mean?

25 A. He is sleeping.

1 Q. Not responding; is that correct?

2 A. Yes.

3 Q. And color?

4 A. Blue.

5 Q. So --

6 MR. JEFFERS: I'm sorry.

7 You said consciousness means what?

8 MR. GUION: Not responding.

9 Q. Color is blue or cyanotic; is that  
10 correct?

11 A. Yes.

12 Q. And the total is one; is that correct?

13 A. Yes.

14 Q. How would you describe as a doctor his  
15 overall condition at that point?

16 MR. WALTERS: At the time of  
17 admission?

18 MR. GUION: At the time of  
19 admission to the recovery room at 2:35 p.m.

20 Q. The total score of one.

21 A. Normal with the exception of his color  
22 was cyanotic.

23 Q. This would be a normal?

24 A. At first glance, that's the impression I  
25 have.

1 Q. 15 minutes later what are the activities?  
2 It is still zero; is that correct?

3 MR. WALTERS: Well, show my  
4 objection. We haven't covered where this  
5 patient stands with regard to the answer yet,  
6 so I mean you need to know that before you --

7 MR. GUION: We are going to  
8 that next, the operative procedure next.

9 MR. WALTERS: What I am  
10 saying, this measurement is analogous to, for  
11 example, an apgar used on a newborn. If you  
12 have a situation in which the patient is under  
13 anesthesia as opposed to when he comes out of  
14 anesthesia, it is totally different. So we  
15 need to know where they are in the anesthesia  
16 end of things.

17 MR. JEFFERS: Obviously he  
18 is not moving if he is anesthetized.

19 Q. Let's say, doctor, we do know looking at  
20 that same chart that color remains zero at  
21 admission time of 2:35; is that correct?

22 A. Yes.

23 Q. Cyanotic?

24 A. Yes.

25 Q. We know he is still cyanotic 15 minutes

1 later?

2 A. Yes.

3 Q. Still cyanotic 45 minutes later?

4 A. Yes.

5 Q. It is not until 90 minutes into the  
6 recovery room that his color returns to what we  
7 call pink or normal?

8 A. Yes.

9 Q. We know also at the time of admission his  
10 consciousness is zero, which is not responding;  
11 is that correct?

12 A. Yes.

13 Q. We know at 15 minutes it is still zero,  
14 not responding, and we know at 45 minutes it is  
15 still zero?

16 A. Yes.

17 Q. We know at 90 minutes it has only gone up  
18 to one and arousable on calling?

19 A. I wouldn't say only.

20 Q. It has not gone to two; is that correct?

21 A. That's correct.

22 Q. Respiration at admission is one, which we  
23 say is limited breathing or shallow. At 15  
24 minutes still one; is that correct?

25 A. Yes.

1 Q. And it is 45 minutes later that it moves  
2 up to two, which is what we would probably call  
3 normal; is that correct?

4 A. Yes.

5 Q. Activity again is zero at admission, zero  
6 at 15 minutes and does not go back to normal  
7 until 45 minutes later; is that correct?

8 A. No. You can't call that normal.

9 Q. Two is the highest one.

10 A. Yes, but that is not normal. These are  
11 post-operative parameters.

12 Q. As high as it goes on the chart.  
13 Voluntary or on command.

14 A. Yes.

15 Q. That takes place 45 minutes after he goes  
16 into the recovery room.

17 MR. WALTERS: Noted at 45  
18 minutes.

19 Q. That's all on that sheet, doctor.

20 A. I would like to point out on that sheet  
21 that his blood pressure with respect to the  
22 systolic was at nowhere near the range of not  
23 being found. It started out at a range of 160,  
24 it looks like, and the lowest point was 120.  
25 On the other hand, his diastolic was low at 20

1 when he came into the recovery room, which even  
2 could be related to anesthesia and gradually  
3 did come up. So you can't hang a hat on a  
4 cyanotic child, but his blood pressure did  
5 remain within acceptable bounds.

6 Q. So are you saying, what; overall this  
7 child was in pretty good shape when he was  
8 taken into the recovery room?

9 MR. WALTERS: Objection.

10 A. His blood pressure was still to some  
11 extent --

12 Q. His respiration was a little low, wasn't  
13 it?

14 A. He just had been intubated and asleep.

15 Q. Okay. Doctor, going to the operative  
16 report, page 20 now, this is the second  
17 operation the same day; is that correct?

18 A. This is when I put the intravenous  
19 catheters into his arm to get the blood  
20 pressure more stable.

21 MR. JEFFERS: In the  
22 recovery room?

23 THE WITNESS: This is an IV.

24 Q. In other words, this procedure is done in  
25 the recovery room?

1 A. The big time IV, that's all.

2 Q. Is this called an operative report here?

3 A. Uh-huh.

4 Q. And the date is what of this report?

5 A. 12/1/83.

6 Q. And the preoperative diagnosis is what?

7 A. Acute major blood loss.

8 Q. And post-operative diagnosis?

9 A. The same.

10 Q. And the operation performed?

11 A. Emergency bilateral antibrachial venous  
12 cut-down procedures.

13 Q. Now, for clarification purposes, doctor,  
14 is that where you cut both of his arms right  
15 here and here?

16 A. Right.

17 Q. You sliced through -- well, that would  
18 look like the entire distance of each arm  
19 across the width of his forearm; would that be  
20 a reasonable description?

21 MR. JEFFERS: Could I see  
22 what you're referring to?

23 MR. WALTERS: Let the record  
24 show counsel is showing the witness a  
25 photograph, I presume representing that this is

1 of John.

2 MR. GUION: Yes. This is  
3 just for clarification purposes.

4 Q. Doctor, would you describe what exactly  
5 this emergency bilateral antibrachial venous  
6 cut-down procedure is?

7 A. We wanted to bring up his blood pressure  
8 somewhat and get rid of his cyanotic color and  
9 weren't able to get into his vein. He is only  
10 five years old and we didn't have all day to be  
11 looking for veins, so I made an incision and  
12 got in quickly.

13 Q. Had you anticipated the blood loss, could  
14 an ankle cut-down be performed prior to surgery?

15 MR. WALTERS: Could?

16 MR. GUION: Yes.

17 MR. WALTERS: Objection.

18 Q. In other words, is this a procedure that  
19 would have been available?

20 MR. JEFFERS: Objection.

21 A. Cut-down?

22 Q. An ankle cut-down instead of the arms  
23 prior to surgery to prepare him if he needed  
24 blood?

25 A. That's not a very stable cut-down site.

1 Q. It is not?

2 A. No.

3 Q. In other words, what would have been the  
4 procedure, assuming you had anticipated serious  
5 blood loss?

6 A. What?

7 Q. What would you have done?

8 A. This one.

9 Q. The arms. Cut-down in any event?

10 A. Uh-huh.

11 Q. Did the anesthesiologist play any role in  
12 this?

13 A. He was there at the time as I just read,  
14 and he administered some Inderol to the patient  
15 to bring up the blood pressure somewhat and he  
16 was there taking care of the patient.

17 Q. So you were both there together?

18 A. Uh-huh.

19 Q. Would you read --

20 MR. WALTERS: Try to answer  
21 yes, because that gets really confusing.

22 Q. Would you next read the procedure,  
23 including gross findings section, please?

24 A. Clinical note: This patient was seen to  
25 be in extremis following radical massive

1 surgery for removal of right sided maxillary  
2 ethmoid, nasal soft tissue tumor.

3 Q. When you say the patient was seen to be  
4 in extremis, what does that mean?

5 A. It means we were worried that he was  
6 going to be real sick and not be able to get  
7 resuscitated and wake up.

8 Q. Is that how you normally define extremis,  
9 the person is really sick?

10 A. I usually don't define it. If you want a  
11 more appropriate definition, it means his vital  
12 signs are at risk.

13 Q. This patient is in danger of death; is  
14 that an accurate way of expressing it?

15 A. Yes.

16 Q. As a matter of fact, you spoke to Mrs.  
17 Lynix at some point, that you saved his life,  
18 that he was on death's door, didn't you,  
19 shortly after this procedure?

20 A. I may have.

21 Q. Okay. And you can continue on, doctor.

22 A. In the recovery room it was noted that  
23 his blood pressure was unobtainable. He was  
24 breathing shallowly and was thought to be  
25 markedly hypotensive from blood loss of

1 approximately 450 cc at the time of surgery.

2 Q. In other words, this procedure was due to  
3 the blood loss, there is no question about that?

4 A. Yes.

5 Q. That that was required?

6 A. Yes. In an attempt to resuscitate this  
7 patient, following numerous attempts at  
8 placement of venous lines with conventional  
9 means and materials and with numerous failures  
10 by myself, as well as the attending  
11 anesthesiologist, bilateral cut-down procedures  
12 were performed.

13 Q. Stopping right there, doctor, in  
14 retrospect, looking back on it now, if you had  
15 to do this procedure over again, would blood  
16 have been prepared in advance?

17 MR. JEFFERS: Objection.

18 MR. WALTERS: Objection.

19 A. If I had to do the procedure again, I  
20 wouldn't have done it.

21 Q. I mean, the original operation of 12/1.

22 MR. JEFFERS: I'm lost.

23 MR. GUION: This procedure  
24 obviously.

25 Q. The original operation on 12/1, you did

1 not in the morning prior to this procedure --  
2 looking back now, knowing what you know now,  
3 would it have been wise to have blood available  
4 knowing what you do now, based on what you have  
5 and including what you knew on 12/1?

6 MR. WALTERS: Objection.

7 You don't have to answer that. That is not put  
8 in proper form.

9 THE WITNESS: I don't mind  
10 the inquiry.

11 Q. Knowing what you know now in retrospect,  
12 would it have been wise to have blood available  
13 for the original procedure?

14 MR. WALTERS: Objection. It  
15 is the same problem. If you want to ask him  
16 what his opinion is as to what he did based  
17 upon the information he had at that time, he  
18 would be more than happy to answer it.

19 A. Based on the information I had, I would  
20 do it the same way. If we had a different  
21 pathology report, which we now have, I wouldn't  
22 have done the procedure.

23 Q. You wouldn't have done the original  
24 procedure?

25 MR. JEFFERS: I move to

1 strike. Objection.

2 MR. CHARMS: I join.

3 A. Uh-huh.

4 Q. Well, you have already said earlier, if  
5 the pathology report had indicated a juvenile  
6 nasopharyngeal angiofibroma that you would have  
7 done things differently. Is that all you're  
8 saying again at this point?

9 MR. JEFFERS: Objection.

10 A. Yes, I guess so. That's what I am saying,  
11 yes.

12 Q. Okay. Finally, doctor, just the last  
13 sentence on this page.

14 A. The more distal aspect of the vessel was  
15 tied off also with free tie of 4-0 chromic and  
16 following this with the use of Dopamine and  
17 large amounts of intravenous D5 and quarter  
18 normal saline, the patient's blood pressure  
19 rose --

20 Q. So the patient's blood pressure --

21 MR. WALTERS: He didn't  
22 finish the sentence.

23 Q. Let me interrupt you there. When you're  
24 phrasing it that way, apparently the blood  
25 pressure was low?

1 A. I'm having trouble with this record. If  
2 you look at the nursing record, blood pressure  
3 record, per se, it is not compatible with  
4 extremis. Throughout the procedure he has a  
5 high systolic blood pressure. His diastolic  
6 blood pressure is low, which could be for a  
7 number of reasons.

8 Q. Continuing on in this.

9 A. Clinically he removed himself from his  
10 extremis and became responsive to normal  
11 stimuli and then further resuscitative measures  
12 were not necessary.

13 Q. So you're using extremis at the beginning  
14 and end, right?

15 A. Yes.

16 Q. Okay. Doctor, moving on now to the next  
17 one, the discharge summary, two in the corner  
18 at the bottom, discharge summary for date of  
19 discharge 12/3/83. This is for the entire  
20 period.

21 (Recess had.)

22 Q. On the bottom of the discharge of 11/30 --  
23 I would like you -- the date is admitted  
24 11/30/83 and the date of discharge is 12/3/83,  
25 so we are clear on which discharge summary we

1 are talking about.

2 Would you read that final diagnosis?

3 MR. WALTERS: Let him read  
4 through the whole thing first and then you ask  
5 him to read.

6 MR. GUION: Okay.

7 A. Number one, extensive evasive --

8 MR. WALTERS: Read the whole  
9 thing to yourself and he will ask you to read  
10 specific parts.

11 Q. Start out up here where it says extensive  
12 evasive destructive inflammatory pseudotumor  
13 mass.

14 A. Okay.

15 Q. Involving entire right nostril with total  
16 occlusion of superior --

17 This is your dictation as to your  
18 description of this tumor site; is that correct?

19 A. Yes.

20 Q. Now, I have to take time to read.

21 And finally this part that says with  
22 extension to and into the orbits; with  
23 destruction of the floor of the right orbit,  
24 again, on the discharge of 12/3/83, you're  
25 clearly and obviously aware -- this is your



1 dictation -- that there is extension to and  
2 into the orbits?

3 A. No. There are two errors on this  
4 paragraph. Number one on the first line,  
5 instead of evasive it's invasive.

6 MR. JEFFERS: Which line?

7 THE WITNESS: Line one.

8 Q. Invasive?

9 A. And the second line from the bottom it  
10 should be orbit, not orbits.

11 Q. Other than that, that final diagnosis  
12 paragraph is totally correct?

13 A. Yes.

14 Q. Okay. Here is what I was thinking about  
15 before about that. Extirpation of the right  
16 intranasal mass was undertaken and the patient  
17 also had a right nasal antral window performed.

18 The one inside you talked about before?

19 A. Yes.

20 Q. Okay. Their narrative summary:

21 Significant blood loss was noted at surgery,  
22 approximately 450cc was obtainable; is that  
23 correct?

24 A. That figure is arrived at at a  
25 combination of -- I forget. The operative note,

1 is that what it says?

2 Q. Do you have any reason to assume at this  
3 point that it was not correct?

4 A. It could be a combination of recovery  
5 room and inter operative procedure.

6 Q. Again, in your narrative summary --

7 A. Okay.

8 Q. Let me just ask you this final question.  
9 You have no reason to assume that  
10 anything is wrong, do you, at this point?

11 A. No.

12 Q. Patient did well until his arrival into  
13 the recovery room, at which time his blood  
14 pressure was unobtainable. So there again you  
15 mentioned that the blood pressure is  
16 unobtainable?

17 A. I say that.

18 Q. He was neurologically unresponsive and he  
19 developed severe bradycardia, right?

20 A. Uh-huh.

21 Q. This was immediately ascertained to be  
22 hypotensive in origin and related to blood loss;  
23 correct?

24 A. Yes.

25 Q. And you mention at this point that

1 immediate cut-downs were performed in both  
2 antebrachial fossae and we were able to start  
3 infiltrating massive doses of volume expanding  
4 agents as well as typed and crossed blood.  
5 That was all done after he was taken to the  
6 recovery room; is that correct?

7 A. Yes.

8 Q. And you say he made a remarkable recovery?

9 A. Yes.

10 Q. By that you mean what; he lived?

11 MR. WALTERS: Objection.

12 MR. JEFFERS: Objection.

13 A. He went home in three days.

14 Q. Going to the next page, now, which is the  
15 pathology report. This is 19 in the bottom  
16 corner. This is the pathology report dated  
17 December 2, 1983.

18 Now, this pathology report is based, I  
19 assume, on tumor particles taken out during  
20 this operation on 12/1; is that correct?

21 A. Yes.

22 Q. And again, coming down to where it says --  
23 you can review it if you want, first.

24 A. I'm all set.

25 Q. It is probably quicker if I ask you the

1 questions than have you read everything.

2 Again, where it says microscopic  
3 description, it says they reveal many small  
4 pieces of a benign neoplasm similar to that  
5 described in our previous report, okay?

6 A. Correct.

7 Q. And the diagnosis A, benign inflammatory  
8 pseudotumor; B, benign inflammatory pseudotumor,  
9 C, benign inflammatory pseudotumor, multiple  
10 pieces of.

11 A. Yes.

12 Q. Basically then what we are saying is that  
13 this pathology report on December 2, 1983 in  
14 terms of diagnosis is the same as the earlier  
15 one?

16 A. Correct.

17 Q. Okay. What exactly was your  
18 understanding, doctor, at this point of what a  
19 benign inflammatory pseudotumor was or is?

20 A. It is inflammatory tissue. Call it  
21 inflammatory tissue.

22 Q. In terms of differential, how would it  
23 differ from a juvenile nasopharyngeal  
24 angiofibroma?

25 A. Apples and oranges. This is benign and

1 the opposite is locally infiltrated and in that  
2 sense being malignant.

3 Q. But only in the infiltrative sense, not  
4 malignant in terms of tissue?

5 A. It doesn't metastasize in different  
6 places is what I mean.

7 Q. Only destructive by virtue of invasion?

8 A. Local invasion.

9 Q. So again to get back to the question,  
10 explain to me the way the two are different.

11 If you were trying to tell someone the  
12 difference in a teaching sense, how are the two  
13 different?

14 A. Benign inflammatory pseudotumor is a  
15 massive inflammatory tissue and it stays  
16 localized to one area and can't expand within a  
17 limited space. On the other hand, juvenile  
18 angiofibroma is locally invasive, destroys  
19 tissue, fed by copious vascular supply.

20 Q. So in other words, a marked difference,  
21 like you say apples and oranges, between these  
22 two tumors diagnostically?

23 A. Yes.

24 Q. Okay.

25 MR. CHARMS: Would you read

1 back the last question.

2 (Record read.)

3 MR. CHARMS: Note my  
4 objection.

5 Q. Doctor, the next one is the CAT scan of  
6 12/84 where we are at. It says 9 again on the  
7 bottom.

8 MR. JEFFERS: What date?

9 THE WITNESS: 1/27.

10 Q. Before we do this, this will be the last  
11 time you see this patient.

12 Let's do this. I don't want to confuse  
13 you. I want to jump back and go through your  
14 office visits with him, okay, because this will  
15 be the last time you see him actually.

16 Here, this is your set of those, what we  
17 are going to do.

18 MR. GUION: John, I don't  
19 know how much of these you have seen.

20 Q. I would like to keep some continuity in  
21 time and catch up with all of the office visits  
22 and come back to the 1/27.

23 MR. JEFFERS: That one day  
24 admit 1/27?

25 MR. GUION: Where the CAT

1 scan is done and when he is transferred to the  
2 Cleveland Clinic.

3 Q. Doctor, on your first billing form here --  
4 this is your first billing form; is that  
5 correct?

6 A. Uh-huh.

7 Q. The first bill that you sent out, would  
8 you describe what your diagnosis was at that  
9 time, what you have over in the corner there?

10 A. Sinusitis.

11 Q. And that's what you had told us previous,  
12 this was the infection that was on the 11/22  
13 that you originally thought was going on; is  
14 that correct?

15 A. Yes.

16 Q. And your initial office visit you charged  
17 a fee of \$25; is that correct?

18 A. Yes.

19 Q. Now, the next sheet, the next one on  
20 there is dated 11/26/83. That's also one of  
21 your billing statements; is that correct?

22 A. Yes.

23 Q. Okay. Would you read what you have  
24 written down there? Where you have the  
25 hospital procedure, you have surgery?

1 A. Excision right intranasal mass.

2 Q. And you charged \$225 for that?

3 A. Right.

4 Q. Also, doctor, I note there are no office  
5 notes for 11/26. Is that because you just  
6 didn't record anything when you were in the  
7 office or what happened there? You have no  
8 notation.

9 MR. WALTERS: Let's go back  
10 to the papers that you have.

11 MR. GUION: I know there are  
12 hospital admission -- not admission, we said  
13 there wasn't any.

14 MR. WALTERS: An ER sheet  
15 for the 26th.

16 THE WITNESS: I presume I  
17 saw the patient at that time in ER.

18 Q. So again, you know you did see him in  
19 your office, because, remember, you dictated  
20 that you went from the office over to the  
21 hospital on 11/26. Do you recall that? We  
22 have been over that already. Do you remember?

23 A. I really can't recall, to tell you the  
24 truth.

25 Q. Is it accurate to say that you did see

1 him in your office on 11/26, based on your own  
2 statement in that dictation?

3 MR. WALTERS: I think he  
4 said he doesn't recall. If you have something  
5 you want to point out specifically, go ahead.

6 MR. GUION: This was  
7 something we had covered.

8 THE WITNESS: See, the other  
9 situation might be that Mrs. Lynix might have  
10 called me saying my boy isn't doing well and I  
11 said I will meet him in the hospital.

12 Q. This is the history and physical  
13 examination dated 11/30. You say at the next  
14 visit, the 48 hours following the first visit a  
15 right intranasal mass was noted. This was  
16 examined in the office.

17 A. Okay.

18 Q. It was thought to be consistent --

19 A. Okay.

20 Q. You have no office notes from that visit;  
21 is that correct?

22 A. Yeah. Negligence.

23 MR. JEFFERS: Harry, are  
24 these, many of these pages on this new group we  
25 are going into?

1 MR. GUION: Not too many.

2 MR. JEFFERS: Could we make  
3 copies of them so we can all see them?

4 Q. Doctor, now, next on this page over here  
5 are some prescription forms with various dates  
6 on them. I just want to go through them.

7 MR. WALTERS: These are from  
8 Mrs. Lynix?

9 MR. GUION: Exactly.

10 Q. These are four pages of prescriptions,  
11 okay. Do you see the first one there, the date  
12 is 11/26/83.

13 What was ordered that time?

14 A. An antibiotic Ceclor.

15 Q. And what was that for, the infection  
16 process that you thought was going on?

17 A. Sinusitis.

18 Q. And the next one is dated 12/5 and what  
19 does that say?

20 A. Amoxicillin.

21 Q. What is that?

22 A. A different antibiotic.

23 Q. What was the purpose of prescribing that?

24 A. If one doesn't work, maybe the other one  
25 will.

1 Q. Okay. And the next one?

2 A. Refill on Amoxicillin.

3 Q. And that's dated what?

4 A. 1/4.

5 Q. So at this point in January, the 4th of  
6 January, you were still treating this with this  
7 particular drug alone?

8 A. Yes.

9 Q. This is your treatment?

10 A. Yes.

11 Q. Is there any other treatment going on at  
12 that time?

13 A. No.

14 Q. Okay.

15 A. We might have given him some samples of  
16 decongestants from the office.

17 Q. I will go back over this more in detail  
18 with you.

19 The next page has 1/17; is that correct?

20 A. Yes.

21 Q. What is the prescription at that time for?

22 A. Pediazole.

23 Q. What is that?

24 A. A different type of antibiotic. Looking  
25 for one that might help him more than the other

1 ones.

2 Q. So at this point on 1/17/84 you still  
3 have the impression that you can reduce this  
4 inflammation with this type of antibiotic?

5 A. The premaxillary sinusitis.

6 Q. Okay.

7 MR. WALTERS: Can we have  
8 copies of those?

9 MR. GUION: You can have  
10 those.

11 MR. JEFFERS: Throw them on  
12 the side so I know they are something I want  
13 copies of.

14 Q. Doctor, what I would like to do is to  
15 bring us back to the present, take you through  
16 the office visits now.

17 After 11/26, and after the discharge from  
18 the hospital on 12/3, finally you see John  
19 again in your office on 12/5; is that correct?

20 MR. WALTERS: Objection to  
21 finally.

22 MR. GUION: I'm not trying  
23 to say that to be smart.

24 Q. You next see him on 12/5; is that correct?

25 A. Yes.

1 Q. Would you tell us what office notes you  
2 have from that visit?

3 A. Recheck post-operatively. The note  
4 suction nose free of secretions, the thought  
5 continue Amoxicillin, recheck Friday.

6 Q. That is the entire extent of your  
7 treatment during the 12/5 visit?

8 A. Yes. I examined his arms at the same  
9 time too.

10 Q. And what were your findings in relation  
11 to his arms?

12 A. Things were healing well.

13 Q. Did you not record that, though?

14 A. No.

15 Q. Anything else on 12/5 that you recall at  
16 this time that is not recorded?

17 A. No.

18 Q. Okay. 12/16, is that the next visit  
19 following the 12/5?

20 A. Yes.

21 Q. And would you read your notes from 12/16?

22 A. Well, I saw him apparently on 12/9.

23 Q. Okay.

24 A. Post-operatively.

25 Q. You saw him on 12/9?

1 A. But I have not made a notation of that.

2 Q. Is this 12/9 visit, in other words, are  
3 you basing the fact that you recall that simply  
4 on the billing or on the fact that it is on the  
5 bill?

6 A. Yes.

7 MR. WALTERS: Well, it says  
8 post-op check.

9 MR. GUION: On the bill?

10 MR. WALTERS: Yes.

11 Q. But you have no office notes for that  
12 visit?

13 MR. WALTERS: That's an  
14 office note.

15 MR. GUION: Where was that?  
16 That's a bill.

17 MR. WALTERS: It is part of  
18 his office notes. I don't want to get into  
19 semantics. You mean in that series?

20 MR. GUION: Yes.

21 MR. WALTERS: Apparently not.  
22 I don't see any.

23 Q. For that 12/9, the only thing you have  
24 recorded is the words post-op; is that correct?

25 A. Yes.

1 Q. The next record note is, or the next  
2 visit is 12/16; is that correct?

3 A. Yes, that's correct.

4 Q. Would you tell us everything you recorded  
5 from that visit?

6 A. Did a recheck and sutures removed from  
7 his arms. We ordered a perinasal sinus series.

8 Q. What is that?

9 A. An X-ray of the face, not of the same  
10 caliber of the CAT scan.

11 Q. Not of the same caliber?

12 A. No.

13 Q. In what sense is it different?

14 A. It doesn't show in detail what the CAT  
15 scan does.

16 Q. This wouldn't show anything in the eyes;  
17 is that correct?

18 A. Yes, it would.

19 Q. In the right orbit, a tumor?

20 A. An orbit mass will show up on a plain  
21 film.

22 Q. To the same extent as a CAT scan?

23 A. Not to the same extent.

24 Q. How much different is there?

25 MR. WALTERS: Objection.

1 Q. I mean, is there a marked difference in  
2 the quality of these two instruments for  
3 diagnostic purposes or almost the same?

4 A. Much better resolution with a CAT scan.

5 Q. Okay. And that report of 12/16, did you  
6 obtain it?

7 A. Yes.

8 Q. And what did that tell you?

9 A. He had bilateral maxillary sinusitis.

10 MR. WALTERS: Let's get the  
11 record --

12 Q. Who was the radiologist on that report?

13 A. Dr. Ram.

14 MR. JEFFERS: Do you have a  
15 copy of the report?

16 MR. WALTERS: It was  
17 attached in the copy of his office notes that  
18 were provided.

19 MR. JEFFERS: I have his  
20 notes, but I don't see that.

21 Q. Where it says paranasal sinuses, is that  
22 the same view that would have been ordered if  
23 you had specifically wanted to know what was  
24 going on in the right orbit?

25 A. These are multiple views. There would

1 probably be additional views to look at the  
2 orbit specifically.

3 Q. So you were more interested in the sinus  
4 area?

5 A. Yes.

6 Q. Would you read what that says?

7 A. There is evidence of bilateral maxillary  
8 sinusitis. Both maxillary sinuses are  
9 completely opacified. The other sinuses are  
10 clear.

11 Q. Above that where it says --

12 A. Routine sinus views show complete  
13 opacification of both maxillary sinuses. The  
14 frontal, ethmoidal and the sphenoidal sinuses  
15 are clear. There is hypertrophy of the nasal  
16 turbinates.

17 Q. How does that X-ray reconcile itself with  
18 the CAT scan of 11/29?

19 A. This is a post-operative view and it  
20 shows apparently that things went very well.  
21 The tumor has been removed from the ethmoid  
22 region. There is still sinusitis in the  
23 maxillary region but this is like a reassuring  
24 report.

25 Q. What does it say about the eye orbit?

1 A. This doesn't say anything about the eye  
2 orbit.

3 Q. So this report does not tell you in any  
4 shape or form what is going on in that area; is  
5 that correct?

6 A. Right.

7 MR. WALTERS: You mean other  
8 than absent a positive mention of it?

9 Q. It doesn't tell you anything positive or  
10 negatively, does it?

11 A. That's correct.

12 Q. Okay. Also while we are at it, doctor,  
13 there is one more in your notes, one more  
14 document which I would like to take a look at  
15 and that's that middle ear analyzer which was  
16 part of your records.

17 A. Well, I would like to point out at that  
18 visit I told Mrs. Lynix to go see her  
19 ophthalmologist.

20 Q. That's down here a little bit?

21 A. The same day as the X-ray, we still  
22 discussed it.

23 Q. When she comes on the next time she tells  
24 you she has seen him.

25 MR. WALTERS: By the way, do

1 you have records of that?

2 MR. GUION: Yes.

3 Q. You told her on the 16th to go see him?

4 A. Yes.

5 Q. We will get to that in a second, too.

6 But before we get to that, this middle  
7 ear analyzer part of your records, do you have  
8 that in front of you?

9 A. Yes.

10 Q. Would you tell us based on your review of  
11 that document, doctor, what that tells you  
12 about John's hearing?

13 A. That basically it is acceptable hearing  
14 in the normal range. He has a bit of a  
15 conductive hearing loss on the right side,  
16 presumably due to the post nasal drip.

17 Q. Did you in any way interpret the results  
18 of this document indicating that the tumor had  
19 in any way impaired his hearing?

20 A. No.

21 Q. In other words, doctor, in this document  
22 there is no reason to believe that the hearing  
23 problem would be anything other than temporary?

24 A. That's correct.

25 Q. Okay. By the way, other than the -- let

1 me ask you this. Other than the X-ray, prior  
2 to when he first saw you -- that was Dr.  
3 Durve's, the CAT scan on 11/29, this paranasal  
4 sinus X-ray on 12/16 -- was there any other  
5 X-ray or radiologic work done prior to this  
6 1/27 CAT scan?

7 A. Well, you forgot the tympanogram done on  
8 the 29th.

9 Q. Okay. Including the laminograms?

10 A. And these parasinus X-rays and that was  
11 it.

12 Q. Nothing from 12/16 until 1/27/84; is that  
13 correct?

14 A. Yes.

15 Q. Doctor, now on this 12/16 visit, at that  
16 time you instructed Mrs. Lynix to take John to  
17 an eye doctor; is that correct?

18 A. Yes.

19 Q. Why did you instruct her to do that?

20 A. Pseudotumor is a normal tumor, generally  
21 speaking, and I wanted an ophthalmologist to  
22 check his orbits.

23 Q. You wanted to be sure that that tumor was  
24 not in that orbit?

25 A. Yes.

1 Q. Now, I see on the next date 12/23 you  
2 make a notation that says what?

3 A. Has seen Dr. Howard Siegel.

4 Q. Tell me this, doctor, what did Dr. Siegel  
5 tell you when you consulted about this?

6 A. I got all my information from Mrs. Lynix.  
7 She said after we saw Dr. Siegel he appeared to  
8 be doing fine and just continue with what  
9 you're doing.

10 Q. You made the referral to Dr. Siegel. You  
11 never got on the telephone and talked to him at  
12 all?

13 MR. JEFFERS: Objection.

14 MR. WALTERS: Objection. He  
15 didn't say he made the referral.

16 A. I asked her to see an ophthalmologist and  
17 I had one in mind. She said she wanted to see  
18 Dr. Siegel because he had previously seen John.

19 Q. He hadn't previously seen John, from what  
20 I understand, but he had been her doctor.

21 A. It is a conceivable scenario.

22 Q. You at no point talked to Dr. Siegel then?

23 A. No.

24 Q. Did you make any attempt whatsoever to  
25 speak to him? Did you try to write him, call

1 him on the phone, do anything of that sort?

2 A. I don't recall.

3 Q. Did you ever ask in any way for his  
4 report?

5 A. Yes.

6 Q. When did you do that?

7 A. I don't recall.

8 Q. When did you receive it?

9 A. I didn't receive anything from Dr. Siegel.

10 Q. By what method did you try to obtain his  
11 report? Did you write a letter to his office,  
12 call?

13 A. I really can't answer that, I don't  
14 recall.

15 Q. Is it possible you never even tried to  
16 get the report? Is that a possibility?

17 A. No.

18 Q. You have some vague recollection that you  
19 tried to obtain his report?

20 A. Yes.

21 Q. When did you do that? When did you try  
22 to obtain it?

23 A. I can't recall.

24 Q. In any event, you never did come to have  
25 the report; is that correct?

1 A. Correct.

2 Q. Okay. Now, here is his report. Here is  
3 his examination sheet, I should say. This is  
4 basically everything he wrote on this day.

5 MR. WALTERS: Is there a  
6 report?

7 MR. GUION: No. This is it,  
8 his office report.

9 Q. Doctor, have you had an opportunity to  
10 review that?

11 A. Yes.

12 Q. First of all --

13 MR. WALTERS: Let me take a  
14 look at it.

15 (Recessed.)

16 Q. Getting back to the eye examination of  
17 Howard Siegel which you refer to in your note  
18 of 12/23, has seen Dr. Siegel, have you had a  
19 chance to review his office notes?

20 A. Yes.

21 Q. Would you interpret those for us, to the  
22 best of your knowledge? I understand you're  
23 not an eye specialist.

24 MR. JEFFERS: I object to  
25 his interpretation.

1 MR. CHARMS: I join.

2 MR. WALTERS: He doesn't  
3 have to interpret them.

4 Q. Would you read what it says there and  
5 then I will ask you questions, if you want to  
6 do it that way.

7 MR. WALTERS: He has read it.

8 Q. Read it for the record. Start where it  
9 begins 12/22/83.

10 A. I can't read the first word.

11 Q. Okay.

12 A. Per mother. Right orbit changed due to  
13 tumor and now OD protrudes.

14 That means the right eye protrudes.

15 I imagine that's the refraction, 20 over  
16 50 and 20 over 25. Decreased or definite  
17 decreased retrodisplacement. Right eye.  
18 Alphabet looks like angular.

19 Q. Okay.

20 A. I can't read it all, the next line.

21 Q. Proptosis.

22 A. I'm getting that line.

23 MR. WALTERS: There is a  
24 line before that.

25 Q. Displacement, no displacement?

1 A. Yes.

2 MR. WALTERS: It says  
3 something, something, something OD 15, it looks  
4 like, and then it says no displacement.

5 Q. Then it says what?

6 A. Just proptosis -- and says the right eye.  
7 I can't interpret what that line means.

8 Q. Okay.

9 MR. JEFFERS: What does OD  
10 mean?

11 THE WITNESS: Right eye.

12 A. And diagnosis is -- I just can't make any  
13 sense out of it. I don't know what that means.

14 Q. Based upon reviewing this record, doctor,  
15 what does this tell you about his vision on  
16 this date, which is now 12/22/83?

17 MR. WALTERS: Well, he just  
18 said there are parts of it he can't read.

19 Q. From what you can read and from what you  
20 understand this, doctor, to be saying.

21 MR. WALTERS: Objection.

22 A. On these records like this, I don't know  
23 what they are referring to.

24 Q. If you would have received this document  
25 on 12/22/83, are you saying it wouldn't make

1 any difference what you did after that?

2 A. No. I'm saying it wouldn't have made any  
3 sense to me.

4 Q. So, receiving this document -- you say  
5 you requested it -- receiving this document  
6 wouldn't have made any difference?

7 MR. WALTERS: He did not say  
8 he requested this document.

9 MR. GUION: Yes.

10 MR. WALTERS: A report. It  
11 looks like an office note, not a report to me.

12 Q. If you had received this, a copy of this  
13 document which is now in front of you, would  
14 this in any way in and of itself have altered  
15 your course of treatment from this day forward?

16 A. I think it would be an additional piece  
17 of data to rely on, but just a piece of data.

18 Q. Let me ask you this question. Not only  
19 did you not contact Dr. Siegel, is it accurate  
20 to say he never contacted you?

21 A. I presume so.

22 Q. Well, I mean, are you aware of him ever  
23 contacting you?

24 A. No.

25 Q. Okay. Do you understand what that last

1 line means where it says right disc margins  
2 probably old? Does that have any meaning to  
3 you?

4 A. Talking about popilledema, which has to  
5 do with the area around the optic nerve, but I  
6 don't know what the words are here that he is  
7 using. I can't read his writing.

8 Q. What is he saying about the area around  
9 the optic nerve?

10 MR. WALTERS: He just said  
11 he can't read the writing. If you have a  
12 translation, put it in front of him, we will  
13 look at it.

14 A. No.

15 Q. That doesn't have any significance?

16 A. No.

17 Q. Going back to your office notes,  
18 continuing on then with that.

19 A. Yes.

20 Q. 12/23, will you read what you have there?

21 A. Has seen Dr. Howard Siegel. Keep the  
22 patient on Amoxicillin, Entax liquid and  
23 Neo-Synephrine.

24 Q. And that was all your treatment consisted  
25 of on 12/23?



1 A. Examination of child.

2 Q. What did your examination reveal?

3 A. The arms were healing and nothing unusual  
4 was transpiring in terms of post-operative  
5 healings.

6 Q. How do you know that, doctor, when none  
7 of that is on your notes here?

8 A. I put down unusual findings.

9 Q. Anything unusual would be listed on your  
10 office note?

11 A. Yes.

12 Q. In other words, if it is not there,  
13 you're saying that there was nothing remarkable?

14 A. Yes.

15 Q. 1/5/84 is your next visit or his next  
16 visit to you, I should say.

17 A. Yes.

18 Q. Would you tell us what you have there?

19 A. Drainage from nose more pronounced on the  
20 right side. Drainage clear, no blood or pus.

21 Q. Wasn't this drainage ongoing from the  
22 very beginning all the way through this whole  
23 procedure. It never did stop, did it?

24 A. No, it never did stop.

25 Q. Wouldn't that be a remarkable symptom to

1 be noted in the earlier date?

2 A. Not with all this surgery; what, that the  
3 drainage hadn't stopped?

4 Q. And ongoing secretion from the right  
5 nostril, would this be something that you would  
6 have put into the 12/23/83 note normally?

7 MR. WALTERS: He just  
8 answered you.

9 Q. In other words, you were only putting in  
10 there things that, whatever your definition?

11 A. Probably at this time his whole nostril  
12 was full of sanguineous mucus and I thought  
13 that was more drainage than I would suspect.

14 Q. Was there any more drainage that you  
15 would --

16 A. No.

17 Q. His next office visit is 1/12/84; is that  
18 correct?

19 A. Yes.

20 Q. Would you tell us what you observed at  
21 that time?

22 A. At that time the drainage from the nose  
23 appeared to be more normal, clear in color and  
24 the swelling was reduced in his cheek area.

25 Q. Okay. And treatment at that time was



1 what?

2 A. Continuing of the above.

3 Q. Which was what?

4 A. Antibiotic, Neo-Synephrine, a  
5 decongestant.

6 Q. Nasal spray, in other words?

7 A. Uh-huh.

8 Q. The next office visit is on 1/20; is that  
9 correct?

10 A. No, 1/23.

11 Q. Is that 1/23?

12 A. Yes.

13 Q. Okay. 1/23.

14 A. Increase swelling of the cheek area,  
15 clear drainage nostrils and at the time we made  
16 an appointment with Dr. Tucker for January 31,  
17 later changed to February 21 and that's it.

18 Q. All right. Now, when you made that  
19 appointment with Dr. Tucker for February 21,  
20 why did you do that?

21 A. I needed -- I wanted to get a second  
22 opinion to make sure everything I was doing was  
23 correct.

24 Q. And what concerns did you have on that  
25 1/23/84 visit?

1 MR. JEFFERS: Which?

2 MR. GUION: 1/23.

3 Q. What concerns did you have at that point?

4 MR. CHARMS: 1/20 or 1/23?

5 THE WITNESS: I think it is  
6 1/23.

7 MR. WALTERS: We will double  
8 check.

9 A. The swelling in his cheek area.

10 Q. Is it correct, doctor, that at that time  
11 you talked to Mrs. Lynix next and you said that  
12 there is three possible teaching hospitals you  
13 would consider sending him to: One was Akron  
14 Children's Hospital; one was University  
15 Hospitals, and one was the Cleveland Clinic?

16 A. I don't recall.

17 Q. You recall having a conversation with her  
18 about this, about seeing another doctor?

19 A. I don't recall other places.

20 Q. In other words, when you made that  
21 appointment with Dr. Tucker, did you have any  
22 conversations with Mrs. Lynix?

23 A. Yes.

24 Q. What do you recall about that  
25 conversation?

1 A. Well, let's get another opinion and send  
2 John to another place.

3 Q. Did you also tell her at that time that  
4 after she got the other opinion from the doctor  
5 at the Clinic to come back and talk to you  
6 because these doctors were all big cutters at  
7 the Cleveland Clinic?

8 MR. WALTERS: Objection.

9 A. No.

10 Q. You don't recall a statement that she  
11 should come back to you because of that reason  
12 because you were concerned about how quick they  
13 might be to do surgery; that you wanted to do  
14 the surgery again?

15 A. No.

16 Q. You're saying you didn't have that  
17 conversation or you don't recall it?

18 MR. WALTERS: He already  
19 said he had conversations with her about  
20 referring her to the Clinic.

21 MR. GUION: I'm asking him  
22 about this.

23 MR. WALTERS: Is he saying  
24 did he have a conversation with her? Yes he  
25 did. He already told you that.

1 MR. GUION: He told me he  
2 did. I'm now asking if he recalls a specific  
3 remark that she should come back to him after  
4 she consulted with the Clinic doctor.

5 THE WITNESS: I doubt if I  
6 made that remark. I don't recall, but I doubt  
7 that I made that remark.

8 MR. GUION: Okay. I'm just  
9 asking.

10 MR. JEFFERS: Wait, wait,  
11 wait. This is really confusing now.

12 You doubt that you told her to come back  
13 and see you or you doubt that you said they are  
14 all big cutters?

15 THE WITNESS: Both. I  
16 probably just said, let's get a second opinion  
17 and left it at that. Let's get a second  
18 opinion to see if everything is being done that  
19 should be done, that type of thing.

20 Q. So as late as 1/23, your treatment at  
21 that time was still what? You were still  
22 continuing with the --

23 A. Uh-huh.

24 Q. Was it still your assumption that the  
25 diagnosis of the process at this time was

1 merely infection now?

2 A. No, post-operative healing.

3 Q. It was still your assumption at this  
4 point that the tumor was removed, that you had  
5 removed all of it?

6 A. No, not all of it. But we are dealing  
7 with a benign pseudotumor.

8 Q. You were aware that there was still some  
9 tumor left?

10 A. Yes.

11 Q. Now, making that appointment for February  
12 what was it, February 21, 1984 -- at that point,  
13 this is on --

14 MR. WALTERS: I think he  
15 indicated it was first made for January 31 and  
16 then apparently changed to February 21.

17 Q. In other words, at this point when you  
18 made that appointment, doctor, you didn't see  
19 any urgency, you didn't feel that waiting  
20 another month would be critical?

21 MR. WALTERS: Objection.

22 Q. Did you or didn't you feel another month  
23 would be critical?

24 MR. WALTERS: Objection. He  
25 said that at the time they called the

1 appointment was made for January 31, which  
2 would have been eight days after he saw him,  
3 not a month. Ultimately it was changed. But  
4 your question is with reference to how he felt  
5 at the time of the visit of January 23 and  
6 therefore your question is unfair.

7 Q. When it was changed to -- when was it  
8 changed to February 21?

9 A. I don't recall.

10 Q. When it was changed, did that cause you  
11 any concern?

12 A. I think probably other events were  
13 transpiring of seeing him in the meantime. We  
14 were holding that in abeyance depending on how  
15 he did in the meantime.

16 I saw him four days later.

17 Q. You saw him on the 27th?

18 A. Yes.

19 Q. Was that at your behest or Mrs. Lynix's?

20 A. It should have been at mine. I don't ask  
21 people when they want to come back.

22 Q. Was there any reason why you scheduled  
23 the appointment for four days?

24 A. Close follow up.

25 Q. In other words, you were concerned at

1 that point on 1/23 that something was wrong?

2 A. Yes.

3 Q. Would you tell us what your note of 1/27  
4 now says?

5 A. Presents with continuing swelling of the  
6 right maxillary region. Noted swelling  
7 extended up to the right eye area. Perinasal  
8 swelling on the right side blurred vision on  
9 the right side.

10 Q. Those were all symptoms that were present  
11 on 1/27?

12 A. Yes.

13 Q. Were some of those present on 1/23?

14 MR. WALTERS: Some of them?

15 Q. Any of those that are mentioned on 1/27?

16 A. I just started off saying continuous  
17 swelling of the right maxillary region. That  
18 was present.

19 Q. Any more not listed that was present?

20 A. No.

21 Q. So all of these other ones came about  
22 after the 1/23 visit?

23 A. Yes.

24 Q. Okay. Doctor, moving back over to our  
25 1/27 hospital records now, at this point do you



1 recall, this being 1/27 -- let me ask you this.  
2 Before you went over to the hospital, what  
3 transpired in terms of your conversation with  
4 Mrs. Lynix in terms of her son's condition? We  
5 are at your office still on 1/27.

6 A. I probably said something to the effect,  
7 if is it getting worse instead of better, let's  
8 get a CAT scan and see what is going on.

9 Q. Did you have her see anybody before you  
10 took him over for the CAT scan?

11 A. I don't recall.

12 Q. Might it be in the same building or  
13 nearby building to Dr. Coseriu, something of  
14 that nature?

15 A. I may have.

16 Q. Do you recall doing that?

17 A. I don't recall.

18 Q. You recall him being in your office on  
19 the 27th?

20 A. Yes.

21 MR. WALTERS: Who?

22 MR. GUION: Little John.

23 A. Uh-huh.

24 Q. And you recall saying he will need a CAT  
25 scan?

1 A. Uh-huh.

2 Q. And then in fact that's what you did do  
3 is send her over to the Parma General Hospital?

4 A. Yes.

5 Q. By the way, doctor, what is your  
6 relationship with that hospital? What exactly  
7 is your relationship with the hospital?

8 MR. JEFFERS: Objection.

9 Like he is on the staff type thing?

10 Q. What exactly is your relationship with  
11 the hospital?

12 A. I'm on the staff. I take patients there.

13 Q. You get to use their facilities?

14 A. Yes.

15 Q. What do you do for them in return?

16 MR. JEFFERS: Objection.

17 MR. WALTERS: I don't know  
18 what that means.

19 Q. In other words, you get to use all their  
20 facilities, their operating room. You get to  
21 use their blood banks, their consultants, right?

22 A. Yes.

23 Q. What do you do for them?

24 MR. WALTERS: Objection.

25 That question presumes that a physician who has

1 admitting privileges at hospital X must  
2 therefore do something for the hospital.

3 MR. GUION: It is kind of  
4 presuming that.

5 MR. WALTERS: Well, baloney.  
6 You don't have to answer that.

7 MR. GUION: I think he  
8 should answer what he does for the hospital.

9 MR. JEFFERS: He isn't paid  
10 by the hospital at all and it is also a legal  
11 conclusion. He receives no remuneration from  
12 our office.

13 MR. GUION: Did I ask if he  
14 received remuneration?

15 Q. Do you do anything for the hospital, is  
16 my question? Do you ever work in the emergency  
17 room? Do you ever have to do anything for the  
18 hospital?

19 MR. JEFFERS: As of what,  
20 the first time that he saw him, January 27th?

21 Q. The period we are talking about between  
22 November 22, 1983 and January 27, 1984.

23 MR. JEFFERS: What positions  
24 did you hold if any at that time is the  
25 question.



1 A. 83 to 84?

2 Q. From the time in question.

3 MR. JEFFERS: With this  
4 child.

5 A. I was on the staff as an ear, nose and  
6 throat physician.

7 Q. And what did that entail? What duties  
8 does that entail?

9 MR. JEFFERS: Objection.

10 MR. WALTERS: Objection to  
11 duties.

12 Q. What is the definition of being on the  
13 staff?

14 A. Admitting privileges.

15 Q. All right. And to be on the staff and to  
16 have admitting privileges, is there anything  
17 that you have to do for that?

18 MR. WALTERS: You mean apply  
19 to get on the staff?

20 MR. JEFFERS: You have to  
21 have medical licensing.

22 A. Medical license and Board Certified,  
23 attend meetings, that type of thing.

24 Q. You have to attend meetings?

25 A. Called by the hospital, uh-huh.



1 MR. JEFFERS: Objection.  
2 You have the staff and you have the hospital  
3 and they are different.

4 MR. GUION: I have a reason  
5 for asking it.

6 MR. JEFFERS: That's why I'm  
7 saying, I'm not sure he appreciates the  
8 difference, because he is not a lawyer, between  
9 what pertains to the hospital and what pertains  
10 to the hospital staff, and you know as well as  
11 I do that there are staff committees over there  
12 and he attends staff committees.

13 Q. Do you ever work in the emergency room?

14 A. Yes.

15 Q. During this time period?

16 A. I can't recall if it was this time period.  
17 I worked during my residency period.

18 Q. I don't mean that. I'm talking about  
19 during the year 1983, did you ever work in the  
20 emergency room?

21 MR. JEFFERS: From the time  
22 you first saw this patient.

23 MR. GUION: That's correct.

24 A. From November of 83, I don't know. I  
25 suppose we can find out, but I don't know.



1 MR. JEFFERS: I believe the  
2 answer is no.

3 A. I'm sure the answer is no because there  
4 is a by-law that says you can't be an attending  
5 physician and work in the emergency room.

6 Q. You cannot be an attending physician --  
7 what is the hospital's definition of an attending  
8 physician? What is that? What is the  
9 attending physician?

10 A. I don't know the hospital's definition.

11 Q. What do you think that is?

12 A. If you're on the staff, you can't work in  
13 the emergency room.

14 MR. WALTERS: Listen,  
15 attending is a definition which indicates what  
16 privileges you can have after you have been  
17 there so long and different staff privileges  
18 for different doctors.

19 Q. When did you first gain staff privileges  
20 at the hospital?

21 A. I really don't recall. When I finished  
22 my residency training, which was probably in  
23 January, January 1st, 83.

24 Q. Okay.

25 MR. WALTERS: We have been



1 here for over three hours. Unless you show  
2 some relevance of this area, let's get on with  
3 the meat of the case. You're getting into all  
4 of our days. You didn't give any advance  
5 notice you were going to take that long.

6 MR. GUION: That's the way  
7 it goes.

8 MR. WALTERS: Courtesy is  
9 the way it goes among professionals.

10 MR. GUION: I need to ask  
11 some questions that I think are important,  
12 that's all. We just have to cover these things,  
13 you know. I don't care what his answers are as  
14 long as he answers them. If he wants to tell  
15 me he doesn't do anything for the hospital,  
16 fine. I'm not telling him what to say, but I  
17 would like to hear what he has to say, that's  
18 all.

19 MR. WALTERS: Ask a question.

20 MR. GUION: I don't think it  
21 is an unreasonable question.

22 Q. Now, on the morning of the 27th you then  
23 sent Little John over to Parma for a CAT scan;  
24 is that correct?

25 A. Yes.



1 Q. Okay. Let's take a look at that now.  
2 That's where we are at. We are now on the  
3 radiology examination of 1/27/84, page 9.

4 MR. WALTERS: Did we  
5 previously talk about this or not?

6 MR. GUION: No. We are  
7 always moving forward timewise.

8 MR. WALTERS: It was not in  
9 the packet that you handed him.

10 MR. GUION: Yes, it is.  
11 Everything is in the packet.

12 MR. WALTERS: He has it in  
13 front of him, page 9, 1/27/84 CAT scan.

14 Q. Now, what I would like you to do, doctor,  
15 that's 1/27/84, correct, the radiology  
16 examination of the date in question we are just  
17 talking about?

18 A. Yes.

19 Q. Would you read that for me and I will ask  
20 you questions.

21 A. CT scan of the orbits and sinuses was  
22 done with 5 mm cuts and 3 mm intervals. No  
23 injection of contrast was done. The films show  
24 a large soft tissue mass occupying all of the  
25 right maxillary sinus. There is erosion of the



1 medial wall of the sinus and involvement of the  
2 nasal cavity with marked displacement of the  
3 nasal septum to the left. There is also a  
4 posterior extension with erosion of the  
5 posterior wall of the orbit and break through  
6 into the middle cranial fossa. There is also  
7 superior extension into the posterior aspect of  
8 the right orbit. There is destruction of the  
9 optic canal and involvement of the retrobulbar  
10 fat and the posterior aspect of the optic nerve  
11 by the mass. Resultant proptosis is secondary  
12 to the mass effect, and in the lower part of  
13 the orbit the mass approaches globe. No  
14 erosion into the globe is seen. The  
15 extraocular muscles on that side appear intact.  
16 The mass approaches the sella turcica, but no  
17 definite erosion of the clinoids is seen.  
18 Comparison with the previous examination of  
19 11/29/83 shows that the destruction of the  
20 posterior, medial and superior aspects of the  
21 orbit has progressed markedly and the  
22 involvement of the orbit has increased markedly.

23       Impression: The previously noted soft  
24 tissue mass in the right maxillary sinus and  
25 orbit has grown considerably in size,

1 destroying the posterior wall of the orbit and  
2 extending into the middle cranial fossa as well  
3 as growing into a rather large mass in the  
4 orbit involving the optic nerve and causing  
5 proptosis.

6 Q. Doctor, so what we are saying here is  
7 that this tumor now on this date is growing  
8 much larger than it has before?

9 MR. WALTERS: Objection to  
10 the characterization.

11 Q. Is that what the CAT scan is saying?

12 A. I think I would say it says that there is  
13 a bigger tumor and it spread to the brain as  
14 well.

15 Q. And spread to the brain as well. Can we  
16 assume from this CAT scan when we compare it  
17 with the CAT scan of 11/29/83 that this tumor  
18 was progressively growing larger?

19 A. I think it can be described as to a  
20 change.

21 Q. There is a change? In which direction?

22 A. The increase in size of the tumor.

23 Q. You had only two done, correct?

24 A. That's correct.

25 Q. Was there any reason why you did not

1 order a CAT scan between these two time periods?

2 A. Yes. I thought it was a judicial  
3 application of radiation.

4 Q. You felt --

5 A. I didn't want to overradiate him.

6 Q. Did you discuss that with Dr. Berman at  
7 any time in the interim between the two?

8 MR. WALTERS: Discuss what?

9 MR. GUION: Whether another  
10 one should have been done.

11 MR. CHARMS: Objection.

12 MR. JEFFERS: Objection.

13 MR. WALTERS: Objection.

14 A. I don't recall discussing that with him.

15 Q. We are down to the history and physical  
16 on the next page.

17 MR. JEFFERS: The same  
18 admission? 1/22, four at the bottom?

19 MR. WALTERS: We have it.

20 Q. Just on that one, doctor, that's the  
21 history and physical report of 1/27.

22 Would you simply read what is said there  
23 about the eyes?

24 A. Proptosis of the right eye. Decreased  
25 visual acuity of the right eye.



1 Q. Did you cover that whole thing?

2 MR. WALTERS: Why are we  
3 reading all of the records?

4 Q. The eye part?

5 A. Part B, pupils equal and reactive to  
6 light and accommodation. There is marked  
7 proptosis of the right eye. There appears to  
8 be a discrepancy in the level of the inferior  
9 wall. Funduscopy examination reveals spurring  
10 of the disc margins to my examination. Visual  
11 acuity is grossly decreased as well as are  
12 visual fields in the right eye.

13 Q. What is the significance of that spurring  
14 of the disc margin?

15 A. Increasing pressure of the blood around  
16 the brain pressing around the disc as it comes  
17 into the eye.

18 Q. The only other thing that I'm interested  
19 in on that page that you read, doctor, is the  
20 history of the present illness.

21 A. This patient has been in a compromised  
22 state of health following the above described  
23 surgical procedures for approximately the past  
24 eight weeks. At this time, he is noted with a  
25 one week history of the above symptoms.

1 Q. What is your definition when you say he  
2 has been in a compromised state of health for  
3 the last eight weeks?

4 A. Convalescent post surgically.

5 Q. Does compromised state of health, is that  
6 a term used to describe everybody that is  
7 convalescing?

8 MR. WALTERS: Objection.  
9 Argumentative.

10 MR. WALTERS: I will object.

11 A. I really -- it is not a term used all the  
12 time. I don't feel it has --

13 Q. Does it carry -- what I'm trying to get  
14 at, when you say a compromised state of health,  
15 does that just mean a recovering convalescent?

16 A. It doesn't imply anything particularly to  
17 me. It means he hasn't been perfect.

18 Q. Okay.

19 Q. And on the next page of that where it  
20 says impression, what is the number one there?

21 A. Presumed right intraorbital extension,  
22 previously diagnosed.

23 Q. Okay. So in other words, what you're  
24 talking about is where it was diagnosed back on  
25 11/29/83; is that correct, with the first CAT



1 scan?

2 A. Yes.

3 Q. Okay. The discharge summary is the next  
4 page.

5 MR. JEFFERS: Page two and  
6 three of the hospital.

7 Q. Discharge summary again for 1/27/84.

8 You make the statement, you say somewhere  
9 in this paragraph you thought you had that mass  
10 completely removed; is that correct?

11 A. No, I say up to is complete, up to and  
12 including the floor of the orbit.

13 MR. WALTERS: He says, the  
14 sentence says, thought to be complete  
15 extirpation of the mass from the right nostril.

16 MR. GUION: Comma, all three  
17 meatus from the maxillary antrum.

18 Q. Would you read that so I understand it?  
19 That's why I'm asking you this.

20 A. I interpret this, the meaning I got, all  
21 the way to the posterior and ethmoidal cell and  
22 there might have been some left behind in the  
23 region of the orbit.

24 Q. Now, when you say he had also had  
25 post-operative radiologic examination which



1 revealed no progression of the disease, is that  
2 that 12/16 sinus scan?

3 A. Yes.

4 Q. No other ones, correct?

5 A. That's correct.

6 Q. Okay. So when you say the CAT scan,  
7 you're talking about the one just performed on  
8 1/27 was performed in the area. The orbit was  
9 noted to be filled with presumably extension of  
10 the so-called benign inflammatory pseudotumor.  
11 It was also noted that intracranial extension  
12 of the mass had also been noted in the right  
13 temporal lobe. So it had now gone into the  
14 brain; is that correct?

15 A. Yes.

16 Q. At this point it was necessary to bring  
17 in the services of a neurosurgeon; is that  
18 correct?

19 A. Yes.

20 Q. In the eye area, in the eye orbit would  
21 an ophthalmologist been used for that part of  
22 the surgery?

23 A. Yes.

24 Q. In other words, you would not have gone  
25 into the eye orbit yourself?



1 A. That's correct.

2 Q. Okay. Doctor, the last one is the Armed  
3 Forces Institute of Pathology.

4 Up here there is a notation with a  
5 capital letter S and a dash and the word rush.  
6 Do you see that?

7 A. Yes.

8 Q. What does that mean?

9 A. I don't know.

10 MR. WALTERS: How would he  
11 know that?

12 Q. If you know. What is the date of this  
13 pathology report?

14 A. 30 January 1984.

15 Q. Now, I asked you earlier on and I'm going  
16 to ask you again because maybe with it in front  
17 of you it means something to you.

18 When was this requested?

19 MR. WALTERS: I think he  
20 said he didn't request it.

21 MR. GUION: He said he did  
22 request it, I believe.

23 Q. Didn't you, doctor, say you requested it?

24 MR. WALTERS: You mean  
25 making the direct contact with the Army?



1 MR. GUION: Either directly  
2 or talking to the other doctor.

3 A. It must have transpired that I asked the  
4 pathologist because I don't have the cells in  
5 my own possession. I wouldn't mail them myself  
6 or anything like that.

7 Q. What I am getting at, in your  
8 consultation with the pathologist at Parma  
9 Hospital, does this report, as it now sits in  
10 front of you, refresh your recollection in any  
11 way as to when you might have requested or  
12 ordered it?

13 A. I think earlier we established it was day  
14 four following initial surgery.

15 Q. Which would be way back in November. The  
16 first surgery was 11/26. We would be talking  
17 about the end of November.

18 What I'm concerned about is this is two  
19 months later and there is a rush order on this  
20 thing.

21 Has it been your experience with these  
22 reports -- have you ever ordered Armed Forces  
23 Institute of Pathology reports?

24 A. Uh-huh.

25 Q. Does a rush order take 60 days on a



1 biopsy?

2 MR. CHARMS: Objection.

3 MR. WALTERS: Objection.

4 A. I think they take a very long time.

5 Q. They do?

6 A. That's correct.

7 Q. I'm just asking because I don't know.

8 MR. WALTERS: Do you have  
9 any records from the Army?

10 MR. GUION: Not yet.

11 MR. WALTERS: I don't either.

12 MR. GUION: 60 days when a  
13 man is waiting for a biopsy is an awfully long  
14 time.

15 THE WITNESS: I can't  
16 comment on that, I don't know.

17 MR. CHARMS: It is not the  
18 Armed Forces, it is the Armed Forces Institute  
19 of Pathology.

20 MR. WALTERS: The guy who  
21 signed it says Colonel.

22 (Discussion off the record.)

23 Q. Finally, as far as the biopsy report goes,  
24 it is consistent with a typical angiofibroma;  
25 is that correct?



1 MR. CHARMS: Objection.

2 Q. Is this the first time that you become  
3 aware that you're dealing with a juvenile  
4 nasopharyngeal angiofibroma?

5 A. Can you tell me what the reference, this  
6 refers to?

7 Q. I'm assuming on this day, January 30,  
8 1984, when this thing is sent to your hospital.

9 MR. JEFFERS: Sent to Dr.  
10 Dominguez.

11 A. I think I was informed as soon as they  
12 knew at the Clinic.

13 Q. Well, there couldn't have been too much  
14 time variation. He didn't go to the Clinic  
15 until the 27th; is that correct?

16 A. That's correct.

17 Q. Did the Clinic make the immediate  
18 diagnosis?

19 A. They did in the operating room.

20 MR. WALTERS: Let's not  
21 speculate. Do you want to look at the records?

22 Q. That's all I wanted to ask about that.

23 We are down to the Clinic records now.  
24 This is the last set of records.

25 In the sake of being human, let me see if



1 I want to eliminate some of my questions.

2 The first page, just on the last two  
3 lines, doctor, would you read those? This is  
4 the Cleveland Clinic Foundation Department of  
5 Otolaryngology and Communicative Disorders.  
6 This is a hospital discharge summary on John C.  
7 Lynix dated admission 1/22/84, date of  
8 discharge 2/2/84. I want you at this point to  
9 read the last two lines. Would you read those?

10 A. The remainder of the review of systems  
11 was essentially within normal limits. The  
12 patient had been given an audiogram which  
13 showed some decrease in hearing of the right  
14 ear and was told that this was due to a nerve  
15 loss.

16 Q. Would that be in any way tied into this  
17 tumor?

18 MR. WALTERS: Objection.  
19 You're asking him to pull out of one little  
20 piece there --

21 MR. GUION: Based on his  
22 experience with this patient for two months.  
23 He has some knowledge whether this nerve loss  
24 is tied to this tumor.

25 MR. WALTERS: Could it be?



MR. GUION: Could it be.

A. I have nowhere seen that tumor involved, so I think most things are conceivable, but I doubt it highly.

Q. You do not think there was any?

MR. WALTERS: Objection. He answered your question.

MR. JEFFERS: May I see that face sheet on what you were just looking at there.

Q. Now, on page 3 of this, where it says hospital course, doctor, on the second paragraph, would you just read that part.

A. On the fourth hospital day, the patient was taken to the angiography suite where carotid angiography was performed through a femoral approach. The angiography showed a large, very vascular a tumor, extending from the maxillary sinus/ethmoid area with some extension into the posterior right orbit and definite extension into the middle cranial fossa. The tumor was being fed both by external carotid and internal carotid vessels on the right side of the patient's face and brain.

1 Q. Now, doctor, you said that this procedure  
2 was available at Parma Hospital?

3 A. That's correct.

4 Q. And the reason that you chose not to do  
5 it earlier than when it was done at the Clinic  
6 was what again?

7 A. The diagnosis is benign inflammatory  
8 pseudotumor.

9 Q. And that was the reason that you felt  
10 this angiography --

11 MR. WALTER: He went all  
12 through risk versus benefit before. Let's not  
13 ask the same question.

14 Q. All right. The next document is 1/30 --  
15 1/31/84, the sector scan sinuses.

16 MR. JEFFERS: What is this?

17 MR. GUION: Sector scan  
18 sinuses.

19 Q. Would you read that, doctor?

20 A. Following angiography, very large  
21 enhancing tumor is seen causing destruction of  
22 all the bony walls of the right maxillary sinus,  
23 extending up into the orbit, nasal cavity,  
24 ethmoid air cells, and through the floor of the  
25 middle fossa including the anterior wall of the



1 bony carotid canal. Intracranially, the mass  
2 extends up to the level of the right anterior  
3 clinoid.

4 Q. Now, this examination that you have just  
5 read to us --

6 MR. JEFFERS: The 1/31 exam?

7 MR. GUION: Yes.

8 Q. -- this is a different approach than the  
9 different X-rays you had ordered on 12/16,  
10 isn't it?

11 A. Yes.

12 Q. The next page is the pathology report  
13 dated 1/31/84.

14 A. Okay.

15 Q. And the date is 2/3/84?

16 A. Okay.

17 Q. The diagnosis in this pathology sample is  
18 what?

19 MR. JEFFERS: Dated what?

20 A. Number one is chronic inflammation.

21 Number two is angiofibroma.

22 Q. In other words, this is not the first  
23 pathology report that you were referring to  
24 before at the Cleveland Clinic that you relied  
25 on. This one we are talking about now, this



1 1/31/84, this is not the first pathology report  
2 that you received from the Clinic, is it?

3 MR. WALTERS: When you use  
4 the word report, are you talking about a  
5 written document? I think he said he didn't  
6 know.

7 A. This report that you're looking at with  
8 this date, that's intrahospital correspondence.  
9 That doesn't come to me.

10 Q. You remember earlier on in this  
11 deposition --

12 A. I did.

13 Q. You kept referring to a particular  
14 pathology report from the Clinic, correct?  
15 That is not this report?

16 A. No, it is not that one. That one is  
17 annotated by many months.

18 Q. Annotating this one?

19 A. Yes.

20 Q. I just want to be clear, okay.

21 The next page, this is from the Cleveland  
22 Clinic and has a title up at the top of the  
23 page sonography, ophthalmic sonography  
24 laboratory.

25 MR. JEFFERS: What date?



1 MR. GUION: Dated 2/8/84,  
2 two days preceding surgery at the Clinic.

3 Q. What I want to ask you is this test  
4 available, this ophthalmologic sonography  
5 available at Parma Hospital?

6 MR. JEFFERS: Objection.

7 Q. If you know.

8 A. I really don't know.

9 Q. Have you ever ordered a test such as this  
10 one?

11 A. No.

12 Q. And what is the reason for that?

13 A. It is not my field.

14 MR. WALTERS: Objection.

15 Q. Not your field to find out any test  
16 available where there might be a tumor in the  
17 eye; is that correct? Is that what you are  
18 saying?

19 A. I said I'm ear, nose and throat. It is  
20 not the ENT.

21 Q. But you were involved in this case.  
22 There was an eye involved.

23 A. Uh-huh. I would like to point out that  
24 the ophthalmologist did not order this test,  
25 the previous one.



1 Q. Which previous?

2 A. Dr. Siegel. Is that his name?

3 Q. The one where you sent them for the  
4 consultation?

5 A. Uh-huh.

6 Q. And you do not know if this test is even  
7 available at the hospital or not?

8 A. Cross my heart.

9 Q. Okay. The next page is embolization of  
10 angiofibroma. Would you read that?

11 A. It is 2/9/84.

12 Q. The day before surgery?

13 A. Right. And the right internal maxillary  
14 artery was selectively catheterized and  
15 embolized using small particles of gel foam 80.  
16 A follow up study show 95 percent obliteration  
17 of previously described abnormal vasculature  
18 within the mass. The procedure was performed  
19 under general anesthesia and the patient was  
20 sent to the recovery room in good condition.

21 Q. Now, prior to your surgery on 12/1 of 83,  
22 you did not utilize embolization, did you?

23 A. That's correct.

24 Q. And why is that?

25 A. Because the diagnosis was benign

1 inflammatory pseudotumor.

2 Q. Had it been juvenile nasopharyngeal  
3 angiofibroma, would you have used embolization?

4 MR. WALTERS: Would you have?

5 Q. Would you have?

6 A. I would not have been taking care of the  
7 patient.

8 Q. You would have done what?

9 A. What is being done now; send them to a  
10 tertiary care center.

11 Q. Okay. The next page is the surgery  
12 report itself. Operative findings. We do have  
13 the tumor eroding through the base of the skull;  
14 is that correct?

15 On the first line, the first couple lines  
16 of the operative finding.

17 MR. JEFFERS: The date of  
18 this?

19 MR. GUION: 2/10 now, the  
20 date of the surgery.

21 Q. Totally extradural angiofibroma. Would  
22 you read that first sentence, doctor?

23 A. Which one?

24 Totally extradural angiofibroma  
25 originating into the maxillary sinus and



1 eroding through the base of the skull into the  
2 middle fossa without penetration of the dura.

3 Q. At this point, obviously again this tumor  
4 was different than it was when you saw it in  
5 your operation on 12/1; is that correct? More  
6 extensive is what I'm trying to say?

7 A. Yes.

8 Q. Your surgery on 12/1/83, there was no  
9 indication that this tumor had invaded the  
10 brain; is that correct?

11 A. Right.

12 MR. WALTERS: I'm not sure  
13 it invaded the brain.

14 Q. Well, it is extradural but certainly  
15 pushing it, isn't it? Isn't it pushing it to  
16 the point?

17 MR. WALTERS: Objection. He  
18 didn't see it.

19 Q. That's what the gist of the report is.  
20 Okay.

21 MR. WALTERS: We will let  
22 doctor whoever it is tell us that.

23 Q. The pathology report, again angiofibroma  
24 2/14/84; is that correct, doctor? The biopsy  
25 pathology report on 2/14 again?



1 A. 2/10 here. Oh, okay, I see it.

2 MR. GUION: That's all I am  
3 interested in on those clinic records.

4 The last thing I want to do is very  
5 quickly just from the point of your opinions,  
6 from the medical literature I want to know  
7 whether you agree or disagree with some of  
8 these points and then we will be finished.  
9 These are points that I'm going to be covering  
10 that are all in the medical journals.

11 If you want to, I have the medical  
12 journals.

13 MR. WALTERS: If you're  
14 going to read quotes --

15 MR. GUION: I am going to  
16 read statements and ask whether he agrees.

17 MR. WALTERS: I want to know  
18 where the quotes are from, in what documents.  
19 Let him see it.

20 MR. GUION: There are 45 of  
21 these.

22 Q. The first one right where I have the  
23 little arrow you can read the title.

24 MR. JEFFERS: Read the  
25 titles.



1 A. Surgical Management of Nasopharyngeal  
2 Angiofibroma with Intracranial Extension.

3 MR. JEFFERS: Who is it by  
4 and what journal?

5 THE WITNESS: Edmund A.  
6 Krekorian and Ronald Kato.

7 Q. And it appears in the journal?

8 A. Obviously in the Laryngoscope. You can't  
9 get it from looking down here.

10 MR. WALTERS: What is the  
11 Volume? Do you have the Volume?

12 MR. GUION: I just have what  
13 I have here.

14 MR. WALTERS: We can't find --  
15 apparently this was presented at the meeting of  
16 the Middle Section of the American  
17 Laryngological, Rhinological and Otological  
18 Society in Minneapolis, Minnesota January 25,  
19 1976, but this is a reprint from what? From  
20 the Laryngoscope?

21 THE WITNESS: I can tell. I  
22 don't know what journal or what volume number.

23 MR. WALTERS: That's the  
24 best we have.

25 Q. All I want you to read is that first



1 sentence there on this one.

2 A. Nasopharyngeal angiofibroma is a  
3 fascinating, challenging and sometimes fatal  
4 benign tumor.

5 Q. Do you agree with that, that it is  
6 sometimes a fatal benign tumor?

7 A. I just --

8 MR. WALTERS: Maybe we will  
9 have to go to the court and have him relieved  
10 of this burden. I'm not going to play it your  
11 way.

12 MR. GUION: All I want him  
13 to do is answer some specific statements. He  
14 can agree or disagree. I don't see the problem.

15 A. See, that sentence, I want to know  
16 statistics.

17 Q. Is it sometimes a fatal benign tumor?

18 A. It seems to be a contradiction in terms  
19 to me.

20 MR. WALTERS: Fatal and  
21 benign?

22 THE WITNESS: Yes.

23 MR. WALTERS: Sounds rather  
24 contradictory.

25 A. Okay, I will agree with that.

1 Q. All right. And the same article, if you  
2 will read that page 156.

3 MR. WALTERS: Can we mark  
4 this as an exhibit? Everything else is  
5 hospital records.

6 MR. GUION: I can make  
7 copies.

8 MR. CHARMS: While we are on  
9 the record, I would like copies of all the  
10 articles that you're going to examine this  
11 witness on today.

12 MR. JEFFERS: Also for me  
13 too.

14 MR. WALTERS: Me too.  
15 That's why I suggested marking them as Exhibits.

16 MR. GUION: If I'm going to  
17 give you copies then you don't have to know. I  
18 have little detail on volume and pages.

19 MR. WALTERS: If you're  
20 going to come back and ask about these, it  
21 would be easier.

22 MR. JEFFERS: So you can  
23 read the record.

24 (Recess had.)  
25 - - - - -



1 (Thereupon, DVORAK Deposition  
2 Exhibits 1 through 22 were mark'd for  
3 purposes of identification.)  
4 - - - - -

5 Q. Exhibit No. 1 we are referring to now,  
6 doctor. Would you go to page 156. You already  
7 answered 156.

8 MR. CHARMS: Before you go  
9 on. Are these going to be attached to the depo  
10 and we will all get copies of them?

11 Q. 156 where the arrow is, doctor, I will  
12 read and at the same time you can follow along:  
13 An angiographic assessment should be made of  
14 the relationship of the intracranial vessels to  
15 intra and extracranial tumor.

16 Do you agree with that?

17 A. That is so out of context.

18 Q. In reference to the juvenile  
19 nasopharyngeal angiofibroma.

20 MR. WALTERS: He said it is  
21 out of context. It doesn't say when.

22 A. It isn't like someone walks in your  
23 office. We are in the middle of a big article.  
24 You have to read it. I just can't skip from  
25 page one to page five and do something like



1 that.

2 MR. WALTERS: Obviously, and  
3 I don't know where we are going to run into  
4 this again, but on this Exhibit 1, obviously we  
5 are talking about a situation in which there  
6 has been a diagnosed angiofibroma.

7 MR. GUION: Not a diagnosed  
8 one. There might be one.

9 MR. WALTERS: I'm reading  
10 what they are talking about.

11 THE WITNESS: This is not a  
12 treating angiofibroma.

13 MR. WALTERS: And the mere  
14 title of the surgical management of  
15 nasopharyngeal angiofibroma.

16 Q. Differentiate between treating as opposed  
17 to diagnosing, I can deal with that.

18 Let me -- I understand what you're  
19 getting at. We are both here concerned more  
20 with the diagnosis, but to some extent we are  
21 with the treating also.

22 In this regard, on 12/1, had you known it  
23 was a JNA and had you decided to do the  
24 procedure, then these issues would become  
25 important. It would be important to do the



1 angiogram, wouldn't it?

2 A. Is there an article saying what happens  
3 with benign pseudotumor? Does everybody get  
4 angiograms? Do you have an article like that?

5 Q. With the benign pseudotumor?

6 A. Does somebody recommend that?

7 Q. No, because you already told us how you  
8 can differentiate that.

9 A. I think that would be a germane article.

10 Q. Well, let me see how we are going to do  
11 this. In other words, you feel that statement  
12 is out of context.

13 Let's go through these and you do what  
14 you want with them.

15 On page 157 there is a little point  
16 there. You see where that arrow is, doctor,  
17 would you read that?

18 A. The dominant principle in the extracranial  
19 removal of angiofibroma is adequate exposure.

20 Q. That's a rather straight forward  
21 statement. Do you agree with that?

22 A. I'm not a neurosurgeon. It says  
23 extracranial.

24 MR. WALTERS: He is talking  
25 about that and then he says except for small



1 localized tumors, the preferred technique  
2 utilizes some form -- and it goes on and on  
3 about the surgical techniques.

4 Q. If I were to change this and say the  
5 dominant principle in the intracranial removal  
6 of angiofibroma is adequate exposure -- in  
7 other words, is adequate exposure important?

8 MR. JEFFERS: For surgery?

9 MR. GUION: Yes.

10 MR. WALTERS: To have a  
11 field.

12 Q. Is it important to know the extent of  
13 this tumor prior to surgery?

14 A. You can make a statement like that, sure.

15 Q. Okay.

16 MR. JEFFERS: May I ask a  
17 question? Are we on Exhibit what now?

18 MR. GUION: One.

19 Q. Now, we are going to go on to number 2  
20 again.

21 MR. WALTERS: Let me quickly  
22 state Intracranial and Extracranial  
23 Nasopharyngeal Angiofibroma, in the Archives of  
24 Otolaryngol, Volume 102, June 1976 page 371 and  
25 following.



1 Q. Would you just read that little section  
2 there?

3 A. There is no evidence of spontaneous tumor  
4 regression. This highly vascular benign  
5 neoplasm has a propensity to invade surrounding  
6 tissue and erode bone.

7 Q. Do you agree with that?

8 A. Yes.

9 Q. Okay. On page 373, we will just go  
10 through the essentials.

11 MR. WALTERS: Let the record  
12 show you're taking sentences out of the middle  
13 of the paragraphs.

14 MR. GUION: This is obvious.  
15 I am trying to hit some main points.

16 Q. Would you read that?

17 A. The unequivocal essentials for diagnostic  
18 evaluation to determine the extent of  
19 neoplastic involvement and blood supply are  
20 bilateral external and internal carotid  
21 angiograms.

22 I'm not arguing with any of that.

23 Q. Article number 3 on page 316, this is  
24 Exhibit 3.

25 MR. WALTERS: Let me get the



1 title. Number 3 is apparently Surgical  
2 Treatment of Invasive Angiofibroma by G. M.  
3 English, Hemenway and Cundy, Archives, Volume  
4 96, October 1972, page 312 and following. And  
5 you're asking him about 316.

6 Q. The cure rate.

7 A. The cure rate for this benign disease  
8 should be high when the entire tumor is removed.

9 Q. Do you agree or disagree with that?

10 MR. WALTERS: Show my  
11 objection. You may answer.

12 A. Yes.

13 Q. Now, on that same page, this is one more  
14 statement marked, a symptom of --

15 A. A symptom of unilateral nasal obstruction  
16 in a young man should alert the physician to  
17 the possibility of a nasopharyngeal  
18 angiofibroma.

19 Q. Do you agree with that?

20 MR. WALTERS: Objection.

21 A. Yes.

22 Q. Yes, okay.

23 MR. WALTERS: I'm going to  
24 object to going through all of these. It is  
25 burdensome. It is not the role of the



1 physician to go through all of this.

2 Q. Just the first one.

3 MR. WALTERS: Exhibit No. 4,  
4 Management of Nasopharyngeal Angiofibroma,  
5 Vancouver, Canada, the Journal of  
6 Otolaryngology. This is apparently Volume 6,  
7 Number 3, 1977, page 224 and following and  
8 you're asking him about 226.

9 Q. Would you read that?

10 A. The diagnosis of juvenile nasopharyngeal  
11 angiofibroma should be suspected from the  
12 history and routine otolaryngological examination.

13 Q. Diagnosis of JNA, we agree with that.

14 MR. WALTERS: I'm going to  
15 object to that. That is so totally out of  
16 context. It appears on like the third page of  
17 the article.

18 MR. GUION: It is a separate  
19 sentence.

20 MR. JEFFERS: To save time  
21 on the record, every time Steve objects, I  
22 object, so we don't hear it. And the same  
23 thing for you, right?

24 MR. CHARMS: Yes.

25 MR. JEFFERS: We all are



1 objecting.

2 MR. CHARMS: I think one  
3 objection for all three of us.

4 A. That's a fallacious statement.

5 MR. CHARMS: Why don't you  
6 take objection to the entire line of  
7 questioning?

8 A. I don't agree with that.

9 Q. On that same page, doctor.

10 A. The typical vascular pattern of the tumor  
11 virtually confirms diagnosis of angiofibroma.

12 Q. Do you agree or disagree?

13 MR. WALTERS: Objection.

14 A. I agree.

15 Q. On the same page?

16 A. We feel the biopsy of an angiofibroma is  
17 contraindicated. If a systematic evaluation is  
18 performed as described above, there is no need  
19 to subject the patient to the risk of biopsy in  
20 order to make a definitive diagnosis.

21 Q. Do you agree with that?

22 A. We feel that an aggressive surgical  
23 treatment is indicated in all patients with  
24 nasopharyngeal angiofibroma.

25 No.



1 MR. WALTERS: Objection.

2 What year is this article?

3 A. This article is in 77. Since then  
4 embolization has become more standard. I  
5 disagree and gave you the reason.

6 MR. WALTERS: What are you  
7 saying, Harry, go right in there and operate?

8 Q. So you disagree on that?

9 A. That's an old article.

10 Q. Okay. On Exhibit 5, on the next page.

11 MR. WALTERS: Exhibit No. 5  
12 is Juvenile Angiofibroma, a More Rational  
13 Therapeutic Approach Based Upon Clinical and  
14 Experimental Evidence by Dr. Ward, Dr. Thompson  
15 and Dr. Calcaterra. I think this is from the  
16 Laryngoscope, apparently 1974. It is even  
17 older than the other one.

18 As best I can tell, that is what it is  
19 from -- pages 2181 and following. He is asking  
20 about 2182?

21 A. Number two, bilateral selective external  
22 and internal carotid arteriograms are an  
23 unequivocal essential to the diagnostic work up  
24 of patients with angiofibromas.

25 Q. Do you agree with that?



1 A. Yes, I am in agreement.

2 Q. On page 2192, the same article.

3 MR. WALTERS: Let the record  
4 show, by the way, that this is a 14 page  
5 article that we are looking at.

6 A. It is felt that the clinical impression  
7 of angiofibroma need not be confirmed by biopsy  
8 which may result in severe bleeding but must  
9 also be confirmed by arteriograms with are  
10 diagnostic.

11 Q. Do you agree with that?

12 MR. WALTERS: Objection.

13 A. Yes.

14 Q. Okay. The next article number 9, this is  
15 Exhibit No. 6.

16 MR. WALTERS: Let me read it  
17 into the record. From Laryngoscope May 1974,  
18 Volume 84, Number 5. The article is  
19 Angiofibroma, a Treatment Approach by Dr.  
20 Biller, beginning on page 695 and running  
21 apparently through page 705, an 11 page article.

22 Q. 696.

23 MR. WALTERS: Are we down to  
24 parts of sentences now?

25 MR. GUION: Well, there is



1 more to it.

2 A. This reads and frequently increases  
3 hospital stay.

4 Q. No, that's wrong. Where the sentence  
5 begins.

6 A. Arteriography presents a vascular  
7 configuration which is diagnostic of  
8 angiofibroma.

9 MR. WALTERS: Doctor, if any  
10 of this stuff is too out of context to be able  
11 to comment, say that.

12 MR. GUION: These are  
13 straight sentences.

14 MR. WALTERS: I don't want  
15 to argue with you. You don't have to agree or  
16 disagree if you can't tell from the full  
17 article, but go ahead.

18 Q. Do you agree with that?

19 A. Don't you want me to read the next

20 sentences? Arteriography avoids biopsy and at  
21 the same time yields indispensable information  
22 as to the extent of the tumor. Yeah.

23 Q. Do you agree with that?

24 A. Yes.

25 Q. Further on that same page there is

1 another section, 696?

2 A. Frequently obscures accurate tumor  
3 assessment.

4 Q. Since the extent of tumor involvement  
5 dictates the surgical approach or approaches,  
6 arteriography is deemed necessary in all  
7 patients. Do you see that there?

8 A. Yes.

9 Q. Do you agree with that?

10 MR. WALTERS: Objection.

11 Again, all of these are referring to a  
12 situation where a surgeon has been given a  
13 situation that there is an angiofibroma and  
14 then these talk about what you do to determine  
15 the extent of it --

16 MR. GUION: No they don't.

17 MR. WALTERS: Let me finish  
18 my statement. And they go on and indicate that  
19 you do an angiogram to determine the extent of  
20 the tumor so you can then plan the extent of  
21 your surgery. They bear no relationship to the  
22 situation which Dr. Dvorak was presented.

23 MR. GUION: They are talking  
24 about diagnosis over and over again.

25 Q. This one article is Exhibit No. 7.



1 MR. WALTERS: Exhibit 7 is  
2 called the Surgical Treatment of Juvenile  
3 Nasopharyngeal Angiofibroma by Dr. Jafek, et al.  
4 It appeared, apparently in the Laryngoscope  
5 February of 1973, 13 years ago. It is Exhibit  
6 No. 7 and pages 707 through 719. You want him  
7 to look at --

8 Q. 713?

9 A. Piecemeal removal is to be avoided, as it  
10 may result in increased bleeding and incomplete  
11 tumor removal. The resultant cavity is also  
12 carefully inspected for residual occult  
13 extensions of the neoplasm.

14 Q. As applied to a JNA; is that true?

15 A. Yes.

16 Q. Number 12, Exhibit 8, page 109.

17 MR. WALTERS: Exhibit 8 is  
18 Radionuclide Angiography in Juvenile  
19 Angiofibroma of the Nasopharynx. This is from  
20 the Annotated.

21 THE WITNESS: Annals of  
22 Otolaryngology.

23 MR. WALTERS: Volume 84,  
24 1975.

25 Q. Is that a reputable medical journal,



1 doctor?

2 A. Uh-huh.

3 MR. WALTERS: Pages 107  
4 through 111.

5 Q. Page 107, doctor.

6 MR. WALTERS: We do have a  
7 continuing objection to all of this.

8 MR. GUION: All of this.

9 A. Juvenile angiofibroma of the nasopharynx  
10 is a highly vascular benign neoplasm exhibiting  
11 malignant characteristics by local destruction  
12 and a tendency to recur following incomplete  
13 removal.

14 Q. Do you agree with that statement?

15 A. Taken from the early article we referred  
16 to, verbatim.

17 Q. How about on 109?

18 A. Due to the high degree of vascularity,  
19 life threatening hemorrhage is a constant  
20 concern.

21 Q. Do you agree with that?

22 MR. WALTERS: Objection!

23 A. Yes, I would say concern.

24 Q. Okay.

25 MR. GUION: I will take 9



1 out. We wouldn't want to be redundant.

2 Q. Now we have moved to Exhibit 12.

3 MR. WALTERS: Exhibit 12 is  
4 titled A Few Points in the Management of  
5 Juvenile Nasopharyngeal Angiofibroma, May 1984,  
6 Volume 98. This article begins on page 489 and  
7 runs through page 492 and it is by Dr. P.  
8 Chatterji, et al.

9 Q. Page 489, please, doctor?

10 A. Okay. The removal of a massive  
11 nasopharyngeal fibroma with profuse hemorrhage  
12 is fraught with danger to life.

13 Q. Do you agree with that statement or  
14 disagree?

15 MR. WALTERS: Objection.  
16 Did somebody die of a profuse hemorrhage?

17 A. Well, see, I agree with that.

18 Q. Okay. And here is one from the Cleveland  
19 Clinic: This is number 13. Dr. Levine who did  
20 the surgery.

21 MR. WALTERS: Diagnosis of  
22 Juvenile Angiofibroma by Computed Tomography,  
23 Dr. Meredith A. Weinstein, Howard Levine, Paul  
24 Duchesneau and Harvey Tucker. This is from, I  
25 think this is their inhouse publication.



1 Q. Is that an authoritative medical journal?

2 MR. WALTERS: Radiology

3 Volume 126, March 1978.

4 Q. Is that an authoritative medical journal?

5 A. Yes.

6 Q. 703.

7 A. Juvenile angiofibroma is the most common  
8 benign tumor of the nasopharynx.

9 Q. Do you agree with the next one?

10 A. 91 percent of these patients nasal  
11 obstruction was the most common presenting  
12 complaint and was combined with spontaneous  
13 epistaxis in 59 percent.

14 Q. Do you agree with that?

15 A. Yes.

16 Q. Do you agree with page 704?

17 MR. WALTERS: You know,  
18 you're skipping over sentences. It says tumor  
19 usually arises eccentrically from the roof of  
20 the anterior nasopharynx or from the posterior  
21 nasal fossa and then it goes on with  
22 percentages.

23 MR. GUION: I want to know  
24 if he agrees with the parts.

25 MR. WALTERS: I want the



1 record to show the context in which the  
2 questions are being asked.

3 MR. GUION: You will have  
4 the whole article. You can use the article any  
5 way you like.

6 Q. Page 704 next?

7 A. In most instances, juvenile angiofibroma  
8 can be differentiated clinically from other  
9 benign nasopharyngeal tumors such as ordinary  
10 fibroma and nasal polyp by the age, sex,  
11 symptoms, physical findings and by the location  
12 and extent of the tumor.

13 Q. Do you agree with that?

14 A. Yes.

15 MR. JEFFERS: What is the  
16 date of that article?

17 MR. WALTERS: This is 1978.

18 Q. And the next one on that page, doctor,  
19 there is one more?

20 A. If surgery is contemplated, angiography  
21 with embolization to decrease intraoperative  
22 bleeding is helpful.

23 Q. Do you agree with that?

24 MR. WALTERS: I'm going to  
25 object to all of these questions.



1 Q. Back on 704, I'm sorry. That's on page  
2 704 again, over there. I don't believe we  
3 covered that one.

4 A. The diagnosis of juvenile angiofibroma by  
5 non-invasive means is desirable because a  
6 biopsy may result in uncontrolled bleeding.

7 You're being redundant again.

8 Q. Do you agree with that?

9 A. Yeah, I agreed the first time we said  
10 that.

11 MR. WALTERS: Objection.

12 Q. Let's go on. Let's see if I can skip  
13 through some of these. I don't want to be  
14 repetitive. A lot of this is repetition.

15 MR. WALTERS: I believe that.

16 Q. Let's jump up to Exhibit No. 21.

17 MR. WALTERS: Juvenile

18 Nasopharyngeal Angiofibroma Radiographic

19 Aspects, two through whatever. I can't tell.

20 It is cut off, but looks like it is at least 15  
21 or 16 pages long. Dr. Roy B. Sessions, et al,  
22 apparently coming from the Laryngoscope,  
23 January 17, 1975.

24 Q. Doctor, would you go to page 2 on that  
25 one. For the first statement --



1 A. Because of consistent and unique  
2 radiographic features in JNA, it is not  
3 necessary, nor is it advisable, to biopsy these  
4 tumors to establish a diagnosis.

5 Q. Would you agree with that, doctor?

6 A. Yes.

7 Q. The next one is on page 3, the next page?

8 A. The actual diagnosis of the JNA can be  
9 made --

10 Q. Because of the dangers of massive  
11 hemorrhage --

12 MR. WALTERS: I object.  
13 Show my objection to him being asked to comment  
14 on, agree or disagree on something that is  
15 apparently written from the standpoint of a  
16 radiologist and concerns radiographic  
17 techniques and methods.

18 Again, doctor, you don't have to agree or  
19 disagree on this thing.

20 MR. GUION: He can agree or  
21 disagree based on what he wants. That's up to  
22 him, but let me find what I want him to read  
23 and he can make his own decision.

24 MR. CHARMS: Over and above  
25 that, Dr. Dvorak has never qualified himself as

1 an expert in the practice of radiology,  
2 radiologic diagnostic techniques, and I think  
3 if the doctor is not conversant with the  
4 standards of care for a radiologist, if he is  
5 not familiar with the standards of care for a  
6 radiologist, under those circumstances or any  
7 other circumstances, with all due respect to  
8 him, he is a fine otolaryngologist, he is not  
9 competent to render those opinions as they  
10 relate to a radiologist, so with that, I will  
11 renew my continuing objection to the entire,  
12 whatever you want to call this; but certainly  
13 as it relates to Dr. Dvorak and radiologists.

14 MR. JEFFERS: Would you let  
15 me have that objection too.

16 Q. Because of dangers --

17 A. The actual diagnosis of the JNA can be  
18 made consistently by radiologic techniques.  
19 Because of the dangers of massive hemorrhage,  
20 biopsy is not recommended prior to the time of  
21 surgical excision; in fact, biopsies can at  
22 times be misleading, owing to the fact that the  
23 periphery of the lesion may not be  
24 histologically representative of its interior.

25 Q. Do you agree with that?



1 A. I agree with that.

2 MR. JEFFERS: Read the last  
3 part of that.

4 MR. WALTERS: The periphery  
5 of the lesion may not be histologically  
6 representative of its interior. Up above.

7  
8 MR. GUION: We will stop  
9 there. That's enough.

10 MR. CHARMS: I have just two  
11 questions.

12 CROSS-EXAMINATION OF KENNETH DVORAK, M.D.

13 BY-MR. CHARMS:

14 Q. Dr. Dvorak, do you have any criticism of  
15 Drs. Greenwald or Berman or the radiologists at  
16 Parma Hospital with regard to John Lynix?

17 A. None at all.

18 Q. Do you have any criticism of the  
19 pathologists at Parma Community Hospital with  
20 regard to the Lynix boy?

21 A. None at all.

22 CROSS-EXAMINATION OF KENNETH DVORAK, M.D.

23 BY-MR. JEFFERS:

24 Q. Let me ask you, do you have any criticism  
25 of the treatment provided by anybody at Parma



1 Community Hospital during any of the stays of  
2 Little John?

3 A. No. None at all.

4 MR. JEFFERS: Thank you.

5 MR. GUION: No further  
6 questions.

7 MR. WALTERS: We will read  
8 it.

9 (Signature not waived.)

10 - - - - -

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25



## 1 CERTIFICATE

2 The State of Ohio, )

3 SS:

4 County of Cuyahoga. )

5  
6 I, Vivian L. Gordon, a Notary Public  
7 within and for the State of Ohio, duly  
8 commissioned and qualified, do hereby certify  
9 that the within named witness, KENNETH DVORAK,  
10 M.D., was by me first duly sworn to testify to  
11 the truth, the whole truth and nothing but the  
12 truth in the cause aforesaid; that the  
13 testimony then given by the above-referenced  
14 witness was by me reduced to stenotypy in the  
15 presence of said witness, afterwards  
16 transcribed, and that the foregoing is a true  
17 and correct transcription of the testimony so  
18 given by the above-referenced witness.

19 I do further certify that this deposition  
20 was taken at the time and place in the  
21 foregoing caption specified and was completed  
22 without adjournment.

23

24

25



1 I do further certify that I am not a  
2 relative, counsel or attorney for either party,  
3 or otherwise interested in the event of this  
4 action.

5 IN WITNESS WHEREOF, I have hereunto set  
6 my hand and affixed my seal of office at  
7 Cleveland, Ohio, on this 1st day of  
8 August, 1986.

9  
10  
11  
12  
13 Vivian L. Gordon

14 Vivian L. Gordon, Notary Public  
15 within and for the State of Ohio

16  
17 My commission expires May 22, 1989.  
18  
19  
20  
21  
22  
23  
24  
25

