

COPY

DOC. 148

1 IN THE COURT OF COMMON PLEAS

2 CUYAHOGA COUNTY, OHIO

3 - - - - -X

4 ZACHARY HAMMON, et al

5 Plaintiffs

6 v.

Civil No. 209957

7 MARYMOUNT HOSPITAL, et al

Judge Sam A. Zingale

8 Defendants

9 - - - - -X

10 Columbia, Maryland

11 Friday, December 4, 1992

12 Deposition of:

13 STUART C. EDELBERG, M. D.

14 called for examination by counsel for the Defendant  
15 El-Mallawany, pursuant to Notice, held at the offices of  
16 Jacobson, Maynard, Tuschman & Kalur, Suite 1150, 10440  
17 Little Paxtuxent Parkway, Columbia, Maryland 21044;  
18 beginning at approximately 3:25 p.m., before Barbara  
19 Massengill, Registered Professional Reporter and Notary  
20 Public in and for the State of Maryland, when were present:  
21  
22



**L.A.D. REPORTING COMPANY, INC.**

16221 WHITEHAVEN ROAD  
SILVER SPRING, MD 20906  
(301) 924-3878

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

ON BEHALF OF THE PLAINTIFF:

CHRISTOPHER M. MELLINO, ESQUIRE  
Charles Kampinski Co., L.P.A.  
1530 Standard Building  
1370 Ontario Street  
Cleveland, Ohio 44113  
(216) 781-4110

ON BEHALF OF THE DEFENDANTS EL-MALLAWANY  
ABRAMS AND BROWN:

ROBERT C. SEIBEL, ESQUIRE  
Jacobson, Maynard, Tuschman & Kalur Co. L.P.A.  
Suite 1600  
1001 Lakeside Avenue  
Cleveland, Ohio 44114-1192  
(216) 736-8600

ON BEHALF OF THE DEFENDANT MARYMOUNT HOSPITAL:

STEVEN W. ALBERT, ESQUIRE  
Hahn, Loeser & Parks  
3300 BP America Building  
200 Public Square  
Cleveland, Ohio 44114-2301  
(216) 621-0150

CONTENTS

EXAMINATION OF STUART C. EDELBERG, M. D.	<u>PAGE</u>
By Mr. Seibel	3, 107
By Mr. Albert	72

EXHIBITS  
(Attached)

<u>DEFENDANT'S</u>	<u>PAGE</u>
1 10/31/91 Letter/Report	18

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

P R O C E E D I N G S

STUART CHARLES EDELBERG, M. D.

having been duly sworn, testified as follows:

EXAMINATION BY COUNSEL FOR THE DEFENDANTS

EL-MALLAWANY, ABRAMS AND BROWN:

BY MR. SEIBEL:

Q Would you state your full name for the record?

A Stuart Charles Edelberg.

Q Doctor, I am going to go through your file for a second so bear with me.

Are these materials, you brought your entire file in this matter?

A Yes, sir.

Q What is your date of birth?

A May 12, 1937.

Q And your social security number?

A 251-30-8030.

Q Where do you live?

A In Baltimore.

Q What is the street address?

A 1309 Marguerite Avenue, it is Baltimore, Maryland 21286.

L. A. D. REPORTING COMPANY, INC.  
(301) 924-3878

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 Q How long have you lived there?

2 A About three years now.

3 Q Where did you live before that?

4 A In Cleveland.

5 Q What was the street address?

6 A Parkland, I think 18495. I'm not sure of the  
7 number, Parkland Drive, Shaker Heights.

8 Q Who lives there with you currently?

9 A My wife.

10 Q Any children?

11 A Three children.

12 Q They live with you?

13 A They are all in college or various pursuits.

14 Q What is your current professional address?

15 A Mount Sinai Hospital, 2401 West Belvedere  
16 Avenue, Baltimore 21215.

17 Q How long has that been your professional  
18 address?

19 A Three years.

20 Q Did you assume that professional address  
21 immediately upon leaving Cleveland and coming to Baltimore?

22 A Yes, I did.

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 Q Do you have any other offices besides that?

2 A I have an HMO one day a week on the west side of  
3 Cleveland, I have no other offices.

4 Q Cleveland or Baltimore?

5 A Baltimore.

6 Q You understand your answers are under oath  
7 today?

8 A Yes, I do.

9 Q Doctor, can we agree that you will not answer  
10 any of my questions that you do not understand?

11 A Yes, sir.

12 Q Do you understand further that I will be relying  
13 upon your answers as I prepare for trial and evaluate this  
14 case?

15 A Yes, sir.

16 Q And you further understand that this is probably  
17 going to be my only opportunity to discover your opinions  
18 in this setting?

19 A Yes, sir.

20 Q Is there anything today that prevents you from  
21 hearing or understanding my questions?

22 A No, there is not.

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 Q Why did you leave Cleveland?

2 A I was running a residency program at Cleveland  
3 Metropolitan General Hospital and I was offered a job at  
4 Siani that I could not turn down. It put me back in a  
5 commuter state, the marriage. My wife is a professor at  
6 Cleveland State.

7 Q What do you mean back in a commuting state?

8 A When I lived in Tucson, Arizona she held a  
9 professorship at Cleveland State and commuted for a year.

10 Q And your wife commutes now?

11 A She does.

12 Q Did you solicit positions in other places to go  
13 practice?

14 A I had been recruited by a number of hospitals.  
15 We had preselected cities that we would move to if the  
16 right opportunity came up and Baltimore was on that list.  
17 I had interviewed, I looked at a number of jobs, but I  
18 turned them down.

19 Q Were you looking to leave Cleveland at that  
20 time?

21 A Only if the offer was something that warranted  
22 leaving for.

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1           Q     How many other cases have you reviewed as an  
2 expert witness for Mr. Kampinski or the lawyers in his  
3 office.

4           A     I don't know exactly how many, maybe a  
5 half-dozen.

6           Q     How long have you been reviewing cases for his  
7 office?

8           A     Perhaps eight years.

9           Q     Out of those cases how many of those has his  
10 office represented the plaintiffs in the case?

11          A     I think they all have been.

12          Q     Out of those cases how many of those did you  
13 express an opinion that there was negligence in the case?

14          A     I don't know what percentage, but it is  
15 probably, it is probably in the neighborhood of half of  
16 them.

17          Q     How many cases have you participated in as an  
18 expert witness?

19          A     I average about two new files crossing my desk a  
20 month and this has been over the last, little less than ten  
21 years, maybe nine years, eight years.

22          Q     And do you spend time reviewing all the files

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 that cross your desk?

2 A I have prescreened most of them by telephone  
3 conversation, and if I am asked to review them, if I accept  
4 it, then I will review it.

5 Q Out of the total number of cases that you  
6 reviewed per year, how many of those do you actually write  
7 reports?

8 A Of those that I find that either I am defending  
9 them or it is a plaintiff's case and I am accepting it,  
10 about half of those will ask me for a letter.

11 Q What does your prescreening process involve?

12 A It involves reviewing the chart, perhaps may  
13 involve reviewing literature and coming to some conclusion.

14 Q Of the plaintiffs cases that you are asked to  
15 review how many of those result in your determination of a  
16 legitimate claim?

17 A It certainly is under half, well under half.

18 Q Of the overall cases that you review, how many  
19 are plaintiffs, how many are defense?

20 A About four or five years ago I was cleaning my  
21 file out and at that point about a third were defense and  
22 two-thirds were plaintiffs. Since I have left Cleveland

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 those numbers have shifted and it is now probably 90  
2 percent plaintiff and 10 percent defense.

3 Q How many depositions do you give on an annual  
4 basis?

5 A Ten, guessing.

6 Q How many times annually do you testify for  
7 trial?

8 A Rarely.

9 Q One or two times a year?

10 A Not even that. I have been to trial maybe seven  
11 times in my career, three for the defense and maybe four  
12 for plaintiffs. And I think two for defense was your firm.

13 Q Do you intend to testify live at the trial of  
14 this case?

15 A Yes, sir.

16 Q In the materials that you brought, would you  
17 tell me where you got those?

18 A Those were sent to me by Mr. Mellino.

19 Q Did they come accompanied by a cover letter?

20 A I am sure they had a letter that said here are  
21 the records. I'm not sure it is in here.

22 I don't see the cover letter in here.

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 Q Where is it?

2 A My secretary would possibly have had it. In  
3 other words, when I do billing, I take a letter, tell my  
4 secretary to make me out an open bill and I will take care  
5 of it. That is how the letters get lost.

6 Q So you have two files in this case, one of the  
7 medical records and one of the billing files?

8 A I have no billing file.

9 Q You are confident that these materials came with  
10 a cover letter?

11 A Yes, sir.

12 Q And you didn't bring the cover letter with you?

13 A I thought I had one. I saw one, I don't know  
14 where it is. If it is in the pile I am missing it.

15 Q Did you see it before you left your office  
16 today?

17 A Let me look. I might have given it to my  
18 secretary. And if it is, this is how they get lost. If  
19 they are in here.

20 I have got something here. Is that it?

21 MR. MELLINO: This is a cover letter, it is not  
22 the one though.

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 BY MR. SEIBEL:

2 Q You pulled out of your briefcase a letter from  
3 Mr. Mellino to you dated September 24, 1992, which enclosed  
4 the expert reports of Doctor Redline and Doctor Dierker.  
5 Are you familiar with any other letter?

6 A I am sure there was an original letter. I don't  
7 have it here.

8 Q Have you received any other correspondence from  
9 Mr. Kampinski's office besides the September 24th letter  
10 and the other letter which you didn't bring?

11 A I don't know. I can't tell you that.

12 Q Did you issue a statement to Mr. Kampinski's  
13 office for your review?

14 A Yes, I did.

15 Q You didn't bring that today with you?

16 A I don't have that. If that was paid, it is  
17 basically tossed and it is the end of it.

18 Q You don't keep track at all of the things you  
19 bill out for?

20 A No, we do not.

21 Q What is your fee for giving a deposition?

22 A I charge \$300 an hour.

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 Q What is your fee for reviewing a file?

2 A I charge \$250 an hour.

3 Q What is your fee for trial testimony?

4 A \$300 an hour. And also, if I have to go out of  
5 town it's a minimum of \$800.

6 Q So \$2400?

7 A Correct.

8 Q What issues were you asked to address in this  
9 case?

10 A I don't believe I was asked to address issues, I  
11 was asked for my opinion and I rendered my opinion.

12 Q Well what I want to ask you is were the issues  
13 that you were asked to address in your review of the  
14 medical records limited in any way.

15 MR. MELLINO: He said he wasn't asked to address  
16 issues.

17 MR. SEIBEL: Apparently he was at some point,  
18 Chris, because he issued a report with a conclusion.

19 A I was asked to issue a letter. Certainly I  
20 would not render opinions outside of my area of expertise.  
21 So in terms of my area of expertise, I don't remember, I  
22 don't remember anybody ever saying I just want these

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 specific issues addressed.

2 Q What was your understanding of the purpose of  
3 reviewing the records?

4 A Whether there was negligence on anyone's part.

5 Q So the scope of the potential people involved  
6 was not limited in any way?

7 A No, it was not.

8 Q So you felt free to criticize anyone who was  
9 involved in the care and treatment of Rita Berardinelli and  
10 her son Zachary?

11 A Yes, sir.

12 Q How many hours did you put into your review  
13 before you issued your report?

14 A I would have to guess because I don't have those  
15 records, but perhaps six hours, it is possible, looking at  
16 the size of the records.

17 Q When was the last time that you reviewed these  
18 records before we sat down at this deposition?

19 A I started to review the records this week, but I  
20 don't remember doing much work on this case after the  
21 letter was issued other than having these other letters  
22 coming in and opening up the file to see if I agreed or

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 disagreed or what I considered my opinion stance to be in  
2 view of the letter.

3 Q What information did you get in this case after  
4 you issued your report?

5 A I believe these letters came in afterward.

6 Q Which letters are those?

7 A These are the letters from, one from Leroy  
8 Dierker and the other one is from Case Western Reserve, it  
9 is a pathologist's evaluation by Redline.

10 Q Did you get Doctor Kiwi's report?

11 A I just got that this morning or this afternoon.

12 Q Mr. Mellino showed it to you?

13 A Yes, he did. Did he show you any other reports  
14 in the case?

15 A There was one other that I looked at, I can't  
16 remember who it was.

17 Q Doctor Challup?

18 A I would have to ask Mr. Mellino. I don't  
19 remember the name.

20 Q Go ahead.

21 MR. MELLINO: I showed you Doctor Landon and  
22 Doctor Challup.

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 BY MR. SEIBEL:

2 Q Who is Doctor Landon?

3 A I forgot that one, I am not recalling who Landon  
4 was.

5 Q Was this case originally presented to you in a  
6 telephone conversation with someone from Mr. Kampinski's  
7 office?

8 A Yes, I am sure, most cases come that way.

9 Q Would that be before you looked at any  
10 materials?

11 A That's correct.

12 Q Did you make notes of that conversation?

13 A No, I rarely make notes other than if something  
14 is coming by Fed Ex to know when it is because the mail  
15 room isn't very efficient about bringing packages up.

16 Q What is the purpose of that initial  
17 conversation?

18 A Again, I can eliminate cases that I am not  
19 interested in or fall out of my area of expertise.

20 Q What was it about this case that caused you to  
21 be interested to read the materials?

22 A Basically it was a routine labor case and it

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 certainly fell within my area of expertise and it was a low  
2 Apgar baby that ultimately sustained global damage and I  
3 felt it was at least worth while reviewing.

4 Q After you reviewed your records did you have  
5 another telephone conversation with Mr. Kampinski's office?

6 A Yes.

7 Q Do you know who that was with?

8 A That was probably with Mr. Mellino.

9 Q What did you share with him during that  
10 conversation?

11 A Basically that I found negligence in the case  
12 and it would probably be pretty much along the lines of the  
13 letter that I wrote. And then I am sure he asked me would  
14 you please write a letter, and I subsequently wrote a  
15 letter. I'm not sure how much delay there was between the  
16 review and the letter.

17 Q In the materials that you were provided  
18 initially did you receive the prenatal records?

19 A I have not seen all of the prenatal records. As  
20 a matter of fact I reviewed some of the ultrasound this  
21 morning.

22 Q Films or reports?

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 A One report.

2 Q Do you remember the date of that report?

3 A No, I didn't write it down.

4 Q Is that in the pile of materials that you have?

5 A No, it is not, Mr. Mellino had that.

6 Q Did that particular report have an impact upon  
7 your opinions one way or another?

8 A None at all.

9 Q Have you changed your opinions at all since  
10 writing your report?

11 A No, I have not.

12 Q Either by expansion or contraction. Have you  
13 developed more opinions or have you reconsidered any of  
14 your opinions. I want you make sure when I use the word  
15 change I mean it in all respects.

16 A No, I read it the same way today as I read it  
17 then.

18 Q Does your report state all of your opinions in  
19 this case?

20 A A report never states every opinion, but I think  
21 the major opinions are in it.

22 Q Is it accurate to say that your report states

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 all the areas where you feel, at least my client in the  
2 case, Doctor El-Mallawany, breached the standard of care?

3 A I believe it covers all the major points.

4 Q Why don't we have this marked as an exhibit.

5 (Exhibit No. 1 was marked for identification and  
6 attached to the transcript.)

7 BY MR. SEIBEL:

8 Q Doctor, we are going to hand you what we have  
9 marked as Deposition Exhibit No. 1 for the record.

10 Just for the record, identify it if you would.

11 A That is my letter from Siani, that's correct.

12 Q And the date of the letter?

13 A The date is October 31, 1991.

14 Q For the record, how many pages is it?

15 A Three pages.

16 Q Have you issued any reports since this time?

17 A Not that I am aware of.

18 Q Was this your one and only report in this case?

19 A I believe so.

20 Q Did this report go through a drafting process?

21 A All my letters do. My secretary uses a word  
22 processor. I dictate the letter, she sends it back in

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 rough copy, I try to go back and verify all the data,  
2 basically recomposing the letter, send it back to her, she  
3 then types it up. Usually then there is a final reading  
4 for grammatical errors, and I make a fair number, and those  
5 are corrected and it goes out.

6 Q During the drafting process are you in  
7 conversation with Mr. Kampinski's office?

8 A Usually I am never in consultation on the  
9 letter, I am usually left on my own completely.

10 Q Before you issued the final report did you have  
11 a conversation with Mr. Kampinski's office?

12 A I don't believe so. If I were asked to give a  
13 letter, my standard is to do the letter and send it.

14 Q Are you an expert in pathology?

15 A No, I am not.

16 Q And do you consider yourself an expert in  
17 pediatric neurology?

18 A No, I am not expert, although I know a fair  
19 amount of it because I am in an area of medicine where I  
20 teach and we have joint conversations with pediatrics, so I  
21 am aware of many of the problems that the pediatricians  
22 face.

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 Q Do you feel comfortable commenting upon issues  
2 that fall within the realm of pediatric neurology?

3 A Only in the broad spectrum, what kind of damages  
4 would a child have to have for me to consider it an  
5 intrapartum event. What kind of damages are not related to  
6 an intrapartum event. So in this respect, yes. And I  
7 think even ACOG addressed that recently.

8 Q How?

9 A In terms of issuing bulletins on what  
10 constitutes neonatal brain injury that may be related do  
11 birth defects.

12 Q Do you have a particular cite to the bulletin?

13 A January bulletin of '92. But they have talked  
14 about that in the past in terms of brain injury. I have  
15 read the NIH study on brain injury. So I have a fair  
16 working knowledge of it. Do I examine babies? No. Other  
17 than the resuscitating a neonate, that is the end of my  
18 contact with the baby.

19 Q What NIH --

20 A Except for circumcision.

21 Q What NIH study did you refer to specifically?

22 A It is from about eight years ago and I believe

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 it is called Brain Injury. It is a book that is about an  
2 inch and a half thick of some 300 plus pages, and I have  
3 read that a few times.

4 Q Do you have an opinion that Doctor  
5 El-Mallawany's care during the labor and delivery of  
6 Zachary Berardinelli fell below the standard of care for an  
7 obstetrician?

8 A Yes.

9 MR. MELLINO: I will object. The name is not  
10 Zachary Berardinelli.

11 MR. SEIBEL: That is interesting because when I  
12 asked Mrs. Berardinelli that she said his name was  
13 Berardinelli, but it is Hammon?

14 MR. MELLINO: Yes.

15 MR. SEIBEL: From now on I will use the word  
16 Zachary to avoid the confusion regarding the name.

17 A That's fair.

18 BY MR. SEIBEL:

19 Q You do have an opinion?

20 A Yes.

21 Q What is the opinion?

22 A It fell below the standard of care in the

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 management of this woman's labor and in the delivery of  
2 Zachary.

3 Q I want you to state now specifically when and  
4 how Doctor El-Mallawany's care in your view was  
5 substandard.

6 A It became substandard when the patient walked in  
7 the door and he failed to evaluate the fetal weight. By  
8 deposition he had considered this an LGA baby of 9 plus  
9 pounds and therefore it is incumbent upon an obstetrician  
10 to put his hands or her hands on the abdomen and evaluate  
11 the size of the baby.

12 Secondly, he had noted in his records that she  
13 was 42 weeks gestational age. That also alerts a physician  
14 of potentially a macrosomic baby, it is a risk factor.

15 When meconium was noted and tachycardia was  
16 noted it was time to start considering fetal well-being.  
17 If this woman were in a teaching hospital, probably a scalp  
18 pH would have been done early on.

19 I think in view of the fact that it was  
20 considered that she had a temperature and she had good  
21 variability one could sit on the problem a little because  
22 the tracing early on was pretty normal. Some time later

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 the tracing turned abnormal with late decelerations greater  
2 than 50 percent of the contractions and I have that time as  
3 about 12:20.

4 At this point the physician has got to be alert  
5 or alerted that there is fetal stress, potential distress.  
6 And standards of care allow about a half-hour of  
7 observation, as long as it is not a catastrophic problem,  
8 that is bradycardia or a very smooth line, which didn't  
9 exist here.

10 Either this was unrecognized or they chose to  
11 ignore it, but these lates persisted and obviously they are  
12 indicating a progressive stress on the baby with burning  
13 up of reserves. The baby would be somewhat hypoxic  
14 although well compensated, and that is what variability  
15 means, the baby is compensated in utero, but the problem  
16 wasn't addressed.

17 And then she reached full dilatation, the  
18 tracing continued to be abnormal and ultimately at the  
19 +2 station with molding, which was documented by the  
20 pediatricians and not the obstetrician, a difficult  
21 mid-forceps was attempted.

22 Now, in view of the fact that there was

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 significant molding reported by the pediatricians, this  
2 baby was not at the +2 station but was at best +1, maybe  
3 zero station, which would make it a very, very high  
4 mid-forceps delivery, which we don't do today.

5 That was attempted. A severe shoulder dystocia  
6 was encountered, which was probably related to the use of  
7 the mid-forceps procedure. The shoulder dystocia was  
8 poorly managed according to the records themselves in that  
9 fundal pressure was employed, and that is just the item you  
10 are not to use because that further impacts the shoulders.  
11 There is no evidence in the records that McRoberts maneuver  
12 was used because the first maneuver that should be used is  
13 superpubic pressure and the second maneuver is McRoberts  
14 today.

15 So I find it a very inappropriate delivery, the  
16 baby was obviously stressed until that point, and that is  
17 the reason that, between the sepsis that the baby was  
18 subsequently documented with and the fetal distress which  
19 is evident on the record, is the reason that the baby, they  
20 had such difficulty in resuscitating the baby. The baby  
21 really had no reserves left. But I find no problem with  
22 the resuscitation.

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1           Personally I feel that when the late  
2 decelerations began that was time to evaluate the mother  
3 carefully, start oxygen, place her on the left side, turn  
4 off the Pitocin, evaluate the baby. At the end of 30  
5 minutes you still have persistent late decelerations, it is  
6 time to deliver the baby by the abdominal route.

7           I think it is possible that if this baby  
8 remained in utero much longer the baby would have  
9 demonstrated a terminal bradycardia and would have possibly  
10 have been damaged in that manner. In other words, we must  
11 assume based on the persistent lates that there is  
12 progressive fetal hypoxia -- not asphyxia -- hypoxia with  
13 developing acidosis, and it would have been accelerated at  
14 some time in the second stage and that's obvious on the  
15 monitor. At the +2 stage with molding it is unacceptable  
16 to attempt a forceps in this day. In 1970 it was standard  
17 of care; today it is unacceptable.

18           I think that is the way I read it.

19           Q     Well, I have several questions to ask to you.  
20 I will try to go back to the beginning of your answer to  
21 the last question.

22           Do you know when Doctor El-Mallawany became

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 involved in this labor?

2 A I would have to look up that fact. I'm not sure  
3 exactly when he came aboard.

4 Q What is your understanding of how he became  
5 involved?

6 A I would have to look up that piece of data in  
7 the nurses' records?

8 I am looking to see if I noted it in my letter  
9 and I didn't in my letter. I would have to look up the  
10 nurses' records.

11 Q Are you saying that any time he became involved  
12 in the labor that he was obligated to evaluate the fetal  
13 weight?

14 A That's correct. Before you hang up Pitocin, if  
15 you have a 42 week gestational age baby then you are  
16 concerned about macrosomia so you must evaluate the fetal  
17 weight.

18 Q How should he have evaluated the fetal weight?

19 A Leopold's maneuver is the first one.

20 Q Describe that.

21 A Leopold's is using your hands, abdominal  
22 palpation -- some people consider it four, I consider it

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 five -- I use a vaginal hand to assess what the weight is.  
2 If I am suspect enough that I am going to evaluate that  
3 baby as a macrosomic baby -- which means nondiabetic,  
4 greater than 4500 grams -- I will get an ultrasound. If  
5 the ultrasound agrees with me, I will do an elective  
6 section on that patient.

7 Now that is not, there are a number of articles  
8 suggesting that it be done that way, there are a number of  
9 articles that say that is not what to do. I am a great  
10 believer in avoiding shoulder dystocia and so I am in the  
11 political area of do the section. And my residents know to  
12 come to me if they want that opinion.

13 On a diabetic baby I think we're all in  
14 agreement on a 4000 gram or more that is the cut off, you  
15 do abdominal delivery. But if you evaluate the baby as  
16 macrosomic or if you consider it potentially macrosomic,  
17 then based on Benedetti's work you don't instrument the  
18 baby because of the increased risk of shoulder dystocia  
19 using vacuum extraction or forceps delivery, mid-forceps  
20 particularly.

21 Q What were the risk factors for macrosomia?

22 A 42 week gestational age was the only risk

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 factor.

2 Q Do you have an opinion that an ultrasound done  
3 within the last 12 hours before delivery would have  
4 revealed macrosomia?

5 A In all probability it would have. Ultrasound is  
6 no panacea, it is not 100 percent accurate, certainly it  
7 has a 20 percent error, but if it agrees with your clinical  
8 opinion, that's fine. I tell my residents, if it doesn't  
9 agree, get another clinical opinion. And if the other  
10 clinician agrees that it is macrosomic, disregard the  
11 ultrasound because the ultrasound is in error.

12 Q How much did the baby weigh upon birth?

13 A 4700 plus grams, I believe.

14 Q How many pounds is that?

15 A That is 10 pounds. 4750 grams.

16 Q Do all 9 to 9-1/2 pound babies under these  
17 circumstances require delivery by Cesarean section?

18 A Absolutely not. That is exactly what I said.

19 Q That is why I want to probe that I little bit  
20 because you indicated that Doctor El-Mallawany thought this  
21 baby was 9 to 9-1/2 pounds?

22 A In the deposition he stated that. It is not in

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 the clinical records.

2 Q What I am asking you though if he had estimated  
3 that during labor knowing everything else we know about the  
4 condition of this mother, would that have compelled him to  
5 meet the standard of care to have done an elective  
6 C section at that time?

7 A No, not at all, even if he estimated 10 pounds,  
8 he still was not compelled to do the C section. But at the  
9 time you are estimating 10 pounds it requires discussion  
10 with the mother that there is a discrepancy in the record.  
11 Let's decide what to do. The baby is at risk for shoulder  
12 dystocia.

13 What it does indicate if he is considering the  
14 mother is 42 weeks and he is estimating an LGA baby and an  
15 LGA baby is greater the 4000 grams, then he has to be very  
16 careful at the second stage and not instrument the baby.

17 Q Would it have met the standard of care to have  
18 estimated the fetal weight in this case by Leopold's  
19 maneuver?

20 A Yes, it would.

21 Q So an ultrasound wasn't compelled during labor  
22 of this child?

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1           A     Not even mandatory today.

2           Q     What percentage of 42 week gestation pregnancies  
3 result in macrosomic infants?

4           A     I don't have the number at the tip of my tongue,  
5 I believe somewhere between 10 and 20 percent. It would  
6 depend on your patient population. In your affluent white  
7 population it is going to be much higher.

8           Q     Why?

9           A     Because they are of better nutrition. It is  
10 going to depend on upon background, history of diabetes in  
11 the family. There are some women who have one abnormal  
12 glucose level, we know that is just one, although they do  
13 not have gestational diabetes will give you an instance of  
14 about 20 percent macrosomia. So there are a number of  
15 factors that come in, so patient population is very  
16 important.

17          Q     Does that percentage of macrosomic infants at  
18 42 weeks decrease as you subtract days from the days of  
19 gestation?

20          A     I don't understand that question.

21          Q     We used 42 weeks as sort of a precise time.  
22 What I am asking you as you subtract days of gestation from

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 the 42 weeks so it is 42 weeks minus 1 day or 2 days less  
2 42 weeks, are you following me?

3 A Yes.

4 Q Does the percentage of macrosomic infants at  
5 that time decrease with the less time?

6 A It does in an imperceptible way. And that is  
7 why when some woman is at or around 42 weeks we follow them  
8 as 43 weeks. For example, if someone walks in the door and  
9 is 41 weeks, we probably begin checking for fetal  
10 well-being at that point because they are not overdue,  
11 quote/unquote, and we start to consider them, watch the  
12 size of the baby. If it goes on another week, no one can  
13 key one day less or more, you don't do anything. Although  
14 the standard of care says if by the 42 weeks you haven't  
15 tested for fetal well-being, you done wrong.

16 Q What effect does maternal alcohol consumption  
17 and/or smoking have on fetal weight?

18 A Smoking would decrease the weight; alcohol  
19 usually would decrease the weight.

20 Q When did the fetal monitor tracing in this case  
21 begin to be troublesome as far as you are concerned?

22 A The most troublesome part is where the late

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 decelerations began and I stated a time before. That was  
2 the 12:20.

3 Q Do you have the actual tracing in front of you?

4 A Not in front of me, I have them.

5 Q Would you pull them, please?

6 A I have them, sir.

7 Q Can you identify the first troublesome tracing  
8 by panel number?

9 A 10:00 we have fetal tachycardia developing.  
10 Good variability.

11 Q What I really want to know. I don't know if  
12 this makes it easier or harder, what I want to know is the  
13 first tracing in the fetal monitor that should have in your  
14 view compelled different action than was taken by Doctor  
15 El-Mallawany.

16 A This would be around 12:20. I don't have a  
17 number. Yes, I do; 109, 110.

18 What you see when you start looking at the fetal  
19 heart tracing there is periodic decelerations. There is  
20 one at the end of panel 109. There is one at mid-panel  
21 110. And I am considering the beginning of the panel where  
22 the number is placed.

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1           And then there is another deceleration at the  
2 beginning of panel 111, and so on. And when you start  
3 seeing periodic decelerations you got to look very  
4 carefully because it is late decelerations until proven  
5 otherwise. Could be earlies too, but you have to identify  
6 where the dips are with respect to the contraction and one  
7 dip does not make a late deceleration, it has to be  
8 obviously recurrent. And ACOG requires greater than 50  
9 percent of the contractions should be associated with  
10 decelerations, late decelerations, before you call it a  
11 worrisome tracing.

12           Q     Is this a worrisome tracing or not?

13           A     Not for the first minute or two minutes, it is  
14 not a worsening tracing. But after you look at the tracing  
15 for about 10 minutes you continue to have the periodic  
16 decelerations just after the contraction.

17           Q     What time is that?

18           A     By 12:30 you can see another deceleration  
19 occurring, you see oscillations going up and down and the  
20 fetal heart is usually maximum before the contraction kicks  
21 in and decelerates as the contraction occurs and doesn't  
22 return to base line until the next contraction.

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 Q Are those early or late?

2 A Those are late. Early decelerations would  
3 return to base line before the contraction ends or when the  
4 contraction ends.

5 Q Do what you say is a pattern of late  
6 decelerations continue until birth or do they change in  
7 some way?

8 A They are present throughout the tracing. Again,  
9 we could go through them and mark which ones have lates and  
10 which ones do not. Not every contraction is associated.

11 Q I want you to tell me precisely where you see  
12 the late decelerations beginning at 12:20 p.m.?

13 A Would you like me to mark these?

14 Q How ever you can identify them for the record.

15 A Do you want me to mark them with the pen?

16 Q Why don't you, then we can mark copies.

17 A We have a contraction around 12:21 and there is  
18 deceleration associated with that.

19 Q Why don't you put your initial in the circle?

20 A Okay. And I will put, the contractions are  
21 obvious.

22 There is another deceleration with the next

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 contraction. We have another deceleration with the next  
2 contraction. There is another deceleration with this  
3 contraction. There may be a contraction here, I can't  
4 tell, this could be. I can't tell exactly. Again another  
5 contraction right here and another deceleration there.

6 Q The end of 112?

7 A The end of 112. We have the contraction at the  
8 beginning of 113 and there is a subtle but late  
9 deceleration there.

10 We have a contraction on the next page right  
11 here with a deceleration right there.

12 Q Panel 114 now?

13 A 114. And the end of 114 is another contraction  
14 with deceleration there. We have another contraction here  
15 and it looks like there is a late deceleration there too.  
16 And another contraction here with another late  
17 deceleration.

18 And then the next one I don't see any late  
19 deceleration. The next contraction here has a subtle late  
20 but nothing else there. The next nothing. The next  
21 contraction may or may not be a subtle late, but it is  
22 there.

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1                   Here it looks like no late deceleration.

2           Q       Panel 120?

3           A       120. No late deceleration. Now we have  
4           variability, there is another variable, indicating a  
5           corresponding pattern. At the contraction at 1300 there is  
6           a light deceleration. And it looks like she is pushing now  
7           too. So she is fully dilated.

8           Q       This would be at 1:00 p.m.?

9           A       Correct. Another contraction and questionable  
10          subtle late. Another contraction and that looks like I  
11          late deceleration. Another contraction over here with  
12          subtle late, and possibly another subtle late here.

13                   And then we have some variables and some subtle  
14          late there which is certainly below the base line prior to  
15          the contraction kicking in. Then a deep variable. Another  
16          late deceleration here. Next contraction I am not sure of.  
17          There is a deep variable, there is a late deceleration  
18          here. I am now at 12:20. That contraction looks okay.

19          Q       12:20 or 1:20?

20          A       I'm sorry, 1:20. 1:20, that contraction is  
21          okay. At 1:22 there is another contraction with a late  
22          deceleration. I have another contraction beginning at

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 panel 128 with a late deceleration, and a big variable on  
2 the next one. And that is all the tracing I have. Good  
3 variability maintained along the way.

4 Q What is the significance of good variability?

5 A It means that your brain is still being  
6 adequately oxygenated, may be getting progressively  
7 acidotic, maybe burning up reserves or base deficit. And  
8 that is what we talked about in terms of why the baby  
9 tolerated the birthing event so poorly.

10 Q What is the significance of the late  
11 decelerations that you just circled for us?

12 A That means that there is progressive hypoxia.  
13 In other words, when a late deceleration occurs because  
14 there is, there is blood returning in the placenta bed that  
15 is short of oxygen, and when the baby's chemosensors sense  
16 that there is low oxygen they start to selectively shunt  
17 the brain, the amount to the brain, heart, adrenals and  
18 placenta. It slows heart rate but it still gets adequate  
19 blood to the brain. And that is why you see the late  
20 deceleration because during contraction there is pressure  
21 on the placental bed and maternal blood flow may cease, and  
22 that is late deceleration. If you contract the uterus long

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 enough and hard enough you will produce contractions that  
2 usually correlate with a late deceleration and with  
3 continued late decelerations with each contraction the baby  
4 becomes a little bit more acidotic and gets closer to  
5 decompensating. That is typically what you see.

6 If you follow the tracing out long enough you  
7 will see late decelerations with good variability and  
8 ultimately late decelerations with poor variability which  
9 means now you have started to decompensate brain wise, and  
10 then you get either the terminal bradycardia episode or a  
11 wandering base line, and a wandering base line is due to  
12 cardiac instability from hypoxia. Now you're dealing with  
13 hypoxia and asphyxia.

14 Q This baby was not asphyxiated?

15 A Not on this tracing.

16 Q Was he ever?

17 A At birth, yes.

18 Q According to the tracing, did this baby ever  
19 lose his ability to compensate?

20 A No, he did not.

21 Q Why did the late decelerations begin at  
22 12:20 p.m.?

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1           A     Again, more likely, you see them develop in  
2     somebody who is post-date. Certainly a degree of infection  
3     is always going to decrease placental ability. Also you  
4     are dealing with somebody getting Pitocin. We don't know  
5     exactly why they are occurring, it is a combination of  
6     factors. The key things when you begin to get late  
7     decelerations, stop running the Pitocin, put them in the  
8     left recumbent position, if you had them on their left, put  
9     them on their right and start oxygen.

10          Q     What is your opinion if you have one as to why  
11     the late decelerations began at 12:20?

12          A     I can't tell you exactly why they occur and we  
13     often cannot.

14          Q     Have you identified in your previous answer all  
15     the potential reasons why they could occur?

16          A     No, no. The list is endless. I mean the mother  
17     can be hypoxic and that in turn gives diminished reserves  
18     for the placenta, so the list goes on and on and on.

19          Q     What response should a reasonably prudent  
20     obstetrician/gynecologist have with the tracing that began  
21     at 12:20 p.m.?

22          A     Again, after about ten minutes or so of

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 observation you stop the Pitocin, turn mother on the left  
2 side, start your oxygen, see what happens. If you are in a  
3 hospital that does not have anesthesia available around the  
4 clock, doesn't have the nursing staff, doesn't have  
5 assistance, you are going to have to call in your team to  
6 say we need an urgent section here, come on in.

7           You have the luxury of observing a strip like  
8 that for 30 minutes. At least that's what the standards  
9 say. If she was in a teaching hospital she would have  
10 gotten a scalp pH, but that is not mandatory standard of  
11 care by any means.

12           After 30 minutes observation, you can't correct  
13 the problem, it is continuing, then it is time to move  
14 towards rapid delivery.

15           Q     Were any of the things done that you say should  
16 have been done beginning at say 12:30?

17           A     Not that I am aware of, sir.

18           Q     What effect did that have on this child's  
19 well-being?

20           A     Again, in other words, this baby went into the  
21 delivery event with minimal reserves, and that was the  
22 problem, that probably the excess base which eats up acid

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 is already markedly diminished, the baby had a degree of  
2 hypoxia. And when it was subjected to significant trauma  
3 or decreased flow, then the baby became severely asphyxic  
4 and that is the reason the baby was so difficult to  
5 resuscitate.

6 The average baby if it went into the event and  
7 was in good shape prior to the event occurring, might have  
8 been very easy to resuscitate. But certainly the fact that  
9 sepsis is aboard, the fact that there was some degree of  
10 stress, fetal distress, that set up the baby to make the  
11 baby very difficult to resuscitate.

12 Q Well on the issue of the difficult  
13 resuscitation, what played the greatest role, the sepsis or  
14 loss of reserves?

15 A Loss of reserves.

16 Q To what extent?

17 A I think the major. Because again septic babies  
18 we usually resuscitate very well and treat the sepsis. As  
19 a matter of fact we are almost cavalier about treating  
20 in utero infection today. In other words, the  
21 pediatricians tell us don't worry about it for six hours or  
22 so, we will treat the kid when you deliver the kid. Now it

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 is a little different than the thinking was, for example,  
2 in '75 or thereabouts. We felt once there was infection,  
3 get the kid out. The data shows now it is not that  
4 important. And sometimes we will start antibiotics if we  
5 anticipate it is going to longer than six hours, but the  
6 antibiotics may not even get to the baby. But most of them  
7 resuscitate very, very nicely

8 Q Is it reasonable medical practice to, even when  
9 there is a suspicion of fetal infection, to allow labor to  
10 continue?

11 A Yes, sir, that is standard of care. As a matter  
12 of fact, if somebody did a Cesarean section for just fetal  
13 infection that would be wrong. Now granted if you spent  
14 six hours trying to get her delivered and you are making no  
15 progress, then it is very appropriate to start thinking  
16 about getting the baby out at that point.

17 Q Was there any reason in this case upon the  
18 suspicion of a fetal infection to do a Cesarean section?

19 A No, there was not. And even antibiotics for the  
20 mother would be optional. It depends on the neonatal unit.  
21 Some would like you to use it, some do not use it. They  
22 would like to have cultures on the baby and get pure

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 cultures.

2 Q I believe you stated that this baby was not +2  
3 station when the forceps were applied?

4 A That's correct.

5 Q Would you please tell me the entire factual  
6 basis for your statement.

7 A That is based on two statements or three  
8 statements or so in the pediatric records indicating  
9 molding, one saying marked molding. I believe that even  
10 the University Hospital confirmed that there was molding.

11 Q Is there anything else but molding that leads  
12 you to conclude that this baby was not at +2 station when  
13 the forceps was applied?

14 A That is the only thing. If there were no  
15 molding I would say that the +2 evaluation was correct,  
16 that is where the baby was. In other words, the leading  
17 part was at +2 in all probability, but the biparietal was  
18 not anywhere near where the obstetrician thought it might  
19 be.

20 Q Why do you say that?

21 A Because that is what happens with molding. In  
22 other words, what is moving into the pelvis is the top of

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 the head which is getting squeezed into an oblong shape and  
2 the baby may not be descending that much. It is just the  
3 head is elongated.

4 Q What percentage of vaginal births result in  
5 molding of the infant's head?

6 A Most nulliparous or somebody delivering their  
7 first full term there is marked molding. What is  
8 significant is when there is molding in an LGA, that is  
9 worrisome because you have to suspect cephalopelvic  
10 disproportionment and therefore you have to very careful  
11 that before you put mid-forceps on that you don't have  
12 molding. And it should be a fairly easy thing to determine  
13 because you do not feel any overriding structures. What  
14 you do feel is that the head has no room at the side. In  
15 other words, the kid has been squeezed into the pelvis.  
16 And when you see that you don't put the instrument on.

17 Q Who would be in the best position to determine  
18 whether this baby's biparietal was at +2 station before the  
19 forceps?

20 A The biparietal was not through, the leading part  
21 was at +2.

22 Q How do you know that?

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1           A     I am only going from what the doctor tells me,  
2 he says the baby was at +2 station. That means that the  
3 leading part of the head was at the +2 stage, which would  
4 put the biparietal 2 centimeters below the ischial spines.

5           Q     Who would be, in terms of whether the baby was  
6 engaged, who would be in the best position to determine  
7 whether the baby's head was engaged before the application  
8 of forceps?

9           A     The obstetrician and in all probability the head  
10 was engaged or at zero station. In other words, when the  
11 baby's head is at the zero station -- I made a misstatement  
12 before when I said the biparietal was at the ischial  
13 spines, that it is not true. It is above the ischial  
14 spines when at the +2. When the baby is at +2, the leading  
15 part of the head is 2 centimeters below the isthmus. When  
16 it is zero station it is considered engaged, but the  
17 leading part was at the ischial spines.

18          Q     What is the risk of applying forceps, according  
19 to your view, to a child whose leading part of the head is  
20 at the +2 station?

21          A     First of all there is a lot of pelvis that still  
22 has to be negotiated so that becomes a more difficult

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 forceps maneuver. And if the baby is molded it becomes  
2 increasingly difficult because it is that much higher in  
3 terms of where the biparietal position is. So those are  
4 the reasons that becomes a difficult mid-forceps. What my  
5 comment here is is that this is more of a difficult  
6 forceps, that is a high mid-forceps.

7 Q Why?

8 A Because of the molding.

9 Q Because you feel it was only the leading edge of  
10 head that was at +2?

11 A That's correct. Under the worst case scenario  
12 molding can be so severe that you can have the leading  
13 point at the vaginal outlet or vaginal inlet and yet the  
14 head is not engaged. That is the worst case scenario.  
15 That is not this case, but that is the worst case scenario.

16 Q Why was it inappropriate to apply forceps in  
17 this case?

18 A Because of the high head and the molding.

19 Q What injuries to the child occurred as a result  
20 of the application of forceps here?

21 A Not the application, the application did not  
22 cause the injury. It is the pulling that caused the

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 injury.

2 Q What injuries were caused by the forceps?

3 A The shoulder dystocia was caused by the forceps.

4 Q Explain that to me.

5 A What happens is you are dragging the head down  
6 and the shoulders don't have time to rotate into the  
7 appropriate position and they get hooked up above the pubic  
8 symphysis and you basically deliver the head and the  
9 shoulders are trapped above the pelvic ridge. That is why  
10 you get less shoulder dystocia if you permit spontaneous  
11 labor of large babies because it is a very slow process  
12 whereby the baby evolves and the shoulders rotate slowly  
13 into the proper position from forces from above.

14 Q Would it have been appropriate in this case for  
15 Doctor El-Mallawany to have allowed labor to progress  
16 further?

17 A In view of the fetal stress I would have  
18 expected the baby at some point to decompensate. So I  
19 think that the baby required delivery but not by forceps  
20 delivery. However, if talking about a normal tracing and  
21 he allowed a spontaneous delivery, I would have expected no  
22 shoulder dystocia to have occurred. And that is based on

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 Benedetti's work.

2 Q Are there any reasons for shoulder dystocia  
3 other than the application of forceps in this case?

4 A Well shoulder dystocia we don't fully understand  
5 why it occurs. We know when it occurs. We can usually  
6 make the appropriate diagnosis. But even normal size  
7 babies may experience shoulder dystocia and every  
8 obstetrician must be trained in the management of shoulder  
9 dystocia. But clearly in the large for dates baby what  
10 happens is the shoulder diameter is much larger than the  
11 biparietal diameter. And if the head comes through okay  
12 the shoulders get trapped, you do the maneuvers of either  
13 reducing the shoulder diameter by using superpubic  
14 pressure, fracturing the clavicle or expanding the pelvis  
15 with the McRoberts maneuver.

16 Q What you think happened here? Was the shoulder  
17 dystocia a result of tugging by the forceps or was it due  
18 to the size of the shoulders?

19 A Both.

20 Q Was there any way for Doctor El-Mallawany to  
21 have reasonably foreseen shoulder dystocia in this case?

22 A I think he should have definitely anticipated

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 shoulder dystocia. There is certainly enough in the record  
2 to anticipate it. One is --

3 Q I don't mean to stop you, I do mean to stop you  
4 because I want to make sure I will get you geared into my  
5 question.

6 We know that shoulder dystocia is a risk for  
7 babies at this gestational age period, correct?

8 A Correct.

9 Q Now is there anything particular about this  
10 labor that would have alerted Doctor El-Mallawany that  
11 shoulder dystocia was even more likely to occur in this  
12 particular delivery?

13 A One could expect it in a long second stage. And  
14 obviously the patient did not make great progress in the  
15 second stage. But even some material that has been  
16 published by Metro and some other people show there are  
17 very few factors to hang your hat on in saying this is a  
18 risk factor. In other words, if this happens you have got  
19 to suspect shoulder dystocia. We have no good predictors  
20 other than to suspect shoulder dystocia and that is why  
21 macrosomia is so important but certainly in the slow  
22 descent of the head one would worry about the possibility

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 of cephalopelvic disproportionment and subsequently  
2 shoulder dystocia.

3 Q Is the answer to the question yes or no?

4 A It is yes and no.

5 Q Is it more yes or more no?

6 MR. MELLINO: What question?

7 He originally said yes and you stopped him and  
8 asked a different question and wanted a yes or no answer.

9 MR. SEIBEL: No, I didn't.

10 A I am somewhat confused about the question. That  
11 is why I said yes and no, cover both bases.

12 BY MR. SEIBEL:

13 Q I think the question was was there anything  
14 particular about this labor and delivery that would have  
15 alerted Doctor El-Mallawany to a greater likelihood of  
16 shoulder dystocia?

17 A The problem was there was interference at the  
18 second stage so I can't tell you that this is a  
19 catastrophically long second stage because there was  
20 instrumentation. It was one hour or second stage which for  
21 a nullip is normal.

22 Again we are told in the records that it only

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 came down to +2. So there wasn't descent in the first  
2 hour. Again, I wasn't there, I can't tell you. If we were  
3 looking at a three and a half hour second stage I could sit  
4 here and say yes, that is a long second stage and you ought  
5 to expect cephalopelvic disproportionment and ought to  
6 expect shoulder dystocia.

7 Q Was it reasonable for Doctor El-Mallawany to  
8 attempt to effect a delivery at the time that he did?

9 A Delivery should have been effected but not by  
10 the means that were used.

11 Q You made a comment about the management of the  
12 shoulder dystocia, is there an order in which either  
13 superpubic or McRoberts maneuver ought to be used?

14 A The simpler is superpubic pressure. If that  
15 doesn't work, then one ought to go directly to McRoberts  
16 maneuver.

17 Q What is superpubic pressure?

18 A Superpubic pressure is merely applying a fist  
19 above the pubic symphysis and trying to depress or contract  
20 the shoulders and push them into the pelvis.

21 Q Where is the pressure applied?

22 A It is applied at the mons pubis, the hairy area

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 just above the bone of the pubic symphysis.

2 Q With a fist?

3 A With a fist. It is not mandatory, it could be  
4 the palm of your hand too. Most people would apply a fist.

5 Q Contrast that with fundal pressure.

6 A Fundal pressure is applied at the top of the  
7 fundus which would be at that point probably just above the  
8 umbilicus and that would literally apply pressure on the  
9 fetal buttocks which would only force the shoulders to  
10 impact more against the pubic symphysis because you were  
11 not decompressing the shoulder diameter.

12 Q Does fundal pressure play any role in the  
13 management of shoulder dystocia?

14 A I have only seen one article that misquoted and  
15 said use fundal pressure. Every article specifically talks  
16 about superpubic pressure and I have seen recent articles  
17 that condemn fundal pressure.

18 Q What do you think?

19 A I think superpubic pressure is the only way to  
20 go in any situation and I don't allow residents to apply  
21 fundal pressure under any circumstances because of the risk  
22 of rupturing the uterus, although I have never seen it

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1    happen.

2           Q     You have never seen it happen?

3           A     No, I have not.

4           Q     And do you use fundal pressure in the management  
5   of shoulder dystocia?

6           A     No, I do not.

7           Q     What is superpubic pressure designed to do  
8   specifically?

9           A     Specifically to contract the fetal shoulders and  
10   push the anterior shoulder which is trapped above the pubic  
11   symphysis, push that below the pubic symphysis so that the  
12   shoulders can slide out.

13          Q     How long is it appropriate to attempt superpubic  
14   pressure during a shoulder dystocia case?

15          A     Probably 30 seconds because it feels like an  
16   eternity when that happens.  Because you know the head is  
17   trapped.  I have on occasion even when alone in the  
18   delivery room at 3:00 in the morning put a nurse down below  
19   to guide the head out.  I had to apply the superpubic  
20   pressure, I have got a shoulder dystocia and because I know  
21   I can apply more pressure in the right area than a 90-pound  
22   nurse.  Because I don't want to apply, because of brachial

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 plexus injury, I don't want to apply pressure on the head.

2 Q What is McRoberts maneuver?

3 A It is maneuver where you flex the thighs, they  
4 come up over the abdominal wall and extend the legs and it  
5 literally does open up the pelvic walls. As a matter of  
6 fact I just saw it misdrawn in one of Benedetti's throw  
7 away articles, it was misdrawn in that the legs were not  
8 extended, they were flexed. That is wrong, the artist got  
9 it wrong. But the original work showed flexion and  
10 extension and it is an odd position and the family thinks  
11 you are out of your mind if they are in there watching the  
12 delivery.

13 Q Have you been able to fully discuss the areas  
14 where you feel Doctor El-Mallawany's care was substandard?

15 A I believe I have.

16 Q When did Zachary's brain damage occur?

17 A Probably occurred during the shoulder dystocia  
18 itself and possibly a little bit afterward when they had  
19 trouble resuscitating.

20 Q All right, how soon before delivery did the  
21 brain damage occur?

22 A I don't have enough tracing to tell you to

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 pinpoint. In other words, terminal fetal distress could  
2 have started a long period of time, I'm not sure. All I  
3 can do is say that we know there was a difficult shoulder  
4 dystocia and based on what we are looking at that is the  
5 only thing I see. I mean standards of care suggest that if  
6 you have got a fetal distress or any patient you are  
7 monitoring you monitor them into the delivery room until  
8 you do the delivery. That wasn't done here.

9 Q Is that substandard?

10 A That is substandard certainly when there is a  
11 fetal distress, and that is a nursing obligation to bring  
12 the monitor along as well as the obstetrician saying bring  
13 along the monitor.

14 Q Is that another area?

15 A No, because there is an alternative according to  
16 the American College where oscultation after every  
17 contraction is appropriate in second stage. In other  
18 words, we cannot based on ACOG's bulletins, suggest that  
19 fetal monitoring is mandatory, although I take great  
20 exception to that.

21 Q Continuous fetal monitoring is not standard of  
22 care?

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1           A     It is standard of care by obstetricians. ACOG  
2 would have us believe that it is no better than  
3 oscultation.

4           Q     What is ACOG's role in determining the standard  
5 of care for obstetricians and gynecologists?

6           A     I had for years stated that ACOG truly set  
7 standards of care because their bulletins were extremely  
8 well written based on accurate literature. And in the last  
9 three or four years there have been bulletins which  
10 contradict each other. There are bulletins that are flatly  
11 wrong, misquoted literature. There have been bulletins  
12 that have actually done a disservice to the medical  
13 community by not taking a stance. So something has  
14 happened in their writing in the last three or four years.

15          Q     To what point do you feel comfortable in relying  
16 upon ACOG to set the standards for obstetricians and  
17 gynecologists?

18          A     Probably about four years or so. There are  
19 still some very good bulletins produced; some markedly  
20 deficient, particularly the one published on neonatal  
21 newborn injury, it absolutely misquotes the literature and  
22 is based on literature that is 25 years old.

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 Q You are you a member of ACOG?

2 A Yes, I am.

3 Q Specifically with respect to the date of this  
4 birth which was September of 1988, do you feel comfortable  
5 that the ACOG standards then prescribed good and reasonable  
6 standards for practicing obstetricians/gynecologists?

7 A Yes, I think they were very reasonable up to  
8 then.

9 Q I want to make sure that we fully discuss the  
10 issue about when the brain damage occurred. You are  
11 saying, give me a time between when and when you feel that  
12 it occurred.

13 A Between the time that the monitor was removed  
14 and the time that the baby was delivered. And a little bit  
15 may have occurred in the first 10 minutes of life because  
16 with the Apgar remaining zero you were not going to have  
17 much blood and oxygen circulating to the baby.

18 Q When was the monitor turned off?

19 A The monitor was removed at 1326 or 1:26.

20 Q And when did the delivery take place?

21 A Delivery took place at 1:53 p.m. and the baby  
22 really didn't have any blood circulating until ten minutes.

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 Q What occurred between 1:26 and 1:53 to cause the  
2 brain damage?

3 A We don't know. In other words, if there were a  
4 significant fetal distress, we have missed it on the  
5 monitor.

6 Q Are you satisfied to a reasonable degree of  
7 medical probability that before 1:26 this baby did not have  
8 a significant brain injury?

9 A Yes, I am.

10 Q When did Zachary's brain injury become  
11 permanent?

12 A Probably after he was resuscitated. In other  
13 words, the damage was already done.

14 Q When did that damage become fixed?

15 A I don't know that the damage -- I don't quite  
16 understand how to answer that question.

17 Q Do you believe that he has a permanent brain  
18 injury?

19 A Yes, based on what I have read, yes.

20 Q What have you read to lead you to conclude that  
21 he has permanent brain injury?

22 A That he has global brain damage. In other

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 words, there is motor impairment, mental impairment, that  
2 goes along with a global injury.

3 Q What have you read that leads you to conclude  
4 that? I am asking specifically.

5 A I know I asked Mr. Mellino the status of the  
6 child and I have looked at some of the records. I believe  
7 I made a couple of marks. The molding comments from  
8 University Hospital.

9 And I have, there was a page in the records that  
10 talked about a brain damaged child. And again I haven't  
11 pinpointed that one and I apologize.

12 Q What information do you have about the current  
13 status of the child?

14 A Other than the baby is badly brain damaged, that  
15 is all I have, and that it appears to be global damage.

16 Q Are you using those facts as part of your basis  
17 for your opinion that he had permanent brain injury between  
18 1:26 and 1:53 p.m.?

19 A Yes, sir, a global damage would fit. I know it  
20 is in the records someplace and I didn't mark the page.

21 Q EEG?

22 A No, I am looking at here he is seen in 1990.

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 And I know there was something towards the end of this  
2 record and I didn't mark the page where it talks about  
3 brain injury.

4 MR. MELLINO: I am going to object to this as I  
5 think you are getting outside of Doctor Edelberg's area and  
6 also a lot of outside area opinions that we are going to be  
7 eliciting from him on direct examination which is I think  
8 all you are entitled to during the discovery deposition.

9 BY MR. SEIBEL:

10 Q Doctor, are you going to give any opinion at  
11 trial about the nature of Zachary's brain injury?

12 A No, not the nature of it. Only to say that if a  
13 baby has global damage, then it fits the characteristics of  
14 an in utero asphyxic event.

15 Q By global you mean what?

16 A Water shed type damage, we are not talking about  
17 specific and only cerebral palsy. We are not talking about  
18 pure mental retardation. We are not talking about one  
19 isolated motor function. We are talking about damage which  
20 you can't really pinpoint which areas are damaged because  
21 it appears to be global. That is what we see from the  
22 perinatal or the in utero insult. So if the baby had pure

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 cerebral palsy, I wouldn't be there. If the baby had pure  
2 mental retardation, I wouldn't be here.

3 Q By mental retardation, what is your definition  
4 of that?

5 A Again, I don't treat in this area. The  
6 pediatrician has made a diagnosis of retarded infant, low  
7 IQ infant and that is all that is seen. That is in all  
8 probability not related to at least a labor insult.

9 Q And the same with just cerebral palsy?

10 A That is more true. That is more related for  
11 example, to prematurity than to labor insult, although  
12 there have been some documented cases of pure cerebral  
13 palsy.

14 The baby gets compensatory, a lot of systems  
15 down and out and then there appears to be some rehab  
16 process and a good deal comes back and the kid is left with  
17 pure cerebral palsy. Those cases are few and far between.

18 Q You were at least satisfied that if it was just  
19 a case of cerebral palsy that would not lead to the  
20 conclusion that there was an asphyxic delivery?

21 A That's correct. Unless there were lots of other  
22 factors. In other words, if the baby had very, very low

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 Apgars, was very acidotic, hypotonic, spent a month and a  
2 half in the nursery getting rehabed and eventually appears  
3 to be below normal intelligence but CP, that may be related  
4 to the birthing event and even the NIH study agreed with  
5 that, but that is rare.

6 Q Let me ask, if it is just cerebral palsy would  
7 that lead you away from the conclusion in this case that  
8 there was birth asphyxia?

9 MR. MELLINO: Let me object. I think you are  
10 getting into an area the doctor treating the child will  
11 testify to, he is the one testifying as to causation in  
12 this case, and Doctor Edelberg is not going to be called in  
13 direct examination to give any opinion testimony in this  
14 area, and I don't think you are entitled to delve into that  
15 any further than you have.

16 MR. SEIBEL: The reason I am asking, Chris, is  
17 that because he did say that the nature of brain injury  
18 does relate to his opinion that there was birth asphyxia.

19 A Again I gave the one case scenario, low Apgars,  
20 asphyxia, hypotonia, poor behavior in the nursery,  
21 seizures, and then if this baby had been left with just low  
22 normal intelligence and cerebral palsy I think I would say

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 that that is one of the exceptions.

2 BY MR. SEIBEL:

3 Q Exceptions to what?

4 A The pure cerebral palsy not being a birthing  
5 event because the birthing events were so clearly asphyxic.  
6 But those are very rare cases.

7 Q Did you consider any other potential explanation  
8 for Zachary's brain injury other than birth asphyxia?

9 A I always look for other reasons.

10 Q What else did you consider?

11 A Genetic, what the baby looks like. You look for  
12 prenatal events that may affect it. You look for past  
13 history. There are a number of factors you look at and I  
14 found nothing that clearly explains why this baby did what  
15 it did other than an asphyxic event.

16 Q What effect does E. coli sepsis have on the  
17 fetus?

18 A Again, babies usually survive without problem.  
19 Not premature babies, but term babies.

20 Q What at least are the potential effects on term  
21 fetuses who have E. coli sepsis?

22 A Babies can die with sepsis, no question about

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 that, but most survive and survive uncompromised by risk  
2 factor.

3 Q For death or other things?

4 A Usually death. If a baby is in septic shock and  
5 they are having trouble aerating the baby, the baby may  
6 also then suffer a brain insult.

7 Q Can you be any more specific about the timing of  
8 Zachary's brain injury than between 1:26 and 1:53?

9 A Actually it could be later than 1:53 because of  
10 the difficulty of resuscitation. No, I can't be more  
11 specific because I don't have enough tracing. If there  
12 weren't fetal distress here I might be able to be more  
13 specific. But it was because of the fetal distress that  
14 the baby was so difficult to resuscitate.

15 Q In terms of your opinion about when this brain  
16 injury occurred, what about 1:27 for instance?

17 A No, it is more likely that it occurred during  
18 the shoulder dystocia and at the time of the resuscitation.

19 Q How long did the shoulder dystocia last in this  
20 case?

21 A About six minutes, five to six minutes.

22 Q Is that an unreasonable time to resolve?

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1           A     It is a long time.

2           Q     Let me ask you if it is the standard of care to  
3 resolve shoulder dystocia within five minutes?

4           A     There is no standard of care. You are suppose  
5 to resolve it as quickly as possible. This is a long, long  
6 delivery. In other words, this is considered an obstructed  
7 delivery by anybody's standards, but there are no standards  
8 and there is nothing that says you must have the shoulders  
9 out in so many minutes or you are going to do this, this  
10 and that.

11          Q     Is it your opinion that the time of the shoulder  
12 dystocia is when in all probability the brain injury  
13 occurred?

14          A     Or began. In other words, it is a continuum, it  
15 doesn't say normal, normal, and boom we have the entire  
16 injury. It depends on how long the brain experiences  
17 asphyxia and it becomes progressive and the monkey data is  
18 very clear on that.

19          Q     What would the baby's condition be if born just  
20 before the shoulder dystocia occurred?

21          A     Again assuming the tracing did not worsen, if  
22 born just before the shoulder dystocia occurred it would

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 have been somewhat acidotic but it would have been a  
2 quality survivor.

3 Q What do you mean by quality survivor?

4 A Normal neonate. If there was terminal  
5 bradycardia that was missed, again this tracing could go  
6 onto that, that baby may have suffered an in utero asphyxic  
7 event prior to the shoulder dystocia and the shoulder  
8 dystocia compounds it.

9 Q Do you think there was a terminal bradycardic  
10 event before the shoulder dystocia?

11 A I can't tell you that. But whenever you have  
12 fetal distress on the monitor you know that it can  
13 progress.

14 Q When between 1:26 and the time of the shoulder  
15 dystocia do you believe that there was a terminal  
16 bradycardia?

17 A I can't tell you that. Between 1:26 and the  
18 time of the shoulder dystocia.

19 Q What effect does fetal body tone have on over  
20 coming shoulder dystocia?

21 A I don't know how to answer that. I don't really  
22 know what you mean.

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1                   You mean lack of tone or no tone?

2           Q       Lack of tone.

3           A       I would think it would be easier to depress the  
4           shoulder if the baby lacked tone. I have never seen a  
5           study. That is speculative based on no scientific fact.

6           Q       When did the chorioamnionitis develop in this  
7           case?

8           A       I'm not sure when it began.

9           Q       When did it present itself?

10          A       It presented itself when the mother had the  
11          tachycardia.

12          Q       When was that?

13          A       We read that when I was talking about the  
14          tracings, I think it was 10:30 if I remember correctly. I  
15          am looking at my notes. I think about 10:00 she  
16          demonstrated a fetal tachycardia and by 12:40 she was  
17          febrile and that sort of confirms the diagnosis. When it  
18          actually began, I can't tell you.

19          Q       Do you have any opinion whatsoever how long it  
20          took for the presence of the chorioamnionitis to exhibit  
21          itself in a maternal response?

22          A       There are so many variables there.

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 Q Was it most likely hours, days, weeks?

2 A It is usually hours. We deal with that all the  
3 time that people prematurely rupture membranes and  
4 observing signs of chorioamnionitis, uterine irritability,  
5 maternal tachycardia, fetal tachycardia, maternal fever,  
6 white count; and if any one goes up we start thinking about  
7 emptying the uterus promptly and that means usually within  
8 12 hours of the time we make the diagnosis.

9 Q What is asphyxia?

10 A Asphyxia is a definition that people interpret a  
11 little differently. Asphyxia to me means low oxygen and  
12 significant acidosis; whereas hypoxia means low oxygen and  
13 increasing acidosis but not pathological acidosis. All  
14 babies in labor suffer from a little bit of hypoxia because  
15 we know that pH drops even in a normal labor.

16 Q What is the normal range of a low pH for a  
17 normal delivery and labor?

18 A Well, we look at above 7.25 is perfectly normal.  
19 Abnormal is 7.20 and then there is controversy on what we  
20 call between 7 and 7.20. And certainly below 7 we consider  
21 that a catastrophically low pH. Whereas most babies in the  
22 7 to 7.20 range survive and it really depends how long they

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 sustained the acidosis what damage there is. For example,  
2 if it is an acute event, pH below 7.0, they are  
3 resuscitated quickly, they are perfectly well because it  
4 was really a short, acute event.

5 Q What is the expected result to resuscitation for  
6 a child who is delivered after five minutes of shoulder  
7 dystocia?

8 A Usually they do rather well as I think I stated  
9 that earlier. Certainly if they go into the event with  
10 good reserves they should sustain without compromise  
11 whatever and be easy to resuscitate.

12 Q I would like you to assume just for purposes of  
13 my question that the tracings which you have identified as  
14 showing a diminishing of reserves in fact show just the  
15 opposite, they show normal reserves. All right? If that  
16 is true, then is this child's response to resuscitation  
17 abnormal?

18 A It is still abnormal and it could be again  
19 because of the infectious process. Again that is a very  
20 stressful event. As I said, most babies respond nicely  
21 that are septic.

22 Q Do you have an opinion as to how much of

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 Zachary's brain injury occurred during the shoulder  
2 dystocia versus the resuscitation period?

3 A That is impossible to quantify.

4 Q When could Zachary have been delivered without  
5 permanent brain injury?

6 A Based on these tracings up until the time that  
7 those tracings end.

8 Q And then probably sometime thereafter, correct?

9 A Correct, depending on how long the tracing was  
10 normal, not normal but how long variability persisted.

11 Q Is there an expected response on fetal monitor  
12 strips to a term infant with sepsis?

13 A Other than seeing tachycardia, that is one of  
14 the responses. And sometimes if the baby is really ill  
15 in utero you will see the fetal tachycardia and increased  
16 variability and those are the babies that you really worry  
17 about and that would prompt me to cut the time down leaving  
18 the baby in utero. If I couldn't assess fetal well-being  
19 in that particular baby then get the baby out promptly.

20 Q Does a septic term fetus always show those kinds  
21 of responses on the fetal monitor?

22 A In medicine nothing is 100 percent.

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1           Q     What percentage of septic fetuses at term show  
2     that type of response?

3           A     Most have normal tracings, albeit mild  
4     tachycardia, but that is with maternal fever but without  
5     maternal fever based on the in utero stress of infection.  
6     It is without variability that it becomes worrisome.

7           Q     Is there a difference between post-date and  
8     post-mature?

9           A     Yes, there is.

10          Q     What is the difference?

11          A     Post-date means that the mother is greater than  
12     42 weeks. Post-mature means that the baby is beginning to  
13     show signs of dysmaturity from a failing placenta. It  
14     could be loss of subcutaneous fat tissue. It may be a loss  
15     of weight, it may be peeling skin. It may be placental  
16     insufficiency. Those are all the signs of a post-mature  
17     baby.

18          Q     Was this baby post-mature?

19          A     There was no evidence that it had a  
20     post-maturity syndrome.

21                 MR. SEIBEL: Those are all the questions I have  
22     at this time.

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 EXAMINATION BY COUNSEL FOR THE DEFENDANT MARYMOUNT

2 HOSPITAL:

3 BY MR. ALBERT:

4 Q Doctor, I represent the hospital.

5 In your report in the first paragraph you recite  
6 certain things that you have reviewed. And then you today  
7 indicated that you have reviewed some additional materials?

8 A Yes, sir.

9 Q Is there anything that you have reviewed other  
10 than that which you recited in your report, paragraph one,  
11 and that which you outlined when you were being examined  
12 earlier, have you reviewed anything other than those  
13 things?

14 A No, other than looking at literature of the  
15 time.

16 Q What literature did you look at?

17 A I looked at shoulder dystocia. I was really  
18 looking for somebody to come up with why kids that have  
19 impacted shoulders decompensate or become acidotic and I  
20 have yet to find that. I mean everybody agrees with it.  
21 Dierker said yes, I would expect it. We all expect it. I  
22 have seen three babies die in my residency when I was in

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 training who had shoulder dystocia and can I tell you what  
2 happens during that or what gets impinged? I'm not sure.

3 Q What literature specifically did you review?

4 A There is a review article on shoulder dystocia  
5 in the clinics, in Clinical OB/GYN, it is a yellow book  
6 that is produced and it talks about McRoberts.

7 I looked at Creasy and Resnick. I looked at  
8 Williams Obstetrics. Those are the main things on shoulder  
9 dystocia that I looked at.

10 Q How many occasions have you had to manage a  
11 shoulder dystocia?

12 A Over my career? Depends on how you define it.  
13 I probably have had maybe five or ten very significant  
14 shoulder dystocias and maybe 50 mild shoulder dystocias,  
15 certainly with the residents. As a matter of fact only a  
16 nonsevere shoulder dystocia. I happened to be on the labor  
17 floor and used the McRoberts. It's amazing how the kids  
18 fall out.

19 Q How do you define severe to mild to moderate?

20 A Nobody has ever done that.

21 Q You are using the term.

22 A You sweat more with severe, you are sure you're

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 going to have an injury and you worry. Where the other,  
2 apply pubic pressure, decompress the shoulders and that's  
3 the end of it. If you start using maneuvers beyond  
4 superpubic you are probably faced with very significant  
5 shoulder dystocia.

6 Q How did you make the diagnosis?

7 A You make the diagnosis on the delivery of the  
8 head. And what happens, the fetal head literally tries to  
9 suck back up into the vagina and presses tightly against  
10 the mother's perineum and it's frightening. You suspect a  
11 macrosomic baby and suddenly you're looking at a large head  
12 and you know the shoulders are even larger than that.

13 Q How do you have to be positioned in order to  
14 make the diagnosis?

15 A I don't understand that question.

16 Q What position do you have to be in to make the  
17 diagnosis?

18 A I can make it across the room by watching what  
19 is going on.

20 Q Do you have to have visualization of the head in  
21 the outlet?

22 A The baby is already out. You don't diagnosis

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 shoulder dystocia until the head is out.

2 Q I see.

3 A And it is a frightening feeling as you deliver  
4 the head and it just sucks sort of back up and gets sort of  
5 trapped at the outlet of the vagina.

6 Q You have outlined criticisms of Doctor  
7 El-Mallawany, do you have any criticisms that you suggest  
8 are deviations from accepted standards of care of any other  
9 health care providers in the delivery of this child?

10 A Only that the nursing staff did not alert the  
11 doctor about the fetal distress. Because labor management  
12 is today a team effort and often the nurses are reading the  
13 monitor, the physician is reading the monitor or the  
14 physician depends totally on nursing reading the monitor,  
15 residents may read the monitor. And whoever sees the fetal  
16 distress has to alert somebody else I am finding fetal  
17 distress, come look.

18 Q Where were you referring to the fact that the  
19 nurse also failed to alert the physician of a fetal  
20 distress?

21 A I don't see a description of late decelerations.

22 Q At what point?

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1           A     At any point.

2           Q     The time period therefore I assume you are  
3 talking about is from when the late decelerations first  
4 appeared?

5           A     Correct.

6           Q     Let me then clarify a few things with respect to  
7 that. It is intermittent late decelerations that you were  
8 referring to in your testimony earlier today?

9           A     These were considered fetal distress because it  
10 is greater than 50 percent of the contractions.

11          Q     Well, I will restate the question again.

12                Was it intermittent late decelerations that you  
13 were referring to?

14          A     If they don't occur with every contraction.  
15 They were nearly, they were with most but not every so by  
16 the definition you are using it has to be intermittent  
17 since there is an occasional contraction not associated  
18 with a late.

19          Q     So you would describe these late decelerations  
20 as intermittent?

21          A     No, I did not describe them. I used your  
22 definition.

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1           Q     I am trying to ask you if you are describing  
2 them as intermittent.

3           A     These are persistent lates.

4           Q     You are aware in your report that you talk about  
5 intermittent late decelerations?

6           A     I am aware of that.

7           Q     Is that in error?

8           A     It is not in error. It depends on how you  
9 define intermittent. If it is greater than 50 percent I  
10 believe I state two-thirds of the contractions are  
11 associated with late. Then by definition, that is an  
12 ominous tracing.

13          Q     Now, you have indicated that a physician has the  
14 right to observe the patient for 30 minutes from the onset  
15 of these late decelerations before making a decision?

16          A     For Cesarean section yes.

17          Q     Is that correct?

18          A     Depending on what is showing. If there is good  
19 variability then you have the option of watching her for 30  
20 minutes. Obviously doing certain maneuvers; stopping  
21 Pitocin, which is a nursing obligation; starting oxygen,  
22 which is nursing obligation; putting on the left side,

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 which is a nursing obligation.

2 Q Well, so I am clear, would those things be done  
3 during that 30 minute term?

4 A Early, probably 10 minutes being sure that this  
5 was a developing pattern.

6 Q Now factually speaking are you aware that Doctor  
7 El-Mallawany was at the patient's side by about 12:50 or  
8 12:55?

9 A I don't have the exact time.

10 Q The record says that.

11 A I will take that as a given.

12 Q I guess what I am trying to understand, do you  
13 believe that this criticism that you have of the nursing  
14 staff proximately caused any injury to the child?

15 A Yes, I believe that is where the reserves became  
16 depleted to help the baby to sustain any delivery trauma.  
17 Because the reserves diminished is why he was difficult to  
18 resuscitate.

19 Q You are saying that at 12:20 the nurses should  
20 have done something or a nurse should have done something?

21 A 12:20 is when this event began. So probably  
22 about 12:30 was time to start your treatment plan.

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1           Q     What should the nurse have done without a  
2 physician's order?

3           A     Stopped the Pitocin.

4           Q     Without a physician's order?

5           A     Absolutely.

6           Q     What else?

7           A     Start oxygen.

8           Q     What else?

9           A     Put the patient in the left lateral recumbent  
10 position.

11          Q     All of which should have occurred without a  
12 physician's order?

13          A     Absolutely.

14          Q     And what would you have expected would have  
15 happened?

16          A     Also notify the physician, we have a potential  
17 fetal distress, please get here as soon as possible.

18          Q     Now, at 12:50 the physician is there in this  
19 case, correct?

20          A     Correct.

21          Q     Were any of those steps taken thereafter?

22          A     I didn't see them taken at any time.

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1           Q     Now, let me explore with you if I may your  
2 understanding of the nurse's responsibility.

3                     What in your history has given you the  
4 information to indicate that the nurses should have done  
5 those things at 12:30?

6           A     Well, in basically any hospital, this is  
7 standard nursing obligation that when there is fetal  
8 distress you do the following things that I have mentioned,  
9 notify the physician, turn on left side, et cetera,  
10 et cetera, et cetera.

11                     If the physician does not respond appropriately,  
12 then you have to notify your nursing supervisor to get  
13 help. And you get a second opinion or you call the head of  
14 the department, but you get somebody else to help.

15           Q     Do you expect that the nurse who was there  
16 should have been able to interpret the fetal monitor strip  
17 in the same way you were able to interpret it?

18           A     I think a nurse must be able to recognize late  
19 decelerations.

20           Q     And should she have been able to read that strip  
21 the way you read the strip?

22           A     I think first of all there was fetal tachycardia

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 long before. So she should have been alerted to problems  
2 brewing and at that point she probably should have told a  
3 physician to come in because it is an obvious problem and  
4 that wasn't done.

5 Q How do you know that?

6 A Only because the physician wasn't in. In other  
7 words, if the physician doesn't come in, then you got to  
8 notify your nursing supervisor that the physician is not  
9 responding to an emergency call.

10 Q Well, should the doctor have come in before 10  
11 to 1:00?

12 A Of course.

13 Q What time?

14 A Meconium was noted, fetal tachycardia, that is  
15 when you are alerted, probability of a post-date patient.  
16 He has to be there. Certainly in many hospitals if you  
17 hang up Pitocin there are rules and regs that the doctor  
18 must be there. I have practiced in hospitals where they  
19 have the rule about other physicians being available  
20 supposedly to bail you out if there is some major league  
21 event that occurs.

22 Q Are you suggesting then that the doctor at the

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 first signs of those things you indicated, the meconium and  
2 the other things outlined, should have been continuously  
3 with the patient until delivery?

4 A Or been aboard on the labor floor.

5 Q Not left the hospital floor until the child was  
6 delivered?

7 A That's correct.

8 Q You are aware that Doctor El-Mallawany was in at  
9 9:00?

10 A I'm not sure exactly what time.

11 Q You are aware that he was contacted and given  
12 information at 10:30?

13 A I believe so.

14 Q Are you aware that he was contacted again at  
15 11:30?

16 A I believe there was some indication of that,  
17 yes.

18 Q Do you believe that the nursing personnel who  
19 were involved in the care during that time period deviated  
20 from any accepted standard of care with respect to the  
21 communication with the physician?

22 A I don't see where they recognized fetal distress

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 and communicated that to the physician.

2 Q Well, if the doctor was there and didn't examine  
3 are you suggesting that the nurses had an obligation to  
4 communicate that?

5 A Of course, that happens all the time.

6 Q And your position is that if the doctor did not  
7 take certain steps, that the nurse should have contacted  
8 the nursing supervisor, that was the next step?

9 A That's correct.

10 Q Has that ever happened to you?

11 A Not to me but I have had nurses call me in the  
12 middle of the night in private practice and present me a  
13 scenario and I gave instructions and at the end of the  
14 instructions she said uh-huh, we need you in there. And I  
15 got my tush out of bed and in I went. And there are  
16 certain things they would say to me, one thing is meconium,  
17 I'm going in. Or fetal tachycardia, or there is a question  
18 of fetal distress, I'll be in.

19 If they called me and said there was fetal  
20 distress, prepare the operating room I'm coming in. The  
21 worst I will do is anger people for setting up a room that  
22 wasn't needed.

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 Q And you didn't leave the hospital until the  
2 child was born?

3 A On those occasions, of course, unless the  
4 reading was totally wrong by nursing.

5 Q I see. Now at 1:20 or thereabouts you say that  
6 the C section could still have been done?

7 A Yes, sir, because there is normal variability on  
8 the fetal monitoring strip.

9 Q And if that had occurred there would have been  
10 no injury to the child?

11 A That's correct.

12 Q Is any of the nursing personnel involved with  
13 respect to the decision on a C section?

14 A No, they are not. They can prod a physician and  
15 I have seen that done.

16 Q You are talking figuratively I assume?

17 A Yes, it has nothing to do with sexual  
18 harassment. That is the biggest thing now in all of the  
19 hospitals, what constitutes sexual harassment. Prodding is  
20 not allowed, at least with your finger, unless you charge  
21 for it.

22 Q In any event by 12:02 a decision could have been

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 made to do a C section?

2 A Yes, sir.

3 Q And that was a decision to be made exclusively  
4 by the physician?

5 A That's correct.

6 Q You would not fault the nurse for not prodding  
7 the doctor to do a C section?

8 A No, but I think the nurses should have alerted  
9 him to the fact that there is an abnormal tracing.

10 Q I understand, but the doctor, you are aware, at  
11 1:00 was there and you are aware factually speaking having  
12 reviewed Doctor El-Mallawany's testimony that he reviewed  
13 the tracing?

14 A That's correct.

15 Q So what I am trying to get at, should the nurse  
16 have done anything at 1:20 or before with respect to the  
17 C section issue that you have outlined here in order to  
18 comport with an accepted standard of care?

19 A I can't tell you that these tracings are  
20 somewhat catastrophic, that they must at that point go over  
21 his head.

22 Q Okay. So that we can agree that your criticism

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 of the nursing does not relate to the decision not to do a  
2 C section?

3 A That's right. It is my opinion that had they  
4 demonstrated to him an hour earlier that there is fetal  
5 distress in this pattern I think a reasonable physician  
6 knowing that there is meconium, knowing post-date would  
7 have opted to do a Cesarean section.

8 Q Doctor, you are aware that Doctor El-Mallawany  
9 reviewed the strips?

10 A Yes.

11 Q By 1:20?

12 A Yes, I am.

13 Q So there again just because your answer seemed  
14 to, I want to make sure that your answers are consistent,  
15 you are not suggesting that the nurse had any  
16 responsibility with respect to the decision to do a  
17 C section?

18 A Not to do a C section but to help the physician  
19 interpret the tracing correctly, yes, they had a part in  
20 that.

21 Q Are you suggesting that Doctor El-Mallawany  
22 would have done a C section if the nurse had said to the

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 doctor that these tracings in my opinion are demonstrating  
2 fetal distress?

3 A He may have, yes.

4 Q Are you suggesting that that is probable?

5 MR. MELLINO: How does he know?

6 A I can't get into your doctor's mind.

7 BY MR. ALBERT:

8 Q So fine, you have no way of knowing what the  
9 results of that communication would have been?

10 A No, I don't. I can only attest to what my  
11 behavior is. If the nurse demonstrated I don't like the  
12 tracing, come look at. If I looked and said what are you  
13 talking about?

14 This, this, this. And I have had nurses pick up  
15 things because I run a testing unit.

16 What are you talking about?

17 This and this.

18 You're right. Subtle late decelerations are  
19 there. Let's go on and test some more. Nice pick up.

20 Q Doctor, if a nurse came to you and said I think  
21 there is fetal distress here from these tracings, and you  
22 said to her looking at the same tracing, I don't agree with

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 you, would you expect that nurse in order to comport with  
2 accepted standards of care to notify her nursing  
3 supervisor?

4 A Depends on the degree of abnormality. In this  
5 case, no.

6 Q You would not?

7 A Not in this case.

8 Q So if Doctor El-Mallawany did not feel that  
9 there were abnormalities of this tracing you would not  
10 expect the nurse to notify the nursing supervisor?

11 A No, I would just expect her to be able to read  
12 these tracings and prod him to relook at the tracing.

13 Q I understand, but if the doctor didn't believe  
14 that the tracings were abnormal you wouldn't expect the  
15 nurse to go beyond that to meet the accepted standard of  
16 care?

17 A Not in this case, they are not abnormal enough.

18 Q I am just dealing with this case.

19 You have reviewed Doctor El-Mallawany's  
20 testimony?

21 A Yes, I have.

22 Q Do you have memory as to what his opinion was

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 with these tracings?

2 A I have it written down some place.

3 I didn't note what he said about the tracings.  
4 But if you refresh my memory or refer me to the page that  
5 would be fine.

6 Q I could not possibly do that, but I would like  
7 you to assume that Doctor El-Mallawany did not feel that  
8 the tracings were abnormal for purposes of my question.

9 A That's fine.

10 Q Then did you believe that the nurses in any  
11 fashion deviated from an accepted standard of care in not  
12 going to a nursing supervisor in this case?

13 A In this case they did not have to go to the  
14 nursing supervisor.

15 Q Other than talking about the doctor and the  
16 strip that we have just discussed, what other departures  
17 from the standards of care did you observe with respect to  
18 the nursing personnel?

19 A That would be because of fundal pressure.  
20 Although I am critical of it, if instructed by the  
21 physician to give fundal pressure I would expect them to do  
22 that.

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1           Q     So you have no criticisms other than the strip  
2 communication in this issue?

3           A     And the failure to stop the Pitocin and the  
4 other items.

5           Q     Well, what other items?

6           A     Oxygen, left lateral recumbent.

7           Q     Given the fact that the C section could have  
8 been done up to 1:20 or perhaps beyond, do you find that  
9 the failure to stop the Pitocin in any way contributed in  
10 and of itself to an injury to the child?

11          A     It probably did, sir.

12          Q     In what way?

13          A     In that your contractions on Pitocin are going  
14 to be stronger and produce more placental bed hypoxia.  
15 That is the reason you stop the Pitocin.

16          Q     Can you quantify how much more placental bed  
17 hypoxia occurred as a result of having Pitocin running for  
18 those 20 minutes or whatever than not?

19          A     I can't give you that.

20          Q     Without the ability to quantify it how can you  
21 determine it caused an injury?

22          A     I didn't say cause, I said contributed.

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1           Q     How can you say it even contributed? Doesn't it  
2 become a mere speculation?

3           A     We know that when you are driving the uterus  
4 with Pitocin you are going to increase pressure, you may  
5 increase uterine tone between contractions and that is why  
6 there is fetal distress. You stop Pitocin. Does it get  
7 better every time? No. Does the uterus continue  
8 contracting? Often times just as strong perhaps, but  
9 without stopping it you don't know what effect the Pitocin  
10 has. That is why the standard of care says stop the  
11 Pitocin.

12          Q     Can you say in this case that it is probable  
13 that the continuation of the Pitocin contributed to the  
14 injuries to the child?

15          A     I think it contributed to an extent. I can't  
16 quantify whether it is 3 percent, 20 percent or 50 percent.  
17 I can't give you a number.

18          Q     What type of injury would it have contributed  
19 to?

20          A     It contributes to the fetal hypoxia which then  
21 burns up your base excess.

22          Q     Was the Pitocin stopped by Doctor El-Mallawany

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 when he got to the hospital?

2 A I think it was decreased. I don't believe it  
3 was stopped. If I remember correctly I had a note that it  
4 was decreased. I didn't have a note that it was  
5 discontinued. If I am incorrect on that, I will accept  
6 that.

7 Q I would like you to accept that Doctor  
8 El-Mallawany's position is that the Pitocin should be  
9 running. Would you expect that the nurse should disregard  
10 the order of the physician?

11 A Yes; in that case, yes.

12 Q And in that incidence you believe that the nurse  
13 even with the physician there should have stopped the  
14 Pitocin?

15 A Yes.

16 Q Have you ever had a situation where nurses  
17 attempted to intervene and stop -- what would you call  
18 Pitocin, medication?

19 A Pitocin is a very potent medication.

20 Q Have you ever had an incident where a nurse has  
21 attempted to intervene and stop medication that you have  
22 ordered?

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1           A       Not that I have ordered but it happens  
2 frequently in a teaching unit where the resident will order  
3 something and the nurse says no way, I am not hanging that  
4 up. I am not giving it to the patient. You get the chief  
5 resident or call Edelberg to review this.

6           Q       In this incident we are not talking residents,  
7 we are talking about a trained and experienced  
8 obstetrician?

9           A       And talking about trained and experienced nurses  
10 on the labor floor.

11          Q       Let me ask you, given those types of people,  
12 have you had instances where a nurse stopped medication in  
13 front of the doctor that the doctor has ordered to  
14 continue?

15          A       Yes.

16          Q       You say that happens frequently?

17          A       No, it happens more frequently in a teaching  
18 hospital where you have residents giving orders. But I  
19 have seen nurses say no, I am not giving that drug, you  
20 want that drug given, you come in and give it. Most  
21 frequently when that happens it is somebody with a  
22 penicillin allergy and the doc called in over the phone,

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 cephalosporins cross react.

2 No, I am not hanging it. If I hang that up you  
3 be in here and be ready to treat the anaphylaxis. I am not  
4 hanging the drug.

5 Q Other than the stopping of Pitocin and turning  
6 the patient on her side?

7 A Left side.

8 Q Do you know whether that was done?

9 A I don't see it in the notes.

10 Q Do you know the position of the patient during  
11 that time period 1:20 to 1:00?

12 A No, I do not.

13 Q Do you know the position of the patient until  
14 taken to the delivery room?

15 A I do not.

16 Q Why is it that you believe the patient wasn't  
17 turned on her left side?

18 A They would write it down if that was a  
19 particular maneuver in response to fetal distress; oxygen  
20 started, Pitocin stopped, patient left side, physician  
21 notified, on the way. That is a standard note.

22 Q Can you quantify in any way the reduction in the

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 injury to the infant if the patient had been on the left  
2 side for 20 minutes?

3 A I cannot tell you what the reduction would be.

4 Q Can you say that it is probable that if the  
5 patient had been on the left side that there would have  
6 been no injury to the patient?

7 A I can't say that.

8 Q Can you say that it is probable that that  
9 contributed in any way, this left side maneuver?

10 A It is all a combination, it is not just left  
11 side. We have to analyze them.

12 MR. MELLINO: No, we don't.

13 MR. ALBERT: As independent things?

14 MR. MELLINO: No.

15 A Not really because it is a combination. Slow  
16 down the contractions, improve blood flow, increase oxygen.  
17 It is all part of the same thing, each has its own effect.  
18 Turning on the side may have no effect. If you put her on  
19 the left side and find things got worse, so you put them on  
20 the right side. Oxygen continues running, that doesn't get  
21 worse. Stop the Pitocin and usually the contractions slow.  
22 There are options today that people will give subcutaneous

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 Trabutalin to stop the contraction.

2 Q We're talking 1988.

3 A It was still used in '88, as early as '85 in  
4 emergency situations where we would give subcutaneous  
5 Trabutalin preparing for a crash to stop the contraction.

6 Q So that should have been done?

7 A That is not standard of care.

8 Q Let's talk about this case then.

9 In any event we would agree that if the C  
10 section had been done sometime around 1:20 or shortly  
11 thereafter there would have been no injury?

12 A That's correct.

13 Nursing also failed to bring the monitor into  
14 the delivery room too.

15 Q Are you familiar with the term tweet heart?

16 A No.

17 Q You don't know that as a type of apparatus?

18 A No, sir.

19 Q You are not familiar with that?

20 A No, I have never seen it.

21 Q Is there a type of apparatus or instrument that  
22 is used to monitor the fetal heart other than a fetal

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 monitor?

2 A Well, one can use a Doppler or Doptone.

3 Q What else?

4 A One can use a oscilloscope which is a fancy  
5 stethoscope.

6 Q What else?

7 A One could, those would be the standard ones.

8 Q Are there any other instruments that can be used  
9 to monitor the fetal heart?

10 A You could use ultrasound, most people would not.

11 Q Anything else?

12 A Not that I have seen other than some research  
13 tools where you can electronically pick up the signal, the  
14 heart signal.

15 Q What was the significance of not having the  
16 fetal monitor after 1:20 or thereabouts when it was  
17 disconnected other than for your benefit of not being able  
18 to determine the status?

19 A Other than the catastrophic fetal distress  
20 develops.

21 Q Well in this case, how does it relate to this  
22 case, what significance did that criticism have as to the

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 outcome in this case?

2 A I don't think this doctor would have done a  
3 crash Cesarean section even if there was fetal distress.

4 Q So you are not suggesting that the nurses'  
5 failure had anything to do with the outcome?

6 A No, standards of care suggest continue  
7 monitoring the patient.

8 Q Are you suggesting that any criticisms you have  
9 made of the nurses would have resulted in something  
10 different in this case in probability?

11 A Not being able to get in the doctor's mind I  
12 can't tell you.

13 Q Doctor Edelberg, you just did in the previous  
14 question, so I will invite you once again into his mind.

15 Given what you know of this case, do you think  
16 it is probable that any of criticisms that you have of the  
17 nursing care would have resulted in any different result?

18 A Only if they had recognized the fetal distress  
19 early on and pointed it out to him, then I think he may  
20 have done a Cesarean section. I can't tell you he probably  
21 would have.

22 Q If Doctor El-Mallawany says he wouldn't have

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 done anything different, would you agree that it is  
2 probable that none of the criticisms which you have made of  
3 the nurses would have contributed in any way to the  
4 injuries?

5 A I think when a doctor becomes aware that there  
6 is a sustained fetal distress, he is more apt to consider  
7 rapid delivery of the baby and had she not been fully  
8 dilated, he would not consider doing a forceps delivery and  
9 therefore would have opted for Cesarean section.

10 (The last question was read by the court  
11 reporter.)

12 MR. MELLINO: I object to the question because I  
13 don't understand the context you are talking about. Are  
14 you saying that if he testified to that in his deposition  
15 or if he says this subsequently, because neither the nurse  
16 or Doctor El-Mallawany has said that they thought there was  
17 fetal distress.

18 MR. ALBERT: I can only go on what the facts are  
19 in this case and Doctor El-Mallawany has testified under  
20 oath that he would not have done anything different.

21 MR. MELLINO: Yes, but --

22 MR. ALBERT: Let me finish the question.

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 BY MR. ALBERT:

2 Q And therefore I am asking you, sir, that with  
3 Doctor El-Mallawany's position that he would not have done  
4 anything different, even if the nurse had believed there  
5 was fetal distress and we have gone through all of that,  
6 you don't expect that the nurse should have gone to the  
7 head nurse with the type of strips that we have here, we  
8 have already covered that have we not?

9 A Yes, we have.

10 Q We don't want to revisit that?

11 A Okay.

12 Q I am asking you to accept what Doctor  
13 El-Mallawany has said. That he didn't believe there was  
14 fetal distress, he reviewed this matter, he looked at it at  
15 the time and he didn't believe it.

16 Do you believe that any of the criticisms that  
17 you have of the nurse in this case, it was a nurse,  
18 contributed in any way to the injuries?

19 MR. MELLINO: I am going to object again because  
20 what you are saying and he is saying are two different  
21 things.

22 He is saying that the nurse should have told

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 Doctor El-Mallawany she felt there was fetal distress, the  
2 nurse didn't do that.

3 MR. ALBERT: I understand that.

4 MR. MELLINO: It's not in the same context.

5 MR. ALBERT: You made the objection.

6 A I listened to the question and I feel I answered  
7 it the first time correctly and I stand by that answer.

8 BY MR. ALBERT:

9 Q Now, let's get back to the, I will have to do  
10 this again.

11 You believed the nurse should have pointed out  
12 to the doctor her belief that there was fetal distress?

13 A That's correct.

14 Q And that was based on the strips?

15 A That's correct.

16 Q But you do not believe that if Doctor  
17 El-Mallawany thought that there was no fetal distress that  
18 the nurse should have gone to the head nurse, the next  
19 step?

20 A That's correct.

21 Q Now, I want you to assume for purposes of my  
22 question, that Doctor El-Mallawany did not believe there

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 was fetal distress at the time, okay?

2 A Okay.

3 Q Whether he was talking to you or anybody else,  
4 he didn't believe there was fetal distress period. Okay,  
5 no matter who was talking to him.

6 Now, under those circumstances do you believe  
7 that the nursing care which you have criticized contributed  
8 in any way to the injuries which this young man suffered?

9 MR. MELLINO: Objection.

10 A I stand by my first answer. In other words, had  
11 Doctor El-Mallawany been told by nursing that the nurses  
12 considered this fetal distress for the following reasons,  
13 and they had responded by stopping the Pitocin, starting  
14 oxygen, putting her on the left side, the patient was not  
15 fully dilated, I think if Doctor El-Mallawany was made  
16 aware of all of that, as a prudent physician, with a baby  
17 with known meconium and infection would have opted for a  
18 prompt Cesarean section. One could argue that in second  
19 stage if there is fetal distress should one attempt to do a  
20 forceps delivery, I say not a difficult one ever, ever.

21 Q Let me understand you correctly, you are  
22 suggesting that the nurses, or the nurse in this case

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 should have gone to Doctor El-Mallawany and said, this baby  
2 is in fetal distress and Doctor El-Mallawany should have  
3 agreed with the nurse?

4 A I think he would have.

5 Q You think he would have?

6 A Yes, I do.

7 Q Now, I am asking you, you understand the word  
8 hypothetical, I am asking you hypothetically if he did not,  
9 just on a hypothetical.

10 MR. MELLINO: How can you ask hypothetically  
11 since he was never told this?

12 BY MR. ALBERT:

13 Q We will find out what Doctor El-Mallawany will  
14 have to say.

15 What I want to know from you, Doctor, assuming  
16 all you just outlined and he did not believe that there was  
17 fetal distress, do you, sir, believe, that the criticisms  
18 that you have made of the nursing care contributed in any  
19 way to the injury?

20 A I think if he were told by nursing that they  
21 read this as fetal distress, and he misread the tracings by  
22 not considering it fetal distress, I would not consider

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 that a violation in terms of nursing going above him to go  
2 to the supervisor because there is variability. That  
3 wouldn't necessitate the continuation of that monitor until  
4 the baby is out.

5 Q We have agreed that you don't believe past that  
6 point that Doctor El-Mallawany was going to do a crash C  
7 section in any event, you said that haven't you?

8 A Because if he was going to do a difficult  
9 mid-forceps later on he probably would have done one  
10 earlier in full dilation for fetal distress.

11 Q I understand that, but you are not suggesting in  
12 your answer that the failure to keep the monitor strip on  
13 had any significance with respect to the injuries?

14 A No.

15 Q When you were in Cleveland were you familiar  
16 with Marymount hospital?

17 A I really don't know exactly where it is.

18 Q Therefore, should I conclude you therefore had  
19 never been to the hospital?

20 A I don't know if I have.

21 MR. SEIBEL: It might not be very memorable.

22 A I don't remember ever going to the hospital. I

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 don't know if I had a defense case involving it. I have  
2 been on the plaintiff's side.

3 Q Against Marymount?

4 A I believe so.

5 Q Can you give me the name of the patient?

6 A I can't remember.

7 Q You are not suggesting that with absolute  
8 certainty, you have vague recollection of testifying  
9 against Marymount Hospital?

10 A Correct.

11 Q And you do not remember the patient's name?

12 A No.

13 Q Year?

14 A No.

15 Q Do you remember anything about it?

16 A No, I don't even know where the hospital is  
17 located. I have a feeling it is on the east side, but I'm  
18 not sure.

19 Q If I were suggest to you that it is on the south  
20 side does that assist you in refreshing your recollection?

21 A Then I don't know where the hospital is.

22 Q And you would agree that you may not even have

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 had a case against the hospital?

2 A That's correct.

3 Q Are there any other documents which you  
4 requested from Mr. Kampinski's office to review that you  
5 have not been provided?

6 A No, there are not.

7 Q Are there any other documents that you feel  
8 would be important in formulating your opinions?

9 A None at all.

10 Q I therefore conclude that the documents which  
11 you reviewed are the only documents that you feel are  
12 pertinent to the opinions which you hold?

13 A That's correct. I may be asked to review a  
14 deposition prior to trial. I don't know.

15 Q From what I am gathering, you don't feel any  
16 other depositions would be important for you to see in  
17 terms of formulating your opinions; is that correct?

18 A That's correct. But some attorneys feel very  
19 differently.

20 Q So although Mr. Kampinski or Mr. Mellino or his  
21 office may feel differently, you don't feel the necessity?

22 A No, he is not a crate lawyer.

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 Q Crate being work?

2 A You don't send crates of materials.

3 Q You know that from your previous experience in  
4 dealing with Mr. Mellino and Mr. Kampinski?

5 A That's correct. Their charts tend to be lean.

6 Q Do you know whether that has anything to do with  
7 your hourly rate or not?

8 A No, I think each attorney behaves differently.

9 Q Do you have any criticisms of any other health  
10 care providers in this case?

11 A No, I do not.

12 Q And you have had an ample opportunity to review  
13 the entire record?

14 A Yes, sir.

15 MR. ALBERT: I have no further questions

16 EXAMINATION BY COUNSEL FOR THE DEFENDANT

17 EL-MALLAWANY, ABRAMS AND BROWN:

18 BY MR. SEIBEL:

19 Q Is there a consensus among obstetricians and  
20 gynecologists that shoulder dystocia does produce some  
21 depression of the infant after birth?

22 A If it is prolonged, yes.

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 Q How do you define prolonged?

2 A I think probably greater than a minute. There  
3 is no absolute definition in the literature.

4 Q At least one of the reasons why there was a  
5 difficult resuscitation in this case is because of the  
6 presence of E. coli sepsis?

7 A That is one of the contributing factors.

8 Q Through my questions and through Mr. Albert's  
9 questions have you been able to state all of your opinions  
10 in this case and discuss them fully, the basis for those  
11 opinions?

12 A I think we have.

13 MR. SEIBEL: I have nothing further.

14 (Off record discussion.)

15 MR. SEIBEL: Just for the record, we are going  
16 to give to the court reporter copies of the fetal monitor  
17 strips which I asked you to circle the late decelerations  
18 beginning at 12:20 and continuing to the end of the strip.  
19 Okay, Doctor? Is that in agreement among everybody? And  
20 the court reporter is going to copy these, attach copies to  
21 our copies of the deposition and return the original to  
22 Doctor Edelberg.

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

1 I am also giving to the court reporter a copy to  
2 attach to the deposition of your handwritten notes that you  
3 have made, Doctor Edelberg, through your review.

4 Would you for the record identify what those  
5 are? I think you are fairly legible, but tell what they  
6 are and what they are notes from?

7 A We have notes from Doctor El-Mallawany  
8 deposition, two pages. I have a single page of notes with  
9 no number on it. And then I have notes on the medical  
10 records, and I believe they are numbered one through five.  
11 And then I have a summary sheet, and then I have some  
12 information on the pediatric records.

13 BY MR. SEIBEL:

14 Q One final thing, the only deposition that I saw  
15 in your records was the deposition of Doctor El-Mallawany?

16 A That's all I have seen, I believe.

17 MR. SEIBEL: I have nothing further.

18 (Signature having not been waived, the  
19 deposition was concluded at 5:50.)  
20  
21  
22

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

ACKNOWLEDGMENT OF DEPONENT

I, STUART D. EDELBERG, M. D., do hereby  
acknowledge that I have read and examined the foregoing  
testimony, and the same is a true, correct and complete  
transcription of the testimony given by me, and any  
corrections appear on the attached Errata sheet signed by  
me.

\_\_\_\_\_  
(DATE)

\_\_\_\_\_  
(SIGNATURE)

DEPOSITION OF STUART C. EDELBERG, M. D.  
CONDUCTED ON FRIDAY, DECEMBER 4, 1992

CERTIFICATE OF SHORTHAND REPORTER - NOTARY PUBLIC

I, Barbara Massengill, Registered Professional Reporter, the officer before whom the foregoing proceedings were taken, do hereby certify that the witness whose testimony appears in the foregoing transcript was duly sworn by me; that the testimony of said witness was taken by me in stenotype and thereafter reduced to typewriting under my supervision; that said transcript is a true record of the testimony given by said witness; that I am neither counsel for, related to, nor employed by any of the parties to the action in which this deposition was taken; and further, that I am not a relative or employee of any attorney or counsel employed by the parties thereto, nor financially or otherwise interested in the outcome of the action.

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my notarial seal this 18th day of December 1992.  
My Commission expires: November 1, 1993

  
NOTARY PUBLIC IN AND FOR  
THE STATE OF MARYLAND