

IN THE COURT OF COMMON PLEAS

CUYAHOGA COUNTY, OHIO

JANET L. PORACH, Administratrix
of the Estate of John G. Porach, Jr.,

Plaintiff,

-vs-

LORENZO S. LALLI, M.D.,

Defendant.

FILED
MAR 27 1998

GERALD J. GERST
CLERK OF COURTS
CUYAHOGA COUNTY, OHIO

JUDGE CALABRESE
CASE NO. 316045



Deposition of DAVID EFFRON, M.D., taken as if
upon cross-examination before Margaret Morrow, a
Registered Merit Reporter and Notary Public
within and for the State of Ohio, at the offices
of MetroHealth Medical Center, 2500 MetroHealth
Drive, Cleveland, Ohio, at 4:00 p.m. on Friday,
October 31, 1997, pursuant to notice and/or
stipulations of counsel, on behalf of the
Defendant in this cause.

MEHLER & HAGESTROM
Court Reporters
1750 Midland Building
Cleveland, Ohio 44115
216.621.4984
FAX 621.0050
800.822.0650

SCANNED
5/19/02

1 APPEARANCES:

2 Howard D. Mishkind, Esq.
3 Becker & Mishkind
4 660 Skylight Office Tower
 Cleveland, Ohio 44113
 (216) 241-2600,

5 On behalf of the Plaintiff;

6
7 Ronald Rispo, Esq.
8 Weston, Hurd, Fallon, Paisley & Howley
 2500 Terminal Tower
 Cleveland, Ohio 44113
9 (216) 241-6602,

10 On behalf of the Defendant.

1 DAVID EFFRON, M.D., of lawful age, called by
2 the Defendant for the purpose of
3 cross-examination, as provided by the Rules of
4 Civil Procedure, being by me first duly sworn,
5 as hereinafter certified, deposed and said as
6 follows:

7 CROSS-EXAMINATION OF DAVID EFFRON, M.D.

8 BY MR. RISPO:

9 Q. Good afternoon, doctor. My name is Ron Rispo.
10 I represent Dr. Lorenzo Lalli in this case and I
11 have a number of questions for you this
12 afternoon.

13 My objective is to get information. I'd
14 rather not confuse the record, so if my question
15 is not clear, please have it repeated, restated
16 or clarified, whatever, so that you do
17 understand. When you answer, we can assume that
18 your answer is in response to the question as
19 put, okay?

20 A. Okay.

21 Q. I have seen your report and in the course of
22 your report you digested 11 materials that were
23 provided to you prior to your writing your
24 report. My question is have you reviewed any
25 additional materials since that time in May of

1 '97?

2 A. Yes.

3 Q. What were they?

4 A. I have to look through my files here, but I
5 believe they were the depositions of Dr. Botti,
6 B-o-t-t-i, cardiologist, also the deposition of
7 Dr. Selwyn, S-e-l-w-y-n, a report from Dr. Barry
8 A. Effron, and I just recently this afternoon
9 saw but have not read a deposition of Dr. Robert
10 Haufman.

11 Q. Okay.

12 A. I believe that's the extent of what I've
13 received since that time.

14 Q. Okay.

15 MR. MISHKIND: I think you do have
16 a couple of other reports.

17 THE WITNESS: Okay.

18 MR. MISHKIND: The expert report of
19 Dr. Janiak is there. That's not referenced
20 in the report.

21 THE WITNESS: I'm sorry.

22 MR. MISHKIND: And Dr. Culley's
23 report.

24 A. Sorry. That's correct.

25 Q. Okay.

1 MR. MISHKIND: I'm sorry. Mary
2 Nerry's deposition as well.

3 Q. That pretty well brings you up to date as far as
4 I can tell. You're not missing anything.

5 MR. MISHKIND: I think so.

6 Q. Okay. With particular reference to the
7 transcripts of Dr. Botti and Selwyn, I'd like to
8 ask you if you agree generally with what they
9 had to say or whether there were any particulars
10 with which you disagreed?

11 MR. MISHKIND: Before you answer
12 that, let me just show an objection because
13 --

14 MR. RISPO: It's very general.

15 MR. MISHKIND: Not only is it
16 general as to one deposition, but you've
17 combined in that general question two
18 deposition transcriptions which are
19 hundreds of pages. So certainly he can
20 answer the question if he can think of
21 something that he agrees or disagrees
22 with. I forgot what your question was
23 anyway.

24 MR. RISPO: Okay. I wanted to
25 determine to what extent he agreed or

1 disagreed with the conclusions of either of
2 the previous physicians who have testified
3 to the standard of care.

4 MR. MISHKIND: With my objection,
5 go ahead, doctor.

6 A. Without going into specific questions, I believe
7 in general I agree with both physicians.

8 Q. Okay. That will shorten matters I think quite a
9 bit, but I'd like to review some of the points
10 that were made to make sure that we're on the
11 same page before we go into any further
12 details.

13 Do you agree that based upon the history
14 and documents available that the probability is
15 that the patient John Porach's myocardial
16 infarction occurred with the onset of symptoms
17 at 5:00 or 5:30 in the morning October 14th,
18 '94?

19 A. Based on the information that I had available, I
20 would say that his cardiac event happened
21 sometime earlier that morning, yes.

22 Q. Okay. And do you agree that based on the EKG
23 studies that there was no evidence of a second
24 MI between the first onset of symptoms and the
25 time when the second EKG was done?

1 A. I'm not aware that there was a second EKG.

2 Q. I misspoke. That there was not a second MI
3 between the onset of symptoms at 5:00 in the
4 morning and the first EKG that was done at we
5 believe 1539.

6 MR. MISHKIND: 1539 would be 3 --

7 MR. RISPO: 1739.

8 MR. MISHKIND: Right.

9 A. 1739.

10 Q. Okay. 1739.

11 A. Since there's no EKG, I can't -- from the EKG I
12 can't tell whether there was a second myocardial
13 infarction or not.

14 Q. Okay. The EKG does not show evidence of a
15 recent MI, does it not?

16 MR. MISHKIND: Objection.

17 A. I disagree with that.

18 Q. By that I mean recent within the previous two or
19 three hours.

20 A. The EKG itself shows abnormal ST segment
21 elevation.

22 Q. Okay. Right. But the EKG readings are more
23 consistent with an MI which occurred more than a
24 few hours before the study was taken?

25 A. I can't answer that. I have no idea.

1 Q. Okay. Can you --

2 A. Based on the EKG itself, I cannot tell.

3 Q. Why is that?

4 A. Because the EKG helps in making the diagnosis.
5 It doesn't necessarily tell you at what period
6 of time an infarction, if it occurred, happened.

7 Q. An EKG will tell you whether an MI is in
8 progress, right?

9 A. It can be used to tell such an event, yes.

10 Q. It can also tell you whether an MI occurred
11 sometime in the past upwards of days or weeks
12 before the EKG was performed?

13 A. If I have an old EKG to compare it to, it may
14 help you with that.

15 Q. Okay. I guess my questions are directed to a
16 typical presentation as opposed to an atypical
17 presentation. My question is whether based on
18 the EKG study it is more likely that the MI
19 occurred many hours before the study?

20 A. I would have to defer to a cardiologist on
21 that. I cannot answer that. I don't know.

22 Q. Okay. Well, if Dr. Botti or Dr. Selwyn have
23 testified previously that they are satisfied
24 that that EKG as of 1739 does not suggest an MI
25 at 3:30 in the afternoon or 1530, would you

1 agree with them or would you have any basis to
2 disagree?

3 A. I don't have any basis to disagree with that.

4 Q. Okay. Have you looked at the pathology studies
5 by Dr. Haufman or his report?

6 A. I have seen a couple brief excerpts from it, but
7 I have not read the entire report.

8 Q. Okay.

9 MR. MISHKIND: You mean the
10 deposition.

11 A. I'm sorry, the deposition.

12 Q. Okay. Do you concur with Dr. Haufman's stated
13 conclusion that the MI occurred within a few
14 hours, quote, unquote, of the day of death, time
15 of death?

16 A. I have to go back and look at his records here.

17 Q. He has a report.

18 MR. MISHKIND: I think the doctor
19 has the report, but what he was
20 referencing, just so that we're on the same
21 page, he was just referencing the
22 deposition which he just reviewed.
23 Actually, I just got it yesterday and I
24 brought out a copy with me today, which was
25 the first time he had a chance to look at

1 it.

2 Q. If you have his report, you could refer to
3 that.

4 A. I don't seem to have it here.

5 MR. MISHKIND: I think I have an
6 extra copy. Maybe I don't.

7 Q. I think I left mine behind as well. That's one
8 of the few things I didn't lug out of the
9 office.

10 MR. MISHKIND: I can show him the
11 reference.

12 MR. RISPO: It's the end of a
13 paragraph.

14 MR. MISHKIND: I'll read it for the
15 record. There is no evidence of
16 fibrovascular organization of the thrombos,
17 indicating that the lesion cannot be more
18 than a few hours old. Your question, Ron,
19 is whether he agrees with that.

20 Q. Yes.

21 A. If a pathologist says that, I'll have to go
22 along with that. I have no reason to doubt it
23 or question it.

24 Q. As an emergency room physician, what do you
25 understand those terms to mean, within a few

1 hours of the death, I think is what it said?

2 MR. MISHKIND: Let me just show an
3 objection only because Dr. Haufman has
4 already testified as to what he meant and
5 for Dr. Effron to testify as to his opinion
6 of what a few hours means versus the doctor
7 that made the statement, I don't think
8 that's terribly relevant, but he can still
9 answer the question.

10 MR. RISPO: I understand.

11 A. I'm not sure what somebody means when they say
12 several hours. My understanding is that it
13 takes between six and twelve hours to have
14 evidence of -- six to twelve hours of myocardia
15 ischemia before you have histological changes
16 consistent with an infarction.

17 Q. Okay. On the second page of that report, again,
18 towards the end of that last paragraph, he
19 describes it again a little differently, but
20 perhaps if we can read that last sentence.

21 MR. MISHKIND: The changes in the
22 myocardium and the freshness of the
23 arterial thrombus indicate that the fatal
24 lesions occurred just hours before death.
25 There does not appear to be any evidence of

1 remote infarct.

2 Q. Okay. Now, based upon those additional comments
3 by Dr. Haufman, does that help you to interpret
4 what he meant when he said a few hours?

5 MR. MISHKIND: Same objection, but
6 go ahead and answer.

7 A. No, it doesn't. I don't know what he means by a
8 few hours. Is it one? Is it seven? I don't
9 know what he means by that.

10 Q. Okay. You wouldn't interpret that to mean a
11 couple as two or three hours?

12 MR. MISHKIND: Objection. Go
13 ahead.

14 A. I don't know. I don't know what he meant. It
15 could mean that -- I don't know what he was
16 specifically referring to.

17 Q. Okay. A moment ago you said something to the
18 effect that it takes six to eight hours for the
19 infarct to organize.

20 A. No. What I said was from my recollection it
21 takes between six and twelve hours of myocardial
22 ischemia before you would find histological
23 changes in a specimen consistent with an
24 infarction.

25 Q. Would that lead you to conclude that the changes

1 he found could have actually commenced at 5:00
2 in the morning, 12 hours before death or 13
3 hours before death?

4 A. I think that's very reasonable.

5 Q. Okay. Based upon your review of the case, do
6 you have an opinion as to whether it is possible
7 that John Porach had a second MI after the EKG
8 strip was done?

9 MR. MISHKIND: Just show an
10 objection as to the form of the question in
11 terms of possible, but go ahead and
12 answer.

13 A. Based on what I've read and also the gross
14 autopsy report, I don't believe there was any
15 evidence to indicate that he had a second
16 myocardial infarction.

17 Q. What is your interpretation then of what
18 occurred during the period of 1730 just before
19 the EKG was done and the time of death?

20 A. I'm sorry. Are you asking me what I think
21 physiologically happened that caused him to
22 die?

23 Q. Yes. I'm not asking you what happened in the
24 office. I'm just asking medically speaking and
25 physiologically what occurred to cause the death

1 of John Porach?

2 A. I believe that he died of an arrhythmia which was
3 most likely ventricular fibrillation.

4 Q. When do you believe that that arrhythmia
5 commenced?

6 A. At the time that he collapsed in the bathroom.

7 Q. Sometime after the EKG was done?

8 A. Correct.

9 Q. And in the doctor's office?

10 A. That's correct. People, if they have
11 ventricular fibrillation, he would not be
12 standing and talking.

13 Q. Okay. Now then, I'd like to ask you about
14 emergency room medicine here. In October, 1994,
15 what was the protocol for the use and
16 administration of thrombolytic agents?

17 A. I believe most emergency physicians and
18 emergency departments in the country would have
19 been certainly exposed to them. I don't
20 remember the exact year that it became the
21 standard of care, if you would, to administer
22 thrombolytics, but it certainly would be
23 somewhere around that time if not earlier to it.

24 Q. Assuming that it was the standard of care to
25 administer thrombolytics, what were the criteria

1 for administration in any given patient?

2 A. Time would be a criteria within I believe four
3 to six hours. Those criteria are continually
4 changing now, but I believe presentation of
5 symptoms within four to six hours of the event
6 -- or I'm sorry, within four to six hours of
7 coming to the emergency department. There are
8 other criteria, EKG changes consistent with the
9 myocardial infarction, you would have to rule
10 out other exclusions, things like recent stroke,
11 recent surgery, history of coagulopathy, an
12 allergy to the medication being administered,
13 recent surgery within the last, I don't know
14 what the criteria is, say one month. Cardiac
15 compressions, chest compressions just prior to
16 that happening, any major recent trauma.

17 Q. Okay. You don't see or do you see anything in
18 the records that we have to indicate there were
19 any contraindications?

20 A. No, I do not.

21 Q. Then let's talk about the criteria for EKG
22 changes. The strip that we have been referring
23 to has been previously marked as an exhibit and
24 I will ask you to refer to the one we've
25 previously marked as Exhibit 2 and ask you

1 whether that strip meets the criteria for
2 administration of thrombolytic agents?

3 MR. MISHKIND: Before he answers,
4 you're asking him to assume that an EKG is
5 taken and the patient presents with
6 clinical symptoms to an emergency room?

7 MR. RISPO: I want to take them one
8 at a time. I suppose for the purpose of
9 this question, we are assuming.

10 MR. MISHKIND: Okay.

11 A. The criteria were not only the history, but if
12 the EKG had changes i.e. one to two millimeters
13 in the ST elevation in the anterior leads.

14 Q. Can you tell us whether the anterior leads show
15 one to two millimeters of elevation?

16 A. Yes, certainly in the V2 and there's some
17 elevation in V3 as well.

18 Q. Using the card I gave you to measure, tell us
19 how many millimeters measurement is here.

20 A. Well, you've got almost one, one-and-a-half
21 millimeters in V2. You probably have closer to
22 one millimeter in V3.

23 Q. You're using that by the graph paper?

24 A. That's correct.

25 Q. Okay.

- 1 A. And your little stick here is fairly consistent
2 with that.
- 3 Q. Okay. I missed that. Could you repeat that,
4 one and a half?
- 5 A. I'd say about one-and-a-half millimeters in V2
6 and one millimeter in V3.
- 7 Q. Is the criteria not more than one or two
8 millimeters in two or more leads?
- 9 A. That is correct.
- 10 Q. Okay.
- 11 A. So you've got elevation in V2 and V3, so you've
12 got at least two leads.
- 13 Q. But we don't have more than one millimeter in
14 two leads?
- 15 A. That's correct.
- 16 Q. Okay. And your previous testimony was one
17 millimeter in V3?
- 18 A. That's correct, but if a patient came in to me
19 that had symptoms consistent with an acute
20 myocardial infarction and has ST changes that I
21 see here, I would certainly consider him a
22 candidate for thrombolytics.
- 23 Q. But you would agree with me that the EKG
24 standing alone is marginal to meet the criteria?
- 25 A. Alone it's nonspecific.

1 Q. Okay.

2 A. I can't make the diagnosis based on the EKG.

3 It's suggestive of something abnormal.

4 Q. Okay. If a patient came in without symptoms and
5 this EKG strip, would he meet the criteria?

6 MR. MISHKIND: Objection. Again,
7 the hypothetical is not relevant to this
8 case, but go ahead and answer the
9 question.

10 A. Well, I'd like to know why the person had an EKG
11 to begin with if he was asymptomatic.

12 Q. Let's suppose it was a routine physical.

13 MR. MISHKIND: Show a continuing
14 line of objection to anything that doesn't
15 include symptoms, but go ahead.

16 MR. RISPO: Sure.

17 A. If the patient has had an EKG as part of a
18 routine physical and was asymptomatic, I'd like
19 to know if he had an old EKG, but I would call
20 it nonspecific ST changes based on this alone.

21 Q. If that were the case, and you didn't have let's
22 say an old EKG to refer to, would you administer
23 thrombolytic agents to that patient?

24 A. In an asymptomatic patient?

25 Q. Yes.

1 A. No.

2 Q. What would you do with the patient?

3 A. I think it would depend upon the history I got
4 from him, my physical exam, is this someone that
5 I knew for a period of time, is it a new
6 patient.

7 Q. Assuming he was otherwise a well patient and
8 just came through for a general physical and
9 what you had there was the same as Exhibit 2.

10 MR. MISHKIND: Show a continuing
11 objection to the relevance of this
12 hypothetical, but go ahead, doctor, you can
13 answer.

14 A. I probably wouldn't do anything if the patient
15 was totally asymptomatic.

16 Q. You'd release him from your office and send him
17 home?

18 A. I believe I would do that, yes.

19 Q. No medication, no monitoring?

20 A. As far as I'm concerned, no.

21 Q. Okay. Now, let's talk about timing. You said
22 four to six hours. Now, do I understand from
23 that that if the patient came in with symptoms
24 which he reported to have onset twelve hours
25 earlier, that the protocol would not require or

1 even permit the use of thrombolytic agents?

2 A. I believe most of the literature now is --

3 Q. Excuse me. As of 1994.

4 A. There were a lot of studies going on at that
5 point in time and still are as to what, quote,
6 is the best time frame to do this in, so there
7 were certain studies that were looking at four
8 hours. There were certain studies looking at
9 twelve hours and twenty-four hours, so I don't
10 know -- that's what I'm saying. I'm not sure
11 when exactly the, quote, standard of care was
12 that we were going to do everybody between four
13 and six hours.

14 Q. But you did say the protocol was four to six?

15 A. Well, that's what it is now. Back in '94 I'm
16 not quite sure what the exact protocol was.

17 Q. Okay.

18 A. Certainly, if somebody came in within six hours,
19 yes, he would be a candidate.

20 Q. When they say the protocol is four to six hours,
21 does that imply that a patient who comes in at
22 seven or eight hours will not be a candidate for
23 thrombolytic agents?

24 A. My understanding of the protocol is that's
25 correct.

1 Q. Okay.

2 A. The benefit is -- or the risk outweighs the
3 benefit at that point.

4 Q. So if you particularize to this case, if John
5 Porach had an onset of symptoms at 5:00 in the
6 morning and presented for the first time in the
7 emergency room at 5:00 in the afternoon, twelve
8 hours later, under the protocol in existence in
9 1994 he would not have been a candidate for
10 thrombolytic agents?

11 A. If hypothetically he's having symptoms and we
12 think his heart attack started at 5:00 in the
13 morning, then yes, he would be out of the time
14 frame for the protocol.

15 Q. Now, is that because the damage to the heart
16 muscle is already irreversible?

17 A. That certainly could be true. I believe -- the
18 answer is probably yes. It was felt, if I
19 remember correctly, that after a certain period
20 of time with what that standard was back then,
21 that the administration of thrombolytics would
22 not have any beneficial effect for the patient.

23 Q. And we're of course talking about 1994?

24 A. Correct.

25 Q. And that's what we are here about. We won't get

1 into anything that happened or occurred in
2 1997.

3 And the same answer would apply if I were
4 to possit for you that the patient presented in
5 the emergency room at ten hours post onset of
6 symptoms?

7 A. That's correct.

8 Q. Okay. And eight hours?

9 A. That's correct. If we believe that six hours
10 was the maximum limit.

11 Q. Okay. Is it generally true that the longer you
12 wait, the more damage there will be, that is the
13 longer after the MI occurs, the more damage
14 there will be to the heart muscle?

15 A. That's usually the case, yes.

16 Q. Understood in that question is that the longer
17 you wait before administering thrombolytic
18 agents?

19 A. Correct.

20 Q. Okay. So that administering within the first
21 two hours would be better than administering at
22 four or five?

23 A. That's correct.

24 Q. Okay. In this instance, John Porach complained
25 of symptoms to his wife at 5:00 in the morning

1 and made no effort to seek any medical care or
2 attention until he called the offices of Dr.
3 Lalli about 9:30 or shortly thereafter that
4 morning, which would place him at four to
5 four-and-a-half hours, maybe five hours post
6 onset of symptoms. Would that still be within
7 the window to offer a thrombolytic agent?

8 MR. MISHKIND: Before the doctor
9 answers, let me object to the hypothetical,
10 only because I think you used the term
11 5:00. If you actually look at the
12 testimony of Mrs. Porach, I think it's
13 closer to 5:50 or 6:00, but we've been
14 using the term 5:00 loosely in the
15 testimony.

16 Q. If that's true, I stand corrected.

17 MR. MISHKIND: It's not significant
18 to your issue whether it's 5:00, 5:30,
19 6:00. The question still stands.

20 Q. Let's suppose it was 5:30, quarter to 6:00,
21 okay?

22 A. He would be ending the time frame when the
23 window in thrombolytics would be of benefit to
24 him.

25 Q. John lived in Strongsville and I believe he

1 would have been about five miles from Southwest
2 General Hospital, which would be, to my
3 understanding, the nearest hospital, emergency
4 room. If he had been advised at 9:30 to call
5 911 and it took time for them to respond, pick
6 him up and deliver him to Southwest Hospital, he
7 wouldn't have gotten there until about 10:00.

8 Would that still be within the window?

9 A. He's just about outside the window I would say.
10 If you're using four to six hours as the time
11 frame, and his symptoms started at about 5:30,
12 quarter to 6:00, six hours is 12:00, so he'll
13 still fall in that frame.

14 Q. So he'd still be in the four to --

15 A. The four to six hour frame, yes.

16 Q. But he would have already had some damage before
17 the thrombolytic agents commenced to work their
18 action, correct?

19 A. I would think so, yes.

20 Q. Incidentally, how long does it take for the
21 thrombolytic agent to have a therapeutic effect?

22 A. I don't remember the specific time, but it's
23 relatively quickly, half hour, hour, sometimes
24 even less than that.

25 Q. Okay. If he presented to Southwest General

1 Hospital, however, that morning and reported his
2 symptoms given so far in the record here,
3 including the statement that he had nausea,
4 diarrhea, sweating, and achiness, I think he
5 said, and/or tingling all over, quote, unquote,
6 would those symptoms meet the criteria for the
7 administration of thrombolytic agents?

8 MR. MISHKIND: Objection to the
9 hypothetical, because it doesn't accurately
10 state what the evidence is concerning his
11 symptom complex, but note my objection. He
12 can answer the question as put.

13 A. The symptoms themselves would make me suspicious
14 about a possible cardiac event, but they
15 themselves would not make me administer
16 thrombolytics.

17 Q. If you had those symptoms in combination with
18 the same EKG as presented, and I understand it
19 was taken at a different hour, but for the
20 purposes of this question, if it were the same
21 presentation, would that meet the criteria?

22 A. I would certainly be more concerned with the
23 cardiac event at that point because the EKG is
24 abnormal, and in light of the symptoms, I think
25 I certainly would have considered giving a

1 thrombolytic.

2 Q. Okay. If you didn't give a thrombolytic, what
3 would you do with that patient?

4 A. I would like to rule other things in or out by
5 possibly getting a chest x-ray, sending off for
6 cardiac enzymes, pulse ox, vital signs, blood
7 pressure, pulse, respiratory rate, temperature.
8 I would probably get a cardiology consult if I
9 thought that it was a cardiac etiology.

10 Q. Okay. Assuming he arrived at the hospital at
11 the earliest at 10:00, four, four-and-a-half
12 hours post onset of symptoms, how long would it
13 take for you to review those options, rule out
14 other diagnoses?

15 A. It may take an hour. It depends on how busy the
16 emergency department was, the radiology
17 department, the lab, if the consult was in the
18 hospital at that point, if he was readily
19 available.

20 Q. So that would take us up to 11:00?

21 A. That's correct. But I also -- again, depending
22 upon where people work, here at Metro, I can
23 administer thrombolytics without getting a
24 cardiology consult. I have the authorization to
25 do that. If I feel a patient has an acute

1 myocardial process and I want to treat him, I
2 can do so.

3 Q. Do you know what the state of the protocols were
4 at Southwest General which was the nearest
5 hospital?

6 A. No, I do not.

7 Q. Would it be unreasonable to assume it would take
8 them a full hour to rule out other diagnoses?

9 MR. MISHKIND: Objection. If you
10 know.

11 A. I don't know it would take them any more time or
12 less than myself. It would be a reasonable --
13 again, the lab work may take a little more time
14 to get back. It just depended on how things
15 were functioning there.

16 Q. Assuming best case scenario that they concluded
17 their differential exam, got all the necessary
18 consults by 11:00, that would be five hours,
19 five-and-a-half hours post onset of symptoms.
20 Would he still meet the criteria at that point
21 to administer thrombolytic agents?

22 A. Yes.

23 Q. Okay. But again, by that time there could have
24 been significant damage to the muscle?

25 MR. MISHKIND: Objection as to

1 could have and the use of significant.

2 Q. Let me start over again. If they didn't
3 administer thrombolytics until 11:00 and
4 assuming that was five hours to five-and-a-half
5 hours post onset of symptoms, and they did
6 administer and it took half an hour to achieve
7 full therapeutic effect, would that rule out any
8 muscle damage to the heart or would there still
9 be some damage?

10 A. I would presume at that point there would still
11 be some muscle damage.

12 Q. Okay. If the patient presented in the Southwest
13 emergency room and reported all of the foregoing
14 that we discussed so far, but also said I have
15 not had any chest pain, then would you
16 administer thrombolytic agents assuming the same
17 EKG?

18 MR. MISHKIND: Objection. Go
19 ahead.

20 A. I think I would go back, and based on the fact
21 that this EKG is not normal, but I'd also want
22 to know a little bit more detail as to what does
23 the patient mean by chest discomfort, what does
24 he mean by tingling, why the shortness of
25 breath. I'd need to get a more detailed history

1 of the patient as well as a physical exam.

2 Q. But that would be all the more reason you would
3 hold off until you've done the necessary testing
4 and get the blood studies as well as enzymes?

5 A. I think the blood studies and all the ancillary
6 testing are very important, but it's the
7 clinical history that really makes the diagnosis
8 or certainly makes my suspicion high as to
9 whether this is cardiac or not.

10 Q. Okay. All the same questions assuming he
11 presented at 4:00 p.m., now ten hours,
12 ten-and-a-half hour post onset of symptoms. I
13 think I understood you to say earlier, but I
14 just want to confirm, at that point given the
15 same symptoms and the EKG, you would not have
16 administered the thrombolytic agents?

17 A. Based on the time frame, no.

18 Q. Then if you didn't utilize that therapy, what
19 kind of care or treatment would you have offered
20 to that patient if he presented ten hours post
21 onset of symptoms?

22 A. If you're assuming that he -- if what I feel is
23 he's having a myocardial infarct based on his
24 symptoms, EKG, I would start him on medication
25 to hopefully reduce further evolution of heart

1 damage. I would put him on a monitor. I would
2 certainly admit him to the hospital, probably
3 the intensive care or cardiac unit. I would get
4 a cardiology consult and certainly consider, if
5 it was available, taking him to the
6 catheterization lab.

7 Q. How long would it take to work him up for a
8 cardiac cath?

9 A. What do you mean by work him up?

10 MR. MISHKIND: You mean from an
11 emergency room perspective or a cardiac
12 perspective?

13 Q. I'm not sure I know what the problem is, but let
14 me try it again.

15 MR. MISHKIND: Okay.

16 Q. Assuming he arrived at 4:00, 4:00 p.m. in the
17 emergency room, and your judgment was that he
18 had an MI and it was outside the window and you
19 were not going to offer thrombolytic agents, you
20 decide to admit, monitor him, obtain a
21 cardiology consult, and I forgot what you said,
22 schedule a cath, cardiac cath?

23 A. I would talk to the cardiology person, the
24 attending or the fellow, whoever was on call,
25 and tell them basically this is a patient that

1 has these symptoms, he's got physical findings
2 as such, he's got an EKG which is not normal,
3 he's outside the window for thrombolytics, but I
4 think that he's a candidate for a
5 catheterization.

6 Q. Okay. How long would it take from 4:00 to the
7 point where you could get him in for a cardiac
8 cath?

9 A. That would be dependent upon the institution. I
10 don't know. I mean, it may take a matter of
11 minutes if the catheterization team is available
12 and the cath lab is open. They may not be
13 available at certain institutions. Not every
14 hospital facility has a catheterization team
15 available to take a patient.

16 Q. We are at MetroHealth here today and that's
17 where you practice?

18 A. Yes.

19 Q. MetroHealth being one of the tertiary care
20 facilities in town and probably represents the
21 gold standard in care, how long would it take
22 here?

23 MR. MISHKIND: Let me just object.
24 Obviously, Dr. Effron appreciates the
25 compliment, but I'm not necessarily certain

1 that is the gospel, but go ahead.

2 A. Again, it would depend upon the availability of
3 the cardiologist and the catheterization team,
4 if the lab was open, I would say as little as
5 twenty minutes to maybe a half hour somebody
6 could potentially be up in the catheterization
7 lab.

8 Q. Okay. And if they were at Southwest General
9 Hospital, it might take a little longer?

10 A. I don't know what facilities or personnel are
11 available.

12 Q. As a matter of fact, Southwest doesn't have an
13 open heart center, does it?

14 A. I don't know. I don't believe so from reading
15 the deposition of one of the other physicians,
16 but I personally don't know.

17 Q. If they didn't have facilities to do open heart,
18 what would that emergency room be advised to do
19 at that point?

20 A. It would depend upon the stability of the
21 patient, i.e. his symptoms, his vital signs, his
22 exam. If he has a normal blood pressure, good
23 pulse, it would depend upon -- what we would do
24 is probably administer a number of medications,
25 aspirin, we would probably give him some type of

1 nitrates to reduce his blood pressure, treat his
2 pain if he was having any, possibly put him on a
3 beta blocker to reduce any further damage that
4 potentially could occur.

5 Q. And then?

6 A. It would be up to the cardiologist to make a
7 decision as to what we would do with the
8 patient, whether he would get transferred to an
9 outside facility, whether it be here, Cleveland
10 Clinic, University, or they would keep him in
11 the hospital at Southwest.

12 Q. Okay. If they were to transfer him to the
13 nearest facility that had an open heart lab, do
14 you know where that would be from Southwest?

15 A. Well, it could be Fairview or here or the
16 Clinic.

17 Q. Okay.

18 A. If it's done by helicopter, you're talking about
19 a matter of minutes in terms of the actual
20 flight.

21 Q. Do you know how long it takes for a transfer to
22 be effected by helicopter assuming that Metro
23 had no advance notice?

24 A. Actually minutes to initiate the flight.

25 Q. Okay. That would have to fly from here to

1 Southwest?

2 A. Right. You're talking --

3 Q. Does Southwest have a landing pad?

4 A. They do have a landing facility for us, yes.

5 Q. Okay. Then they would have to transfer him into
6 the helicopter?

7 A. That's correct.

8 Q. Transfer him back down here to Metro?

9 A. That's correct.

10 Q. Transfer him out of the helicopter into your
11 cardiac cath lab?

12 A. That's correct.

13 Q. Okay. Would that take another half hour?

14 A. Realistically, I would say you're talking at
15 least an hour's time.

16 Q. So that takes from --

17 A. Maybe longer than that, but ballpark figure.

18 Q. From maybe 4:30 to 5:30?

19 A. I would say at least an hour.

20 Q. Okay. During that period of time, is it
21 possible for that patient John Porach to have
22 had a fatal arrhythmia?

23 MR. MISHKIND: Objection.

24 A. Is it possible? Yes.

25 Q. And I think you understood my question, but just

1 to make it clear, my question was whether he
2 could have had a fatal arrhythmia before he
3 actually arrived at Metro and was brought into
4 the cardiac cath lab. You understood that?

5 MR. MISHKIND: Same objection. I
6 mean, if you run out your scenario between
7 4:30 and 5:30, the fatal arrhythmia, if we
8 use the time his EKG is done at 5:30 and
9 then minutes after that, so he has a fatal
10 arrhythmia in the bathroom, let me just
11 object to the hypothetical and the
12 possibility language, but go ahead and
13 answer the question, doctor.

14 A. Yes is the answer. He could have had a fatal
15 arrhythmia.

16 Q. In the helicopter or anywhere along the way?

17 A. That's correct, that's correct.

18 Q. Okay. I'm going to ask you to bear with me with
19 one more hypothetical question.

20 Assuming the symptoms as we have on our
21 records so far, at least the way I understand,
22 and I'm sure Howard will have an objection,
23 but --

24 MR. MISHKIND: I never object to
25 your questions.

1 Q. If I read the record correctly, and if we
2 believe that to his stepdaughter, Jacquelin
3 Dewit, that he first complained to her at least
4 of chest pain, shortness of breath and pain
5 radiating down the arm somewhere between 3:15
6 and 3:30 in the afternoon, and assuming that he
7 was directed by someone to the emergency room
8 service and arrived around 4:00 at Southwest
9 General, the closest hospital, had to be
10 transferred down to Metro, then it's still
11 possible given the best of care that he could
12 have had the same fatal arrhythmia as he did in
13 this case?

14 MR. MISHKIND: Objection again to
15 the term possibility, but go ahead and
16 answer.

17 A. Based on the scenario, the answer is yes.

18 Q. Okay. Let me consult my notes and get a cup of
19 coffee.

20 - - - -

21 (Thereupon, a discussion was had off
22 the record.)

23 - - - -

24 Q. It's my understanding that John Porach had an
25 anterior myocardial infarction, is that your

1 understanding as well?

2 A. I believe the final pathological diagnosis was
3 anterior septal MI.

4 Q. Right. Is it true that anterior MI, anterior
5 septal MI is associated with a higher mortality
6 rate than other types of MIs such as posterior?

7 A. I'd have to defer to the cardiologist on that.
8 I honestly don't know.

9 Q. Okay. Would you agree with the statement that
10 an anterior MI is associated with a higher
11 percentage of complications?

12 A. As compared to an inferior MI, usually, yes.

13 Q. And those complications might include
14 ventricular fibrillation?

15 A. Again, to go back and answer your question, I
16 think in general I would defer the specific
17 answer to the cardiologist, but I believe an
18 anterior wall myocardial infarction tends to
19 have potentially more complications than an
20 inferior wall heart attack, so there is
21 potential they could have more arrhythmia like
22 ventricular fibrillation.

23 Q. And could have pulmonary edema?

24 A. Any heart attack can have.

25 Q. Congestive failure?

1 A. Yes.

2 Q. Cardiogenic shock?

3 A. Yes.

4 Q. Renal failure?

5 A. Potentially, yes.

6 Q. And all of those complications could be fatal?

7 A. Yes.

8 Q. Okay. Even if John Porach had the fatal
9 arrhythmia in the hospital setting, and even if
10 he could have been provided with state of the
11 art resuscitative techniques, he could have died
12 anyway?

13 MR. MISHKIND: Objection. Go
14 ahead.

15 A. Could he have died? Yes.

16 Q. I mean even here at Metro?

17 MR. MISHKIND: Same objection.

18 A. Yes is the answer.

19 Q. Okay. Would you agree with the statements in
20 the literature that annually there are as many
21 as 500,000 deaths due to coronary artery disease
22 in this country?

23 MR. MISHKIND: Objection as to the
24 statements in the literature.

25 Q. Let me provide you with a copy of the literature

1 I'm referring to.

2 MR. RISPO: I'd like to mark this
3 as Exhibit 4.

4 MR. MISHKIND: Actually, you
5 haven't marked anything in this deposition.

6 MR. RISPO: In his depo we haven't,
7 but I was going to do it sequentially. We
8 left off on 3 in a previous deposition of
9 Dr. Botti, so let's mark this as Number 4.

10 - - - -

11 (Thereupon, Defendant's Exhibit 4
12 was marked for purposes of identification.)

13 - - - -

14 Q. I'm referring to the highlighted language, and
15 by the way, we are referring to the Text Book of
16 Advanced Cardiac Life Support published by the
17 American Heart Association, 1994 edition, Page
18 16-1. Would you agree with that statement?

19 A. I have no reason to disbelieve it.

20 Q. Okay. Let me just highlight some more for you
21 here. Would you agree with the statement that
22 approximately two-thirds of sudden deaths due to
23 coronary artery disease take place outside the
24 hospital and usually occur within two hours of
25 onset of symptoms?

1 MR. MISHKIND: Before you answer,
2 let me just object to the use of the term
3 sudden death and applying that to the facts
4 of this case, but as it relates to that
5 statement, you can answer the question.

6 A. Based on the literature here, I have no reason
7 to disagree with that.

8 Q. Okay. And I'm not sure we focused on the
9 previous statement. The sentence reads, This
10 includes approximately 500,000 deaths due to
11 coronary artery disease, the majority of which
12 are sudden deaths.

13 That's what you understood we were talking
14 about, right?

15 A. Correct.

16 Q. Okay. When the term sudden death is used, what
17 does that mean in a temporal sense? Does that
18 mean within two hours, something less than that
19 or something more than that?

20 A. My understanding is that sudden death means a
21 fatal cardiac event occurring within twenty-four
22 hours of the onset of symptoms.

23 Q. This literature indicates that two-thirds of
24 sudden deaths occur within two hours after onset
25 of symptoms. Does that mean then, consistent

1 with your earlier statement, the other third
2 could occur anywhere from two to twelve hours?

3 A. Well, I'm using the term sudden death to mean up
4 to twenty-four hours.

5 Q. Up to twenty-four hours. I missed that.

6 A. So based on what the ACLS book says here, your
7 estimate is that the other third would
8 potentially die within up to the next twenty-two
9 hours.

10 Q. Okay. With reference to Mr. Porach, if his MI
11 commenced at 5:00 in the morning and he died at
12 somewhere around 6:00 in the evening, would he
13 then come within that statistic of sudden death,
14 one-third of which occur within twelve or
15 twenty-four hours?

16 A. He would certainly fit within the statistic of
17 someone who has a sudden death event, correct.

18 Q. By the way, how long have you been in emergency
19 medicine?

20 A. Since July of '93.

21 Q. Okay.

22 A. Fourteen years.

23 MR. MISHKIND: You said '93.

24 A. I'm sorry. '83.

25 Q. It's getting late. So fourteen years, you've

1 had plenty of experience with patients coming in
2 with cardiac symptoms?

3 A. I would say so.

4 Q. Okay. Is it your experience that some patients,
5 particularly younger men or middle age men, have
6 a pension for denial of symptoms?

7 MR. MISHKIND: Objection.

8 A. I would say there are both men and women that
9 have denial in certain circumstances. I don't
10 know if young men more than old men. I think
11 it's very case by case.

12 Q. Drawing upon your fourteen years of experience
13 in emergency medicine, and focusing on those
14 patients who were ultimately evaluated to have a
15 cardiac event, what percentage of them arrive in
16 the hospital setting in time for them to be
17 administered thrombolytic agents within the
18 protocol window of four to six hours?

19 A. I have no idea what percentage to tell you, sir.

20 Q. Okay.

21 A. I don't keep track of it.

22 Q. I'm not asking for an exact percentage, but is
23 it a frequent occurrence that people arrive with
24 a history of onset of symptoms for more than six
25 hours?

1 A. What do you mean by frequent?

2 MR. MISHKIND: Objection.

3 Q. Well, let's say in a typical month, here we are
4 in October, just finishing the month of
5 October. In a typical month would you see as
6 many people who have arrived more than six hours
7 post onset of symptoms as you did who arrived
8 less than six hours post onset?

9 A. I don't know if I can honestly answer that. I
10 really don't know the percentage.

11 Q. Okay. Well, how about in terms of absolute
12 numbers. In the last twelve months, how many
13 have you seen for whom you have administered
14 thrombolytics versus those who you have not?

15 MR. MISHKIND: If you can answer
16 the question.

17 A. I'm not trying to evade your question. I don't
18 know the answer.

19 Q. Have there been any that you have seen who
20 arrived in time for thrombolytic therapy?

21 A. Yes.

22 Q. And in terms of numbers, are we talking about a
23 dozen?

24 MR. MISHKIND: Just let me object.
25 I don't want the doctor guessing. He's

1 already said that he can't give you an
2 estimate, which is what you asked him to
3 do. And now you are continuing to ask him
4 to go into it. I don't want him guessing.

5 MR. RISPO: I don't want him to
6 guess either. I'm just asking whether it
7 was more or less than a dozen.

8 A. I honestly don't know.

9 Q. Okay.

10 A. I don't keep track of that specifically. I
11 really can't tell you.

12 Q. Okay. Do you see more who come in after six
13 hours or more before six hours?

14 MR. MISHKIND: Objection. I think
15 it's the same question asked just with
16 different verbiage, but go ahead.

17 A. I think I answered your question. I don't know.

18 Q. You have no idea whether there are more after
19 six hours or more --

20 A. No, I don't.

21 Q. Okay. How many do you see in a year with
22 cardiac symptoms?

23 A. I know last year we saw close to 55, 57,000
24 people.

25 Q. How about yourself though?

1 A. That's a good question. We see somewhere
2 between 150, 200 people a day, ballpark figure.

3 Q. How about those with cardiac events, how many
4 would you see?

5 A. I can't give you a specific breakdown. I mean,
6 I can tell you twenty percent, but I don't know
7 if that's an accurate number.

8 Q. Okay. Twenty percent of what did you say?

9 A. 55,000.

10 Q. Okay.

11 A. Well --

12 Q. For you individually?

13 A. I'd have to break it up by shifts and how many
14 times I'm here, et cetera.

15 Q. You gave us a number earlier and I forgot what
16 you said.

17 A. Well, I'm working an average of eighteen shifts
18 a month in the emergency department.

19 Q. And you gave me a number.

20 A. I said maybe twenty percent.

21 MR. MISHKIND: I think what Mr.

22 Rispo was getting at was a figure that you
23 had used of 150 to 200 people.

24 Q. What was that figure?

25 A. That's the average number of patients that we

1 see per day in the emergency department, not me.

2 Q. The entire department?

3 A. Yes.

4 Q. And how many people would see these patients?

5 A. I'm sorry?

6 Q. How many are on?

7 A. Well, depending on the acuity of the patient,
8 what kind of problems did they have, did they
9 have chest pain versus an earache.

10 Q. How many would you see?

11 A. That would depend upon -- the emergency
12 department here is broken down into two halves.
13 The acute section which would include people
14 like chest pain or car accidents or gun shot
15 wounds as opposed to people that would come in
16 for supposed nonacute problems like sore
17 throats, eye problems, abdominal discomfort. So
18 depending upon what side of the emergency
19 department I was working on, how busy it was
20 that day, what percentage of people went to what
21 side, I could at some point maybe give you an
22 answer. I don't have any idea what specific
23 percentage of patients I see.

24 Q. How many doctors would be working in the acute
25 section of the emergency department on a given

1 day?

2 A. There is one attending -- well, there is an
3 attending here twenty-four hours a day on the
4 acute side working for the most part eight-hour
5 shifts, so there are three of us here on the
6 acute side.

7 Q. But only one --

8 A. At a time.

9 Q. -- at a time?

10 A. That's correct.

11 Q. So if you worked one shift per day, and you were
12 on the acute side, and if you saw one-third of
13 the patients, and I'm not sure the 150 was
14 all --

15 A. That's all patients. I can't give you a
16 specific breakdown, 75 on one side or 20/80.
17 It's variable. I don't have a statistical
18 answer for you.

19 Q. Okay.

20 A. I know that we probably do have some way to find
21 out. I'm sure our department chairman can give
22 us a breakdown in terms of the type of patients
23 that we see. I do not know what that number
24 is. I wouldn't even begin to guess.

25 Q. I'm not sure it's necessary for you to go to

1 that much trouble. I guess the only thing I'm
2 trying to determine here is whether it is
3 uncommon for patients to present who have been
4 in denial for a period of six hours before they
5 arrive?

6 MR. MISHKIND: Objection.

7 A. I don't know that I can answer that. First of
8 all, what do you mean by uncommon? You're going
9 back to percentages again.

10 Q. Well, is it unheard of that patients would be in
11 denial for a period of six hours?

12 MR. MISHKIND: Objection.

13 A. No, it's not unheard of.

14 Q. Okay. And you've seen those patients, how many,
15 we don't know.

16 A. That's correct.

17 Q. Okay. And if we're talking about one of those
18 patients who presents more than six hours after
19 onset of symptoms, and that patient has had no
20 medical care in between the time of the onset of
21 symptoms and the time he arrived in this
22 hospital, and he's had some heart damage which
23 is irreversible by that point in time, my
24 question to you is, would you consider that
25 person responsible for his own failure to come

1 in earlier?

2 MR. MISHKIND: Objection to the
3 hypothetical.

4 A. What symptoms are you talking about, first of
5 all?

6 Q. Suppose he had chest pain, shortness of breath
7 and pain radiating to his arm for a period of
8 six hours before and made no effort to obtain
9 medical attention until he arrived in your
10 emergency room let's say seven or eight hours
11 afterward.

12 MR. MISHKIND: Let me show an
13 objection, because that is not the facts in
14 this case, but he can answer the question.

15 MR. RISPO: I understand. It's a
16 foundation.

17 A. I believe if -- first of all, the patients are
18 not physicians. If a patient had knowledge from
19 his physician that these are symptoms that you
20 look for for X, Y and Z disease, and he did not
21 report those or did not do something about
22 those, then I would say yes, the patient has a
23 responsibility or bears some responsibility in
24 not coming to the department.

25 Q. Okay. Let me look at it a little differently

1 MR. MISHKIND: Okay.

2
3 DAVID EFFRON, M.D.
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

C E R T I F I C A T E

The State of Ohio,) SS:
County of Cuyahoga.)

I, Margaret A. Morrow, a Notary Public within and for the State of Ohio, authorized to administer oaths and to take and certify depositions, do hereby certify that the above-named DAVID EFFRON, M.D., was by me, before the giving of his deposition, first duly sworn to testify the truth, the whole truth, and nothing but the truth; that the deposition as above-set forth was reduced to writing by me by means of stenotypy, and was later transcribed into typewriting under my direction; that this is a true record of the testimony given by the witness, and was subscribed by said witness in my presence; that said deposition was taken at the aforementioned time, date and place, pursuant to notice or stipulations of counsel; that I am not a relative or employee or attorney of any of the parties, or a relative or employee of such attorney or financially interested in this action.

IN WITNESS WHEREOF, I have hereunto set my hand and seal of office, at Cleveland, Ohio, this ____ day of _____, A.D. 19 ____.

Margaret A. Morrow, Notary Public, State of Ohio
1750 Midland Building, Cleveland, Ohio 44115
My commission expires June 1, 2000



Textbook of Advanced Cardiac Life Support

Editor

Richard O. Cummins, MD, MPH, MSc

Subcommittee on Advanced Cardiac Life Support, 1991-1994

Richard O. Cummins, MD, MPH, MSc, Chair
Joseph P. Ornato, MD, Immediate Past Chair
Norman S. Abramson, MD
Lance Becker, MD
John E. Billi, MD
Charles G. Brown, MD
Nisha Chibber Chandra, MD

Roy V. Ditchey, MD
Jay L. Falk, MD
Nora F. Goldschlager, MD
Edgar R. Gonzalez, PharmD
Jerris R. Hedges, MD, MS
Karl B. Kern, MD
Katherine A. Littrell, MSN, RN

Mary E. Mancini, MSN, RN
Arthur J. Moss, MD
Paul E. Pepe, MD
Linda Quan, MD
Arthur B. Sanders, MD
Corey Slovis, MD

Committee on Emergency Cardiac Care, 1991-1994

Joseph P. Ornato, MD, Chair
Richard E. Kerber, MD, Immediate Past Chair
Richard O. Cummins, MD, Vice-Chair
Richard K. Albert, MD

Donald D. Brown, MD
Nisha Chibber Chandra, MD
Mary Fran Hazinski, MSN, RN
Richard J. Melker, MD, PhD

Linda Quan, MD
W. Douglas Weaver, MD

DEFENDANT'S
EXHIBIT

This material is made available as part of the professional educational program of the American Heart Association.
No endorsement of any product or service should be inferred or is intended if distributed by other agencies or concerns.

delivered to children, and cultural, social, and media pressures that mold unhealthy behaviors and lifestyles. Persuasive data argue in favor of aggressive community action. Educators, legislators, and business must be challenged first to declare commitment and then follow with visible, measurable actions. Optimal resources necessary for the primary prevention of atherosclerotic disease have been defined.²¹

Risk Factor Modification

Age-adjusted mortality from coronary heart disease, stroke, and other cardiovascular diseases has declined dramatically from the mid-1960s to 1989. The decline has averaged approximately 2% to 3% annually.^{4,22-26} Among these declines, that of coronary heart disease mortality has had the greatest impact on life expectancy. However, it is important to recognize that as the population ages, total heart disease continues to climb.

Many factors have contributed to the decline in cardiovascular disease mortality: heightened public awareness, improved cardiovascular diagnosis and therapy, use of drugs with a cardioprotective effect by persons at high risk, improved revascularization techniques, improved and more aggressive ECC, and modification of cardiovascular risk factors in the population.

Reduction of risk factors at a young age can have the greatest impact. Nevertheless, intervention later in life must not be ignored, since preventive measures have been shown to slow the progression of and even reverse arterial disease and can be expected to reduce morbidity and mortality as well. Clearly some risk factors cannot be changed. These include heredity, gender, race, and age. Major risk factors that can be changed or modified include cigarette smoking, hypertension, elevated cholesterol levels, elevated triglyceride levels, lack of exercise, obesity, stress, and diabetes.

Patients at High Risk

Persons at high risk for cardiovascular disease because of diabetes mellitus, family history of premature cardiovascular disease, and prior MI must be made aware that their risk may be significantly increased if they have other risk factors, such as hypertension, hyperlipidemia, or cigarette smoking. Reduction of risk can be expected with regular exercise and weight control. Control or elimination of those factors amenable to change may be expected to contribute substantially to risk reduction in this group. Thus, in addition to treatment it is important that clinicians teach CPR to families of patients at high risk and stress the importance of improving risk factor status.

The following statements about atherosclerosis and risk factors should be given the broadest possible publication and promotion:

- Cardiac arrest and MI are, in the vast majority of cases, end points in the evolution of atherosclerotic arterial disease over a period of decades.

- The rate of progression of atherosclerosis is the primary determinant of the age at which MI and sudden death occur.
- The rate of progression can be significantly influenced by specific conditions and behaviors referred to as risk factors.
- Control or elimination of risk factors can be established by positive health attitudes and behaviors in the young.
- Modification of cardiovascular risk factors in adults, even those who have had an MI, can alter the rate of progression of arterial disease and reduce the incidence of major end points, ie, sudden death, MI, and stroke.
- Early recognition of cardiac symptoms and prompt intervention including CPR are everyone's responsibility, and education in these subjects should be widely available.

Millions of persons, both lay and professional, have been trained in CPR-ECC. Strong prevention messages delivered during CPR training may have as great an impact on cardiovascular mortality and morbidity as the teaching of emergency measures themselves. Many millions more need to be encouraged to obtain CPR training. Through community education and prevention, CPR training may serve as an effective means of controlling CAD. This aspect of CPR training requires more attention.

The goals of teaching the community to function as the ultimate coronary care unit include

- A lay public educated to recognize the symptoms of a possible MI and to seek prompt entry of the victim into the EMS system
- A lay public trained to support the life of the cardiac arrest victim until ACLS becomes available
- A lay public educated in the importance of early ACLS and eager to support an effective EMS system in the community
- Recognition and reduction of reversible risk factors among the population with known CAD (secondary prevention)
- A business community that measures success by the effect of its products and services on the well-being of the community
- Recognition and reduction of reversible risk factors among the population free of clinical manifestations of CAD, especially the young (primary prevention)

Efforts to accomplish these goals are already under way in many areas. Scientific knowledge of the pathogenesis of CAD and mechanisms of sudden cardiac death has greatly increased in recent years. Knowledge of the methods and importance of primary and secondary prevention of CAD is becoming more widespread. The layperson should consider learning CPR a responsibility to family, loved ones, and self.

merely to collect data but to improve the ECC system. All members of the chain of providers must be represented in the outcome assessment team because the assessment will naturally evolve into the improvement process.

The outcome assessment team should have representatives from health departments, EMS systems, police departments, hospitals, universities, industry, and organizations active in BLS and ACLS training. Often a nonpartisan organization like the AHA can facilitate the genesis of this diverse team and provide an umbrella over the work to be done. A representative team should assess the chain of survival, including all interested providers in the process, and identify (1) current performance, (2) community-specific goals, (3) gaps between current performance and goals, (4) ways to improve the ECC system, and (5) whether performance improves after modifications. This process of continuing quality improvement should be a long-term, ongoing effort in every community.

Design of Cardiac Arrest Studies

When developing a chain of survival assessment, the process of working together may be as important as scientific results. For example, EMS personnel may feel threatened by the review process. Paramedics may question why administrators wish to collect information on how long it takes to defibrillate, or dispatchers may think they are being singled out for scrutiny. Hospitals provide much of the outcome data, but they are also reluctant to undergo outside scrutiny. In reality local politics cannot be separated from the assessment. Most concerns, however, can be addressed, and the effort can move forward if the team represents all providers. Each community must develop its own assessment project to evaluate its chain of survival.

Summary

Cardiac arrest treatment continues to evolve. Adequate treatment of the individual patient requires that the whole ECC system function smoothly, consistently, and rapidly. To maximize communitywide survival rates, a careful evaluation of the entire chain of survival is required, using standard measurements of performance. The challenge for the next decade is to establish this infrastructure and to conduct multicenter, prospective, controlled clinical trials to better define the key factors that will improve survival of cardiac arrest in every community.

References

1. *Morbidity and Mortality Chartbook on Cardiovascular, Lung and Blood Diseases 1990*. Bethesda, Md: National Heart, Lung, and Blood Institute; 1990.
2. *1993 Heart and Stroke Facts Statistics*. Dallas, Tex: American Heart Association; 1992.

3. Kannel WB. Metabolic risk factors for coronary heart disease in women: perspective from the Framingham Study. *Am Heart J*. 1987;114:413-419.
4. Goldman L, Cook EF. The decline in ischemic heart disease mortality rates: an analysis of the comparative effects of medical interventions and changes in lifestyle. *Ann Intern Med*. 1984; 101:825-836.
5. Bainton CR, Peterson DR. Deaths from coronary heart disease in persons 50 years of age and younger: a community-wide study. *N Engl J Med*. 1963;268:569-575.
6. Kuller L, Lilienfeld A, Fisher R. Sudden and unexpected deaths in young adults: an epidemiological study. *JAMA*. 1966;198:248-252.
7. Kuller L, Lilienfeld A, Fisher R. Epidemiological study of sudden and unexpected deaths due to arteriosclerotic heart disease. *Circulation*. 1966;34:1056-1068.
8. McNeilly RH, Pemberton J. Duration of last attack in 998 fatal cases of coronary artery disease and its relation to possible cardiac resuscitation. *Br Med J*. 1968;3:139-142.
9. Gordon T, Kannel WB. Premature mortality from coronary heart disease: the Framingham Study. *JAMA*. 1971;215:1617-1625.
10. Carveth SW. Eight-year experience with a stadium-based mobile coronary care unit. *Heart Lung*. 1974;3:770-774.
11. Cummins RO, Eisenberg MS. Prehospital cardiopulmonary resuscitation: is it effective? *JAMA*. 1985;253:2408-2412.
12. Shu CY. Mobile CCUs. *Hospitals*. 1971;45:14.
13. Kuller L, Cooper M, Perper J. Epidemiology of sudden death. *Arch Intern Med*. 1972;129:714-719.
14. Pantridge JF, Geddes JS. Cardiac arrest after myocardial infarction. *Lancet*. 1966;1:807-808.
15. Pantridge JF. The effect of early therapy on the hospital mortality from acute myocardial infarction. *Q J Med*. 1970;39:621-622.
16. Grace WJ, Chadbourne JA. The first hour in acute myocardial infarction. *Heart Lung*. 1974;3:736-741.
17. Division of Injury Control, Center for Environmental Health and Injury Control, Centers for Disease Control. Childhood injuries in the United States. *Am J Dis Child*. 1990;144:627-646.
18. Guyer B, Eilers B. Childhood injuries in the United States: mortality, morbidity, and cost. *Am J Dis Child*. 1990;144:649-652.
19. Rice DP, Mackenzie EJ, and Associates. *Cost of Injury in the United States: A Report to Congress*. San Francisco, Calif: Institute for Health and Aging, University of California and Injury Prevention Center, The Johns Hopkins University; 1989.
20. McIntyre KM. Cardiopulmonary resuscitation and the ultimate coronary care unit. *JAMA*. 1980;244:510-511.
21. Kannel WB, Doyle JT, Ostfeld AM, et al. Optimal resources for the primary prevention of atherosclerotic diseases: Atherosclerosis Study Group. *Circulation*. 1984;70:155A-205A.
22. Havlik RJ, Feinleib M, eds. *Proceedings of the Conference on the Decline in Coronary Heart Disease Mortality*. Washington, DC: National Heart, Lung, and Blood Institute; 1979. US Department of Health, Education, and Welfare publication 79-1610.
23. Higgins MW, Luepker RV, eds. *Trends in Coronary Heart Disease Mortality: The Influence of Medical Care*. New York, NY: Oxford University Press; 1988.
24. Stern MP. The recent decline in ischemic heart disease mortality. *Ann Intern Med*. 1979;91:630-640.
25. Thom TJ, Kannel WB. Downward trend in cardiovascular mortality. *Annu Rev Med*. 1981;32:427-434.
26. Kannel WB. Meaning of the downward trend in cardiovascular mortality. *JAMA*. 1982;247:877-880.
27. Hallstrom A, Eisenberg MS, Bergner L. Modeling the effectiveness and cost-effectiveness of an emergency service system. *Soc Sci Med*. 1981;15C:13-17.
28. Urban N, Bergner L, Eisenberg MS. The costs of a suburban paramedic program in reducing deaths due to cardiac arrest. *Med Care*. 1981;19:379-392.
29. Ornato JP, Craren EJ, Nelson N, Smith HD. The economic impact of cardiopulmonary resuscitation and emergency cardiac care programs. *Cardiovasc Rev Rep*. 1983;4:1083-1085.
30. Montgomery WH, ed. *Program Management Guidelines*. Dallas, Tex: American Heart Association; 1983.
31. Cummins RO, Ornato JP, Thies WH, Pepe PE. Improving survival from sudden cardiac arrest: the 'chain of survival' concept: a statement for health professionals from the Advanced Cardiac Life Support Subcommittee and the Emergency Cardiac Care Committee, American Heart Association. *Circulation*. 1991;83:1832-1847.