

| | |
|---|--|
| <p>1 IN THE COURT OF COMMON PLEAS 2 OF CUYAHOGA COUNTY, OHIO 3 ----- 4 LESLIE WALTER, Admin., 5 etc., 6 Plaintiffs, 7 vs. Case No. 8 METROHEALTH MEDICAL 9 CENTER, et al., 393899 10 Defendants. 11 ----- 12 DEPOSITION OF DOUGLAS EINSTADTER, M.D. 13 Monday, October 30, 2000 14 ----- 15 Deposition of DOUGLAS EINSTADTER, 16 M.D., a witness herein, called by the Plaintiffs 17 for examination under the statute, taken before 18 me, Karen M. Patterson, a Registered Merit 19 Reporter and Notary Public in and for the State 20 of Ohio, pursuant to notice and stipulations of 21 counsel, at the offices of MetroHealth Medical 22 Center, 2500 MetroHealth Drive, Cleveland, Ohio, 23 on the day and date set forth above, at 9:30 24 o'clock a.m. 25 -----</p> | <p>1 ----- 2 (Thereupon, PLAINTIFFS Deposition 3 Exhibit 1 was mark'd for purposes 4 of identification.) 5 ----- 6 DOUGLAS EINSTADTER, MD., of lawful age, 7 called for examination, as provided by the Ohio 8 Rules of Civil Procedure, being by me first duly 9 sworn, as hereinafter certified, deposed and said 10 as follows: 11 E - M I N A T I O N OF DOUGLAS EINSTADTER, MD. 12 BY MS. TOSTI: 13 Q. Doctor, would you please state your 14 name for us and spell your last name, please. 15 A. Douglas Einstadter, 16 E-I-N-S-T-A-D-T-E-R. 17 Q. What is your home address? 18 A. 19000 Lake Road, Number 504, Rocky 19 River, Ohio, 44116. 20 Q. Is that an apartment? 21 A. Condominium. 22 Q. Condo. And your current business 23 address, is it here at Metro Medical Center? 24 A. MetroHealth. 25 Q. 2500 MetroHealth Drive? A. That's correct. Q. Who is your current employer?</p> |
| <p>1 APPEARANCES: 2 On behalf of the Plaintiffs: 3 Becker & Mishkind Co., L.P.A., by 4 JEANNE M. TOSTI, ESQ. 5 Skylight Office Tower 6 1660 West Second Street, Suite 660 7 Cleveland, Ohio 44113 8 (216) 241-2600 9 On behalf of the Defendant Emergency 10 Professional Services and Thomas W. Graber, 11 M.D.: 12 Mazanec, Raskin & Ryder Co., L.P.A., 13 by 14 COLLEEN PETRELLO, ESQ. 15 100 Franklin's Row 16 34305 Solon Road 17 Cleveland, Ohio 44139 18 (440) 248-7906 19 On behalf of the Defendant MetroHealth 20 Medical Center: 21 Reminger & Reminger Co., L.P.A., by 22 JAMES MALONE, ESQ. 23 THOMAS B. KILBANE, ESQ. 24 The 113 St. Clair Building 25 Cleveland, Ohio 44114 (216) 687-1311</p> | <p>1 A. The MetroHealth System. 2 Q. And in March of 1998, was your 3 business address and your employer the same as it 4 is now? 5 A. That's correct. 6 Q. Do you currently render professional 7 services for any other entity besides the 8 MetroHealth Medical System? 9 A. Professional services meaning -- 10 Q. Professional medical services. 11 A. No. 12 Q. And in 1998, did you render 13 professional medical services for any other 14 entity besides MetroHealth System? 15 A. No. 16 Q. Have you ever had your deposition 17 taken before? 18 A. Yes. 19 Q. How many times? 20 A. One time. 21 Q. And why was your deposition being 22 taken? And by that, my inquiry is, first, was it 23 a medical negligence case? 24 A. I think so. 25 Q. And were you having your deposition</p> |

| | |
|---|---|
| <p style="text-align: right;">5</p> <p>1 taken as a Defendant in that case?</p> <p>2 A. I'm not sure I was named</p> <p>3 specifically, but I was involved in the care of</p> <p>4 the patient.</p> <p>5 Q. Was the care of the patient in</p> <p>6 question?</p> <p>7 A. As far as I know, yes.</p> <p>8 Q. I want to review some of the general</p> <p>9 instructions for deposition. I'm sure counsel</p> <p>10 has had a chance to speak with you, but this is a</p> <p>11 question-and-answer session; it's under oath.</p> <p>12 It's important that you understand my questions.</p> <p>13 If you don't understand my questions, just let me</p> <p>14 know and I'll be happy to rephrase them or repeat</p> <p>15 the question if you'd like. Otherwise, I'm going</p> <p>16 to assume that you understood my question and</p> <p>17 that you're able to answer it. It's also</p> <p>18 important that you give all of your answers</p> <p>19 verbally because our court reporter can't take</p> <p>20 down head nods or hand motions.</p> <p>21 If at any point during the</p> <p>22 deposition, you would like to refer to the</p> <p>23 medical records, please feel free to do so. And,</p> <p>24 also, at some point during the deposition,</p> <p>25 counsel may choose to enter an objection for the</p> | <p style="text-align: right;">7</p> <p>1 Q. Yes. I would like to know what was</p> <p>2 alleged to have occurred.</p> <p>3 A. It was a woman who had been</p> <p>4 hospitalized and then discharged to a nursing</p> <p>5 home where she developed infection requiring</p> <p>6 rehospitalization. It was alleged that we -- the</p> <p>7 diagnosis of infection should have been made</p> <p>8 prior to discharge.</p> <p>9 Q. What type of an infection?</p> <p>10 A. It was sepsis which was felt to have</p> <p>11 spread from her intestine.</p> <p>12 Q. How was that case resolved?</p> <p>13 A. As far as I know, it never went to</p> <p>14 trial.</p> <p>15 Q. Do you know whether it was settled or</p> <p>16 dismissed?</p> <p>17 A. I never heard anything after my</p> <p>18 deposition.</p> <p>19 Q. Doctor, counsel has provided me with</p> <p>20 a copy of your curriculum vitae that we have</p> <p>21 marked as Plaintiffs' Exhibit 1. I would like</p> <p>22 you to just take a look at it and tell me if it's</p> <p>23 current and up to date and if there's any changes</p> <p>24 or corrections you would like to make to it.</p> <p>25 A. It's current and was printed this</p> |
| <p style="text-align: right;">6</p> <p>1 record. You are still required to answer my</p> <p>2 question unless counsel instructs you not to do</p> <p>3 so. Do you understand those instructions?</p> <p>4 A. Yes, I do.</p> <p>5 Q. Have you ever been named as a</p> <p>6 Defendant in a medical negligence case?</p> <p>7 A. No.</p> <p>8 Q. Have you ever acted as an expert in a</p> <p>9 medical negligence case?</p> <p>10 A. No.</p> <p>11 Q. In regard to the case where you had</p> <p>12 given a deposition, can you tell me what the</p> <p>13 Plaintiffs name or the patient's name was in</p> <p>14 that case?</p> <p>15 A. I don't recall.</p> <p>16 Q. Was that a case that was filed in the</p> <p>17 Cleveland area?</p> <p>18 A. Yes.</p> <p>19 Q. How long ago was your deposition</p> <p>20 taken?</p> <p>21 A. I think about two years ago.</p> <p>22 Q. Do you recall the allegation of</p> <p>23 negligence in that case?</p> <p>24 A. Would you like me to explain the --</p> <p>25 or to outline the case real briefly?</p> | <p style="text-align: right;">8</p> <p>1 morning.</p> <p>2 Q. You are currently licensed in the</p> <p>3 State of Ohio; correct?</p> <p>4 A. That's correct.</p> <p>5 Q. And in 1998, did you have a medical</p> <p>6 license in the State of Ohio?</p> <p>7 A. Yes.</p> <p>8 Q. You also hold a board certification;</p> <p>9 correct?</p> <p>10 A. That's correct.</p> <p>11 Q. That is in internal medicine?</p> <p>12 A. Yes.</p> <p>13 Q. As well as the National Board of</p> <p>14 Medical Examiners: is that correct?</p> <p>15 A. That's correct. That's the test you</p> <p>16 take through medical school, three parts.</p> <p>17 Q. Where do you currently have hospital</p> <p>18 privileges?</p> <p>19 A. At MetroHealth.</p> <p>20 Q. Was that also true in 1998?</p> <p>21 A. Yes.</p> <p>22 Q. Have your hospital privileges ever</p> <p>23 been revoked or called into question?</p> <p>24 A. No.</p> <p>25 Q. Has your medical license in Ohio ever</p> |

| | |
|---|---|
| <p>9</p> <p>1 been revoked or called into question?</p> <p>2 A. No.</p> <p>3 Q. Have you ever been licensed in any</p> <p>4 other state besides Ohio?</p> <p>5 A. Yes.</p> <p>6 Q. And what other states?</p> <p>7 A. Washington State.</p> <p>8 Q. And in regard to Washington State,</p> <p>9 have you ever had a revocation or other</p> <p>10 investigation in regard to your medical license?</p> <p>11 A. No.</p> <p>12 Q. Doctor, in regard to your current</p> <p>13 title and position at MetroHealth, what is it?</p> <p>14 A. I'm staff physician at MetroHealth</p> <p>15 and also assistant professor of medicine,</p> <p>16 epidemiology and biostatistics at Case Western</p> <p>17 Reserve.</p> <p>18 Q. In 1998, what was your title and</p> <p>19 position?</p> <p>20 A. It would be the same.</p> <p>21 Q. When did you first begin working as a</p> <p>22 staff physician at MetroHealth Medical Center?</p> <p>23 A. 1992.</p> <p>24 Q. And did you work continuously here as</p> <p>25 a staff physician since 1992?</p> | <p>11</p> <p>1 Q. Doctor, you have a number of</p> <p>2 publications listed on your curriculum vitae. Do</p> <p>3 any of these deal with the subject matter of</p> <p>4 bacterial endocarditis or prosthetic valves?</p> <p>5 A. No, they don't.</p> <p>6 Q. Have you ever taught or given a</p> <p>7 formal presentation on the subject matter of</p> <p>8 endocarditis?</p> <p>9 A. I may have given sort of</p> <p>10 presentations on the inpatient ward, but nothing</p> <p>11 official and not in any sort of a formal</p> <p>12 situation.</p> <p>13 Q. You wouldn't have any type of</p> <p>14 handouts or outlines or syllabus from any of</p> <p>15 those presentations?</p> <p>16 A. No.</p> <p>17 Q. Can you tell me what you have</p> <p>18 reviewed in preparation for this deposition.</p> <p>19 A. I've reviewed the patient's medical</p> <p>20 record.</p> <p>21 Q. Now I'm going to ask you about</p> <p>22 several other records.</p> <p>23 When you say the patient's medical</p> <p>24 record, are you speaking of the MetroHealth</p> <p>25 medical records?</p> |
| <p>10</p> <p>1 A. Yes.</p> <p>2 Q. Now, under your educational</p> <p>3 activities with Case Western Reserve, I see at</p> <p>4 one point in 1994 and 95 you were coordinator of</p> <p>5 fundamentals of medical decision making. What</p> <p>6 was that position?</p> <p>7 A. Fundamentals of medical decision</p> <p>8 making is a course we teach at the medical school</p> <p>9 which involves biostatistics, epidemiology,</p> <p>10 critical evaluation literature.</p> <p>11 Q. Does it involve clinical decision</p> <p>12 making for the physicians?</p> <p>13 A. It's something which is used as a</p> <p>14 basis for clinical decision making, but we're</p> <p>15 teaching it to first year medical students who</p> <p>16 aren't really involved in patient care at that</p> <p>17 point in their training.</p> <p>18 Q. In 1993 I see a teaching</p> <p>19 responsibility, instructor case-oriented problem</p> <p>20 solving. What is that in regard to?</p> <p>21 A. Right. That was specific cases which</p> <p>22 were presented, generally, at the end of the</p> <p>23 second year used as sort of a way to reinforce</p> <p>24 concepts which had been learned throughout the</p> <p>25 first two years of medical school.</p> | <p>12</p> <p>1 A. The MetroHealth medical records, and</p> <p>2 I've also seen at least what I had in my record</p> <p>3 from Southwest General.</p> <p>4 Q. Earlene Mizsey had both inpatient as</p> <p>5 well as outpatient visits at Metro. Did you</p> <p>6 review both of those?</p> <p>7 A. Yes.</p> <p>8 Q. In regard to Southwest General, she</p> <p>9 had at least two visits to the emergency room.</p> <p>10 Did you see visits related to March 10th of 98 as</p> <p>11 well as May 5th of 98?</p> <p>12 A. I saw two records from emergency</p> <p>13 visits. I think the second one was May 8th.</p> <p>14 Q. Did you review any Cleveland Clinic</p> <p>15 records?</p> <p>16 A. I have correspondence from several</p> <p>17 physicians there about her care, but, otherwise,</p> <p>18 I have not reviewed any records.</p> <p>19 Q. What about records from Broadview</p> <p>20 Multi Care, which was the nursing facility that</p> <p>21 she went to?</p> <p>22 A. No.</p> <p>23 Q. Have you reviewed any tapes or</p> <p>24 echocardiogram of Earlene Mizsey?</p> <p>25 A. No.</p> |

| | |
|---|---|
| <p style="text-align: right;">13</p> <p>1 Q. Since this case was filed, have you 2 discussed it with any physicians? 3 A. No. 4 Q. And other than with counsel, have you 5 discussed it with anyone else? 6 A. No. 7 Q. Now, aside from whatever notes are in 8 the MetroHealth Medical Center hospital chart of 9 Earlene Mizsey, do you have any personal notes or 10 personal file on this case? 11 A. No. 12 Q. Have you ever generated any such 13 notes? 14 A. No. 15 Q. Doctor, your field of practice is 16 internal medicine; is that correct? 17 A. That's correct. 18 Q. Is there a particular textbook in 19 your field that you consider to be the best or 20 the most reliable? 21 A. No. 22 Q. Is there any in your teaching 23 responsibilities that you utilize with your 24 students? 25 A. I utilize a textbook called Studying</p> | <p style="text-align: right;">15</p> <p>1 involved in a project which is currently ongoing. 2 Q. What is the research question or 3 hypothetical that you're dealing with in that 4 research project? 5 A. Looking at ways to improve referral 6 of patients for echocardiography to evaluate for 7 endocarditis. 8 Q. Where is that research being 9 conducted? 10 A. Here at MetroHealth. 11 Q. Do you have a research protocol on 12 that case? 13 A. I'm involved as a statistical 14 consultant, so I'm aware there is a research 15 protocol, but I don't have one in my possession. 16 Q. When was that particular study begun? 17 A. I believe about a year ago. 18 Q. And when is it planned to be 19 completed? 20 A. I don't know exactly. My guess would 21 be about a year or so. 22 Q. Have any of the findings from that 23 study been published? 24 A. No. 25 Q. Has there been any summarization of</p> |
| <p style="text-align: right;">14</p> <p>1 a Study, Testing a Test by Reigelman. 2 Q. Any in the field of internal medicine 3 specifically? 4 A. No. No. 5 Q. Does Case Western Reserve have a 6 particular textbook that they utilize with the 7 medical students for internal medicine that 8 you're aware of? 9 A. Not that I'm aware of. 10 Q. Are there any publications, as you 11 sit here today, that you believe have particular 12 relevance to the issues in this case? 13 A. There might be many publications that 14 would be relevant, but none in particular. 15 Q. I'm asking if you have knowledge of 16 any particular one right now. 17 A. No. 18 Q. Doctor, have you participated in any 19 research dealing with the subject matter of 20 bacterial endocarditis? 21 A. Yes. 22 Q. Can you tell me the title of that 23 particular research project and when it was being 24 conducted? 25 A. I'm not aware of a title. I'm</p> | <p style="text-align: right;">16</p> <p>1 data collected to this point in time? 2 A. No. As far as I'm aware, there has 3 been no data collected as of this time. 4 Q. Who is the Cleveland investigator on 5 that research study? 6 A. Michelle Hecker. 7 Q. Would you spell her last name for us, 8 please. 9 A. H-E-C-K-E-R. 10 Q. Now, you mentioned that that study 11 involves looking at ways to refer patients for 12 echocardiograms in patients that have been 13 diagnosed with endocarditis. 14 A. Who are suspected of having 15 endocarditis. 16 Q. What specifically does that study 17 took at in regard to the referral system? 18 A. Looking at ways to improve the yield 19 rate of those patients referred for 20 echocardiography. 21 Q. What do you mean by yield rate? 22 A. In other words, to try to decrease 23 the number of false -- patients referred 24 inappropriately. 25 Q. So looking at referral for</p> |

| | |
|--|--|
| <p style="text-align: right;">17</p> <p>1 transthoracic or transesophageal echo?</p> <p>2 A. Both.</p> <p>3 Q. Is your practice of internal medicine</p> <p>4 limited in any way?</p> <p>5 A. In what sense do you mean limited?</p> <p>6 Q. Some people are internal medicine</p> <p>7 physicians and they limit their practice to a</p> <p>8 certain segment of internal medicine. Do you</p> <p>9 limit yours or is yours a general practice of</p> <p>10 internal medicine?</p> <p>11 A. General practice of internal</p> <p>12 medicine.</p> <p>13 Q. Do you see adults as well as children</p> <p>14 in your practice?</p> <p>15 A. I would not see anybody under age 18.</p> <p>16 Q. Would you describe for me, in general</p> <p>17 terms, your professional practice schedule as it</p> <p>18 was in 1998?</p> <p>19 A. It would consist of two to three half</p> <p>20 days per week of patient care.</p> <p>21 Q. Now, were you seeing patients in a</p> <p>22 clinic setting during that time?</p> <p>23 A. Yes.</p> <p>24 Q. How much time were you spending in</p> <p>25 the clinic setting seeing patients?</p> | <p style="text-align: right;">19</p> <p>1 residents?</p> <p>2 A. That's their general practice clinic,</p> <p>3 so where they see patients on an ongoing basis.</p> <p>4 Q. Where is that continuity clinic</p> <p>5 conducted?</p> <p>6 A. It would have been in the same</p> <p>7 location where I saw patients in my own practice.</p> <p>8 Q. So at MetroHealth Medical Center?</p> <p>9 A. Yes, at MetroHealth.</p> <p>10 Q. How many hours a week were you</p> <p>11 supervising residents in the continuity clinic?</p> <p>12 A. Also two to three half days per week.</p> <p>13 Q. This was in addition to the two to</p> <p>14 three half days that you were seeing patients?</p> <p>15 A. That's correct.</p> <p>16 Q. The research that you were involved</p> <p>17 in, how many hours a week were you doing that?</p> <p>18 A. It varies from week-to-week, but it</p> <p>19 would generally be from 40 to 50 percent of my</p> <p>20 time.</p> <p>21 Q. And did you have responsibilities for</p> <p>22 regular class instruction at Case Western</p> <p>23 Reserve?</p> <p>24 A. Yes, as part of the fundamentals of</p> <p>25 medical decision making.</p> |
| <p style="text-align: right;">18</p> <p>1 A. Each half session would be four</p> <p>2 hours.</p> <p>3 Q. So you'd spend two to three --</p> <p>4 A. Two to three half days, so two to</p> <p>5 three four-hour sessions per week.</p> <p>6 Q. Were you in the clinic area any</p> <p>7 particular hours?</p> <p>8 A. As I recall, I would have one morning</p> <p>9 session, which would be from 8:00 until noon, and</p> <p>10 generally one afternoon session which runs from</p> <p>11 1:00 until 5:00.</p> <p>12 Q. Did you have any hospital</p> <p>13 responsibilities during that same time period?</p> <p>14 A. I attend on the inpatient service six</p> <p>15 to eight weeks per year.</p> <p>16 Q. And when you were not attending on</p> <p>17 the inpatient service and you were doing your</p> <p>18 clinic or outpatient responsibilities the two to</p> <p>19 three half days a week, what did you do the rest</p> <p>20 of the time during the week?</p> <p>21 A. I supervised residents in their</p> <p>22 continuity clinics. I'm also involved in</p> <p>23 teaching activities at the medical school and in</p> <p>24 research activities.</p> <p>25 Q. What is a continuity clinic for the</p> | <p style="text-align: right;">20</p> <p>1 Q. Was that on a weekly basis?</p> <p>2 A. I don't recall if in 1998 there were</p> <p>3 sessions of that course which run on a weekly</p> <p>4 basis. I don't recall if I was involved in that</p> <p>5 at that time, or whether I was just involved in</p> <p>6 the didactic teaching portion which runs for</p> <p>7 about a two-week period all day.</p> <p>8 Q. Now, in 1998 when you were</p> <p>9 supervising residents in the continuity clinic,</p> <p>10 how many residents would you be supervising?</p> <p>11 A. It varies from session-to-session,</p> <p>12 but we keep -- we try to keep a four resident per</p> <p>13 one attending ratio.</p> <p>14 Q. Would all of these be residents in</p> <p>15 internal medicine?</p> <p>16 A. All the residents in internal</p> <p>17 medicine.</p> <p>18 Q. Were you supervising any other level</p> <p>19 of personnel besides the residents during that</p> <p>20 period of time?</p> <p>21 A. Occasionally there are medical</p> <p>22 students present also, and on the inpatient</p> <p>23 service there are medical students.</p> <p>24 Q. Doctor, what is bacterial</p> <p>25 endocarditis?</p> |

| | |
|--|---|
| <p>21</p> <p>1 A. In general, or a specific type?</p> <p>2 Q. In general.</p> <p>3 A. In general, it's an infection of the</p> <p>4 endocardium, or the lining of the heart and heart</p> <p>5 valves.</p> <p>6 Q. How often in your practice have you</p> <p>7 seen patients with bacterial endocarditis?</p> <p>8 A. I don't recall exactly, but it's been</p> <p>9 maybe five to ten times.</p> <p>10 Q. What is prosthetic valve</p> <p>11 endocarditis?</p> <p>12 A. It would be infection of -- involving</p> <p>13 an artificial valve.</p> <p>14 Q. Would that include a bioprosthetic</p> <p>15 valve?</p> <p>16 A. Yeah, both bioprosthetic or</p> <p>17 mechanical valves.</p> <p>18 Q. Have you ever diagnosed a patient</p> <p>19 with bacterial endocarditis?</p> <p>20 A. I don't recall whether I actually</p> <p>21 diagnosed it, but I've been involved in the</p> <p>22 diagnosis of several patients with bacterial</p> <p>23 endocarditis.</p> <p>24 Q. Have you ever referred a patient to</p> <p>25 another physician with a diagnosis of possible</p> | <p>23</p> <p>1 possible.</p> <p>2 Q. Have you ever heard the terms early</p> <p>3 and late prosthetic valve endocarditis?</p> <p>4 A. Yes.</p> <p>5 Q. Can you tell me what your knowledge</p> <p>6 is in regard to the difference between those two</p> <p>7 things.</p> <p>8 A. Early prosthetic valve endocarditis</p> <p>9 would generally refer to disease occurring soon</p> <p>10 after placement of the valve. So it might be</p> <p>11 related to problems with the operative</p> <p>12 procedure. Late endocarditis would be that which</p> <p>13 occurs generally, I believe, about a year or so</p> <p>14 after the valve has been placed.</p> <p>15 Q. What are the signs and symptoms of</p> <p>16 prosthetic valve bacterial endocarditis?</p> <p>17 A. Well, there can be many signs and</p> <p>18 symptoms of prosthetic valve endocarditis, and</p> <p>19 they're generally the same as those of</p> <p>20 nonprosthetic valve endocarditis. Most commonly</p> <p>21 the signs or symptoms would be those of a chronic</p> <p>22 infection.</p> <p>23 Q. And would you tell me what signs and</p> <p>24 symptoms you're referring to?</p> <p>25 A. It might be persistent fever not</p> |
| <p>22</p> <p>1 infectious endocarditis?</p> <p>2 A. I don't recall exactly.</p> <p>3 Q. Are there any risk factors that would</p> <p>4 place a patient at increased risk for developing</p> <p>5 bacterial endocarditis?</p> <p>6 A. There are many risk factors which can</p> <p>7 place patients at increased risk.</p> <p>8 Q. Would you tell me about those you</p> <p>9 have knowledge of.</p> <p>10 A. Among the more common risk factors</p> <p>11 would be older age, IV drug abuse, recent dental</p> <p>12 or other sorts of procedures which might release</p> <p>13 bacteria into the bloodstream. Certainly</p> <p>14 patients with prosthetic valves are at increased</p> <p>15 risk. There are others also, which I don't</p> <p>16 necessarily have right off the top of my head.</p> <p>17 Q. Would diabetes increase the risk for</p> <p>18 developing bacterial endocarditis?</p> <p>19 A. In the sense that patients with</p> <p>20 diabetes are at somewhat increased risk of all</p> <p>21 types of infections, it could increase the risk</p> <p>22 of endocarditis.</p> <p>23 Q. What about the presence of another</p> <p>24 infection?</p> <p>25 A. I don't know exactly. I guess it's</p> | <p>24</p> <p>1 explained by other causes, malaise, generalized</p> <p>2 aches, weight loss, those types of things, you</p> <p>3 know, which would also be associated with other</p> <p>4 sorts of chronic infections.</p> <p>5 Q. Is anorexia associated with signs and</p> <p>6 symptoms of prosthetic valve endocarditis?</p> <p>7 A. It might be in that it's associated</p> <p>8 often with chronic infection.</p> <p>9 Q. Is anemia?</p> <p>10 A. I believe anemia has been reported,</p> <p>11 again, in most chronic infections.</p> <p>12 Q. How about elevated white blood cell</p> <p>13 count?</p> <p>14 A. I'm not sure exactly whether that's</p> <p>15 always present or just goes along with chronic</p> <p>16 infection.</p> <p>17 Q. Increased erythrocyte sedimentation</p> <p>18 rate?</p> <p>19 A. That can be associated with</p> <p>20 endocarditis or other chronic infections.</p> <p>21 Q. Doctor, are there any diagnostic</p> <p>22 studies that are helpful in the diagnosis of</p> <p>23 bacterial endocarditis?</p> <p>24 A. There are many which might be helpful</p> <p>25 in the -- along with the general picture. I'm</p> |

| | |
|--|--|
| <p style="text-align: right;">25</p> <p>1 not aware that any one in particular is</p> <p>2 diagnostic.</p> <p>3 Q. Well, my question was: Are there any</p> <p>4 diagnostic studies that are helpful in the</p> <p>5 diagnosis?</p> <p>6 A. That are helpful. Echocardiogram is</p> <p>7 helpful in the diagnosis. Blood cultures are</p> <p>8 helpful in the diagnosis. I think those would</p> <p>9 probably be the most helpful.</p> <p>10 Q. Can bacterial endocarditis be ruled</p> <p>11 out on the basis of a single blood culture?</p> <p>12 A. No.</p> <p>13 Q. Why not?</p> <p>14 A. It would depend upon your index of</p> <p>15 suspicion prior to taking the blood culture. As</p> <p>16 in any test, there are false-positives and</p> <p>17 false-negatives. So it would depend on the</p> <p>18 situation. But in all situations, it may not</p> <p>19 rule out endocarditis with one negative blood</p> <p>20 culture.</p> <p>21 Q. Does a patient have to have a</p> <p>22 positive blood culture before a presumptive</p> <p>23 diagnosis of bacterial endocarditis can be made?</p> <p>24 A. Not necessarily, no.</p> <p>25 Q. Have you heard the term culture</p> | <p style="text-align: right;">27</p> <p>1 infection.</p> <p>2 Q. Are there any complications</p> <p>3 associated with valvular vegetations in</p> <p>4 endocarditis?</p> <p>5 A. There may be. If they break off,</p> <p>6 they may cause problems elsewhere.</p> <p>7 Q. What type of problems?</p> <p>8 A. Well, the same type of problems you</p> <p>9 would see with emboli or when blood clots break</p> <p>10 off, so they may cause blockage at other sites,</p> <p>11 arterial sites, elsewhere in the body.</p> <p>12 Q. Would one of those complications be</p> <p>13 stroke?</p> <p>14 A. One complication could possibly be</p> <p>15 stroke.</p> <p>16 Q. Would another complication be</p> <p>17 arterial occlusion in an extremity?</p> <p>18 A. That's a possibility, yes.</p> <p>19 Q. And how is prosthetic valve</p> <p>20 endocarditis treated?</p> <p>21 A. I'm not an expert in treatment of</p> <p>22 endocarditis, but generally it would be treated</p> <p>23 the same way as nonprosthetic valve, which would</p> <p>24 be antibiotics as a first-line treatment.</p> <p>25 Q. In a patient that has prosthetic</p> |
| <p style="text-align: right;">26</p> <p>1 negative endocarditis?</p> <p>2 A. Yes.</p> <p>3 Q. What is that?</p> <p>4 A. That's when endocarditis has been</p> <p>5 shown to be present in the absence of a positive</p> <p>6 blood culture.</p> <p>7 Q. Doctor, is there a higher rate of</p> <p>8 negative blood cultures in patients with</p> <p>9 prosthetic valve endocarditis as compared to</p> <p>10 endocarditis patients without a prosthetic</p> <p>11 valve?</p> <p>12 A. I don't know.</p> <p>13 Q. Is there a higher rate of negative</p> <p>14 cultures in subacute bacterial endocarditis as</p> <p>15 compared to acute bacterial endocarditis?</p> <p>16 A. I don't know.</p> <p>17 Q. What are vegetations?</p> <p>18 A. Vegetations generally describe fibrin</p> <p>19 clots on the valves.</p> <p>20 Q. And in regard to bacterial</p> <p>21 endocarditis, are these vegetations usually</p> <p>22 septic?</p> <p>23 A. In endocarditis, the vegetations are</p> <p>24 generally composed of fibrin plus bacteria, so</p> <p>25 they would be part of the source of the</p> | <p style="text-align: right;">28</p> <p>1 valve endocarditis, is it frequently necessary to</p> <p>2 replace the infected valve?</p> <p>3 A. I don't know if I would say</p> <p>4 frequently. It is sometimes necessary to replace</p> <p>5 the valve.</p> <p>6 Q. In a patient that has prosthetic</p> <p>7 valve endocarditis, would you agree that the</p> <p>8 sooner the endocarditis is treated with</p> <p>9 antibiotics, the more likely the outcome is going</p> <p>10 to be positive?</p> <p>11 A. I don't know if that's true in</p> <p>12 prosthetic valve endocarditis.</p> <p>13 Q. Is it true with endocarditis,</p> <p>14 bacterial endocarditis, when a prosthetic valve</p> <p>15 is not involved?</p> <p>16 A. I also don't know.</p> <p>17 Q. Would you agree that one of the main</p> <p>18 goals of treatment in prosthetic valve</p> <p>19 endocarditis is to eradicate the infected</p> <p>20 organism as soon as possible?</p> <p>21 A. The goal is certainly to eradicate</p> <p>22 the infecting organism, yes.</p> <p>23 Q. Now, we discussed some of the</p> <p>24 complications associated with endocarditis.</p> <p>25 Would those same complications be true for a</p> |

| | |
|--|--|
| <p style="text-align: right;">29</p> <p>1 patient that has prosthetic valve endocarditis?</p> <p>2 A. Yes.</p> <p>3 Q. Would you agree that there has to be</p> <p>4 a high degree of vigilance for bacterial</p> <p>5 endocarditis in a patient with a bioprosthetic</p> <p>6 heart valve?</p> <p>7 A. I think it needs to be considered.</p> <p>8 Q. Would you agree that, in a patient</p> <p>9 with a bioprosthetic valve that presents with</p> <p>10 fever and elevated white blood cell count and</p> <p>11 reports of symptoms suggestive of transient</p> <p>12 ischemic attack or stroke, that endocarditis</p> <p>13 should be included in the differential</p> <p>14 diagnosis?</p> <p>15 MS. PETRELLO: Objection.</p> <p>16 A. I think it should be included in the</p> <p>17 differential diagnosis.</p> <p>18 Q. What type of echo is more sensitive</p> <p>19 for picking up signs of prosthetic valve</p> <p>20 endocarditis?</p> <p>21 A. Transesophageal echo is generally</p> <p>22 more sensitive for that, for picking up</p> <p>23 endocarditis.</p> <p>24 Q. Is there a reason why the</p> <p>25 transesophageal is better?</p> | <p style="text-align: right;">31</p> <p>1 Q. Doctor, what causes a heart murmur?</p> <p>2 A. Heart murmur is due to turbulent</p> <p>3 blood flow.</p> <p>4 Q. Are heart murmurs associated with</p> <p>5 prosthetic valve endocarditis?</p> <p>6 A. Patients with prosthetic valves will</p> <p>7 have a heart murmur whether or not they have</p> <p>8 endocarditis.</p> <p>9 Q. Would you agree that infectious</p> <p>10 endocarditis is one of the few infectious</p> <p>11 diseases that is almost always fatal if it is</p> <p>12 untreated?</p> <p>13 A. I would say endocarditis is an</p> <p>14 infectious disease which might be fatal if</p> <p>15 untreated. I don't know whether all infectious</p> <p>16 diseases would be fatal if untreated also.</p> <p>17 Q. Now, if one of your patients is</p> <p>18 diagnosed with prosthetic valve endocarditis,</p> <p>19 would you as an internist be the physician that</p> <p>20 would normally manage the care and treatment of</p> <p>21 that patient?</p> <p>22 A. It would depend on the situation, but</p> <p>23 I would probably not be the sole person managing</p> <p>24 the care of that patient.</p> <p>25 Q. Would it be your usual procedure to</p> |
| <p style="text-align: right;">30</p> <p>1 A. I'm not an echocardiographer. I can</p> <p>2 speculate, but I don't know exactly.</p> <p>3 Q. What's your understanding as to why a</p> <p>4 transesophageal would be --</p> <p>5 A. I think the power used is a little</p> <p>6 bit higher with the echo. It's also less tissue</p> <p>7 to look through. It also anatomically gets</p> <p>8 closer to the area of interest.</p> <p>9 Q. In a patient with a prosthetic valve,</p> <p>10 what would be the clinical indicators that would</p> <p>11 warrant proceeding with an echocardiogram to</p> <p>12 assist in evaluating the patient?</p> <p>13 A. I'm sorry, can you repeat the</p> <p>14 question?</p> <p>15 (Record read.)</p> <p>16 A. It would be the same symptoms which</p> <p>17 would be -- you know, indicate further workup in</p> <p>18 a patient without a prosthetic valve, so I think</p> <p>19 the signs and symptoms which suggest the</p> <p>20 diagnosis of endocarditis.</p> <p>21 Q. Do valvular vegetations have to be</p> <p>22 present before the diagnosis of prosthetic valve</p> <p>23 endocarditis can be made?</p> <p>24 A. I believe valvular vegetations would</p> <p>25 be required, but, again, I'm not an expert.</p> | <p style="text-align: right;">32</p> <p>1 refer the patient for consultation with a</p> <p>2 cardiologist?</p> <p>3 A. Yes.</p> <p>4 Q. Do you have an independent</p> <p>5 recollection of Earlene Mizsey as you sit here</p> <p>6 today?</p> <p>7 A. I remember Ms. Mizsey, yes.</p> <p>8 Q. And from your recollection or the</p> <p>9 review of the records, when is the first time</p> <p>10 that Earlene Mizsey came under your care? And</p> <p>11 you may refer to the records if that's helpful to</p> <p>12 you.</p> <p>13 A. I believe it was March 18th, or March</p> <p>14 13th I saw her for the first time. March 13th,</p> <p>15 1998.</p> <p>16 Q. How is it that she came under your</p> <p>17 care on March 13th of 1998?</p> <p>18 A. I was in the urgent care clinic here</p> <p>19 at MetroHealth, and she presented as a patient to</p> <p>20 our urgent care.</p> <p>21 Q. Now, doctor, was the urgent care</p> <p>22 clinic something that was part of your regular</p> <p>23 responsibilities at that time?</p> <p>24 A. Yes. Yes.</p> <p>25 Q. How often were you in the urgent care</p> |

| | |
|---|--|
| <p style="text-align: right;">33</p> <p>1 clinic?</p> <p>2 A. Usually one session per week. So the</p> <p>3 three sessions which I had mentioned before would</p> <p>4 have included generally one urgent care session.</p> <p>5 Q. So that you would have been there</p> <p>6 individually, not in supervision of resident</p> <p>7 physicians?</p> <p>8 A. Right. That's correct.</p> <p>9 Q. Now, when you saw her, did she have a</p> <p>10 primary care physician at Metro?</p> <p>11 A. She saw -- had seen an</p> <p>12 endocrinologist and a cardiologist, but at that</p> <p>13 time did not have a primary care physician.</p> <p>14 Q. And did you assume care as her</p> <p>15 primary care physician at the point that she was</p> <p>16 seen on March 13th, 98?</p> <p>17 A. Yes.</p> <p>18 Q. I'm sorry.</p> <p>19 A. Yes.</p> <p>20 Q. Was that a typical procedure where,</p> <p>21 if you were covering the urgent care and a person</p> <p>22 didn't have a primary care physician, he may pick</p> <p>23 up that patient as a primary care physician at</p> <p>24 Metro?</p> <p>25 A. Yes. That happened quite</p> | <p style="text-align: right;">35</p> <p>1 A. Yes.</p> <p>2 Q. Would that information then be</p> <p>3 provided to you prior to the time that you saw</p> <p>4 the patient?</p> <p>5 A. Yes.</p> <p>6 Q. Now, you have your notes opened for</p> <p>7 the March 13th visit?</p> <p>8 A. Yes.</p> <p>9 Q. The faculty visit note dated March</p> <p>10 13th, 98 at 1:05 p.m., is that note in your</p> <p>11 handwriting?</p> <p>12 A. Yes.</p> <p>13 Q. Does that continue on to the next</p> <p>14 page also?</p> <p>15 A. Yes.</p> <p>16 Q. Now, why is this entitled at the</p> <p>17 top "Faculty visit note"? What does that mean?</p> <p>18 A. We have two separate clinics. One is</p> <p>19 a faculty clinic where the faculty sees private</p> <p>20 patients or their own patients. We also have a</p> <p>21 resident or teaching clinic for the residency</p> <p>22 patients.</p> <p>23 Q. Now, when you saw Earlene Mizsey at</p> <p>24 this March 13th visit, did you obtain a history</p> <p>25 from her?</p> |
| <p style="text-align: right;">34</p> <p>1 frequently. She also had an appointment with me</p> <p>2 already scheduled at that time to see me as a</p> <p>3 primary care patient.</p> <p>4 Q. When you saw her on the March 13th</p> <p>5 visit, was anybody accompanying her?</p> <p>6 A. I believe she was there with her</p> <p>7 daughter.</p> <p>8 Q. She had several daughters. Do you</p> <p>9 know which daughter accompanied her?</p> <p>10 A. I don't remember which daughter, no.</p> <p>11 Q. And what did you understand to be the</p> <p>12 reason she came to Metro on March 13th?</p> <p>13 A. She had recently been seen at the</p> <p>14 Southwest ER for evaluation of a neurologic</p> <p>15 episode, and she had continued to have some</p> <p>16 symptoms which were bothersome, and that was what</p> <p>17 brought her into urgent care.</p> <p>18 Q. Now, generally, when a patient was</p> <p>19 seen in the outpatient clinic or the urgent care</p> <p>20 in this instance, would the patient be seen by a</p> <p>21 registered nurse or some type of nursing</p> <p>22 personnel before you would see the patient?</p> <p>23 A. Yes.</p> <p>24 Q. Would they usually record vital signs</p> <p>25 and some basic information from the patient?</p> | <p style="text-align: right;">36</p> <p>1 A. Yes.</p> <p>2 Q. And the source of that history, was</p> <p>3 that from the patient?</p> <p>4 A. Yes.</p> <p>5 Q. Can you just go through what</p> <p>6 information you were provided?</p> <p>7 A. From the patient or prior -- you</p> <p>8 know, from the nurse?</p> <p>9 Q. Well, let's differentiate. Let's</p> <p>10 start with what information the nurse provided</p> <p>11 you with, and then tell me what you received from</p> <p>12 the patient.</p> <p>13 A. Okay. So the nurse's note is what's</p> <p>14 written at the top of the page, the four lines</p> <p>15 written in darker ink and signed, it looks like</p> <p>16 L. Munoz. So that would be the note from the</p> <p>17 nurse which gives sort of a chief complaint and</p> <p>18 the vital signs and also the allergies.</p> <p>19 What I've written in the note below</p> <p>20 would be the history I obtained from the patient,</p> <p>21 which would include both the history of the</p> <p>22 present illness or the current problem which</p> <p>23 brought her in to see me, and also some of the</p> <p>24 past medical history, which is kind of written in</p> <p>25 the margin on the left side of the page.</p> |

| | |
|--|---|
| <p style="text-align: right;">37</p> <p>1 Q. The information that's written in the 2 margin on the left side of the page came from the 3 patient; is that correct? 4 A. I don't recall exactly. It probably 5 came from a combination of the patient and also 6 the prior medical record from MetroHealth. 7 Q. Well, I'd like you to go through what 8 information you received from the patient in your 9 note. 10 A. I don't recall exactly what I 11 received from the patient. The chief complaint 12 would come from the patient. So awoke on 3-10 13 with weakness and clumsiness of the left arm and 14 some trouble eating and talking, that would come 15 from the patient. 16 I mean, I guess --would you like me 17 to go through this? 18 Q. Yes. Yes. 19 A. So she told me she had been seen at 20 Southwest and had had a CT scan which was 21 reported by her as negative for any problem. 22 Hemorrhage is what I've written. She also had 23 upper respiratory infection type symptoms and was 24 treated with Zithromax, an antibiotic, for that. 25 She was discharged and told to follow up here.</p> | <p style="text-align: right;">39</p> <p>1 A. From the patient, correct. 2 Q. Now, you refer to upper respiratory 3 infection symptoms, I believe, about halfway down 4 through the note that you just read to us. 5 A. Yes. 6 Q. When you were informed that she had 7 the upper respiratory symptoms, what symptoms 8 were you informed that she had? 9 A. Well, also the nurse's note indicates 10 sinusitis, so that was probably what I'm 11 referring to. I don't recall exactly what I 12 asked. Generally, I would ask about congestion 13 or nasal discharge, cough, sore throat, those 14 types of things, when referring to upper 15 respiratory symptoms. 16 Q. Do you recall whether she reported 17 she had a fever? 18 A. I've written she denied fever and 19 chills. 20 Q. Now, when you saw her on this date, 21 March 13th, you were aware that she had had an 22 aortic valve replacement; correct? 23 A. That's correct. That's indicated in 24 the margin where it says AV replacement. 25 Q. Now, did you do a physical exam on</p> |
| <p style="text-align: right;">38</p> <p>1 Her symptoms over the next three days 2 continued, and she had weakness and clumsiness of 3 the left hand, and also some numbness. She 4 denied any headache, visual symptoms, fever, 5 chills or other complaints. She hadn't had any 6 chest pain or shortness of breath and denied any 7 palpitations. 8 Q. In regard to the numbness, was that 9 numbness in her left hand that you're referring 10 to? 11 A. Yes. 12 Q. Did you have any communications with 13 the emergency room physician that saw Earlene 14 Mizsey on March 10th, 98 at Southwest General 15 Hospital's emergency room? 16 A. No, I did not. 17 Q. Did you, either before or after this 18 3-13 visit with Earlene Mizsey, have any 19 communications with Dr. Vrobel or Dr. Rakita 20 regarding Earlene Mizsey's emergency room visit 21 of March 10th? 22 A. No, I did not. 23 Q. Now, the information regarding the CT 24 scan there, you believe that likely came from the 25 patient?</p> | <p style="text-align: right;">40</p> <p>1 Earlene Mizsey on this visit? 2 A. Yes, I did. 3 Q. Did you find any deviations from 4 normal that you felt were significant on your 5 physical exam? 6 A. Yes, I did. 7 Q. Would you tell me just those that you 8 felt were significant deviations from normal. 9 A. She had bilateral carotid bruits on 10 her neck exam, so murmurs in the neck. She had a 11 cardiac murmur present, and she had some 12 neurologic findings, including a little bit of 13 weakness on the left arm, some decrease in 14 sensation on the left side, a mild facial droop, 15 and some decrease in her deep tendon reflexes. 16 Q. Now, in regard to the carotid bruits, 17 those were bilateral that you heard? 18 A. Yes. 19 Q. What would be causes, or possible 20 causes, for those bilateral bruits? 21 A. This could be disease of the carotid 22 arteries, narrowing, which would give you 23 bruits. It could also be sound referred from her 24 cardiac murmur. 25 Q. Now, you heard a cardiac murmur that</p> |

| | |
|--|--|
| <p style="text-align: right;">41</p> <p>1 was a grade 3 over 6 murmur; is that correct?</p> <p>2 A. That's correct.</p> <p>3 Q. You heard it best over the aortic</p> <p>4 arch; is that correct?</p> <p>5 A. Over the aortic area, yes.</p> <p>6 Q. Was this a new finding or an old</p> <p>7 finding for her?</p> <p>8 A. It was, as far as I know, an old</p> <p>9 finding, and, given her aortic valve replacement,</p> <p>10 not completely an unexpected finding.</p> <p>11 Q. Now, when you were doing your</p> <p>12 physical exam, you conducted a neurological</p> <p>13 examination; correct?</p> <p>14 A. Yes.</p> <p>15 Q. And did you specifically check her</p> <p>16 legs for any signs of weakness or numbness on the</p> <p>17 side that she was experiencing the weakness and</p> <p>18 numbness in her upper extremity?</p> <p>19 A. I tested her gait and also tandem</p> <p>20 walk, which would involve some strength in lower</p> <p>21 extremities, along with sort of balance testing.</p> <p>22 Q. And did you notice any deficits in</p> <p>23 her lower extremities?</p> <p>24 A. Her gate was slightly unsteady, and</p> <p>25 she couldn't sort of do heel-to-toe walking, you</p> | <p style="text-align: right;">43</p> <p>1 possible cause for her stroke?</p> <p>2 A. I don't recall exactly what I was</p> <p>3 thinking, but that would certainly be something</p> <p>4 to consider.</p> <p>5 Q. And what was your plan of care at</p> <p>6 this visit for Earlene Mizsey?</p> <p>7 A. The plan of care was to schedule her</p> <p>8 for a carotid ultrasound to evaluate the arteries</p> <p>9 in her neck, to get an echo of the heart to look</p> <p>10 for problems on the valve or a source of emboli</p> <p>11 or reason for this stroke. She was already on</p> <p>12 aspirin, which I increased her aspirin, which is</p> <p>13 a treatment to prevent, or try to prevent,</p> <p>14 embolic phenomenon.</p> <p>15 Her blood pressure was a little bit</p> <p>16 elevated, and I increased one of her blood</p> <p>17 pressure medicines. I referred her for an</p> <p>18 evaluation from our physical therapy and</p> <p>19 occupational therapy department, and I also</p> <p>20 requested the records from Southwest, and then</p> <p>21 scheduled her for a followup about four days</p> <p>22 later, five days later.</p> <p>23 Q. Now, you ordered a carotid ultrasound</p> <p>24 and an echo to look for an embolic source; is</p> <p>25 that correct?</p> |
| <p style="text-align: right;">42</p> <p>1 know, sort of walking a tightrope line. And she</p> <p>2 couldn't walk on her heels or on her toes, which</p> <p>3 would indicate some weakness of the lower</p> <p>4 extremities.</p> <p>5 Q. Was it more on one side or the other</p> <p>6 or was it about equal, from your assessment?</p> <p>7 A. I don't recall exactly, but I didn't</p> <p>8 note that it was any better or worse on one side</p> <p>9 compared to the other.</p> <p>10 Q. What was your conclusion regarding</p> <p>11 her condition after your evaluation?</p> <p>12 A. My conclusion was that the symptoms</p> <p>13 were consistent with an acute event, neurologic</p> <p>14 event, or a stroke of some type.</p> <p>15 Q. Was there anything else within your</p> <p>16 differential diagnosis when you saw her on March</p> <p>17 13th?</p> <p>18 A. Well, there are many other things</p> <p>19 which one might consider. I didn't write</p> <p>20 anything else down there other than her other</p> <p>21 medical problems. I don't recall at this time</p> <p>22 exactly what other things I might have been</p> <p>23 thinking.</p> <p>24 Q. Did you consider at any point the</p> <p>25 possibility of prosthetic valve endocarditis as a</p> | <p style="text-align: right;">44</p> <p>1 A. That's correct.</p> <p>2 Q. Doctor, would you agree that an</p> <p>3 embolism to the brain can in some instances cause</p> <p>4 devastating disability and even death?</p> <p>5 MS. PETRELLO: Objection.</p> <p>6 A. Embolism to the brain can cause</p> <p>7 problems, yes.</p> <p>8 Q. If there was any question in Earlene</p> <p>9 Mizsey's case that her stroke was a result of a</p> <p>10 condition that caused embolisms to travel to her</p> <p>11 brain, shouldn't those diagnostic tests have been</p> <p>12 done on a high priority basis?</p> <p>13 MR. KILBANE: Objection.</p> <p>14 MS. PETRELLO: Objection.</p> <p>15 A. There was no evidence on my exam at</p> <p>16 the time that there was something going on which</p> <p>17 required acute evaluation.</p> <p>18 Q. But you would agree that you were</p> <p>19 considering the possibility that this stroke that</p> <p>20 she experienced may have been caused by an</p> <p>21 embolic source; correct?</p> <p>22 A. That's correct.</p> <p>23 Q. Now, when you saw her on March 13th,</p> <p>24 98, did you give orders to schedule the</p> <p>25 echocardiogram and carotid ultrasound?</p> |

| | |
|--|---|
| <p style="text-align: right;">45</p> <p>1 A. I wrote that in my note, so I would 2 have given orders, yes. 3 Q. Do you speak with the nurses and have 4 them schedule it, or how is that done once you 5 see the patient and you have recorded it in your 6 note? 7 A. At that time, we would have filled 8 out a form or request for the ultrasound and for 9 the echo, which then the patient would have 10 brought to the front desk where it would have 11 been scheduled from there. 12 Q. Did you take any action to have these 13 tests scheduled as a high priority test? 14 A. I don't recall. 15 Q. Is that something, as a physician, 16 you can do if you feel that there's a critical 17 need for a particular patient to have a test 18 scheduled as a high priority? 19 A. You can call and discuss that with 20 the person doing the test, yes. 21 Q. When you ordered the echocardiogram, 22 were you considering prosthetic valve 23 endocarditis as one possible source of embolism? 24 A. I don't recall exactly. It may have 25 been on my differential at that time.</p> | <p style="text-align: right;">47</p> <p>1 13th? 2 A. An urgent care visit is a 20-minute 3 visit, so you don't have time in those visits to 4 address sort of ongoing health needs, preventive 5 measures, primary care health needs and that kind 6 of thing. 7 The first visit with me on the 18th 8 would have been a 45-minute time slot and would 9 allow me to assess some other problems other than 10 just this one which had brought her into the 11 urgent care. 12 Q. When she was to come back on the 13 18th, did you think that you would have the 14 results of the echocardiogram and the ultrasound 15 by the 18th? 16 A. I don't recall. I mean, it's 17 possible that there may have been some results, 18 but it would depend on the schedule. 19 Q. Now, there is a physical therapy 20 evaluation note dated, I believe, March 17th, 21 1998. 22 A. Yes. 23 Q. Do you have that? On page 2 of that 24 of that note, it indicates phone contact made 25 with Dr. Einstadter regarding recommendations.</p> |
| <p style="text-align: right;">46</p> <p>1 Q. Well, what would be the reasons for 2 ordering an echocardiogram in a patient with a 3 prosthetic heart valve to rule out embolic 4 sources? 5 A. There's other reasons for -- patients 6 with prosthetic heart valves may form blood clots 7 on the surface of the valve, and that can also 8 serve as a source of emboli. 9 Q. Is that true with a porcine heart 10 valve? 11 A. It's true with any type of heart 12 valve. 13 Q. Now, your note indicates that you 14 requested the patient follow up with you, I 15 believe, on March 18th, 98; is that correct? 16 A. That's correct. 17 Q. Why did you want to see her back 18 within the five days? 19 A. That was the date of her original 20 appointment with me, so I just said to keep that 21 appointment, and at that time I would do a more 22 complete -- get a more complete history and do 23 the full exam. 24 Q. What additional things did you plan 25 on doing on the 18th that you didn't do on the</p> | <p style="text-align: right;">48</p> <p>1 A. Yes. 2 Q. Do you recall speaking to the 3 physical therapist in regard to Earlene Mizsey? 4 A. I don't recall exactly, no. 5 Q. Now, that note indicates further 6 down, I believe, that the patient was being 7 discharged from physical therapy. 8 A. Yes. 9 Q. Can you tell me, either from your 10 recollection or review of the records, as to why 11 she was being discharged from physical therapy? 12 A. Reading the physical therapy note, it 13 appears that they didn't feel she had the 14 deficits or limitations which would benefit from 15 physical therapy, but I don't recall exactly, 16 other than what's written in the note, why that 17 was. 18 Q. Now, you then saw her again in the 19 outpatient clinic on March 18th; is that 20 correct? 21 A. That's correct. 22 Q. And this was the regular medical 23 outpatient clinic; is that correct? 24 A. That's correct. 25 Q. Is there a specific term? I want to</p> |

| | |
|---|--|
| <p style="text-align: right;">49</p> <p>1 refer to it appropriately. What do they call 2 that clinic that she was seen in for the 18th 3 visit? The internal medicine? 4 A. Internal medicine clinic, yes. 5 Q. Now, did you do an assessment on her 6 when you saw her on the 18th of March? 7 A. Yes, I did. 8 Q. Did your assessment of her condition 9 change in any way from your previous assessment 10 of March 13th? 11 A. No, it did not. 12 Q. Did you again examine her upper and 13 lower extremities for signs of weakness, 14 numbness, other neurological findings? 15 A. Yes, I did. 16 Q. And was your neurological assessment 17 essentially the same as it had been from the 18 13th? 19 A. Comparing the two notes, it appears 20 that I've noted that her strength is five of 21 five, or normal in all extremities, although I 22 still note some pronator drift which would 23 indicate a little bit of weakness on the left 24 upper extremity. Otherwise, it appears to be 25 fairly unchanged.</p> | <p style="text-align: right;">51</p> <p>1 cause to her neurological deficits? 2 A. At that time, no. 3 Q. Now, would you read for us what you 4 wrote under item number 2 in your assessment. 5 A. Coronary artery disease with aortic 6 valve replacement. Suspect bruits in neck is 7 transmitted from the aorta but can't be sure 8 without Doppler exam. 9 Q. Would the bruits that you heard in 10 her neck be typical of a patient that has had 11 aortic valve replacement? 12 A. I can't say for sure. 13 Q. Now, doctor, under the area marked 14 "Plan" for the 3-18 internal medicine clinic 15 visit, would you read to us what you have written 16 under item number 2. 17 A. To have carotid Doppler and cardiac 18 echo in next two weeks. 19 Q. Why did you write that particular 20 note? 21 A. That would -- the test had been 22 scheduled, and I may have looked up when they 23 were scheduled for, and that would be just to 24 remind me that that's, you know, when to expect 25 the results.</p> |
| <p style="text-align: right;">50</p> <p>1 Q. So she had a slight improvement in 2 her upper extremity? 3 A. Yes. 4 Q. Now, doctor, under the part of your 5 note entitled "Assessment" from that March 18th 6 visit, would you read for us what you wrote under 7 item number 1? 8 A. Recent cerebral event, suspect small 9 cortical infarct. Unclear if this was embolic or 10 thrombotic, though I favor the latter. No 11 evidence of progression of deficit. 12 Q. What made you suspect a small 13 cortical infarct? 14 A. The symptoms she described, which 15 consisted of clumsy hand and some difficulty 16 speaking when this first occurred, would go along 17 with what's called a lacunar infarct, or a 18 blockage of one of the small vessels in the 19 brain. That's generally not an embolic event, 20 but more thrombotic, meaning platelet formation 21 to block the blood vessel right at that site as 22 opposed to traveling from another site. Given 23 her symptoms, that seemed to be the best 24 explanation for what had happened. 25 Q. Were you able to rule out an embolic</p> | <p style="text-align: right;">52</p> <p>1 Q. Had the test actually been ordered at 2 the previous visit when she was there on 3-13? 3 A. That would imply that that is 4 correct. 5 Q. In this instance, is there a reason 6 that the -- was the two-week time interval the 7 scheduling dictated by the echocardiogram clinic 8 and the ultrasound clinic, or was that something 9 that you requested, that it be done within two 10 weeks? 11 A. That probably would have been when 12 the schedule allowed those to be fit in. 13 Q. Did Earlene Mizsey have the two tests 14 done within the two weeks that you ordered them? 15 A. I don't recall exactly. I can look. 16 She had them on 4-9, which would be in about 3 17 weeks. 18 Q. Do you know why there was a delay to 19 three weeks? 20 MR. KILBANE: Objection. 21 A. I don't recall. I mean, I don't know 22 why. 23 Q. When patients are sent for a 24 diagnostic study, is there a system in place at 25 MetroHealth whereby the results of the study are</p> |

| | |
|--|---|
| <p style="text-align: right;">53</p> <p>1 reviewed by a physician soon after it becomes 2 available? 3 A. Yes. I think so. 4 Q. What is the system? Once a 5 diagnostic study is done and the results become 6 available, what happens to those results? Who 7 are they forwarded to? 8 A. After they're interpreted, or -- 9 Q. Yes. Once the -- 10 A. The final report is done. 11 Q. Yes. 12 A. Once the final report is done, they 13 generally -- a copy would be sent back to the 14 requesting physician. 15 Q. So in this case, the ultrasound study 16 and the echocardiogram study would have been 17 directed to you once it was completed? 18 A. That's correct. They would also be 19 available on-line. 20 Q. Now, do you come in and check the 21 results of studies on a regular basis, even if 22 you don't have an appointment scheduled with the 23 patient? 24 A. I receive the reports, you know, in 25 my mail, so I would review them as they come in.</p> | <p style="text-align: right;">55</p> <p>1 A. Reviewing the note, yes. I mean, I 2 don't recall the exact situation. 3 Q. She was also seen on April 26th in 4 Metro's emergency room department. Do you recall 5 being notified about that visit? 6 A. I don't recall exactly. I was 7 looking to see if I had received a phone call 8 from her prior to that, but I don't recall 9 exactly, no. 10 Q. You don't recall speaking with Dr. 11 Pennington or a Dr. Storoe regarding the April 12 26th, 98 evaluation of Earlene Mizsey? 13 A. No, I do not. 14 Q. I'd like you to take a look at that 15 emergency room visit. I'm going to give you a 16 minute just to look it over for a second. 17 MS. PETRELLO: 4-26? 18 MS. TOSTI: Yes. 19 Q. The temperature that's recorded at 20 that visit is 37.6 Centigrade, which is a little 21 over 99.6 Fahrenheit. Do you consider that to be 22 an elevation in temperature? 23 A. It's above that which would be 24 considered normal, but it depends often on the 25 situation.</p> |
| <p style="text-align: right;">54</p> <p>1 Q. Now, the next time that you saw 2 Earlene Mizsey was on April 30th of 98; is that 3 correct? 4 A. That's correct. 5 Q. Now, she was, I believe, in 6 MetroHealth Medical Center's emergency department 7 on April 21st prior to the time that you saw 8 her. 9 A. Yes. 10 Q. When a patient comes into the 11 emergency room at MetroHealth Medical Center, 12 does the emergency room contact the primary care 13 physician and notify them that the patient is in 14 the emergency room? 15 A. It depends on the situation, but, in 16 general, the primary care function, or if it's a 17 night or weekend, the person covering for that 18 individual will be contacted, yes. 19 Q. She was seen, I believe, on April 20 21st. Were you contacted in regard to that 21 visit? 22 A. I don't recall exactly. 23 Q. I believe she had a complaint about 24 right hand tremors that occurred. Do you have 25 any recollection of that?</p> | <p style="text-align: right;">56</p> <p>1 Q. Well, in Earlene Mizsey's situation, 2 would that be considered a temperature 3 elevation? 4 A. It's elevated. 5 Q. When you saw Earlene Mizsey on April 6 30th, did you have the notes from the emergency 7 room visit of April 26th, 98 available to you? 8 A. I don't recall. 9 Q. Well, under normal circumstances, if 10 a patient was seen four days previous in the 11 emergency room, would you have at least the 12 handwritten notes from that visit in the 13 MetroHealth Medical Center chart? 14 A. That may vary. Not in every 15 situation. 16 Q. Would they normally send a copy of 17 the emergency room visit to the primary care 18 physicians when a patient was seen in 19 MetroHealth's emergency room? 20 A. I would get a copy of the dictation, 21 yes. 22 Q. What about the handwritten materials, 23 would those appear on the patient's chart? 24 A. They would appear in the patient's 25 hospital chart, yes.</p> |

| | |
|--|--|
| <p style="text-align: right;">57</p> <p>1 Q. Now, in the emergency room visit 2 notes, I believe it states in the typewritten 3 note that she stepped out of the shower and had a 4 sudden onset of aching pain radiating from her 5 foot all the way up to her hip. Do you see that? 6 A. Yes. 7 Q. When you saw her on the 30th, was she 8 still having severe right foot and leg pain? 9 A. Yes. 10 Q. What did you attribute her severe 11 foot and leg pain to? 12 A. I felt that the pain and description 13 was consistent with peripheral neuropathy. So 14 neuropathic pain. 15 Q. Would neuropathic pain typically be 16 sudden onset? 17 A. It can be. 18 Q. Did you note that she had some 19 changes in her legs which were evidence of venous 20 insufficiency? 21 A. Yes, I did. 22 Q. What changes did you note? 23 A. I don't recall exactly in her 24 situation. Generally, that would refer to 25 discoloration of the lower extremities,</p> | <p style="text-align: right;">59</p> <p>1 you did a more thorough assessment on the 18th, 2 isn't it something that you would usually put 3 into your note? 4 A. I would generally ask the question. 5 The note does not always reflect a hundred 6 percent of what went on in the visit, but that 7 would generally be a question I would ask as part 8 of the assessment. 9 Q. Now, doctor, in the physical therapy 10 evaluation done on Earlene Mizsey on the 17th, it 11 states that she denies any pain. Do you have any 12 reason to disagree with the physical therapy 13 assessment? 14 A. I was not there. I don't know what 15 pain that refers to. 16 Q. And you don't have any recollection 17 of discussing the physical therapist's assessment 18 as indicated in the physical therapist's note; 19 correct? 20 A. That's correct. 21 Q. Now, in your note of April 30th, you 22 indicate that she had a ten-pound weight loss 23 since her last visit on March 18th, 98; correct? 24 A. That's correct. 25 Q. What did you attribute the cause of</p> |
| <p style="text-align: right;">58</p> <p>1 prominence of veins, sometimes some scaling of 2 the skin in the lower extremities. 3 Q. Now, you found that the dorsum of her 4 right foot in the pretibial area was tender to 5 touch; correct? 6 A. Yes. 7 Q. Was that due to what you thought was 8 neuropathy also? 9 A. That could be due to neuropathy. 10 Q. Is that a typical thing that you see 11 with neuropathy, where there is tenderness to the 12 touch? 13 A. It varies, but that is often found 14 with neuropathy. 15 Q. How long had she had that right foot 16 and pretibial area tenderness? 17 A. I don't know that area exactly, but 18 she had reported pain in the right foot and leg 19 for the past one to two months. 20 Q. Now, doctor, your notes from March 21 13th and March 18th don't note anything in regard 22 to pain, does it? 23 A. It's not in that note, I agree. 24 Q. And if she had been complaining of it 25 at the time that you saw her, particularly when</p> | <p style="text-align: right;">60</p> <p>1 her weight loss to? 2 A. She indicated that she had been 3 depressed with a decreased appetite and sort of 4 loss of -- anhedonia, kind of loss of joy in 5 everyday things due to the recent death of a 6 daughter. 7 Q. Isn't lethargy and loss of appetite, 8 weight loss, also associated with subacute 9 bacterial endocarditis? 10 A. Lethargy and weight loss may be 11 associated with many things. It's certainly been 12 described as being associated with endocarditis. 13 Q. Now, your note says that it was 14 related to depression or other etiology. What 15 other etiologies were you referring to? 16 A. I don't recall at this time, but 17 weight loss and loss of appetite can be due to 18 many different things, but I don't recall exactly 19 what other etiology I meant. 20 Q. Now, Earlene Mizsey's transthoracic 21 echo was done on April 9th of 1998. When did you 22 receive the report from that test? 23 A. I don't recall exactly the dictation 24 of that. I believe it said the 15th, so it would 25 have been after that date.</p> |

| | |
|--|---|
| <p style="text-align: right;">61</p> <p>1 Q. Now, you first suggested that an echo 2 be done on March 13th, 98. 3 A. That's correct. 4 Q. The test was actually done on April 5 9th, 27 days later. 6 Did you expect that it would take 27 7 days to complete an echo on someone to rule out 8 an embolic source for stroke? 9 A. That's not an unusual time frame for 10 that to occur. 11 Q. Now, you saw her three weeks after 12 the echo was completed; correct, on April 30th? 13 A. On the 30th, that's correct. 14 Q. I'd like you to take a look at the 15 transthoracic echocardiogram report of April 16 9th -- 17 A. Okay. 18 Q. -- and tell me what your impressions 19 were after you reviewed that report. 20 A. I can't tell you my exact impression 21 at that time, because I don't remember, but, you 22 know, looking at it now, it would be that she had 23 some disease of the valve, but that that was 24 about it. 25 Q. At the bottom of the first page on</p> | <p style="text-align: right;">63</p> <p>1 deterioration? 2 A. I did not, no. 3 Q. Should you have? 4 A. I'm not quite sure how to answer 5 that. At this point in her care, I don't believe 6 so, no. 7 Q. When you saw the results of this 8 transthoracic echo, did it raise any concerns in 9 your mind that Earlene Mizsey may have prosthetic 10 valve endocarditis? 11 A. As I said, it likely could have been 12 on the differential. I was more concerned that 13 the valve was a source of emboli. 14 Q. And as a source of emboli, it could 15 very well result in another stroke; correct? 16 A. That's correct. 17 Q. Now, you are not a cardiologist; 18 correct? 19 A. That's correct. 20 Q. When you received the results of this 21 April 9th echocardiogram and saw that it was 22 suggestive of bioprosthetic aortic valve 23 deterioration, did you refer her to a 24 cardiologist for further evaluation? 25 A. I don't believe so.</p> |
| <p style="text-align: right;">62</p> <p>1 the April 9th, 98 echo report, it indicates the 2 above suggests bioprosthetic deterioration which 3 could be a potential embolic source. TEE may be 4 helpful in further clarifying. Do you see that? 5 A. Yes. 6 Q. What would cause her valve to 7 deteriorate after being in place for just four 8 years? 9 A. Not being a cardiologist or a 10 cardiothoracic surgeon, I don't know exactly. I 11 know it's not unusual for valves to deteriorate 12 overtime. 13 Q. Would you expect a porcine valve to 14 start deteriorating in four years? 15 A. I don't know. 16 Q. Would you agree that prosthetic valve 17 endocarditis would be high on the list of things 18 that could cause a porcine valve to deteriorate? 19 MR. KILBANE: Objection. You can 20 answer. 21 A. I believe that would be one thing to 22 consider. 23 Q. Doctor, did you order any blood 24 cultures when you received this report of the 25 echo which was suggestive of bioprosthetic valve</p> | <p style="text-align: right;">64</p> <p>1 Q. Is there a reason why you did not? 2 A. I don't recall. 3 Q. Did you take any action in regard to 4 the results of this echocardiogram? 5 A. I did order the transesophageal echo. 6 Q. And why did you do that? 7 A. Well, I still didn't have a source 8 for potential embolic events, and I thought that 9 that might help to clarify the situation. 10 Q. So a transesophageal echo may be more 11 sensitive for evaluating the aortic valve? 12 A. Yes. 13 Q. Did you make any attempts to have the 14 transesophageal echo done on a high priority 15 basis? 16 A. I don't recall. 17 Q. Wouldn't this be a situation where 18 you would want to have this echocardiogram, the 19 transesophageal echo, done on a high priority 20 basis considering that there was already 21 suggestion of bioprosthetic valve deterioration 22 which may be a potential source of emboli? 23 A. At that time, I did not have the 24 feeling that this required an urgent referral for 25 a TEE.</p> |

| | |
|--|--|
| <p style="text-align: right;">65</p> <p>1 Q. Now, doctor, in the records, and I'm 2 not sure where they would be in your set, there 3 is a referral to MetroHealth Center's heart and 4 vascular lab. It's a requisition for the 5 transesophageal echo. Do you have a copy of 6 that? 7 A. Yes. 8 Q. Did you fill out that form? 9 A. Yes. 10 Q. And the date that's -- 11 A. I filled out the upper portion, up to 12 the point where it says date scheduled. 13 Q. And the date that is scheduled there 14 is May 6th; is that correct? 15 A. That's correct. 16 Q. That is not in your handwriting? 17 A. That's correct. 18 Q. Who would be responsible for 19 obtaining that date for the scheduling of this 20 test? 21 A. It would have been the clerk at 22 checkout. 23 Q. I'm sorry. 24 A. When the patient checks out, they 25 make the appointment at that time.</p> | <p style="text-align: right;">67</p> <p>1 A. I thought that that might be a 2 source. 3 MR. KILBANE: We've been going about 4 an hour and-a-half. 5 (Recess had.) 6 (Record read.) 7 Q. Doctor, when you saw her on April 8 30th of 98, would there be any concern for her 9 health or safety with her waiting until May 6th 10 of 98 for a transesophageal echo considering what 11 you knew on her transthoracic echo? 12 A. No. 13 Q. Doctor, if she was having emboli from 14 her aortic valve, that would place her at high 15 risk for stroke; correct? 16 MS. PETRELLO: Objection. 17 A. If she were having emboli, that would 18 place her at high risk, yes. 19 Q. And if she was having emboli from 20 endocarditis on that valve, that would place her 21 at risk for stroke also; correct? 22 MR. KILBANE: Objection. 23 MS. PETRELLO: Same here. 24 A. Emboli from a heart valve can cause a 25 stroke, that's correct.</p> |
| <p style="text-align: right;">66</p> <p>1 Q. Would the clerk report back to you 2 when that particular test was scheduled? 3 A. They might. 4 Q. Have you ever said to the clerk, I 5 want this test done as soon as possible, as a 6 high priority? 7 A. Yes. 8 Q. In Earlene Mizsey's case, did you do 9 that with the clerk? 10 A. I don't recall. 11 Q. Do you think it's appropriate in 12 Earlene Mizsey's case that she is scheduled for a 13 transesophageal echo for May 6th after you saw 14 her on the 30th and knew that her prosthetic 15 valve was deteriorating? 16 A. Again, I had no reason to suspect 17 that there was an urgent need for the followup 18 test. 19 Q. When you saw that transesophageal 20 echo and saw that she had deterioration of her -- 21 A. The transthoracic echo. 22 Q. The transthoracic echo, and saw that 23 she had results suggestive of bioprosthetic valve 24 deterioration, did you think that her previous 25 stroke was caused by emboli from the valve?</p> | <p style="text-align: right;">68</p> <p>1 Q. Now, you saw her on the 30th and you 2 scheduled her for a return visit for one month; 3 correct? 4 A. Correct. 5 Q. Considering that she was going in for 6 a transesophageal echo to determine whether she 7 was having an embolic source for her strokes, why 8 would you wait a month to resee this patient? 9 A. It would be a reasonable followup for 10 her, given the absence of anything really acute 11 going on. 12 Q. Now, you received a call from Earlene 13 Mizsey's daughter on May 6th regarding her 14 mother; correct? 15 A. I believe so, yes. 16 Q. What time did you receive that call? 17 A. I don't recall exactly. The note 18 itself is timed 12:40 in the afternoon. I don't 19 have the actual phone call record right in front 20 of me to know exactly what time she called. 21 Q. Is there a handwritten phone record 22 that you keep in regard to telephone calls such 23 as this? 24 A. There generally is a handwritten 25 phone call record, yes.</p> |

| | |
|---|--|
| <p style="text-align: right;">69</p> <p>1 Q. And in this case --</p> <p>2 A. I don't see it in this case.</p> <p>3 Q. Where would that normally be kept?</p> <p>4 A. Normally that -- if the patient</p> <p>5 calls, the secretary would take the message, it</p> <p>6 would be forwarded on to me. Depending upon what</p> <p>7 the nature of the message is, it would generally</p> <p>8 then, once that's taken care of, be placed in the</p> <p>9 chart. So normally that's what would happen.</p> <p>10 MS. TOSTI: I'm going to make a</p> <p>11 request for any written phone, telephone, message</p> <p>12 in relation to this particular call that hasn't</p> <p>13 previously been produced.</p> <p>14 Q. What did her daughter tell you when</p> <p>15 she called regarding her mother?</p> <p>16 A. What I've written here is she</p> <p>17 indicated her mother continued to have pain in</p> <p>18 her legs, severe pain in the legs.</p> <p>19 Q. Did she tell you that it was so</p> <p>20 severe that her mother was unable to get out of</p> <p>21 the bed?</p> <p>22 A. Yes.</p> <p>23 Q. What was your assessment of the</p> <p>24 situation?</p> <p>25 A. I had seen her the week before where</p> | <p style="text-align: right;">71</p> <p>1 I advised her daughter to visit and watch for</p> <p>2 evidence of decreased blood supply to the legs or</p> <p>3 toes. If there's any doubt, I advised her to</p> <p>4 bring her mother to the emergency department for</p> <p>5 evaluation and possible initiation of heparin.</p> <p>6 Q. Doctor, if there was any question of</p> <p>7 emboli causing Earlene Mizsey's leg pain,</p> <p>8 shouldn't you have told Leslie Walter to take her</p> <p>9 mother to the emergency room immediately?</p> <p>10 A. Well, I wasn't there to evaluate her</p> <p>11 directly at that time, and I was getting this</p> <p>12 secondhand, so it was a little difficult for me</p> <p>13 to decide.</p> <p>14 I think what I did say was that if</p> <p>15 she was concerned, if she felt that her mother --</p> <p>16 that this was significantly different than what</p> <p>17 her mother had presented with several days</p> <p>18 earlier which had been evaluated and not felt to</p> <p>19 be due to vascular disease, that she should bring</p> <p>20 her mother in for additional evaluation.</p> <p>21 Q. Now, your note says I advised her</p> <p>22 daughter to visit and watch for any evidence of</p> <p>23 decreased blood supply to the legs or the toes.</p> <p>24 Do you know whether Leslie Walter had any medical</p> <p>25 training to be able to assess whether there was</p> |
| <p style="text-align: right;">70</p> <p>1 her description was more that of a neuropathy. I</p> <p>2 thought that the current symptoms were related to</p> <p>3 that, although it could be, you know, possible</p> <p>4 that there were some other reasons. She had also</p> <p>5 been seen in the emergency room for similar pain,</p> <p>6 whereas she had been assessed by the vascular</p> <p>7 service, and they felt there was no problem</p> <p>8 there. But I was concerned about that, you know,</p> <p>9 about the continued pain.</p> <p>10 Q. Now, you mentioned it could be due to</p> <p>11 other reasons besides neuropathy. What other</p> <p>12 reasons were you considering?</p> <p>13 A. Well, in my note, I mention the fact</p> <p>14 that she could be having, you know, arterial</p> <p>15 vascular disease there, so a decrease in blood</p> <p>16 flow to the legs.</p> <p>17 Q. Doctor, would you tell us what you</p> <p>18 have written in the last three sentences of your</p> <p>19 note from May 6th of 98 beginning "The presence</p> <p>20 of a porcine valve." Would you read that?</p> <p>21 A. Given the presence of a porcine valve</p> <p>22 in the aortic position and the history of recent</p> <p>23 CVA, arterial embolism is certainly a</p> <p>24 possibility. She is scheduled for</p> <p>25 transesophageal echo on 5-7-98. In the meantime,</p> | <p style="text-align: right;">72</p> <p>1 decreased blood supply to the legs or the toes?</p> <p>2 A. I don't know. Generally in that</p> <p>3 situation, I will tell people to, you know, to</p> <p>4 watch for -- to feel the legs to see if they're</p> <p>5 cold, to look at the color, to see if they're</p> <p>6 discolored, to see if the pain is decreasing. So</p> <p>7 I would explain what she should look for, and it</p> <p>8 really wouldn't require any medical knowledge on</p> <p>9 her part to do that.</p> <p>10 Q. If a patient has a leg pain that</p> <p>11 continues at rest, does that argue against</p> <p>12 claudication as the cause of pain?</p> <p>13 A. Claudication refers to pain which</p> <p>14 occurs on exertion, so if it's at rest, it's</p> <p>15 still -- generally claudication would be due to</p> <p>16 decreased circulation there. I don't know if</p> <p>17 claudication -- if there is such a thing as</p> <p>18 claudication at rest.</p> <p>19 Q. With a deteriorating heart valve,</p> <p>20 wasn't Earlene Mizsey at risk for another embolic</p> <p>21 event?</p> <p>22 MR. KILBANE: Objection. I mean, to</p> <p>23 be fair to the record, it doesn't say she has</p> <p>24 deterioration. It was a study that's --</p> <p>25 A. I was going to say. Suggestive. Can</p> |

73

1 you repeat the question?
2 Q. Considering the fact that her
3 transthoracic echo of April 9th showed results
4 suggestive of a deteriorating heart valve, did
5 you consider Earlene Mizsey to be at risk for
6 another embolic event?
7 A. She was at risk of either an embolic
8 event, or I think she had generalized
9 atherosclerotic disease of the lower extremities,
10 and that could also have been what was going on.
11 Q. And based on the phone call, you
12 weren't able to make a determination as to the
13 precise cause of her symptoms, were you?
14 A. I could not make a diagnosis over the
15 phone, no. However, she had had similar
16 complaints going on for several days, and that
17 would tend to make this -- make an acute embolic
18 event less likely.
19 Q. Had she had so great of pain that she
20 was unable to get out of bed for the last several
21 days?
22 A. I don't know.
23 Q. When you saw her in the office on
24 April 30th, was she complaining of excruciating
25 pain in her legs?

74

1 A. She complained of pain in her legs
2 which she described, as I recall, like a rug
3 burn.
4 Q. Now, doctor, your note says that she
5 is scheduled for a transesophageal echo on May
6 7th; correct?
7 A. That's correct.
8 Q. We just recently looked at the
9 requisition which actually says that she was
10 scheduled for May 6th. Where did you get the
11 date of May 7th?
12 A. I don't recall exactly. I don't
13 know.
14 Q. Now, on the 6th, Mrs. Mizsey's
15 daughter, Leslie, did take her mother to the
16 emergency room, and I believe the records
17 indicate she was seen around 11:30 in the morning
18 complaining of pain that was particularly in her
19 right foot, tibia and calf. Were you notified
20 that she was in the emergency room at Metro?
21 A. I don't recall. I don't believe so.
22 Q. Now, if you look at that emergency
23 room note, the note says that the patient was
24 seen by the attending physician. Did you see her
25 in the emergency room?

75

1 A. I did not. That would refer to the
2 emergency room attending physician.
3 Q. Do you recall having any contact at
4 any point in time with the emergency room
5 regarding Earlene Mizsey's visit there on the 6th
6 of May?
7 A. No, I do not recall any.
8 Q. Wouldn't it be typical for them to
9 call the primary care physician and notify them
10 when a patient arrived in the emergency room with
11 a problem such as Earlene Mizsey had?
12 MR. KILBANE: Objection. If you know
13 what the emergency room does typically.
14 A. I don't know the emergency room
15 procedures. I know that I'm not always called
16 when a patient goes to the emergency room.
17 Q. Now, Earlene Mizsey underwent
18 vascular studies on her legs on May 7th of 98,
19 and I believe it was found that she had a
20 blockage in the arteries in the right leg with
21 severe distal ischemia. Were you notified of the
22 May 7th, 98 results of that study?
23 A. I believe I was notified, but I don't
24 recall exactly when, whether it was that day or
25 the next day.

76

1 Q. Would they normally notify you if
2 they found -- it says right popliteal
3 trifurcation occlusion with severe distal
4 ischemia. When those types of results occur on a
5 vascular study, would you normally be notified as
6 soon as the study was completed?
7 A. If the physician interpreting the
8 study felt that this was something requiring
9 urgent attention, generally the primary care doc,
10 or myself in this situation, would be notified,
11 yes.
12 Q. In Earlene Mizsey's case, did this
13 require urgent attention?
14 A. Not being a vascular surgeon, I don't
15 know, but I believe I was notified of the
16 results.
17 Q. Did you ever have any contact with
18 the vascular lab to tell them it was possible
19 that she may be having arterial emboli?
20 A. I don't recall.
21 Q. Did you order the vascular studies
22 for this patient?
23 A. I did not order these vascular
24 studies, no.
25 Q. Who ordered them?

| | |
|--|---|
| <p style="text-align: right;">77</p> <p>1 A. I believe they were ordered from the 2 emergency department where she was seen by a 3 vascular surgery -- by the vascular surgery 4 service. 5 Q. Did you have any conversations with 6 Dr. Alexander regarding the results of this 7 study? 8 A. Not that I recall, no. 9 Q. Earlene Mizsey was taken to Southwest 10 General Hospital, I believe, on May 8th of 98. 11 Were you contacted by the hospital when she went 12 to the emergency room? 13 A. I was contacted by the emergency 14 room, yes. 15 Q. And what were you told regarding her 16 condition? 17 A. I received a call and was told that 18 Ms. Mizsey had been brought there with right 19 sided hemiparesis and some dysphagia, and 20 aphasia, and what appeared to be a stroke. I was 21 asked if we would approve her transfer here for 22 treatment, and I approved the transfer. 23 Q. Were you notified that she had a 24 fever when she was seen in the emergency room at 25 Southwest General Hospital?</p> | <p style="text-align: right;">79</p> <p>1 a secondary stroke, even if you have 2 atherosclerosis, you can still have an embolic 3 event that causes ischemia to a lower extremity; 4 correct? 5 A. That's correct. However, in her 6 situation, given the pain had been ongoing for 7 some time and she had no signs of an embolic 8 event on her physical exam, I felt that that was 9 a less likely possibility than just chronic 10 atherosclerotic disease. 11 Q. But even with chronic atherosclerotic 12 disease you can have an embolic event that 13 occludes the arterial circulation that causes 14 acute ischemia to a limb; correct? 15 A. That's correct. However, in this 16 situation, I felt that was a less likely 17 possibility. 18 Q. Did you consider vegetative emboli 19 from the prosthetic valve as a possible source of 20 the emboli? 21 A. I don't recall exactly whether that 22 was --whether I had considered that. I felt 23 that, given her situation and her vascular 24 disease elsewhere, that it was likely that any 25 emboli that might have occurred would more likely</p> |
| <p style="text-align: right;">78</p> <p>1 A. I don't recall exactly, but it is not 2 in my note, which would make me think I was not 3 notified. 4 Q. Doctor, in your progress note of May 5 8th referencing the phone call, would you read us 6 the last sentence that you wrote. 7 A. Sure. Given her course, the 8 possibility of embolization from the aortic valve 9 seems much more likely. She will probably 10 require long term anti-coagulation. 11 Q. So, doctor, was it your assessment at 12 that point in time that it was likely her stroke 13 was from embolization? 14 A. My assessment at that time was that 15 she had now had a second neurologic event, which 16 I felt was consistent with recurrent emboli from 17 the aortic valve. 18 Q. Did you think that the ischemia that 19 she had on the vascular study was also related to 20 emboli? 21 A. The vascular study indicated that she 22 had long-standing atherosclerotic disease of the 23 lower extremities, and there was no evidence from 24 that study of an acute embolic event. 25 Q. But, doctor, knowing that she now had</p> | <p style="text-align: right;">80</p> <p>1 have been due to atherosclerotic disease. 2 Q. Was endocarditis within your 3 differential diagnosis? 4 A. I think it was probably something I 5 was considering, but not at the top of my 6 differential diagnosis. 7 Q. Now, did you request that Southwest 8 General Hospital arrange for transfer and 9 admission of Earlene Mizsey to Metro on May 8th? 10 A. I don't recall the exact sequence. 11 Generally in this situation, I would approve 12 transfer, I would call our admitting department 13 to let them know the patient was being admitted, 14 to find a bed for her, and then they would 15 arrange for the transfer from the emergency 16 department. 17 Q. After you were called by the ER at 18 Southwest General Hospital on May 8th, did you 19 have any further conversations with Earlene 20 Mizsey or her family regarding her care? 21 A. When she arrived here, I went up to 22 visit her and her family. At that point, I was 23 not involved directly in her care. 24 Q. Do you remember the content of your 25 conversations with the family when you met with</p> |

| | |
|--|---|
| <p style="text-align: right;">81</p> <p>1 them?</p> <p>2 A. I do not remember exactly, no.</p> <p>3 Q. Did you follow her at all as an</p> <p>4 attending physician after she was admitted to</p> <p>5 Metro Hospital?</p> <p>6 A. No, I did not.</p> <p>7 Q. Since you were her primary care</p> <p>8 provider, is there a reason why you didn't</p> <p>9 continue to follow her in the hospital?</p> <p>10 A. That's our standard sort of operating</p> <p>11 mode here. We have dedicated inpatient</p> <p>12 attendings who supervise the residents and are</p> <p>13 responsible for the care on the inpatient</p> <p>14 service. And that's just sort of the way we</p> <p>15 distribute the work.</p> <p>16 Certainly the primary care physician</p> <p>17 is available for providing additional information</p> <p>18 or for making suggestions, but most of us tend to</p> <p>19 remain more in the background and let the</p> <p>20 inpatient service take care of the patient once</p> <p>21 they're hospitalized.</p> <p>22 Q. And aside from the visit that you</p> <p>23 made right after admission, did you visit her at</p> <p>24 any other time while she was a patient at Metro?</p> <p>25 A. I believe I stopped by several times</p> | <p style="text-align: right;">83</p> <p>1 Q. Do you have copies of those letters?</p> <p>2 A. Yes.</p> <p>3 Q. How many letters are there?</p> <p>4 A. It looks like about four.</p> <p>5 Q. Could you tell me who wrote the</p> <p>6 letters and the dates of those letters.</p> <p>7 A. I have a letter from J. Walton</p> <p>8 Tomford dated June 19th, 1998; another one from</p> <p>9 Tomford dated May 28th, 1998.</p> <p>10 Q. I'm sorry, May 28th?</p> <p>11 A. May 28th, 1998.</p> <p>12 I have a letter from Donald Underwood</p> <p>13 dated July 13, 1998, and then this other one is</p> <p>14 the same one dated May 28th, so maybe there were</p> <p>15 only three letters. I believe that's it. Those</p> <p>16 are the only letters I see.</p> <p>17 MS. TOSTI: I'm going to make a</p> <p>18 request for a copy of each of those letters.</p> <p>19 MR. KILBANE: Sure. Jeanne, so it</p> <p>20 doesn't get lost in the shuffle, if you could do</p> <p>21 me a favor and follow up with a letter, otherwise</p> <p>22 I'll walk out of here and forget about it.</p> <p>23 Q. Did you have any contact with any of</p> <p>24 the physicians that treated Earlene Mizsey while</p> <p>25 she was a patient at Broadview Multi Care nursing</p> |
| <p style="text-align: right;">82</p> <p>1 during her stay here. I don't recall the exact</p> <p>2 circumstances of any of those visits.</p> <p>3 Q. Was that more of a courtesy visit?</p> <p>4 A. Yes.</p> <p>5 Q. Rather than clinical involvement with</p> <p>6 her care?</p> <p>7 A. Yes. Yes. It would be more of a</p> <p>8 social visit and just to see how things were</p> <p>9 going.</p> <p>10 Q. Did you have any conversations with</p> <p>11 the physicians that were caring for her during</p> <p>12 the course of that hospitalization?</p> <p>13 A. I don't recall exactly, but it would</p> <p>14 be normal for me to ask them what was going on,</p> <p>15 or what they thought or what they had found.</p> <p>16 Q. Do you recall specifically speaking</p> <p>17 to any particular physicians regarding her care?</p> <p>18 A. I don't recall.</p> <p>19 Q. Did you have any conversations with</p> <p>20 any of the physicians that treated Earlene Mizsey</p> <p>21 at Cleveland Clinic after she was discharged from</p> <p>22 MetroHealth?</p> <p>23 A. I received letters from some of her</p> <p>24 physicians there, but I never spoke with them</p> <p>25 directly, no.</p> | <p style="text-align: right;">84</p> <p>1 home?</p> <p>2 A. I'm sorry, with the physicians</p> <p>3 there?</p> <p>4 Q. Yes.</p> <p>5 A. No.</p> <p>6 Q. Did you have any contact with any of</p> <p>7 the other medical personnel at Broadview Multi</p> <p>8 Care?</p> <p>9 A. I received a phone call from them</p> <p>10 about an abnormal lab value, and they had</p> <p>11 requested some assistance, and that was the only</p> <p>12 contact I had.</p> <p>13 Q. Doctor, if a transesophageal echo had</p> <p>14 been done before Earlene Mizsey had suffered her</p> <p>15 second stroke on May 8th of 98, do you have an</p> <p>16 opinion as to whether it would have likely showed</p> <p>17 results consistent with valve vegetations from</p> <p>18 endocarditis?</p> <p>19 MR. KILBANE: Objection.</p> <p>20 MS. PETRELLO: Objection.</p> <p>21 MR. KILBANE: Do you have an opinion,</p> <p>22 doctor?</p> <p>23 A. Seeing as an echo was not done on</p> <p>24 that day, I don't really know what it would have</p> <p>25 shown.</p> |

| | |
|---|---|
| <p style="text-align: right;">85</p> <p>1 Q. If serial blood cultures off</p> <p>2 antibiotics had been done after her first stroke</p> <p>3 on March 10th of 1998, do you have an opinion as</p> <p>4 to whether they would have likely been positive?</p> <p>5 MR. KILBANE: Objection.</p> <p>6 MS. PETRELLO: Objection.</p> <p>7 A. I have no opinion. They weren't</p> <p>8 done. I don't know.</p> <p>9 Q. Doctor, if Earlene Mizsey had</p> <p>10 undergone a transesophageal echo that you ordered</p> <p>11 and it showed multiple echo densities consistent</p> <p>12 with valvular vegetations, what course of action</p> <p>13 would you take?</p> <p>14 MR. KILBANE: Objection.</p> <p>15 MS. PETRELLO: Objection.</p> <p>16 A. Would you like me to hypothesize?</p> <p>17 Q. Yes.</p> <p>18 A. In that situation, she would have</p> <p>19 been admitted.</p> <p>20 Q. And what would her care have</p> <p>21 consisted of after admission?</p> <p>22 MR. KILBANE: If you can say.</p> <p>23 A. I think in general, in that</p> <p>24 situation, at that point it would be necessary to</p> <p>25 determine whether this was an infectious cause or</p> | <p style="text-align: right;">87</p> <p>1 answer.</p> <p>2 A. I don't believe her first stroke was</p> <p>3 caused by endocarditis.</p> <p>4 Q. What's the basis for that opinion?</p> <p>5 A. It's an opinion. I feel there are</p> <p>6 other things that can explain that without</p> <p>7 invoking endocarditis.</p> <p>8 Q. Well, doctor, I'm asking the basis</p> <p>9 for your opinion that this was not caused by</p> <p>10 embolization from endocarditis. So I would like</p> <p>11 you to enumerate whatever the basis is.</p> <p>12 A. We have no evidence that she had an</p> <p>13 ongoing infection at that point. She potentially</p> <p>14 had some disease or other explanation such as</p> <p>15 chronic atherosclerotic disease, hypertension,</p> <p>16 ongoing hypertension, which might explain the</p> <p>17 prior stroke. I think that the likelihood that</p> <p>18 those were causes are greater than that of</p> <p>19 endocarditis and, therefore, I believe they were</p> <p>20 the more likely reason to explain that initial</p> <p>21 stroke.</p> <p>22 Q. Do you have an opinion as to whether</p> <p>23 her acute leg pain on April 26th of 98 and May</p> <p>24 6th of 98 when she was seen in Metro's ER was</p> <p>25 likely caused by embolization from prosthetic</p> |
| <p style="text-align: right;">86</p> <p>1 whether this was just due to thrombin or blood</p> <p>2 clot formation on the valve. The treatment would</p> <p>3 be different depending upon what was causing the</p> <p>4 vegetations.</p> <p>5 Q. Would serial blood cultures have been</p> <p>6 in order?</p> <p>7 A. If one wanted to rule out</p> <p>8 endocarditis at that point, serial blood cultures</p> <p>9 would be done, yes.</p> <p>10 Q. Would referral to a cardiologist have</p> <p>11 been likely?</p> <p>12 A. Yes.</p> <p>13 Q. Do you have an opinion as to when</p> <p>14 Earlene Mizsey developed prosthetic valve</p> <p>15 endocarditis?</p> <p>16 MR. KILBANE: Objection.</p> <p>17 MS. PETRELLO: Objection.</p> <p>18 MR. KILBANE: You can answer.</p> <p>19 A. I don't have an opinion, no.</p> <p>20 Q. Do you have an opinion as to whether</p> <p>21 her first stroke on March 10th of 98 was likely</p> <p>22 caused by embolization as a result of prosthetic</p> <p>23 valve endocarditis?</p> <p>24 MS. PETRELLO: Objection.</p> <p>25 MR. KILBANE: Objection. You can</p> | <p style="text-align: right;">88</p> <p>1 valve endocarditis?</p> <p>2 MR. KILBANE: Objection. If you have</p> <p>3 an opinion, doctor.</p> <p>4 A. Again, I don't think that</p> <p>5 endocarditis is necessarily the best explanation</p> <p>6 for that either. Chronic atherosclerotic disease</p> <p>7 is a much more common cause of lower extremity</p> <p>8 vascular compromise, and, in fact, also</p> <p>9 peripheral neuropathy in a diabetic patient is</p> <p>10 also a good explanation for that, which I think</p> <p>11 is more common and more likely of an embolic</p> <p>12 event than endocarditis.</p> <p>13 Q. Do you have an opinion as to whether</p> <p>14 her second stroke on May 8th of 98 was likely</p> <p>15 caused by embolization from prosthetic valve</p> <p>16 endocarditis?</p> <p>17 MR. KILBANE: Objection.</p> <p>18 A. Again, I think that endocarditis is a</p> <p>19 possibility, but it was -- would not be what I</p> <p>20 would consider the most likely source or most</p> <p>21 likely cause.</p> <p>22 Q. What was the most likely cause?</p> <p>23 A. Again, I know the underlying</p> <p>24 atherosclerotic disease -- I don't think we need</p> <p>25 to invoke necessarily an endocarditis or</p> |

| | |
|---|---|
| <p style="text-align: right;">89</p> <p>1 infectious etiologies for this. There are other 2 causes of embolization which can occur from a 3 prosthetic valve. 4 Q. And in her case you think the most 5 likely cause was something other than prosthetic 6 valve endocarditis? 7 A. That's correct. 8 Q. You are aware she was diagnosed with 9 prosthetic valve endocarditis shortly after her 10 admission to Metro; correct? 11 A. That's correct. I'm giving an 12 opinion as to what I thought at that time, and 13 not in retrospect. 14 Q. Doctor, were you notified when 15 Earlene Mizsey died? 16 A. I was not notified, no. 17 Q. Did you speak to any of Earlene 18 Mizsey's family after her transfer from 19 MetroHealth Medical Center, which I believe took 20 place on May 15th of 98? 21 A. I don't believe I did. I know I had 22 one phone conversation about, you know, the 23 abnormal lab value at the nursing home, but I 24 don't recall whether I spoke with the daughter or 25 with the nursing home directly.</p> | <p style="text-align: right;">91</p> <p>1 answer. 2 A. I have no criticism of anyone who 3 rendered care. 4 Q. Do you blame Earlene Mizsey in any 5 way for the complications she suffered? 6 MR. KILBANE: Objection. You can 7 answer. 8 A. I never blame a patient for any 9 medical condition. 10 MS. TOSTI: I don't have any further 11 questions for you. 12 MS. PETRELLO: Doctor, I just have 13 one or two. 14 EXAMINATION OF DOUGLAS EINSTADTER, M.D. 15 BY MS. PETRELLO: 16 Q. You testified earlier, I think it was 17 perhaps in your second visit, that you had 18 requested the emergency room visit from Southwest 19 General Hospital. 20 A. Actually, that was requested on the 21 first. 22 Q. I'm sorry, on the first. 23 A. The urgent care visit, yes. 24 Q. Do you recall whether or not you ever 25 received those records?</p> |
| <p style="text-align: right;">90</p> <p>1 MR. KILBANE: You answered the 2 question, doctor. 3 Q. And do you have an opinion as to what 4 point in time her condition was irreversible? 5 MR. KILBANE: Objection. 6 MS. PETRELLO: Objection. 7 A. I have no opinion. 8 Q. Do you have an opinion as to what 9 caused her death? 10 MR. KILBANE: Objection. 11 A. I have no opinion. 12 Q. If her prosthetic valve endocarditis 13 had been treated and cured, do you have an 14 opinion as to what her reasonable life expectancy 15 would have been? 16 MS. PETRELLO: Objection. 17 A. I think Ms. Mizsey had several 18 serious conditions which would have limited her 19 life expectancy, so I can't give you an exact 20 estimate, but I think it would have been less 21 than what one would expect for an otherwise 22 healthy woman of the same age. 23 Q. Do you have any criticism of anyone 24 that rendered care to Earlene Mizsey? 25 MR. KILBANE: Objection. You can</p> | <p style="text-align: right;">92</p> <p>1 A. I did receive those records. I don't 2 recall the exact date, but I did receive them. 3 Q. You may have answered this question. 4 A. Actually, I received them March 31st. 5 Q. Do you recall having any 6 conversations with Dr. Graber who was the 7 emergency room physician who evaluated Mrs. 8 Mizsey on, I believe it was, March 10th? 9 A. I do not recall any conversations. 10 MS. PETRELLO: I don't have any other 11 questions. 12 MS. TOSTI: No further questions. 13 MR. KILBANE: We'll read it. 14 (Deposition concluded at 11:40 o'clock a.m.) 15 (Signature not waived.) 16 ----- 17 18 19 20 21 22 23 24 25</p> |

93

1 AFFIDAVIT
2 I have read the foregoing transcript from
3 page 1 through 92 and note the following
4 corrections:
5 PAGE LINE REQUESTED CHANGE
6
7
8
9
10
11
12
13
14
15
16
17
18 DOUGLAS EINSTADTER, M.D.
19
20 Subscribed and sworn to before me this
21 _____ day of _____, 2000.
22
23
24 Notary Public
25 My commission expires _____.

94

1 CERTIFICATE
2 State of Ohio,
3 SS:
4 County of Cuyahoga.
5 I, Karen M. Patterson, a Notary Public
6 within and for the State of Ohio, duly
7 commissioned and qualified, do hereby certify
8 that the within named DOUGLAS EINSTADTER, M.D.
9 was by me first duly sworn to testify to the
10 truth, the whole truth and nothing but the truth
11 in the cause aforesaid; that the testimony as
12 above set forth was by me reduced to stenotypy,
13 afterwards transcribed, and that the foregoing is
14 a true and correct transcription of the
15 testimony.
16 I do further certify that this deposition
17 was taken at the time and place specified and was
18 completed without adjournment; that I am not a
19 relative or attorney for either party or
20 otherwise interested in the event of this action.
21 IN WITNESS WHEREOF, I have hereunto set my
22 hand and affixed my seal of office at Cleveland,
23 Ohio, on this 8th day of November 2000.
24
25
26
27
28
29
30
31
32
33
34
35
36
37
38
39
40
41
42
43
44
45
46
47
48
49
50
51
52
53
54
55
56
57
58
59
60
61
62
63
64
65
66
67
68
69
70
71
72
73
74
75
76
77
78
79
80
81
82
83
84
85
86
87
88
89
90
91
92
93
94
95
96
97
98
99
100
101
102
103
104
105
106
107
108
109
110
111
112
113
114
115
116
117
118
119
120
121
122
123
124
125
126
127
128
129
130
131
132
133
134
135
136
137
138
139
140
141
142
143
144
145
146
147
148
149
150
151
152
153
154
155
156
157
158
159
160
161
162
163
164
165
166
167
168
169
170
171
172
173
174
175
176
177
178
179
180
181
182
183
184
185
186
187
188
189
190
191
192
193
194
195
196
197
198
199
200
201
202
203
204
205
206
207
208
209
210
211
212
213
214
215
216
217
218
219
220
221
222
223
224
225
226
227
228
229
230
231
232
233
234
235
236
237
238
239
240
241
242
243
244
245
246
247
248
249
250
251
252
253
254
255
256
257
258
259
260
261
262
263
264
265
266
267
268
269
270
271
272
273
274
275
276
277
278
279
280
281
282
283
284
285
286
287
288
289
290
291
292
293
294
295
296
297
298
299
300
301
302
303
304
305
306
307
308
309
310
311
312
313
314
315
316
317
318
319
320
321
322
323
324
325
326
327
328
329
330
331
332
333
334
335
336
337
338
339
340
341
342
343
344
345
346
347
348
349
350
351
352
353
354
355
356
357
358
359
360
361
362
363
364
365
366
367
368
369
370
371
372
373
374
375
376
377
378
379
380
381
382
383
384
385
386
387
388
389
390
391
392
393
394
395
396
397
398
399
400
401
402
403
404
405
406
407
408
409
410
411
412
413
414
415
416
417
418
419
420
421
422
423
424
425
426
427
428
429
430
431
432
433
434
435
436
437
438
439
440
441
442
443
444
445
446
447
448
449
450
451
452
453
454
455
456
457
458
459
460
461
462
463
464
465
466
467
468
469
470
471
472
473
474
475
476
477
478
479
480
481
482
483
484
485
486
487
488
489
490
491
492
493
494
495
496
497
498
499
500
501
502
503
504
505
506
507
508
509
510
511
512
513
514
515
516
517
518
519
520
521
522
523
524
525
526
527
528
529
530
531
532
533
534
535
536
537
538
539
540
541
542
543
544
545
546
547
548
549
550
551
552
553
554
555
556
557
558
559
560
561
562
563
564
565
566
567
568
569
570
571
572
573
574
575
576
577
578
579
580
581
582
583
584
585
586
587
588
589
590
591
592
593
594
595
596
597
598
599
600
601
602
603
604
605
606
607
608
609
610
611
612
613
614
615
616
617
618
619
620
621
622
623
624
625
626
627
628
629
630
631
632
633
634
635
636
637
638
639
640
641
642
643
644
645
646
647
648
649
650
651
652
653
654
655
656
657
658
659
660
661
662
663
664
665
666
667
668
669
670
671
672
673
674
675
676
677
678
679
680
681
682
683
684
685
686
687
688
689
690
691
692
693
694
695
696
697
698
699
700
701
702
703
704
705
706
707
708
709
710
711
712
713
714
715
716
717
718
719
720
721
722
723
724
725
726
727
728
729
730
731
732
733
734
735
736
737
738
739
740
741
742
743
744
745
746
747
748
749
750
751
752
753
754
755
756
757
758
759
760
761
762
763
764
765
766
767
768
769
770
771
772
773
774
775
776
777
778
779
780
781
782
783
784
785
786
787
788
789
790
791
792
793
794
795
796
797
798
799
800
801
802
803
804
805
806
807
808
809
810
811
812
813
814
815
816
817
818
819
820
821
822
823
824
825
826
827
828
829
830
831
832
833
834
835
836
837
838
839
840
841
842
843
844
845
846
847
848
849
850
851
852
853
854
855
856
857
858
859
860
861
862
863
864
865
866
867
868
869
870
871
872
873
874
875
876
877
878
879
880
881
882
883
884
885
886
887
888
889
890
891
892
893
894
895
896
897
898
899
900
901
902
903
904
905
906
907
908
909
910
911
912
913
914
915
916
917
918
919
920
921
922
923
924
925
926
927
928
929
930
931
932
933
934
935
936
937
938
939
940
941
942
943
944
945
946
947
948
949
950
951
952
953
954
955
956
957
958
959
960
961
962
963
964
965
966
967
968
969
970
971
972
973
974
975
976
977
978
979
980
981
982
983
984
985
986
987
988
989
990
991
992
993
994
995
996
997
998
999
1000
1001
1002
1003
1004
1005
1006
1007
1008
1009
1010
1011
1012
1013
1014
1015
1016
1017
1018
1019
1020
1021
1022
1023
1024
1025
1026
1027
1028
1029
1030
1031
1032
1033
1034
1035
1036
1037
1038
1039
1040
1041
1042
1043
1044
1045
1046
1047
1048
1049
1050
1051
1052
1053
1054
1055
1056
1057
1058
1059
1060
1061
1062
1063
1064
1065
1066
1067
1068
1069
1070
1071
1072
1073
1074
1075
1076
1077
1078
1079
1080
1081
1082
1083
1084
1085
1086
1087
1088
1089
1090
1091
1092
1093
1094
1095
1096
1097
1098
1099
1100
1101
1102
1103
1104
1105
1106
1107
1108
1109
1110
1111
1112
1113
1114
1115
1116
1117
1118
1119
1120
1121
1122
1123
1124
1125
1126
1127
1128
1129
1130
1131
1132
1133
1134
1135
1136
1137
1138
1139
1140
1141
1142
1143
1144
1145
1146
1147
1148
1149
1150
1151
1152
1153
1154
1155
1156
1157
1158
1159
1160
1161
1162
1163
1164
1165
1166
1167
1168
1169
1170
1171
1172
1173
1174
1175
1176
1177
1178
1179
1180
1181
1182
1183
1184
1185
1186
1187
1188
1189
1190
1191
1192
1193
1194
1195
1196
1197
1198
1199
1200
1201
1202
1203
1204
1205
1206
1207
1208
1209
1210
1211
1212
1213
1214
1215
1216
1217
1218
1219
1220
1221
1222
1223
1224
1225
1226
1227
1228
1229
1230
1231
1232
1233
1234
1235
1236
1237
1238
1239
1240
1241
1242
1243
1244
1245
1246
1247
1248
1249
1250
1251
1252
1253
1254
1255
1256
1257
1258
1259
1260
1261
1262
1263
1264
1265
1266
1267
1268
1269
1270
1271
1272
1273
1274
1275
1276
1277
1278
1279
1280
1281
1282
1283
1284
1285
1286
1287
1288
1289
1290
1291
1292
1293
1294
1295
1296
1297
1298
1299
1300
1301
1302
1303
1304
1305
1306
1307
1308
1309
1310
1311
1312
1313
1314
1315
1316
1317
1318
1319
1320
1321
1322
1323
1324
1325
1326
1327
1328
1329
1330
1331
1332
1333
1334
1335
1336
1337
1338
1339
1340
1341
1342
1343
1344
1345
1346
1347
1348
1349
1350
1351
1352
1353
1354
1355
1356
1357
1358
1359
1360
1361
1362
1363
1364
1365
1366
1367
1368
1369
1370
1371
1372
1373
1374
1375
1376
1377
1378
1379
1380
1381
1382
1383
1384
1385
1386
1387
1388
1389
1390
1391
1392
1393
1394
1395
1396
1397
1398
1399
1400
1401
1402
1403
1404
1405
1406
1407
1408
1409
1410
1411
1412
1413
1414
1415
1416
1417
1418
1419
1420
1421
1422
1423
1424
1425
1426
1427
1428
1429
1430
1431
1432
1433
1434
1435
1436
1437
1438
1439
1440
1441
1442
1443
1444
1445
1446
1447
1448
1449
1450
1451
1452
1453
1454
1455
1456
1457
1458
1459
1460
1461
1462
1463
1464
1465
1466
1467
1468
1469
1470
1471
1472
1473
1474
1475
1476
1477
1478
1479
1480
1481
1482
1483
1484
1485
1486
1487
1488
1489
1490
1491
1492
1493
1494
1495
1496
1497
1498
1499
1500
1501
1502
1503
1504
1505
1506
1507
1508
1509
1510
1511
1512
1513
1514
1515
1516
1517
1518
1519
1520
1521
1522
1523
1524
1525
1526
1527
1528
1529
1530
1531
1532
1533
1534
1535
1536
1537
1538
1539
1540
1541
1542
1543
1544
1545
1546
1547
1548
1549
1550
1551
1552
1553
1554
1555
1556
1557
1558
1559
1560
1561
1562
1563
1564
1565
1566
1567
1568
1569
1570
1571
1572
1573
1574
1575
1576
1577
1578
1579
1580
1581
1582
1583
1584
1585
1586
1587
1588
1589
1590
1591
1592
1593
1594
1595
1596
1597
1598
1599
1600
1601
1602
1603
1604
1605
1606
1607
1608
1609
1610
1611
1612
1613
1614
1615
1616
1617
1618
1619
1620
1621
1622
1623
1624
1625
1626
1627
1628
1629
1630
1631
1632
1633
1634
1635
1636
1637
1638
1639
1640
1641
1642
1643
1644
1645
1646
1647
1648
1649
1650
1651
1652
1653
1654
1655
1656
1657
1658
1659
1660
1661
1662
1663
1664
1665
1666
1667
1668
1669
1670
1671
1672
1673
1674
1675
1676
1677
1678
1679
1680
1681
1682
1683
1684
1685
1686
1687
1688
1689
1690
1691
1692
1693
1694
1695
1696
1697
1698
1699
1700
1701
1702
1703
1704
1705
1706
1707
1708
1709
1710
1711
1712
1713
1714
1715
1716
1717
1718
1719
1720
1721
1722
1723
1724
1725
1726
1727
1728
1729
1730
1731
1732
1733
1734
1735
1736
1737
1738
1739
1740
1741
1742
1743
1744
1745
1746
1747
1748
1749
1750
1751
1752
1753
1754
1755
1756
1757
1758
1759
1760
1761
1762
1763
1764
1765
1766
1767
1768
1769
1770
1771
1772
1773
1774
1775
1776
1777
1778
1779
1780
1781
1782
1783
1784
1785
1786
1787
1788
1789
1790
1791
1792
1793
1794
1795
1796
1797
1798
1799
1800
1801
1802
1803
1804
1805
1806
1807
1808
1809
1810
1811
1812
1813
1814
1815
1816
1817
1818
1819
1820
1821
1822
1823
1824
1825
1826
1827
1828
1829
1830
1831
1832
1833
1834
1835
1836
1837
1838
1839
1840
1841
1842
1843
1844
1845
1846
1847
1848
1849
1850
1851
1852
1853
1854
1855
1856
1857
1858
1859
1860
1861
1862
1863
1864
1865
1866
1867
1868
1869
1870
1871
1872
1873
1874
1875
1876
1877
1878
1879
1880
1881
1882
1883
1884
1885
1886
1887
1888
1889
1890
1891
1892
1893
1894
1895
1896
1897
1898
1899
1900
1901
1902
1903
1904
1905
1906
1907
1908
1909
1910
1911
1912
1913
1914
1915
1916
1917
1918
1919
1920
1921
1922
1923
1924
1925
1926
1927
1928
1929
1930
1931
1932
1933
1934
1935
1936
1937
1938
1939
1940
1941
1942
1943
1944
1945
1946
1947
1948
1949
1950
1951
1952
1953
1954
1955
1956
1957
1958
1959
1960
1961
1962
1963
1964
1965
1966
1967
1968
1969
1970
1971
1972
1973
1974
1975
1976
1977
1978
1979
1980
1981
1982
1983
1984
1985
1986
1987
1988
1989
1990
1991
1992
1993
1994
1995
1996
1997
1998
1999
2000
2001
2002
2003
2004
2005
2006
2007
2008
2009
2010
2011
2012
2013
2014
2015
2016
2017
2018
2019
2020
2021
2022
2023
2024
2025
2026
2027
2028
2029
2030
2031
2032
2033
2034
2035
2036
2037
2038
2039
2040
2041
2042
2043
2044
2045
2046
2047
2048
2049
2050
2051
2052
2053
2054
2055
2056
2057
2058
2059
2060
2061
2062
2063
2064
2065
2066
2067
2068
2069
2070
2071
2072
2073
2074
2075
2076
2077
2078
2079
2080
2081
2082
2083
2084
2085
2086
2087
2088
2089
2090
2091
2092
2093
2094
2095
2096
2097
2098
2099
2100
2101
2102
2103
2104
2105
2106
2107
2108
2109
2110
2111
2112
2113
2114
2115
2116
2117
2118
2119
2120
2121
2122
2123
2124
2125
2126
2127
2128
2129
2130
2131
2132
2133
2134
2135
2136
2137
2138
2139
2140
2141
2142
2143
2144
2145
2146
2147
2148
2149
2150
2151

WORD INDEX

Page 1 of 1

Page 1 of 1

Page 1 of 1

| | | | | |
|----------|---|---|--|--|
| A | 68:18 afterwards 94:9 again 24:11 30:25 48:18 49:12 66:16 88:4,18,23 against 72:11 age 3:4 17:15 22:11 90:22 ago 6:19,21 15:17 agree 28:7,17 29:3 29:8 31:9 44:2,18 58:23 62:16 al 1:9 Alexander 77:6 allegation 6:22 alleged 7:2,6 allergies 36:18 allow 47:9 allowed 52:12 almost 31:11 along 24:15,25 41:21 50:16 already 34:2 43:11 64:20 although 49:21 70:3 always 24:15 31:11 59:5 75:15 Among 22:10 anatomically 30:7 and-a-half 67:4 anemia 24:9,10 anhedonia 60:4 anorexia 24:5 another 21:25 22:23 27:16 50:22 63:15 72:20 73:6 83:8 answer 5:17 6:1 62:20 63:4 86:18 87:1 91:1,7 answered 90:1 92:3 answers 5:18 antibiotic 37:24 antibiotics 27:24 28:9 85:2 anti-coagulation 78:10 anybody 17:15 34:5 anyone 13:5 90:23 91:2 anything 7:17 42:15,20 58:21 68:10 aorta 51:7 aortic 39:22 41:3,5 41:9 51:5,11 63:22 64:11 67:14 70:22 78:8 78:17 apartment 3:18 | aphasia 77:20 appear 56:23,24 APPEARANCES 2:1 appeared 77:20 appears 48:13 49:19,24 appetite 60:3,7,17 appointment 34:1 46:20,21 53:22 65:25 appropriate 66:1 1 appropriately 49:1 approve 77:21 80:11 approved 77:22 April 54:2,7,19 55:3,11 56:5,7 59:21 60:21 61:4 61:12,15 62:1 63:21 67:7 73:3 73:24 87:23 arch 41:4 area 6:17 18:6 30:8 41:5 51:13 58:4 58:16,17 argue 72:11 arm 37:13 40:13 around 74:17 arrange 80:8,15 arrived 75:10 80:21 arterial 27:11,17 70:14,23 76:19 79:13 arteries 40:22 43:8 75:20 artery 51:5 artificial 21:13 aside 13:7 81:22 asked 39:12 77:21 asking 14:15 87:8 aspirin 43:12,12 assess 47:9 71:25 assessed 70:6 assessment 42:6 49:5,8,9,16 50:5 51:4 59:1,8,13,17 69:23 78:11,14 assist 30:12 assistance 84:11 assistant 9:15 associated 24:3,5,7 24:19 27:3 28:24 31:4 60:8,11,12 assume 5:16 33:14 atherosclerosis 79:2 atherosclerotic 73:9 78:22 79:10 79:11 80:1 87:15 88:6,24 | attack 29:12 attempts 64:13 attend 18:14 attending 18:16 20:13 74:24 75:2 81:4 attendings 81:12 attention 76:9,13 attorney 94:12 attribute 57:10 59:25 AV 39:24 available 53:2,6,19 56:7 81:17 aware 14:8,9,25 15:14 16:2 25:1 39:21 89:8 awoke 37:12 a.m 1:24 92:14 B B 2:14 back 46:17 47:12 53:13 66:1 background 81:19 bacteria 22:13 26:24 bacterial 11:4 14:20 20:24 21:7 21:19,22 22:5,18 23:16 24:23 25:10,23 26:14,15 26:20 28:14 29:4 60:9 balance 41:21 based 73:11 basic 34:25 basis 10:14 19:3 20:1,4 25:11 44:12 53:21 64:15,20 87:4,8 87:11 Becker 2:2 become 53:5 becomes 53:1 bed 69:21 73:20 80:14 before 1:17 4:17 25:22 30:22 33:3 34:22 38:17 69:25 84:14 93:20 begin 9:2 1 beginning 70:19 begun 15:16 behalf 2:1,6,12 being 3:64:21 14:23 15:8 48:6 48:11 55:5 60:12 62:7,9 76:14 80:13 | believe 14:11 15:17 23:13 24:10 30:24 32:13 34:6 38:24 39:3 46:15 47:20 48:6 54:5 54:19,23 57:2 60:24 62:21 63:5 63:25 68:15 74:16,21 75:19,23 76:15 77:1,10 81:25 83:15 87:2 87:19 89:19,21 92:8 below 36:19 benefit 48:14 besides 4:7,14 9:4 20:19 70:11 best 13:19 41:3 50:23 88:5 better 29:25 42:8 between 23:6 bilateral 40:9,17,20 bioprosthetic 21:14 21:16 29:5,9 62:2 62:25 63:22 64:21 66:23 biostatistics 9:16 10:9 bit 30:6 40:12 43:15 49:23 blame 91:4,8 block 50:21 lockage 27:10 50:18 75:20 lood 24:12 25:7,11 25:15,19,22 26:6 26:8 27:9 29:10 31:3 43:15,16 46:6 50:21 62:23 70:15 71:2,23 72:1 85:1 86:1,5 86:8 loodstream 22:13 oard 8:8,13 ody 27:11 oth 12:4,6 17:2 21:16 36:21 othersome 34:16 ottom 61:25 rain 44:3,6,11 50:19 reak 27:5,9 breath 38:6 riefly 6:25 wing 71:4,19 roadview 12:19 83:25 84:7 brought 34:17 36:23 45:10 47:10 77:18 ruits 40:9,16,20 |
|----------|---|---|--|--|

| | | | | |
|---|--|---|--|--|
| 40:23 51:6,9 Building 2: 15 burn 74:3 business 3:20 4:3 | caused 44:10,20 66:25 86:22 87:3 87:9,25 88:15 90:9 causes 24: 1 31:1 40:19,20 79:3,13 87:18 89:2 causing 71:7 86:3 cell 24:12 29:10 center 1:9,22 2: 12 3:21 9:22 13:8 19:8 54:11 56:13 89:19 Center's 54:6 65:3 Centigrade 55:20 cerebral 50:8 certain 17:8 certainly 22:13 28:21 43:3 60:11 70:23 81:16 CERTIFICATE 94:1 certification 8:8 certified 3:7 certify 94:6,11 chance 5:10 change 49:9 93:5 changes 7:23 57:19 57:22 chart 13:8 56:13,23 56:25 69:9 check 41:15 53:20 checkout 65:22 checks 65:24 chest 38:6 chief 36:17 37:11 children 17:13 chills 38:5 39:19 choose 5:25 chronic 23:21 24:4 24:8,11,15,20 79:9,11 87:15 88:6 circulation 72:16 79:13 circumstances 56:9 82:2 Civil 3:6 Clair 2: 15 clarify 64:9 clarifying 62:4 class 19:22 claudication 72:12 72:13,15,17,18 clerk 65:21 66:1,4,9 Cleveland 1:22 2:4 2:10,15 6:17 12:14 16:4 82:21 94:14 clinic 12:14 17:22 17:25 18:6,18,25 | 19:2,4,11 20:9 32:18,22 33:1 34:19 35:19,21 48:19,23 49:2,4 51:14 52:7,8 82:21 clinical 10:11,14 30:10 82:5 clinics 18:22 35:18 closer 30:8 clot 86:2 clots 26:19 27:9 46:6 clumsiness 37:13 38:2 clumsy 50:15 Co 2:2,8,13 cold 72:5 collected 16:1,3 COLLEEN 2:9 color 72:5 combination 37:5 come 37:12,14 47:12 53:20,25 comes 54:10 commission 93:25 94:19 commissioned 94:6 common 1:1 22:10 88:7,11 commonly 23:20 communications 38:12,19 compared 26:9,15 42:9 Comparing 49:19 complained 74: 1 complaining 58:24 73:24 74:18 complaint 36:17 37:11 54:23 complaints 38:5 73:16 complete 46:22,22 61:7 completed 15:19 53:17 61:12 76:6 94:12 completely 41:10 complication 27:14 27:16 complications 27:2 27:12 28:24,25 91:5 composed 26:24 compromise 88:8 concepts 10:24 concern 67:8 concerned 63:12 70:8 71:15 concerns 63:8 | concluded 92:14 conclusion 42:10,12 condition 42:11 44:10 49:8 77:16 90:4 91:9 conditions 90:18 Condo 3:20 Condominium 3:19 conducted 14:24 15:9 19:5 41:12 congestion 39:12 consider 13:19 42:19,24 43:4 55:21 62:22 73:5 79:18 88:20 considered 29:7 55:24 56:2 79:22 considering 44: 19 45:22 64:20 67:10 68:5 70:12 73:2 80:5 consist 17:19 consisted 50:15 85:21 consistent 42:13 57:13 78:16 84:17 85:11 consultant 15:14 consultation 32:1 contact 47:24 54:12 75:3 76:17 83:23 84:6,12 contacted 54:18,20 77:11,13 content 80:24 continue 35:13 81:9 continued 34: 15 38:2 69:17 70:9 continues 72:11 continuity 18:22,25 19:4,11 20:9 continuously 9:24 conversation 89:22 conversations 77:5 80:19,25 82:10,19 92:6,9 coordinator 10:4 copies 83: 1 copy 7:20 53:13 56:16,20 65:5 83:18 Coronary 51:5 correct 3:24 4:5 8:3 8:4,9,10,14,15 13:16,17 19:15 33:8 37:3 39:1,22 39:23 41:1,2,4,13 43:25 44:1,21,22 46:15,16 48:20,21 48:23,24 52:4 53:18 54:3,4 58:5 | 59:19,20,23,24 61:3,12,13 63:15 63:16,18,19 65:14 65:15,17 67:15,21 67:25 68:3,4,14 74:6,7 79:4,5,14 79:15 89:7,10,11 94:9 corrections 7:24 93:4 correspondence 12:16 cortical 50:9,13 cough 39:13 counsel 1:21 5:9,25 6:2 7:19 13:4 count 24:13 29:10 county 1:29 4:3 course 10:8 20:3 78:7 82:12 85:12 court 1:1 5:19 courtesy 82:3 covering 33:21 54:17 critical 10:10 45:16 criticism 90:23 91:2 CT 37:20 38:23 culture 25: 11,15,20 25:22,25 26:6 cultures 25:7 26:8 26:14 62:24 85:1 86:5,8 cured 90: 13 current 3:20,25 7:23,25 9:12 36:22 70:2 currently 4:6 8:2 8:17 15:1 curriculum 7:20 11:2 cuyahoga 1:2 94:3 CVA 70:23 |
| | | | | D darker 36:15 data 16:1,3 date 1:23 7:23 39:20 46:19 60:25 65:10,12,13 65:19 74:11 92:2 dated 35:9 47:20 83:8,9,13,14 dates 83:6 daughter 34:7,9,10 60:6 68:13 69:14 71:1,22 74:15 89:24 daughters 34:8 day 1:23 20:7 75:24 75:25 84:24 93:21 94:15 |

| | | | | |
|---|--|--|--|---|
| <p>days 17:20 18:4,19 19:12,14 38:1 43:21,22 46:18 56:10 61:5,7 71:17 73:16,21 deal 11:3 dealing 14:19 15:3 death 44:4 60:5 90:9 decide 71:13 decision 10:5,7,11 10:14 19:25 decrease 16:22 40:13,15 70:15 decreased 60:3 71:2 71:23 72:1,16 decreasing 72:6 dedicated 81:11 deep 40:15 Defendant 2:6,12 5:1 6:6 Defendants 1:10 deficit 50:11 deficits 41:22 48:14 51:1 degree 29:4 delay 52:18 denied 38:4,6 39:18 denies 59:11 densities 85:11 dental 22:11 department 43:19 54:6 55:4 71:4 77:2 80:12,16 depend 25:14,17 31:22 47:18 depending 69:6 86:3 depends 54:15 55:24 deposed 3:7 deposition 1:12,15 3:1 4:16,21,25 5:9,22,24 6:12,19 7:18 11:18 92:14 94:11 depressed 60:3 depression 60:14 describe 17:16 26:18 described 50:14 60:12 74:2 description 57:12 70:1 desk 45:10 deteriorate 62:7,11 62:18 deteriorating 62:14 66:15 72:19 73:4 deterioration 62:2 63:1,23 64:21</p> | <p>66:20,24 72:24 determination 73:12 determine 68:6 85:25 devastating 44:4 developed 7:5 86:14 developing 22:4,18 deviations 40:3,8 diabetes 22:17,20 diabetic 88:9 diagnosed 16:13 21:18,21 31:18 89:8 diagnosis 7:7 21:22 21:25 24:22 25:5 25:7,8,23 29:14 29:17 30:20,22 42:16 73:14 80:3 80:6 diagnostic 24:21 25:2,4 44:11 52:24 53:5 dictated 52:7 dictation 56:20 60:23 didactic 20:6 died 89:15 difference 23:6 different 60:18 71:16 86:3 differential 29:13 29:17 42:16 45:25 63:12 80:3 80:6 differentiate 36:9 difficult 71:12 difficulty 50:15 directed 53:17 directly 71:11 80:23 82:25 89:25 disability 44:4 disagree 59:12 discharge 7:8 39:13 discharged 7:4 37:25 48:7,11 82:21 discoloration 57:25 discolored 72:6 discuss 45:19 discussed 13:2,5 28:23 discussing 59:17 disease 23:9 31:14 40:21 51:5 61:23 70:15 71:19 73:9 78:22 79:10,12,24 80:1 87:14,15 88:6,24</p> | <p>diseases 31:11,16 dismissed 7:16 distal 75:21 76:3 distribute 81:15 doc 76:9 doctor 3:11 7:19 9:12 11:1 13:15 14:18 20:24 24:21 26:7 31:1 32:21 44:2 50:4 51:13 58:20 59:9 62:23 65:1 67:7 67:13 70:17 71:6 74:4 78:4,11,25 84:13,22 85:9 87:8 88:3 89:14 90:2 91:12 doing 18:17 19:17 41:11 45:20 46:25 Donald 83:12 done 44:12 45:4 52:9,14 53:5,10 53:12 59:10 60:21 61:2,4 64:14,19 66:5 84:14,23 85:2,8 86:9 Doppler 51:8,17 dorsum 58:3 doubt 71:3 douglas 1:12,15 3:4 3:9,13 91:14 93:18 94:6 down 5:20 39:3 42:20 48:6 Dr 38:19,19 47:25 55:10,11 77:6 92:6 drift 49:22 Drive 1:22 3:23 droop 40:14 drug 22:11 due 31:2 58:7,9 60:5,17 70:10 71:19 72:15 80:1 86:1 duly 3:6 94:5,7 during 5:21,24 17:22 18:13,20 20:19 82:1,11 dysphagia 77:19</p> | <p>59:10 60:20 63:9 66:8,12 68:12 71:7 72:20 73:5 75:5,11,17 76:12 77:9 80:9,19 82:20 83:24 84:14 85:9 86:14 89:15,17 90:24 91:4 earlier 71:18 91:16 early 23:2,8 eating 37:14 echo 17:1 29:18,21 30:6 43:9,24 45:9 51:18 60:21 61:1 61:7,12 62:1,25 63:8 64:5,10,14 64:19 65:5 66:13 66:20,21,22 67:10 67:11 68:6 70:25 73:3 74:5 84:13 84:23 85:10,11 echocardiogram 12:24 25:6 30:11 44:25 45:21 46:2 47:14 52:7 53:16 61:15 63:21 64:4 64:18 echocardiograms 16:12 echocardiographer 30:1 echocardiography 15:6 16:20 educational 10:2 eight 18:15 einstadter 1:12,15 3:4,9,13 47:25 91:14 93:18 94:6 either 38:17 48:9 73:7 88:6 94:12 elevated 24:12 29:10 43:16 56:4 elevation 55:22 56:3 elsewhere 27:6,11 79:24 emboli 27:9 43:10 46:8 63:13,14 64:22 66:25 67:13,17,19,24 71:7 76:19 78:16 78:20 79:18,20,25 embolic 43:14,24 44:21 46:3 50:9 50:19,25 61:8 62:3 64:8 68:7 72:20 73:6,7,17 78:24 79:2,7,12 88:11 embolism 44:3,6</p> | <p>45:23 70:23 embolisms 44:10 embolization 78:8 78:13 86:22 87:10,25 88:15 89:2 emergency 2:6 12:9 12:12 38:13,15,20 54:6,11,12,14 55:4,15 56:6,11 56:17,19 57:1 70:5 71:4,9 74:16 74:20,22,25 75:2 75:4,10,13,14,16 77:2,12,13,24 80:15 91:18 92:7 employer 3:25 4:3 end 10:22 endocarditis 11:4,8 14:20 15:7 16:13 16:15 20:25 21:7 21:11,19,23 22:1 22:5,18,22 23:3,8 23:12,16,18,20 24:6,20,23 25:10 25:19,23 26:1,4,9 26:10,14,15,21,23 27:4,20,22 28:1,7 28:8,12,13,14,19 28:24 29:1,5,12 29:20,23 30:20,23 31:5,8,10,13,18 42:25 45:23 60:9 60:12 62:17 63:10 67:20 80:2 84:18 86:8,15,23 87:3,7,10,19 88:1 88:5,12,16,18,25 89:6,9 90:12 endocardium 21:4 endocrinologist 33:12 enter 5:25 entitled 35:16 50:5 entity 4:7,14 enumerate 87:11 epidemiology 9:16 10:9 episode 34:15 equal 42:6 ER 34:14 80:17 87:24 eradicate 28:19,21 erythrocyte 24:17 ESQ 2:3,9,14,14 essentially 49:17 estimate 90:20 et 1:9 etc 1:5 etiologies 60:15 89:1</p> |
|---|--|--|--|---|

| | | | | |
|--|--|--|---|---|
| <p>etiology 60:14,19 evaluate 15:6 43:8 71:10 evaluated 71:18 92:7 evaluating 30:12 64:11 evaluation 10:10 34:14 42:11 43:18 44:17 47:20 55:12 59:10 63:24 71:5 71:20 even 44:4 53:21 79:1,11 event 42:13,14 50:8 50:19 72:21 73:6 73:8,18 78:15,24 79:3,8,12 88:12 94:13 events 64:8 ever 4:16 6:5,8 8:22 8:25 9:3,9 11:6 13:12 21:18,24 23:2 66:4 76:17 91:24 every 56:14 everyday 60:5 evidence 44:15 50:11 57:19 71:2 71:22 78:23 87:12 exact 55:2 61:20 80:10 82:1 90:19 92:2 exactly 15:20 21:8 22:2,25 24:14 30:2 37:4,10 39:11 42:7,22 43:2 45:24 48:4 48:15 52:15 54:22 55:6,9 57:23 58:17 60:18,23 62:10 68:17,20 74:12 75:24 78:1 79:21 81:2 82:13 exam 39:25 40:5,10 41:12 44:15 46:23 51:8 79:8 examination 1:17 3:5,9 41:13 91:14 examine 49:12 Examiners 8:14 excruciating 73:24 exertion 72:14 Exhibit 3:2 7:21 expect 51:24 61:6 62:13 90:21 expectancy 90:14 90:19</p> | <p>experienced 44:20 experiencing 41:17 expert 6:8 27:21 30:25 expires 93:25 94:19 explain 6:24 72:7 87:6,16,20 explained 24:1 explanation 50:24 87:14 88:5,10 extremities 41:23 extremities 41:21 42:4 49:13,21 57:25 58:2 73:9 78:23 extremity 27:17 41:18 49:24 50:2 79:3 88:7 E-I-N-S-T-A-D-T-- 3:14</p> <hr/> <p>F</p> <p>facial 40:14 facility 12:20 fact 70:13 73:2 88:8 factors 22:3,6,10 faculty 35:9,17,19 35:19 Fahrenheit 55:21 fair 72:23 fairly 49:25 false 16:23 false-negatives 25:17 false-positives 25:16 family 80:20,22,25 89:18 far 5:7 7:13 16:2 41:8 fatal 31:11,14,16 favor 50:10 83:21 feel 5:23 45:16 48:13 72:4 87:5 feeling 64:24 felt 7:10 40:4,8 57:12 70:7 71:15 71:18 76:8 78:16 79:8,16,22 fever 23:25 29:10 38:4 39:17,18 77:24 few 31:10 fibrin 26:18,24 field 13:15,19 14:2 file 13:10 filed 6:16 13:1 fill 65:8 filled 45:7 65:11 final 53:10,12 find 40:3 80:14</p> | <p>finding 41:6,7,9,10 findings 15:22 40:12 49:14 first 3:6 4:22 9:21 10:15,25 32:9,14 47:7 50:16 61:1 61:25 85:2 86:21 87:2 91:21,22 94:7 first-line 27:24 five 52:12 five 21:9 43:22 46:18 49:20,21 flow 31:3 70:16 follow 37:25 46:14 81:3,9 83:21 following 93:3 follows 3:8 followup 43:21 66:17 68:9 foot 57:5,8,11 58:4 58:15,18 74:19 foregoing 93:2 94:9 forget 83:22 form 45:8 46:6 65:8 formal 11:7,11 formation 50:20 86:2 forth 1:23 94:8 forwarded 53:7 69:6 found 58:3,13 75:19 76:2 82:15 four 18:1 20:12 36:14 43:21 56:10 62:7,14 83:4 four-hour 18:5 frame 61:9 Franklin's 2:9 free 5:23 frequently 28:1,4 34:1 from 7:11 11:14 12:3,12,16,19 13:7 15:22 18:9 18:10 19:18,19 20:11 32:8 34:25 35:25 36:3,7,8,11 36:16,20 37:2,5,6 37:8,11,12,15 38:24 39:1 40:3,8 40:23 42:6 43:18 43:20 45:11 48:7 48:9,11,14 49:9 49:17 50:5,22 51:7 55:8 56:6,12 57:4 58:20 60:22 66:25 67:13,19,24 68:12 70:19 77:1 78:8,13,16,23</p> | <p>79:19 80:15 81:22 82:21,23 83:7,8,12 84:9,17 87:10,25 88:15 89:2,18 91:18 93:2 front 45:10 68:19 full 46:23 function 54:16 fundamentals 10:5 10:7 19:24 further 30:17 48:5 62:4 63:24 80:19 91:10 92:12 94:11</p> <hr/> <p>G</p> <p>gait 41:19 gate 41:24 general 5:8 12:3,8 17:9,11,16 19:2 21:1,2,3 24:25 38:14 54:16 77:10,25 80:8,18 85:23 91:19 generalized 24:1 73:8 generally 10:22 18:10 19:19 23:9 23:13,19 26:18,24 27:22 29:21 33:4 34:18 39:12 50:19 53:13 57:24 59:4,7 68:24 69:7 72:2 72:15 76:9 80:11 generated 13:12 gets 30:7 getting 71:11 give 5:18 40:22 44:24 55:15 90:19 given 6:12 11:6,9 41:9 45:2 50:22 68:10 70:21 78:7 79:6,23 gives 36:17 giving 89:11 go 36:5 37:7,17 50:16 goal 28:21 goals 28:18 goes 24:15 75:16 going 5:15 11:21 28:9 44:16 55:15 67:3 68:5,11 69:10 72:25 73:10,16 82:9,14 83:17 good 88:10 Graber 2:6 92:6</p> | <p>grade 41:1 great 73:19 greater 87:18 guess 15:20 22:25 37:16</p> <hr/> <p>H</p> <p>half 17:19 18:1,4,19 19:12,14 halfway 39:3 hand 5:20 38:3,9 50:15 54:24 94:14 handouts 11:14 handwriting 35:11 65:16 handwritten 56:12 56:22 68:21,24 happen 69:9 happened 33:25 50:24 happens 53:6 happy 5:14 having 4:25 16:14 57:8 67:13,17,19 68:7 70:14 75:3 76:19 92:5 head 5:20 22:16 headache 38:4 health 47:4,5 67:9 healthy 90:22 heard 7:17 23:2 25:25 40:17,25 41:3 51:9 heart 2:1,4,4 29:6 31:1,2,4,7 43:9 46:3,6,9,11 65:3 67:24 72:19 73:4 Hecker 16:6 heels 42:2 heel-to-toe 41:25 help 64:9 helpful 24:22,24 25:4,6,7,8,9 32:11 62:4 hemiparesis 77:19 Hemorrhage 37:22 heparin 71:5 hereinafter 3:7 hereunto 94:14 high 29:4 44:12 45:13,18 62:17 64:14,19 66:6 67:14,18 higher 26:7,13 30:6 hip 57:5 history 35:24 36:2 36:20,21,24 46:22 70:22 hold 8:8 home 3:15 7:5 84:1</p> |
|--|--|--|---|---|

| | | | | |
|---|---|---|--|--|
| 89:23,25 hospital 8:17,22 13:8 18:12 56:25 77:10,11,25 80:8 80:18 81:5,9 91:19 hospitalization 82:12 hospitalized 7:4 81:21 Hospital's 38:15 hour 67:4 hours 18:2,7 19:10 19:17 hundred 59:5 hypertension 87:15 87:16 hypothesize 85:16 hypothetical 15:3 H-E-C-K-E-R 16:9 | 27:1 37:23 39:3 87:13 infections 22:21 24:4,11,20 infectious 22:1 31:9 31:10,14,15 85:25 89:1 information 34:25 35:2 36:6,10 37:1 37:8 38:23 81:17 informed 39:6,8 initial 87:20 initiation 71:5 ink 36:15 inpatient 11:10 12:4 18:14,17 20:22 81:11,13,20 inquiry 4:22 instance 34:20 52:5 instances 44:3 instruction 19:22 instructions 5:9 6:3 instructor 10:19 instructs 6:2 insufficiency 57:20 interest 30:8 interested 94:13 internal 8:11 13:16 14:2,7 17:3,6,8,10 17:11 20:15,16 49:3,4 51:14 internist 31:19 interpreted 53:8 interpreting 76:7 interval 52:6 intestine 7:11 investigation 9:10 investigator 16:4 invoke 88:25 invoking 87:7 involve 10:11 41:20 involved 5:3 10:16 15:1,13 18:22 19:16 20:4,5 21:21 28:15 80:23 involvement 82:5 involves 10:9 16:11 involving 21:12 irreversible 90:4 ischemia 75:21 76:4 78:18 79:3,14 ischemic 29:12 issues 14:12 item 50:7 51:4,16 IV 22:11 | joy 60:4 July 83:13 June 83:8 just 5:13 7:22 20:5 24:15 36:5 39:4 40:7 46:20 47:10 51:23 55:16 62:7 74:8 79:9 81:14 82:8 86:1 91:12 | left 36:25 37:2,13 38:3,9 40:13,14 49:23 leg 57:8,11 58:18 71:7 72:10 75:20 87:23 legs 41:16 57:19 69:18,18 70:16 71:2,23 72:1,4 73:25 74:1 75:18 leslie 1:4 71:8,24 74:15 less 30:6 73:18 79:9 79:16 90:20 let 5:13 80:13 81:19 lethargy 60:7,10 letter 83:7,12,21 letters 82:23 83:1,3 83:6,6,15,16,18 let's 36:9,9 level 20:18 license 8:6,25 9:10 licensed 8:2 9:3 life 90:14,19 like 5:15,22 6:24 7:1,21,24 36:15 37:7,16 55:14 61:14 74:2 83:4 85:16 87:10 likelihood 87:17 likely 28:9 38:24 63:11 73:18 78:9 78:12 79:9,16,24 79:25 84:16 85:4 86:11,21 87:20,25 88:11,14,20,21,22 89:5 limb 79:14 limit 17:7,9 limitations 48:14 limited 17:4,5 90:18 line 42:1 93:5 lines 36:14 lining 21:4 list 62:17 listed 11:2 literature 10:10 little 30:5 40:12 43:15 49:23 55:20 71:12 location 19:7 long 6:19 58:15 78:10 long-standing 78:22 look 7:22 16:17 30:7 43:9,24 52:15 55:14,16 61:14 72:5,7 74:22 | looked 51:22 74:8 looking 15:5 16:11 16:18,25 55:7 61:22 looks 36:15 83:4 loss 24:2 59:22 60:1 60:4,4,7,8,10,17 60:17 lost 83:20 lower 41:20,23 42:3 49:13 57:25 58:2 73:9 78:23 79:3 88:7 L.P.A 2:2,8,13 |
| I identification 3:2 illness 36:22 immediately 71:9 imply 52:3 important 5:12,18 impression 61:20 impressions 61:18 improve 15:5 16:18 improvement 50:1 inappropriately 16:24 include 21:14 36:21 included 29:13,16 33:4 including 40:12 increase 22:17,21 increased 22:4,7,14 22:20 24:17 43:12,16 independent 32:4 index 25:14 indicate 30:17 42:3 49:23 59:22 74:17 indicated 39:23 59:18 60:2 69:17 78:21 indicates 39:9 46:13 47:24 48:5 62:1 indicators 30:10 individual 54:18 individually 33:6 infarct 50:9,13,17 infected 28:2,19 infecting 28:22 infection 7:5,7,9 21:3,12 22:24 23:22 24:8,16 | J J 83:7 JAMES 2:14 jeanne 2:3 83:19 | K Karen 1:18 94:5,17 keep 20:12,12 46:20 68:22 kept 69:3 KILBANE 2:14 44:13 52:20 62:19 67:3,22 72:22 75:12 83:19 84:19,21 85:5,14,22 86:16 86:18,25 88:2,17 90:1,5,10,25 91:6 92:13 kind 36:24 47:5 60:4 knew 66:14 67:11 know 5:7,14 7:1,13 7:15 15:20 22:25 24:3 26:12,16 28:3,11,16 30:2 30:17 31:15 34:9 36:8 41:8 42:1 51:24 52:18,21 53:24 58:17 59:14 61:22 62:10,11,15 68:20 70:3,8,14 71:24 72:2,3,16 73:22 74:13 75:12,14,15 76:15 80:13 84:24 85:8 88:23 89:21,22 knowing 78:25 knowledge 14:15 22:9 23:5 72:8 | L L 36:16 lab 65:4 76:18 84:10 89:23 lacunar 50:17 Lake 3:16 last 3:12 16:7 59:23 70:18 73:20 78:6 late 23:3,12 later 43:22,22 61:5 latter 50:10 lawful 3:4 learned 10:24 least 12:2,9 56:11 | M M 1:18 2:3 94:5,17 made 7:7 25:23 30:23 47:24 50:12 81:23 mail 53:25 main 28:17 make 7:24 64:13 65:25 69:10 73:12,14,17,17 78:2 83:17 making 10:5,8,12 10:14 19:25 81:18 malaise 24:1 MALONE 2:14 manage 31:20 managing 31:23 many 4:19 14:13 19:10,17 20:10 22:6 23:17 24:24 42:18 60:11,18 83:3 March 4:2 12:10 32:13,13,14,17 33:16 34:4,12 35:7,9,24 38:14 38:21 39:21 42:16 44:23 46:15 47:20 48:19 49:6,10 50:5 58:20,21 59:23 61:2 85:3 86:21 92:4,8 margin 36:25 37:2 39:24 marked 7:21 51:13 mark'd 3:2 materials 56:22 matter 11:3,7 14:19 may 5:25 11:9 12:11,13 25:18 27:5,6,10 32:11 33:22 44:20 45:24 46:6 47:17 51:22 56:14 |

| | | | | | |
|---|---|--|---|--|--|
| 60:10 62:3 63:9 64:10,22 65:14 66:13 67:9 68:13 70:19 74:5,10,11 75:6,18,22 76:19 77:1078:4 80:9 80:18 83:9,10,11 83:14 84:15 87:23 88:14 89:20 92:3 maybe 21:9 83:14 Mazanec 2X mean 16:21 17:5 35:17 37:16 47:16 52:21 55:1 72:22 neaning 4:9 50:20 neant 60:19 neasures 47:5 nechanical 21:17 nedical 1:8,21 2:12 3:21 4:8,10,13,23 5:23 6:6,9 8:5,14 8:16,25 9:10,22 10:5,7,8,15,25 11:19,23,25 12:1 13:8 14:7 18:23 19:8,25 20:21,23 36:24 37:6 42:21 48:22 54:6,11 56:13 71:24 72:8 84:7 89:19 91:9 iedicine 8:11 9:15 13:16 14:2,7 17:3 17:6,8,10,12 20:15,17 49:3,4 51:14 iedicines 43:17 iention 70:13 entioned 16:10 33:3 70:10 Merit 1:18 message 69:5,7,11 net 80:25 Metro 3:21 12:5 33:10,24 34:12 74:20 80:9 81:5 81:24 89:10 netrohealth 1:8,21 1:22 2:12 3:22,23 4:1,8,14 8:19 9:13,14,22 11:24 12:1 13:8 15:10 19:8,9 32:19 37:6 52:25 54:6,11 56:13 65:3 82:22 89:19 etroHealth's 56:19 etro's 55:4 87:24 fichelle 16:6 | might 14:13 22:12 23:10,25 24:7,24 31:14 42:19,22 64:9 66:3 67:1 79:25 87:16 mild 40:14 mind 63:9 minute 55:16 Mishkind 2:2 Mizsey 12:4,24 13:9 32:5,7,10 35:23 38:14,18 40:f 43:6 48:3 52:13 54:2 55:12 56:5 59:10 63:9 72:20 73:5 75:11 75:17 77:9,18 80:9,20 82:20 83:24 84:14 85:9 86:14 89:15 90:17,24 91:4 92:8 Mizsey's 38:20 44:9 56:1 60:20 66:8 66:12 68:13 71:7 74:14 75:5 76:12 89:18 node 81:11 Monday 1:13 nonth 68:2,8 nonths 58:19 nore 22:10 28:9 29:18,22 42:5 46:21,22 50:20 59:1 63:12 64:10 70:1 78:9 79:25 81:19 82:3,7 87:20 88:7,11,11 morning 8:1 18:8 74:17 most 13:20 23:20 24:11 25:9 81:18 88:20,20,22 89:4 mother 68:14 69:15 69:17,20 71:4,9 71:15,17,20 74:15 motions 5:20 much 17:24 78:9 88:7 Multi 12:20 83:25 84:7 multiple 85:11 Munoz 36:16 murmur 31:1,2,7 40:11,24,25 41:11 nurmurs 31:4 40:10 nysself 76:10 M.D 1:12,16 2:7 3:4 3:9 91:14 93:18 94:6 | N name 3:12,12 6:13 6:13 16:7 named 5:2 6:5 94:6 narrowing 40:22 nasal 39:13 National 8:13 nature 69:7 necessarily 22:16 25:24 88:5,25 necessary 28:1,4 85:24 neck 40:10,10 43:9 51:6,10 need 45:17 66:17 88:24 needs 29:7 47:4,5 negative 25:19 26:1 26:8,13 37:21 negligence 4:23 6:6 6:9,23 neurologic 34:14 40:12 42:13 78:15 neurological 41:12 49:14,16 51:1 ieuropathic 57:14 57:15 ieuropathy 57:13 58:8,9,11,14 70:1 70:11 88:9 iever 7:13,17 82:24 91:8 new 41:6 next 35:13 38:1 51:18 54:1 75:25 night 54:17 nods 5:20 none 14:14 nonprosthetic 23:20 27:23 noon 18:9 normal 40:4,8 49:21 55:24 56:9 82:14 normally 31:20 56:16 69:3,4,9 76:1,5 Notary 1:19 93:24 94:5,17 note 35:9,10,17 36:13,16,19 37:9 39:4,9 42:8 45:1 45:6 46:13 47:20 47:24 48:5,12,16 49:22 50:5 51:20 55:1 57:3,18,22 58:21,23 59:3,5 59:18,21 60:13 68:17 70:13,19 71:21 74:4,23,23 | 78:2,4 93:3 noted 49:20 notes 13:7,9,13 35:6 49:19 56:6 56:12 57:2 58:20 nothing 11:10 94:7 notice 1:20 41:22 notified 55:5 74:19 75:21,23 76:5,10 76:15 77:23 78:3 89:14,16 notify 54:13 75:9 76:1 November 94:15 number 3:16 11:1 16:23 50:7 51:4 51:16 numbness 38:3,8,9 41:16,18 49:14 nurse 34:21 36:8,10 36:17 nurses 45:3 nurse's 36:13 39:9 nursing 7:4 12:20 34:21 83:25 89:23,25 | O oath 5:11 objection 5:25 29:15 44:5,13,14 52:20 62:19 67:16,22 72:22 75:12 84:19,20 85:5,6,14,15 86:16,17,24,25 88:2,17 90:5,6,10 90:16,25 91:6 obtain 35:24 obtained 36:20 obtaining 65:19 Occasionally 20:21 occludes 79:13 occlusion 27:17 76:3 occupational 43:19 occur 61:10 76:4 89:2 ccurred 7:2 50:16 54:24 79:25 ccurring 23:9 ccurs 23:13 72:14 October 1:13 94:19 ff 22:16 27:5,10 85:1 ffice 2:3 73:23 94:14 ffices 1:21 fficial 11:11 ften 21:6 24:8 32:25 55:24 | 58:13 ohio 1:2,20,22 2:4 2:10,15 3:5,17 8:3,6,25 9:4 94:2 94:5,15,18 Okay 36:13 61:17 old 41:6,8 older 22:11 once 45:4 53:4,9,12 53:17 69:8 81:20 one 4:20 10:4 12:13 14:16 15:15 18:8 18:10 20:13 25:1 25:19 27:12,14 28:17 31:10,17 33:2,4 35:18 42:5 42:8,19 43:16 45:23 47:10 50:18 58:19 62:21 68:2 83:8 83:13,14 86:7 89:22 90:21 91:13 ngoing 15:1 19:3 47:4 79:6 87:13 87:16 only 83:15,16 84:11 inset 57:4,16 in-line 53:19 pened 35:6 perating 81:10 perative 23:11 pinion 84:16,21 85:3,7 86:13,19 86:20 87:4,5,9,22 88:3,13 89:12 90:3,7,8,11,14 opposed 50:22 order 62:23 64:5 76:21,23 86:6 ordered 43:23 45:21 52:1,14 76:25 77:1 85:10 ordering 46:2 orders 44:24 45:2 organism 28:20,22 original 46:19 other 4:7,13 9:4,6,9 11:22 13:4 16:22 20:18 22:12 24:1 24:3,20 27:10 38:5 42:5,9,18,20 42:20,22 46:5 47:9,9 48:16 49:14 60:14,15,19 70:4,11,11 81:24 83:13 84:7 87:6 87:14 89:1,5 92:10 others 22:15 therwise 5:15 |
|---|---|--|---|--|--|

| | | | | |
|--|--|--|---|--|
| 12:17 49:24 83:21 90:21 94:13 out 25:11,19 45:8 46:3 50:25 57:3 61:7 65:8,11,24 69:20 73:20 83:22 86:7 outcome 28:9 outline 6:25 outlines 11:14 outpatient 12:5 18:18 34:19 48:19,23 over 38:1 41:1,3,5 55:16,21 62:12 73:14 own 19:7 35:20 o'clock 1:24 92:14 | 65:24 68:8 69:4 72:10 74:23 75:10,16 76:22 80:13 81:20,24 83:25 88:9 91:8 patients 15:6 16:11 16:12,19,23 17:21 17:25 19:3,7,14 21:7,22 22:7,14 22:19 26:8,10 31:6,17 35:20,20 35:22 46:5 52:23 patient's 6:13 11:19 11:23 56:23,24 Patterson 1:18 94:5 94:17 Pennington 55:11 people 17:6 72:3 per 17:20 18:5,15 19:12 20:12 33:2 percent 19:19 59:6 perhaps 91:17 period 18:13 20:7 20:20 peripheral 57:13 88:9 persistent 23:25 person 31:23 33:21 45:20 54:17 personal 13:9,10 personnel 20:19 34:22 84:7 PETRELLO 2:9 29:15 44:5,14 55:17 67:16,23 84:20 85:6,15 86:17,24 90:6,16 91:12,15 92:10 phenomenon 43:14 Dhone 47:24 55:7 68:19,21,25 69:11 73:11,15 78:5 84:9 89:22 physical 39:25 40:5 41:12 43:18 47:19 48:3,7,11 48:12,15 59:9,12 59:17,18 79:8 physician 9:14,22 9:25 21:25 31:19 33:10,13,15,22,23 38:13 45:15 53:1 53:14 54:13 74:24 75:2,9 76:7 81:4,16 92:7 physicians 10:12 12:17 13:2 17:7 33:7 56:18 82:11 82:17,20,24 83:24 84:2 pick 33:22 | picking 29:19,22 picture 24:25 place 22:4,7 52:24 62:7 67:14,18,20 89:20 94:11 placed 23:14 69:8 placement 23:10 plaintiffs 1:6,16 2:1 3:1 7:21 Plaintiff's 6:13 plan 43:5,7 46:24 51:14 planned 15:18 platelet 50:20 PLEAS 1:1 please 3:11,12 5:23 16:8 plus 26:24 point 5:21,24 10:4 10:17 16:1 33:15 42:24 63:5 65:12 75:4 78:12 80:22 85:24 86:8 87:13 90:4 popliteal 76:2 porcine 46:9 62:13 62:18 70:20,21 portion 20:6 65:11 position 9:13,19 10:6 70:22 positive 25:22 26:5 28:10 85:4 possession 15:15 possibility 27:18 42:25 44:19 70:24 78:8 79:9 79:17 88:19 possible 21:25 23:1 28:20 40:19 43:1 45:23 47:17 66:5 70:3 71:5 76:18 79:19 possibly 27:14 potential 62:3 64:8 64:22 potentially 87:13 power 30:5 practice 13:15 17:3 17:7,9,11,14,17 19:2,7 21:6 precise 73:13 preparation 11:18 presence 22:23 70:19,21 present 20:22 24:15 26:5 30:22 36:22 40:11 presentation 11:7 presentations 11:10 11:15 presented 10:22 | 32:19 71:17 presents 29:9 pressure 43:15,17 presumptive 25:22 pretibial 58:4,16 prevent 43:13,13 preventive 47:4 previous 49:9 52:2 56:10 66:24 previously 69:13 primary 33:10,13 33:15,22,23 34:3 47:5 54:12,16 56:17 75:9 76:9 81:7,16 printed 7:25 prior 7:8 25:15 35:3 36:7 37:6 54:7 55:8 87:17 priority 44:12 priority 45:13,18 64:14,19 66:6 private 35:19 privileges 8:18,22 probably 25:9 31:23 37:4 39:10 52:11 78:9 80:4 problem 10:19 36:22 37:21 70:7 75:11 problems 23:11 27:6,7,8 42:21 43:10 44:7 47:9 procedure 3:6 23:12 31:25 33:20 procedures 22:12 75:15 proceeding 30:11 produced 69:13 professional 2:6 4:6 4:9,10,13 17:17 professor 9:15 progress 78:4 progression 50:11 project 14:23 15:1 15:4 prominence 58:1 pronator 49:22 prosthetic 11:4 21:10 22:14 23:3 23:8,16,18 24:6 26:9,10 27:19,25 28:6,12,14,18 29:1,19 30:9,18 30:22 31:5,6,18 42:25 45:22 46:3 46:6 62:16 63:9 66:14 79:19 86:14,22 87:25 88:15 89:3,5,9 | 90:12 protocol 15:11,15 provided 3:5 7:19 35:3 36:6,10 provider 81:8 providing 81:17 Public 1:19 93:24 94:5,17 publications 11:2 14:10,13 published 15:23 purposes 3:2 pursuant 1:20 put 59:2 p.m 35:10 |
| P page 35:14 36:14 36:25 37:2 47:23 61:25 93:3,5 pain 38:6 57:4,8,11 57:12,14,15 58:18 58:22 59:11,15 69:17,18 70:5,9 71:7 72:6,10,12 72:13 73:19,25 74:1,18 79:6 87:23 palpitations 38:7 part 19:24 26:25 32:22 50:4 59:7 72:9 participated 14:18 particular 13:18 14:6,11,14,16,23 15:16 18:7 25:1 45:17 51:19 66:2 69:12 82:17 particularly 58:25 74:18 parts 8:16 party 94:12 past 36:24 58:19 patient 5:4,5 10:16 17:20 21:18,24 22:4 25:21 27:25 28:6 29:1,5,8 30:9,12,18 31:21 31:24 32:1,19 33:23 34:3,18,20 34:22,25 35:4 36:3,7,12,20 37:3 37:5,8,11,12,15 38:25 39:1 45:5,9 45:17 46:2,14 48:6 51:10 53:23 54:10,13 56:10,18 | | | | |
| | | | | Q qualified 94:6 question 5:6,15,16 6:2 8:23 9:1 15:2 25:3 30:14 44:8 59:4,7 71:6 73:1 90:2 92:3 questions 5:12,13 91:11 92:11,12 question-and-ans... 5:11 quite 33:25 63:4 |
| | | | | R radiating 57:4 raise 63:8 Rakita 38:19 Raskin 2:8 rate 16:19,21 24:18 26:7,13 Rather 82:5 ratio 20:13 read 30:15 39:4 50:6 51:3,15 67:6 70:20 78:5 92:13 93:2 Reading 48:12 real 6:25 really 10:16 68:10 72:8 84:24 reason 29:24 34:12 43:11 52:5 59:12 64:1 66:16 81:8 87:20 reasonable 68:9 90:14 reasons 46:1,5 70:4 70:11,12 recall 6:15,22 18:8 20:2,4 21:8,20 22:2 37:4,10 39:11,16 42:7,21 43:2 45:14,24 47:16 48:2,4,15 52:15,21 54:22 |

| | | | | |
|---|--|--|--|--|
| 55:2,4,6,8,10 56:8 57:23 60:16,18,23 64:2,16 66:10 68:17 74:2,12,21 75:3,7,24 76:20 77:8 78:1 79:21 80:10 82:1,13,16 82:18 89:24 91:24 92:2,5,9 receive 53:24 60:22 68:16 92:1,2 received 36:11 37:8 37:11 55:7 62:24 63:20 68:12 77:17 82:23 84:9 91:25 92:4 recent 22:11 50:8 60:5 70:22 recently 34:13 74:8 Recess 67:5 recollection 32:5,8 48:10 54:25 59:16 recommendations 47:25 record 6:1 11:20,24 12:2 30:15 34:24 37:6 67:6 68:19 68:21,25 72:23 recorded 45:5 55:19 records 5:23 11:22 11:25 12:1,12,15 12:18,19 32:9,11 43:20 48:10 65:1 74:16 91:25 92:1 recurrent 78:16 reduced 94:8 refer 5:22 16:11 23:9 32:1,11 39:2 49:1 57:24 63:23 75:1 referencing 78:5 referral 15:5 16:17 16:25 64:24 65:3 86:10 referred 16:19,23 21:24 40:23 43:17 referring 23:24 38:9 39:11,14 60:15 refers 59:15 72:13 reflect 59:5 reflexes 40:15 regard 6:11 9:8,10 9:12 10:20 12:8 16:17 23:6 26:20 38:8 40:16 48:3 54:20 58:21 64:3 68:22 | regarding 38:20,23 42:10 47:25 55:11 68:13 69:15 75:5 77:6 77:15 80:20 82:17 registered 1:18 34:21 regular 19:22 32:22 48:22 53:21 rehospitalization 7:6 Reigelman 14:1 reinforce 10:23 related 12:10 23:11 60:14 70:2 78:19 relation 69:12 relative 94:12 release 22:12 relevance 14:12 relevant 14:14 reliable 13:20 remain 81:19 remember 32:7 34:10 61:21 80:24 81:2 remind 51:24 Reminger 2:13,13 render 4:6,12 rendered 90:24 91:3 repeat 5:14 30:13 73:1 rephrase 5:14 replace 28:2,4 replacement 39:22 39:24 41:9 51:6 51:11 report 53:10,12 60:22 61:15,19 62:1,24 66:1 reported 24:10 37:21 39:16 58:18 reporter 1:19 5:19 reports 29:11 53:24 request 45:8 69:11 80:7 83:18 requested 43:20 46:14 52:9 84:11 91:18,20 93:5 requesting 53:14 require 72:8 76:13 78:10 required 6:1 30:25 44:17 64:24 requiring 7:5 76:8 requisition 65:4 74:9 research 14:19,23 15:2,4,8,11,14 | 16:5 18:24 19:16 resee 68:8 Reserve 9:17 10:3 14:5 19:23 residency 35:21 resident 20:12 33:6 35:21 residents 18:21 19:1,11 20:9,10 20:14,16,19 81:12 resolved 7:12 respiratory 37:23 39:2,7,15 responsibilities 13:23 18:13,18 19:21 32:23 responsibility 10:19 responsible 65:18 81:13 rest 18:19 72:11,14 72:18 result 44:9 63:15 86:22 results 47:14,17 51:25 52:25 53:5 53:6,21 63:7,20 64:4 66:23 73:3 75:22 76:4,16 77:6 84:17 retrospect 89:13 return 68:2 review 5:8 12:6,14 32:9 48:10 53:25 reviewed 11:18,19 12:18,23 53:1 61:19 Reviewing 55:1 revocation 9:9 revoked 8:23 9:1 right 10:21 14:16 22:16 33:8 50:21 54:24 57:8 58:4 58:15,18 68:19 74:19 75:20 76:2 77:18 81:23 risk 22:3,4,6,7,10 22:15,17,20,21 67:15,18,21 72:20 73:5,7 River 3:17 Road 2:10 3:16 Rocky 3:16 room 12:9 38:13,15 38:20 54:11,12,14 55:4,15 56:7,11 56:17,19 57:1 70:5 71:9 74:16 74:20,23,25 75:2 75:4,10,13,14,16 77:12,14,24 91:18 | 92:7 Row 2:9 rug 74:2 rule 25:19 46:3 50:25 61:7 86:7 ruled 25:10 Rules 3:6 run 20:3 runs 18:10 20:6 Ryder 2:8 S safety 67:9 same 4:3 9:20 18:13 19:6 23:19 27:8 27:23 28:25 30:16 49:17 67:23 83:14 90:22 saw 12:12 19:7 32:14 33:9,11 34:4 35:3,23 38:13 39:20 42:16 44:23 48:18 49:6 54:1,7 56:5 57:7 58:25 61:11 63:7,21 66:13,19,20,22 67:7 68:1 73:23 says 39:24 60:13 65:12 71:21 74:4 74:9,23 76:2 scaling 58:1 scan 37:20 38:24 schedule 17:17 43:7 44:24 45:4 47:18 52:12 scheduled 34:2 43:21 45:11,13,18 51:22,23 53:22 65:12,13 66:2,12 68:2 70:24 74:5 74:10 scheduling 52:7 65:19 school 8:16 10:8,25 18:23 seal 94:14 second 2:4 10:23 12:13 55:16 78:15 84:15 88:14 91:17 secondary 79:1 secondhand 71:12 secretary 69:5 sedimentation 24:17 see 10:3,18 12:10 17:13,15 19:3 27:9 34:2,22 36:23 45:5 46:17 | 55:7 57:5 58:10 62:4 69:2 72:4,5 72:6 74:24 82:8 83:16 seeing 17:21,25 19:14 84:23 seemed 50:23 seems 78:9 seen 12:2 21:7 33:11,16 34:13,19 34:20 37:19 49:2 54:19 55:3 56:10 56:18 69:25 70:5 74:17,24 77:2,24 87:24 sees 35:19 segment 17:8 send 56:16 sensation 40:14 sense 17:5 22:19 sensitive 29:18,22 64:11 sent 52:23 53:13 sentence 78:6 sentences 70:18 separate 35:18 sepsis 7:10 septic 26:22 sequence 80:10 serial 85:1 86:5,8 serious 90:18 serve 46:8 service 18:14,17 20:23 70:7 77:4 81:14,20 services 2:6 4:7,9 4:10,13 session 5:11 18:1,9 18:10 33:2,4 sessions 18:5 20:3 33:3 session-to-session 20:11 set 1:23 65:2 94:8 94:14 setting 17:22,25 settled 7:15 several 11:22 12:16 21:22 34:8 71:17 73:16,20 81:25 90:17 severe 57:8,10 69:18,20 75:21 76:3 shortly 89:9 shortness 38:6 showed 73:3 84:16 85:11 shower 57:3 shown 26:5 84:25 shuffle 83:20 |
|---|--|--|--|--|

| | | | | |
|---|---|---|--|--|
| <p>side 36:25 37:2 40:14 41:17 42:5 42:8 sided 77:19 Signature 92:15 signed 36:15 significant 40:4,8 significantly 71:16 signs 23:15,17,21 23:23 24:5 29:19 30:19 34:24 36:18 41:16 49:13 79:7 similar 70:5 73:15 since 9:25 13:1 59:23 81:7 single 25:11 sinusitis 39:10 sit 14:11 32:5 site 50:21,22 sites 27:10,11 situation 11:12 25:18 31:22 54:15 55:2,25 56:1,15 57:24 64:9,17 69:24 72:3 76:10 79:6 79:16,23 80:11 85:18,24 situations 25:18 six 18:14 skin 58:2 Skylight 2:3 slight 50:1 slightly 41:24 slot 47:8 small 50:8,12,18 social 82:8 sole 31:23 Solon 2:10 solving 10:20 some 5:8,24 17:6 28:23 34:15,21,25 36:23 37:14 38:3 40:11,13,15 41:20 42:3,14 44:3 47:9 47:17 49:22 50:15 57:18 58:1 61:23 70:4 77:19 79:7 82:23 84:11 87:14 someone 61:7 something 10:13 32:22 43:3 44:16 45:15 52:8 59:2 76:8 80:4 89:5 sometimes 28:4 58:1 somewhat 22:20 soon 23:9 28:20 53:1 66:5 76:6</p> | <p>sooner 28:8 sore 39:13 sorry 30:13 33:18 65:23 83:10 84:2 91:22 sort 10:23 11:9,11 36:17 41:21,25 42:1 47:4 60:3 81:10,14 sorts 22:12 24:4 sound 40:23 source 26:25 36:2 43:10,24 44:21 45:23 46:8 61:8 62:3 63:13,14 64:7,22 67:2 68:7 79:19 88:20 sources 46:4 Southwest 12:3,8 34:14 37:20 38:14 43:20 77:9 77:25 80:7,18 91:18 speak 5:10 45:3 89:17 speaking 11:24 48:2 50:16 55:10 82:16 specific 10:21 21:1 48:25 specifically 5:3 14:3 16:16 41:15 82:16 specified 94:11 speculate 30:2 spell 3:12 16:7 spend 18:3 spending 17:24 spoke 82:24 89:24 spread 7:11 SS 94:2 St 2:15 staff 9:14,22,25 standard 81:10 start 36:10 62:14 state 1:19 3:11 8:3 8:6 9:4,7,8 94:2,5 94:18 states 9:6 57:2 59:11 statistical 15:13 statute 1:17 stay 82:1 stenotypy 94:8 stepped 57:3 still 6:1 49:22 57:8 64:7 72:15 79:2 stipulations 1:20 stopped 81:25 Storoe 55:11 Street 2:4</p> | <p>strength 41:20 49:20 stroke 27:13,15 29:12 42:14 43:1 43:11 44:9,19 61:8 63:15 66:25 67:15,21,25 77:20 78:12 79:1 84:15 85:2 86:21 87:2 87:17,21 88:14 strokes 68:7 students 10:15 13:24 14:7 20:22 20:23 studies 24:22 25:4 53:21 75:18 76:21,24 study 14:1 15:16,23 16:5,10,16 52:24 52:25 53:5,15,16 72:24 75:22 76:5 76:6,8 77:7 78:19 78:21,24 Studying 13:25 subacute 26:14 60:8 subject 11:3,7 14:19 Subscribed 93:20 sudden 57:4,16 suffered 84:14 91:5 suggest 30:19 suggested 61:1 suggestion 64:21 suggestions 81:18 suggestive 29:11 62:25 63:22 66:23 72:25 73:4 suggests 62:2 Suite 2:4 summarization 15:25 supervise 81:12 supervised 18:21 supervising 19:11 20:9,10,18 supervision 33:6 supply 71:2,23 72:1 sure 5:2,9 24:14 51:7,12 63:4 65:2 78:7 83:19 surface 46:7 surgeon 62:10 76:14 surgery 77:3,3 suspect 50:8,12 51:6 66:16 suspected 16:14 suspicion 25:15 sworn 3:7 93:20 94:7</p> | <p>syllabus 11:14 symptoms 23:15,18 23:21,24 24:6 29:11 30:16,19 34:16 37:23 38:1 38:4 39:3,7,15 42:12 50:14,23 70:2 73:13 system 4:1,8,14 16:17 52:24 53:4</p> <hr/> <p>T</p> <p>take 5:19 7:22 8:16 45:12 55:14 61:6 61:14 64:3 69:5 71:8 74:15 81:20 85:13 taken 1:17 4:17,22 5:1 6:20 69:8 77:9 94:11 taking 25:15 talking 37:14 tandem 41:19 tapes 12:23 taught 11:6 teach 10:8 teaching 10:15,18 13:22 18:23 20:6 35:21 TEE 62:3 64:25 telephone 68:22 69:11 tell 6:12 7:22 11:17 14:22 22:8 23:5 23:23 36:11 40:7 48:9 61:18,20 69:14,19 70:17 72:3 76:18 83:5 temperature 55:19 55:22 56:2 ten 21:9 tend 73:17 81:18 tender 58:4 tenderness 58:11 58:16 tendon 40:15 ten-pound 59:22 term 25:25 48:25 78:10 terms 17:17 23:2 test 8:15 14:1 25:16 45:13,17,20 51:21 52:1 60:22 61:4 65:20 66:2,5,18 tested 41:19 testified 91:16 testify 94:7 testimony 94:8,10 testing 14:1 41:21 tests 44:11 45:13 52:13</p> | <p>textbook 13:18,25 14:6 their 10:17 17:7 18:21 19:2 35:20 therapist 48:3 therapist's 59:17,18 therapy 43:18,19 47:19 48:7,11,12 48:15 59:9,12 thing 47:6 58:10 62:21 72:17 things 23:7 24:2 39:14 42:18,22 46:24 60:5,11,18 62:17 82:8 87:6 think 4:24 6:21 12:13 25:8 29:7 29:16 30:5,18 47:13 53:3 66:11 66:24 71:14 73:8 78:2,18 80:4 85:23 87:17 88:4 88:10,18,24 89:4 90:17,20 91:16 thinking 42:23 43:3 thomas 2:6,14 thorough 59:1 though 50:10 thought 58:7 64:8 67:1 70:2 82:15 89:12 three 8:16 17:19 18:3,4,5,19 19:12 19:14 33:3 38:1 52:19 61:11 70:18 83:15 throat 39:13 thrombin 86:1 thrombotic 50:10 50:20 through 8:16 30:7 36:5 37:7,17 39:4 93:3 throughout 10:24 tibia 74:19 tightrope 42:1 time 4:20 16:1,3 17:22,24 18:13,20 19:20 20:5,20 32:9,14,23 33:13 34:2 35:3 42:21 44:16 45:7,25 46:21 47:3,8 51:2 52:6 54:1,7 58:25 60:16 61:9,21 62:12 64:23 65:25 68:16,20 71:11 75:4 78:12 78:14 79:7 81:24 89:12 90:4 94:11 timed 68:18</p> |
|---|---|---|--|--|

| | | | | |
|---|--|---|--|--|
| times 4:19 21:9 81:25 tissue 30:6 title 9:13,18 14:22 14:25 today 14:11 32:6 toes 42:2 71:3,23 72:1 told 37:19,25 71:8 77:15,17 Tomford 83:8,9 top 22:16 35:17 36:14 80:5 TOSTI 2:3 3:10 55:18 69:10 83:17 91:10 92:12 touch 58:5,12 Tower 2:3 training 10:17 71:25 transcribed 94:9 transcript 93:2 transcription 94:9 transesophageal 17:1 29:21,25 30:4 64:5,10,14 64:19 65:5 66:13 66:19 67:10 68:6 70:25 74:5 84:13 85:10 transfer 77:21,22 80:8,12,15 89:18 transient 29:11 transmitted 51:7 transthoracic 17:1 60:20 61:15 63:8 66:21,22 67:11 73:3 travel 44:10 traveling 50:22 treated 27:20,22 28:8 37:24 82:20 83:24 90:13 treatment 27:21,24 28:18 31:20 43:13 77:22 86:2 tremors 54:24 trial 7:14 trifurcation 76:3 trouble 37:14 true 8:20 28:11,13 28:25 46:9,11 94:9 truth 94:7,7,7 try 16:22 20:12 43:13 turbulent 31:2 two 6:21 10:25 12:9 12:12 17:19 18:3 18:4,4,18 19:12 | 19:13 23:6 35:18 49:19 51:18 52:9 52:13,14 58:19 91:13 two-week 20:7 52:6 type 7:9 11:13 21:1 27:7,8 29:18 34:21 37:23 42:14 46:11 types 22:21 24:2 39:14 76:4 typewritten 57:2 typical 33:20 51:10 58:10 75:8 typically 57:15 75:13 U ultrasound 43:8,23 44:25 45:8 47:14 52:8 53:15 unable 69:20 73:20 unchanged 49:25 Unclear 50:9 under 1:17 5:11 10:2 17:15 32:10 32:16 50:4,6 51:4 51:13,16 56:9 undergone 85:10 underlying 88:23 understand 5:12,13 6:3 34:11 understanding 30:3 understood 5:16 underwent 75:17 Underwood 83:12 unexpected 41:10 unless 6:2 unsteady 41:24 until 18:9,11 67:9 untreated 31:12,15 31:16 unusual 61:9 62:11 upper 37:23 39:2,7 39:14 41:18 49:12,24 50:2 65:11 urgent 32:18,20,21 32:25 33:4,21 34:17,19 47:2,11 64:24 66:17 76:9 76:13 91:23 used 10:13,23 30:5 usual 31:25 usually 26:2 133:2 34:24 59:2 utilize 13:23,25 14:6 V value 84:10 89:23 | valve 21:10,13,15 23:3,8,10,14,16 23:18,20 24:6 26:9,11 27:19,23 28:1,2,5,7,12,14 28:18 29:1,6,9,19 30:9,18,22 31:5 31:18 39:22 41:9 42:25 43:10 45:22 46:3,7,10 46:12 51:6,11 61:23 62:6,13,16 62:18,25 63:10,13 63:22 64:11,21 66:15,23,25 67:14 67:20,24 70:20,21 72:19 73:4 78:8 78:17 79:19 84:17 86:2,14,23 88:1,15 89:3,6,9 90:12 valves 11:4 21:5,17 22:14 26:19 31:6 46:6 62:11 valvular 27:3 30:21 30:24 85:12 varies 19:18 20:11 58:13 vary 56:14 vascular 65:4 70:6 70:15 71:19 75:18 76:5,14,18 76:21,23 77:3,3 78:19,21 79:23 88:8 vegetations 26:17 26:18,21,23 27:3 30:21,24 84:17 85:12 86:4 vegetative 79:18 veins 58:1 venous 57:19 verbally 5:19 very 63:15 vessel 50:21 vessels 50:18 vigilance 29:4 visit 34:5 35:7,9,17 35:24 38:18,20 40:1 43:6 47:2,3 47:7 49:3 50:6 51:15 52:2 54:21 55:5,15,20 56:7 56:12,17 57:1 59:6,23 68:2 71:1 71:22 75:5 80:22 81:22,23 82:3,8 91:17,18,23 visits 12:5,9,10,13 47:3 82:2 visual 38:4 | vitae 7:20 11:2 vital 34:24 36:18 Vrobel 38:19 vs 1:7 W W 2:6 wait 68:8 waiting 67:9 waived 92:15 walk 41:20 42:2 83:22 walking 41:25 42:1 walter 1:4 71:8,24 Walton 83:7 want 5:8 46:17 48:25 64:18 66:5 wanted 86:7 ward 11:10 warrant 30:11 Washington 9:7,8 wasn't 71:10 72:20 watch 71:1,22 72:4 way 10:23 17:4 27:23 49:9 57:5 81:14 91:5 ways 15:5 16:11,18 weakness 37:13 38:2 40:13 41:16 41:17 42:3 49:13 49:23 week 17:20 18:5,19 18:20 19:10,12,17 33:2 69:25 weekend 54:17 weekly 20:1,3 weeks 18:15 51:18 52:10,14,17,19 61:11 week-to-week 19:18 weight 24:2 59:22 60:1,8,10,17 well 8:13 12:5,11 17:13 23:17 25:3 27:8 36:9 37:7 39:9 42:18 46:1 56:1,9 63:15 64:7 70:13 71:10 87:8 went 7:13 12:21 59:6 77:11 80:21 were 4:25 10:4,22 17:21,24 18:6,16 18:17 19:10,14,16 19:17 20:2,8,18 32:25 33:21 34:16 36:6 39:6,8 39:21 40:4,8,17 41:11 42:13 44:18 45:22 50:25 51:23 | 54:20 57:19 60:15 61:19 67:17 70:2,4,12 73:13 74:19 75:21 77:1,11,15 77:23 80:17 81:7 82:8,11 83:14 87:18,19 89:14 weren't 73:12 85:7 West 2:4 Western 9:16 10:3 14:5 19:22 We'll 92:13 we're 10:14 We've 67:3 WHEREOF 94:14 while 81:24 83:24 white 24:12 29:10 whole 94:7 witness 1:16 94:14 woman 7:3 90:22 words 16:22 work 9:24 81:15 working 9:21 workup 30:17 worse 42:8 wouldn't 11:13 64:17 72:8 75:8 write 42:19 51:19 written 36:14,15,19 36:24 37:1,22 39:18 48:16 51:15 69:11,16 70:18 wrote 45:1 50:6 51:4 78:6 83:5 Y Yeah 21:16 year 10:15,23 15:17 15:21 18:15 23:13 years 6:21 10:25 62:8,14 yield 16:18,21 Z Zithromax 37:24 I 1 3:2 7:21 50:7 93:3 1:00 18:11 1:05 35:10 10th 12:10 38:14,21 85:3 86:21 92:8 100 2:9 11:30 74:17 11:40 92:14 113:15 12:40 68:18 1383:13 |
|---|--|---|--|--|

| | | | | |
|--|---|--|--|--|
| 13th 32:14,14,17 33:16 34:4,12 35:7,10,24 39:21 42:17 44:23 47:1 49:10,18 58:21 61:2 15th 60:24 89:20 1660 2:4 17th 47:20 59:10 1817:15 18th 32:13 46:15,25 47:7,13,15 48:19 49:2,6 50:5 58:21 59:1,23 19th 83:8 190003:16 19929:23,25 199310:18 199410:4 19984:2,12 8:5,20 9:18 17:18 20:2,8 32:15,17 47:21 60:21 83:8,9,11 83:13 85:3 | 44113 2:4 44114 2:15 44116 3:17 44139 2:10 45-minute 47:8 <hr/> 5 5th 12:11 5-7-98 70:25 5:00 18:11 50 19:19 5043:16 <hr/> 6 641:1 6th 65:14 66:13 67:9 68:13 70:19 74:10,14 75:5 87:24 660 2:4 687-1311 2:16 <hr/> 7 794:19 7th 74:6,11 75:18 75:22 <hr/> 8 8th 12:13 77:10 78:5 80:9,18 84:15 88:14 94:15 8:00 18:9 <hr/> 9 9th 60:21 61:5,16 62:1 63:21 73:3 9:30 1:23 9293:3 9510:4 9812:10,11 33:16 35:10 38:14 44:24 46:15 54:2 55:12 56:7 59:23 61:2 62:1 67:8,10 70:19 75:18,22 77:10 84:15 86:21 87:23,24 88:14 89:20 99,6 55:21 | | | |
| <hr/> 2 247:23 51:4,16 20-minute 47:2 2000 1:13 93:21 94:15 2004 94:19 21st 54:7,20 216 2:5,16 241-2600 2:5 248-7906 2:11 2500 1:22 3:23 26th 55:3,12 56:7 87:23 27 61:5,6 28th 83:9,10,11,14 | | | | |
| <hr/> 3 341:1 52:16 3-10 37:12 3-13 38:18 52:2 3-18 51:14 30 1:13 30th 54:2 56:6 57:7 59:21 61:12,13 66:14 67:8 68:1 73:24 31st 92:4 34305 2:10 37.6 55:20 393899 1:9 | | | | |
| <hr/> 4 41-26 55:17 4-9 52:16 40 19:19 440 2:11 | | | | |

CURRICULUM VITAE
Douglas Einstadter, MD, MPH

PERSONAL INFORMATION:

SOCIAL SECURITY # : 368-62-7580

BIRTH DATE: November 27, 1957

PLACE OF BIRTH: San Francisco, California

CITIZENSHIP: USA

HOME ADDRESS: 19000 Lake Road #504
Rocky River, OH 44116

WORK ADDRESS: MetroHealth Medical Center
2500 MetroHealth Drive, Room R224A
Cleveland, OH 44109-1998

EMAIL: dxelO@po.cwru.edu

PRESENT ACADEMIC RANK AND POSITION:

Assistant Professor of Medicine, Epidemiology and Biostatistics
Division of General Medicine MetroHealth Medical Center
Center for Health Care Research and Policy
Case Western Reserve University

EDUCATION:

University of Michigan, Ann Arbor, MI

1979 BS Chem. (Honors)

University of Illinois, Chicago, IL

1986 MD (Honors)

University of Washington, Seattle, WA

1992 MPH

Residency: Internal Medicine
Cleveland Metro-General Hospital

1986 - 1989

Chief Resident: Internal Medicine
Cleveland Metro-General Hospital

1989 - 1990

Fellowship: General Internal Medicine
University of Washington, Seattle

1990 - 1992

BOARD CERTIFICATION:

Diplomate American Board of Internal Medicine, # 124057

1989

Diplomate National Board of Medical Examiners,

1987

**PLAINTIFF'S
EXHIBIT**

1
KMP 10-30-02

MEDICAL LICENSURE:

Ohio State Medical License # 35-05-5897

HONORS AND AWARDS:

| | |
|--|-----------|
| MD with Honors, University of Illinois | 1986 |
| Alpha Omega Alpha | 1985 |
| Top 10% of Class Honors University of Illinois | 1983 |
| Honors in Chemistry, University of Michigan | 1979 |
| Honors in Cellular and Molecular Biology, U. of M. | 1979 |
| U of M Club of Ann Arbor Academic Scholarship | 1975 - 79 |

PREVIOUS PROFESSIONAL POSITIONS AND APPOINTMENTS:

| | |
|--|-----------|
| Research Analytical Chemist Velsicol Chemical Corporation Ann Arbor, MI | 1979-1980 |
| Research Assistant Department of Biological Chemistry University of Michigan | 1980-1982 |
| Intern/Resident, Internal Medicine Cleveland Metro-General Hospital | 1986-1989 |
| Chief Resident, Internal Medicine Cleveland Metro-General Hospital | 1989-1990 |
| Senior Fellow / Acting Instructor University of Washington, Department of Medicine Veterans Administration Hospital, Seattle, WA | 1990-1992 |

EDUCATIONAL ACTIVITIES:**Teaching -- CWRU Medical School:**

| | |
|-----------|---|
| 1995 - | Coordinator, Fundamentals of Medical Decision Making |
| 1997 - | Director, Primary Care Track Research Design Elective |
| 1994 - 95 | Co-Coordinator, Fundamentals of Medical Decision Making |
| 1993 - 96 | Facilitator, Core Physician Development Program |
| 1993 - 94 | Instructor, Fundamentals of Medical Decision Making |
| 1993 | Instructor, Case Oriented Problem Solving |
| 1989 - 90 | Instructor, Physical Diagnosis |

Dissertation Committees

1998 - 2000 Leo Russo -- Epidemiology
1997 - 1999 Zhong Yuan, MD -- Epidemiology
1996 - 97 Reshmi M. Siddique -- Epidemiology

MS Committees

1998 Feng-Hwa Lu, MD -- Epidemiology
1997 - 98 Catherine Curley, MD -- Health Services Research

Faculty Advisor

Research Consultant to Primary Care Track Residents, MHMC

Teaching -- CME:

May 13, 2000, Cleveland, OH

Prevention of Venous Thromboembolism in the Surgical Patient. Medical Consultation Seminar.

February 27, 1998, Cleveland, OH

The Language of Diagnostic Information. Controversies in Preventive Services,

November 15, 1995, Cleveland, OH

Community-Based Preventive Medicine: You Can Make a Difference. Medicine Fall 1995

October, 1993, Cleveland, OH

Introduction to the International Normalized Ratio. MHMC Medical Update.

Scientific Reviews:

| | |
|---|-------------|
| Journal of General Internal Medicine | 1992 - 2000 |
| Medical Care | 1993 - 94 |
| Archives of Internal Medicine | 1993 |
| International Journal for Quality Improvement | 1998 |
| American Journal of Managed Care | 1998 |

INSTITUTIONAL, DEPARTMENTAL, AND DIVISIONAL ADMINISTRATIVE RESPONSIBILITIES, COMMITTEE MEMBERSHIPS, AND OTHER ACTIVITIES:

Academic Activities:

1998 NLM Medical Informatics Fellowship
1996 Co-Chairman, Clinical Epidemiology Abstract Review Committee, Society of
General Internal Medicine, National Meeting
1996 Co-Chairman, Abstract Review Committee,
Society of General Internal Medicine, Midwest Chapter

■

1995 Chairman, Abstract Review Committee,
Society of General Internal Medicine, Midwest Chapter
1994 - 95 Abstract Review Committee,
Society of General Internal Medicine, Midwest Chapter
1993 - 94 Health Services Research Abstract Review Committee,
Society of General Internal Medicine, National Meeting
1994 External Reviewer, RAND Corporation

Committees:

1999 - Curriculum Review Steering Committee, CWRU
1998 - CATALYST Primary Care Initiative Task Force
1997 - Year One Comprehensive Exam Committee, CWRU
1995- 98 Clinical Utilization Management Process Team Steering Committee
1995-96 Department of Medicine Quality Assurance Committee
1995-96 Chairman, Stepdown Bed Utilization Process Team
1994- Intern Selection Committee, MHMC
1992- Medicine Research Committee, MHMC
1992- Medical Records Subcommittee, MHMC
1989-90 Ethics Committee, MHMC
1987-90 Residency Review committee, MHMC
1989-90 Quality Assurance committee, MHMC
1989-90 Pharmacy and Therapeutics Committee, MHMC
1987-88 President, House Staff Association, MHMC
1987-88 House Staff Subcommittee, MHMC
1987-88 Medical House Staff Environment Committee, MHMC

Other Activities

1997 - Clinical Quality Improvement Committee, Medical Mutual of Ohio
1997 - Statistical Consultant, Medical Mutual of Ohio
1997 - Research Review Committee, Diabetes Association of Greater Cleveland

PROFESSIONAL AND SOCIETY MEMBERSHIPS:

Fellow American College of Physicians
Member Society of General Internal Medicine
Alpha Omega Alpha Honor Society
Phi Delta Alpha German Honor Society

PRESENTATIONS AT NATIONAL MEETINGS:

Finding Treasure in the Safety-Net: Outcomes for Medicaid and Other Patients Hospitalized in Cleveland 1992 - 1995. SGIM National Meeting. San Francisco, CA. May, 1999

Source and Outcomes of Care for Adult Medicaid and Other Patients Hospitalized in Cleveland: 1993 - 1995. Society of General Internal Medicine, Poster Session, National Meeting, Chicago, IL April, 1998.

From Hospital to Where? American Public Health Association, National Meeting. Indianapolis, IN, November 12, 1997.

Discharge Destination after Joint Replacement Surgery: Who Goes Home? Society of General Internal Medicine, Poster Session, National Meeting, Washington, DC May, 1997.

Outcomes of Hospitalization: Trends in an era of Change. Society of General Internal Medicine / American Federation for Clinical Research Combined Session, Washington, DC, May, 1996.

Thromboembolic Complications of Lower Extremity Fracture Repair: A Regional Analysis. Society of General Internal Medicine, National Meeting, San Diego, CA May, 1995

Effect of a Nurse Case Manager on Post-Discharge Follow-up -- A Controlled Trial. Society of General Internal Medicine, National Meeting, Washington, DC May, 1994.

Variation in Cervical Spine Surgery Rates in Washington State: Will Experience in the Lower Back Become a Pain in the Neck? Society of General Internal Medicine, National Meeting, Washington, DC May, 1992.

INTRAMURAL PRESENTATIONS:

| | |
|--|------------------|
| Critical Evaluation of the Literature. MHMC Resident Presentation. | October 17, 2000 |
| Sensitivity and Specificity: The Language of diagnostic Information. MHMC Resident Presentation. | October 17, 2000 |
| Critical Appraisal of the Literature. Cardiology Resident Seminar. | Sept. 4, 1998 |
| How to Read and Interpret the Literature. MHMC Resident Presentation. | Sept. 5, 1997 |
| Thromboembolism in Pregnancy. OB/GYN Grand rounds. MHMC | Jan 17, 1996 |

RESEARCH GRANTS AWARDED:

| | |
|--|-------------------|
| Comparison of Outcomes for Medicaid and Others Hospitalized in Greater Cleveland Ohio Department of Human Services (Einstadter, D) | 8/1/96 to 7/31/99 |
|--|-------------------|

| | |
|---|---------------------|
| Rates of Readmission Among Medicare Beneficiaries AG-10418-04 (Einstadter, D) | 12/1/95 to 11/30/96 |
| Pepper Pilot Project | |

BIBLIOGRAPHY:

Publications - Journal Articles:

Einstadter D, Kent DL, Fihn SD, and Deyo RA. Variation in the Rate of Cervical Spine Surgery in Washington State. *Medical Care* 1993;31:711-718.

Einstadter D, Cebul RD, and Franta PR. Effect of a Nurse Case-Manager on Post-Discharge Follow-up. *J Gen Intern Med* 1996;11:684-688.

Hoffman RM, Einstadter D, and Kroenke K. A Rational Approach to the Dizzy Patient. *J. Clin. Outcomes Med* 1997;4:33-41.

Yuan Z, Bowlin S, Einstadter D, et. al. Atrial Fibrillation as a Risk Factor for Embolic and Non-Embolic Stroke: A Population-based Cohort Study in the Elderly. *Am J Public Health* 1998;88:395-400.

Hoffman RM, Einstadter D, Kroenke K. Evaluating Dizziness. *Am J. Med.* 1999;107:468-478.

Kroenke K, Hoffman RM, Einstadter D. How common are the various causes of dizziness: a critical review of the literature. *South Med J.* 2000;93:160-167.

Yuan Z, Cooper GS, Einstadter D, Cebul RD, Rimm AA. The Association Between Hospital Type and Mortality and Length of Stay. *Medical Care* 2000;38:231-245

Yuan Z, Dawson N, Cooper GS, Einstadter D, Cebul R, Rimm AA. Effect of Alcohol-related Disease on Hip Fracture and Mortality: A Retrospective Cohort Study of 876,337 Hospitalized Medicare Beneficiaries. *Am J Epidemiology* (In Press).

Publications - Abstracts, Editorials, Book Chapters

Einstadter D, Fihn SD, Kent DL, and Deyo RA. Variation in Cervical Spine Surgery Rates in Washington State: Will Experience in the Lower Back Become a Pain in the Neck? *Clin Research* 1992;40(2):580A. (Abstract)

Einstadter D. "Cholesterol Screening" in McGee SR, and Fihn SD (eds): Outpatient Medicine. W.B. Saunders Company, Philadelphia, 1992. (Book Chapter)

Einstadter D, Cebul RD, and Franta P. Effect of a Nurse Case Manager on Post-Discharge Follow-up -- A Controlled Trial. *J Gen Intern Med* 1994;9(supp 2):51A. (Abstract)

Yuan Z, Bowlin S, Einstadter D, et. al. Atrial Fibrillation as a Risk Factor for Embolic and Non-Embolic Stroke: A Population-based Cohort Study in the Elderly. *Medical Decision Making* 1994;14:444. (Abstract)

Einstadter D, Cebul RD, Rosenthal GE, and Harper DL. Thromboembolic Complications of Lower Extremity Fracture Repair: A Regional Analysis. J Gen Intern Med 1995; 10(Supp):65A. (Abstract)

Einstadter D, Cebul RD, and Rimm AA. Outcomes of Hospitalization: Trends in an Era of Change. Journal of Investigative Medicine 1995;43:10. (Abstract)

Einstadter D., Kwoh CK, and Snow R. Discharge Destination after Joint Replacement Surgery: Who Goes Home? Journal of Investigative Medicine 1996;44:363A (Abstract)

Einstadter D., Kwoh CK, and Snow R. Discharge Destination after Joint Replacement Surgery: Who Goes Home? J. Gen Int Med. 1997;12(suppl):69A. (Abstract)

Einstadter D, Cebul RD. Source and Outcomes of Care for Adult Medicaid and Other Patients Hospitalized in Cleveland: 1993 - 1995. J. Gen Int Med. 1998;13(suppl 1):44A (Abstract)

Einstadter D, and Cebul RD. Source and Outcomes of Care for Adult Medicaid and Other Patients Hospitalized in Cleveland: 1993 - 1995. J. Gen Int Med. 1998;13(suppl 1):44A (Abstract)

Wolfe SA, Dawson NV, Thomas CL, Einstadter D, Cebul RD, Parran TV. A Novel Method of Screening for Alcohol Use Disorders in a Primary Care Clinic. J. Gen Int Med. 2000;15(suppl 1).

Eiserman JM, Dawson NV, Thomas C, McCormick R, Parren T, Einstadter D, Cebul RD. Better Methods Are Needed for Screening and Management of Alcohol Problems in Primary Care. J. Gen Int Med. 2000;15(suppl 1):64.

Cebul RD, Sudano J, Jean-Baptiste R, Einstadter D. Impact of Medicaid Managed Care in a Community: Focus on Safety Net Physicians. J. Gen Int Med. 2000;15(suppl 1):105.