

1 The State of Ohio,)
 County of Cuyahoga.) ss:

2 - - - - -

3 IN THE COURT OF COMMON PLEAS

4 - - - - -

5 MARCELLA S. STAHM, ETC.,)

6 Plaintiff,)

7 -v-)

Case Number **288952**
 Judge John L. Angelotta

8 MT. SINAI MEDICAL CENTER,)

9 ET AL.,)

Defendants.)

10 - - - - -

11 DEPOSITION OF HENRY EISENBERG, M.D.

Wednesday, April 3, 1996

12 - - - - -

13 Deposition of HENRY EISENBERG, M.D., one of the Defendants,
 14 called by the Plaintiff for examination under the Ohio
 15 Rules of Civil Procedure, taken before me, the undersigned,
 16 Kathleen Grandillo, Registered Professional Reporter, a
 17 Notary Public in and for the State of Ohio, pursuant to
 18 agreement of counsel, at the offices of Jacobson, Maynard,
 19 Tuschman & Kalur Co., L.P.A., 1001 Lakeside Avenue - Suite
 20 1600, Cleveland, Ohio **44114**, commencing at **10:10 a.m.**, the
 21 day and date above set forth.

22 - - - - -

23 CORSILLO & GRANDILLO
 COURT REPORTERS

24 **950** Citizens Building
 Cleveland, Ohio **44114**

216-523-1700

25 - - - - -

1 APPEARANCES:

2 On Behalf of the Plaintiff:

3 Daniel M. Finelli, Esquire
4 1202 Court Bond Building
5 1300 East 9th Street
6 Cleveland, Ohio 44114-15037 On Behalf of the Defendants Dr. Bloom and
8 Dr. Eisenberg:9 Stephen S. Crandall, Esquire
10 Jacobson, Maynard, Tuschman & Kalur
11 1001 Lakeside Avenue - Suite 1600
12 Cleveland, Ohio 44114-119213 On Behalf of the Defendants William K.
14 Sterin, M.D. and W.S. Wise, M.D.:15 Stephen Hupp, Esquire
16 Jacobson, Maynard, Tuschman & Ralur
17 1001 Lakeside Avenue - Suite 1600
18 Cleveland, Ohio 44114-119219 - - - - -
20
21
22
23
24
25

1 HENRY EISENBERG, M.D.

2 one of the Defendants, called by the Plaintiff for
3 examination under the Ohio Rules of Civil Procedure, after
4 having been first duly sworn, as hereinafter certified, was
5 examined and testified as follows:

6 - - - - -
7 EXAMINATION
8 - - - - -

9 BY MR. FINELLI:

10 Q Doctor, my name is Dan Finelli, and I represent the
11 Plaintiffs on behalf of this action which names you as a
12 Defendant. I'll be taking your deposition today. And most
13 importantly you need to be aware that all your responses
14 need to be verbal responses so the court reporter here can
15 take down those responses. If you do not understand a
16 question or you haven't heard it, please stop me and I will
17 repeat it. Otherwise, if you answer it, I will assume and
18 the record will reflect that you understood the question
19 and answered it to the best of your knowledge; fair enough?

20 A Yes.

21 Q For the record, can you state and spell your name?

22 A My name is Henry Eisenberg. It's spelled
23 E-i-s-e-n-b-e-r-g.

24 Q And do you recall Lawrence Stamm as a patient of
25 yours?

- 1 A Yes, I do.
- 2 Q When did you first meet Mr. Stahm?
- 3 A He was referred to me in 1982.
- 4 Q Who referred him to you?
- 5 A His physician at that time was Dr. Lee Berman.
- 6 Q And do you have a copy of your office records with
- 7 you today?
- 8 A Yes, I do.
- 9 Q And when you first saw him, what date was that again?
- 10 A His first visit to see me was April 23rd, 1982.
- 11 Q And at that time what complaints was Mr. Stahm
- 12 having?
- 13 A He had complaints of difficulty moving his bowels of
- 14 about two weeks duration, and that was basically the
- 15 complaint.
- 16 Q And did you examine him?
- 17 A Yes, I did.
- 18 Q And what was the results of your examination?
- 19 A Well, I took his medical history. And on my rectal
- 20 examination and sigmoidoscopy, there was a tender mass in
- 21 the anterior rectal wall located just above the dentate
- 22 line. I thought it was submucosal. **And** I thought it was
- 23 some type of tumor or infection.
- 24 Q Now, looking at the record, your record from April
- 25 23rd, do you note that he had tenesmus? Do you see that on

1 your April 23rd record?

2 A Yes, I did make a note of that.

3 Q What is that, Doctor?

4 A Tenesmus is the sensation that you have to have a
5 bowel movement and nothing happens, usually called a false
6 urge to have a bowel movement.

7 Q And you also mentioned or notated that the tender
8 mass you palpated was located just above the dentate line?

9 A That's correct.

10 Q What is the dentate line?

11 A The dentate line is the junction between the tiny
12 muscles of the skin and the anus and the columnar mucosal
13 cells of the colonic lining.

14 Q So approximately how many centimeters would that be
15 from the anal opening?

16 A It was in the anal canal. It's very low in the
17 rectum.

18 Q Does that have any significance as to whether a mass
19 is located distal or proximal to that line?

20 A I don't know what your -- what your question is.

21 Q I'm just inquiring as to why you mentioned the
22 dentate line, if that has any significance as far as the
23 location of a mass,

24 A Just that I was describing for my chart and for the
25 record where it was located.

1 Q Okay.

2 And by palpation you were able to tell that this was
3 submucosal?

4 A Both by palpation, but also on the endoscopic exam,
5 it was submucosal as opposed to being a polyp. It wasn't
6 protruding into the lumen.

7 Q At that point in time, what was your differential
8 diagnosis?

9 A Well, what I wrote down as carcinoid is one
10 possibility. That's a submucosal type of tumor. And it
11 could also have been an infection, such as an abscess,
12 which **is** the more common thing.

13 And then I had some additional history that he had
14 had an episode of bleeding two or three months before these
15 other symptoms. And my suspicion was that possibly he had
16 had a fissure which had since healed and that that **may** have
17 been -- resulted in his having an abscess at this time.

18 Q Okay.

19 Carcinoid you mentioned is a tumor?

20 A Yes.

21 Q Is that a cancerous tumor?

22 A Carcinoids are usually benign, but they do have
23 malignant potential,

24 Q Are there any tests you can do to rule that out or
25 rule that in as to the etiology of the mass preoperatively?

a A Not as to a histologic diagnosis. When we admitted
a him there were several tests that were done.

3 Q Those were ordered by you?

4 A Yes.

5 Q Do you recall what tests they were?

6 A I can look,

7 He had his routine laboratory testing. Let me see.

8 As I remember, he had a chest x-ray that was

9 normal, and we had a liver spleen scan, which is primarily
10 screening for metastases. And I did a colonoscopy, looking
11 to see if there were other tumors or other growths.. We had
12 had to check his clotting studies because he had had his
13 spleen removed in the past,

14 Q You mentioned a liver spleen scan which was done
15 which was normal?

16 A Yes.

17 Q Correct?

18 A That's correct.

19 Q And this was done to check for metastases?

20 A That's correct.

21 Q Was that ordered because you were considering that
22 this might be a carcinoma-type mass?

23 A Well, I didn't know what it was. You asked what I
24 thought was the differential. Carcinoid was one of the
25 possibilities. It was basically to screen and have a base

1 line if there was a metastasis.

2 Q So that had to be a consideration, then?

3 MR. CRANDALL: What did?

4 Q Metastases?

5 A Yes.

6 Q For you to order a liver spleen scan; correct?

7 A That's correct.

8 Q **Any** urine epinephrines done to rule out carcinoid?

9 A No. We don't do that because, in the rectum,
10 carcinoids that occur in the rectum usually don't have any
11 indocin activity as those that occur in the small bowel
12 sometimes do.

13 Q Okay, All right.

14 So at this point in time, what do you recommend to
15 Mr. Stahm?

16 A When I saw him in the office?

17 Q In the office, yes.

18 A Well, we talked, I told him that there was a
19 mass, and that in all likelihood it would have to be
20 removed, both because it was causing the symptoms that he
21 had, but also to determine what type of a -- what the mass
22 was, whether it was an abscess that had to be drained or it
23 was a growth, and that he would need to have these tests
24 done, that the surgery would be done in the hospital, that
25 he would have an anesthetic.

1 I think those were the considerations.

2 Q And then did he agree with that plan?

3 A Yes, he did.

4 Q Okay.

5 And then he is admitted to Mt. Sinai Hospital on
6 April 25th, 1982; correct?

7 A That's correct.

8 Q And on April 26th, 1982 you do surgery on Mr. Stahm?

9 A That's correct,

10 Q Okay. Doctor, I would like to show you an operative
11 sheet which has your name on it at the bottom. I have
12 highlighted it with yellow marker.

13 Other than the highlight, can you tell me if that
14 reflects your operative record of April 26th, 1982?

15 A This is a copy of my -- copy of the operative note,
16 yes.

17 Q Okay.

18 MR. FINELLI: Do you want to mark that
19 as Plaintiff's Exhibit A.

20 - - - - -

21 (Plaintiff's Exhibit A was marked for identification.)

22 - - - - -

23 Q Now, Doctor, looking at that operative report, the
24 preoperative diagnosis, you have a couple of rule
25 outs. First you mentioned rectal tumor mass, and then you

1 say rule out squamous cell carcinoma; correct?

2 A That was my preoperative diagnosis, that it was a
3 mass, and I thought that the likely possibilities in my
4 experience were to rule out that it might be a squamous
5 cell carcinoma or a carcinoid.

6 Q Or you also mentioned leiomyosarcoma?

7 A Yes.

8 Q Those are the three you mentioned preoperatively?

9 A That's correct.

10 Q Had you had experience with all three of those
11 tumors?

12 A Well --

13 Q Prior to this?

14 A The first, squamous cell, is the most common of the
15 three. With carcinoid tumors I've had a fair
16 experience. Leiomyosarcoma, I've not -- I've had only very
17 *limited experience.

18 Q Okay.

19 Had you had previous cases that involved
20 leiomyosarcoma?

21 A I've been in private practice of colorectal surgery
22 since 1974, so it's more than 20 years. Mr. Stamm is the
23 only patient that I've had with this diagnosis.. In my
24 residency training I can remember only one other case. So
25 I've basically had limited experience. They're rare

1 tumors, as you probably know.

2 Q Can you explain to me, then, the procedure you
3 performed on Mr. Stahm on April 26th?

4 A I excised the mass. I think the operative report is
5 pretty clear. You don't want me to read the entire report,
6 do you?

7 Q First you did a colonoscopy?

8 A The colonoscopy was to help screen to see if there
9 were other -- since I was thinking of carcinoid, some of
10 the patients that I've treated that have carcinoids, they
11 have been multiple, so that was part of the reason for
12 doing this.

13 But also to be sure, since he had had the bleeding,
14 that there wasn't something else that was causing
15 bleeding. So that was done first.

16 And then we did the spinal anesthetic, and we excised
17 the lesion,

18 Q Okay.

19 Dr. Bove was your assistant surgeon?

20 A Yeah. He pronounces his name Bove.

21 Q You mention in the operative report that this was
22 clearly a submucosal lesion --

23 A Let me see.

24 Q -- located in the anterior rectal wall.

25 I think it's the last paragraph, second sentence. Or

- 1 third sentence.
- 2 A That's correct.
- 3 Q Where it states, "This is clearly a submucosal
4 lesion"?
- 5 A That's correct.
- 6 Q You mention that upon your clinical findings of
7 examination and excision of the mass?
- 8 A Yes.
- 9 Q That's how you based that. Okay.
10 So the lesion was limited to the bowel wall; correct?
- 11 A Uh-huh.
- 12 Q It did not extend outside of the bowel wall?
- 13 A No.
- 14 Q And did it extend to the subserosal layers?
- 15 A There's no serosa at this point in the rectum.
- 16 Q Okay.
17 How many layers are there in the rectum?
- 18 A Well, the serosa is the peritoneal reflection, so
19 this is well below that. There's no serosa. Normally
20 there would be four layers. But in the anal canal there's
21 no serosa. So you have mucosa, submucosa and muscularis.
22 But there's no serosa at this point.
- 23 Q And you previously mentioned the tumor was
24 submucosal, the tumor did not extend to the muscularis?
- 25 A No, I don't believe so.

1 Q Then you excised the tumor completely; correct?

2 A Yes.

3 Q And you had a frozen section done?

4 A Yes.

5 Q How is that performed?

6 Do you excise parts of the tumor and give it to
7 someone who takes it to pathology while you're standing by
8 in the operating room?

9 A No, it wasn't done -- we didn't want to cut into
10 it, so we gave the pathologist the entire piece, the entire
11 growth. And I described it as being well defined, that the
12 mass appeared to be well defined. And, as I remember it,
13 it was encapsulated, so that it's probably -- I'm not
14 supposed to be making gestures -- but it was, as the
15 measurements said, approximately 3 by 3 and a half by 4
16 centimeters. This mass was given to the pathologist and
17 then they did the sectioning, so that we wouldn't be
18 cutting into it in the event that it was malignant, to
19 contaminate the wound. or possibly spread tumor cells.

20 MR. FINELLI: Off the record.

21 (Off the record discussion.)

22 (Recess taken.)

23 MR. FINELLI: Back on the record.

24 BY MR. FINELLI:

25 Q Doctor, we were talking about how you passed the

1 tumor along for a frozen section, and you said you give the
2 tumor or the mass to someone in total, you don't slice it
3 yourself; correct?

4 A That's correct.

5 Q And at that time you said it was very well defined;
6 correct?

7 A Yes, it was.

8 Q And did you feel at that time you had excised the
9 whole tumor, the whole mass?

10 A Yes, I did.

11 Q And is a frozen section examination done immediately
12 when you give that mass to someone?

13 A Yes, it is.

14 Q Okay.

15 So were you apprised of the findings on frozen
16 examination while you were still in the operative room?

17 A Yes, I was.

18 Q And what did the frozen section show?

19 A Well, their initial report was that it might be a
20 sarcoma, but they weren't entirely sure. And, basically,
21 they wanted to wait for the frozen sections. I didn't
22 think there was anything more that I could remove or that I
23 would proceed with any more radical surgery at that
24 time, so we closed the incision.

25 Q Okay.

1 If I draw your attention to your office note of April
2 26th, '82 where you mention you had a colonoscopy
3 performed, and that was normal to descending colon; is that
4 correct?

5 A That's correct.

6 Q And then a little further down you have FS, arrow,
7 sarcoma; does that stand for frozen section?

8 A Yes, it does.

9 Arrow sarcoma, that's correct.

10 Q Okay-

11 You're thinking at the time of the frozen section was
12 sarcoma?

13 A Well, they weren't certain. That was raised as a
14 possibility, and we wouldn't base a surgical judgment or a
15 diagnosis on the basis of a frozen section.

16 Q While in the operating room, if you were told that
17 the frozen section was sarcoma, would you have changed your
18 surgical procedure any way?

19 A No.

20 Q You would have done exactly what you did, sutured
21 him, repaired him and ended the surgery the same way?

22 A That's correct.

23 Q Do you know who read the frozen section?

24 A I don't remember. I believe it was Dr. Wise, but I'm
25 not absolutely certain.

1 Q Doctor, I would like to show you a report. It looks
2 like a pathology report dated April 26th, 1982, the Mt.
3 Sinai Medical Center.

4 Do you have a copy of this?

5 A Yes, I do.

6 Q Under gross description --

7 MR. FINELLI: Do you have this, Steve?

8 MR. CRANDALL: Yes, I do. Thanks.

9 Q -- where it says frozen section, do you see where I
10 am referring to, Doctor?

11 A Yes, I do.

12 Q Okay.

13 And then it has cellular lesion; correct?

14 A That's correct.

15 Q Under that is a name, S. Myrick. Who would that be,
16 Dr. Myrick?

17 A I don't know. It's possible that he was a resident
18 who was working with Dr. Wise. I don't know who that is.

19 Q Okay.

20 Would that have been the person that read the frozen
21 section?

22 A I don't know who that was, so I can't tell you.

23 Q Did you speak to the pathologist that read the frozen
24 section, do you recall?

25 A Yes, at the time.

1 Q You did?

2 A Uh-huh.

3 Q Do you recall the contents of that conversation?

4 A I think it's basically what it says, definitive
5 diagnoses deferred pending paraffin, which would be
6 permanent sections.

7 Q Okay.

8 A That's what we were told, that they were suspicious
9 that it might be a sarcoma, but they could not make a
10 definitive diagnosis on the frozen section. That's what it
11 says and that's what my memory of it was that happened.

12 Q Okay.

13 So then you finished the procedure and he goes to
14 recovery room in satisfactory condition?

15 A That's correct.

16 Q Looking back on this pathology report, going further
17 down under microscopic description, there's a diagnosis and
18 it reads, "Leiomyoma of rectum, excision biopsy," correct?

19 A That's correct.

20 Q Under that is W.S. Wise, M.D., with a signature?

21 A Yes.

22 Q Okay.

23 Is that Dr. Wise that read that microscopic
24 description?

25 A Yes. Dr. Wise was the attending pathologist and that

1 was his diagnosis.

2 Q Bid you talk to Dr. Wise at all after the surgery
3 regarding the pathology diagnosis?

4 A I can't remember whether I did or not.

5 Q Okay. Again, looking at the sheet there, the
6 pathology sheet on your microscopic description, there's a
7 sentence, "The edge of specimen seems ragged in one area
8 consistent with the possibility of incomplete removal at
9 the edge."

10 Do you see where I am reading?

11 A Yes.

12 Q What would that mean?

13 A I don't know what it would mean. My thought was that
14 we had removed this completely, that there weren't any
15 areas where the tumor had been violated.

16 Q Okay.

17 Could that mean that one of the margins was not
18 completely free of mass, tumor mass?

19 A No. I think that could be where they took a section
20 to do the frozen. See, this is the microscopic, it was cut
21 into to do the frozen section, and I suspect that that is
22 what they're seeing on the microscopic which was done
23 later.

24 Q Okay.

25 So that would not mean that the margins of the mass

1 were clear of tumor?

2 A Please rephrase the question.

3 Q You're saying that that probably refers to the
4 section where they took a section out for frozen section?

5 A I think so, yes.

6 Q So then my question is, are you saying that that
7 sentence would not mean that the margins of the tumor mass
8 that you excised were free from tumor mass?

9 A Well, I thought that It was free, that it was well
10 encapsulated and well defined and that we had removed it
11 completely. The only place where it would have been ragged
12 or cut would have been where they opened it to do the
13 frozen section as we talked about before,

14 Q Okay.

15 If the margin was not free, would there be any
16 significance to that, if it was benign leiomyoma?

17 A Well, that was the other factor. Because it was
18 benign, or that was the diagnosis that was told and that I
19 relied on, in my mind then and now, I don't see that it
20 would made any difference,

21 Q Okay.

22 Would it have made any difference or become
23 significant if the margins were not free if this was a
24 leiomyosarcoma?

25 A I don't know. I can't answer that.

2 Q If it was a leiomyosarcoma and the tumor margins were
3 not free from tumor, would you have done anything further
4 surgically?

5 A If I had been told this tumor is a leiomyosarcoma and
6 we think there is tumor at the margin, I think I would have
7 re-excised it, Now, whether I would have done a local
8 excision or radical excision, I don't know. I don't know
9 how to answer that. That was not the situation that I was
10 in.

11 Q But at least you would have done a wider local
12 excision?

13 A That's probably what I would have done, yes.

14 Q But you did nothing because you relied on the
15 pathology diagnosis; is that what you're saying?

16 MR. CRANDALL: Not only that, but he
17 had said earlier what he thought caused that
18 ragged edge.

19 Q Okay.

20 A You mean as far as re-excising it, I didn't see that
21 there was a need based on the report that I had and the
22 fact that I thought it was completely removed.

23 Q Those two things; you thought the margins were free
24 and you relied on the diagnosis of benign leiomyoma?

25 A That's correct.

26 Q So you didn't do anything further surgically at that

1 time?

2 A That's correct.

3 Q Fair enough,

4 And then several days after the surgery he was
5 discharged home to follow-up with you in your office at a
6 later date; correct?

7 A He was discharged on the 29th.

8 Q Okay.

9 And not to be laborious in going through all these
10 follow-up visits, your next visit, is that May 7th, 1982,
11 postoperative?

12 A That's correct.

13 Q And was the basis of that visit Mr. Stahm was doing
14 well, healing nicely?

15 A That's what it says. He was moving his bowels well
16 on examination and was healing nicely.

17 Let's see. And he was to return to work on May 10th
18 and to come back in two weeks for the final postoperative
19 visit.

20 Q Okay. Let me go back to the surgery once more.

21 You said you didn't do anything further surgically
22 because you thought the free margins -- the margins were
23 free of tumor and you relied on the pathology diagnosis of
24 benign leiomyoma.

25 MR. CRANDALL: Objection.

1 Go ahead. He's already answered this
2 a couple times.

3 Q My question is, is that customary for surgeons to
4 rely on the pathology diagnosis as far as whether they do
5 anything further surgically?

6 A Yes.

7 Q At that time, in 1982, as a surgeon, you would have
8 relied on the pathology diagnosis?

9 A That's my practice and the practice of every surgeon
10 I know, yes.

11 Q And then your next visit is November 19th, 1982, Mr.
12 Stahm's visit?

13 A No. There was a visit May 21st, the second immediate
14 postoperative visit,

15 Q Things seemed to be okay there?

16 A Yes.

17 Q Looking at that visit, that office note, you have the
18 letters PS arrow. Do you see that, Doctor?

19 A Yes.

20 Q What is PS?

21 A That's my abbreviation for a
22 proctosigmoidoscopy, which was an examination of the
23 rectum, was healed and he had a scar present at the
24 surgical site.

25 Q Can you define what type of procedure that is, what

1 type of instrument is used?

2 A Well, it doesn't specify. I believe that that was a
3 disposable rigid sigmoidoscope as opposed to flexible
4 sigmoidoscope.

5 Q And with that scope you would be able to reach the
6 site of the surgery to examine it?

7 A Yes, that's correct.

8 Q Okay.

9 And then the last time you see him in 1982 is
10 November 19th; correct?

11 A That's correct, yes.

12 Q And your PS, or proctosigmoidoscope, is normal?

13 A That's correct.

14 Q 20 Centimeters?

15 A Yes.

16 Q And you mention there's no recurrence?

17 A That's correct.

18 Q Your next visit is '83. I can't tell the date from
19 my note.

20 A June 25th, 1983.

21 Q Again, you mention there's no recurrence and the
22 proctosigmoidoscope is normal to 20 centimeters?

23 A That's correct.

24 Q And subsequent to that, then, you would like to see
25 him on a yearly basis?

1 A At this point he was more than a year after having
2 had a benign -- what I thought was a benign tumor
3 removed. And that was the plan, to have him come in for an
4 annual examination, yes.

5 Q If we move ahead to 1985, what is the date you see
6 him in 1985, Doctor?

7 A July 5th, 1985.

8 Q And you note there that he's having rectal pain times
9 two weeks?

10 A That's correct.

11 Q You do a proctosigmoidoscope?

12 A Uh-huh.

13 Q And what is your finding with that?

14 A What I found was that he was tender at the site of
15 the scar from the surgery, and I thought that his pain
16 sounded like he might have a fissure, which is a tear of
17 the rectal lining.

18 Q Where would the fissure have been, at the site of the
19 previous --

20 A At the scar.

21 Q Is that usual?

22 A A scar in the rectum, it's not unusual to be the site
23 of a fissure because of the nature of the scar. And people
24 that have had rectal surgery, when they do get a fissure,
25 that's usually where it occurs, at the site of the scar.

1 Q Does that raise a concern for recurrence as well?

2 A No, I don't see In my note that I was concerned about
3 a recurrence. And I prescribed basically treatment for a
4 fissure, that he should have sitz baths and use a stool
5 softener and to return in approximately a month.

6 Q Okay.

7 In that note there you have tender over anterior anal
8 scar?

9 A That's correct,

10 Q And then there's something?

11 A Question fissure.

12 Q Question fissure?

13 A I thought it was a fissure.

14 Q And, because of that, you then want to see him in one
15 month's time?

16 A Yes.

17 Q And you see him on August 3rd, 1985?

18 A That's correct.

19 Q How is he at that visit?

20 A He said he was improved, some improvement, but he was
21 still sore.

22 And the examination on that date showed that there
23 was in fact a fissure, and at that point I added
24 metronidazole treatment, which is an antibiotic that he
25 would take for two weeks and then come back, which he did,

- 1 Q Okay.
- 2 You didn't palpate any mass?
- 3 A No, there was no mass, and I was not suspicious that
- 4 there was recurrence at that time.
- 5 Q The next visit is August 17th?
- 6 A August 17th.
- 7 Q 19853
- 8 A Yes.
- 9 He felt much better. On examination, the fissure was
- 10 healing. And I advised he take another week treatment of
- 11 the metronidazole, which was an antibiotic, which had
- 12 seemed to be effective, and that he would return a year
- 13 later.
- 14 Q And then you don't see him for a following year,
- 15 until 1986?
- 16 A Well, when he came back was sooner than the
- 17 year. The next visit was April of '86.
- 18 Q All right.
- 19 Any complaints at that time?
- 20 A At that time he was complaining of soreness and
21. pain.
- 22 And on my examination there was at that date a tender
- 23 mass, which I was suspicious that there was a recurrence of
- 24 the leiomyoma.
- 25 Q Where was the mass palpated?

1 A In the anterior rectal wall, in the same area where
2 he had had the previous surgery.

3 Q Okay.

4 Approximately same size?

5 A On that note I didn't describe the size.

6 But, as my memory of it, was that it was about the
7 same size, yes,

8 Q Again, did you think it was submucosal?

9 A Yes.

10 Q So at that time you thought he had a recurrence of
11 the leiomyoma?

12 A Yes.

13 Q Why did you thfnk he had had a recurrence? Did you
14 think that possibly, "Geez, he has a recurrence, maybe I
15 didn't get it all in 1982"?

16 A No, that's not what I thought. I thought that it was
17 a recurrence.

18 Q What's the incidence of recurrence with leiomyoma?

19 A I don't know. These aren't common enough that I or
20 anyone else has a series enough to be able to answer your
21 question.

22 Q Do you know the incidence of leiomyosarcoma?

23 MR. CRANDALL: Recurrence?

24 A Re --

25 Q Recurrence.

1 A Again, I don't have personal experience and I don't
2 think there's very much literature to answer that question.

3 Q Okay.

4 With a recurrence in 1986, were you suspicious that
5 this may be a malignant leiomyosarcoma instead of a benign
6 leiomyoma?

7 MR. CRANDALL: Preoperatively?

8 Q Yes, preoperatively,

9 A No.

10 Q Why not?

11 A Well, my knowledge of it and understanding was that
12 it was a benign tumor. That's what I had been told. I
13 wasn't -- there are benign tumors that can
14 recur. Carcinoid, which we talked about earlier, as an
15 example, that they can occur.

16 Q Okay.

17 What were your recommendations to Mr. Stahm at that
18 time?

19 A I told him that it looked like the growth had come
20 back again or recurred and that it should be excised
21 again. And since he had had it done before, I thought he
22 was pretty familiar with the procedure.

23 Q All right.

24 And you planned to admit him to the same hospital,
25 Mt. Sinai Medical Center?

- 1 A Yes.
- 2 Q And he was admitted on April 29th?
- 3 A That's correct.
- 4 Q 1986?
- 5 A That's correct.
- 6 Q Doctor, I'm going to show you an operative report
7 dated April 29th, 1986. Is that your signature at the
8 bottom?
- 9 A Yes, it is.
- 10 Q Is that an accurate copy representation of the
11 operative report of April 9th, 1986, minus the highlighted
12 yellow areas?
- 13 A It is.
- 14 I'm looking for my original copy, which looks like
15 it's gotten misplaced,
- 16 MR. CRANDALL: It's an accurate copy,
17 Dan, yes.
- 18 Q Okay.
- 19 And on April 29th, 1986 you did perform surgery on
20 Mr. Stahm?
- 21 A That's correct.
- 22 Q And what was your preoperative diagnosis?
- 23 A Preoperative diagnosis was recurrent leiomyoma of the
24 rectum and anal canal,
- 25 Q Okay.

- 1 A Benign.
- 2 Q You're looking at the report; correct?
- 3 A I'm looking at my operative note, yes.
- 4 Q The first paragraph, do you see where it says, "Our
5 suspicion was that this was a recurrence of the previous
6 tumor"?
- 7 A Yes, I do.
- 8 Q And after that is typed, "And raised the possibility
9 of a transformation to a leiomyosarcoma"?
- 10 A That's correct.
- 11 Q Did you dictate that?
- 12 A Yes, I did.
- 13 Q Okay.
- 14 So let me ask you again, were you concerned about the
15 recurrence being a leiomyosarcoma? Preoperatively?
- 16 A Yes, that was part of the concern. I had been
17 originally four years previously and was relieved to find
18 out that it was benign.
- 19 But the fact that it had come back, I dictated this
20 in the preoperative note, and the -- I think that went on
21 the report to the pathologist as well, that it was a
22 recurrence and made it somewhat more suspicious.
- 23 Q And what type of surgical procedure did you do at
24 that time?
- 25 A Let me just read over the note.

- 1 Q Sure.
- 2 A It was basically similar to the operation four years
3 earlier. I think the only differences are that we had to
4 deal with scar. And I describe using the traction suture
5 SOS to have the wound defined, and again we did the frozen
6 section examination --
- 7 Q Okay.
- 8 A -- in a similar manner as it had been done before.
- 9 Q Was the tumor well defined again?
- 10 A Yes, it was.
- 11 Q And you mentioned it was submucosal in location?
- 12 A Yes, it was in the same location where it had been
13 before.
- 14 Q Submucosal?
- 15 A Yes.
- 16 Q Where it was in 1982?
- 17 A That's correct.
- 18 Q So that this lesion was limited to the bowel wall
19 once again?
- 20 A Yes, it was.
- 21 Q It did not extend outside of the bowel wall?
- 22 A No.
- 23 Q It did not extend into the muscularis?
- 24 A No, it didn't.
- 25 Q And frozen section confirmed that it was a leiomyoma;

1 is that correct?

2 A Yeah. I have in my note that it appeared grossly to
3 be leiomyoma. Actually, there were two or three individual
4 leiomyoma. Now, that must have been told to me by the
5 pathologist, because I don't remember -- I thought it was
6 one mass that we removed. And the frozen section diagnosis
7 this time was consistent with leiomyoma. There wasn't the
8 question of a possible sarcoma that there had been at the
9 first operation.

10 Q Okay.

11 A And I think the size -- I didn't describe the
12 measurements this time, but the pathology measurements were
13 pretty similar size wise to what had been removed in the
14 first operation.

15 Q Okay.

16 And you didn't slice the tumor before giving it for
17 frozen section?

18 A No, I did not.

19 Q Again, you handed it in total?

20 A Yes.

21 Q And you were confident that the tumor had been
22 completely excised?

23 A Yes, I was.

24 Q In fact, during this procedure you provided a margin
25 of at least one and a half to two centimeters around the

1 lesion; is that correct?

2 A That's correct.

3 Q You did not do that in 1982; correct?

4 A Well, I think that I did do a margin. I didn't
5 describe what it was. Here, I think because I was more
6 suspicious and there had been that question of the complete
7 excision the first time, I think we made greater effort to
8 have it completely excised the second time.

9 Q And with the knowledge on frozen that this was
10 leiomyoma, you didn't feel the need to do anything further
11 surgically?

12 A No, sir.

13 Q Okay.

14 Let me hand you, Doctor, an operative report or
15 pathology report dated April 29th, 1986. The operative
16 report dated April 29th, 1986 I would like to mark as
17 Plaintiff's Exhibit B.

18 . , - - - -

19 (Plaintiff's Exhibit B was marked for identification.)

20 - - - - -

21 Q Under gross description, there is a notation FS.
22 Would that be frozen section?

23 A Yes.

24 Q Following that it states it is consistent with
25 leiomyoma, lesion of rectum?

- 1 A Yes.
- 2 Q And then in parentheses, "See WKS"?
- 3 A I see that.
- 4 Q Okay.
- 5 Would that be Dr. Sterin?
- 6 A Yes.
- 7 Q And then further down there's what appears to be a
- 8 final diagnosis; number 1, leiomyoma, excision from anal
- 9 rectal area; correct?
- 10 A That's correct.
- 11 Q And number 2 is fragment of rectal mucosa with
- 12 underlying submucosal and smooth muscle tissue; correct?
- 13 A Yes.
- 14 Q And further down is W.K. Sterin, M.D., with his
- 15 signature?
- 16 A Yes.
- 17 "Q Did you talk at all with Dr. Sterin following the
- 18 surgical procedure about the pathology diagnosis?
- 19 A Well, we talked to him in the operating room when he
- 20 gave me the frozen section result.
- 21 Are you asking did I talk to him after this?
- 22 a Yes, after the final pathology diagnosis was made.
- 23 A I don't remember.
- 24 Q Regarding your conversation with him on the frozen,
- 25 do you recall the contents of that, other than it being a

1 leiomyoma?

2 A It seemed to be, both on the -- as we review the
3 record and my memory of it, there was even -- he was more
4 certain that this was benign this time than they had been
5 the first time. And I reminded him that this was a
6 recurrence, that I was more suspicious this time, but he
7 was confident that that was the diagnosis. As my memory of
8 this. It was 10 years ago.

9 Q I understand that,
10 Dr. Sterin did not read the pathology in 1982,
11 however.

12 MR. CRANDALL: Objection.

13 If you know.

14 Q If you know.

15 A Well, the pathologist was clearly different, it was
16 Dr. Wise, so I don't know whether Dr. Sterin read the -- I
17 assumed that he looked back --

18 MR. CRANDALL: I don't want you to
19 assume anything.

20 A I don't know.

21 MR. CRANDALL: If you don't know, just
22 tell him that.

23 A I don't know.

24 Q So you're not aware of whether Dr. Sterin had
25 reviewed the '82 slides?

1 A I assumed that he did. I can't answer that for
2 certain that he did.

3 Q Fair enough.

4 Did he comment to you on the histology?

5 A I don't remember whether he did or not.

6 Q Did you question him regarding the diagnosis of
7 leiomyoma, as to whether this could be a leiomyosarcoma?

8 MR. CRANDALL: Which conversation are
9 we talking about? He said he only remembers
10 one discussion about the frozen section.

11 Q Right. During that conversation.

12 A Just as I said, as you saw in the operative note, I
13 had suspicions that it might be a leiomyosarcoma. And I
14 think in our slip that we fill out to have the history that
15 I had indicated, and I do remember telling Dr. Sterin that
16 this is a recurrence, that we had some suspicions, but he's
17 the expert. The pathologist is the expert. We listen to
18 what they say.

19 Q Okay.

20 A We don't usually argue with them.

21 Q Is it fair to say your suspicions regarding a
22 leiomyosarcoma were more heightened in '86 dealing with a
23 recurrence than they were in 1982?

24 A Well, I think it was different. In '82 I didn't
25 know what it was, I mean, there were a whole list of

1 possibilities, some of which we discussed., The fact that
2 we then knew it was a leiomyoma, or I thought that it was a
3 leiomyoma and it has recurred, there are situations with
4 leiomyomas that are benign or that are considered to be
5 benign that recur. So either it's recurred, it's benign
6 and it's recurred, or it's recurred and now it's malignant.
7 That's what my concerns were.

8 Q And the frozen section had come back leiomyosarcoma?

9 MR. CRANDALL: In '86, you're
10 talking?

11 Q In 1986, when you excised the mass, would your
12 surgical procedure have been different or would you have
13 done anything further surgically?

14 A All right, At the time of the surgery at '89?

15 MR. CRANDALL: '86.

16 Q '86?

17 A '86, there, that there would have been any need to
18 excise more locally, I imagine you're saying if he had
19 said on frozen said this is a leiomyosarcoma, would I do
20 anything different?

21 Q Would you have done anything different?

22 A Not at that time, Because I thought it was excised
23 locally and I, according to the pathology report, locally
24 it was excised completely.

25 Q Excuse me, Doctor. Where does that say that? How do

1 you have that information?

2 A That's implied, There's no notation that it's not
3 excised completely, but by excising margins, in my mind it
4 was excised completely locally.

5 You're asking a hypothetical question, what I would
6 have done differently.

7 Q If the frozen section in 1986 was leiomyosarcoma --

8 MR. CRANDALL: And you've already
9 answered him, what you did.

10 A At that time, on the date of surgery, I wouldn't have
11 done anything differently.

12 MR. CRANDALL: Okay.

13 Q So if it's a leiomyosarcoma, you were confident that
14 that was a wide local excision?

15 A Yes.

16 Q Would you have done anything differently at a later
17 date if it was a leiomyosarcoma?

18 A I understand your question. I'm not sure that even
19 had I been told by the pathologist, or if I knew for a
20 certain fact it was a leiomyosarcoma, what would be
21 effective treatment to recommend to the patient,

22 The problem with the situation as to doing a radical
23 surgery where this growth was located, both in '82 and in
24 '86, a radical excision would have required a permanent
25 colostomy. And my training, my experience and my belief is

1 that you don't do a radical surgery that involves a
2 permanent colostomy for a benign tumor,

3 And, even beyond that, if you think the tumor has
4 been removed completely, usually you don't recommend such a
5 radical operation.

6 And there's no proof that it would offer him a
7 cure, so I'm not sure what I would have done differently
8 surgically.

9 Q Okay.

10 You mentioned radical surgery if it was benign. My
11 question is, if it was carcinoma, leiomyosarcoma, at a
12 later date would you have done anything further surgically?

13 MR. CRANDALL: Objection.

14 Go ahead,

15 A Well, that's what I'm trying to answer. It would be
16 a dilemma as to what to recommend. There's no evidence
17 that doing a radical resection of the rectum and a
18 colostomy would enhance -- would have enhanced his
19 likelihood of surviving the tumor, so I don't think I can
20 answer that. I don't know.

21 Q And when you mentioned radical excision, are you
22 talking about abdominal peritoneal resection?

23 A A radical resection for this growth, in this
24 location, that's what it would involve. It would have
25 required an abdominal peritoneal complete excision of

1 rectum and anus and the necessity for a permanent
2 colostomy.

3 Q And are you saying that in 1986 this tumor that was
4 submucosal, not extending outside of the bowel wall, if it
5 was a leiomyosarcoma, what you're saying is that a
6 peritoneal resection done at that time would not have been
7 curative?

8 MR. CRANDALL: Objection.

9 A I said I don't know if it would have been. I don't
10 think there's any evidence to suggest one way or other
11 because the tumor is so rare, I mean, accepted treatment
12 would be local excision. There's no evidence that a
13 radical excision would have improved the likelihood of
14 survival had we known it were a sarcoma.

15 I didn't know that. But you gave me this
16 hypothetical situation. If I'm going back and doing this
17 over again, I'm not sure. If you ask for probability, I
18 don't think I know or anyone else can answer that question,
19 whether it would have changed the survival outcome or
20 increased the likelihood of his being cured of it.

21 a 1986, if this was a leiomyosarcoma, if you would have
22 known, would an abdominal peritoneal resection versus a
23 local wide excision increased the likelihood of
24 non-recurrence?

25 MR. CRANDALL: Objection.

1 A I don't think that it would have. I can't give a
2 document or series or evidence. My opinion is, is that it
3 wouldn't. And I'm not sure that I would have done such a
4 radical operation, even had I known that was the diagnosis
5 or had a different diagnosis,

6 Q Okay.

7 His admission in 1986, was a liver spleen scan done
8 at that time?

9 A No.

10 Q Why not?

11 A I didn't see that there was a need to do it at that
12 time,

13 Q In '82 you did?

14 A Well, we talked about the possibilities. We did it
15 in '82, it was normal,. and I thought that I was dealing
16 with a benign tumor. I didn't see a need to repeat it.

17 Q And in 1986 you completely relied on the pathology
18 diagnosis of benign leiomyoma?

19 A Yes, I did.

20 Q Any clinical presentation at that time that would
21 have changed your total reliance on the pathologist?

22 A I don't think so.

23 Q Okay.

24 And it was customary at that time for surgeons such
25 as yourself to rely on the pathology diagnosis?

- 1 A It was customary then and it still is customary,
2 Q Even though you had a raised suspicion of
3 leiomyosarcoma in 1986?
4 A Yes.
5 Q Okay.
6 Looking at your office note, Doctor, of April 29th,
7 1986, at the bottom of that note you have pathology with an
8 arrow; correct?
9 A Yes.
10 Q And then you have written leiomyoma benign?
11 A That's correct.
12 Q And because of that you didn't do anything further
13 surgically?
14 A I didn't think there was any need to do anything
15 further surgically.
16 Q And then Mr. Stahm was discharged on May 3rd, 1986?
17 A Discharged from Mt. Sinai, yes.
18 Q When did you see him in follow up, then?
19 A He was seen in the office May 17th, 1986, things
20 seemed to be going satisfactorily, and I suggested he come
21 back in three months.
22 Q And then you saw him on August 9th, 1986?
23 A That's correct.
24 Q And you did a proctosigmoidoscope?
25 A Uh-huh.

- 1 Q Which was normal?
- 2 A That's correct.
- 3 Q Then next on February 14th, 1987?
- 4 A That's correct,
- 5 Q Again?
- 6 A That was the next visit. Everything seemed to be
- 7 fine.
- 8 Q And at that time you recommend seeing him on an
- 9 annual basis once again?
- 10 A Yes.
- 11 Q And the next visit is February 6th, 1988,
- 12 approximately one year later?
- 13 A That's correct-
- 14 Q Everything normal?
- 15 A Yes, sir.
- 16 Q Next visit, March 18th, 1989; correct?
- 17 A That's correct.
- 18 Q Everything normal then?
- 19 A Yes.
- 20 Q No recurrence?
- 21 A None that I could see, no.
- 22 Q You also have a note here that's circled, Very proud
- 23 of 8-month-old son"?
- 24 A That's correct.
- 25 Q Did he discuss that with you, do you recall?

1 A Yes, he did.

2 Q Do you recall how old Mr. Stahm was at that time?

3 A Well, he's approximately the same age that I am, so
4 in 1988 or '89 he was 47, I believe.

5 Q Okay. He must have been very proud.

6 A Yes.

7 Q So much so that you made a note in your office notes
8 regarding that; correct?

9 A Yes.

10 Q And then you see him 1990, May 26th?

11 A Yes.

12 Q How are things then, at that time?

13 A At that visit he said there was some discharge of
14 mucous but no bleeding, and he had had regular bowel
15 movements.

16 My examination was normal. I reassured him and
17 suggested that he come back in a year, assuming that there
18 would be no more trouble.

19 Q Then the next visit is April 5th, 1991, and it looks
20 like he might have some complaints at that visit?

21 A At that time he had pain, but no bleeding, and he had
22 had loose stools for about five or six days.

23 On my examination he had a superficial fissure, which
24 I thought was due to the diarrhea. I had him do sitz baths
25 and use donnatal to stop the diarrhea.

1 Q Superficial fissure, where would that have been
2 located?

3 A At the anal -- at the anus. I didn't make the note
4 whether was in the scar or not, and I can't tell you today
5 where it was,

6 Q Were you thinking of recurrence at that time?

7 A No, I was not.

8 Q And why is that?

9 A Well, there wasn't any sign of it on my
10 examination, and none of these symptoms suggested that he
11 had a recurrence.

12 Q So none on exam meaning there was no palpable mass?

13 A Yes.

14 Q What would have caused the rectal pain, Doctor?

15 A I thought it was caused by a fissure, which was a
16 tear.

17 Q And you were confident with that diagnosis to the
18 effect that you didn't need to see him until one year
19 later?

20 A That's correct.

21 Q And you see him June 6th, 1992. Any problems at that
22 time?

23 A Well, he still had the diarrhea, but he also was
24 taking several medications, and he was having a lot of
25 trouble with his back and with disks. He had had a

1 previous operation for a cervical disk. And what I
2 thought, and seemed reasonable, was that he had had back
3 pain from disks, disk disease in his back.

4 Q Okay.

5 Any prostate exam at that time?

6 A I would have examined the prostate, but I didn't make
7 any note, so I believe it was normal at that time.

8 Q But you are stating that you did examine the
9 prostate?

10 A Yes, I did.

11 And the sigmoidoscopic exam in '92 was normal. The
12 scar was not enlarged and there was no mass.

13 Q Okay, Next visit is July 10th, 1993?

14 A That's correct.

15 Q Any complaints at that time?

16 A At that time he said that he had discharges of mucous
17 and he had had increased soreness since the prior exam,
18 which I think had been a year ago, actually, looking at it.

19 On examination, then, in July of '93, there was a
20 mass with tenderness. And, again, I was concerned whether
21 this was infection in the scar or possible abscess or
22 another recurrence,

23 Q And that was on the right anterior lateral wall?

24 A Yes.

25 Q Same location as the two previous --

1 A Well, this was more lateral. The previous ones had
2 been in the midline.

3 Q The first portion of your note mentions discharge of
4 mucous. What would have been the etiology of that?

5 A I don't know. Usually that's -- mucous by itself is
6 not a worrisome symptom.

7 Q Unless it's associated with something else?

8 A Unless there's bleeding with it, yes, that's correct.

9 Q So at that time you're concerned about an abscess
10 versus a recurrence and you put him on antibiotics?

11 A Yes.

12 Q Was there a palpable mass at that time?

13 A Yes. That's what I described. I could feel a tender
14 mass, yes.

15 Q And then you next see him a few weeks later on July
16 24th, and he's still having discharge of mucous; correct?

17 A That's correct.

18 Q And your note reflects questionable mass versus scar?

19 A That's correct,

20 Q And you changed antibiotics?

21 A Yeah. I didn't think the Keflex had cleared up the
22 infection, and he had had success with -- he had taken a
23 flagyl metronidazole in the past, and I wanted him to use
24 that and then to come back. And my thought was if it
25 wasn't better it would have to be excised a third time.

1 Q Your working diagnosis at that time was still
2 infection or abscess?

3 A Or possible recurrence. It says versus recurrence.

4 Q So you put him on flagyl, and you note, "Return in
5 three weeks"?

6 A That's correct,

7 Q Subsequent to that visit, did you ever see Mr. Stahm
8 again?

9 A I never saw him after that last visit, no.

10 Q Okay.

11 Did you attempt to contact him?

12 A No. I assumed that he had gone somewhere else. But
13 I never received any inquiries to ask for the records or
14 follow-up reports. I think that we may have called
15 him, but I don't remember that for certain.

16 Q And you never saw him again as a patient?

17 A I never saw him as a patient or otherwise after that
18 date, no.

19 Q Did you ever talk to him after that date?

20 A I don't think so.

21 Q Ever talk to his wife Marcella?

22 A No.

23 Q Doctor, I'm going to show you a surgical pathology
24 report from University Hospitals with a date of procedure
25 12-9-93.

1 MR. FINELLI: Do you want to see that,
2 Steve?

3 Q Do you have a copy of that?

4 A No, I haven't seen this.

5 Q Okay.

6 MR. CRANDALL: What do you want to ask
7 him about this stuff, Dan?

8 MR. FINELLI: I want to ask him about
9 the bottom notation.

10 MR. CRANDALL: Do you need this
11 back? I can get copy.

12 Let me make sure it's the same one.
13 I don't have this one.

14 MR. FINELLI: You don't have this?

15 MR. CRANDALL: No, huh-uh.

16 MR. HUPP: Off the record.

17 (Off the record discussion.)

18 Q Doctor, this is a pathology report from University
19 Hospitals on Mr. Stahm regarding the perirectal mass that
20 was biopsied. The diagnosis, reveals low grade
21 leiomyosarcoma. Do you see that?

22 A Yes, I do.

23 Q Okay. Underneath that is a note that reads, "The
24 bottom line review of the previous resection specimens,
25 Mt. Sinai Medical Center, SH2-SH6-2628, show a similar

1 lesion."

2 Do you see where I am reading, Doctor?

3 A Yes, I do.

4 Q As a physician, how would you interpret that
5 sentence?

6 MR. HUPP: Objection,

7 MR. CRANDALL: Note one for me, too.

8 Q Do you see where I am referring to?

9 A I do see what you are referring to. I hadn't seen
10 this previously. I'm not an expert pathologist. I'm
11 not --

12 Q Do you have an opinion as a physician reading that?

13 MR. CRANDALL: Opinion as to what? I
14 don't know what you're trying to get him to
15 do here.

16 Q What it refers to --

17 MR. CRANDALL: He can read that
18 sentence back to you and tell you what it
19 says.

20 MR. HUPP: Objection.

21 A It says, "Show a similar lesion."

22 It's describing mitotic figures, but I don't think
23 that there was any mitotic figures in the tissue that we
24 submitted.

25 The other factor is it's a different type of

1 biopsy. This was done by a needle biopsy, where I had
2 excised the entire mass,

3 Q You mentioned your excisions did not show mitotic
4 activity. Did you just say that?

5 A Not to the same extent,

6 You asked me what my opinion was, and I don't think
7 there were described that many mitotic figures in the
8 pathology material that we had from my operations.

9 Q So from your operations in '82 and '86, you're aware
10 of the amount of mitotic activity present?

11 A I said I don't remember. I don't think they
12 described the same. So to me it doesn't sound like it's
13 the same. It says it shows a similar lesion, but I don't
14 think that there were that number of mitotic figures in the
15 material from the 1982 and 1986 operations.

16 Q Okay.

17 And you're basing that on what, the pathology
18 reports?

19 A On my knowledge of the reports that I had from the
20 Mt. Sinai pathologists.

21 And you asked my opinion, there is a possibility that
22 from 1982 to 1986 to when this was done in 1983 --

23 Q 19931

24 A -- '93, that things could have changed.

25 Q What could have changed? I don't understand.

1 A It could have been a benign tumor that changed into a
2 malignant tumor. That is a possibility.

3 Q So it's also a possibility it could have been
4 leiomyosarcoma in '82, in '86 and '93?

5 A That's also a possibility.

6 Q It's very important to make that differentiation, is
7 it not?

8 A Well, I personally cannot do that. I mean, that's
9 the role of a pathologist to do it.

10 Q But you would agree that the prognosis of a leiomyoma
11 versus a leiomyosarcoma is dramatically different?

12 MR. HUPP: Was the question the
13 prognosis?

14 Q The prognosis?

15 A I'm not even sure of that.

16 Q You're not sure of that?

17 A No.

18 Q Okay.

19 MR. CRANDALL: Are you done with
20 this?

21 Q Subsequent to your visit with Mr. Stahm on July 24th,
22 1993, did you become aware at any point in time what
23 happened with Mr. Stahm regarding his medical condition?

24 A No. I never received any progress reports or
25 requests for my records either from University or whoever

E the subsequent physicians were. So that I think the next
2 thing I heard about it was when I received your request for
3 the records and when I saw his obituary in the newspaper.

4 Q That is the first you had learned of Mr. Stahm, then,
5 as far as expiring?

6 A Yes.

7 Q You never discussed his medical findings with Mrs.
8 Stahm or the family subsequent to July 24th, 1993?

9 A No.

10 Q Subsequent to July 24th, 1993, did you ever discuss
11 Mr. Stahm's medical condition with Dr. Wise or Dr. Sterin?

12 A You mean after --

13 Q After your last visit. Did you ever talk to the two
14 pathologists about his medical condition?

15 A No.

16 Dr. Wise, I believe, moved to the Boston area and Dr.
17 Sterin, I think, is retired.

18 MR. CRANDALL: So your answer is no?

19 A No.

20 Q You never had any conversation with them?

21 A No, I have not had.

22 Q Either of those two?

23 A No.

24 Q Now, Doctor, the '82 excision, you mentioned the
25 margins were clear; correct?

- 1 A Yes.
- 2 Q If the margins were completely clear of tumor in
- 3 1982, is it possible to have a recurrence of the same tumor
- 4 in 1986?
- 5 A Yes.
- 6 Q Doctor, how old are you?
- 7 A I've got a birthday coming up next week. I'm 53,
- 8 I'm still 53.
- 9 Q And your date of birth?
- 10 A 1942.
- 11 Q 19421
- 12 A Yes.
- 13 Q What is the date?
- 14 A My birthday is in April. I'm going to be 54 in about
- 15 two weeks.
- 16 Q April, what?
- 17 A 19th.
- 18 Q Social Security number?
- 19 A 015-34-6324.
- 20 Q Are you married?
- 21 A Yes, I am.
- 22 Q Family?
- 23 A I have two daughters.
- 24 Q Okay.
- 25 First marriage?

- 1 A First and only,
- 2 Q For both you and your spouse?
- 3 A Yes.
- 4 Q And where is your address, Doctor?
- 5 A Business, you want?
- 6 Q Business?
- 7 A 6770 Mayfield Road in Mayfield Heights.
- 8 Q And, starting with high school, can you give me your
- 9 education?
- 10 MR. CRANDALL: I have a CV if you want
- 11 to look at it.
- 12 MR. FIMELLI: Sure.
- 13 Can you give me a copy of this, then?
- 14 MR. CRANDALL: You can have that one.
- 15 Q Did you do a fellowship at the Cleveland Clinic in
- 16 '72 and '73 in colorectal surgery?
- 17 A That's correct.
- 18 Q What year did you start private practice?
- 19 A I started private practice July of 1974.
- 20 Q Was that a solo practice or partnership?
- 21 A I went into practice with Dr. Howard Ganz who was a
- 22 general surgeon, was also my father-in-law. He's now
- 23 deceased.
- 24 Q Any other partners from that time forward?
- 25 A Yes. I had an association with Dr. Graham Lampert

1 who is also a colorectal surgeon for a period of about
2 seven or eight years. He left to have his own solo
3 practice.

4 And I had an associate up until two or three months
5 ago, Dr. David Firestone, who is a general surgeon, who
6 wanted to go into practice by himself.

7 Q Okay.

8 You were never associated with Dr. Malgieri?

9 A James Malgieri.

10 Q Yes.

11 A I know who he is, because he's on the staff of
12 Hillcrest Hospital.

13 Q Were you ever associated?

14 A Oh, no.

15 Q And you are currently a visiting surgeon at Mt. Sinai
16 as you were in 1974 to the present, that hasn't changed?

17 A No.

18 Q Okay.

19 Visiting surgeon means what?

20 A Surgical staff.

21 Q So you have operative privileges there?

22 A That's correct.

23 Q Any other association with Mt. Sinai from '82
24 forward?

25 A As far as what?

- 1 Q As far as an employee or salaried teaching physician.
- 2 A Never received a salary from Mt. Sinai.
- 3 Q Never received any monies from Mt. Sinai?
- 4 A No.
- 5 Q Are you on any committees at Mt. Sinai?
- 6 A I've been in the past, I'm not right now.
- 7 Q What committees were they?
- 8 A I've been on the utilization committee and on the --
- 9 what used to be called -- I think it was called the tissue
- 10 committee and they changed the name to the quality
- 11 assurance committee, but I haven't been for the last few
- 12 years.
- 13 Q How do you currently keep abreast with medicine?
- 14 A Well, I attend medical meetings and I read journals.
- 15 Q What journals do you read?
- 16 A Diseases of Colon and Rectum is the specialty journal
- 17 for colorectal surgery. I sometimes read the New England
- 18 Journal of Medicine. The JAMA, Journal of the American
- 19 Medical Association, and Archives of Surgery,
- 20 Q Any texts you utilized in your practice as far as
- 21 reference?
- 22 A No one specific textbook, no.
- 23 Q Any texts that you utilize more frequently than the
- 24 others?
- 25 A No.

1 Q No one or two texts?

2 A No, sir.

3 Q You do refer to surgical texts, though?

4 A On occasion, yes.

5 Q And your last seminar was when, in medicine?

6 A Well, that's hard to answer. I go to tumor
7 conferences almost every week and mortality complications
8 we have every month. The last large meeting of colorectal
9 surgeons was in Montreal last spring, a year ago in May I
10 attended that, and I'm planning to go to the next one in
11 July.

12 Q You mentioned subsequent to your last visit with Mr.
13 Stahm you had no communication with Dr. Wise or
14 Sterin. Any communication with Dr. Bloom?

15 A Well, he had asked for a copy of the records of my
16 records when Mr. Stahm went to see him as a physician,
17 'which I had sent, but I don't think that we've had any
18 recent communication, or even if I talked to him while he
19 was treating Mr. Stahm.

20 Q I'm not following you. You did talk to him while he
21 was treating --

22 MR. CRANDALL: No.

23 A No.

24 Q No?

25 A I said he -- there's a request that he had asked for

1 the charts, I knew that he was the physician, but I don't
2 remember, I had no reason to discuss things with him.

3 Q Have you reviewed any articles or journals, records
4 or texts for the preparation of this deposition?

5 A Just the medical record and chart that I have, yes.

6 Q And since you've been named as a Defendant in this
7 case, have you discussed this case with anyone other than
8 your attorney?

9 A I have discussed it with another pathologist who I
10 know.

11 Q Who would that have been?

12 A That's Dr. Edward Siegler.

13 a On how many occasions?

14 A Once. He's the retired chairman of pathology at
15 Mt. Sinai.

16 Q Was he the chairman of pathology in 1982 at Mt.
17 Sinai?

18 A I'm not certain of that. I'm pretty certain that he
19 was in '86, but I don't know whether he was in 1982 or not.

20 Q Did you meet with him or just talk to him on the
21 phone?

22 A It was a conversation we had. Ed is also my
23 patient and we happened to discuss this matter at that
24 time.

25 Q Do you recall the contents of that conversation?

1 A I remember asking him if he knew about this
2 particular case and if he knew about the problem in
3 diagnosing leiomyomas versus leiomyosarcoma, and on his own
4 initiative he reviewed the literature and sent me some
5 articles relevant to this.

6 Q To your recollection, do you know if he reviewed the
7 pathology slides or mentioned to you that he reviewed the
8 pathology slides?

9 A I don't know whether he did or not.

10 Q And that was one conversation you had with him?

11 A Yes.

12 Q Subsequent to treating Mr. Stahm, in your practice,
13 have you had any patients with leiomyosarcomas?

14 A He's the only patient in my 22 years of practice who
15 has had this condition.

16 MR. FINELLI: No further questions.

17 MR. HUPP: I have no questions.

18 MR. CRANDALL: He'll read this. You
19 can send it to me.

20 MR. FINELLI: Dr. Eisenberg, thank
21 you.

22
23 (Deposition concluded.)

24 - - - - -

25

1 The State of Ohio,)
) SS: CERTIFICATE
2 County of Cuyahoga.)

3 I, Janice M. Wicinski, a Notary Public within and for
4 the State of Ohio, duly commissioned and qualified, do hereby
5 certify that the within-named HENRY EISENBERG, M.D. was by
6 me first duly sworn to testify the truth, the whole truth,
7 and nothing but the truth in the cause aforesaid; that the
8 testimony then given by him/her was by me reduced to
9 stenotypy in the presence of said witness, afterwards
10 transcribed upon a computer, and that the foregoing is a
11 true and correct transcript of the testimony so given by
12 him/her as aforesaid,

13 I do further certify that this deposition was taken at
14 the time and place in the foregoing caption specified and
15 was completed without adjournment.

16 I do further certify that I am not a relative,
17 counsel or attorney of either party or otherwise interested
18 in the event of this action.


19 IN WITNESS WHEREOF, I have hereunto set my hand and
20 affixed my seal of office at Cleveland, Ohio on this 10th
22 day of September, 1997.

22

23

24

25



Janice M. Wicinski, Notary Public
id and for the State of Ohio.

My Commission expires 8-9-02.