

THE STATE OF OHIO, )  
 ) SS:  
COUNTY OF CUYAHOGA. )

DOC.  
150

IN THE COURT OF COMMON PLEAS

DENETA RUFFIN, )  
et al., )  
Plaintiffs, )

v. )

IVAN SAWCHYN, )  
et al., )  
Defendants. )

Case No. 94589

- - -  
Deposition of CLAIR B. ERNHART, Ph.D.,  
taken by the Plaintiffs as if upon  
cross-examination before James M. Mizanin, a  
Registered Professional Reporter and Notary Public  
within and for the State of Ohio, at the office of  
Charles Kampinski Co., L.P.A., 1530 Standard  
Building, Cleveland, Ohio, on Tuesday, the 19th  
day of January, 1988, commencing at 10:00 a.m.,  
pursuant to notice.

- - -



**MIZANIN REPORTING SERVICE**  
REGISTERED PROFESSIONAL REPORTERS  
COMPUTERIZED TRANSCRIPTION

DEPOSITIONS • ARBITRATIONS • COURT HEARINGS • CONVENTIONS • MEETINGS

540 TERMINAL TOWER • CLEVELAND, OHIO 44113 • (216) 241-0331

1       APPEARANCES:

2               Charles Kampinski Co., LPA,  
3               By: Charles Kampinski, Esq.  
4                       and  
5               Christopher M. Mellino, Esq.,

6               On behalf of the Plaintiffs.

7               Jacobson, Maynard, Tuschman & Kalur Co., LPA,  
8               By: Anthony P. Dapore, Esq.,

9               On behalf of Defendant Ivan Sawchyn.

10              Ratimorszky, Rapoport, Spitz & Friedland Co., LPA,  
11              By: Dale R. Friedland, Esq.,

12              On behalf of Defendant Murray Davis.

13              Quandt, Giffels, Buck & Rodgers Co., LPA,  
14              By: Robert C. Buck, Esq.,

15              On behalf of Defendant Leon Walker.

16                       - - -

17                       STIPULATIONS

18               It is stipulated by and between counsel  
19               for the respective parties that this deposition  
20               may be taken in stenotypy by James M. Mizanin, and  
21               that his stenotype notes may be subsequently  
22               transcribed in the absence of the witness.

23                       - - -

1                   CLAIR B. ERNHART, Ph.D.,  
2       called by the Plaintiffs for the purpose of  
3       cross-examination, as provided by the Ohio Rules  
4       of Civil Procedure, being by me first duly sworn,  
5       as hereinafter certified, deposes and says as  
6       follows:

7                   CROSS-EXAMINATION

8       BY MR. KAMPINSKI:

9           Q.     Would you state your full name, please?

10          A.     Clair B. Ernhart, E-r-n-h-a-r-t.

11          Q.     Okay. And your address, ma'am?

12          A.     17429 Falling Water Road, Strongsville,  
13       Ohio, 44136.

14          Q.     How old are you, Doctor?

15          A.     60.

16          Q.     And if you would, run me through your  
17       educational background, starting with high school.

18          A.     Olmsted Falls High School, 1949.

19          Q.     Olmsted Falls High School?

20          A.     1949. University -- Heidelberg  
21       University -- Wait a minute. Olmsted Falls High  
22       School was '45. '49 was Heidelberg College.

23          Q.     What degree did you graduate with?

24          A.     Bachelor of Arts.

25          Q.     Okay. Go ahead.

1           A.     University of Missouri, 1953, Master of  
2           Arts, psychology.

3                   MR. BUCK:           You and I were in  
4           school there at the same time.

5                   THE WITNESS:    Missouri?

6                   MR. BUCK:           Yes.   Go ahead.

7           A.     Ph.D., Tulane, 1957, in psychology.

8           Q.     (BY MR. KAMPINSKI)   Was your schooling  
9           continuous from 1949 through 1957?

10           A.    No.   I took several years out and worked  
11           in an employment agency here in Cleveland and also  
12           with the relief agency for the City of Cleveland.

13           Q.    What years would that be?

14           A.    Those would have been '49 to '51.

15           Q.    After your Ph.D. at Tulane, did you have  
16           any additional schooling?

17           A.    No.

18           Q.    Why don't you run me through your  
19           employment then?

20           A.    All right.

21           Q.    And I assume it started after your  
22           graduation from Tulane?

23           A.    Yes.   The professional employment, yes.

24           Q.    Go ahead.

25           A.    I went to St. Louis, Washington

1 University, and the University of Missouri at  
2 St. Louis for a period of time with some time out  
3 extending to 1970.

4 Q. What did you do there?

5 A. Mainly research, some teaching.

6 Q. In what area?

7 A. Psychology.

8 Q. Any specific subspecialty?

9 A. Child development.

10 Q. Okay.

11 A. The effects of such conditions as  
12 neonatal anoxia on early child development,  
13 effects of maternal attitudes on child development,  
14 concentrating primarily on development through age  
15 five years.

16 Q. In terms of neonatal anoxia, did you  
17 reach any conclusions in terms of your research as  
18 to whether or not neonatal anoxia had any effects  
19 on child development?

20 A. We felt that it did in terms of the data  
21 that we had. We now know, of course, that the  
22 research methods we were using at that time would  
23 not meet the criteria we now require.

24 Q. I guess you changed your mind then?

25 A. I don't know now. I have not looked at --

1       Actually, when we get into something like anoxia  
2       now, we're not really looking so much at that as  
3       we are at all the various etiological factors of  
4       which anoxia may be symptomatic, so we don't  
5       approach that topic in that way in present  
6       research methods.

7           Q.     When you say you were doing research,  
8       did you have some type of position at these  
9       schools?

10          A.     Yes.

11          Q.     What was your title, what was your  
12       position?

13          A.     I started as instructor and wound up as  
14       the assistant professor.

15          Q.     What's the --

16          A.     Associate professor, I believe.  There  
17       are the economic ranges; instructor, assistant  
18       professor, associate professor.

19          Q.     Did you actually teach or did you do  
20       research?

21          A.     I did a little teaching but more on an  
22       informal -- I would take a course, teach a course  
23       in the summer or a night -- I did some night  
24       school teaching, particularly when my children  
25       were small and I did not -- there was a period out

1       there in that time period after my children were  
2       born, and during that time period I might pick up  
3       a course or two to keep myself professionally  
4       active, but on a very part-time basis.

5             Q.     What period was that?

6             A.     1960 to 1963.

7             Q.     So that from '57 to '70 excluding '60 to  
8       '63, you were doing research primarily then?

9             A.     Right. After '63, I worked part time  
10       until '70, not full time.

11            Q.     How many hours a week would you work?

12            A.     Oh, maybe 20 hours. It would vary under  
13       different situations during that time period.

14            Q.     What did you do in 1970?

15            A.     I went to Hofstra University in Hempstad,  
16       New York where I took a full-time appointment as  
17       associate professor and taught developmental  
18       psychology and research methods and research  
19       design.

20            Q.     What is that?

21            A.     And statistics.

22            Q.     Why don't you define that for me, please?

23            A.     Research design, developing research in  
24       such a way that one can make valid inferences from  
25       the data obtained from a study.

1 Q. I'm not sure I understand that.

2 A. All right. Well, in order to conduct  
3 research effectively, one needs to take a number  
4 of conditions into account, such as the way the  
5 cases are selected, the method of treatment is  
6 applied, if it is a treatment-type study, the way  
7 cases are evaluated both in terms of the presumed  
8 etiological factors, and also the presumed outcome  
9 measures, the appropriate forms of statistical  
10 analysis, the way the cases are followed over time,  
11 assuming it's a study that follows over time. All  
12 of these kinds of issues are relevant to research  
13 design and research methods, and this is the area  
14 in which I concentrate at present.

15 Q. How long did you have that position as  
16 associate professor at Hofstra?

17 A. Until 1977.

18 Q. And then what did you do?

19 A. I came here to Cleveland at Case Western  
20 Reserve University Medical School with my  
21 appointment being at Metro General, Cleveland  
22 Metropolitan General Hospital.

23 Q. Explain how that works for me, if you  
24 are working for --

25 A. Okay.

1 Q. -- Case Western. How is it that you  
2 work at Metro?

3 A. The professional staff at Metro General  
4 are faculty of the University. We are one of the  
5 teaching hospitals of the University, which means  
6 that we do teaching, as well as the usual patient  
7 care kinds of services.

8 Q. But you are paid by Case Western?

9 A. I am on the payrolls of both  
10 institutions and part of my support comes from  
11 grant support.

12 Q. What percentage of your support comes  
13 from grant support?

14 A. Right at the moment it's about 50  
15 percent.

16 Q. And who do you get those grants from?

17 A. The National Institute of Alcohol Abuse  
18 and Alcoholism, and the International Lead/Zinc  
19 Research Organization.

20 Q. And what percentages from each of those?

21 A. I'm not right sure at the moment. I'm  
22 in between budget periods.

23 Q. What was it last year?

24 A. All right. I would have to go back to  
25 my budget figures because we shift numbers of the

1 staff and expenses among these sources and I think  
2 I'm approximately 50 percent of the grant support  
3 from each of these two sources, but I would have  
4 to check exactly.

5 Q. What was it, let's say in 1983? Were  
6 you getting grants from both of them or just one  
7 at that time?

8 A. In 1983 it was not the NIAAA. It was  
9 the Institute of Child Health and Human  
10 Development.

11 Q. Were you getting grants from them?

12 A. Yes, I was.

13 Q. And what percentage would you say you  
14 were getting?

15 A. Approximately half.

16 Q. Half from each?

17 A. Right.

18 Q. How about 1981?

19 A. 1981 was the same as, I think, 1980.  
20 I'm not sure about 1981.

21 Q. Was there a point in time when you were  
22 getting all your funding grant wise from the lead  
23 industry?

24 A. No.

25 Q. Do you also obtain part of your earnings

1 as a result of work in litigation, legal cases?

2 A. Yes.

3 Q. And if you would, tell me when you  
4 started doing that?

5 A. Within the past year.

6 Q. You have never testified prior to the  
7 past year?

8 A. Not on a lead case. I did on an alcohol  
9 case, and I've been -- I've done so on  
10 intrauterine devices and on -- I think that's it  
11 for now.

12 Q. When is the first time that you were  
13 retained to assist on a lead case, not necessarily  
14 testify, but review, evaluate?

15 A. On a paid-consultant basis? It was in  
16 1987.

17 Q. Have you done so where you haven't been  
18 paid for it?

19 A. Yes.

20 Q. When did you do that?

21 A. I've done that in -- well, I guess you  
22 would say EPA paid me when I have gone down there  
23 to give testimony in connection with some of the  
24 reviews that they were making for things like low  
25 lead in gasoline issues.

1           Q.    How about reviewing any lead-related  
2 cases on behalf of the lead industry?

3           A.    I have not taken specific cases. I have  
4 consulted for the lead industry without fee in  
5 connection with some of the lead standards, and I  
6 also serve as a member of the Steering Committee  
7 for a study of lead effects that is being  
8 conducted in Sidney, Australia, and that study is  
9 supported by the lead industry. They pay my  
10 expenses.

11          Q.    When is the first time you got a grant  
12 from the lead industry?

13          A.    I believe it was 1982, but I would want  
14 to go back to a lot of the information on that.

15          Q.    The case that you were retained to  
16 review in 1987, what was that case?

17          A.    There are several such cases, and I'm  
18 not sure whether I'm supposed to reveal  
19 confidences in this regard or not, but there are  
20 several in cities other than Cleveland.

21          Q.    Well, why don't you reveal them and if  
22 your attorney reveals that you shouldn't answer  
23 them, I suppose that's the way we will go, but  
24 this is fairly typical, so if you would tell me  
25 what cases you have been --

1 A. Well, there is one in Baltimore.

2 Q. What's the name of the case?

3 A. The name of the children is Edmonds.

4 Q. Edmonds?

5 A. Edmonds.

6 Q. Okay.

7 A. They are twins. Chiquita and Chiquetta  
8 are the children's names, Edmonds, and I don't  
9 remember the name offhand of the defendant in that  
10 case. There is another one in Chicago.

11 Q. And the name of that one?

12 A. I would have to check on that one.

13 Q. Well, who were you retained by in the  
14 Baltimore case?

15 A. Daniel Witney.

16 Q. He is the attorney?

17 A. He is the attorney.

18 Q. Representing the defendant?

19 A. Yes.

20 Q. Have you testified in that case?

21 A. No. I've reviewed materials for him.

22 Q. Written an opinion?

23 A. Yes.

24 Q. You haven't been deposed yet?

25 A. No.

1 Q. So that case is currently pending?

2 A. Yes.

3 Q. And the case in Chicago whose name you  
4 don't remember, what attorney were you retained by?

5 A. I don't have it at my finger tips right  
6 now.

7 Q. Where do you have that information?

8 A. That's at my office.

9 Q. So that's something you could call and  
10 have your secretary check before we leave here  
11 today?

12 A. Certainly.

13 Q. Any other cases?

14 A. Those are the only cases of that nature.

15 Q. And both of them you were retained in  
16 1987?

17 A. Right.

18 Q. All right. And the Chicago case, you  
19 were also retained by the attorney for the  
20 defendant?

21 A. Yes.

22 Q. Who were the defendants in the cases?  
23 Were they landlords or manufacturers of --

24 A. Landlords or the insurance companies  
25 representing landlords.

1 Q. And your opinion in each case was what,  
2 Doctor?

3 A. Well, the cases differ somewhat.

4 Q. What's your opinion in each one? Take  
5 them one at a time.

6 A. The opinion in the Baltimore case is  
7 that the performance of the children is not unduly  
8 low given the circumstances of their lifestyle.

9 Q. Regardless of any lead content, I take  
10 it?

11 A. Right.

12 Q. Okay. Go ahead.

13 A. The opinion in the case of the Chicago  
14 case is that there is not sufficient evidence of  
15 undue lead exposure and that the psychological  
16 evaluation of the children was not sufficient for  
17 the inferences drawn by the psychologist, and that  
18 there needs to be more work done on the case in  
19 order for there to be any substantiation of the  
20 plaintiff's position in that matter.

21 Q. Have you been deposed in that case or  
22 just written an opinion?

23 A. No, I haven't. I've written an opinion.

24 Q. Have you written any opinions in any  
25 other cases?

1 A. No.

2 Q. What is your opinion, Doctor, as to  
3 whether or not Deneta Ruffin had lead poisoning?

4 A. I'm sure she had lead poisoning. That  
5 is, everything that I have seen in the records  
6 indicates that she had lead poisoning.

7 Q. How severe was it?

8 A. It was a severe case.

9 Q. How do you rate --

10 A. But I'm not a physician and I'm not  
11 going to --

12 Q. We will get to that.

13 A. Fine.

14 Q. From your standpoint, how would you rate  
15 a severe case?

16 A. She had very high lead levels.

17 Q. What would you consider high lead levels?

18 A. 80 to 100 micrograms per dl blood, per  
19 deciliter.

20 Q. And her level was what?

21 A. May I go back to my notes?

22 Q. Absolutely.

23 A. All right. I don't have any question of  
24 her having been severely impacted in that regard.  
25 We have one reading at over one hundred. Other

1 readings are at 50, 46, apparently after kelation  
2 there. 46, we have looks like a rebound reading  
3 at 72.

4 Q. Prior to surgery it was well over a  
5 hundred, wasn't it?

6 A. Yes.

7 Q. Doctor, the notes that you are referring  
8 to now, those are written by you?

9 A. Yes.

10 Q. And used by you in preparing your report  
11 and evaluating this case?

12 A. Yes.

13 Q. And where did you get the information  
14 that you put into those notes?

15 A. I had a complete set of the medical  
16 records.

17 Q. Okay. That's what those notes outline?

18 A. Right. These are the notes that I made  
19 from it.

20 Q. Okay. If you would, may I have that?

21 MR. KAMPINSKI: Why don't you  
22 mark this, Jim, as Exhibit 1. While we're at it,  
23 why don't we mark your entire file.

24 (Plaintiff's Deposition Exhibit  
25 Nos. 1 & 2 were marked for

1 identification)

2 Q. (BY MR. KAMPINSKI) Doctor, I'm going to  
3 hand you what's been marked as Exhibit No. 1 and  
4 if you could just identify what that is.

5 A. These are the notes I made as I read the  
6 medical record last April.

7 Q. And they consist of how many pages?

8 A. Four pages.

9 Q. And then Exhibit 2 is what? Is that in  
10 your handwriting, also?

11 A. Yes, it is.

12 Q. And would you tell me what that is?

13 A. These are notes that I made when I was  
14 getting information about the new version of the  
15 Binet schedule that was used by Dr. Ownby in his  
16 evaluation at the time.

17 Q. The rest of the materials contained in  
18 this folder that you brought here are materials, I  
19 take it, that were provided to you by Mr. Kalur,  
20 is that correct?

21 A. Well, except for that from Dr. Drotar.

22 Q. That came directly to you?

23 A. Yes.

24 Q. You mentioned medical records. You  
25 don't have them here with you?

1           A.    No.   They were returned to Mr. Kalur's  
2           office.

3           Q.    Was there anything removed from this  
4           file before coming here today, Doctor?

5                   MR. DAPORE:     Correspondence  
6           between Mr. Kalur and the Doctor.

7           Q.    (BY MR. KAMPINSKI) Do you know the  
8           correspondence that was in those files?

9           A.    No.

10          Q.    Were there any matters in the  
11          correspondence that you relied upon in making your  
12          report?

13          A.    No.

14                   MR. DAPORE:     Standard enclosures.

15          Q.    (BY MR. KAMPINSKI) Was there anything  
16          else removed, Doctor, from the file?

17          A.    No.

18          Q.    Did you write any reports other than the  
19          one dated November 20th?

20          A.    No, I did not.

21          Q.    And no drafts of that report?

22          A.    I had a draft which I disposed of. I  
23          wrote it originally on my word processor and then  
24          corrected it from the draft.

25          Q.    Was it sent to Mr. Kalur for additions,

1 deletions?

2 A. No, it was not.

3 Q. Your word processor doesn't have a  
4 memory where that would be retained?

5 A. I don't remember if I retained that or  
6 not.

7 Q. So it may well be there?

8 A. It was mainly a grammatical change. It  
9 was not a substantive or content change.

10 Q. But it may be --

11 A. It may be on one of the floppies.

12 Q. But that is something you can check and  
13 let us know?

14 A. Oh, yes.

15 Q. Any other drafts that you prepared other  
16 than that one?

17 A. No.

18 Q. The lead levels that you have indicated  
19 exceeded a hundred prior to surgery resulted in  
20 what medically to Deneta? Did it result in  
21 encephalopathy?

22 A. I would rather not make a statement on  
23 medical diagnosis.

24 Q. Well, you reviewed the records?

25 A. I reviewed the records.

1 Q. Is that something that you were able to  
2 determine from the records?

3 A. I would assume that I did, yes.

4 Q. And what is that, Doctor?

5 A. I would rather not try to make a medical  
6 statement because I'm not a physician.

7 Q. I understand.

8 A. And I feel that it is not proper for me  
9 to make that kind of an inference. I can and will  
10 talk about what I do know with expertise, and that  
11 is child development and research design.

12 Q. In analyzing the effects of lead on a  
13 child, isn't it important to understand the  
14 significance of the medical injury?

15 A. Yes.

16 Q. And what is the significance of a  
17 swollen brain?

18 A. It is a severe condition, yes. There is  
19 no question of that.

20 Q. Well, does it have an impact on the  
21 intellectual status of --

22 A. It can.

23 Q. And can it have an impact on the  
24 behavioral status?

25 A. It can.

1 Q. And attentional status?

2 A. It can.

3 Q. Excuse me for being simplistic, Doctor,  
4 but if in fact you have a swollen brain, as Deneta  
5 did in this case, resulting in numerous operations  
6 and a shunt which is still in her head, and you  
7 have an effect that is demonstrated by even the  
8 psychologists retained by the defendant, that it  
9 has an effect on intellectual status, attention  
10 status, and you say it's possible that there can  
11 be a cause and effect, why in the world would you  
12 not attribute a cause and effect, Doctor?

13 A. I don't agree with you that the report  
14 by Dr. Drotar has indicated that there has been  
15 any notable effect.

16 Q. This child is in what percentile in  
17 terms of development according to Dr. Drotar?

18 A. May I look at these notes?

19 Q. Absolutely.

20 A. Her overall level of intellectual  
21 abilities are below those of over 90 percent of  
22 her age.

23 Q. And yet you indicate that there was no  
24 effect on this child, is that correct, Doctor?

25 A. I think one has to take into account --

1 Q. Is that correct, Doctor?

2 MR. DAPORE: I think she is  
3 trying to answer your question.

4 Q. (BY MR. KAMPINSKI) Well, you just told  
5 me that she is less than five percentile, right?

6 A. Well, it's over 90 percent -- the  
7 percentile would be less than ten percentile on  
8 the basis of this report.

9 Q. Well, excuse me, but I'm reading from  
10 the last page of his report.

11 A. Okay. Less than a fifth percentile.

12 Q. Right.

13 A. Now, may I answer the question?

14 Q. Go ahead, Doctor.

15 A. Okay. The child's performance is --  
16 When you use a percentile like this, this is based  
17 on the norms for the test. That is the normative  
18 standardization sample, and the normative  
19 standardization sample consists primarily of white  
20 children, and it consists of a high proportion of  
21 children who are of middle socio-economic status,  
22 and who have -- It covers -- The standardization  
23 sample covers a wide range of children from this  
24 country. And when one takes a statement that a  
25 child is at the fifth percentile with respect to

1 his or her peers, where you define the term peers  
2 is what is relevant.

3 Now, this child is the fifth percentile  
4 for, if you would define peers as being all  
5 American children. However, she is at much higher  
6 than the fifth percentile if you define her peers  
7 as being black children of low socio-economic  
8 status, and this is an unfortunate situation, but  
9 it is a true situation, that this child comes from  
10 a background that does not give her the same  
11 chance with respect to whatever may be causing the  
12 differences between black and white children, and  
13 children of low socio-economic status, the  
14 majority of children in this country.

15 And thus, to take this kind of a  
16 statement as reflecting an impairment that's due  
17 to lead or whatever, requires that we have to look  
18 at what would we expect of this child if she had  
19 not been subject to the lead exposure and the  
20 consequent surgery and the circumstances of that  
21 illness, and we know that she is black. We know  
22 that she comes from a family where there are a  
23 number of problems. We know that her mother, to  
24 whom she apparently was attached, as shown by the  
25 medical records, this mother died in a rather

1       traumatic manner. We know that the child did not  
2       have any preschool education or training that  
3       might help to compensate for deficiencies in the  
4       home environment. We know these things, and  
5       knowing these things, I am not at all surprised  
6       that this child is functioning at what is the  
7       fifth percentile, based upon the norms of the test.

8           Q.     So that the five brain operations that  
9       she was subjected to helped her, right? Or had no  
10      effect on her, is that what you're testifying to?

11       A.     I'm not saying they had no effect.

12       Q.     What effect did they have?

13       A.     I'm not saying they didn't have --

14       Q.     What effect did it have?

15       A.     I don't know. But I do know that the  
16      effect is much smaller, if there is one, than is  
17      suggested by a simplistic statement that the child  
18      is at the fifth percentile as a result of her  
19      exposure to lead.

20           Unfortunately, we do not have adequately  
21      conducted studies using research methods that we  
22      now consider to be essential in this kind of  
23      research on children at this level of exposure.

24       Q.     Well, where is lead concentrated in the  
25      brain, Doctor?

1 A. I don't know.

2 Q. What are the functions of the cerebellum?

3 A. The functions of the cerebellum?

4 Q. Yes.

5 A. Motor control, primarily.

6 Q. Is lead poisoning related to  
7 encephalopathy, that is, swelling of the brain?

8 A. Yes, it can be.

9 Q. Is it related to decrease in  
10 intellectual status?

11 A. It can be.

12 Q. Is it related to change in behavioral  
13 status?

14 A. It can be.

15 Q. Change in --

16 A. At high levels.

17 Q. Change in attention status?

18 A. It can be.

19 Q. Is cutting out the cerebellum or tonsils,  
20 does that have any long-term effects?

21 A. I would rather not express an opinion on  
22 that one.

23 Q. You don't know?

24 A. I don't have an opinion on that.

25 Q. Do you know?

1           A.    I would assume it might have some, but I  
2           don't have the information. I'm not sufficiently  
3           expert in that area to form a statement.

4           Q.    Does sickle cell anemia have any  
5           relationship to low IQ?

6           A.    The evidence in the literature does not  
7           so suggest, but I don't know of any study that has  
8           made a systematic review of that. Sickle cell has  
9           not been related to this kind of deficit. We do  
10          know that iron cell anemia --

11          Q.    We'll get to that in about two seconds,  
12          Doctor. But the next question I have of you is  
13          whether or not you have studies that reflect that  
14          orphaning of one parent causes a lower IQ?

15          A.    I don't have studies of this sort.

16          Q.    So when you make that statement, that is  
17          just something that you believe inherently?

18          A.    It's something that I think a  
19          psychologist reviewing a child's record would take  
20          into account.

21          Q.    Well, whether they would take it into  
22          account or not --

23          A.    Or consider it to be -- It certainly  
24          cannot be beneficial to a child.

25          Q.    The question is, though, do you have any

1 proof of, any documentation that orphaning causes  
2 a lower IQ?

3 A. I don't have that at hand.

4 Q. You suggested that iron deficiency does  
5 have an effect on IQ?

6 A. Yes.

7 Q. Could you tell me what evidence you have  
8 of that, Doctor?

9 A. Well, from very recent work done by  
10 people at Case Western Reserve; for example,  
11 Lozoff, L-o-z-o-f-f, and Wolf. Betsy Lozoff,  
12 Abraham Wolf, studies in children in Costa Rica.

13 Q. What's the name -- When were the studies  
14 published and what's the name of it?

15 A. I can send you copies of the case.

16 Q. Can you? You have them in your office?

17 A. Yes.

18 Q. When you say recent, how recent?

19 A. Within the past year, two years.

20 Q. Any others?

21 A. There are some others in the literature,  
22 but this is the one that I know best. It's a  
23 study that's been -- They were able, because of a  
24 low occasion, they were able to come back without  
25 some of the other factors that enter into some of

1 the research done in this country.

2 Q. What are the other studies?

3 A. I would have to pull the literature on  
4 that.

5 Q. Can you do that, Doctor?

6 A. Oh, certainly.

7 Q. Can we get an agreement that these items  
8 we're discussing will be provided to you and that  
9 you would provide it to me? And if you would,  
10 Doctor, why don't you make a list of these things  
11 as we go along so that we don't have any problem  
12 remembering what they are.

13 A. All right.

14 Q. You indicated that you would get me the  
15 names of the cases in Baltimore and Chicago.

16 A. Sure. Is it proper to, without  
17 violating confidentiality, to give out this kind  
18 of information?

19 MR. DAPORE: You can provide him  
20 the names of the cases and the attorneys. Those  
21 are matters of public record when the cases are  
22 filed.

23 A. Okay. Fine.

24 Q. (BY MR. KAMPINSKI) The Lozoff and Wolf  
25 article?

1           A.    And the other articles on iron  
2           deficiency anemia?

3           Q.    Yes.

4           A.    Okay.  Were there other items in there  
5           that you asked me if I could provide?

6           Q.    Those are the ones that I remember,  
7           unless you recall some others.

8           A.    I don't remember any others.

9                    The year at which I first had a grant  
10           from ILZRO, International Lead, Zinc Research  
11           Organization.

12           Q.    Just a little further on the iron  
13           deficiency problem.  Isn't it true, Doctor, that  
14           when the iron levels return to normal, there is an  
15           almost immediate return to normal function?

16           A.    Of general functioning, yes.  The  
17           question is whether or not it also applies to IQ.

18           Q.    Well, there has been absolutely no  
19           demonstrable evidence that suggests that there is  
20           any long-term effect after the iron has returned  
21           to normal, is there, Doctor?

22           A.    I would like to refer you again to the  
23           Lozoff and Wolf --

24           Q.    You have read it.  I haven't.  But does  
25           the article suggest that's the case?

1           A.    The time period involved was not very  
2           long in that study and there is a need for follow  
3           up.

4           Q.    Well, my question is a very simple one.  
5           Does the article suggest that even after returns  
6           to normal of iron, there is an intellectual effect?

7           A.    It doesn't state that.

8           Q.    Is that your opinion?

9           A.    Nobody has done any work in that.

10          Q.    In your review of Deneta's situation,  
11          was there a continuous iron deficiency or --

12          A.    No, that was remedied.

13          Q.    Then is it your contention that without  
14          such studies, her intellectual deficit was somehow  
15          caused by the iron deficiency?

16          A.    We only know that that is another  
17          confounding factor.  Whether it --

18          Q.    Doctor, listen to my question.  If you  
19          can answer it, I would appreciate it, all right?

20          A.    Yes.

21          Q.    Is it your contention that her episodes  
22          of iron deficiency somehow caused her to have a  
23          long-term intellectual deficit?  Is it or isn't it?

24                   MR. DAPORE:    If you can answer it  
25          yes or no.  If you can't, then you can explain it.

1           A.    All right.  It is a contributing factor,  
2           and it is very difficult in this situation to  
3           tease apart the extent of these various  
4           contributions to her performance.

5           Q.    (BY MR. KAMPINSKI) And you say that  
6           without any evidence in the literature to support  
7           you in saying that?

8           A.    I would produce the evidence for you.

9           Q.    Okay.  Just so I clearly understand what  
10          you are saying, Doctor, that if we take any  
11          individual lab person in the community, let's say  
12          in the area in which Deneta grew up, that we can  
13          predict that that particular individual will be in  
14          the lower five percentile in terms of IQ testing?

15          A.    I won't say necessarily the lower five  
16          percentile.  I would say that the person would  
17          certainly be well below the mean of the general  
18          population given that it -- you are talking on an  
19          average here?

20          Q.    I'm talking about an individual person.

21          A.    The individual persons will vary  
22          considerably from any area.

23          Q.    You mean there are some smart black  
24          people; is that --

25                   MR. DAPORE:       Objection to that

1        characterization.

2            A.     Of course.

3            Q.     (BY MR. KAMPINSKI)    Then how can you  
4        take any particular individual, Doctor, and say  
5        that that person should fall within a lower than  
6        average percentile than the rest of the world?

7            A.     I'm saying that when we take into  
8        account such factors as race and socio-economic  
9        status, and I'll put the two of them together,  
10       that we can make a prediction with a certain  
11       margin of error as to where that individual is  
12       likely to fall. Now, that margin varies, of  
13       course, can be above or below the predicted value.  
14       It is possible, as you well know, for bright  
15       blacks to arise out of this kind of a situation.  
16       But all we can do is to work on what can we best  
17       predict would have happened to this child if she  
18       had not had the episode of lead poisoning.

19           Q.     What was her attention deficit prior to  
20        the lead poisoning incident?

21           A.     There is no evidence of attention  
22        deficit. It is unusual -- in fact, the medical  
23        records at the time she was in the hospital, which  
24        is all we have, she was about two to two and a  
25        half years old at the time. The medical records

1 or the nurse's notes indicate that this child was  
2 doing reasonably well, given the medical  
3 circumstances, during the course of her  
4 hospitalization. Her speech development was quite  
5 reasonable for the age by nurse's notes. That's  
6 all we had. No one asked for psychological  
7 testing at that time.

8 Q. What did she say that made you think  
9 that her speech development was just fine?

10 A. This is the nurse's notes. That's all  
11 we had, because no one tested her.

12 Q. Sure. Go ahead.

13 A. Okay. We have alert, talking  
14 understandably, smile and laugh, unsteady walk,  
15 alert, playing, smile, laugh, ataxic, active,  
16 alert, talking, smiled purposefully, three-word  
17 sentences. At that age, three-word sentences is a  
18 perfectly normal type of a competence.

19 Q. What were the words, Come here, Mama?  
20 What were they?

21 A. I would have to look and see what was  
22 there. I can't put words into her mouth.

23 Q. I'm asking you what words were there  
24 that lead you to suggest that --

25 A. Excellent verbal command for a toddler,

1 friendly disposition -- Friendly, sweet  
2 disposition, three-word sentence, easily  
3 understood. According to the mother she talked at  
4 one year, walked at one year, used whole sentence  
5 at two years, and this is the mother's report.

6 Q. That's pretty good, isn't it?

7 A. Yes.

8 Q. So that was pre-injury, right?

9 A. Yes.

10 Q. And you don't see any evidence of a  
11 change in that subsequent to her injury, Doctor?

12 A. There is a change. It is a kind of  
13 change that we see, and I see it in all of my own  
14 work, that we see happening to children as they  
15 progress from that age in the kind of  
16 environmental situation that this child has been  
17 in.

18 Q. Do you look at other members of the  
19 family to try to assist you in predicting the  
20 mental achievement of the child as it grows?

21 A. In my research, I do, and I think it  
22 would be very helpful in this case.

23 Q. So what would you expect, let's say, of  
24 a sibling who grew up under similar circumstances?

25 A. I would expect the sibling to be not

1 very different from this child.

2 Q. So if the sibling had a college  
3 education, would that affect you at all?

4 A. I would be very surprised.

5 Q. Would it affect your conclusions, Doctor,  
6 is my question?

7 A. Yes, it would.

8 Q. How about if the mother had a college  
9 education, would that affect your conclusions,  
10 Doctor?

11 A. What we're trying to do --

12 Q. Would it affect your conclusions?

13 MR. DAPORE: Let her answer the  
14 questions.

15 MR. KAMPINSKI: I would like her to.

16 Q. Would it or wouldn't it?

17 A. It would affect my conclusions and my  
18 predictions of what this child would have been  
19 without the lead poisoning, yes.

20 Q. And how would it affect it?

21 A. I would expect the child to be  
22 performing at a better level than she is.

23 Q. And would that then, if those situations  
24 existed, lead you to believe that the lead had  
25 more of an effect than is set forth in your report?

1           A.    It would be a contributing factor, yes.

2           Q.    Well, how much of a contributing factor,  
3    Doctor?

4           A.    If this child had come from a college-  
5    educated family, say, with all of the middle-class  
6    features that we associate with that kind of a  
7    life style and had a performance like this, I  
8    would expect that something had happened  
9    previously. Although even then we do find  
10   children performing at this level, though quite  
11   rarely.

12          Q.    And when you say --

13          A.    In such a family.

14          Q.    When you say something, now, you are  
15   referring to the lead ingestion?

16          A.    It could have been. I don't know.

17          Q.    That would lead you to believe it was  
18   lead ingestion more readily if you had that kind  
19   of a background, socio-economic background of the  
20   family, right?

21          A.    My prediction of what the child would  
22   have been without the lead exposure would be  
23   different.

24          Q.    Okay. Which would lead you to conclude  
25   that the lead exposure had much more effect on her

1 performance as is --

2 A. Lead or something else.

3 Q. Well, what something else? What else is  
4 there?

5 A. Iron deficiency is here. We have the --  
6 the iron deficiency is involved, and we also have  
7 the circumstances of her home. We cannot expect --  
8 we cannot predict the behavior or the performance  
9 of the children of college-educated patients to be  
10 the same as of children with this kind of a  
11 background.

12 Q. Where did I grow up?

13 A. I don't know where you grew up.

14 Q. Well, can't you tell by the way somebody  
15 progresses in their life where they probably grew  
16 up?

17 A. I would assume you grew up in a  
18 primarily middle-class home, but I don't know for  
19 sure.

20 Q. And would you guess that my parents were  
21 college graduates?

22 A. I don't know that.

23 Q. Would you guess that I had finished high  
24 school, for example?

25 A. Of course.

1 Q. Are all blacks low on their IQ tests,  
2 Doctor?

3 A. No. But the mean is lower.

4 Q. Do you know what Deneta's IQ might have  
5 been had she not had lead poisoning? Do you know,  
6 first of all?

7 A. There is no way one can know that.

8 Q. Have you ever seen a case of frank lead  
9 poisoning?

10 A. Yes.

11 Q. Where?

12 A. In St. Louis.

13 Q. When?

14 A. In 1958, '59.

15 Q. And what involvement did you have with  
16 that case?

17 A. I worked at Washington University School  
18 of Medicine and the case was one which I did an  
19 examination as part of my work as a psychologist  
20 there at that time.

21 Q. And then what did you do? Did you  
22 follow the case?

23 A. No, I simply had to assist in evaluating  
24 the case from a referral from a pediatrician.

25 Q. What are lead lines in the bones, doctor?

1           A.     They show on an x-ray, you can see them  
2           on an x-ray of a highly lead exposed individual.  
3           You can see the effects of lead at the joints.

4           Q.     And what do they signify?

5           A.     They signify lead exposure.

6           Q.     High lead exposure?

7           A.     Yes.

8           Q.     Were they present here?

9           A.     I believe they were seen here, yes.

10          Q.     Do you know what the presence of the enlarged  
11          third and -- well, enlarged lateral and third  
12          ventricles mean?

13          A.     I would rather not give a medical  
14          opinion.

15          Q.     Have you ever seen a case of brain  
16          swelling due to lead, Doctor?

17          A.     I don't know what you mean by seen.  
18          I've seen such persons. I have not seen the  
19          brains.

20          Q.     Okay. You have never -- you are not  
21          involved in clinical medicine at all?

22          A.     No.

23          Q.     All right. Have you ever observed  
24          surgical treatment for lead encephalopathy?

25          A.     No. I don't normally observe surgery.

1 Q. Do you know what cerebral herniation is?

2 A. Not to the point where I can make a  
3 definition of it.

4 Q. How about subdural hematoma?

5 A. Yes. That's blood collection under the  
6 dura.

7 Q. And what are the effects of that, Doctor?

8 A. Pressure on the brain.

9 Q. And what are the effects of pressure on  
10 the brain?

11 A. It would depend, I presume, on how long  
12 the pressure is there and what is done about it.

13 Q. What's a --

14 A. But once again, you are asking me to be  
15 a physician while I'm not.

16 Q. I'm asking you to assist us, as you have  
17 been retained to do, in analyzing the effects on  
18 Deneta Ruffin of lead poisoning and the sequela to  
19 that.

20 A. I think there has been a neurologist  
21 involved in this case and I think you want that  
22 kind of information. It's appropriate to ask that  
23 person, if you want.

24 Q. I want to make sure what you are going  
25 to testify to when you get up on the stand. Now,

1 if you are telling me you don't know, that's fine.  
2 You can just keep answering you don't know.

3 A. Okay.

4 Q. What's a BP shunt, Doctor?

5 A. I don't know enough to answer that. I  
6 have a general idea.

7 Q. Do you know what it's used for?

8 A. To relieve pressure.

9 Q. Do you know what potential side effects  
10 there are from use of a BP shunt?

11 A. I would rather not answer that.

12 Q. Do any of the children that you have  
13 seen have BP shunts?

14 A. Not that I have seen in recent years.

15 Q. What is the outlook for, let's say a  
16 child after repeated exposure to lead?

17 A. At what level?

18 Q. Let's say the level that was observed in  
19 Deneta, in excess of a hundred prior to surgery?

20 A. There is a risk of developmental deficit.

21 Q. And just to make sure we understand what  
22 we're talking about, this girl exhibits evidence  
23 of developmental deficit, does she not?

24 A. Depending on how you use the data. She  
25 has a developmental deficit relative to the --

1 Q. You are talking about the cause. I'm  
2 just saying she has evidence of a developmental  
3 deficit. You are quibbling about what the cause  
4 of that deficit is.

5 A. I also would quibble about the use of  
6 your term deficit.

7 Q. What do you think this young girl can  
8 aspire to, given her testing by Dr. Drotar?

9 A. She will have trouble in school.

10 Q. And how about trouble having a job in  
11 excess of, let's say, a clerical-type job?

12 A. It's not very likely she will be able to  
13 have a position that's in excess of a clerical  
14 position.

15 Q. And you wouldn't consider that a deficit?

16 A. Once again, it's how you define deficit.  
17 Is it a deficit to be performing as ones peers  
18 with respect to socio-economic and family and so  
19 forth perform? Is it a deficit to perform as the  
20 large number of women that I see in my -- and she  
21 will be a woman -- as the large number of women  
22 that I see in my work at Metro General are  
23 performing? Whether you call this a deficit or  
24 not, I don't know.

25 Q. I'm asking whether you call it a deficit.

1           A.    I would not call it a deficit in terms  
2 of the situation that she is in.

3           Q.    So she doesn't have a deficit, Doctor,  
4 is that right; that's your testimony?

5           A.    Depending upon how you define --

6           Q.    I'm asking you how you define it, Doctor.

7           A.    She has a deficit -- I'll usually  
8 qualify when I use a term like deficit. She has a  
9 deficit relative to the population as a whole.  
10 She does not have a deficit relative to her own  
11 circumstances.

12          Q.    So when she was born black and to these  
13 particular parents and living where she lived, she  
14 was predestined to be, you know, a dumb kid who  
15 couldn't rise to the level of anything over a  
16 clerical position, right?

17                   MR. DAPORE:    Objection to the  
18 characterization.

19          Q.    (BY MR. KAMPINSKI) According to you,  
20 is that right? Go ahead, Doctor.

21          A.    She has a strong likelihood of not  
22 rising? I don't understand that. Obviously when  
23 we make these projections, we make them with a  
24 certain degree of error, a certain amount of  
25 variance about it. And there are fortunately some

1 individuals who go above a predicted value or a  
2 likely value, just as there are some unfortunately  
3 who fall below that. Our ability to predict isn't  
4 that good.

5 Q. What's the prevailing level of medical  
6 opinion on the toxic level of lead?

7 A. Where toxicity occurs?

8 Q. Yes.

9 A. At the moment the CDC standards sets it  
10 at 25 microgras per dl.

11 Q. Who do you consider experts in the field  
12 of clinical lead poisoning?

13 A. Julian Chisholm.

14 Q. How do you evaluate her expertise?

15 A. It's a he.

16 Q. Julian?

17 A. Julian, right.

18 Q. Go ahead.

19 A. He is a very competent pediatrician who  
20 has done some considerable work in caring for  
21 lead-poisoned children, and has done considerable  
22 work on the composition of blood and the effects  
23 of lead on blood composition.

24 Q. Does he have any opinions with respect  
25 to the relationship between lead poisoning and

1 intellectual sequelae?

2 A. He feels that there is probably some  
3 relationship, but he is well aware of the severe  
4 problems in the research that supports that  
5 position.

6 Q. Who else would you consider an expert?

7 A. I would say Mary Fulton in Edinburg,  
8 Scotland.

9 Q. Who else?

10 A. Robert Bornscheim, B-o-r-n-s-c-h-e-i-n,  
11 at the University of Cincinnati. There are others.  
12 Henrietta Sachs in Chicago, S-a-c-h-s. There are  
13 others doing research in the field, pediatric  
14 psychologists, pediatricians.

15 Q. Jan Lin-Fu?

16 A. Jan Lin-Fu is a person who has written  
17 frequently on the topic. She does not do research  
18 herself or has not done much, at least lately.

19 Q. How do you evaluate her expertise?

20 A. She has -- I don't think she has  
21 published much lately. She has published a  
22 considerable amount over time and she is  
23 knowledgeable in the lead effects area -- on the  
24 lead effects work in the 1970s.

25 Q. And --

1           A.    And maybe early '80s.  She hasn't been  
2           very active lately.

3           Q.    What is her opinion with respect to --

4           A.    She has worked primarily in the area of  
5           high lead exposure, high level lead exposure.

6           Q.    Over 25?

7           A.    Yes.

8           Q.    And what's her opinion?

9           A.    Her opinion is it's a definite risk  
10          factor for children, it's a definite problem.

11          Q.    How about John Rosen?

12          A.    John Rosen is a pediatrician who is  
13          extremely competent in blood work, somewhat like  
14          Julian Chisholm in this respect, and has worked  
15          primarily with high level lead exposure cases, and  
16          he works primarily as a pediatrician and his work  
17          is primarily related to blood imbalances.

18          Q.    What does he believe with respect to the  
19          relationship of high levels of lead --

20          A.    He believes high levels of lead are  
21          detrimental to child development.

22          Q.    Vernon Houk?

23          A.    Vernon Houk is a government official  
24          with, I believe, CDC.  He is -- I believe he is a  
25          physician, but he is very active -- more involved

1 in the politics, I believe, of the lead effects  
2 field.

3 Q. How do you evaluate his expertise?

4 A. I have no judgment on it.

5 Q. And what does he believe with respect to  
6 the effects of lead?

7 A. I believe he was one of the authors of  
8 the presently active CDC document which lowered  
9 the acceptable level of lead for toxicity.

10 Q. How about an individual named Landrigan,  
11 Dr. Landrigan?

12 A. Landrigan is a pediatrician also who is  
13 now in public health work. He has done some  
14 research on the topic back in the '70s and it was  
15 research dealing with the effects of residing near  
16 a smelter, and the research is not being cited  
17 very widely at the present time.

18 Q. What do you think about his expertise?

19 A. I think at the time that he was doing it,  
20 he was doing just about what might be expected for  
21 that era. At the present time, the methods that  
22 he was using are now superseded.

23 Q. By you?

24 A. Not just by me.

25 Q. I see. How about Dr. Needleman?

1           A.     Dr. Needleman is a child psychiatrist,  
2           and I believe he also has a background in  
3           pediatrics. He is at the University of Pittsburgh.  
4           Dr. Needleman -- I've had a long period of  
5           interaction with Dr. Needleman, as you probably  
6           know. And it is my feeling that Dr. Needleman,  
7           that his research on the topic is severely flawed.

8                     MR. BUCK:           Is what?

9           A.     Is severely flawed, in the sense that  
10           the research methods, in the sense of inferences  
11           drawn from the research, in the sense of failure  
12           to consider relevant factors, in the sense of  
13           failing to report everything that he has done in  
14           his work. These, as I've stated, technically I  
15           believe to have a number of such flaws and I have  
16           stated these in memoranda to EPA, to the Agency  
17           for Toxic Substances and Disease Registry, and  
18           published papers and the like. It's no secret.  
19           There are problems there that I think have  
20           severely impaired the research upon which he is  
21           basing his opinion, and thus, I cannot give any  
22           credibility to statements that he makes.

23                    Q.     Is that why you tried to get a job with  
24           him at one time?

25           A.     With him?

1 Q. Yes.

2 A. Yes. That was funny that you brought  
3 that up.

4 Q. Yes, that's real funny.

5 A. It was real strange at that time, and I  
6 have in my files we had a fairly friendly  
7 correspondence.

8 Q. You mean his research methods at that  
9 time were okay?

10 A. He had published material -- he had not  
11 yet published the severely flawed study at that  
12 time.

13 Q. When you say the severely flawed study,  
14 are you now referring to one that was submitted to  
15 the EPA?

16 A. There was a study published in the New  
17 England Journal of Medicine in 1979, and there  
18 have been enumerable spin-off papers from that by  
19 him.

20 Q. Is the '79 study the one that was flawed?

21 A. Yes.

22 Q. And you sought a job from him, you are  
23 saying, before that; is that right?

24 A. I believe it was before that.

25 Q. Okay.

1           A.     In 1974 I published a paper with Joseph  
2     Parinno.

3           Q.     We will get to that. And you are saying  
4     you sought employment with him before that, is  
5     that right?

6           A.     I believe you asked me if I felt I would  
7     be interested to work with him. At that time I  
8     did not know, let's say, the manner in which he  
9     did research.

10          Q.     At that time you weren't receiving any  
11     grants from the lead industry either, were you?

12          A.     No, I was not.

13          Q.     How about Dr. Graef? What do you think  
14     of his expertise?

15          A.     He has not done research. He is a  
16     pediatrician, I believe, at Harvard who  
17     occasionally makes public statements on the matter.

18          Q.     And as related to lead exposure and its  
19     effects, what are those statements?

20          A.     He feels that lead at high exposure is  
21     detrimental to children.

22          Q.     Are his research methods flawed?

23          A.     As far as I know, he has never done  
24     research.

25          Q.     How about Dr. Landrigan, were his

1 research methods flawed?

2 A. They would be in present time.

3 Q. Were Dr. Houk's research methods flawed?

4 A. As far as I know, Dr. Houk doesn't do  
5 research.

6 Q. Dr. Rosen?

7 A. Dr. Rosen's research is directed  
8 primarily to hematology, and thus not to child  
9 development.

10 Q. Well, but the effects of lead as it  
11 relates to child development, I thought you told  
12 me he believes it's relevant?

13 A. He has a belief in that regard, but his  
14 own research is not directed in that direction.

15 Q. How about Jan Lin-Fu?

16 A. She doesn't do research.

17 Q. How about Dr. Chisholm?

18 A. Dr. Chisholm relates to hemaglobin  
19 actually. He is analyzing my blood right now.

20 Q. How about his opinions then in terms of  
21 the relationship between lead levels to --

22 A. He bases his opinion to a large extent  
23 on the animal research, and animal research is  
24 another whole thing again, because one has to  
25 equate both the exposure component and the outcome

1 component if one wants to generalize from animal  
2 research to human research.

3 Q. So is his research flawed then?

4 A. His research is blood work, as I told  
5 you.

6 Q. How about his conclusions?

7 A. His conclusions based on child  
8 development are based on his reading of the  
9 literature. He places primarily emphasis in the  
10 animal studies recognizing the inconsistencies and  
11 the work on human studies.

12 Q. Does that mean that he's disregarded  
13 your studies or doesn't agree with them?

14 A. He doesn't say.

15 Q. What about Dr. Reigart?

16 A. Reigart?

17 Q. Yes. R-e-i-g-a-r-t.

18 A. I don't know that one. Can you give me  
19 a source, because I thought I knew the literature  
20 well.

21 Q. Well, if you don't know, you don't know.

22 A. I'm curious if there is something on the  
23 child development and lead area that I don't know  
24 about. And if you have a name, I should track it  
25 down.

1           Q.    As soon as you depose me, I suppose I'll  
2 answer your questions.

3           A.    I'm sorry.

4           Q.    That's okay. Have you ever served on  
5 any government committees that set standards for  
6 diagnosing, treating or preventing lead poisoning?

7           A.    No.

8           Q.    When did you become involved in lead  
9 research?

10          A.    My first published paper was in 1974. I  
11 was interested in it earlier. I was doing a  
12 prospective study in St. Louis and I thought it  
13 would be interesting to measure lead on these  
14 children because we were collecting so much other  
15 data. We took samples of hair and the hair  
16 analysis turned out bombed, so we never published  
17 that.

18          Q.    Doctor, I was provided with your CV and  
19 I want to make sure that it's up to date in terms  
20 of your publications. It doesn't have a date on  
21 it. Could you tell me first of all what's the  
22 date of that CV?

23          A.    I'm not sure. I would have to go by the  
24 last data entered.

25          Q.    Just take a look and let me know if

1       that's complete with respect to your publications  
2       or whether there is additional ones not set forth  
3       there.

4           A.     This is reasonably complete. I'm not  
5       sure. I've only done one publication since this  
6       one that I can think of offhand.

7           Q.     Which one?

8           A.     Under reporting of alcohol use in  
9       pregnancy, which is probably not relevant here.

10  
11          Q.     Nothing additional in respect to the  
12       lead industry?

13          A.     No, not that I can think of.

14          Q.     All right. What did you report in your  
15       1974 paper, Doctor?

16          A.     This was Parinno and Ernhart?

17          Q.     Yes, it was.

18          A.     And it was reported that there was a  
19       relationship between lead level and test scores on  
20       the McCarthy scales of reading ability.

21          Q.     You did test score analyses of that,  
22       Doctor?

23          A.     I did.

24          Q.     You did findings on that?

25          A.     Yes.

1 Q. That was when?

2 A. About 1981, I believe. I could get the  
3 exact date from here.

4 Q. Go ahead.

5 A. Or the publication date.

6 Q. Okay.

7 A. The analyses of course were done before  
8 the publication date.

9 Q. That's fine.

10 A. The reanalyses were published in 1985.  
11 They were done, I believe, in 1983, 1984.

12 Q. Was there one before the 1985 one or --

13 A. Well, there was a follow-up of that same  
14 group of children which was published in 1981.

15 Q. Well, let's go slow.

16 A. Okay.

17 Q. Your original paper with Parinno was  
18 1974?

19 A. Correct.

20 Q. When did you reanalyze the results of  
21 that original paper?

22 A. In 1982, '83, along with the results  
23 published in 1981.

24 Q. Okay. So that you published some  
25 results in '81 of the same group of kids?

1           A.    Yes.  We followed that same group of  
2           children forward seven years later.

3           Q.    When you say the same group, you started  
4           out with 80 kids, didn't you?

5           A.    Yes.

6           Q.    How many did you have in '81?

7           A.    We managed to find 63 of those children.

8           Q.    And when you re analyzed them for your  
9           '85 paper, how many did you have then?

10          A.    It was the same data, re analysis of the  
11          same data, so there were no changes in the number  
12          of children.  We did not see them again.

13          Q.    So there were 63 in '81?

14          A.    Yes.

15          Q.    And you didn't see them again after '81?

16          A.    No.

17          Q.    You just re analyzed the data that you  
18          used in '81?

19          A.    Right, and in '74.

20          Q.    When did you -- And I may have asked you  
21          this, and I apologize, but when did you first  
22          apply to the lead industry for financial support?

23          A.    It was after the publication of the '81  
24          paper.

25          Q.    After it?

1           A.    After that paper was in press, so it  
2 must have been in '81 with the paper being in  
3 press in '80.

4           Q.    That's when you first applied or when  
5 you first got funding?

6           A.    When I first applied. I did not know of  
7 the lead industry association or ILZRO, or the  
8 possibility of support for industry at the time  
9 that I submitted the 1981 paper to pediatrics for  
10 publication.

11          Q.    What was the opinion of others about  
12 your re analysis; for example, the EPA? Did they  
13 have any opinion with --

14          A.    They had no problem with it.

15          Q.    None?

16          A.    No.

17          Q.    Do they have an expert committee that  
18 reviews --

19          A.    Yes, they do.

20          Q.    And what did they think of your  
21 re analysis?

22          A.    The expert committee considered the  
23 re analysis sufficient.

24          Q.    Sufficient?

25          A.    That it re-analyses were appropriate to

1 the -- these re analyses were conducted at the  
2 request of that committee.

3 Q. Right.

4 A. They considered that the re analyses  
5 were appropriate to the request made.

6 Q. It was inartfully asked and I apologize,  
7 but what was that opinion about the re analysis?  
8 Did they agree or disagree with it?

9 A. I don't know if you can say that you  
10 disagree with re analyses. The results were done.

11 Q. Did they agree or disagree with your  
12 results?

13 A. The conclusion that they drew, based  
14 upon my work and re analyses and work in review of  
15 Needleman's work and reading over other literature  
16 at that time was that the evidence at that time  
17 did not support the inference. I don't have the  
18 exact wording with me, but I can give it to you;  
19 did not support the inference that there was  
20 effects of lead at that level.

21 Q. You are sure of that, Ma'am?

22 A. May I send you a copy of that paper?

23 Q. I'm asking you if you are sure of that.

24 A. I may not have the wording exactly, but  
25 the essence of it was that the review of all of

1       this material did not support, when other factors  
2       are taken into account, the inference that lead  
3       affects child development.

4           Q.     And what conclusion -- Where was that  
5       conclusion stated, in what paper or document?

6           A.     It was stated in a report of that  
7       committee to EPA.

8           Q.     And what did EPA conclude?

9           A.     EPA took this material to what they call  
10      CASAC, and that's a s i e n t i f i c review committee.  
11      CASAC is all upper case, an acronym. And that  
12      committee, CASAC committee, did not -- it accepted  
13      my re analyses, it accepted Needleman's  
14      re analyses, and it did not go further with the  
15      EPA expert committee. It did not have the EPA  
16      expert committee testify at that meeting which was  
17      in 1984, I believe, and thus EPA in essence,  
18      although they never so stated, did not carry  
19      forthwith the inferences drawn by its own expert  
20      committee.

21          Q.     Was one of the members of that committee  
22      Jacob Cohn?

23          A.     No.

24          Q.     What relationship did Jacob Cohn have to  
25      that EPA study?

1 A. None.

2 Q. Did Jacob Cohn ever review your work?

3 A. Jacob Cohn at the request of ILZRO on my  
4 suggestion reviewed Needleman's work and my own.

5 Q. So he did review your work?

6 A. Yes, but not for EPA.

7 Q. Okay. I apologize. You are correct.

8 What did he conclude about your work?

9 A. He concluded that neither study --

10 Q. I'm asking what he concluded about your  
11 work, ma'am.

12 A. Oh. He concluded that the study was not  
13 sufficient for the inferences drawn.

14 Q. All right. Why was that?

15 A. In part because the sample size was not  
16 large enough.

17 Q. And how many samples did he indicate  
18 that you had?

19 A. There were 63.

20 Q. Did you ever have your work reviewed by  
21 the National Academy of Science?

22 A. No.

23 Q. Who is Devore Davis?

24 A. I don't know the name.

25 Q. What is the manner in which you get

1 grants currently from the lead industry? I mean,  
2 explain to me how that works.

3 A. Surely. In June of each year I send  
4 them a proposal which is reviewed by, I believe,  
5 their member industries and their -- First of all,  
6 it's reviewed by their scientific advisory group  
7 and their member industries, and in those meetings,  
8 as I understand it, because I've not been present,  
9 they screen out a group of proposals that they  
10 feel are most suitable for support, and among  
11 those then they decide with their limited  
12 resources which ones they will support and what  
13 they can provide to a funding individual. So what  
14 else can I say?

15 Q. Are you saying that the lead industry  
16 has limited resources, is that what you are saying?

17 A. Yes, I am.

18 Q. Yes?

19 A. They have limited resources for research.  
20 What their resources are overall, I have no way of  
21 knowing. And I know that their resources for  
22 research are quite limited, or so they say.

23 Q. That's fine. What was the amount of  
24 your grant in June of '87?

25 A. Well, I received that grant, that

1 started as of January of this year.

2 Q. Okay.

3 A. And the amount including overhead is  
4 \$55,000.

5 Q. What was it in '86? Well, I guess it  
6 would be January of '87.

7 A. I don't recall exactly. I can give it  
8 to you, but it's somewhere in the vicinity of  
9 \$65,000 to \$70,000 thousand.

10 Q. And what was it in '86 then?

11 A. Would you like me to send you copies of  
12 these awards?

13 Q. Yes. Would you please, from the time  
14 that you first got them?

15 A. All right.

16 Q. You mentioned, and I just want to  
17 clarify it, you mentioned earlier that you had  
18 been paid by the EPA, is that correct?

19 A. Well, they provide a nominal sum, like  
20 \$200 for a couple of days to go down to research  
21 Triangle Park and participate in these workshops  
22 or seminars or whatever you want to call them.

23 Q. All right. So --

24 A. It's a nominal --

25 Q. Would it be correct for me to state this

1 in terms of sources of support, that you really  
2 haven't had any from the government; would that be  
3 accurate?

4 A. That's true.

5 Q. All right.

6 A. Well, I have my NI Triple-A grant and  
7 NICH grant. That's for a different purpose. That  
8 has nothing to do with the lead work. I do  
9 research on the effects of fetal alcohol exposure  
10 on child development.

11 Q. And that's for the National Institute of -

12 A. Alcohol Abuse and Alcoholism, or it may  
13 be Alcoholism and Alcohol Abuse.

14 Q. And the other one?

15 A. Is National Institute of Child Health  
16 and Human Development, and they supported some of  
17 my earlier work.

18 Q. These are governmental entities?

19 A. Yes, these are all part of the Public  
20 Health Service.

21 Q. All right.

22 A. I've also had, although it does not -- I  
23 have had support also from the March of Dimes.  
24 Their primary interest was in the alcohol work  
25 that I was doing, but their contribution is

1       acknowledged because some of the same cases are  
2       used in separate parts of my research.

3           Q.     Have you ever done any research on what  
4       the state of the knowledge of the lead industry  
5       was on the effects of lead on people or children?

6           A.     I'm sorry. I don't understand your  
7       question.

8           Q.     Have you ever done any work or become  
9       privy to any knowledge that the lead industry had  
10      on the effects of lead on people or children,  
11      children included with people?

12          A.     Well, certainly the people who are  
13      involved in ILZRO, which is the research  
14      organization supported by the lead industry.  
15      These people are quite knowledgeable in this field.

16          Q.     I'm asking what you knew, ma'am, whether  
17      or not you became privy to the fact that the lead  
18      industry for in excess of 50 years has had  
19      knowledge of the detrimental effects of lead on  
20      people? Are you aware of that?

21          A.     I have seen an article in the Journal of  
22      the American Medical Association indicating that  
23      members of the lead industry were aware that paint  
24      on cribs and toys, when it was lead-based paint,  
25      could be detrimental to children and, in fact, I

1 think at that time, according to the JAMA article,  
2 the industry was contacting manufacturers of cribs  
3 and toys to recommend that they not use that form  
4 of paint on those items.

5 Q. Are you aware of the fact that they were  
6 knowledgeable of that before they even put the  
7 paint on?

8 A. I don't go back that far. I haven't  
9 tracked back that far.

10 Q. You are not aware of that?

11 A. I'm not aware of that, right.

12 Q. What have been the foundations that have  
13 provided you with funds? You have mentioned ILZRO,  
14 right?

15 A. Yes.

16 Q. And that is what, what does it stand for?

17 A. International Lead Zinc Research  
18 Organization.

19 Q. Okay. Any others, any other foundations?

20 A. Well, the March of Dimes.

21 Q. Any others?

22 A. The public like health services, you  
23 know, the NI Triple-A and the --

24 Q. I'm talking about private foundations.

25 A. No, no other private foundations.

1 Q. How about -- is the ILZRO related to  
2 another organization?

3 A. Not that I know of.

4 Q. How about support from industry itself  
5 directly?

6 A. No.

7 Q. None?

8 A. No. They may have paid my expenses once  
9 to testify before EPA, but expenses only. I have  
10 not taken --

11 Q. Have they provided you support for  
12 research at all?

13 A. No.

14 Q. When you say they may have paid for, who;  
15 what part of the industry?

16 A. It may have been either the lead  
17 association or ILZRO that paid my expenses at one  
18 time to testify before EPA. Both were located in  
19 the same -- At that time, in the same office on  
20 Madison Avenue in New York. I don't remember  
21 which one picked up my hotel and plane fare.

22 Q. Okay.

23 A. But I have never received a fee.

24 Q. The lead association is what? Does it  
25 have a name?

1           A.    Yes, but I don't have it at my  
2           fingertips.

3           Q.    And they use the same office --

4           A.    They did at that time. They don't now.  
5           The same building. They were -- I think they were  
6           really separate floors, but I'm not privy to what  
7           they do.

8           Q.    What department are you in at Case  
9           Western?

10          A.    Department of Psychiatry, and also I'm  
11          in the Department of Reproductive Biology.

12          Q.    And are you in a department at Metro?

13          A.    Yes. It's the Department of Psychiatry.

14          Q.    You told me before you got funds from  
15          both Case Western and from Metro. Why is that?

16          A.    It's a bookkeeping arrangement primarily,  
17          in that part of my grant monies comes through --  
18          is administered through Case Western Reserve  
19          University. A part of my actual salary comes out  
20          of the operating funds of the Metro General fund.

21          Q.    Does your department or have either of  
22          the schools or hospitals received funds from the  
23          lead industry because of your work?

24                   MR. DAPORE:    If you know.

25          A.    Pardon?

1 MR. DAPORE: If you know.

2 A. Oh, yes. The grant of ILZRO is  
3 administered through what is called the Hospital  
4 Foundation which is a financial fund, I believe,  
5 of the hospital per se. It is a charitable  
6 organization which provides research grants and  
7 grants for other purposes for people who apply to  
8 it for that purpose. It also serves as a means of  
9 administering the grant in that I, and my  
10 department, can't accept that kind of a grant  
11 directly.

12 Q. Why not?

13 A. So they handle the administration and  
14 assume the overhead.

15 Q. Why not? Why can't you take it directly?

16 A. Because I don't think we're considered  
17 to be a tax -- with respect to taxes, we cannot  
18 accept a grant directly, a hospital foundation.

19 Q. I'm not an accountant, but if I  
20 understand you correctly, so that the monies that  
21 they can give you for your foundations, in order  
22 for them to be tax deductible for them, they would  
23 have to go through some charitable-type  
24 organization, but it winds up in your pocket?

25 A. Yes. Except that the hospital

1 foundation assumes a portion of the -- actually  
2 takes ten percent of the grant for overhead and  
3 administration and the like.

4 Q. Okay.

5 A. May I ask what's amusing?

6 Q. You can ask anything you want. And once  
7 again, I'll answer you the same as I did before.  
8 When it's your opportunity to take my deposition,  
9 I'll respond to it.

10 A. Okay. I'm sorry.

11 MR. DAPORE: I don't think that's  
12 called for, Chuck.

13 MR. KAMPINSKI: I do.

14 MR. DAPORE: She asked a  
15 perfectly legitimate question, what you saw  
16 amusing in her answer to your question. There is  
17 nothing amusing about that. It happens to be a  
18 fact of the way charitable organizations and  
19 grants to hospitals work.

20 MR. KAMPINSKI: Tell it to the jury.  
21 I'm sure they will be very interested.

22 Q. (BY MR. KAMPINSKI) What are the  
23 important problems, at least from your standpoint,  
24 Doctor, with the studies, regardless of who does  
25 them, on lead and the effects?

1           A.    Well, they fall into a number of  
2 groupings.    The primary ones in current research  
3 have to do with the methods of statistical  
4 analysis and most importantly the handling of  
5 other factors that influence development.

6           Q.    These variables, I take it you believe  
7 that all of them should be attributed a certain  
8 weight in the equation that reaches some type of  
9 result that makes sense?  Would that be a  
10 shorthand way of saying the way it should be done,  
11 an inartful way, but --

12          A.    There are certain ways that one can  
13 handle this, in the analytic method and in the  
14 data collection manner and in the process of  
15 actually conducting this study, that can help to  
16 control what you might call threats to the  
17 validity of the study.

18                A study, for instance, would not be  
19 valid -- To take a kind of a simplistic example,  
20 if one were to try to assign a treatment to two  
21 entirely different groups and then test the result  
22 and say that it is due to the treatment, because  
23 if the two groups are initially different, then  
24 you cannot say that the effects are due to the  
25 treatment.  That's a very simplistic and obvious

1 example of the kind of thing we're talking about.

2 When we get into some of these studies,  
3 we're looking at circumstances that are much more  
4 complex and much more involved than in that kind  
5 of a simplistic situation.

6 Q. What should a study control for in  
7 determining the effects of lead on intelligence?

8 A. It should control for just about  
9 everything else that can influence intelligence  
10 that you can readily measure and get information  
11 on unless you were able to rule it out.

12 Q. Tell me what those are?

13 A. For instance, you would rule out -- You  
14 wouldn't have to rule out race if you are working  
15 with an entirely white environment. If you are  
16 controlled by homogeneity, your example is  
17 homogeneous, or if you control by -- if you are  
18 considering a variable that is probably unrelated  
19 to the outcome, you don't need to control on it.

20 Q. Tell me what factors the study should  
21 control. Race is one of them, whether you have a  
22 homogeneous group or not; that's one of them.  
23 What else?

24 A. You make a control on economic status or  
25 surrogates or --

1 Q. What do you mean surrogates?

2 A. Socio-economic status is a real rough  
3 and crude index. If you are able to measure  
4 instead of socio-economic status, something like  
5 intelligence of parents, occupation of parents,  
6 education of parents, the quality of the  
7 homemaking environment, how the mother reacts to --  
8 mother or other caretaker reacts to the child,  
9 conditions of that sort, you probably don't need  
10 to measure socio-economic status, because those  
11 are major direct determinants, where socio-economic  
12 status is a more indirect or rougher or cruder  
13 view.

14 Q. Okay.

15 A. You would also control, insofar as you  
16 can, parent intelligence, but you can't usually  
17 get mothers to sit down for a full hour and a half  
18 test, nor can you usually get fathers, so we have  
19 an inexact measure. We do a crude test on the  
20 mother. We would also --

21 Q. Why the mother?

22 A. Because usually she is the one you can  
23 get your hands on; she is usually available.

24 Q. I'm sorry. Go ahead.

25 A. You would also want to control, if you

1 possibly could, and we do in our research, on the  
2 way the mother reacts to her child as to  
3 stimulation in the home. We go to the homes.

4 Q. I thought that's part of the  
5 socio-economic aspect?

6 A. I mentioned socio-economic status, and  
7 then I said that within that there are a number of  
8 these other measures. Now, you can exclude some  
9 of these if you are covering that area of  
10 influence through other areas.

11 Q. Okay.

12 A. I would much rather use a measure like  
13 the home than I would a socio-economic status.

14 Q. Okay.

15 A. Like the quality of the home. It is an  
16 extremely powerful instrument.

17 Q. Go ahead.

18 A. Or device for measuring this.

19 Q. What else?

20 A. I would measure the effects of different  
21 things a mother does during her pregnancy, smoking,  
22 drinking, use of other drugs, including marijuana,  
23 and the more powerful illicit drugs.

24 Q. Go ahead.

25 A. I would take into account whether or not

1 the child was premature and the extent to which  
2 the child was even a few weeks pre-term, birth  
3 weight, other conditions, neonatal conditions, any  
4 marked -- any illnesses the mother had during her  
5 pregnancy, illnesses the child does have from the  
6 time of birth, through the time that you see the  
7 child.

8 Q. Go ahead.

9 A. Unusual stress circumstances during the  
10 early years, and I've included these in my  
11 research. What else? I believe that's pretty  
12 much the list of the major --

13 Q. How about lead?

14 A. Well, you were asking me to design a  
15 study to test the effects of lead?

16 Q. Yes.

17 A. Well, of course you would measure that.

18 Q. Oh, okay.

19 A. But these are the other factors that  
20 were involved and I thought that's what you were  
21 asking me for.

22 Q. All right. I understand what you are  
23 saying.

24 A. And I would use multiple measures of the  
25 lead insofar as they are available, because there

1 is variation on this.

2 Q. The socio-economic status, is that an  
3 important component in this model that you have  
4 set up for purposes of study?

5 A. It would be if you didn't have all the  
6 other things that I mentioned. It also would  
7 depend upon whether your sample was heterogeneous.  
8 If I took children range go the full range of what  
9 is available in Cleveland, I would have to give a  
10 lot more thought to that. Using children born at  
11 Metro, I don't have to give --

12 Q. How about the studies that you have done?  
13 Did you use socio-economic status as a variable?

14 A. No, I have not as such.

15 Q. I see. So that --

16 A. Because my populations have been pretty  
17 much homogeneous.

18 Q. Pretty much?

19 A. Well, you don't have absolute  
20 homogeneity.

21 Q. Well, out of the 80, how would you  
22 characterize --

23 A. You are going back to the Parinno study?

24 Q. Sure. '74 study.

25 A. I didn't know what you were talking

1 about.

2 Q. How many studies have you done? Two,  
3 right, '74 and '81?

4 A. I now have a very major study mentioned  
5 in here that was published last spring. Your  
6 source of information may not have seen it, but  
7 it's an extremely important study.

8 Q. According to you?

9 MR. DAPORE: Objection to being  
10 argumentative.

11 A. Yes.

12 Q. (BY MR. KAMPINSKI) How many subjects  
13 were in that study?

14 A. I believe it was over two hundred.

15 Q. And were they homogeneous?

16 A. With respect to socio-economic status,  
17 yes.

18 Q. All poor, all black?

19 A. Yes -- no. There were about 34 percent  
20 black. These were children born at Metro.

21 Q. All poor?

22 A. Yes.

23 Q. All parents without college education?

24 A. I don't -- I believe the education level  
25 was about ten years.

1 Q. Does that mean some had --

2 A. I don't know if any had any college at  
3 all. There may have been one who started. We  
4 don't work on --

5 Q. Did you control for socio-economic  
6 status on this recent study?

7 A. In the sense that they were all  
8 homogeneous, so they were all clinical patients at  
9 Metro General.

10 Q. Does that mean you didn't or you did?

11 A. I did.

12 Q. Did you control for socio-economic  
13 status in your 1974 study?

14 A. I did not have it as a variable. I did  
15 include maternal IQ and education, maternal IQ --  
16 this was the first study.

17 Q. Did you control it in 1981?

18 A. Yes. Well, in the same sense. It was a  
19 socio-economic -- the homogeneous sample from the  
20 JAMA area of Queens, all black, all clinical  
21 patients.

22 Q. Would you consider the EPA as an expert  
23 body of opinion on the effects of lead?

24 A. I would say the EPA is fairly  
25 knowledgeable.

1 Q. How about the Center for Disease Control?

2 A. They have a fair bit of information at  
3 hand.

4 Q. How about the American Academy of  
5 Pediatrics?

6 A. The Academy as a whole or a sub-group  
7 selected to publish a paper?

8 Q. The Academy as it relates to their  
9 opinions regarding lead and the effects of lead.

10 A. I don't know.

11 Q. You don't know if they are an expert  
12 body of opinion?

13 A. I don't know.

14 Q. How about the National Academy of  
15 Science?

16 A. I don't know.

17 Q. Do you know what they say?

18 A. I have it in my files.

19 Q. What do they say with respect to the  
20 effects of lead on children?

21 A. They say that lead at a high level is  
22 detrimental.

23 Q. Who is Janet Yamin?

24 A. She was a graduate student of mine who  
25 did her Ph.D. at Hofstra when I was teaching there.

1 Q. Under your direction?

2 A. Under my direction, yes.

3 Q. What did she find?

4 A. She found some effects of lead, but when  
5 we re analyzed her data, as I did in the early  
6 1980's, we did not have adequate data to correct  
7 for a problem in the blood data.

8 Q. What was the problem in the blood data  
9 that you corrected, Ma'am?

10 A. When you take a drop of blood and you  
11 put it on a piece of filter paper and you use the  
12 method we used at that time, which was the punched  
13 disk method, you take a punch and you cut a circle  
14 of the blood soaked, now dried filter paper and  
15 you use that for the analysis. After we completed  
16 the study, we learned that the extent to which  
17 that drop of blood diffuses over the paper varies  
18 as a function of hematocrit level. In other words,  
19 the thicker the blood, the less it diffuses  
20 through the paper.

21 Therefore, the lead that you would get  
22 from that punched circle is inexact to the extent  
23 that the hematocrit level areas and the function  
24 for hematocrit should have been done. But when we  
25 did the study, we did not know that. Hematocrit

1 information was no longer available in the clinics  
2 from which we had got the information.

3 At this time that this particular  
4 problem was brought to our attention by EPA's  
5 committee, Paul Muschak, to be exact, brought this  
6 up and showed it to us, and so we tried to go back  
7 and re analyze the Yamin data, corrected for the  
8 hematocrit problem, but the hematocrit problem  
9 wasn't there. Therefore, the results of her study  
10 are moot. We cannot say whether that study has  
11 any merit or not. Dr. Yamin did a good job with  
12 the work. We just didn't have the information.

13 Q. In '76 when she did it, you certainly  
14 believed it had merit, didn't you?

15 A. Yes, I did.

16 Q. You also weren't receiving money from  
17 the lead industry at that time, were you, ma'am?

18 MR. DAPORE: Objection.

19 Q. (BY MR. KAMPINSKI) Were you?

20 A. No, I was not. I was not when I  
21 published the paper in 1981, either, which was the  
22 follow up. May I explain?

23 Q. To your heart's content.

24 A. Well, in 1981 when I followed this same  
25 cohort of children forward, I found no effect of

1       that.

2               Q.     Well, I thought we had already gone over  
3       that paper as being determined not to have  
4       validity by someone you yourself chose, isn't that  
5       true, ma'am?

6               A.     I don't think that's the truth, no.

7               Q.     I see.

8               A.     That paper was limited, but it still had  
9       more information available than any other study to  
10      that point in time with respect to the persistence  
11      of effect.

12                   MR. KAMPINKSI:        Okay.   Why  
13      don't we take about a five minute break.

14                   (Discussion had off the record)

15               Q.     (BY MR. KAMPINSKI)   Just a few more  
16      questions, Doctor.   In your 1974 report you  
17      attributed the effects of lead to IQ, did you not?

18               A.     The effects of lead to IQ?

19               Q.     That's correct.   Didn't you?

20               A.     I think you have that misworded.   I  
21      attributed the effects of lead to IQ?

22               Q.     Yes, didn't you, in 1974?

23               A.     You mean I attributed the effects on IQ  
24      to lead?

25               Q.     I'm sorry.

1 A. All right. Yes, I did.

2 Q. And you found, did you not, that the  
3 correlation to parents' IQ decreased as the level  
4 of lead increased, is that correct?

5 A. Yes.

6 Q. So that the higher the lead level, the  
7 less significant the parents IQ, is that correct?

8 A. The correlation of parent IQ and child  
9 IQ was lower for the high-lead group.

10 Q. Right. Is that correct?

11 A. Yes.

12 Q. That was your finding then?

13 A. Yes.

14 Q. And am I correct, Doctor, that in none  
15 of your papers and in none of your findings have  
16 you ever even attempted to rule out the effects of  
17 high lead exposure to IQ, is that correct? I mean,  
18 in all the reading I've done of your papers, I've  
19 never seen anything to suggest that high lead  
20 levels does not have an effect on IQ, is that  
21 correct?

22 A. I'm not sure I understand your question.

23 Q. Have you ever written or published  
24 anything anywhere that suggests that high levels  
25 of lead does not have an effect on IQ?

1           A.    No, I have never stated that it does not  
2           have an effect, to my knowledge.

3           Q.    And this is, or is this the first time  
4           that you have so stated in any document or writing?

5           A.    I am not now stating that.

6           Q.    Well, then --

7           A.    I'm saying that there may be an effect,  
8           but it is a small effect.

9           Q.    And how do you quantify that, Doctor?

10          A.    It is extremely difficult.

11          Q.    Well, how do you do it?

12          A.    All right.

13                   MR. DAPORE:     Don't argue with her,  
14           Chuck. She is trying to answer your question.

15                   MR. KAMPINSKI: I would like her to.  
16           And that's what I'm --

17                   MR. DAPORE:     Don't argue with her.  
18           Let her answer the question.

19                   MR. KAMPINSKI: Don't tell me what  
20           to do, all right?

21                   MR. DAPORE:     If you are going to  
22           sit here and argue with her, she won't answer the  
23           question. I'm going to tell you that now.

24                   MR. KAMPINSKI: Are you done?

25                   MR. DAPORE:     No, I'm not done.

1 MR. KAMPINSKI: Then finish so we  
2 can conclude here.

3 MR. DAPORE: Ask her the question.

4 MR. KAMPINSKI: No, no. Let's take  
5 this slow. Don't tell me what to do. If you have  
6 an objection, make it.

7 MR. DAPORE: I make the objection.

8 MR. KAMPINSKI: If you want to leave,  
9 do that, too. But don't tell me what to do.

10 MR. DAPORE: Well, I will tell  
11 you, Chuck.

12 MR. KAMPINSKI: No, no.

13 MR. DAPORE: I just did. Ask her  
14 the question, ask it politely.

15 MR. KAMPINSKI: And if I don't do  
16 what you tell me to do, what are you going to do?

17 MR. DEPORE: Then we will leave.

18 MR. KAMPINSKI: Now are you done?

19 MR. DAPORE: Yes, I'm done.

20 Q. (BY MR. KAMPINSKI) What do you  
21 attribute the high lead levels Deneta Ruffin had,  
22 in terms of the effects on her, what effect did it  
23 have on her, ma'am?

24 A. If it had an effect, it was a small  
25 effect.

1 Q. What was the effect?

2 A. I said if it has an effect -- I cannot  
3 say that it doesn't have an effect and I'm not  
4 saying that it does not have an effect. I'm  
5 saying that if it has an effect, it's a very small  
6 effect.

7 Q. Quantify it for me.

8 A. Given the rest of what we know now --

9 Q. Quantify it for me.

10 A. I can't quantify it precisely.

11 Q. As best you can.

12 A. Because I can't quantify precisely what  
13 this child would be if she did not have it,  
14 because that is a contrary-to-find conditional.

15 Q. As best you can quantify it.

16 A. I would say that the effect, if I could  
17 quantify it, and this is very hypothetical because  
18 there is an awful lot of ifs in it --

19 MR. DAPORE: Then if you don't  
20 have an opinion --

21 A. It would be extremely small.

22 MR. DAPORE: If you don't have an  
23 opinion and you can't quantify it, don't quantify  
24 it.

25 Q. (BY MR. KAMPINSKI) Then you don't have

1 any opinion as to whether this was an effect or  
2 what the effect was; is that what you are saying?

3 A. It's an undeterminable --

4 Q. Listen to my question, ma'am. If you  
5 don't understand it, I'll rephrase it as many  
6 times as I have to until you understand it, but  
7 I'll ask you to respond to it, okay?

8 A. I'll respond, but please try to ask it  
9 in a comprehensible and in a polite way.

10 Q. Had Deneta Ruffin not ingested lead,  
11 what would her IQ have been, in your opinion?

12 A. It would not have differed appreciably  
13 from what it is now.

14 Q. See, I don't understand those adjectives.  
15 I mean, would it have been 80, would it have been  
16 100, would it have been 110, would it have been 83?  
17 If you don't know, tell me you don't know.

18 A. We can only answer in terms of  
19 probability. We can talk in terms of a range  
20 within which it would -- we can project what her  
21 IQ might have been. That is a range.

22 Q. Give me the probability of what range  
23 she would have been in?

24 A. She would be somewhere within the range,  
25 I would assume of --

1 Q. Give me numbers.

2 A. I would say somewhere, as best I can  
3 know from the information available, somewhere  
4 around 80.

5 Q. Okay. If -- and by the way --

6 A. On the basis of what I know and with a  
7 lot of error around it.

8 Q. Sure. And let's deal with some of that  
9 possible error. Am I being polite enough, ma'am?

10 MR. DAPORE: Chuck --

11 MR. KAMPINSKI: I want to be sure.

12 MR. DAPORE: Quit being sarcastic.

13 MR. KAMPINSKI: Do you have a  
14 problem with this, too?

15 MR. DAPORE: You are being  
16 sarcastic.

17 Q. (BY MR. KAMPINSKI) Do you have a  
18 problem with my questioning, ma'am? Is it all  
19 right?

20 A. As long as I can hear you.

21 Q. All right. Were you told anything about  
22 the parents?

23 A. It is my understanding that there was a --  
24 that the mother is deceased.

25 Q. She wasn't deceased at the time of the

1       lead ingestion, was she?

2           A.    No.  She is clearly in the medical  
3       records as having been visiting her child and  
4       having been interested in the child.  She did not  
5       have much understanding of sickle cell, but she  
6       was interested in the welfare.

7           Q.    Were you told of the IQ of the mother?

8           A.    I know nothing of the IQ of the mother.

9           Q.    Were you told what educational level the  
10      mother had?

11          A.    I don't know that.

12          Q.    Were you told anything about --

13          A.    I think it's worth finding out those  
14      things.

15          Q.    We will go slow.  Were you told anything  
16      about the educational level of any of the siblings?

17          A.    No.

18          Q.    Would that be important to you?

19          A.    It would certainly help in reducing the  
20      error, the range of uncertainty.

21          Q.    Okay.  So you wrote a report without  
22      knowing that information, isn't that right, ma'am?  
23      I mean, you never asked for it?

24          A.    I didn't have that information.

25          Q.    Well, you didn't ask for it, otherwise

1       you would have gotten it I assume, right?

2           A.     Right.

3           Q.     Did you or did you not ask for it?

4           A.     No, I didn't. I was working with the  
5       information available.

6           Q.     But you already told us in your studies,  
7       I mean, that's information for you to know, isn't  
8       it?

9           A.     I've never used the IQ of siblings in  
10       the study.

11          Q.     You used the educational level of the  
12       parents?

13          A.     Yes.

14          Q.     And you told me you used it of the  
15       mother because she is usually the most accessible.

16          A.     Yes.

17          Q.     Why didn't you ask for it here?

18          A.     It's my understanding it's very  
19       difficult to get people in this circumstance to  
20       take an intelligence test.

21          Q.     How about how far she went in school;  
22       would that have assisted you?

23          A.     It would have been somewhat helpful, yes.

24          Q.     Where did she work, what kind of job did  
25       she have, what did she do for a living?

1 A. I don't know.

2 Q. Wouldn't that have been important to you,  
3 ma'am?

4 A. It could have helped.

5 Q. Why didn't you ask for it?

6 A. That's not a bad idea.

7 Q. Why didn't you ask for it before you  
8 wrote your report?

9 A. Because the information I was working  
10 with I think was sufficient to make a general  
11 statement.

12 Q. So that wasn't important for purposes of  
13 your determination then, right, the information  
14 that you didn't ask for, right?

15 A. That could have been helpful.

16 Q. Well, would it change your opinion if  
17 you knew any of that information? For example, if  
18 I asked you to assume, ma'am, that the mother had  
19 two years of college, would that affect your  
20 conclusions at all about what the projected  
21 likelihood would have been of this girl's IQ,  
22 Deneta Ruffin?

23 A. It might have.

24 Q. Well, does it? I'm telling you now to  
25 assume that. Does that affect your determination?

1 MR. DAPORE: She just answered  
2 the question. She said it might have.

3 MR. KAMPINSKI: I'm asking her to  
4 assume it.

5 Q. (BY MR. KAMPINSKI) How would it affect  
6 your determination? Would it change in terms of  
7 what you think Deneta's IQ might be?

8 A. We have been through this one before.

9 Q. I'm asking you to assume that.

10 A. It probably would have.

11 Q. What IQ do you think --

12 A. Are you telling me that the mother was  
13 college educated?

14 Q. That's what I'm telling you, that she  
15 had two years of college. Now I'm asking you what  
16 you say Deneta's IQ would have been?

17 A. It should have been -- the projected IQ  
18 would have been higher than what is here.

19 Q. Give me a number.

20 A. I don't have the figures to put into an  
21 equation right now.

22 Q. You gave it to us earlier. I'm asking  
23 you to give me a number now with this new  
24 information.

25 A. I don't have the constants or the values

1 to put in the equation at my fingertips.

2 Q. Would it go up five points, ten points?

3 MR. DAPORE: She answered the  
4 question.

5 A. I don't have a range at my fingertips  
6 right now.

7 Q. (BY MR. KAMPINSKI) You had a range at  
8 your fingertips for purposes of giving me what you  
9 expected her to be without a college education.

10 A. I was not factoring education into that  
11 at all.

12 Q. I know you didn't.

13 A. I was going simply on the basis of race  
14 and socio-economic status.

15 Q. I'm asking you to factor that in and  
16 give me an opinion.

17 A. I don't have a regression equation and a  
18 calculator in front of me.

19 Q. I can give you a calculator.

20 A. I don't have a regression equation.

21 Q. What is that?

22 A. A prediction formula that I would use to  
23 give a more precise figure.

24 Q. What's the formula?

25 A. It's one that you derive from data. I

1 have, you know -- I use the IBM mainframe for this  
2 kind of thing where I am looking at all of these  
3 things as they enter in all with a certain amount  
4 of error in the measurement.

5 Q. What did you enter into that IBM  
6 mainframe computer to give me --

7 A. I didn't enter into an IBM mainframe. I  
8 didn't need to for the small amount of information  
9 here, but if you had given me projected additional  
10 items such as education, and all the possible  
11 levels that you might have come up with today, or  
12 if you had brought up other conditions in here,  
13 father's education, grandfather's education, the  
14 learning problems of siblings in school and the  
15 like of that, then I would need to use a  
16 multi-factorial equation to generate a predicted  
17 value and a range of error around that predicted  
18 value.

19 Q. You have a right to read your testimony.  
20 You have a right to waive your signature. Your  
21 attorney can advise you accordingly.

22 MR. DAPORE: We will read it.

23 MR. KAMPINSKI: If you would get the  
24 information that I requested, and get it to  
25 Mr. Dapore, I would appreciate that. We do have a

1 trial date coming up so I would ask that you do  
2 that right away. Not that you have it this  
3 afternoon, but if I could have it this week. Is  
4 that agreeable?

5 MR. DAPORE: Sometime this week?

6 MR. KAMPINSKI: Yes.

7 MR. DAPORE: Sure.

8 MR. KAMPINSKI: Only one other thing  
9 that I would ask. If you do any additional  
10 analyses or make any additional conclusions, I can  
11 only ask you now that I would be apprised of those  
12 immediately, because we do have a trial date  
13 coming up and I certainly don't want to walk into  
14 the courtroom finding that you changed your  
15 opinion or there are different things. Is that  
16 agreeable?

17 MR. DAPORE: Of course, Chuck.  
18 You know it's required by the law.

19  
20  
21  
22  
23  
24  
25

I have read the foregoing transcript from  
page 1 to page 95 and note the following  
corrections:

<u>PAGE:</u>	<u>LINE:</u>	<u>CORRECTION:</u>	<u>REASON:</u>
--------------	--------------	--------------------	----------------

\_\_\_\_\_  
CLAIR B. ERNHART, Ph.D.

Subscribed and sworn to before me this  
day of , 1988.

\_\_\_\_\_  
Notary Public


My Commission Expires:

1 THE STATE OF OHIO, )  
2 ) SS:  
COUNTY OF CUYAHOGA. )

CERTIFICATE

3 I, James M. Mizanin, a Notary Public within  
4 and for the State of Ohio, duly commissioned and  
5 qualified, do hereby certify that CLAIR ERNHART,  
6 Ph.D. was by me, before the giving of her  
7 deposition, first duly sworn to testify the truth,  
8 the whole truth, and nothing but the truth; that  
9 the deposition as above set forth was reduced to  
10 writing by me by means of Stenotypy and was  
11 subsequently transcribed into typewriting by means  
12 of computer-aided transcription under my  
13 direction; that said deposition was taken at the  
14 time and place aforesaid pursuant to notice; and  
15 that I am not a relative or attorney of either  
16 party or otherwise interested in the event of this  
17 action.

18 IN WITNESS WHEREOF, I hereunto set my hand  
19 and seal of office at Cleveland, Ohio, this 10th  
20 day of February, 1988.

21   
22 James M. Mizanin, RPR, Notary Public  
23 Within and for the State of Ohio  
540 Terminal Tower  
Cleveland, Ohio 44113

24 My Commission Expires: January 26, 1993.  
25