

IN THE COURT OF COMMON PLEAS
CUYAHOGA COUNTY, OHIO
CASE NO. 393909

TARA C. KINTER,
Administratrix of the Estate of
SUTTON I. KINTER, 111, etc,

Plaintiff,

vs.

JAMES E. BIANCHI, M.D., et al,

Defendants.

VIDEOTAPED

DEPOSITION OF: JAY FALK, M.D.

TAKEN BY: The Plaintiff

DATE: November 15, 2000

TIME: 1:43 p.m. to 3:17 p.m.

LOCATION: 86 West Underwood Street
Suite 200
Orlando, Florida

REPORTED BY: REBECCA L. FELLA,
Notary Public, State of Florida at
Large

COPY

1 A P P E A R A N C E S:

2 WILLIAM M. GREENE, ESQUIRE

3 Greene, McQuillan & Eisen Co., L.P.A.
4 1801 Bond Court Building
5 1300 East Ninth Street
6 Cleveland, Ohio 44114
7 (216) 687-0900
8 On behalf of the Plaintiff

9 MARC W. GROEDEL, ESQUIRE

10 Reminger & Reminger Co., L.P.A.
11 113 St. Clair Avenue
12 Cleveland, Ohio 44114
13 (216) 687-1311
14 On behalf of the Defendants James E.
15 Bianchi, M.D., and Emergency Professional
16 Services.

17 GEORGE M. MOSCARINO, ESQUIRE

18 Moscarino & Treu, LLP
19 1422 Euclid Avenue, Suite 630
20 Cleveland, Ohio 44115
21 (216) 621-1000
22 On behalf of the Defendant Lakewood Hospital

23 ALSO PRESENT: Patrick Uribasterra, Videographer
24
25

I N D E X

JAY FALK, M.D.

Direct Examination by Mr. Greene	4
Cross Examination by Mr. Moscarino	88
Certificate of Oath	90
Certificate of Reporter	91
Read & Sign letter to Mr. Groedel	92
Errata Sheet	93
(Errata sheet(s) to be forwarded upon execution)	

E X H I B I T S

(None marked)

P R O C E E D I N G S

THEREUPON:

VIDEOGRAPHER: The date is November 15th of 2000. This is the deposition of Jay Falk, M.D., taken in the matter of Kinter versus Bianchi, M.D., et al. The time now is 1:43 p.m. Will Counsel please introduce themselves?

MR. GREENE: My name is Bill Greene. I represent the Plaintiff.

MR. GROEDEL: My name is Marc Groedel. I represent Dr. Bianchi.

MR. MOSCARINO: George Moscarino. I represent Lakewood Hospital.

VIDEOGRAPHER: And will the Court Reporter please swear in the Witness?

JAY FALK, M.D.

having been first duly sworn to tell the truth, the whole truth and nothing but the truth, testified as follows:

DIRECT EXAMINATION

BY MR. GREENE:

Q. Dr. Falk, my name is Bill Greene, I represent the Plaintiff in this case. I'm going to ask you questions, You've been deposed on a number of occasions prior to this, I assume.

1 A. Yes.

2 Q. Is that correct?

3 A. Yes.

4 Q. So you know the rules. If I ask you
5 anything that you don't understand or you want me to
6 rephrase it, tell me and I'll rephrase it and hopefully
7 make it more understandable. Fair enough?

8 A. Yes.

9 Q. You wrote a report dated August 21st, 2000,
10 in this case, correct?

11 A. Yes.

12 Q. Have you looked at that today?

13 A. Yes.

14 Q. Have you read any other material by -- by
15 way of deposition testimony after you wrote this
16 report?

17 A. You mean were there depositions I received
18 after I issued the report --

19 Q. That's what I mean.

20 A. -- between now and then? No.

21 Q. So you didn't -- you haven't read the
22 deposition of Sutton Kinter's mother?

23 A. Well, I'll have to check actually. I'm not
24 sure, to tell you the truth.

25 Q. Okay.

1 A. What is her name?

2 Q. Janice Kinter.

3 A. Not Janice Orndorff?

4 Q. No.

5 A. Then I don't believe I read that dep.

6 Q. Okay. And nurse Fox, did you read her
7 deposition?

8 MR. MOSCARINO: Who is nurse Fox?

9 THE WITNESS: No.

10 MR. GROEDEL: Toth, did you mean?

11 BY MR. GREENE:

12 Q. Faye -- Faye Fox. Y o u -- you've added --
13 you --

14 A. Faye Toth I read.

15 Q. -- mentioned Faye Fox's name in -- in your
16 report --

17 MR. GROEDEL: Toth.

18 BY MR. GREENE:

19 Q. -- but it's Toth now. But she did sign it
20 Fox.

21 A. I --

22 Q. You actually picked it up in your report and
23 mentioned the name Fox, don't you --

24 A. I'd have to look at the report.

25 Q. -- in your report?

1 A. I understand what you're saying

2 Q. Right.

3 A. -- and I did read her deposition --

4 Q. Okay.

5 A. -- if her name is Faye Toth in -- in the
6 deposition.

7 Q. Okay. You did read it?

8 A. Yes.

9 Q. Okay. You're an emergency room doctor?

10 A. Yes.

11 Q. You're from Brooklyn?

12 A. Correct.

13 Q. You look at about maybe forty, fifty
14 malpractices cases a year?

15 A. Something like that.

16 Q. About seventy-five percent for the defense,
17 ball park?

18 A. Not quite that many. Probably more sixty,
19 forty.

20 Q. You're about four hundred and fifty dollars
21 an hour for your fees?

22 A. For depositions.

23 Q. Right. You wrote a chapter on chest pain
24 for the Cantelli book?

25 A. Tintinalli.

1 Q. For the Tintinalli book?

2 A. Yes.

3 Q. And you have special interest in chest pain,
4 don't you --

5 A. Yes.

6 Q. -- chest discomfort?

7 A. Yes.

8 Q. Now, you wrote a report in this case which
9 is three pages long; is that correct?

10 A. I didn't count the pages, but if you say
11 it's three pages, I accept --

12 Q. The last page I see says page three on it.

13 A. Well, then it would be three pages long.

14 Q. Okay. Are you board certified in
15 cardiology?

16 A. No.

17 Q. Are you board certified in cardiac
18 pathology?

19 A. No.

20 Q. Did you read the reports of Plaintiff's
21 expert cardiologist and cardiac -- and cardiologist and
22 pathologist, those two reports, Factor --

23 A. Factor and --

24 Q. -- Joel Kahn?

25 A. Yes.

1 Q. Doctor, after you analyzed all of the
2 material that you read and processed it through your
3 experience and training, you wrote in the last
4 paragraph that you believe that a prudent emergency
5 physician would have wanted to have an EKG done.

6 In substance, is that what you wrote?

7 A. That's exactly what I wrote.

8 Q. Why is that?

9 A. Well, I think that there are a number of
10 reasons, but I think that in -- in patients with a -- a
11 near syncopal event, that one of the considerations
12 would be a dysrhythmia. There are a number of
13 conditions, like congenital heart blocks and things
14 like that, that can present in younger people that may
15 be picked up on an electrocardiogram.

16 And I think that in general, it's -- it's a
17 readily available, easy to perform test, and so just,
18 you know, the prudent thing to do is -- is to get one.
19 I think most -- most emergency physicians would like to
20 have one in -- in these kinds of cases.

21 Q. Now, you would agree that the priority for
22 emergency room physicians must always be to rule out
23 life threatening conditions.

24 Do you agree with that?

25 A. I think as a general principle that that is

1 the case, yes.

2 Q. And you've read Dr. Bianchi's deposition?

3 A. Yes, I have.

4 Q. And you know he was worried that the chest
5 discomfort the patient talked about could have
6 represented a cardiac condition.

7 Do you remember that testimony?

8 A. Not specifically.

9 Q. All right. Let's check Dr. Bianchi's
10 deposition, page eighty-three.

11 You don't recall him being -- being
12 concerned that this might have been a cardiac problem,
13 the chest pain he was -- he was discussing?

14 A. I'm sorry, I -- I wasn't listening.

15 Q. On page eighty-three of his deposition, he's
16 asked, you wanted to do a cardiac test on this patient,
17 you wanted to rule out something that was life
18 threatening, correct. And then he's asked on -- on --
19 on nineteen about cardiac syncope, and he says it's
20 dangerous. And he also says later on in his deposition
21 that he wanted the workup to see if there was a -- a
22 lethal arrhythmia pattern, but he wasn't able to because
23 the patient didn't allow them to.

24 Do you recall the substance of that
25 deposition?

1 A. Yes.

2 Q. Okay. Let me back up for one second. If
3 the patient -- if the doctor is worried that chest
4 discomfort that a patient talked about may represent a
5 cardiac syncope, then you'd want to do an EKG, among
6 maybe other things, but certainly you'd want to do an
7 EKG; is that correct?

8 A. I'm sorry, I -- can you repeat the --

9 Q. If you were concerned about cardiac
10 syncope --

11 A. I'm not --

12 Q. -- if you think maybe the patient's near
13 fainting spell was cardiac in nature, that would be the
14 reason why you would want to do an EKG. You want to
15 check his heart out.

16 Is that fair to say?

17 A. I guess I'm having trouble with the term
18 cardiac syncope. I'm not sure I know what you mean.

19 Q. You've never heard the term cardiac syncope?

20 A. Well, I'm not sure what you mean by it.

21 Q. Well, I mean syncope -- I mean, I can go
22 back to the literature now and read you the definition
23 if you want to. We can go to your literature and read
24 your definition, but your -- I think your -- you review
25 for the Annals of Internal Medicine?

1 A. I review for many journals, yes.

2 Q. All right. But that's one of them, isn't
3 it?

4 A. The Annals of Emergency Medicine, you mean?

5 Q. No, the Annals of Internal Medicine. Isn't
6 that on your -- your curriculum vitae as one of the
7 journals that you review for, sir?

8 A. I don't believe I've reviewed for the Annals
9 of Internal Medicine. I'm not -- I'm not sure,

10 Q. Really?

11 A. Yes.

12 Q. Okay. Well, let's go off the Record for a
13 second.

14 A. I review for a lot of journals.

15 VIDEOGRAPHER: The time now is 1:50 p.m.

16 We're off the Record.

17 (Discussion off the record.)

18 VIDEOGRAPHER: The time now is 1:51 p.m.,

19 and we're back on the Record.

20 BY MR. GREENE:

21 Q. I stand corrected. You review for a journal
22 called Annals of Emergency Medicine; is that correct?

23 A. Yes, um-hum.

24 Q. So when I was asking on page eighty-three of
25 Dr. Bianchi's deposition, I used the term cardiac

1 syncope.

2 That's a new term to you? You don't -- you
3 don't --

4 A. Well, I think --

5 Q. -- recognize that as being --

6 A. I think I know what you mean by it, but you
7 know, even -- even a simple faint, you know, the
8 pathophysiology involves the heart to a certain extent.
9 That's why it's now called neurocardiogenic syncope.
10 So to differentiate out cardiac syncope, I assume you
11 mean that it's a syncopal episode that is primarily
12 caused by a heart condition as opposed to, you know,
13 the heart being involved as in neurocardiogenic
14 syncope.

15 Q. Okay. That was one of the reasons that
16 Dr. Bianchi said he wanted to do an EKG?

17 A. Well, as I said, yes, that arrhythmia would
18 be one of the considerations, and that would be the
19 primary cause for syncope that was -- that was
20 resulting from a primarily cardiac problem, although
21 there are others.

22 Q. I understand.

23 A. Left atrial myxomas can cause syncope.
24 There's a lot of strange things.

25 Q. But there can be cardiac causes. Cardiac

1 arrythmia, for example.

2 A. Arrythmia would be the major one, yes.

3 Q. And that's what Dr. Bianchi wanted to do an
4 EKG for, among other reasons?

5 A. Yes.

6 Q. Now, Dr. Bianchi received a complaint from
7 Mr. Kinter of chest discomfort.

8 Are you familiar with that?

9 A. Yes.

10 Q. And I believe you say in your article that
11 that is a common term for chest pain. used by patients,
12 discomfort?

13 A. I -- I wouldn't make a big distinction
14 between the two.

15 Q. Now, it's important when a physician --
16 emergency room physician gets a report of discomfort in
17 the chest or chest pain to take an appropriate history,
18 is it not, Doctor?

19 A. Yes.

20 Q. And among the things you want to take a
21 history for is where the pain was located?

22 A. Yes.

23 Q. How long the pain lasted for?

24 A. Yes.

25 Q. If it was the first time they had the pain

1 or they had had the pain on other occasions?

2 A. Yes.

3 Q. If the pain -- if the pain was -- when the
4 pain went away?

5 A. Yes.

6 Q. And those are all standard questions that
7 are very important to ask?

8 A. They would be important questions.

9 Q. Right. And -- and you would be critical of
10 a doctor who didn't ask those kinds of questions in the
11 face of -- of complaints from a patient about chest
12 pain?

13 A. Well, I think in general my answer to that
14 question would be correct; however, I think, again,
15 that one needs to put it in the context of -- of the
16 particular patient.

17 Q. A patient coming into your emergency room --

18 A. In other words --

19 Q. -- with chest pain --

20 A, In other words --

21 Q. -- and says Doctor, I've had -- been having
22 chest pain --

23 A. Right. Exactly.

24 Q. -- you would ask him questions?

25 A. Yes. Rut again, the questions I would ask a

1 twenty-six-year-old that has no risk factors for
2 coronary artery disease might be different than a
3 fifty-six-year-old with multiple risk factors.

4 Q. Well, Doctor, you'd still want to know where
5 the pain was, wouldn't you?

6 A. Well, I think -- yes. I -- I said yes.
7 I -- I think you would.

8 Q. I'm talking about the twenty-six-year-old.
9 Duration of pain?

10 A. All of those things would be helpful, yes.

11 Q. Okay. As a matter of fact, you've been
12 critical on Direct or in depositions of doctors seeing
13 patients with chest pain who didn't take a good
14 history, haven't you?

15 A. Yes.

16 Q. In this case, is there anywhere either in
17 Dr. Bianchi's chart or even in his deposition testimony
18 where he notes the location of the chest pain?

19 MR. GROEDEL: Objection to your reference of
20 the deposition testimony.

21 But you can answer the question. Go
22 ahead.

23 BY MR. GREENE:

24 Q. Well, if you just want, let's do it two
25 ways. Let's confine it. Is there anywhere in

1 Dr. Bianchi's chart where he charts what the duration
2 of the chest pain was?

3 A. No.

4 Q. Is there anywhere in Dr. Bianchi's chart
5 where he charts where the location of the chest pain
6 was, where in the chest the pain was?

7 A. Other than the chest, no.

8 Q. Is there anywhere in his chart where he
9 notes when the chest pain ended?

10 A. Well, it -- it says that -- at the time that
11 he wrote his note that the -- the discomfort that he
12 had had earlier was gone and that now he feels fine,
13 so --

14 Q. So sometime between two and a half hours
15 earlier when it started and two and a half hours later
16 when he was interviewing him, the chest pain went away,
17 but you can't tell me when in that two and a half hours
18 it went away?

19 A, That's as close as you can get from his
20 note, correct.

21 Q. Would you agree with me that the note is --
22 has a -- has a deficient history in that it -- it
23 doesn't list when the pain started and where the pain
24 was and when the pain ended?

25 A. Yes.

1 Q. And as far as him asking those -- those
2 questions, you would be critical of him for not asking
3 the questions?

4 MR. GROEPEL: Objection.

5 You can answer.

6 THE WITNESS: Again, I think that the
7 relevance of the answers has to be put into the
8 context of the patient. In other words, if you're
9 considering that this patient may be at risk for
10 having coronary artery disease and therefore
11 you're worried about miocardial ischemia as a
12 potential cause of this patient's pain symptoms,
13 then those features might be helpful in
14 delineating that.

15 If your assessment is that this patient is
16 really not at risk for having coronary artery
17 disease, which, by the way, turns out to be the
18 correct assessment because he had clean coronaries
19 on his autopsy, then I think the -- the details of
20 those features become less germane.

21 Yes, I would be critical because for form
22 sake and the way we like to do things, the most
23 complete way is the best way, but whether or not
24 they would have relevance in terms of decision
25 making in this particular patient, I think it's

1 very unlikely,

2 BY MR. GREENE:

3 Q. Well, Doctor, I didn't mention the word
4 coronary artery disease at all, but I did mention heart
5 problem, and he was worried about him having a heart
6 problem, wasn't he? That's why he wanted to do an EKG.

7 A. Well, I -- I think my interpretation of what
8 he said was that he was worried about a rhythm
9 disturbance, which is a completely separate issue to a
10 certain extent than the chest pain and ischemic heart
11 disease --

12 Q. All right.

13 A. -- which is extraordinarily rare in
14 twenty-six-year-olds.

15 Q. Well, we'll get to that later, how rare it
16 actually is. However, he was concerned enough about
17 his heart to want to do an EKG?

18 A. Yes.

19 Q. And he didn't take any history of his chest
20 pain, did he?

21 A. Well, we don't know what history he took,
22 but what history he recorded is, as you've
23 delineated --

24 Q. Well --

25 A. -- lacking in those details.

1 Q. Well, we know what history he had took
2 because I asked him in his -- in his -- in his
3 deposition early on to tell me everything that went on
4 with that patient, and he never mentioned anything
5 about asking him one question about the chest pain.

6 So we do know, don't we?

7 MR. GROEDEL: Objection.

8 You may answer.

9 THE WITNESS: Well, I guess that's your
10 conclusion, yeah.

11 BY MR. GREENE:

12 Q. Well, you read his deposition, too. Did you
13 conclude otherwise?

14 MR. GROEDEL: Objection.

15 THE WITNESS: Well, I -- I guess to me
16 there's a difference between, you know, tell me
17 what you did and you didn't mention that, and that
18 for sure we know he didn't discuss it, but I'm
19 willing to concede he didn't discuss it.

20 BY MR. GREENE:

21 Q. Okay. Now, you discuss vasospasm or
22 Prinzmetal's angina in your report, do you not?

23 A. Yes.

24 Q. And in your article on chest pain, you have
25 an entire section on variant angina, which is the same

1 thing, do you not?

2 A. You know, I'd have to look at it. Do you
3 mind showing it to me?

4 Q. I do have your chest pain, and let me see if
5 I have it right here in front of me. I think I do.

6 A. You do. I think you do.

7 Q. I'm handing you your report -- not your
8 report but your article (tenders document to the
9 Witness). And Jay Falk and O'Brien, and you have a
10 paragraph on variant or Prinzmetal's angina, don't you?

11 A. Yeah.

12 Q. Okay. So whether it's a rare syndrome or
13 not, you wanted emergency room doctors to be aware that
14 people could die from vasospasms; is that correct?

15 A. I'm sorry, I wanted -- I want people to be
16 aware --

17 Q. You wanted emergency room doctors to be
18 aware of Prinzmetal's angina?

19 A. Yes.

20 Q. Now, in terms of -- did Dr. Bianchi come to
21 a diagnosis of what the patient's problem was?

22 A. Yes.

23 Q. But were you also aware that Dr. Bianchi has
24 testified that he was unable to make a diagnosis
25 because the patient did not allow him to do a test he

1 wanted to do?

2 A. Yes.

3 Q. He felt it was -- he felt it was an
4 important enough test that he testified he asked the
5 patient three or four times to let him do the test, and
6 this patient turned him down every time.

7 Do you remember that testimony?

8 A. Yes.

9 Q. Is syncope and near syncope, when you
10 actually lose consciousness and when you almost lose --
11 lose consciousness, treated about the same by ER
12 doctors?

13 A. Again, it depends. Similar, not necessarily
14 exactly the same.

15 Q. And am I correct in saying that ordinarily
16 chest pain is not a feature of vasovagal syncope?

17 A. Yeah, I -- I think that that -- that would
18 be a fair statement, but I think that, again, there's
19 some interpretation there. This patient had tingling
20 in his hands. It sounds like he was fairly upset by
21 the whole thing, so he may have been hyperventilating.
22 A -- a sense of discomfort in the chest is not uncommon
23 among people that are hyperventilating and feeling that
24 way.

25 So I agree with you that chest pain, per se,

1 is certainly not part and parcel of vasovagal syncope;
2 however, I think that some people who nearly pass out,
3 who have tingling in their hands and are over breathing
4 may feel a discomfort in their chest as well.

5 Q. But ordinarily, if you looked at an article
6 on vasovagal syncope, you wouldn't find chest pain as
7 one of the related features?

8 A. Right. I agree with that.

9 Q. Okay. And the -- you said he had tingling
10 in the hands?

11 A. Yes.

12 Q. In actuality, what he reported was -- and we
13 have very sparse reports of what the chest pain was,
14 but it says tingling in both arms.

15 A. Yes.

16 Q. Is that what -- what it said, Doctor?

17 A. Yes.

18 Q. And as far as the character and nature of
19 the -- of the chest pain and whether or not it would be
20 associated with hyperventilation, since we have no
21 description of what the pain was and how long it lasted
22 and how intense it was and where it was makes it pretty
23 hard for you to opine anything on that, doesn't it,
24 Doctor?

25 A. Well, I think that that's a little bit over

1 stated. I mean, if you read Dr. Bianchi's note, he
2 says he had some chest discomfort earlier but feels
3 fine now. I think that, you know, to -- to then blow
4 that up into how severe his chest pain was is -- is not
5 really being fair.

6 I think that the implication is, the way I
7 read this, is that the -- the discomfort is mild and it
8 is not -- it does not appear to be a substantial
9 complaint to Dr. Bianchi, at least that's how he -- he
10 seems to be interpreting it as far as I can tell
11 reading his note.

12 It would seem to me that an emergency
13 physician who had a patient who had severe chest pain,
14 that that would get a little bit more attention in his
15 note.

16 Q. Where does he say in his note that the chest
17 pain is mild?

18 A. Well, that's the point. Some discomfort, to
19 me, I read that as not terribly severe. He had some
20 discomfort, but he's kind of minimizing it in his note.

21 Q. Where does he say it's mild in the --

22 A. It doesn't. The word mild isn't there. I'm
23 interpreting the -- the notion of some chest
24 discomfort. I find it difficult to believe that an
25 emergency physician who receives information from the

1 patient that he had severe pain in his chest is going
2 to write a note that says some chest discomfort.

3 Q. Well, when he comes up to the nurse who sees
4 him just a few minutes prior to Dr. Bianchi, was he
5 having chest pain?

6 A. Well, we don't know. The way -- it sounds
7 to me, the way this is written, that he's complaining
8 of some chest discomfort and numbness and tingling in
9 both arms, you know, when it began. It's not clear to
10 me that he was actually having it at the time, but it's
11 possible.

12 Q. Okay. I mean, he -- this says that he's
13 complaining?

14 A. Yes.

15 Q. And when you read it and wrote your report,
16 you noted that --

17 A. Yes.

18 Q. -- that he was complaining of chest pain.

19 Would that have been relevant for the doctor
20 to actually know that he had -- we know he had chest
21 pain at the time he was having the bowel movement.

22 A. Yes.

23 Q. You reported that. Would it be relevant to
24 know that he was having chest pain also, Doctor, just a
25 few minutes prior to seeing you I was having chest

1 pain? Would you want to know that as his treating
2 doctor?

3 A. Yes.

4 Q. Did Dr. Bianchi find that out?

5 A. Well, it's not clear to me what he knew
6 about the timing of the pain in terms of when it --
7 when it came and went. He knows that he had had it
8 earlier, and it indicates that he's not having it at
9 the time that he examines him. And we've already
10 discussed and I've conceded that the duration of the
11 pain, from when it started to when it stopped, is
12 unclear from the record.

13 Q. And Dr. Bianchi, who wants to have a test
14 that he thinks is important to rule out a life
15 threatening cardiac arrhythmia --

16 MR. GROEDEL: Objection.

17 MR. GREENE: That's what he testified to.

18 All right. If you want me to go into his
19 testimony, I will, but I think that -- I think
20 that we've established earlier, Doctor, that you
21 recall that.

22 BY MR. GREENE:

23 Q. He asked the patient three or four times to
24 do a test that he thinks is necessary to rule out a
25 life threatening problem.

1 Do you recall that?

2 A. Yes.

3 Q. And the patient turns him down.

4 Do you recall that?

5 A. Yes.

6 Q. Do you recall that Dr. Bianchi described the
7 patient as being cooperative and friendly?

8 A. Do I recall that?

9 a. Yes, do you recall that?

10 A. Yes, I do, um-hum.

11 Q. And do you recognize that if an emergency
12 room physician, because you -- because you train
13 emergency room physicians, don't you, Doctor?

14 A. Yes, I do.

15 Q. Do you recognize that if an emergency room
16 physician feels that he has to do a test to rule out a
17 life threatening problem and he has a patient that is
18 reluctant to undergo the test, that the doctor has some
19 obligation to try to talk the patient into the test?

20 A. Yes.

21 Q. And he has an obligation to make a good
22 faith effort to try to talk him into the test?

23 A. Yes.

24 Q. He has an obligation to, like, say more than
25 four times in a row, I want to do an EKG; he'd have to

1 tell him, for example, why he wants to do it?

2 A. I think an explanation of the rationale for
3 doing any test is part and parcel of what physicians
4 should do with patients.

5 Q. And he knows he had a patient that just had
6 a near syncopal event when he was having blood drawn,
7 so he might be a little reluctant to undergo another
8 test.

9 Is that fair to say?

10 A, I'm not sure I understand what you're
11 saying.

12 Q. Well, you know by history Dr. Bianchi knew
13 that this whole thing started when they went to draw
14 blood from him for his pre-employment physical.

15 A. Right.

16 Q. So you know the patient might -- might have
17 been a little reluctant to undergo any other test and
18 would need some explanation as to why he needed the
19 test.

20 Is that fair to say?

21 A, Well, I would think he was very reluctant to
22 undergo further tests. He refused them.

23 Q. Well, the only -- okay. The only evidence
24 we have that he refused the test was Dr. Bianchi's
25 testimony and some -- and some testimony about a

1 conversation from some people in the hospital legal
2 department.

3 Is that fair to say?

4 A. Yes.

5 Q. But going back, Dr. Bianchi -- you're -- are
6 you familiar with the doctrine -- and I'm sure you
7 are -- in -- in emergency medicine and in medicine of
8 informed assent?

9 A. Yes.

10 Q. Okay. In order for a patient to be charged
11 with the responsibility of turning down a test, the
12 test has to be explained to him and the doctor has to
13 let him know why he wants to do it and why it's
14 important and what's involved in the test.

15 Is that fair to say?

16 A. Yes.

17 Q. Do you know if Dr. Bianchi ever did that?

18 A. Well, I -- we know that Dr. Bianchi wanted
19 to do the cardiogram. We know that he had a working
20 relationship with the patient. As you just mentioned,
21 he described him as cooperative,

22 Q. Friendly and cooperative.

23 A. And -- and so --

24 Q. Well, let me back up, I'll -- I'll make it
25 easier. I'll withdraw the question.

1 Did Dr. Bianchi ever explain to the patient
2 that this EKG was noninvasive and involved no pain?

3 A. I would not know the exact details of that,
4 but I think that it's common knowledge that EKGs
5 involve no pain.

6 Q. Well, do you know that -- that this patient
7 had never had an EKG in his life?

8 A. Well, most people haven't had an EKG, but I
9 think if you took a survey of people around the room
10 and asked them do you think an EKG hurts, they would
11 all say no.

12 Q. It's pretty interesting that you'd say that,
13 because I don't think that's exactly true, but we'll --
14 we'll decide that later on.

15 Whether that's true or not true, you've
16 never read any -- any studies on that, have you; that's
17 just your opinion?

18 A. Yes.

19 Q. Okay. Do you know, though, if Dr. Bianchi
20 ever told him in substance that an EKG is a -- a
21 noninvasive test that involves no pain?

22 A. I don't know for certain that he used those
23 words.

24 Q. Okay, Do you know if he used the words in
25 substance and told him that, in substance told him that

1 this is not invasive and doesn't involve any pain?

2 A. I -- I don't know.

3 Q. Okay. Now, do you have his deposition in
4 front of you?

5 A. Yes.

6 Q. On page sixty-seven, line seven, correct me
7 if I'm wrong --

8 A. Just a moment, please.

9 Q. Read on with me. I'll give you all the time
10 you want to look at it.

11 A. Okay.

12 Q. Question, did you ever tell him in substance
13 that an EKG is a noninvasive test and involves no pain;
14 answer, I don't believe so.

15 Did I read that correctly?

16 A. Yes.

17 Q. All right. Did he ever ask him if he had
18 had an EKG before this, a -- a prior EKG? Did he ever
19 ask him that?

20 A. He didn't recall.

21 Q. Did he ever tell him, most importantly, that
22 he wanted to do an EKG, in substance, because he wanted
23 to rule out something that might be life threatening?
24 Did he ever tell him that?

25 A. Well, I don't think he did tell him that,

1 but you know, I think, again, there's a proportionality
2 issue here in terms of what his real index of suspicion
3 is and -- and why he's doing it --

4 Q. The question is --

5 MR. GROEDEL: Let him --

6 MR. GREENE: -- very direct. I only asked
7 you, did he ever tell him that.

8 MR. GROEDEL: Well, let him -- let him
9 answer the question the way he wants to answer it.

10 MR. GREENE: Well, he wants to answer a
11 question that I haven't asked.

12 BY MR. GREENE:

13 Q. I'll -- I'll tell you what, Doctor. I'll
14 make you an agreement. We can -- if you answer what I
15 ask you, then I'll let you make any statement you want
16 afterwards.

17 A. Well, I'm not making a statement. I'm
18 answering the question you asked me.

19 Q. Do you know if he ever told him in substance
20 that he wanted to do a test to rule out something that
21 might be life threatening?

22 A. Well, I'm trying to answer the question.
22 I -- I think that, you know, one of the questions that
24 you asked him was the same question that you're asking
25 me -- I'm just looking at his deposition -- which is

1 the next question you asked him. And, you know, he
2 said that he -- he was conveying to the patient that --
3 that he felt he was going to be fine and that it's
4 common for people to faint.

5 This is a guy that's very upset. He's
6 embarrassed. He's on his first day of work. He nearly
7 passed out. He's making a scene. His co-workers had
8 to bring him to the emergency room. And Dr. Bianchi's
9 assessment is that he's had a vasovagal syncope
10 reaction, which is a very benign condition, and that is
11 overwhelmingly the most likely thing.

12 So for him to tell him, well, you know, I
13 just want to do this EKG because you might die I think
14 is disproportional to the way he really felt about it.
15 And so I don't think he did tell him that he wanted to
16 do a test to make sure he doesn't die. I think he
17 said, look --

18 Q. That's not what I asked him. I asked him,
19 did you ever explain to him in substance you wanted to
20 do this test because you wanted to rule out something
21 that was -- that might be life threatening, and he said
22 no.

23 A. Right.

24 Q. But he wanted him -- he felt strongly enough
25 that he mentioned it three or four times that he wanted

1 to do this test. He kept on -- according to
2 Dr. Bianchi, he kept on coming back and asking him
3 that. So he must have had an index of some suspicion
4 that there was a problem that he wanted to rule out.

5 Is that fair to say?

6 MR. GROEDEL: Objection.

7 You may answer.

8 THE WITNESS: Well, I think the problem he
9 was trying to rule out was that he'd be in this
10 position today where, God forbid, something did
11 happen, that he'd be criticized for not having the
12 EKG, but I think he felt that it was --

13 BY MR. GREENE:

14 Q. Really?

15 A. -- overwhelmingly likely --

16 Q. Do you really think that? You think that
17 was his testimony?

18 A, -- that the test would be normal,

19 Q. You think that was his thinking then, that I
20 want to save myself from getting --

21 A. Well, I think in emergency medicine --

22 Q. Can I finish? I wanted to save myself --

23 A. Well, if you let me finish, I'll let you
24 finish.

25 Q. -- from getting a hey, knuckle head call.

1 Do you know what a hey, knuckle head call
2 is?

3 A. No.

4 Q. You don't? Do you remember having your
5 deposition taken in a chest pain case where you were
6 the plaintiff's expert, Doctor, about -- exactly eleven
7 months ago today, Kelvy? Do you remember that --

8 A. Not exactly.

9 Q. -- deposition?

10 A. I'm sure you'll refresh my memory.

11 Q. Macelvy (ph). Macelvy. And that's where I
12 got the hey, knuckle head description. You said, no
13 doctor wants to get a hey, knuckle head call. Hey,
14 knuckle head, you know the patient that you saw
15 yesterday who had chest pain, well, he died at a
16 hospital and you didn't even do an EKG on him,

17 Do you remember that testimony at all?

18 A. Not exactly.

19 MR. GREENE: All right. Let's go off the
20 Record for one second,

21 VIDEOGRAPHER: The time now is 2:15 p.m.
22 We're off the Record.

23 (Discussion off the record.)

24 VIDEOGRAPHER: The time now is 2:17 p.m.
25 We're back on the Record.

1 BY MR. GREENE:

2 Q. I'm reading here from a transcript I'm going
3 to show you to see if it refreshes your recollection.
4 Lenona Macelvy and Jacob Macelvy versus, among others,
5 Emergency Medical Group, Dr. Linda Rhine, Dr. Raj Paul,
6 Dr. Hammond, state court in Georgia.

7 Do you remember this case at all?

8 A. Yes.

9 Q. You were the plaintiff's expert?

10 A. Yes.

11 Q. And did you say -- isn't this your language,
12 if I'm going to send you home and you potentially have
13 a high risk thing, I'm going to make absolutely sure I
14 detail my findings, my thinking, so that, God forbid,
15 you were to drop dead, I would have an answer to people
16 who -- who are asking me, well, knuckle head, how come
17 you sent him home? Did I read that correctly?

18 A. Yes.

19 Q. Okay. If --

20 A* But --

21 Q. If Dr. -- if Dr. Bianchi was so concerned,
22 as you testified, to ask him three or four times --

23 A@ No. You --

24 Q. -- to get an EKG because he was -- he was
25 worried about being questioned later on and the patient

1 turned him down every time, can you tell me why when
2 the patient left he dictated a note, which you have in
3 front of you -- you have his note in front of you,
4 Doctor?

5 A. Yes.

6 Q. Does he mention anywhere in that note that
7 he offered the patient an EKG even one time and the
8 patient turned him down?

9 A. No, he doesn't mention that until his
10 addendum the next day.

11 Q. But you --

12 A. Can I respond to -- to --

13 Q. Doctor, you're going to come to trial, and
14 you can respond to anything. But now you're on Cross
15 Examination, and you'll have time -- you'll have time
16 to do whatever you want.

17 MR. GROEDEL: Okay. Well, I -- I will move
18 to --

19 MR. GREENE: Marc will ask you --

20 MR. GROEDEL: I will --

21 MR. GREENE: -- whatever he wants to ask
22 you and you can testify, but I'd like my questions
23 answered, respectfully.

24 MR. GROEDEL: Okay. I will -- I will move
25 to strike the question and answer because this is

1 not Cross Examination, but you can --

2 MR. GREENE: If it's not Cross Examination,
3 it's news to me.

4 MR. GROEDEL: Well, take a look at Ohio
5 Civil Rule 26-B and you'll see it's not --

6 MR. GREENE: Let me go on,

7 MR. GROEDEL: -- Cross Examination.

8 MR. GREENE: Your objection is noted. And
9 I'll --

10 MR. GROEDEL: Well--

11 MR. GREENE: -- finish my questioning, and
12 if the judge feels that I can't ask -- that I
13 can't Cross Examine, I'll have to directly examine
14 your expert, which is, to me, pretty silly.

15 MR. GROEDEL: Well, I don't think you're
16 letting him answer the question fully, Bill.

17 BY MR. GREENE:

18 Q. Doctor, I want to turn to another part in
19 this examination, and that is, assume that Mr. --
20 that -- that -- excuse me, that Sonny, Sonny Kinter,
21 was kept on a cardiac monitor at the hospital,

22 Would you expect that if he had another
23 cardiac arrhythmia or a cardiac arrhythmia that that
24 would show up on the monitor?

25 A. If you're asking me if someone is on a

1 cardiac monitor and they have an arrhythmia will it show
2 up on the monitor, yes.

3 Q. Okay. Mr. Kinter had, in the last two and a
4 half hours prior to Dr. Bianchi seeing him, almost
5 fainted twice and then had at least one, if not two,
6 episodes of chest pain.

7 Is that fair to say?

8 A. Yes.

9 Q. Would that be the kind of patient that you
10 might want to monitor for -- for awhile to -- to see if
11 he either had, A, another syncopal episode, or B,
12 another cardiac episode where he has chest pain?

13 A. Well, I think not necessarily, because there
14 were very plausible explanations for both episodes.
15 One, of course, was the original blood drawing, Even
16 people watching television know that tough cops faint
17 when they have their blood drawn or they see autopsies
18 on Quincy. So he had a very plausible explanation for
19 the first episode.

20 And then the second episode, which happened
21 shortly thereafter, was him straining at stool, which
22 is another very well known etiologic cause of slowing
23 of the heart rate and -- and a vasovagal syncope.
24 so -- so no, I don't think that just the -- just the
25 very fact that there were two episodes in the prior two

1 and a half hours obligates a monitoring period.

2 Q. The question I asked you was, he not only
3 had two episodes of syncope, but he also had at least
4 one, and maybe two, episodes of chest pain.

5 A. Well, again --

6 Q. Would that concern you or not --

7 A. Well --

8 Q. -- as an emergency room physician?

9 A. -- again, it depends on what your
10 interpretation of the chest pain is and what the nature
11 of it and all of the things that we don't necessarily
12 know about.

13 Q. And the interpretation of the chest pain. and
14 what it was and how worrisome the chest pain -- pain
15 was would rely upon finding out about the chest pain,
16 right?

17 A. If you --

18 Q. You would have to find out about it first,
19 don't you?

20 A. Yes. I think more details --

21 Q. All right,

22 A. -- would be necessary.

23 Q. And Dr. Bianchi --

24 A. But again, I think --

25 Q. And Dr. Bianchi --

1 MR. GROEDEL: Wait. Wait. Can you let him
2 finish his answer, Bill? I don't --

3 MR. GREENE: Yeah. That -- that was an
4 episode where I definitely interrupted YOU, and
5 I'm sorry. Do you have anything else you want to
6 say?

7 THE WITNESS: That's very gracious of you,
8 Counsel.

9 BY MR. GREENE:

10 Q. Thank you. I try and be gracious.

11 A. Yes. I -- I think that in the -- again, in
12 the -- you know, it's -- it's easy to divide these
13 things into little compartments and then harp on what
14 one ought to do given this compartment versus that
15 compartment, but I think that when you look back at the
16 whole case and you're talking about a
17 twenty-six-year-old with no risk -- no risk factors for
18 having underlying coronary artery disease who has a
19 clear, justifiable reason to have a near fainting
20 episode and then has a little bit of discomfort in --
21 in the chest associated with it, by implication,
22 because it's not harped on as -- as an issue, then I
23 think that it's completely acceptable to believe that
24 this entire episode is a vasovagal episode. It's the
25 most common by far. It's way the most likely

1 explanation of this.

2 Q. Doctor, where in the literature can I find
3 chest pain as a feature of vasovagal syncope?

4 A. Again, we -- we have discussed --

5 Q. Can you name me any place?

6 A. No. We've discussed this already, and I
7 think that it's -- it's not -- chest pain in and of
8 itself is not characteristic of vasovagal syncope, and
9 we've discussed that.

10 Q. So we have somebody with a feature that
11 doesn't fit the diagnosis.

12 Fair to say?

13 A. Not necessarily. Again, we will quibble
14 over the details of it, but I would contend that
15 someone who has -- has nearly fainted, is
16 hyperventilating, has tingling in his arms and a little
17 bit of mild chest discomfort is completely consistent
18 with that, if that's the way it was.

19 Q. There --

20 A. Now, if he has crushing chest pain --

21 Q. Well, we don't --

22 A. -- that lasts for two and a half hours, then
23 I agree with you, but I don't believe that if he had
24 those things, that the -- the outcome would have been
25 this. I think it would have been different.

1 Q. The outcome?

2 A. Yes. I think that if, as you're implying --

3 Q. Well, let me strike that.

4 A. -- the patient had a major episode of two
5 and a half hours' worth of crushing chest pain, that
6 this is not the record we would see, nor would that
7 have been the behavior on the part of Dr. Bianchi.

8 Q. I didn't imply he had two and a half hours
9 of crushing chest pain. I implied the record shows
10 that he had chest pain at the time of his bowel
11 movement as an associated feature of his syncope, and
12 he also had chest pain when he went to the ER room
13 several hours later. Whether one lasted fifteen
14 minutes and the other lasted ten minutes, I don't know.
15 Nobody knows.

16 And why don't we know, Doctor?

17 A. Because it's not in the records.

18 Q. No one took an adequate history.

19 Fair -- fair to say?

20 A. Yes. I've conceded that,

21 Q. Okay. You do agree, however, that if the
22 patient had an EKG, since he was asymptomatic at the
23 time Dr. Bianchi saw him, an EKG would probably be
24 negative.

25 Isn't that what you wrote?

1 A. Yes.

2 Q. Okay. But if you were doing an EKG for the
3 purpose of ruling out a cardiac arrhythmia, you'd want
4 to do the EKG when the patient was symptomatic,
5 wouldn't you?

6 A. Well, I mean, ideally whenever we're trying
7 to diagnosis rhythm disturbances, you would like to
8 have the patient to have the disturbance when you're
9 doing the tracing. Unfortunately, you can't command
10 the patient to have the problem at the time that you're
11 monitoring them, which is why we use things like halter
12 monitors and event monitors to pick them up.

13 Q. Sometimes you keep the patients around for a
14 while in a step-down unit or some other unit and just
15 monitor them for a couple of hours before letting them
16 go home.

17 You do that, don't you, Doctor?

18 A. Actually, I don't do that very often, but
19 it -- it is a strategy, yes.

20 Q. Sometimes it's a strategy to get a little
21 consult from a cardiologist if you're concerned?

22 A. Yes.

23 Q. And sometimes it's a strategy if you have a
24 patient who is not letting you take a test you want to
25 take to have someone else talk to them, like his wife

1 or man~~h~~ his co-work~~r~~; isn't that correct?

2 A. Well, there~~r~~ are all kinds of strat~~e~~gies to
3 co~~r~~erce peo~~p~~le into -- into doing things.

4 Q. Do you know what strat~~e~~gy Dr. Bianchi used?

5 A. No.

6 Q. Do you know Dr. Bianchi?

7 A. No.

8 Q. Have you ever testified for Mr. Groedel's
9 law firm?

10 A. I know that I've reviewed at least one other
11 matter for their firm, but I'm not sure that it went to
12 deposition.

13 Q. You didn't read the mother's deposition, but
14 let us say that the patient, Sonny Kinter, went home
15 and was still having chest pain, started having chest
16 pain again.

17 Would that -- had he been monitored at that
18 time and then -- this was within thirty minutes of
19 Dr. Bianchi saying good bye to him -- had he been on a
20 monitor at that time, I -- I believe your testimony is
21 that it would have been positive?

22 MR. GROEDEL: Objection.

23 You may answer.

24 THE WITNESS: Well, that's not what I said.

25 I said -- You're -- You're equating chest

1 discomfort or chest pain with arrhythmia, and --
2 and I have no way of knowing whether or not he
3 would have an arrhythmia with that chest pain.

4 BY MR. GREENE:

5 Q. Well --

6 A. And that's the only thing a monitor --

7 Q. Right. Well --

8 A. -- would pick up is an arrhythmia.

9 Q. -- if a patient has -- is having coronary
10 artery spasm at the time of his EKG, is that going to
11 show up on an EKG?

12 A. A twelve-lead EKG, yes.

13 Q. Okay. Well, that's what you use, don't you,
14 in the ER room, twelve-lead EKG?

15 A. Not for monitoring. That's what you use --

16 Q. I understand, though, and if you did an EKG
17 at that time.

18 A* Yes, if --

19 Q. Okay. If he says I'm in pain, I'm having
20 pain, you do an EKG?

21 A. Yes.

22 Q. All right, And I believe you testified at
23 length in the Macelvy deposition, but I'm going to ask
24 you this. There's a big difference between in-hospital
25 cardiac arrest and out-of-hospital cardiac arrest.

1 Do you agree with that -- in terms of
2 mortality?

3 A, Well, it turns out that if you take -- if
4 you take all the cumbers, the -- the answer is probably
5 not, but for -- but for people with acute coronary
6 syndromes, if you're in a monitored setting at the time
7 of the event, absolutely there's a huge difference.

8 Q. So, for example, in Sonny Kinter's case, if
9 he had had his cardiac arrest not at home in the
10 bathroom where he's wedged into door, but in the
11 hospital when he's on a monitor, the outcome would have
12 probably been different.

13 Would you agree with that?

14 A. Absolutely.

15 Q. He would have lived?

16 A. Well, I don't know if he would have lived,
17 but he -- he certainly would have had a much better
18 shot at living, yes.

19 Q. Well, the -- I think you quoted statistics
20 that survivability of in-hospital cardiac arrest is
21 something like ninety-three percent?

22 A. That's probably -- that's probably
23 successful defibrillation, but not -- not survival.
24 But I -- I'm not arguing the point. There's no
25 question that he likely --

1 Q. He would have been much better off in a
2 hospital?

3 A. Well, he likely would have survived.

4 Q. Okay. And do you have expertise in the
5 treatment and outcome of young people who have
6 Prinzmetal's angina?

7 A. No.

8 Q. Okay. So you're not going to be offering
9 any testimony about that?

10 A. No.

11 Q. Okay. You were aware from reading
12 Dr. Factor's report that he found evidence of
13 myocardial necrosis that was at least four to six hours
14 old?

15 A. Yes.

16 Q. And you don't think that an EKG that was
17 done six hours prior to death would have -- would have
18 been abnormal in spite of that finding?

19 A. That's correct.

20 Q. So you would disagree with Dr. Factor on
21 that?

22 A. Yes.

23 Q. And with Dr. Kahn on that?

24 A. Yes.

25 Q. Okay. I know that you have an interest in

1 emergency cardiac care, correct?

2 A, Yes.

3 Q. Do you have an expertise in cardiac
4 pathology?

5 A. I'm not a pathologist,

6 Q. Okay. You don't hold yourself out as being
7 a heart specialist in the medical community, do you,
8 Doctor?

9 A. No.

10 Q. Okay. Do you know why it is that
11 Dr. Bianchi would be concerned enough to offer a
12 patient a test three or four times but then when the
13 patient leaves never put that in the chart until after
14 he dies, not put it in his note?

15 MR. GROEDEL: Objection.

16 You may answer.

17 THE WITNESS: No.

18 BY MR. GREENE:

19 Q. As a matter of fact, when you or any of your
20 associates have a similar situation, you're careful to
21 put it in the chart, aren't you?

22 A. We all do our best to document as best we
23 can.

24 Q. But you teach your residents and you teach
25 your students that if a patient turns down a test that

1 you think is important and is going to leave the
2 hospital, to put it in the chart?

3 A. Yes.

4 Q. That's acceptable standard practice, isn't
5 it?

6 A. I think so.

7 Q. Okay. So why Dr. Bianchi chose to tell a
8 story after the patient died he didn't tell when the
9 patient was alive and leaving his ER room is something
10 you're not going to testify about because you don't
11 know the answer?

12 MR. GROEDEL: Objection.

13 THE WITNESS: Correct.

14 BY MR. GREENE:

15 Q. So whether Dr. Bianchi asked him one time
16 casually and didn't fight him on it or whether he asked
17 him three or four times is something you don't know?

18 A. Right. I -- I can only take him at his word
19 and -- and what he's documented in the record and what
20 he's sworn to in testimony. If he's -- if he's lying
21 about it, I have no way of knowing that or no opinion.
22 I mean, that's not -- I don't believe that to be the
23 role of the expert to decide that,

24 Q. I agree. But when -- when Sonny Kinter went
25 home and talked to his mother, who is a nurse, and the

1 mother testified that she had to explain to him what an
2 EKG was in detail because he had no idea, would that
3 tend to indicate that it was not explained to him by
4 Dr. Bianchi if that's true?

5 MR. GROEDEL: Objection.

6 THE WITNESS: Well, I suppose if when he got
7 home he had no idea what the test was, then by
8 implication no one had explained it to him.

9 BY MR. GREENE:

10 Q. Okay. And part of the standard of care is
11 to not only offer a patient a test but to explain to
12 him why you want it in case he turns it down, explain
13 to him why -- why you want to do it so he can make an
14 informed assent or informed consent.

15 That's only fair, isn't it, Doctor?

16 A. I think we've been through this a number of
17 times.

18 Q. Okay. Do you know how long Dr. Bianchi
19 spent with this patient?

20 A. I -- my understanding was somewhere around
21 fifteen, twenty minutes maybe.

22 Q. Do you know how much of that time was spent
23 in discussing whether or not to do an EKG?

24 A. I certainly do not.

25 Q. Have you had patients turn down EKGs?

1 A, Yes.

2 Q. On how many occasions?

3 A. It happens.

4 Q. On how many occasions do you recall that
5 happening?

6 A. I have no idea.

7 Q. How do you do with talking them into it?

8 A, I'm -- I bat close to a thousand.

9 Q. I mean, let's go through it because I -- I
10 don't know.

11 It doesn't hurt, right?

12 A. Right.

13 Q. It's pretty quick?

14 A. Yes.

15 Q. And were you aware that this patient had
16 been told by two separate people at Lakewood Hospital
17 that they were going to pay the bill?

18 A. Yes.

19 MR. GREENE: Let's go off the Record for a
20 second.

21 VIDEOGRAPHER: Time now is 2:33 p.m. We're
22 off the Record.

23 (Discussion off the record.)

24 VIDEOGRAPHER: Time now is 2:34 p.m. We're
25 back on the Record.

1 BY MR. GREENE:

2 Q. Dr. Falk, do you own a copy of Braunwald --
3 Braunwald Heart Book?

4 A. Yes, I do.

5 Q. How about Hurst's, The Heart, do you have a
6 copy of that, too?

7 A. Yes.

8 Q. That's a standard, acceptable reference book
9 in the area of the heart?

10 A. Yes.

11 Q. And your testimony is that variant angina
12 is -- is uncommon, correct?

13 MR. GROEDEL: Generally or with a
14 twenty-six-year-old?

15 MR. GREENE: We'll go into the age group.

16 MR. GROEDEL: Okay.

17 BY MR. GREENE:

18 Q. But it's uncommon?

19 A. Well, it's --

20 Q. It's not a tricky question. I mean, it says
21 in Hurst's it's -- it's uncommon. I'm just --

22 A. Yeah.

23 Q. -- trying to move this along.

24 A. It's uncommon, and -- and you see it in
25 young people especially when there are reasons to -- to

1 see it. Like, cocaine would be the most prevalent
2 reason in our society that we see it.

3 Q. Okay. But we know he wasn't on coke --

4 A. Exactly.

5 Q. -- because he had been tested that very day
6 for that.

7 A. Well, it makes it -- so my point is that it
8 makes it even less likely that he would have this.

9 Q. But do you know if Dr. Bianchi ever had the
10 result of that test in his hand?

11 A. Well, but I think that it was clear that he
12 wasn't a cocaine freak,

13 Q. You can tell that by just talking to people?

14 A. Well --

15 Q. I mean, watching baseball players, I don't
16 know that to be a fact.

17 A. Well, that's a whole different --

18 Q. All right.

19 A. -- issue.

20 Q. However, sudden death in variant angina
21 patients is very common?

22 A. Well, in patients with established diagnoses
23 of variant angina that have recurrent episodes of spasm
24 it's not uncommon, yes.

25 Q. Okay.

1 A. But if you wanted -- but if -- but, you
2 know, we don't know that he -- prospectively, we didn't
3 know that he has that diagnosis, so if you want to take
4 the incidents of sudden death in the population of
5 young people that faint when they give blood, you know,
6 it's like one in a million.

7 Q. Okay. Then add people who have multiple
8 syncopal events with chest pain.

9 A. Well, first of all, he never passed out, so
10 multiple near syncopal events.

11 Q. Well, near syncopal events with chest pain
12 recurring, is that still one in a million, Doctor, and
13 if it is, where is a study that tells me that?

14 A. Right. Well, there is no study, but it's --
15 it's clearly not common.

16 Q. And yet Dr. Kahn says every cardiologist has
17 patients who have variant angina?

18 A. Yes.

19 Q. Okay. So it's nothing you've not heard
20 about.

21 You even talked about it in your chapter?

22 A. Yes.

23 Q. The syncope, though, isn't the syncope --
24 isn't chest pain with syncope, doesn't that provide a
25 clue that you may be involved with variant angina?

1 A. Well, you know, again, we've -- we've danced
2 around this, you know, three or four times now, and
3 I've stated --

4 MR. MOSCARINO: I'm sorry. Go ahead.

5 THE WITNESS: That's okay. You know, I've
6 stated my opinion about it and --

7 BY MR. GREENE:

8 Q. Well, I'm going to Cross Examine you on it
9 then, Doc, because in -- in Hurst's -- and I'll show it
10 to you -- they talk about variant angina being uncommon
11 and that the symptoms are not usually remarkable, but
12 they say -- and tell me if I read this wrong --
13 syncope, presumably due to ischemia-induced ventricular
14 arrhythmia to the arterial ventricular block during --
15 during rest angina is a useful diagnostic tool, I
16 think I messed that one word up, arterial.

17 A. Atrial.

18 Q. Atrial ventricular.

19 They do say that, don't they, useful tool,
20 useful clue?

21 A. Yes. But again, you know, you -- you're
22 taking that out of context, and if you're trying to
23 apply that to this case, it -- it really doesn't apply
24 because that -- what that implies is somebody is simply
25 sitting there, develops chest pain and passes out, but

1 that's not at all what happened in this case.

2 What happened in this case is, the guy went
3 to get blood drawn and nearly fainted, and then he went
4 to have a bowel movement and nearly fainted again. And
5 during those episodes, there was some issue of chest
6 discomfort. That is a very different presentation to,
7 a guy, for no apparent reason, is sitting there,
8 develops chest pain and passes out.

9 Q. Do you know, Doctor, if he had the chest
10 pain prior to passing out or had the chest pain when he
11 awoke? Do you know that?

12 A. Well, A, he never passed out.

13 Q. Prior to getting --

14 A, But B, it's --

15 Q. That's the question. Do you know that?

16 A. It's -- it's not clear from the record.

17 Q. And it's not clear because there was not an
18 adequate history taken, right?

19 MR. GROEDEL: Objection.

20 THE WITNESS: Well, but we do know that the
21 two precipitating events to his episodes were the
22 blood drawing and the squeezing at stool. That is
23 clear from the record.,

24 BY MR. GREENE:

25 Q. Okay. When he had the chest pain squeezing

1 the stool, did he have it prior to feeling faint or
2 after he felt faint?

3 A. I don't know.

4 Q. It wasn't asked, right? It wasn't asked?

5 A. Right.

6 Q. It wasn't answered, right?

7 A. That's correct.

8 Q. Okay. Did he have -- did he have chest pain
9 for fifteen, twenty minutes after he no longer was
10 dizzy? Do you know that?

11 A. I -- I don't know for sure.

12 Q. It wasn't asked, was it?

13 A. Correct.

14 Q. Doctor, as far as how rare -- you're not
15 testifying that variant angina or Prinzmetal's angina
16 in an unheard of phenomena, are you?

17 A. Obviously it's not unheard of since we've
18 all heard of it.

19 Q. Have you read studies on variant angina?

20 A, Yes.

21 Q. Did you read any in preparation for this
22 deposition?

23 A. Actually, I just read this -- just that
24 case report and review of the literature that I found
25 very quickly a few days ago.

1 Q. Okay. I wonder if I could take a look at
2 it.

3 A. Sure (tenders document to Counsel).

4 MR. GREEN: Can we go off the Record,
5 please?

6 VIDEOGRAPHER: The time now is 2:40 p.m.,
7 and we're off the Record.

8 (Discussion off the record.)

9 VIDEOGRAPHER: The time now 2:41 p.m. We're
10 back on the Record.

11 BY MR. GREENE:

12 Q. Doctor, you -- you looked at an article that
13 appeared -- I don't know if I've got the publication
14 here or not. I just have your library name, but you
15 read it.

16 And am I right in saying that your article
17 says there's an excellent long-term outcome of treated
18 VAP, variant angina, with eighty to -- eighty-nine to
19 ninety percent overall survival at five years?

20 A. Yes.

21 Q. Okay. Did you read the study from the
22 Cleveland Clinic where they had a hundred and one
23 variant angina patients, the youngest being twenty
24 years old?

25 A. No.

1 Q. Would you like to read it?

2 A. Yes, if I see it.

3 Q. Okay, I'll -- I'll leave you with it
4 because you're going to testify at trial. I thought
5 you'd be interested in seeing it.

6 A. Thank you.

7 Q. Okay. Do you know we've had two high school
8 football players die of heart attacks in Cleveland in
9 the last couple of weeks? Would that be a rare event?

10 A. Are you sure they died --

11 MR. GROEDEL: Objection.

12 THE WITNESS: I'm not sure I know what YOU
13 mean by a heart attack, but okay.

14 BY MR. GREENE:

15 Q. They died of heart-related reasons.

16 A. Well, that's not -- that is rare, yes. I
17 mean, if you -- if you look at the number of high
18 school football players there are and -- and the number
19 that drop dead, it's very small, but it happens. It
20 happens to lots of athletes.

21 Q. Your criticism in Macelvy of Dr. Hammond
22 was, quote, Dr. Hammond, in my view, clearly did not
23 ask the appropriate questions, and I think her written
24 chart does not reflect a thoughtful history.

25 Do you recall saying that?

1 A. Yes.

2 Q. Does Sonny's chart reveal a thoughtful
3 history of his chest pain?

4 A. No. It reveals a thoughtful history of the
5 episodes with the chest pain being minimized in the --
6 in the record as a -- as --

7 Q. Actually, the only thing he says about the
8 chest pain at all is that he had some?

9 A. Right, Exactly. So the implication being
10 that in his discussion with the patient, that -- that
11 that was not a significant, prominent feature of the
12 episode would be how I would read that.

13 Q. And you -- in his discussion with the
14 patient, did he ask the patient how severe the pain
15 was?

16 A, Well, again, we've discussed --

17 Q. He didn't ask him any --

18 A. -- this and that we don't know the --

19 Q. -- any question --

20 A. I don't know the answer to those questions.

21 Q. He didn't ask him, as far as you know by the
22 chart and by his deposition testimony, he didn't ask
23 him any of those questions that you consider important
24 to ask in chest pain patients?

25 MR. GROEDEL: Objection --

1 THE WITNESS: Right.

2 MR. GROEDEL: -- with respect to your
3 reference to the deposition.

4 BY MR. GREENED:

5 Q. You did read his deposition, did you not?

6 A. Yes, I did.

7 Q. Okay.

8 MR. GROEDEL: We're just not sure that your
9 history was the same history that he took.

10 BY MR. GREENE:

11 Q. How long -- now, Doctor, do you think
12 retrospectively that this patient had two different
13 disorders? Do you think he had vasovagal syncope and
14 just happened to have Prinzmetal's angina at the same
15 time and the two are not related? Is that what you
16 think?

17 A. No. I think in retrospect that the
18 likelihood is that his -- that he did have a vasovagal
19 episode and that, in retrospect, I think that he
20 probably associated with that vasovagal episode had
21 some coronary spasm. That's how I would interpret
22 that.

23 Q. so I -- so I am clear as to terms, do you
24 think that the reason for his faints had to do with his
25 coronary spasm?

1 A. No.

2 Q. Okay. So you think he had -- he had two
3 vasovagal episodes and just happened to have
4 Prinzmetal's angina and die at the same time, but
5 they're not related? That's what I was asking.

6 A. No, that's --

7 Q. You think that's unrelated?

8 A. That isn't what I said. I --

9 Q. How is the spasm related to the near faints?

10 A, I think that it was precipitated by those
11 episodes. If you -- you know, if you look at the --
12 the pathophysiology of what can precipitate spasm, one
13 of the -- the well known causes is hyperventilation.
14 In fact, there's a thing called the hyperventilation
15 test, which in this article is -- is more specific than
16 ergonovine testing for -- for coronary artery spasm.

17 So patients that -- that hyperventilate and
18 have -- happen to have Prinzmetal's angina as an
19 underlying thing can precipitate an episode, And that
20 would be my -- my hypothesis would be that he had this
21 vasovagal reaction to the blood drawing and then the
22 bowel movement. During those episodes, he
23 hyperventilated, which causes the tingling in his arms
24 and precipitated these episodes of spasm.

25 Q. Would it also cause his chest pain?

1 A. Well, the spasm would have caused the chest
2 pain then, I suppose, yes.

3 Q. All right. Doctor, you've written that when
4 anybody comes in with acute chest pain, the possibility
5 of acute myocardial ischemia must always be considered?

6 A. Yes.

7 Q. And you also wrote that patients complaining
8 of -- of acute chest pain should -- shall be triaged,
9 correct?

10 A. Shall be what?

11 Q. Triaged,

12 A. Triaged.

13 Q. Triaged, Excuse my pronunciation. Triaged.

14 A. Yes.

15 Q. Okay. And you've also written that
16 basically any adult that has chest pain needs a chest
17 x-ray and an EKG?

18 A. In general I would agree with that.

19 Q. Did he get a chest x-ray?

20 A. No.

21 Q. Did he get an EKG?

22 A. No.

23 Q. You've also written in the Macelvy case and
24 you testified the amount of history I can get from the
25 chart is clearly inadequate.

1 Did you write -- did you say that in the
2 Macelvy case?

3 A. I might have, I don't have the deposition
4 in front of me, as you do. I'm -- if -- if you've
5 written it down, then I assume that that would be
6 something I said. It sounds like something I would
7 say.

8 MR. GREENE: Okay. Let's go off the Record
9 for a minute.

10 VIDEOGRAPHER: The time now is 2:47 p.m.,
11 and we're off the Record.

12 (Discussion off the record.)

13 VIDEOGRAPHER: The time is 2:48 p.m. We're
14 back on the Record.

15 BY MR. GREENE:

16 Q. Doctor, assuming that Dr. Bianchi had -- had
17 the patient stick around awhile, was observed, and the
18 patient had chest pain while under the observation
19 period. Let's say the patient even had his cardiac
20 arrest while under the Observation period,

21 To a reasonable probability, he would have
22 been resuscitated in the hospital, correct,
23 successfully?

24 A. Yes.

25 Q. He would probably have no heart damage?

1 A. Well, that's a little bit more difficult
2 to -- to know, but he -- he may not have.

3 Q. Well, in the Macelvy case, she had unstable
4 angina, and you felt if she was resuscitated from a
5 heart attack, she wouldn't have any heart damage.

6 Why would he be any different?

7 A. I -- I don't believe that's what I would
8 have said in the Macelvy case. If you can find that
9 for me. That she'd have no heart damage I think would
10 be a little bit over stated. If --

11 MR. GREENE: Let's go off the Record and
12 I'll look for it, see if I'm right. If I'm wrong,
13 I apologize.

14 THE WITNESS: Just keep in mind that I don't
15 review the Annals of Internal Medicine.

16 BY MR. GREENE:

17 Q. Doctor, yes, I said Annals of Internal
18 Medicine. And I think you look at six or seven
19 publications, and one of them is not the Annals of
20 Internal Medicine --

21 A. Correct.

22 Q. -- although as I recall, you are board
23 certified in intern medicine as well?

24 A. Yes, I am.

25 Q. So that might have been something that you

1 would look at. At any rate, I think I -- I'm reading
2 this right. So I think that, yeah, the overwhelming
3 probability is if you had unstable angina and you're
4 admitted to a coronary care unit, the overwhelming
5 probability -- probability is that you will survive,
6 but whether you will survive without any muscle damage
7 or some muscle damage depends on a wide variety of
8 factors, which you talked about. At the bottom of the
9 page, you said, I think it's very unlikely she would
10 have had any muscle damage or any cardiac arrest.

11 A. Well, that isn't the question you asked me.
12 The question you asked me was that if he had a cardiac
13 arrest and was -- was resuscitated, would he have no
14 heart damage, and I said I don't know about no heart
15 damage. And you said, well, I testified in this case
16 that if somebody arrested and was resuscitated they
17 would have no heart damage, and that is clearly not
18 what I said.

19 Q. You said if someone has unstable angina and
20 has -- and is in the coronary care unit, the
21 overwhelming probability is you will survive, and I
22 read you --

23 A. Right.

24 Q. -- the first part because you were talking
25 about surviving a heart attack.

1 A. But that wasn't the question you asked me.
2 You asked me would there be any heart muscle damage,
3 and that isn't what we're talking about in -- in those
4 two patients.

5 Q. So you found this unstable angina patient we
6 were talking about having a heart attack and not having
7 any damage because their -- their heart is -- let's say
8 myocardial infarct. Let's say a sudden cardiac death.
9 Cardiac arrhythmia stops your heart.

10 How long does it have to be stopped before
11 there is muscle damage?

12 A, It's very hard to know.

13 Q. Okay.

14 A. It depends on the coronary anatomy, the
15 quality and timing of the resuscitation. These
16 patients -- these patients often will bump their
17 enzymes, and clearly they've had some muscle damage
18 once they've arrested,

19 Q. Okay.

20 A. How much is very variable,

21 Q. What was the reason -- YOU do -- you -- you
22 have testified that if Mr. Kinter had had a cardiac
23 arrest, he would have been resuscitated if he was in
24 the hospital successfully, correct?

25 A. I think that that's more likely than not.

1 Q. And do you know what the clinical outcome of
2 these patients are that are treated medically?

3 A. Well, those -- those data don't really speak
4 to the people who have arrested. They're -- they're
5 talking about the whole population of people who are
6 treated. And I've conceded and you've established that
7 in general, this disease carries a very benign
8 prognosis in long-term survival, and I agree with that.

9 Q. Once it's identified? Once it's identified?

10 A. Yes.

11 MR. GREENE: Okay. Off the Record for a
12 second.

13 VIDEOGRAPHER: Time now is 2:52 p.m. We're
14 off the Record.

15 (Discussion off the record.)

16 VIDEOGRAPHER: Time is 2:52 p.m. We're back
17 on the Record.

18 BY MR. GREENE:

19 Q. Doctor, do you have any opinion of what the
20 myocardial necrosis that Dr. Factor found that was at
21 least four to six hours old was from?

22 A. Well, can I -- can I just look at his report
23 for a moment?

24 Q. Yeah.

25 MR. GREENE: Let's go off the Record while

1 the doctor reads the report.

2 VIDEOGRAPHER: The time is 2:53 p.m. We're
3 off the Record.

4 (Discussion off the record.)

5 VIDEOGRAPHER: Time now is 2:54 p.m. We're
6 back on the Record.

7 BY MR. GREENE:

8 Q. Dr. Factor finds evidence of myocardial
9 necrosis at least four to six hours old.

10 Do you know what that represents?

11 A. Do I know what it represents?

12 Q. Yes. How did he get it?

13 A. Well, yes, I -- I think that it's from
14 diffuse coronary spasm because it's -- it's small areas
15 throughout both the right and the left ventricle, so
16 it -- it involves a -- a widely distributed geographic
17 area, but it's not like a transmural myocardial
18 infarction where it's localized and very severe in one
19 spot. It's more diffuse, And there's fibrosis
20 throughout also, which to me indicates that this
21 probably has happened before.

22 Q. In -- in lieu of that, accepting that as
23 being correct, is it still your opinion that an EKG
24 taken in the emergency room by Dr. Bianchi would have
25 been negative?

1 A. Yes.

2 Q. Okay.

3 A. Yes, because, you know, the -- the --
4 this -- the EKG is very good at picking up injury
5 pattern and ischemia, especially when it's localized to
6 certain sections of the heart, like the anterior wall,
7 the posterior wall. But this implies to me that this
8 is very diffuse but not -- not uniform in its
9 distribution.

10 So, you know, I think that it's -- it's
11 unlikely that you're going to see that on -- on an EKG,
12 and especially during the time when he's pain free,
13 which is when Dr. Bianchi would have had the
14 opportunity to -- to take the EKG is when he already
15 didn't have any pain.

16 Q. Do you know if the -- there would have been
17 any abnormalities at all?

18 Are -- are you saying the EKG would have
19 been entirely normal?

20 A. I think that's the most likely outcome.

21 Q. And as soon as he has symptoms, then it
22 would be abnormal if taken while he was symptomatic, to
23 a probability?

24 A. Well, again, the classic discussion about
25 variant angina is that during episodes of pain, most of

1 the time that's how you make the diagnosis. There is
2 ST elevation during the pain which goes away --

3 Q. so --

4 A. -- excuse me, when there's no pain. But
5 there is a subset of patients -- there are a subset of
6 patients --

7 Q. Right.

8 A. -- with variant angina that have pain and no
9 EKG changes, but usually --

10 Q. Where is --

11 A. -- they tend to have them.

12 Q. There is a huge subset of patients that have
13 EKG changes while not having pain with variant angina,
14 isn't there?

15 A. I -- I think that's not common, no.

16 Q. Okay. Well, we'll get to that.

17 A. That's not my understanding.

18 Q. I'll have to pull a study out I have,
19 because I think it says opposite, but I'll -- I'll do
20 that just prior to the -- to the deposition ending so
21 we can keep -- we can go along,

22 So are you saying if the triage nurse had
23 put an EKG on him when he was complaining of pain in
24 the emergency room when he first came in, it likely
25 would have been positive?

1 MR. MOSCARINO: I'll object to that's what
2 the triage nurse is saying the patient had at the
3 time because that's -- that's inconsistent with
4 her deposition testimony.

5 MR. GREENE: Well, it's very consistent.
6 She testified what she wrote.

7 BY MR. GREENE:

8 Q. Assuming -- assuming that Mr. Kinter had
9 pain while he was being examined in the ER room by the
10 nurse and that she did an EKG on him while he was in
11 pain, it likely would have been positive, according to
12 your testimony.

13 Fair to say?

14 A. Well, I --

15 MR. MOSCARINO: Objection to the according
16 to her testimony, because her testimony is that he
17 was feeling better and he wanted to go home.

18 MR. GROEDEL: I'll join in the objection.

19 You can answer.

20 MR. GREENE: I'll -- I'll rephrase it,
21 George.

22 BY MR. GREENE:

23 Q. Assuming that the nurse's note is correct --
24 and I'll read you the note. The note reads, complains
25 of -- of some chest discomfort and numbness, and then

1 puts the time down as 4:31, and tingling in -- in both
2 arms.

3 There, if that's true, he's symptomatic
4 right in the emergency room, correct?

5 A, If -- if that's what that means. If he's
6 symptomatic -- I think your question is, if he was
7 symptomatic, indeed, when he walked into triage and she
8 would have slapped a twelve-lead EKG on him immediately
9 at that time, would it have been positive --

10 Q. No, that's not what I'm asking.

11 A. Oh, okay.

12 Q. I'm not talking about slapping it on. I'm
13 saying doing it while he's in pain, because your --
14 your testimony is, while he's in pain and having the
15 numbness and the tingling, if he has an EKG done at
16 that time, it's likely positive,

17 A. Well, it's much more likely that it would be
18 positive than when his symptoms resolve. There --
19 there are a subset of patients in whom it would not be
20 positive, as we've just discussed.

21 Q. More probable than not?

22 A. Yes, I think more likely than not --

23 Q. Okay.

24 A. -- it would have been.

25 Q. Do you know what the hospital protocol is

1 for chest pain patients having EKGs? Did you read the
2 hospital protocol?

3 A. I think in general, most hospitals, it's
4 very similar, that the -- that the nurses triage them
5 with a high priority to get back to a room and that the
6 nurses in general will be allowed to go ahead and get
7 electrocardiograms on patients they feel it's indicated
8 in.

9 Q. Now, you also write in your article that one
10 of the causes of chest pain is anxiety.

11 Do you recall that?

12 A. Yes.

13 a. So when Mr. Kinter went home and asked his
14 mother -- let's assume he asked his -- his mother, the
15 doctor told me I'm too young to have a heart attack,
16 that's not true, though,

17 He's not too young to have a heart attack,
18 is he?

19 A. Well, I think the -- he's -- no, he's not
20 too young to have a heart attack, but the -- the
21 number -- heart attacks in general, when people say a
22 heart attack, what they're referring to is a myocardial
23 infarction resulting from coronary artery disease. And
24 I think that although it's -- it's -- you know,
25 twenty-six, it can happen, it's very, very unusual to

1 see acute myocardial infarctions from coronary artery
2 disease in twenty-six-year-olds.

3 You can get it from vasculitis. You can get
4 it from a -- a myocardial band that traps a coronary
5 vessel. You can get it from Prinzmetal's. These are
6 all very rare events.

7 Q. But he's not too young to have a cardiac
8 event. That advice he's too young to have a cardiac
9 event isn't true?

10 MR. GROEDEL: Objection.

11 BY MR. GREENE:

12 Q. You can be that age and -- and have a
13 cardiac event?

14 A. Well, now you just changed the question from
15 heart attack to cardiac event.

16 Q. Well, you -- you've educated me that there
17 are various ways to get a cardiac event that don't have
18 anything to do with arterial sclerotic blockages; for
19 example, spasm.

20 He's not too young for that, is he, Doctor?

21 A. No.

22 Q. But his mother indicating to him that maybe
23 it's anxiety is a good guess.

24 It's a possibility, right?

25 A. Well, people with what we call panic attacks

1 all -- also do get chest discomfort, which is what I
2 was saying before in relation to a guy who is upset
3 about nearly passing out and over breathing, and they
4 get this discomfort. And people with panic attacks get
5 that discomfort as well, yes.

6 Q. Doctor, the chapter that comes right after
7 yours in the Tintinalli book is on syncope, by
8 Dr. Wilson.

9 Do you know Dr. Wilson, Andrew Wilson?

10 A. Let me -- let me just take a look at his
11 name for a second.

12 Q. Sure (tenders document to the Witness).

13 A. Actually, I do -- I do not know him.

14 Q. Okay. That's -- you wrote the prior chapter
15 on chest pain?, correct?

16 A. Yes.

17 Q. Do you have anywhere in your chapter where
18 you relate chest pain to syncope?

19 A. No.

20 Q. Is there anywhere in the syncope chapter
21 where it says that syncope can appear with chest pain?

22 A. I don't know. I haven't read that chapter,

23 Q. Please take a look (tenders document to
24 Witness).

25 A. I'm sorry, what was your question?

1 Q. Is there anywhere in the syncope chapter
2 where it discusses chest pain being a feature of
3 syncope?

4 A. Well, I'd have to read the whole chapter to
5 answer that question. I -- I don't know the answer to
6 your question.

7 a. Well, you're reading it now,

8 A. Well, I think I'm looking it over,

9 MR. GROEDEL: Come on.

10 MR. GREENE: It's a short chapter. It's a
11 couple of pages.

12 MR. GROEDEL: No, No.

13 MR. GREENE: Well, let's go off the Record
14 and I'll read it to him.

15 MR. GROEDEL: No, no, no, no. We're not
16 doing that. Come on. I mean --

17 NR. GREENE: I'm going to be --

18 MR. GROEDEL: -- do you want to point
19 something out to him in there?

20 MR. GREENE: Well, there's nothing in there
21 about syncope.

22 MR. GROEDEL: Well, if you want to make that
23 representation to him -- I mean, we're not going
24 to sit here and read book chapters --

25 MR. GREENE: Okay.

1 MR. GROEDEL: -- for you, Bill. Come on.
2 That's ridiculous.

3 MR. GREENE: Okay. I agree. Let's go back
4 on the Record. Are we on the Record?

5 VIDEOGRAPHER: We're on the Record.

6 BY MR. GREENE:

7 Q. All right. Well, Doctor, in your cursory
8 check of this, did you see anything there about chest
9 pain and syncope?

10 A. It's a very cursory check. No, I don't.

11 Q. Okay. And yet syncope with angina --
12 syncope with angina is a feature of Prinzmetal's
13 angina, having syncope, is it not?

14 A. Yes, Again, we've -- we've, I think, been
15 through this --

16 Q. Okay.

17 A. -- that if you're sitting there and you
18 develop chest pain and then pass out, then that is very
19 suggestive that the myocardial ischemia created the --
20 the problem.

21 Q. Let me hand you an article from the American
22 Journal of Cardiology (tenders document to the
23 Witness).

24 Are you familiar with that journal at all?

25 A. Yes.

1 Q. All right. This is from the Cleveland
2 Clinic, Department of Cardiology, where they have
3 thirty patients with angina with normal coronary
4 arteries. Okay. You're -- you're welcome to
5 editorialize if I'm not reading this right. It starts
6 off by saying cardiologists commonly encounter patients
7 with angina-like chest pains and normal or near normal
8 coronary arteriograms,

9 A. Okay.

10 Q. That would be our patient here, would it
11 not?

12 A. Well, that -- that could be patients with
13 variant angina, but it could also be patients that have
14 non-cardiac causes of their chest pain as well,

15 Q. Of these patients, these thirty patients,
16 how many had -- these are all patients with chest pain
17 and normal coronary arteries.

18 How many of them had syncope with their
19 angina?

20 A. I don't know. I've never seen that article.

21 Q. Well, the article, I'm going to point to you
22 in table one, ten patients.

23 Am I reading that correctly, Doctor, syncope
24 with angina, ten patients?

25 MR. GROEDEL: Objection.

1 You can answer.

2 BY MR. GREENE:

3 Q. A third of the population?

4 A. Right.

5 Q. And the age group of this population was as
6 young -- let me give you the age group. There were
7 people in this age group as young as thirty. Actually,
8 it ranges from thirty-six to sixty-five. The age of
9 patient at the onset of symptoms ranges from thirty-six
10 to sixty-five.

11 Am I reading that correctly?

12 MR. GROEDEL: Objection.

13 You can answer.

14 THE WITNESS: Do we really need my expertise
15 to know if you're reading it correctly?

16 BY MR. GREENE:

17 Q. Well, I want the jury to know that I'm not
18 misrepresenting it.

19 A. Yes, those patients were the age you're
20 saying.

21 Q. Okay. Can you give me another test where
22 they have people with vasovagal -- with what you say
23 this patient had, vasovagal syncope with chest pain,
24 what percentage of people who have vasovagal syncope
25 present with chest pain, any studies do you know of?

1 A. No.

2 Q. Between now and trial,,would you look so you
3 can tell the jury?

4 MR. GROEDEL: Objection,

5 No, Bill, we're -- we're not going to do
6 your research for you.

7 MR. GREENE: You won't research that?

8 MR. GROEDEL: Well,,if we do,,it will be
9 between myself and Dr. Falk.

10 MR. GREENE: Okay. I just want to go on the
11 Record that I'm asking you, because I'm going to
12 ask you at trial, did you check and see any
13 studies of vasovagal syncope where they have chest
14 pain as a feature.

15 And you don't have to look if you don't want
16 to, but I'm going to ask you the question, and I
17 want the jury to know that.

18 MR. GROEDEL: Could we have a copy of that
19 article you presented him with?

20 MR. GREENE: Sure. Could we go off the
21 Record?

22 VIDEOGRAPHER: The time now is 3:07 p.m.,
23 and we're off the Record.

24 (Discussion off the record.)

25 VIDEOGRAPHER: The time now is 3:08 p.m.,

1 and we're back on the Record.

2 BY MR. GREENE:

3 Q. Doctor, I -- I referenced a Cleveland Clinic
4 study a little earlier, and I said I would bring it
5 out, so I'm going to bring it out. This is a study
6 that appeared in the Journal -- American Journal of
7 Cardiology.

8 Is that a journal that you're familiar
9 with?

10 A. Yes.

11 Q. Okay. There they had a group of forty-three
12 patients with variant angina -- angina with normal
13 coronary arteries and sixty-five patients who had
14 abnormal coronary arteries, defined as more than fifty
15 percent stenosis in the arteries. And the only thing
16 I -- the only point I was -- was making, Cleveland,
17 Ohio, we have a hundred and eight patients with variant
18 angina.

19 It's not that rare a syndrome, is it?

20 MR. GROEDEL: Objection.

21 THE WITNESS: Well, it's -- Cleveland is a
22 big town. A hundred and eight patients is a small
23 percentage of the population. But we've already
24 established that it's not rare, but it's not
25 terribly common, especially in

1 twenty-six-year-olds.

2 BY MR. GREENE:

3 Q. Well, there's a spectrum of patients who are
4 in their twenties and thirties?

5 A. Yeah. It happens.

6 Q. Okay. And it happens enough that you
7 actually had it in your article?

8 A. Well, sure.

9 MR. GREENE: Okay. Off the Record. I may
10 be done. Let me just see.

11 THE WITNESS: May I see that article for a
12 moment while you're looking at that?

13 MR. GREENE: I'll get it. I'll let you snake
14 a copy of it.

15 THE WITNESS: I'd just like to see it right
16 now for a minute.

17 MR. GREENE: Okay.

18 VIDEOGRAPHER: The time is 3:12 p.m. We're
19 off the Record.

20 (Discussion off the record.)

21 VIDEOGRAPHER: The time now is 3:14 p.m.
22 We're back on the Record.

23 BY MR. GREENE:

24 Q. In your report, you state, there is an
25 implication that Mr. Kinter felt he had no health

1 insurance coverage and feared a large bill for tests he
2 felt were not needed.

3 Where did you get that from?

4 A. I got it from some of the deposition
5 testimony. I can't remember exactly which person. I
6 believe that's where that came from.

7 Q. But actually the deposition testimony, and
8 we talked about it earlier, was that he was told by two
9 different people that the bill was being paid by
10 Lakewood Hospital?

11 A. Right, that -- but that he was concerned
12 about it and that they tried to reassure him.

13 Q. How long does it take to do an EKG?

14 A. Five minutes.

15 Q. You write that -- and I started your
16 deposition off with this -- you write that you
17 believed -- believe a prudent emergency physician would
18 have wanted to have the EKG done, correct?

19 A. Yes.

20 Q. And you write if that, indeed, was the case
21 and the patient refused, then Dr. Bianchi did not fall
22 below the standard of care in not having been able to
23 convince the patient to have one performed.

24 You wrote that, too, didn't you?

25 A. Yes.

2 Q. If Dr. Bianchi did not make an adequate,
3 good faith effort to get the patient to get this heart
4 test, this EKG, that would have been beneath the
5 standard of care?

6 A. Yes.

7 Q. Now, Dr. Bianchi has testified that he was
8 called the next day and that he was part of a
9 conference call, he believed, with his superior, the
10 man that -- that runs the emergency medicine group that
11 he belongs to, and the hospital president, chief
12 executive officer, Dr. Prime, I believe his name was.

13 Do you recall that?

14 A. Yes.

15 Q. And that he was asked if he was aware that
16 the patient he had seen the night before had died of a
17 cardiac arrest at another hospital.

18 Do you recall the substance of that also?

19 A. Yes.

20 Q. And that he was asked, because they -- they
21 could see from his tests that he didn't order an EKG,
22 he was asked if he ordered an EKG or not,

23 Do you recall that?

24 A. Yes.

25 Q. And then he went down to the hospital on his
day off and made another note, a note discussing

1 conversations with the -- with the patient about EKG on
2 three or four different occasions and the patient
3 turning it down,

4 You're familiar with that testimony?

5 A. Yes.

6 Q. And that he wrote about conversations and
7 about turning down -- down tests that he wrote no where
8 in his original note,

9 A. Yes.

10 Q. You're familiar with that?

11 A. Um-hum.

12 Q. You're also familiar that a nurse, the
13 discharge nurse, also came in that day, after
14 Dr. Bianchi came in, and wrote another note after the
15 patient had already died discussing how the patient was
16 fine when he left.

17 Are you familiar with that?

18 A. Yes.

19 Q. Does any of that trouble you?

20 MR. GROEDEL: Objection.

21 You can answer.

22 MR. MOSCARINO: Object to the form.

23 THE WITNESS: No.

24 MR. GREENE: No? Thank you. I don't have
25 any further questions.

1 COURT REPORTER: I'm sorry, I didn't hear
2 the answer.

3 MR. GREENE: The answer is no. And I don't
4 have any further questions.

5 MR. MOSCARINO: I have a couple of
6 questions --

7 MR. GROEDEL: Okay.

8 MR. MOSCARINO: -- very briefly.

9 (Discussion off the record.)

10 MR. MOSCWRINO: Dr. Falk, real briefly, my
11 name is George Moscarino. We met Just before the
12 deposition, I represent Lakewood Hospital,

13 CROSS EXAMINATION

14 BY MR. MOSCARINO:

15 Q. In your three-page report, there's no
16 criticisms of the hospital personnel, that being the
17 nursing staff; is that right?

18 A, Correct.

19 Q. When we go to trial of this case, then you
20 will not be issuing any opinions that the nursing staff
21 was below the standard of care; is that correct'?

22 A. That's correct.

23 (Discussion off the record.)

24 BY MR. MOSCARINO:

25 Q. So you agree with the Plaintiff's expert,

1 Dr. Unger, when he testified just a few weeks ago that
2 the nursing staff complied with the standard of care,
3 correct?

4 A. Yes.

5 MR. MOSCARINO: Okay, Thanks.

6 VIDEOGRAPHER: The time now is 3:17 p.m.
7 We're off the Record. This concludes the video
8 deposition.

9 (Deposition concluded at 3:17 p.m.)

10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25


C E R T I F I C A T E O F O A T H

STATE OF FLORIDA)

COUNTY OF ORANGE)

I, REBECCA L. FELLA, being a Notary Public, State
of Florida at Large, do hereby certify that JAY
FALK, M.D., personally appeared before me and was duly
sworn.

Witness my hand and official seal this 27th day of
November, 2000.



Court Reporter
Notary Public, State of FL
Notary Comm. No. CC-968031
Comm, Expires: 10/01/04

C E R T I F I C A T E

STATE OF FLORIDA)

COUNTY OF ORANGE)

I, REBECCA L. FELLA, certify that I was authorized to and did stenographically report the foregoing proceedings, that a review of the transcript was requested, and that the transcript is a true and complete record of my stenographic notes,

I further certify that I am not a relative, employee, or attorney, or counsel of any of the parties, nor am I a relative or employee or any of the parties' attorney or counsel connected with the action, nor am I financially interested in the action.

DATED this 27th day of November, 2000,



REBECCA L. FELLA

ACCURATE ORLANDO REPORTERS

105 East Robinson Street, Suite 301, Orlando, Florida
(407) 246-0046 Fax (407) 246-8084

Accurate Orlando Reporters
105 E. Robinson Street, Suite 300
Orlando, Florida 32801
(407) 246-0046 Fax (407) 246-8084

November 27, 2000

Marc W. Groedel, Esquire
Reminger & Reminger Co., LPA
113 St. Clair Avenue
Cleveland, Ohio 44114

IN RE: Kinter vs. Bianchi
Deposition of Jay Falk, M.D.

Dear Mr. Groedel,

Enclosed please find your copy of the deposition of Jay Falk, M.D., which was taken in the above-styled cause on November 15, 2000. Also attached is the Errata Sheet to be completed by the deponent when reading your copy of the deposition.

After the witness has completed these forms, please return them to our office for inclusion in the original transcript.

If the reading and signing has not been completed prior to December 27, 2000, we shall conclude that the reading and signing of the transcript has been waived and we will forward the original transcript to the ordering attorney without further notice.

Your prompt attention to this matter is appreciated.

Sincerely,



Rebecca L. Fella

1

DATE _____
