

IN THE DISTRICT COURT OF KNOX COUNTY, NEBRASKA

COPY

DONNA J. WIEBELHAUS,

Case No. 12018

Plaintiff,

Doc. 160

vs.

D. J. NAGENGAST, M.D.,

Defendant.

D E P O S I T I O N O F

MAX L. FARVER, M.D.

A P P E A R A N C E S

- - - - -

DOMINA & COPPLE, P.C.; 10810 Harney Street, Suite 103;
Omaha, Nebraska 68154, by Mr. David A. Domina,

for the Plaintiff;

SODORO, DALY & SODORO; 7000 Spring Street; Omaha,
Nebraska 68106, by Mr. Joseph F. Bataillon, via telephone.

for the Defendant.

SLOWEY COURT REPORTING SERVICE

2505 BURLINGHAM STREET

YAWFOTON, SD 57078

S T I P U L A T I O N

It is stipulated and agreed by and between the above named parties through their attorneys of record, whose appearances have been hereinabove noted, that the deposition of Dr. Max L. Farver may be taken at this time and place, that is, the Yankton Medical Clinic, in the City of Yankton, South Dakota, on the 23rd day of November 1993, commencing at the hour of 11:00 AM; said deposition taken before YaVonne C. Slowey, a Notary Public within and for the State of South Dakota; said deposition taken for the purpose of discovery or for use at the trial or for each of said purposes according to the Rules of Civil Procedure, and insofar as counsel is concerned the reading and signing of the transcript by the witness is waived, as is the notice of the filing of the original with the Attorney for the Plaintiff, Mr. David A. Domina.

It is further stipulated that all objections are reserved until time of trial except as to the form of questions.

SLOWEY COURT REPORTING SERVICE
2505 BURLEIGH STREET
YANKTON, SD 57073
(605-665-3410)

1 MAX L. FARVER, M.D.,
2 being first duly sworn, testified as follows:
3 EXAMINATION BY MR. DOMINA:
4 Q Would you introduce yourself, please.
5 A I'm Dr. Max Farver.
6 Q Where do you live?
7 A In Yankton, South Dakota.
8 Q And you practice medicine, Doctor?
9 A That's correct.
10 Q What is your specialty, if you have one, in the
11 practice of medicine?
12 A Hematology and oncology.
13 Q What are hematology and oncology, please?
14 A That's the study of blood and cancer.
15 Q How long have you practiced medicine?
16 A I've been practicing for five-and-a-half years now.
17 Q You are licensed, I am sure, in the State of South
18 Dakota?
19 A That's correct.
20 Q Are you licensed elsewhere?
21 A No.
22 Q Can you please describe your educational history for
23 the court and the jury briefly?
24 A How far back do you want to go?
25 Q Well, let's start with where you went to undergraduate

SLOWEY COURT REPORTING SERVICE
2505 BURLEIGH STREET
YANKTON, SD 57073
(605-665-3410)

1 school?

2 A Undergraduate was done at Doane College in Crete,
3 Nebraska. I graduated in 1979 with a Bachelor of Art.
4 I went to Medical School at the University of Nebraska
5 Medical Center in Omaha, graduated in 1983 with the --
6 with my M.D. Degree. I did three years of internal
7 medicine residency at the University of South Dakota
8 affiliated hospitals, completed that in 1986. Then did
9 a two-year fellowship at the University of Utah in
10 Salt Lake City, the fellowship in hematology-oncology
11 and finished that in 1988 and I have been practicing in
12 Yankton ever since.

13 Q Are you board certified in a specialty?

14 A In internal medicine.

15 Q Doctor, your practice now, hematology and oncology, is
16 that your exclusive work?

17 A Exclusive except for call responsibilities when I cross
18 cover with the general internists, yes.

19 Q All right. And you practice in affiliation primarily
20 with the internal medicine group at the Yankton Clinic?

21 A That's correct.

22 Q How many physicians are there in the entire clinic?

23 A Approximately 40, I believe.

24 Q And in your group?

25 A Of internists?

- 1 Q Yes.
- 2 A Ten.
- 3 Q And how many of you emphasize oncology in your
- 4 practice?
- 5 A I'm the only one here.
- 6 Q You have staff privileges, I'm sure, at several
- 7 hospitals?
- 8 A Just one.
- 9 Q And that's Sacred Heart?
- 10 A Sacred Heart.
- 11 Q In Yankton?
- 12 A Correct.
- 13 Q May I inquire briefly about your oncology training
- 14 during the course of your residencies. Could you
- 15 describe the oncology work you had as a resident?
- 16 A As a resident, certainly you'd see the regular mixture
- 17 of all kinds of diseases, one of the main one of which
- 18 is of course is cancer, as well as cardiology and all
- 19 of the other medical specialties. So there was no
- 20 particular emphasis other than one month during my
- 21 residency I did work exclusively in oncology as a
- 22 third-year resident.
- 23 Q Doctor, do you routinely care for patients who come to
- 24 your practice here at Yankton from the Knox County,
- 25 Nebraska, area?

SLOWEY COURT REPORTING SERVICE
2505 BURLEIGH STREET
YANKTON, SD 57073
(605-665-8410)

1 A Yes.

2 Q You're acquainted with Donna Wiebelhaus, or you were
3 before her death?

4 A Yes, I was.

5 Q Would you tell us how you made her acquaintance,
6 please?

7 A Actually, it dated back to initially I was introduced
8 to Donna's case by her sister who saw me in one of my
9 outlying clinics in Nebraska, actually in Knox County,
10 in Crofton, Nebraska, and her sister had told me
11 briefly what had happened with Donna and was asking if
12 I had any further recommendations, at that time,
13 without having seen the patient or discussed it with
14 her primary physician.

15 Q Do you recall when that occurred?

16 A There is no documentation of it, of course. She simply
17 stopped in the office and we discussed it. I assume
18 that it was sometime just prior-- or, just after the
19 biopsy was obtained, probably September of 1992.

20 Q When was your next contact with the subject of Donna
21 Wiebelhaus' cancer, then?

22 A That would have been when she was first referred by
23 herself to see me on October the 15th.

24 Q Of 1992?

25 A Of 1992, correct.

1 Q Do you recall Donna's sister's name, the name of the
2 lady who you saw at Crofton?
3 A I am sorry. I really -- I really don't remember.
4 Q All right. And you apparently maintain an outlying
5 clinic or a satellite location in Crofton and go there
6 somewhat regularly?
7 A At that time, I was. We have closed it November of
8 1992, it was closed, so this was toward the end. I had
9 been going there for four-and-a-half years twice a week
10 and we'd closed it shortly after that.
11 Q All right. I want to ask you before we go on with the
12 medical history, I've had just two or three clues in
13 your testimony so far that you have some acquaintance
14 with Nebraska geography so I'm going to inquire about
15 your heritage. Where are you from?
16 A I'm from Nelson, Nebraska, which is in south central
17 Nebraska.
18 Q Doctor, when you first met Donna Wiebelhaus on October
19 15th of 1992, did you have a history of her medical
20 condition?
21 A I didn't actually have any written reports or formal
22 documentation at the time that I met with Donna. Her
23 husband and sister I believe were all present at that
24 first meeting. We hadn't received any of the
25 information yet from the University of Nebraska, did

SLOWEY COURT REPORTING SERVICE
2505 BURLEIGH STREET
YANKTON, SD 57078
(605-665-8410)

1 not have the path reports or any of the operative
2 reports. Those were still forthcoming.

3 Q Where did you meet her?

4 A Here at the Yankton Medical Clinic.

5 Q Did you discuss her circumstances with her, at that
6 time, and obtain a patient driven history?

7 A That's correct.

8 Q What did you learn?

9 A Well, according to Donna, and I felt and her husband
10 and they certainly seemed to know their dates very
11 well, she stated that she had, of course, been pregnant
12 and there had been a mole present on her right shoulder
13 -- back of her right shoulder that had been irritating
14 or been irritated by her bra that had progressed during
15 her pregnancy for four to five months, apparently, to
16 the point where it had become ulcerated and started to
17 have a foul smell to it. At that time, she had
18 delivered her baby and the mole was then removed
19 surgically. They had told me that this showed a level
20 four melanoma which is a deep invasive melanoma, and I
21 didn't have the path reports at that time but they
22 certainly seem confident of that information and
23 subsequently it was found out to be true and confirmed
24 by the pathology report.

25 She then went to see Dr. Phillip Bierman who is

SLOWEY COURT REPORTING SERVICE
2505 BURLEIGH STREET
YANKTON, SD 57078
(605-665-3410)

1 an oncologist at the University of Nebraska Medical
2 Center on September 19th, which is only five days I
3 believe after the time that she had had the mole
4 removed. At that time, she was felt to have lumps
5 under the right arm, but there was also apparently
6 an infection present at the site of her original
7 biopsy. Dr. Bierman was uncertain whether or not those
8 lumps represented a tumor or perhaps inflammation from
9 the infection, but he had recommended that she see
10 Dr. Edney who is a surgical oncologist at the
11 University for a wider excision of the primary lesion
12 and an axillary lymph node dissection.

13 Q Was that surgery done?

14 A Yes, it was.

15 Q And were the patients able to report to you at the time
16 of your first visit with them, Doctor, the result of
17 that surgery at the University of Nebraska?

18 A Apparently there was no further tumor found in the area
19 of the original mole but unfortunately there was one
20 positive lymph node for melanoma under her right arm
21 and they had removed 14 other lymph nodes, with one of
22 those being positive.

23 Q Doctor, were you able to form a diagnostic impression
24 of the patient from the history she gave you?

25 A I did. I felt that she had an American Joint

1 Commission stage Roman Numeral Three malignant melanoma
2 and this would place her at high risk for recurrence.
3 Q And were you able to articulate, at least for yourself,
4 at that time, a prognosis for her probable future
5 course?
6 A Well, prognostically stage three melanoma is a rather
7 ominous sign and a large percentage of these people
8 will go on to have relapse and eventually succumb to
9 their disease so my prognosis was rather guarded, at
10 that time.
11 Q Did you learn from Mrs. Wiebelhaus or her sister and
12 husband at that first meeting of any efforts they had
13 made to investigate care alternatives, Doctor?
14 A Well, they were quite anxious about receiving some
15 further care after the surgery, some further form of
16 treatment, and we call that adjuvant treatment where
17 there would be either chemotherapy or some sort of
18 medical intervention to try to prevent the recurrence
19 of her cancer. And to my knowledge, there was no --
20 this is not really standard therapy for melanoma so
21 what we were looking at was the possibility of
22 investigational trials that was utilizing that kind of
23 therapy to try to improve the cure rate and the
24 duration of remission.
25 Q And in that connection did you learn of a contact they

1 had made with the National Cancer Institute to
2 investigate those kinds of adjuvant trials?

3 A Actually, I think I was the one that had encouraged
4 them to contact the National Cancer Institute. It's
5 very helpful, as far as patient information. They also
6 have information for physicians regarding the kinds of
7 trials that are currently available to patients for
8 their enrollment. From that study we found-- or, from
9 our research with the Cancer Institute we found that
10 there was a contact person at the John Wayne Cancer
11 Institute or Cancer Center in California that was doing
12 some experimental studies with a vaccine for melanoma.

13 Q During the course of your meeting with the patient, her
14 husband and her sister on that first occasion on
15 October 15th, did you talk with them about your own
16 sense for the probability that treatment of the kind
17 that might be available at the John Wayne Cancer
18 Institute would eventually prove helpful?

19 A Of course we're very careful when we're dealing with
20 experimental protocols that we try not to make any
21 false claims. I mean that's certainly very important
22 that people enter into these kind of research studies
23 knowing well that there is nothing better to offer
24 elsewhere. There certainly was no promises made that
25 this was going to improve anything other than to

1 improve our scientific knowledge of whether or not this
2 kind of therapy would actually work.

3 Q Did Mrs. Wiebelhaus understand, then, at the end of the
4 conversation with you, or do you believe she did, that
5 while the effort at John Wayne Cancer Institute might
6 help, there was certainly no reason to expect that it
7 would, and that a decision to go there would
8 essentially be driven by an awareness that there was no
9 better alternative?

10 A I feel I made that point. Whether or not they were
11 able to assimilate that information, I am not sure.

12 Q Okay. Did you recommend a course of treatment for
13 Mrs. Wiebelhaus at that October 15th visit that
14 included care to be directed by you, Doctor?

15 A I'm sorry, I don't really understand.

16 Q Okay. On October 15th of 1992 did you suggest to the
17 patient that she embark on a course of care that you
18 would administer or direct from here in Yankton?

19 A I didn't really recommend that I would be administering
20 the care but I certainly wanted to be kept informed of
21 what was going on and offered to help in whatever way
22 was possible. With most of these research protocols,
23 they are required to be administered in their
24 institutions or by other people that participate with
25 the studies. So as far as being able to help with the

1 study from the John Wayne Cancer Center, I wasn't able
2 to participate in that study. She had to actually go
3 to California to receive the treatment.

4 Q Insofar as you were concerned, then, as
5 Mrs. Wiebelhaus' physician or at least a person who was
6 perhaps becoming her physician at the time of this
7 first visit on October 15th, was there anything for you
8 to do while she was involved in this care at John
9 Wayne?

10 A There wasn't actively anything to do for
11 Mrs. Wiebelhaus other than to maintain an awareness of
12 the literature and any other options that might become
13 available during the time that she was ongoing
14 treatment. Of course that we attempted to do. And to
15 act as a clearing house for information to keep in
16 communication with California and the University of
17 Nebraska and to maintain -- maintain a record here and
18 some sort of contact so we could always -- she would
19 always have somebody to turn to when she was home and
20 having problems.

21 Q I wanted to be sure I understood correctly, and I think
22 I do now, that she didn't somehow choose not to pursue
23 care at Yankton and to instead pursue care at John
24 Wayne, did she?

25 A That's correct, and I supported her in that decision.

1 Q All right. When did you next have contact with
2 Mrs. Wiebelhaus, Dr. Farver?
3 A A telephone call, I guess I did have a telephone --
4 there -- call October the 22nd. She was complaining
5 about some upset stomach and we changed her
6 prescription for a sleeping pill. As far as the next
7 time that I actually saw the patient was December 21st,
8 1992.
9 Q What were her circumstances then?
10 A Well, she had been receiving the immunization shots at
11 the John Wayne Cancer Institute and she was worried
12 about a lesion that was developing inside her mouth
13 underneath her tongue. She was worried that it was
14 recurrent cancer. I didn't feel that that was the case
15 and I had recommended that to calm her worry is that we
16 would have Dr. Aanning, who is a surgeon here at the
17 medical -- at the Yankton Medical Clinic, biopsy that,
18 and it was, in fact, benign.
19 Q And so the biopsy was done and there was no problem
20 with that lesion?
21 A That's correct.
22 Q What was your next contact with Mrs. Wiebelhaus, then,
23 after the October 21, 1992 occasion?
24 A I next saw Mrs. Wiebelhaus on February the 15th, 1993.
25 Q Was that at the clinic in Yankton?

SLOWEY COURT REPORTING SERVICE
2505 BURLEIGH STREET
YANKTON, SD 57078
(605-665-3410)

1 A Correct.

2 Q And what were the patient's circumstances then, please?

3 A At that time, she had been noted to have a recurrence

4 of her cancer that had been biopsied in California.

5 Apparently it had recurred in her mid back under the

6 skin and that had been removed surgically and

7 apparently confirmed by the pathologist in California

8 that that was recurrent tumor, similar to the one that

9 she had removed from her shoulder.

10 Q And what did you -- what did you do when you saw her on

11 the occasion of the February 15th visit?

12 A Well, on my visit she was also -- we also noted a lump

13 near her breastbone and at that time we elected to have

14 that removed, as well, to be biopsied.

15 Q Was that done in a clinical setting here at the clinic

16 or was she hospitalized for that biopsy, Doctor?

17 A I would assume -- I assume -- I think that was done

18 here in the clinic.

19 Q And did you then have the excised tissue read by a

20 pathologist?

21 A That's correct.

22 Q And did you receive the pathology report back during

23 the ordinary course of your practice?

24 A Yes.

25 Q And is it information of the kind upon which a

SLOWEY COURT REPORTING SERVICE
2505 BURLEIGH STREET
YANKTON, SD 57078
(605-665-8410)

1 physician in your practice would ordinarily rely?
2 A Absolutely!
3 Q Do you have it before you?
4 A I do.
5 Q And what did it report?
6 A It reported that it was carcinoma, metastatic in the
7 subcutaneous tissue from the upper chest consistent
8 with melano carcinoma which is another term for
9 melanoma.
10 Q All right. The subcutaneous tissue, Doctor, is where
11 please?
12 A Beneath the skin.
13 Q Is the subcutaneous tissue, tissue through which blood
14 flows in a pattern of flow?
15 A Well, certainly.
16 Q Doctor, what was the treatment regimen, then, for the
17 circumstances that were confirmed by pathology when the
18 excised tissue was tested?
19 A Well, at that time I certainly conferred with the study
20 coordinator at the John Wayne Cancer Institute,
21 informed them that we had obviously rapidly progressive
22 disease on our hand despite the treatment that they had
23 been administering, so it's obviously she was a
24 treatment failure from their study standpoint, and we
25 felt that given the gravity of the situation it was

1 time to switch to systemic chemotherapy.

2 Q Was that then done?

3 A That is correct.

4 Q And who directed the chemotherapy care?

5 A I did.

6 Q Where was it administered?

7 A Here at the Yankton Medical Clinic.

8 Q Can you describe for the court and the jury, please,

9 what that systemic chemotherapy regimen consisted of?

10 A It was chemotherapy that was given intravenously that

11 consisted of two medications. One was called DTIC, all

12 capital letters, and the other one was Platinol. They

13 were administered intravenously for three consecutive

14 days and repeated every three to four weeks.

15 Q What is the -- what is the objective chemically, if you

16 will, of injecting DTIC? What is the interaction

17 you're trying to achieve in the body, Doctor?

18 A The interaction between both of the chemotherapy drugs

19 is to kill cancer cells that are actively multiplying,

20 thereby hopefully shrinking the tumors and trying to

21 avoid -- at the same time trying to avoid serious side

22 effects.

23 Q Is there something about the chemical behavior of a

24 cancer cell that's different as it divides and grows

25 from the chemistry and other cells that permits these

SLOWEY COURT REPORTING SERVICE
2505 BURLEIGH STREET
YANKTON, SD 57073
(605-665-8410)

1 drugs to have a chance to work on them?

2 A The exact answer to that question would win you the
3 Nobel Prize.

4 Q I don't hope to do that today. Is it believed that
5 that may be the case by some researchers?

6 A That's true. Cancer cells are obviously a mutation of
7 normal, normal cells. They are actually weaker because
8 of that mutation. They are multiplying more rapidly
9 than normal body tissue. So by selectively pinpointing
10 the cells that are multiplying and doing chromosomal
11 damage to them you hope to kill them and at the same
12 time allow the normal cells a chance to recover and
13 hopefully heal themselves.

14 Q Melanoma is, of course, one of many forms of cancer, is
15 it not?

16 A That's correct.

17 Q And is melanoma, itself, a phrase that is descriptive
18 of a family of cancers or is it a specific and unique
19 disease?

20 A It is specific insofar as it depicts a cancer that is
21 arising from the pigmented cells of the body, the cells
22 that contain melanin. That's where the name "melanoma"
23 comes from. So it can arise from any of the pigmented
24 cells of the skin or actually of the eye.

25 Q Doctor, is it -- is it always the case with melanoma

1 that that disease originates in a pigmented cell?

2 A Yes, it has to.

3 Q Are pigmented cells found anywhere below the surface of
4 the body?

5 A Other than behind the retina in the eye, the rest of
6 the pigmented cells are under the skin.

7 Q How does the disease move from the pigmented cells into
8 the body if it metastasizes or moves inside?

9 A There is three ways that melanoma would spread. One is
10 by direct invasion where it just simply keeps eating
11 deeper and deeper into the tissues. Another way that
12 it spreads is through the lymphatic system where the
13 lymph drainage would carry the tumor cells away, and
14 obviously in Donna's case there was evidence of that
15 with one of the lymph nodes under her right arm being
16 involved at the time of her initial diagnosis.
17 Melanoma will also be spread through the bloodstream.
18 If it invades the blood vessels it can go anywhere the
19 blood goes.

20 Q I understand, Doctor, and this is jumping forward some,
21 but I understand that in the course of Mrs. Wiebelhaus'
22 disease it appeared in several diverse parts of her
23 body, some of her abdominal organs, her kidneys, I
24 believe, her liver for sure and her brain. Are those
25 locations that are likely to have received cancerous

1 cells through blood transport?

2 A That's -- that's correct. She also had tumors spread
3 to those areas under the skin in her back and on the
4 chest, as well,

5 Q Again likely as a result of transporting the blood?

6 A That's correct.

7 Q May I inquire, Doctor, how is melanoma most commonly
8 seen by practicing physicians, not necessarily
9 oncologists?

10 A Well, yeah. It's most commonly seen as an abnormal
11 mole that is changing in characteristic.

12 Q And is an abnormal mole changing in characteristic
13 commonly recognized by physicians, then, as a warning
14 sign that the mole may contain melanoma cells?

15 A Oh, it's commonly recognized by lay people that that is
16 a warning sign of cancer. It's one of the ten warning
17 signs that the American Cancer Society has tried to
18 make available to the public. And certainly physicians
19 are aware of that, as well.

20 Q What's the most commonplace form of treatment when a
21 physician is presented by a patient who reports a mole
22 change?

23 MR. BATAILLON: For the record, I'm going to object.
24 This witness isn't identified as one with respect to
25 the standard of medical care. Doctor, you're certainly

1 free to answer the question under the circumstances.

2 THE WITNESS: I understand. Well, typically it would
3 require an examination. One wouldn't prescribe any
4 treatment, of course, until an adequate examination was
5 performed. That's usually done with either a hand lens
6 or some form of magnification, good lighting and
7 certainly getting the patient disrobed and examining
8 not only the mole that apparently is changing but
9 looking at the rest of the skin. If, in fact, there is
10 any suspicion of the lesion meeting the criteria, it
11 should be biopsied.

12 MR. DOMINA: Doctor, when a physician conducts an
13 examination of the kind that you have just described,
14 if the physician finds the presenting patient to be a
15 person with several moles on the body, is it important
16 to compare the suspected mole with other moles that are
17 available for inspection?

18 MR. BATAILLON: Same objection.

19 MR. DOMINA: You may answer.

20 THE WITNESS: Okay. I -- the individual comparison,
21 there is really no two moles that are the same. I
22 don't feel that comparing one mole to another is really
23 valid. Each one has to be taken on its own merit.

24 Q In other words, no conclusive diagnosis can be made by
25 a comparison?

1 MR. BATAILLON: Same objection.
2 MR. DOMINA: Is that right?
3 THE WITNESS: That's correct. The -- there are a class
4 of people with a syndrome called Dysplastic Nevi
5 Syndrome that does place them at an increased risk for
6 melanoma, so you would want to try to identify those
7 people.
8 Q What's a dysplastic nevus, please?
9 A That's considered as a precancerous lesion. It's a
10 genetic syndrome where the families tend to have a
11 number of cases of melanoma within the family and that
12 they're at high risk for developing melanoma.
13 Q And what's a nevus?
14 A A nevus is the medical term for a mole. I'm sorry.
15 Q Doctor, are there certain groups within the overall
16 population that are recognized by medicine as being
17 persons at a higher risk than others for incurring
18 melanoma?
19 MR. BATAILLON: I'm going to object again on standard
20 of care.
21 THE WITNESS: Okay. Typically, the fair-skinned
22 northern European people are at higher risk for
23 developing melanoma. You see less incidents of it in
24 darker-skin people, Hispanics and blacks in particular.
25 MR. DOMINA: Light hair, light-colored eyes and light

1 skin then would all suggest a somewhat higher
2 population-- or, risk in the population or is that
3 population?

4 MR. BATAILLON: Same objection.

5 THE WITNESS: Correct. But virtually everybody is
6 still at risk. It's all relative.

7 MR. DOMINA: Okay. Doctor, may I inquire specifically
8 about this case, and I'm -- I am aware from our
9 discussions before you began to testify today of your
10 sense for these questions but I need to ask them on the
11 record and have you respond to them.

12 In this case assume for me some dates here, if you
13 would, please. Assume that the first presentation by
14 Mrs. Wiebelhaus of this mole to her family physician
15 occurred on the 2nd day of July of 1992 at a time when
16 she was pregnant. Assume that she delivered a
17 full-term baby on August 27th of 1992, that she
18 returned to the doctor intermittently in the
19 intervening period of time and that she returned on at
20 least two occasions after the child was delivered, the
21 second being September 14th of 1992, at which time the
22 mole was excised and the excised tissue was biopsied.
23 A diagnosis was made which identified the existence of
24 melanoma.

25 I want to ask, first of all, do you have an

1 opinion, based on those assumed facts, about whether a
2 failure on the doctor's part to diagnose the illness at
3 the time of the July presentation, whether a delay from
4 that July date until the September 14th date of
5 diagnosis caused or permitted this disease to
6 metastasize to parts of Mrs. Wiebelhaus' body where it
7 could not be treated successfully?

8 A That is a very difficult and very long question and I
9 understand, of course, that that is at the heart of the
10 issue here. It is impossible for me to actually know
11 for certain whether or not that that had an impact. As
12 a matter of course, we would like to always find tumors
13 earlier when they're smaller and thereby have less of a
14 chance of having it already spread.

15 MR. BATAILLON: Doctor, excuse me, I need to interrupt
16 you.

17 THE WITNESS: Yes, sir.

18 MR. BATAILLON: I am going to object that the answer is
19 non-responsive and move to strike his comments.

20 MR. DOMINA: Would you proceed with relating your
21 opinion, Doctor.

22 MR. BATAILLON: If he's going to continue with his
23 opinion, then I'm going to object on foundation and
24 that it's an improper hypothetical.

25 MR. DOMINA: Okay. Now you may proceed.

1 MR. BATAILLON: You can go ahead, Doctor.

2 THE WITNESS: Yes, I understand. I'm just trying to
3 organize my thoughts here to pick up where we were --
4 where I left off.

5 As I had said earlier, there is no way I can say
6 with absolute certainty whether this had an impact, but
7 as a matter of principal, we would like to always
8 diagnose these tumors sooner, and I guess that's the
9 only way I can answer that question.

10 MR. DOMINA: Okay. Doctor, without excising a mole
11 presented by a patient as having changed, is there a
12 way to determine whether it contains malignant
13 melanoma?

14 THE WITNESS: No.

15 Q You've mentioned in your testimony today while talking
16 about Mrs. Wiebelhaus that her tumor, the tumor
17 identified as cancerous by the pathology work in
18 September of 1992, was a deep tumor. I want to move
19 away from a specific discussion of this patient for
20 just a moment and talk with you briefly about the
21 disease, melanoma, a bit more if I may. You mentioned
22 earlier that there are three ways the disease can
23 adversely affect its host, the patient who has it. Can
24 I talk with you about how malignant melanoma reaches
25 the lymph system and the blood vessels and can

1 accordingly be transported through those systems?

2 A Certainly.

3 Q Would you first describe, mechanically, what happens in
4 the body that would allow malignant melanoma cells to
5 be transported from pigment-bearing structures by the
6 lymph system?

7 A Well, at some point the tumor would need to invade
8 either the lymph channels or the small blood vessels to
9 gain entry into those systems. Of course the blood
10 vessels are present throughout our entire body's
11 surface and it's just a matter of happenstance, I
12 guess, if the tumor cells would gain entry into the
13 bloodstream and then float downstream randomly going to
14 other tissues.

15 The lymph system is less well-known by lay people
16 than the circulatory system. It's a one-way street
17 that returns fluid from tissues throughout the body
18 back into the venous system. Along this route there
19 are a number of these lymph nodes that act as filters
20 along the lymph system. It's the same mechanism there.
21 Our body has lymph vessels over our entire body, and
22 it's a matter of the tumor invading through those
23 vessel walls and gaining entry into those channels
24 downstream.

25 Q Doctor, are there generally-recognized measurements

27

1 descriptive of the size of melanoma tumors that are
2 diagnostically significant in determining whether an
3 invasion into either of the blood or the lymph system
4 has occurred?

5 A I believe your question should ask, is there prognostic
6 significance.

7 Q I think I said "diagnostic"?

8 A You said "diagnostic".

9 Q You're right and I apologize.

10 A The answer is that yes, there is a staging system that
11 has direct prognostic implications.

12 Q And you referred to Mrs. Wiebelhaus' disease as having
13 appeared to you to have been a stage three illness when
14 you saw her?

15 A That is correct, but a level four. It gets rather
16 complex. It had a level four, Clark's level four depth
17 of invasion.

18 Q The larger the number, the deeper the invasion?

19 A Correct. That was level four of five possible levels.

20 Q Okay. Do oncologists generally have any consensus,
21 Doctor, about what might influence the repetity (sic.)
22 of growth in a mole that contains malignant melanoma?

23 A Well, there is one association with estrogen and high
24 estrogen states, of course, and this was perhaps the
25 case with Mrs. Wiebelhaus where she was pregnant and

1 obviously had very high levels of estrogen in her body
2 at the time of the original presentation.

3 Q Is it thought that estrogen may accelerate the growth?

4 A That is correct.

5 Q Besides the presence of extraordinary levels of
6 estrogen, are there other known factors that influence
7 the repetity (sic.) of the growth of a malignant tumor
8 originating in a mole? There may not be.

9 A I'm not aware of one. If you're trying to lead me to
10 one, I'm not aware of it.

11 Q No, I am not, and I should say that you were quite
12 observant upon entering the room to note that I had a
13 book on the subject and I am not quizzing you on that
14 book. I didn't lay it there for that reason, either.
15 I expected to have a little time to look at the terms
16 again.

17 May I ask you this, then, Doctor: Is there any
18 way to work backwards from a surgery and clinically
19 determine tumor size back to an earlier point in time
20 when the tumor may have been a smaller size?

21 A No, there is no way. We have difficulty predicting the
22 future and the past. There is no way that we can work
23 backwards and determine when the tumor originated and I
24 believe that's what you're trying to ask. That just is
25 not possible.

1 Q Or perhaps beyond when it originated, and there may
2 have been some ambiguity in my question. I think
3 you're going to give me the same answer but let me ask
4 you: is there away to work backwards from a determined
5 tumor depth, that is, one determined upon excision and
6 measurement, back to a point in time when the tumor may
7 have reached some level of invasion into the body?

8 A No, I feel that that's totally impossible.

9 Q Okay. Thank you. I want to return to Mrs. Wiebelhaus'
10 course of treatment with you now, if I may. You took
11 us, in the course of your work with her, to mid
12 February of 1993 and then we detoured a bit to talk
13 about the disease. After your February 15th, 1993
14 visit with her and the initiation of chemotherapy at a
15 sequence of three days on and three to four weeks off;
16 is that correct?

17 A That's correct.

18 Q How long did that regimen of treatment continue?

19 A We continued until early July, I believe, with that
20 regimen.

21 Q Doctor, what is the -- what did the chemotherapy do to
22 this lady? How did it affect her?

23 A As in side effects or as in positive effects for the
24 tumor?

25 Q Let's talk about the positive effects for the tumor

1 first, please.

2 A Well, initially, her liver tests were elevated. She
3 had had a Cat Scan performed at Lutheran Hospital in
4 Norfolk that had shown tumors within her liver. After
5 receiving the chemotherapy for just one month her liver
6 test, blood test, had returned to normal. After we had
7 completed three or four cycles of this chemotherapy, a
8 cycle being one months--

9 Q Three days and three days?

10 A The three days and the three days and the whole month.
11 After three -- four cycles we repeated the Cat Scan and
12 there was an actual decrease in the size of the tumors
13 within her liver, so we were quite pleased that we were
14 able to have a partial response with the chemotherapy,
15 halting the course of disease and actually causing it
16 to regress a little bit.

17 Q And was it apparent to you, Doctor, at the time you
18 initiated the chemotherapy, that that kind of success
19 was the best you could hope for?

20 A With this particular disease, we're looking at response
21 rates in a 30 to 40 percent range so she was the
22 exception rather than the rule. Most people with this
23 stage of disease at the time we started the
24 chemotherapy do not respond to the chemotherapy.

25 Q So she actually got some relief from the disease as a

1 result of the treatment?

2 A Correct.

3 Q You mentioned that there were some side effects to the

4 chemotherapy. What were they?

5 A Well, most prominently she had quite a bit of nausea

6 and vomiting with the chemotherapy and for several days

7 afterwards and that was very difficult to manage.

8 Q She had hair loss?

9 A Correct.

10 Q Eventually losing all of her hair, of course?

11 A She lost all of her hair as a result of the radiation,

12 not necessarily because of the chemotherapy.

13 Q And the radiation came later?

14 A It came --

15 Q Much later?

16 A -- much later.

17 Q All right. Doctor, aside from the discomfort that

18 would have been present with Mrs. Wiebelhaus' nausea,

19 did she have any pain associated with the disease when

20 the chemotherapy was initiated?

21 A I'm not aware of pain being of a big problem until once

22 again later in the course of her disease when there was

23 tumor within the bones.

24 Q Okay. I'm sure that her emotional anxiety about her

25 condition continued, of course?

1 A Not only her anxiety but her husband and her extended
2 family's.

3 Q All right. Doctor, during the course of the
4 chemotherapy process, how often would you see this
5 lady?

6 A I would examine her on a monthly basis and she would
7 come here to the office for the three consecutive days
8 of intravenous chemotherapy and then she also had blood
9 counts done in between times so I would actually see
10 her once and she would be here four to five times a
11 month.

12 Q What was the status of Mrs. Wiebelhaus' health separate
13 and apart from her cancer in February of '93?

14 A Well, she was still quite active. She was a physically
15 active person and despite being on treatment she
16 actually went on to play softball and to be quite
17 physically active and led what I would consider a
18 normal life in between times, in between time of the
19 treatments.

20 Q Being sick for a little while afterwards each time?

21 A Correct.

22 Q After you discontinued the chemotherapy treatment in
23 July; is that right?

24 A Correct.

25 Q What was the next treatment regimen you instituted?

SLOWEY COURT REPORTING SERVICE
2505 BURLEIGH STREET
YANKTON, SD 57078
(505-665-8410)

1 A What happened in July was that we had found that she
2 had two areas within the brain that appeared to have
3 cancer that had spread. At that time, the chemotherapy
4 had obviously not been working for the brain metastasis
5 and it was time to institute internal beam radiation
6 therapy.

7 Q Is chemotherapy a treatment for melanoma that is
8 expected to work on disease in the brain?

9 A No. In fact, brain metastases are very difficult to
10 get at with chemotherapy. The drugs do not cross into
11 the brain and do not deliver meaningful doses to brain
12 tumors.

13 Q Is that because of the way the body protects the brain?

14 A That's correct.

15 Q When did the external radiation for the brain tumors
16 begin?

17 A I had spoken with Dr. Zahra on July the 26th and he was
18 going to see her on July the 27th, the next morning, at
19 10:30.

20 Q Is he one of your colleagues here at the Yankton Clinic?

21 A No. Dr. Zahra is affiliated with the Carson Regional
22 Radiation Center in Norfolk, Nebraska.

23 Q And was the radiation therapy administered there?

24 A That's correct.

25 Q Were you ever present during those treatments

1 themselves, Doctor?

2 A No, I wasn't.

3 Q Are you familiar with how they are administered and

4 performed?

5 A I'm quite familiar with it.

6 Q All right. And can you describe for the jury how that

7 work is done, please?

8 A The radiation is performed using a linear accelerator,

9 which is a rather large machine that generates high

10 energy radiation beams. And basically the patient is

11 positioned on a table. The beam is aligned with the

12 target organ, and in this case that was the brain, and

13 the machine is simply turned on for a specified dose

14 and that dose is repeated on a daily basis for a number

15 of days. She also had radiation at the same time to

16 an area in her sacrum which is just above the tailbone

17 area that she was having pain there and we had found

18 tumor in that region, as well, so she received

19 radiation not only to the brain but to this other area

20 that was painful.

21 Q As the radiation therapy was being administered, did

22 you continue to see Mrs. Wiebelhaus, from time to time,

23 or did you simply receive reports from the physicians

24 in Norfolk?

25 A I had received reports from Norfolk and at this time --

SLOWEY COURT REPORTING SERVICE
2505 BURLEIGH STREET
YANKTON, SD 57073
(605-665-8410)

1 I don't believe I actually saw her during the -- there
2 was once that I saw her during the course for
3 treatment, I guess.

4 Q When was that?

5 A August the 9th, 1993.

6 Q And what were her circumstances, at that time, please?

7 A She was having more weakness in her left leg. She was
8 falling. She was finding it very difficult to hear.
9 She had been having difficulty with her hearing all
10 along but it had gotten much worse and she had become
11 practically deaf. She had had very poor appetite and
12 she was obviously failing quite rapidly.

13 Q Was the hearing loss a side effect of one of the
14 treatments for the cancer, Doctor?

15 A Yes, it was. The Platinol chemotherapy does have
16 hearing loss as one of the side effects. We had
17 followed -- we had watched that, actually, and had done
18 some special testing, audiograms, had documented that
19 she was starting to have some hearing loss before she
20 had the brain tumors, but I was also concerned that
21 with the location of her tumor that there could have
22 been effect, a direct effect of the cancer and perhaps
23 the radiation therapy was also causing some hearing
24 loss.

25 Q Doctor, did you diagnose the presence of the tumors in

1 the brain?

2 A Yes.

3 Q And did it then become your responsibility to inform

4 Mrs. Wiebelhaus and her family about the presence of

5 those tumors?

6 A That's correct.

7 Q Was that done here at the Yankton Clinic?

8 A Yes, it was.

9 Q And can you tell the jury how she reacted to that news,

10 please?

11 A Well, at the time Donna had come to see me earlier in

12 the day complaining of these -- of headaches, nausea

13 and vomiting, and I had ordered a Cat Scan of the head

14 to be performed at Sacred Heart Hospital. She went to

15 the hospital, had the x-rays that was requested

16 performed and then brought the films, the pictures back

17 with her for my review. I looked at them in front of

18 the patient and their family and informed them that it

19 showed some very large tumors and actually showed them

20 the pictures.

21 Q So they actually observed you in the process of making

22 the diagnosis from the films?

23 A That's correct.

24 Q And how did Donna react to that news?

25 A Donna was, for lack of a better word, I would say that

1 she was numb. She didn't seem to respond much, at all.
2 I think that she had probably suspected that something
3 serious was going on.

4 Q Doctor, at the time of the August 9th visit with
5 Mrs. Wiebelhaus, was there any change made in her
6 treatment regimen?

7 A At August 9th she was in the middle of her treatments.
8 Q Her radiation treatments?

9 A Her radiation treatment. We felt that at that time it
10 was best to continue with the radiation and had planned
11 on continuing with systemic chemotherapy after that was
12 completed.

13 Q By the time of the August 9 visit you mentioned she was
14 nearly totally deaf and was having some trouble with
15 walking and falling. Was she requiring assistance to
16 walk, at that time?

17 A Yes, she was. She would basically lean on either her
18 husband or a sister that were almost always in
19 attendance with her and use them to help get her
20 around.

21 Q Was Mrs. Wiebelhaus rational, at that time?

22 A Yes, she was.

23 Q And I understand there would have been a communication
24 limitation as a result of her hearing but was she able
25 to communicate with you about her circumstances?

1 A We could communicate. She would understand what I
2 said. It would have to be spoken in a very loud, very
3 direct manner, but we were still able to fully
4 communicate.

5 Q And so she could respond verbally to you and understand
6 your questions?

7 A That's correct.

8 Q When did you next see Mrs. Wiebelhaus, Doctor?

9 A August the 23rd, 1993, she came in after having had a
10 MRI scan of her spine.

11 Q And what did it show?

12 A It showed that there were several areas of tumor
13 involving the spine and also some of the vertebra.

14 Q Doctor, was there readily observable deterioration in
15 her condition during the two weeks that intervened
16 between those August visits?

17 A She had deteriorated to the point where I felt that she
18 would no longer be able to tolerate chemotherapy. She
19 was obviously at a point where she was nearly terminal.
20 And at that point I referred her to the hospice program
21 for palliative care to try to take care of her symptoms
22 and no efforts were going to be made to artificially
23 extend her life, at that point.

24 Q Did Mrs. Wiebelhaus participate in the decision to
25 decline efforts to artificially extend her life?

1 A Yes, she did.

2 Q Was Mrs. Wiebelhaus admitted to some facility to
3 reside, then, or was she able to return home?

4 A She returned home and through the use of visiting
5 nurses and through the hospice portion they were able
6 to administer that care at her home -- at her sister's
7 home, I believe, near Crofton, Nebraska.

8 Q By the time of the August 23rd visit, this is August
9 23rd of '93, is it?

10 A Correct.

11 Q Was Mrs. Wiebelhaus having physical pain?

12 A Yes, she was.

13 Q And what was the cause of that pain?

14 A The pain was a result of the tumors within the bone
15 that was causing bone pain and there was also, as I had
16 mentioned, tumors within the spine that was causing
17 compression of nerves and pain.

18 Q Was there anything done to relieve the pain symptoms?

19 A Well, we were giving radiation to the area of the
20 sacrum and we were also giving narcotics.

21 Q What kinds of narcotics?

22 A We had initially started with Percocet, which is
23 an oral kind of narcotic, and then shortly thereafter
24 we had went to Morphine.

25 Q Did the narcotic medication eliminate the pain symptom?

1 A It made it tolerable. I don't feel that Donna was ever
2 pain free but she certainly seemed to be more at ease
3 with the pain. The narcotics, themselves, were not
4 without side effects. She had constipation requiring
5 enemas for elimination, which is a very common side
6 effect of narcotics.

7 Q Doctor, how long was Mrs. Wiebelhaus able to remain at
8 home before she was admitted to the hospital?

9 A She was admitted to Sacred Heart Hospital on September
10 the 13th, 1993.

11 Q And so she was home for approximately three weeks from
12 August 23rd to September 13th?

13 A Correct.

14 Q You saw her during that three-week period of time?

15 A No. The hospice team provides me with eyes and ears
16 within the home setting and I received reports back
17 from the hospice nurse, as far as her condition, and
18 orchestrated any adjustments in her medication and care
19 plan through the hospice team.

20 Q And the care during that three weeks was, including
21 your work, was palliative care to attempt to make her
22 as comfortable as possible?

23 A That is correct.

24 Q Was Donna aware that she was in the final stages of
25 this illness?

1 A She was.

2 Q When Donna returned to the hospital on September 13th
3 of 1993, what were her circumstances, Doctor?

4 A She was extremely dehydrated. She was unable to keep
5 any fluids down without vomiting, and as a result of
6 not being able to take anything orally she had not been
7 taking her pain medicines, so she was in a considerable
8 amount of pain, as well as being severely dehydrated.

9 Q She became an inpatient, then, at Sacred Heart?

10 A That's correct.

11 Q And what care did you initiate there?

12 A We placed an I.V. and gave her I.V. fluids as well as
13 intravenous Morphine.

14 Q And that was for pain management purposes?

15 A That's correct.

16 Q How long was she in the hospital?

17 A She expired the following day.

18 Q So something like 24 hours or thereabouts?

19 A It was a little more than 24 hours but less than 48.

20 Q I'd be remiss, I think, if I didn't ask you to
21 describe, if you would, the pain that she experienced
22 during those final hours of her life?

23 A The pain was worse on admission. Once we were able to
24 get the I.V., Morphine started, we were giving her a
25 very generous dose of Morphine. The following morning

1 my notes on the hospital chart indicated that her pain
2 was better but it was not totally relieved. She seemed
3 to be more comfortable but it wasn't perfect yet. At
4 that time we increased the Morphine slightly and she
5 expired approximately 12 hours later.

6 Q Doctor, was Donna conscious up to shortly before her
7 death?

8 A She was conscious but not for the last few hours.

9 Q Doctor, during the course of your work with
10 Mrs. Wiebelhaus, you were, of course, familiar with the
11 care she had received at the University of Nebraska
12 Medical Center in September of '92, then with the care
13 she received at the John Wayne Cancer Institute, --

14 A Correct.

15 Q -- all of the care she received from you, the care she
16 received in Norfolk from the physicians who were
17 responsible for her radiation therapy, and then finally
18 the care at Sacred Heart Hospital in Yankton at the
19 time of her death, were you not?

20 A That's correct.

21 Q Do you have an opinion concerning whether or not all
22 that care was, in fact, medically necessary in an
23 effort to manage Mrs. Wiebelhaus' melanoma and treat
24 it?

25 A I feel that the care rendered at the University of

1 Nebraska with the surgery that was performed was
2 necessary. The care that was rendered in California at
3 the John Wayne Cancer Institute was experimental in
4 nature and probably did not have an impact upon the
5 ultimate outcome.

6 Q And therefore may not have been necessary?

7 A May not have been necessary.

8 Q All right. What about the care you rendered?

9 A Well, I think that the subsequent care with her
10 chemotherapy and radiation was necessary and followed
11 standard practice.

12 Q Would that also be true of the care in Norfolk?

13 A Yes.

14 Q And the care at the time of the final hospitalization?

15 A And her admission to hospice, yes.

16 Q All right. Doctor, based on your education and
17 training and experience, your treatment and care of
18 this patient and her history, do you have an opinion
19 concerning whether or not the fatal illness that this
20 lady suffered and its course, which you have described
21 in your testimony today, had its origin in the mole
22 that Mrs. Wiebelhaus presented to her family physician,
23 a mole that appeared on her right shoulder during her
24 pregnancy in the summer of 1992?

25 A I believe that was the source for the tumor that

1 eventually spread, yes.

2 MR. BATAILLON: I'm going to -- I assume that the
3 question was a yes or no question so I'm going to
4 object that it's non-responsive and then object on
5 foundation to the answer.

6 MR. DOMINA: All right. And so we'd get that sequence
7 right, I probably did ask you a yes or no question. Do
8 you have an opinion?

9 THE WITNESS: Oh, yes.

10 MR. DOMINA: And what is your opinion?

11 MR. BATAILLON: And I'll object on foundation.

12 THE WITNESS: My opinion was as previously stated, I
13 believe that the ultimate tumors that had spread
14 originated from the primary mole on her right shoulder.

15 MR. DOMINA: Thank you very much. I have no further
16 questions. Do you want to take just a two-minute break
17 and let the doctor get up and stretch and maybe me,
18 too, for just a second before we start.

19 MR. BATAILLON: Okay.

20 (At which time a short break was taken).

21 (The following proceedings were had after the
22 break).

23 * * * * *

24 EXAMINATION BY MR. BATAILLON:

25 Q Doctor, my name is Joe Bataillon and we're doing this

1 telephone deposition basically pursuant to my notice
 2 and I have some questions for you this afternoon.

3 A Okay.

4 Q Do you have any time constraints today, Doctor?

5 A I'm all yours.

6 Q All right. For the afternoon or I had understood that
 7 we were only good till 1:00?

8 A I believe there might be another meeting on this -- in
 9 this room at 1:00 so we do, but I'm meeting with -- I'm
 10 in that meeting so they can't--

11 Q I'll attempt to get this finished as expeditiously as
 12 possible then, Doctor.

13 A Okay. Very good.

14 Q The other question I have is whether you are available
 15 for if there is a trial on December 20th or thereabouts
 16 just before Christmas, whether you're available to come
 17 to Center, Nebraska, to testify?

18 A That can always be arranged, yes.

19 Q All right. And the other question I have is all of the
 20 x-ray film, there has been at least two or three CT
 21 Scans that you mentioned and there is an MRI and I
 22 assume some plain film?

23 A That's correct.

24 Q Where is all of that; do you know? Is it in one place?

25 A No, it's not.

1 Q One of the CT Scans was obtained at Lutheran Hospital in
2 Norfolk.

3 A I know she's had a number of chest x-rays performed out
4 at John Wayne. She's had at least one chest x-ray done
5 in the hospital in Creighton, Nebraska. There are also
6 CT Scans we performed here locally are at Sacred Heart
7 Hospital, and plain chest x-rays would be available
8 here at the Yankton Medical Clinic.

9 Q Sacred Heart Hospital is in Yankton; is that correct?

10 A That's correct.

11 Q The chest film that you have available at your clinic,
12 do you have the ability to make copies of that film?

13 A Yes, we do.

14 Q I assume that either -- let me ask Mr. Domina. Is it
15 okay if I ask him to make copies by letter?

16 MR. DOMINA: Yes, it is.

17 MR. BATAILLON: Okay.

18 MR. DOMINA: I have no objection to your having copies
19 of any of these records. Of course of particular
20 concern to the doctor would be those in his possession,
21 but no, none at all.

22 MR. BATAILLON: All right. So the only film that the
23 clinic would have would be the chest x-ray film that
24 you earlier described?

25 THE WITNESS: There are several chest x-ray films but

1 that is all that we have in our possession.

2 Q And Doctor, do you have your medical record with you
3 there?

4 A Yes, I do.

5 Q And that medical record would include your notes, as
6 well as all of the laboratory findings and then also
7 the correspondence that you would receive from various
8 treating facilities and institutions; is that correct?

9 A That is correct.

10 Q How big a file is it for our estimation?

11 A It's approximately two inches thick.

12 Q Doctor, what I would like to do is ask you, and this is
13 up to you, I'd like to get a copy of that complete
14 record if at all possible. The way we usually do it is
15 to have either your office make a photocopy of it or
16 for you to give it to the court reporter, she'll make a
17 copy of it and then return the original to you within a
18 day or so, so it's kind of up to you how you would like
19 to proceed.

20 A I really don't care. Whatever is most expeditious. It
21 would probably be easiest, sir, for my own people to
22 copy it since they're familiar with how our records are
23 put together.

24 MR. BATAILLON: All right. Can we stipulate, then, for
25 the record, Mr. Domina, that Dr. Farver will have his

1 staff make a complete copy of the record that's before
2 him there, that the court reporter will get a copy of
3 it or get that copy and we'll mark that as Exhibit
4 Number 1.

5 MR. DOMINA: So stipulated.

6 MR. BATAILLON: And Exhibit Number 1 will then be the
7 complete medical record from your clinic; is that
8 correct, Doctor?

9 THE WITNESS: That is correct. I'll have to talk with
10 -- confer with my administrator. I'm not sure if it's
11 within our -- within our rights to forward some of the
12 correspondence that we have. I'm not quite sure what
13 the status is on that legally.

14 MR. DOMINA: Insofar as the patient and her husband are
15 concerned, there is no objection, Doctor.

16 THE WITNESS: As long as the patient or family would
17 concur, I have no problem with forwarding the entire
18 medical record.

19 MR. BATAILLON: And then I can -- I would like to ask
20 the court reporter, then, whether you would make
21 contact with the doctor to make arrangements to pick up
22 the original or the copy.

23 COURT REPORTER: Yes, I would.

24 MR. BATAILLON: Doctor, you are a board certified
25 internist; is that correct?

1 THE WITNESS: That is correct.

2 Q Do you have a curriculum vitae, Doctor?

3 A There is one, yes.

4 Q All right. Can you make a copy of that available to
5 the court reporter when she picks up the copy of the
6 record?

7 A I can. It hasn't been updated in some time since I
8 haven't had to apply for a job for five-and-a-half
9 years.

10 Q I understand, Doctor. I just need to get a little bit
11 of a background as far as your practice is concerned.
12 Can you--

13 A That would be fine.

14 MR. BATAILLON: All right. Then we'll mark that as
15 Exhibit Number 2?

16 MR. DOMINA: Agreed.

17 MR. BATAILLON: Doctor, did you take a -- your --
18 strike that. Your fellowship in Utah, what was that
19 fellowship in?

20 THE WITNESS: It was in hematology, oncology at the
21 University of Utah, Salt Lake City.

22 Q All right. Did you become board eligible, then, for
23 hematology and oncology?

24 A That's correct.

25 Q All right. Did you ever take the board?

- 1 A No, I didn't.
- 2 Q Is there a reason you didn't take the boards?
- 3 A I don't like taking tests.
- 4 Q I don't blame you, Doctor. All right.
- 5 A No, there was really no reason that I -- other than
- 6 time constraints, as far as not taking the boards.
- 7 Q Are there any updates that are required, as far as your
- 8 board certification, with respect to internal medicine
- 9 is concerned?
- 10 A No, there isn't.
- 11 Q Are they recommending one every seven years; is that
- 12 right or wrong?
- 13 A I believe that mine is for lifetime.
- 14 Q You say there is approximately 40 physicians in your
- 15 group; is that correct?
- 16 A Correct.
- 17 Q What's the name of your group again, Doctor?
- 18 A The Yankton Medical Clinic, P.C.
- 19 Q And can you give me an idea what the breakdown of the
- 20 physicians is? Are they mostly family practitioners,
- 21 internists or what?
- 22 A Actually they're mostly internists. There is about --
- 23 these are all approximations. We keep adding people
- 24 every day, it seems. There is six general internists,
- 25 approximately five family practitioners, three

1 obstetricians, gynecologists, three surgeons, three
2 orthopedic surgeons, a cardiologist, a pulmonologist,
3 A a gastroenterologist, a neurologist, and myself in
4 hematology/oncology.

5 Q Is there any group comparable to your size in your
6 geographic area, and that would include down to
7 Norfolk, to the best of your knowledge?

8 A No, sir, there isn't. There is a group in Sioux Falls
9 that is approximately twice our size but the Yankton
10 Medical Clinic is the second largest medical facility
11 -- medical clinic in the State of South Dakota.

12 Q The Sacred Heart Hospital, how many beds does it have;
13 do you know?

14 A One hundred forty-four (144) beds.

15 Q I'm just going down the notes of your deposition,
16 Doctor. I have some other questions that I'm going to
17 be asking you.

18 A Sure.

19 Q But I have to ask you some questions that were brought
20 up in your direct examination by Mr. Domina. You do
21 not recall which sister initially introduced you to
22 Donna Wiebelhaus' case; is that correct?

23 A I don't recall her name but I had a lot of contact with
24 that sister. It's the one that she lived with in
25 Crofton. I'm just not certain as to her name.

- 1 Q Are you aware of any sister that she has that is a
2 nurse?
- 3 A No, I'm not.
- 4 Q Are you familiar with anybody else in Donna Wiebelhaus'
5 family besides this sister and her husband?
- 6 A Her brother-in-law frequently accompanied them, as
7 well.
- 8 Q Do you know his name?
- 9 A No, I don't.
- 10 Q In the first visit that you had with Donna Wiebelhaus
11 the name of her sister that came with her is not
12 documented; is that correct?
- 13 A That is correct.
- 14 Q Do you know whether her sister was with her the entire
15 examination or simply came up to the clinic with her?
- 16 A She was present in the room all the time.
- 17 Q Do you currently have any other satellite clinics in
18 Nebraska?
- 19 A No, sir.
- 20 Q Was the only satellite clinic in Nebraska the Crofton
21 clinic?
- 22 A That's correct.
- 23 Q You closed that in November of '92?
- 24 A Correct.
- 25 Q I just thought I'd let you know that I had burned up my

- 1 car in Nelson, Nebraska.
- 2 A I think I've burned up a few cars there myself.
- 3 Q All right. As far as your initial history of
- 4 Mrs. Wiebelhaus, did she indicate how long she had had
- 5 the mole on her back?
- 6 A Well, initially she had said four to five months.
- 7 Q Do you know whether she had that mole before that four-
- 8 or five-month period?
- 9 A I would have no information to indicate either way.
- 10 Q All right. Is my understanding correct that it's your
- 11 opinion that there is no standard treatment for stage
- 12 three melanoma?
- 13 A I don't know, the point where she had had surgery and
- 14 removal of the local lymph nodes, there is no standard
- 15 care -- standard therapy beyond that point.
- 16 Q All right. And chemotherapy is not generally
- 17 recommended until it becomes stage four; is that
- 18 correct?
- 19 A That is correct, and until such time you have
- 20 measurable disease to determine whether or not the
- 21 therapy is effective.
- 22 Q Do you know whether she had had a CT Scan or an MRI of
- 23 her entire body before February of '93?
- 24 A Yes, sir, I -- she had a CT Scan performed at the
- 25 University of Nebraska in October of 1992 during the

- 1 process of their initial staging.
- 2 Q And apparently those were negative; is that correct?
- 3 A That is correct.
- 4 Q You didn't have a chance to review that film?
- 5 A I have not seen those films but I do have the radiology
- 6 reports from the University.
- 7 Q You would assume that the University still has those
- 8 films?
- 9 A Yes, sir, I would.
- 10 Q As far as the John Wayne Cancer Center is concerned,
- 11 did they correspond with you, at all, with respect to
- 12 the care they were rendering to Mrs. Wiebelhaus?
- 13 A Yes, they did.
- 14 Q And that would be part of your file; is that correct?
- 15 A That is correct.
- 16 Q Am I -- I'm sorry, I'm stuttering here, Doctor. On
- 17 December 21st, 1992, she presented with a lesion in her
- 18 mouth. Am I correct in my understanding that you would
- 19 not have recommended biopsy were it not for the fact
- 20 that she was very anxious about it?
- 21 A That is correct. The lesion had no suspicious
- 22 characteristics to it. The surgeon that examined her
- 23 and ultimately did the biopsy also felt that it was
- 24 benign appearing.
- 25 Q As far as -- I'm going to skip forward to February

1 15th. You had no contact with her, then, between
2 December 21st, 1992, and February 15th; is that
3 correct?

4 A That is correct.

5 Q And presumably she was receiving treatment through the
6 John Wayne Institute, at that time?

7 A That is correct.

8 Q And they sent you some information with respect to a
9 recurrent mole in the mid back area or was that
10 reported by her?

11 A I believe that was reported by the patient and then
12 subsequent documentation came from the John Wayne
13 Cancer Center.

14 Q But she presented to you on February 15th because she
15 had felt a lump in her breastbone; is that correct?

16 A That's correct.

17 Q I -- I'm looking at the report and I'm not sure whether
18 that would be considered a mole or a subcutaneous
19 lesion. Can you clarify that for me?

20 A It was subcutaneous. When I felt it, it was five
21 millimeters in size. It was hard. And my clinical
22 examination on the date of February 15th stated that it
23 was subcutaneous meaning that the skin was in tact over
24 the top of this lump.

25 Q Did it appear to be -- did the skin appear to be

1 discolored, at all?

2 A No, sir, but you could see that the lesion, itself, was
3 immediately beneath the skin and did have kind of a
4 dark gray appearance to it.

5 Q So it would not be considered a mole in the true sense
6 of the word?

7 A That's -- that's correct.

8 Q And the biopsy, pathology reports indicated that it was
9 -- did you say melano carcinoma?

10 A That's correct. That's an old fashioned term for
11 melanoma.

12 Q And you've never had or your pathologists have never
13 had an opportunity to review tissue sample from any of
14 the other biopsies; is that correct?

15 A That is correct.

16 Q So there is really no way to know whether this biopsy
17 resembled the other two other than the diagnosis of
18 melano carcinoma?

19 A That is correct.

20 Q And when this was documented, the biopsy was
21 documented, I assume that that would have been on or
22 about the 15th or 18th of February 1993?

23 A I believe it occurred the same day, the 15th of
24 February.

25 Q And the biopsy report, the pathology report was on the

- 1 15th, also? It was reported on the 15th?
- 2 A Reported on the 16th.
- 3 Q Then you scheduled her for a consult on the 18th to
- 4 talk to her about chemotherapy?
- 5 A That is correct.
- 6 Q And when did her chemotherapy then start?
- 7 A It started that same day, February the 18th.
- 8 Q You talked to her about the chemotherapy that you
- 9 planned and she decided to accept your recommendation
- 10 and start the first course that day?
- 11 A That is correct.
- 12 Q Had you talked to her about chemotherapy on the 15th?
- 13 A Yes, I had, because the original -- at that time, she
- 14 had had a recurrence on the lower back and we had
- 15 obviously felt that we were getting close to the time
- 16 where we were going to need to consider chemotherapy
- 17 and there was also the suspicion that she had
- 18 involvement of her liver and not only did we do the
- 19 biopsy but we also got the Cat Scan I think of her
- 20 abdomen during that same time frame.
- 21 Q And that CT Scan was done in Norfolk, did you say?
- 22 A That is correct.
- 23 Q Is there a reason it wasn't done in Yankton, if you can
- 24 recall?
- 25 A Oh, I can recall. The Cat Scan was down for its

1 quarterly maintenance and wasn't going to be available
2 for that day for any routine scanning, for any
3 scanning, at all, even emergency scans. The patient
4 and their family were quite anxious to have the scan
5 performed so the closest center with a Cat Scan or
6 comparable was Norfolk and that's why we referred her
7 to Norfolk to have that procedure done.

8 Q The only records that you've reviewed with respect to
9 this case would be the records that are in your file;
10 is that correct?

11 A That is correct. Everything that has ever been
12 forwarded to me, either by fax or by mail, is present
13 in her file.

14 Q Have you had an opportunity to review the records of
15 Dr. Nagengast?

16 A I have not.

17 Q You did not review any records from the Creighton,
18 Nebraska, hospital either; is that correct?

19 A I did review the actual chest x-ray film on one
20 occasion but I have not seen any other of the written
21 records.

22 Q The CT that was done on or about the 15th of February
23 '93, did that show what appeared to be a lesion on the
24 liver, if you can recall?

25 A My notes of the 18th state that when I looked at it I

1 felt that there were more than one area of cancer in
2 the liver.

3 Q And you didn't document the size of those lesions --
4 I'll withdraw the question and ask it again. As far as
5 the CT Scan is concerned that you reviewed, do you
6 recall the size of the lesion in the liver?

7 A My notes from February 18th state that the lesion was
8 rather large and was located in the caudate lobe of the
9 liver which -- and there was other suspicious areas
10 that were smaller scattered throughout the rest of the
11 liver, but at least one lesion was described as being
12 large.

13 Q And generally speaking, what strikes you as large from
14 a centimeter standpoint?

15 A Oh, something greater than five centimeters.

16 Q How many other suspicious areas do you think there
17 were, approximately?

18 A Probably less than a half dozen, less than six.

19 Q And they would, by definition, be under five
20 centimeters, probably?

21 A Yes. I didn't -- I didn't officially interpret the
22 Cat Scan --

23 Q I understand that.

24 A -- other than to just look at it. It was obvious that
25 this was consistent with metastatic cancer and the

1 actual dimensions for my recording purposes wasn't as
2 important as the assessment.

3 Q I understand. I'm just trying to get an idea of what
4 you recall. Doctor, were there any other areas that
5 you believed had some evidence in that CT Scan of
6 metastasis?

7 A I didn't see any other areas within the abdominal
8 CT Scan that was suspicious, no.

9 Q And I take it, then, it was simply an abdominal CT Scan
10 as opposed to a full body scan?

11 A That is correct.

12 Q The chemotherapy that you rendered, do you have an
13 opinion as to whether or not it extended her life
14 appreciably?

15 A No, I really don't know if we extended her life. I
16 believe we did improve the quality of her life for a
17 short while, but whether or not that translated into a
18 longer life expectancy, I really don't know.

19 Q How long do you think that it improved the quality of
20 her life?

21 A Well, she obviously had good quality of life for about
22 five -- five months after the initiation of the
23 therapy.

24 Q And the chemotherapy was initiated in February of '93;
25 is that correct?

1 A Correct.

2 Q I need to ask you about the doctor that did the

3 radiation therapy. He's located in Norfolk; is that

4 correct?

5 A That is correct.

6 Q And his name is -- would you spell his name, Doctor?

7 A It's Dr. Zahra, Z as in zebra, a-h-r-a.

8 Q Thank you, Doctor. I assume that you refer patients to

9 him, from time to time?

10 A Frequently.

11 Q The CT Scan, did the CT Scan show invasion in-- or,

12 strike that. When was the CT done that showed invasion

13 to the sacrum and the brain, approximately?

14 A I can tell you exactly in just a moment. The CT Scan

15 of the brain was performed July -- no, excuse me, July

16 26th, 1993. Due to her having pain in the sacral

17 region she had subsequently had an MRI scan of the

18 sacrum and lower spine. That was performed after

19 Dr. Zahra had evaluated her down in Norfolk and the MRI

20 was actually done at Lutheran Hospital in Norfolk. It

21 would have been sometime in August of '93.

22 Q And your file probably has reports, radiology reports

23 from each of those; is that correct?

24 A We don't have the radiology reports from Norfolk, no.

25 Q All right. The day that you diagnosed the brain tumor,

SLOWEY COURT REPORTING SERVICE
2505 BURLEIGH STREET
YANKTON, SD 57078
(605-665-8410)

1 would that have been July 26th, 1993?

2 A Yes, sir.

3 Q And you indicated that it was a large brain tumor. Can
4 you estimate the size?

5 A The radiology report of the CT Scan from July 26, 1993
6 indicated that the tumor was -- there was a
7 two-centimeter mass in the right frontal lobe and then
8 another area that was two by three centimeters in the
9 posterior aspect of the left temporal lobe.

10 Q And that report was from Norfolk?

11 A No, that CT Scan was performed at Sacred Heart Hospital
12 and was interpreted by Dr. Messner.

13 Q And can you spell his name for the record?

14 A M-E-S-S-N-E-R.

15 Q Is he a general radiologist, to the best of your
16 knowledge?

17 A Yes, he is.

18 Q As opposed to a neuro radiologist?

19 A That's correct.

20 Q You saw her then on September 9th, 1993 and she was
21 having some weakness of the left leg; is that correct?

22 A Yeah, that is correct.

23 Q What is the explanation for that, if you can tell me?

24 A Well, our concern was that in the region where these
25 brain tumors were located and the swelling from the

SLOWEY COURT REPORTING SERVICE
2505 BURLEIGH STREET
YANKTON, SD 57078
(605-665-8410)

1 tumor could have been affecting her motor abilities and
2 thereby resulting in weakness.

3 Q Did she -- did you ever do a bone scan on her?

4 A Not to my knowledge.

5 Q She had -- I notice here that there was apparently an
6 MRI done on August 23, 1992 and that showed the tumors
7 in the spine and the vertebra; is that correct?

8 A No, it was 1993.

9 Q Oh, I'm sorry. Okay. And where was that done?

10 A That was performed at Lutheran Hospital in Norfolk,
11 Nebraska.

12 Q Do you have a radiology report for that one?

13 A No, I do not. I have secondhand information from
14 Dr. Zahra who included an interpretation with some of
15 his communication.

16 Q All right. Thank you. And when you -- when you --
17 when you learned of the tumors in the spine and
18 vertebra, that's when you decided that there was no
19 further efficacy for the chemotherapy, correct?

20 A That is correct.

21 Q And you referred her to hospice. Which hospice was
22 that, Doctor?

23 A That was the Sacred Heart Hospital's hospice program.

24 Q And is that -- do they have satellite facilities
25 outside of Yankton or was that in Yankton?

SLOWEY COURT REPORTING SERVICE
2505 BURLEIGH STREET
YANKTON, SD 57078
(605-665-8410)

1 A It's in Yankton and it covers a 25-mile radius from
2 Yankton.
3 Q Do they -- did they have her in the hospice facility in
4 Yankton for a time and then let her go home?
5 A No, she was cared for at home.
6 Q From the time she was referred to hospice?
7 A That's correct. Their initial visit, I believe,
8 actually occurred at home.
9 Q What is the title for the hospice program if I needed
10 to get their record?
11 A I believe it's just Sacred Heart Hospice-- or, the
12 Sacred Heart Hospice Program. The clinical coordinator
13 for the hospice program is Mary Pistulka,
14 P-I-S-T-U-L-K-A, and she is a nurse, an R.N..
15 Q She was also -- Mrs. Wiebelhaus was also being seen by
16 the visiting nurses from the Crawford area?
17 A Crofton.
18 Q All right.
19 A The visiting nurses are all associated with Sacred
20 Heart Hospital and the hospice program.
21 Q So there wouldn't be a separate agency that would still
22 be part of the hospice program?
23 A No, they would all be -- it would all be under the one
24 organization.
25 Q Which is Sacred Heart?

1 A That's correct.

2 Q The Morphine that you were giving, was that oral or
3 intramuscular injection?

4 A It was oral and it was liquid Morphine that was taken
5 orally.

6 Q And the first time that you knew that she was not able
7 to take the liquid Morphine was when she came to the
8 hospital?

9 A That is correct.

10 Q What was the date of that again, Doctor? I didn't hear
11 that.

12 A September 13th.

13 Q September 13th --

14 A 1993.

15 Q -- is that correct? And then she died on September
16 14th, 1993?

17 A Yes, sir.

18 Q Do you know how long she was unable to take any fluids
19 before being admitted to the hospice?

20 A My Admission History and Physical indicates that she
21 had been nauseated and vomiting for three to four days
22 prior to coming to the hospital.

23 Q Did she have respiratory arrest or cardiac arrest or
24 both, if you know?

25 A It's kind of hard to separate the two. We didn't have

SLOWEY COURT REPORTING SERVICE
2505 BURLEIGH STREET
YANKTON, SD 57078
(605-665-8410)

1 her on active monitoring, cardiac or otherwise. We
2 continued with the hospice-type of therapy while in the
3 hospital so I really don't know. It was not witnessed
4 by myself or other -- other staff.

5 Q Do you know whether anybody did witness her demise?

6 A The family was in constant attendance throughout this
7 -- that time, so I'm sure that the family members were
8 present.

9 Q You would, as far as the Yankton Clinic is concerned,
10 you would have been Donna Wiebelhaus' primary provider;
11 is that correct?

12 A That is correct.

13 Q Are there any physicians that you know of that would
14 recommend chemotherapy with stage three melanoma?

15 A Not after complete surgical excision, no.

16 Q Theoretically, would there be any efficacy in
17 chemotherapy with stage three melanoma?

18 A That issue has been tried in clinical studies and
19 proven not to have any benefit.

20 Q So that some physicians have tried it but there doesn't
21 appear to be any substantial increase in the
22 survivability after administering chemotherapy?

23 A Not with our standard chemotherapy, no.

24 Q That would have been the same chemotherapy that you
25 instituted on February of '93; is that correct?

1 A That is correct.
2 Q And the chemotherapy would have been done under your
3 supervision?
4 A Yes, sir.
5 Q My record ends on April 23, 1993 and I assume that you
6 have -- your record continues until the 15th of
7 September at least, '93; is that correct?
8 A Yes, it does.
9 MR. BATAILLON: I don't have any further questions,
10 Doctor. I think I've finished in time for your
11 meeting.
12 THE WITNESS: Thank you.
13 MR. DOMINA: Doctor, you have a right to read your
14 deposition and sign it before it's used if you want or
15 you may waive that right if you prefer.
16 THE WITNESS: I'll waive that right.
17 MR. DOMINA: Thank you very much for your help.
18 (No further proceedings were had).
19
20
21
22
23
24
25

1 CERTIFICATE OF REPORTER
2 -----

3 I, YaVonne C. Slowey, Certified Professional
4 Reporter, do hereby certify as follows:

5 1. That the deponent aforementioned was duly sworn
6 prior to the taking of this deposition.

7 2. That I took down in shorthand, correctly, the
8 testimony of said deponent and have caused the same to be
9 transcribed, and that this deposition is a true and correct
10 record of the testimony given by said deponent at the time I
11 affix my signature to this certificate.

12 3. That the cost for reporting and transcribing
13 the original and one copy of deposition is in the sum of
14 \$255.90, plus tax, said sum to be advanced and paid to
15 YaVonne Slowey, Registered Professional Reporter, 2505
16 Burleigh Street, Yankton, South Dakota 57078, prior to the
17 use of said deposition at trial.

18 4. That the original of the transcript of this
19 deposition is to be filed with the Attorney for the
20 Plaintiff, Mr. David A. Domina.

21 5. That a copy is to be delivered to Mr. David A.
22 Domina, Omaha, Nebraska, Attorney for the Plaintiff; and
23 Mr. Joseph F. Bataillon, Omaha, Nebraska, Attorney for the
24 Defendant.

25 6. I further certify that I am not related by

SLOWEY COURT REPORTING SERVICE
2505 BURLEIGH STREET
YANKTON, SD 57078
(605-665-8410)

1 consanguinity or affinity within the fourth degree to any
2 party, his attorney, or any employee of any of them; that I
3 am not financially interested in this action, and that I am
4 not the attorney or employee of any party.

5 To all of which I have verily affixed my signature
6 this 8th day of December 1993.

7
8
9 YAVONNE C. SLOWEY, RPR
10 2505 Burleigh Street
11 Yankton, South Dakota 57078
12 (605) 665-8410
13
14

15 My commission expires: October 6, 1997.
16
17
18
19
20
21
22
23
24
25

SLOWEY COURT REPORTING SERVICE
2505 BURLEIGH STREET
YANKTON, SD 57078
(605-665-8410)

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

IN THE DISTRICT COURT OF KNOX COUNTY, NEBRASKA

DONNA J. WIEBELHAUS,)	CASE NO. 12018
)	
Plaintiff,)	
)	
vs.)	
)	
D. J. NAGENGAST, M.D.,)	
)	
Defendant.)	

YaVonne C. Slowey, Registered Professional Reporter,
General Notary Public, does hereby certify that on the 23rd
day of November 1993 the deposition of Dr. Max L. Farver
was taken in the above-captioned case on behalf of the
Plaintiff; that the original deposition was transcribed
and delivered by mail to Mr. David A. Domina, attorney for
the Plaintiff, on the 10th day of December 1993; and that
the costs of said deposition assessed to the Plaintiff are
in the amount of \$274.43.

YaVonne C. Slowey, RPR
General Notary Public

My commission expires: 10-6-97.

SLOWEY COURT REPORTING SERVICE
2505 BURLEIGH STREET
YANKTON, SD 57078
(605-665-8410)

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I N D E X

	Page
Caption of Case-----	1
Appearances-----	1
Stipulation-----	2
TESTIMONY OF	
DR. MAX L. FARVER	
Examination by Mr. David A. Domina-----	3
Examination by Mr. Joseph F. Bataillon-----	44
End of Deposition at 12:55 PM, 11-23-93-----	68
Certificate of Reporter-----	69
Index to Proceedings-----	71

SLOWEY COURT REPORTING SERVICE
2505 BURLEIGH STREET
YANKTON, SD 57078
(605-665-8410)