

IN THE STATE OF OHIO

COUNTY OF CUYAHOGA

CIVIL DIVISION

\* \* \* \* \*

HOPE JASMINE \*

DIVER, etc., \*

et al., \*

Plaintiffs \* No. 305538

vs. \*

ANTHONY GINGO, \*

JR., M.D., \*

et al., \*

Defendants \*

\* \* \* \* \*

DEPOSITION OF

PATRICIA D. FEDORKA, R.N.C., Ph.D.

JUNE 1, 1999

COPY

Any reproduction of this transcript  
is prohibited without authorization  
by the certifying agency

## DEPOSITION

OF

PATRICIA D. FEDORKA, R.N.C., Ph.D.,  
was taken on behalf of the  
Defendants herein, pursuant to the  
Rules of Civil Procedure, taken  
before me, the undersigned,  
Jacqueline L. Reichert, a Court  
Reporter and Notary Public in and  
for the Commonwealth of  
Pennsylvania, at Patricia Fedorka's  
office, 106 Heldon Drive, Moon  
Township, Pennsylvania, on Tuesday,  
June 1, 1999, at 10:05 a.m.

## A P P E A R A N C E S

MICHAEL F. BECKER, ESQUIRE

Becker & Mishkind Co., LPA

134 Middle Avenue

Elyria, OH 44035

COUNSEL FOR PLAINTIFFS

STEVEN WALTERS, ESQUIRE

Weston, Hurd, Fallon, Easley &

Howley

2500 Terminal Tower

Cleveland, OH 44114

COUNSEL FOR DEFENDANT, DR. GINGO

(VIA TELEPHONE)

DONALD H. SWITZER, ESQUIRE

Bonezzi, Switzer, Murphy & Polito

1400 Leader Building

526 Superior Avenue

Cleveland, OH 44114

COUNSEL FOR SOUTHWEST GENERAL

HOSPITAL (VIA TELEPHONE)

## I N D E X

WITNESS: PATRICIA D. FEDORKA,  
R.N.C., Ph.D.

## DIRECT EXAMINATION

BY ATTORNEY SWITZER 7-65

## CROSS EXAMINATION

BY ATTORNEY WALTERS 66-76

## REDIRECT EXAMINATION

BY ATTORNEY SWITZER 76-78

## RECROSS EXAMINATION

BY ATTORNEY WALTERS 78-79

CERTIFICATE 80

EXHIBIT PAGE

		<u>PAGE</u>	
<u>NUMBER</u>	<u>IDENTIFICATION</u>	<u>IDENTIFIED</u>	
A	Notes	9	
B	Curriculum Vitae	9	
C	Guidelines for		
	Perinatal Care	12	
D	Standards for		
	Obstetric-Gynecologic		
	Services	14	
E	Fetal Heart Monitoring,		
	Principles & Practices	15	
F	High-Risk Pregnancy,		
	A Team Approach	16	

OBJECTION PAGEATTORNEYPAGE

NONE MADE

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

## P R O C E E D I N G S

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

- - - - -  
PATRICIA D. FEDORKA, R.N.C., Ph.D.,  
HAVING FIRST BEEN DULY SWORN,  
TESTIFIED AS FOLLOWS:  
- - - - -

DIRECT EXAMINATION

BY ATTORNEY SWITZER:

Q. State your full name.

A. Patricia Fedorka,  
F-E-D-O-R-K-A.

Q. Ms. Fedorka, have you ever  
had your deposition taken before?

A. Yes, I have.

Q. How many times?

A. Probably about 10 to 12.

Q. In your capacity for a  
witness in a medical malpractice  
case?

A. That's correct.

Q. Now, obviously we're doing  
this by telephone so you need to  
make sure you hear my questions or  
Steve Walters' questions before you  
answer and make sure you tell us if

1 you don't hear the question. Okay?

2 A. Yes, I will.

3 Q. Do you have a file with  
4 you?

5 A. Yes, I do.

6 Q. Do you have any notes in  
7 that file?

8 A. Outside of the December  
9 1st, 1998 report that I wrote, I  
10 probably have about two pages of  
11 notes.

12 Q. Do you have copies of  
13 those notes?

14 A. Really it's only one  
15 page. No, but I can rewrite it  
16 because it's very short or have a  
17 copy made.

18 Q. Okay. Would you have the  
19 Court Reporter mark that as an  
20 exhibit, make it Exhibit A.

21 A. All right.

22 Q. And maybe somebody can  
23 copy those notes and attach it to  
24 the transcript?

25 A. That's fine.



1 Q. Okay.

2 (Exhibit Number  
3 A marked for  
4 identification).

5 A. I also have my updated CV  
6 here.

7 BY ATTORNEY SWITZER:

8 Q. Would you attach a copy of  
9 that to the transcript also, we'll  
10 make that Exhibit B?

11 A. Yes.

12 (Exhibit Number  
13 B marked for  
14 identification).

15 BY ATTORNEY SWITZER:

16 Q. Now, when were you  
17 retained by Mr. Becker?

18 A. Let me look. Sometime in  
19 the fall of 1998.

20 Q. Had you ever reviewed any  
21 prior cases for Mr. Becker or his  
22 law firm?

23 A. No, that was the first  
24 case I believe that I had from them.

25 Q. And why were you retained?

1 A. To review the nursing care  
2 that was given to the patient.

3 Q. Do you know Doreen  
4 Allison?

5 A. No, I don't.

6 Q. Did you know she was a  
7 nurse that had previously reviewed  
8 this case for Mr. Becker?

9 A. No.

10 Q. Do you know why she's no  
11 longer an expert in this case?

12 A. I have no idea.

13 Q. Did you ever see her  
14 report?

15 A. No, I have not.

16 Q. Did you receive a letter  
17 from Mr. Becker?

18 A. I was contacted by  
19 telephone.

20 Q. By the way, your December  
21 1, 1998 letter to Mr. Becker with  
22 your opinions, is that the only  
23 report or letter you've written?

24 A. That's correct.

25 Q. Setting forth your

1 opinions?

2 A. Yes.

3 Q. In your letter of December  
4 1, 1998 you list materials that you  
5 reviewed on page one.

6 A. Yes.

7 Q. Have you reviewed any  
8 other materials?

9 A. No, I have not.

10 Q. Have you conducted any  
11 research for your work in this case?

12 A. Not specifically. I did  
13 go back through some of my  
14 information on taking vitals, ACOG  
15 standards. So I do have that  
16 information. Fetal ---.

17 Q. Is that part of your file  
18 here?

19 A. Yes.

20 Q. Are those voluminous  
21 documents?

22 A. No, there's maybe about 20  
23 pages, 25 pages.

24 Q. Can we mark that as  
25 Exhibit C and have copies attached

1 to the transcript?

2 A. All right.

3 (Exhibit Number  
4 C marked for  
5 identification).

6 BY ATTORNEY SWITZER:

7 Q. Tell me what ACOG  
8 standards you looked at?

9 A. From 1989 ACOG Standards  
10 for Obstetric and Gynecological  
11 Services, Seventh Edition. And then  
12 1992 guidelines for Perinatal Care,  
13 Third Edition. Those are the two  
14 ACOG publications.

15 Q. I see. Did the 1992  
16 guidelines supersede the 1989  
17 edition?

18 A. Well, they are actually  
19 two different publications. One is  
20 the standards, the other one is the  
21 guidelines and up to a year or so  
22 ago, they had two separate  
23 publications. The standards for  
24 example, are the seventh edition  
25 from 1989, the guidelines are the

1 third edition so again, they are two  
2 completely separate publications.

3 Q. If I understand what you  
4 looked at, the guidelines for  
5 perinatal care were actually a joint  
6 publication between ACOG and the  
7 American Academy of Pediatrics?

8 A. That's correct.

9 Q. And then the standards you  
10 were talking about, those were put  
11 out by ACOG?

12 A. That's correct.

13 Q. Did you review any  
14 technical bulletins by ACOG?

15 A. Not for this case, no.

16 Q. How about any nursing  
17 techs?

18 A. Yes, I have the Fetal  
19 Heart Rate Monitoring, Principles  
20 and Practices from 1993 that AWHONN  
21 put out and I do have some copies of  
22 certain sections, it's a fairly big  
23 book and there's certain sections  
24 that I photocopied for this case.

25 Q. Okay, who's the publisher

1 of that book?

2 A. AWHONN.

3 Q. And why don't you give the  
4 initials for that so it's clear for  
5 the transcript?

6 A. Okay. It's A-W-H-O-N-N  
7 and that's the Association of  
8 Women's Health on Obstetric and  
9 Neonatal Nurses.

10 Q. What's the name of that  
11 textbook?

12 A. Fetal Heart Monitoring,  
13 Principles and Practices, 1993.

14 Q. And you had some excerpts  
15 from that textbook?

16 A. That's correct.

17 Q. And can we mark that as  
18 Exhibit D? Unless that's concluded  
19 in Exhibit C?

20 (Exhibit Number  
21 D marked for  
22 identification).

23 A. Well, we can make each one  
24 separately if you want, that might  
25 be a little clearer. We have the

1 Perinatal Care, the standards and  
2 then we could do the Fetal Heart  
3 Rate Monitoring and then I also have  
4 a few pages from a high-risk  
5 pregnancy book addressing third  
6 trimester bleeding.

7 (Exhibit Number  
8 E marked for  
9 identification).

10 BY ATTORNEY SWITZER:

11 Q. What's the name of that  
12 book?

13 A. That is Knuppel,  
14 K-N-U-P-P-E-L, and Drukker,  
15 D-R-U-K-K-E-R, 1993, High-Risk  
16 Pregnancy, A Team Approach, second  
17 edition published by Saunders.

18 Q. Is that Joan Drukker?

19 A. Yes.

20 Q. Is she from Pittsburgh?

21 A. She was at Magee Hospital  
22 a few years ago, I don't know where  
23 she is now.

24 Q. Why don't we mark that as  
25 Exhibit, I don't know what we're up

1 to now, E or F?

2 A. I think we're up to F.

3 Q. Mark that as Exhibit F  
4 then.

5 (Exhibit Number  
6 F marked for  
7 identification).

8 BY ATTORNEY SWITZER:

9 Q. Any other research you  
10 have?

11 A. No, that's about it.

12 Q. Why did you review these  
13 publications?

14 A. I always do that to  
15 support my opinions because it's  
16 many of the opinions are just not my  
17 personal opinions or based on my  
18 personal experience, it's also based  
19 on national standards and that is  
20 one of the things that we use for  
21 evaluating nursing care.

22 Q. But you consider the  
23 materials that you just identified  
24 made to be sources for the standard  
25 of nursing care?



1 A. Yes, in general. Again,  
2 ACOG, even though it's a physician  
3 group usually the nursing  
4 association follows ACOG  
5 recommendations.

6 Q. You consider any other  
7 publications to be sources for the  
8 obstetrical nursing standard of care  
9 in this case other than what you've  
10 just discussed?

11 A. No.

12 Q. Are you familiar --- well,  
13 let me back up a minute. Are you  
14 licensed to practice nursing in  
15 Ohio?

16 A. No, I'm not.

17 Q. Are you familiar with the  
18 Ohio statutes governing nursing  
19 care?

20 A. As far as the Nurse  
21 Practice Act, yes, I do have a copy  
22 of that.

23 Q. You do. What's the name  
24 of that?

25 A. I don't have it in front

1 of me right now, it's in with my  
2 reference information.

3 Q. What do you mean reference  
4 information?

5 A. Well, I have a lot of  
6 books and pamphlets and it's with  
7 that.

8 Q. That's not for this case,  
9 that's in general?

10 A. Yes, I have a lot of the  
11 nurse practice acts from different  
12 states.

13 Q. Have you reviewed any  
14 journal articles for this case?

15 A. No, not specifically.

16 Q. Any hospital policies you  
17 reviewed?

18 A. No, I don't have those.

19 Q. And we've already covered  
20 the textbooks that you looked at;  
21 right?

22 A. Yes.

23 Q. Now, I do have a copy of  
24 your Curriculum Vitae. The copy I  
25 have is dated fall of 1998. You

1 stay you have an updated one?

2 A. That's correct.

3 Q. You're not a medical  
4 doctor; correct?

5 A. That's correct.

6 Q. Do you teach at the  
7 Duquesne University?

8 A. That's correct.

9 Q. Correct?

10 A. Correct.

11 Q. You teach obstetrical  
12 nursing?

13 A. That's correct.

14 Q. Do you use any particular  
15 textbooks to teach obstetrical  
16 nursing?

17 A. Right now we're using a  
18 Saunders book, the first author is  
19 Gorrie, G-O-R-R-I-E.

20 Q. What's the name of that  
21 textbook?

22 A. Maternal and Infant Care,  
23 I could get that after we're done  
24 here.

25 Q. Okay. You can just give

1 that to the Court Reporter?

2 A. That would be fine.

3 Q. Is that the only  
4 obstetrical textbook you're using  
5 right now?

6 A. That's the one that we're  
7 using presently, yes.

8 Q. Were you teaching  
9 obstetrical nursing in October 1994?

10 A. Yes, I was.

11 Q. What textbooks were you  
12 using at that time?

13 A. I would have to go back  
14 and look, it might have been Olds  
15 and London, I think that's a  
16 Lippincott, but again, I'd have to  
17 check.

18 Q. How many hours a week do  
19 you spend teaching at the nursing  
20 school?

21 A. It's for the full semester  
22 for obstetrics I teach 90 hours in a  
23 14-week block.

24 Q. What does that mean, 90  
25 hours in a 14-week block, what does

1 that mean?

2 A. Well, they have class  
3 every week. Sometimes depending on  
4 the topic, I teach a little bit  
5 more. I share it with another  
6 instructor, the OB content. So some  
7 weeks, I teach, they have class  
8 Wednesdays and Fridays, they have  
9 actual theory class from 9:00 to  
10 12:00. So some weeks I'm teaching  
11 all of that content, some weeks I'm  
12 teaching half of it. So it's just  
13 easier to say for those 14 weeks,  
14 I'm responsible for 90 hours of  
15 content that I'm teaching in the  
16 classroom.

17 Q. Do you have any other  
18 teaching responsibilities?

19 A. Yes, I teach in some other  
20 courses.

21 Q. What is that?

22 A. Trends and issues,  
23 sometimes I teach nursing research,  
24 I guest lecture in some of the  
25 Master's classes.

1 Q. What are the subject  
2 matters?

3 A. Also community health  
4 nursing. The subject matter is  
5 history of nursing, legal  
6 ramifications in nursing, nursing  
7 research about nursing research, I  
8 don't know how much in detail you  
9 want me to get into that.

10 Q. What I meant is, I'm  
11 sorry, I wasn't clear, do you teach  
12 any courses in cancer, caring for  
13 other than obstetrical patients?

14 A. Community health nursing  
15 and that's taking care of patients  
16 in the home.

17 Q. Do you engage in the  
18 clinical practice of nursing?

19 A. Yes, I am.

20 Q. What do you do?

21 A. I teach or I'm actually  
22 supervising the students giving  
23 patient care for 14 hours a week  
24 during the fall semester when I  
25 teach obstetrical nursing and in the

1 spring when I teach community  
2 nursing, I'm in the home health care  
3 agencies supervising students giving  
4 care to high-risk OB patients and  
5 medical/surgical patients.

6 Q. Are you employed as an  
7 obstetrical staff nurse?

8 A. Yes, I am.

9 Q. What hospital?

10 A. Allegheny General  
11 Hospital.

12 Q. And you've been employed  
13 there since 1996?

14 A. That's correct.

15 Q. How many hours in a  
16 two-week period or a week period,  
17 whatever it is, do you work for  
18 them?

19 A. I would say it comes to  
20 about one to two days a week.

21 Q. Do you work as a --- are  
22 you a head nurse or a charge nurse  
23 or what's your title?

24 A. I'm a staff nurse.

25 Q. What does that mean?

1 A. I'm just a normal staff  
2 nurse that takes care of patients  
3 like every other nurse does. I  
4 don't have any head nurse  
5 responsibilities.

6 Q. How many deliveries are  
7 you generally involved in on a  
8 monthly basis?

9 A. And again, this is an  
10 estimate because we also have our  
11 sick antepartal patients up there so  
12 sometimes I'm taking care of  
13 antepartal. Maybe 10 to 12  
14 deliveries per month.

15 Q. That's been true since  
16 1996?

17 A. Yes, again, that's a very  
18 rough estimate. Some days you have  
19 more, some days you have less. Like  
20 I said, some days I'm taking care of  
21 antepartal patients so hopefully  
22 they don't deliver.

23 Q. Looking at the CV I have.  
24 I have some questions about your  
25 background.



1 A. All right.

2 Q. From 1979 to 1985, you  
3 were living outside of the United  
4 States?

5 A. Yes. We were back for a  
6 few months in '81 before we left the  
7 country again.

8 Q. Were you practicing as a  
9 nurse in those years?

10 A. No, I wasn't.

11 Q. And then when you came  
12 back in 1985, were you practicing  
13 clinical practice of nursing?

14 A. Yes.

15 Q. Where was that?

16 A. I think it was probably  
17 the winter of 1986, that's when I  
18 started working for Duquesne  
19 University part time and part time  
20 was just doing the clinical  
21 component and that was at Magee  
22 Hospital, I think at that point, the  
23 tertiary care center in Pittsburgh  
24 for obstetrical patients and for  
25 community, I was at Allegheny County

1 Health Department supervising  
2 students giving care to high-risk  
3 mothers through the health  
4 department. I also was working part  
5 time for Sewickley Valley Hospital  
6 in that time period working one day  
7 a week, one weekend a month in their  
8 labor, delivery, postpartum and  
9 newborn nursery areas.

10 Q. Where were you practicing  
11 as a staff nurse in obstetrics in  
12 October of 1994?

13 A. In '94 I was not working  
14 specifically for a hospital. I was  
15 there as a clinical person  
16 supervising students giving patient  
17 care.

18 Q. Okay. Before you started  
19 in 1996 for Allegheny Hospital, ---

20 A. Yes.

21 Q. --- when was the last time  
22 you had been employed as a staff  
23 nurse on an obstetrical unit by a  
24 hospital?

25 A. Again, I worked for

1 Sewickley Valley Hospital I think  
2 from, boy, it's been so long. I  
3 think I started around 1986 or '87  
4 when we came back and I worked there  
5 probably until 1990. And then I was  
6 getting my doctorate and teaching at  
7 Duquesne and getting my clinical  
8 there so I stopped working at the  
9 hospital.

10 Q. So from approximately 1990  
11 until you started again in 1996, you  
12 were not working as a staff nurse or  
13 a nurse on an obstetrical unit in a  
14 hospital; is that correct?

15 A. Not directly for the  
16 hospital but we have to pass our  
17 competency test to supervise the  
18 students taking care of patients in  
19 the OB setting. I mean, we have to  
20 take care of those LND patients,  
21 postpartum and newborn and  
22 antepartal patients and I'm there  
23 supervising students giving care so  
24 I am working not technically as a  
25 staff nurse for the hospital, but I

1 am responsible for that patient care  
2 that I and the students deliver.

3 Q. That was part of your  
4 duties as an instructor; is that  
5 correct?

6 A. That's correct.

7 Q. You were not acting as a  
8 staff nurse in an obstetrical unit  
9 similar to the nurses involved in  
10 this case; correct?

11 A. Well, I am taking care of  
12 patients. Again, they're assigned  
13 to me and the students so I'm not  
14 employed by the hospital, but I have  
15 to pass all the competency tests  
16 that their staff nurses have to pass  
17 to take care of patients because I  
18 am giving patient care charting and  
19 responsible for those patients.

20 Q. When you say, let's cover  
21 the period of 1990 to 1996. You  
22 were an instructor?

23 A. Correct.

24 Q. And that was at Duquesne  
25 University?

1 A. That's correct.

2 Q. Who did you report to in  
3 your capacity as an instructor? Was  
4 that another nurse or was that a  
5 physician?

6 A. At Duquesne University, my  
7 supervisor in charge of clinical  
8 activities was Judy Depalma  
9 (phonetic).

10 Q. Was she a doctor or a  
11 nurse?

12 A. She's a nurse.

13 Q. Okay. Do they have  
14 residents at this hospital?

15 A. Yes, they do.

16 Q. Now, on your publications  
17 on your CV, you have a book you've  
18 identified on nursing standards?

19 A. Let me turn to that.

20 Q. Page three of my copy.

21 A. Okay.

22 Q. Has that been published  
23 yet?

24 A. Defining the Standard of  
25 Care, The Association of Women's

1 Health Obstetric and Neonatal  
2 Nurses, yes, that's been published.

3 Q. By Lippincott?

4 A. That's correct.

5 Q. Do you have any other  
6 publications other than the three  
7 you've listed there?

8 A. Well, I don't know which  
9 ones you have, but Defining the  
10 Nursing Practice by F.A. Davis, do  
11 you have those two? Those are in  
12 press right now?

13 Q. Mine is fall of 1998 so I  
14 don't have that.

15 A. Okay. So there's two that  
16 are in press right now and then two  
17 journal articles that have come out.

18 Q. Okay. Would those be  
19 identified in your CV?

20 A. Yes.

21 Q. Okay. When did you first  
22 start reviewing medical malpractice  
23 cases?

24 A. 1994.

25 Q. And how did you become

1 involved in that?

2 A. One of the instructors  
3 that I worked with at Duquesne asked  
4 me if I would review an obstetrical  
5 case for a lawyer that she knew and  
6 needed a review done.

7 Q. I know this is an estimate  
8 unless you have an exact number, how  
9 many cases have you reviewed since  
10 1994?

11 A. Probably in the range of  
12 about 100.

13 Q. And is there a general  
14 breakdown of those cases? In other  
15 words, on behalf of the hospitals  
16 versus on behalf of the patients?

17 A. I would say probably 30  
18 percent Defense, 70 Plaintiff.

19 Q. Do you advertise your  
20 services anywhere?

21 A. No, I don't.

22 Q. You said you never  
23 reviewed any other cases for Mr.  
24 Becker; right?

25 A. To the best of my

1 knowledge, yes.

2 Q. Do you know how he learned  
3 about you?

4 A. Someone who Kathy Mulligan  
5 who used to work for a defense firm  
6 that I reviewed many cases for, I  
7 think gave him my name. It's now in  
8 his office I believe.

9 Q. And what is your fee that  
10 you're charging for your deposition  
11 today?

12 A. \$175 an hour.

13 Q. Do have a separate fee for  
14 review of records and preparation of  
15 reports?

16 A. Yes, I do, that's \$125.

17 Q. How about for trial  
18 testimony?

19 A. \$175 plus expenses, plus  
20 travel time.

21 Q. Have you ever been  
22 disqualified as an expert witness in  
23 any case involving a hospital?

24 A. No.

25 Q. Have you ever been named a



1 defendant in any malpractice case?

2 A. No, I have not.

3 Q. Have you ever had to  
4 testify in a malpractice case as a  
5 witness?

6 A. You mean as an expert?

7 Q. I wasn't clear, I'm  
8 sorry. For the patients that you  
9 had been caring for?

10 A. No.

11 Q. Have you ever been  
12 involved in the labor and delivery  
13 of a baby that subsequently was  
14 diagnosed with some type of brain  
15 damage?

16 A. Not to my knowledge.

17 Q. Have you ever been  
18 involved in the labor and delivery  
19 of a patient who presented with the  
20 same type of complaints and symptoms  
21 that Mrs. Diver presented at the  
22 hospital on October 7th?

23 A. In general with a patient  
24 coming in with unexplained bleeding,  
25 yes.

1 Q. Were you involved as a  
2 primary staff nurse?

3 A. Yes.

4 Q. What was the outcome of  
5 the baby in that case, or cases if  
6 there's more than one?

7 A. The baby, the one case I'm  
8 thinking of, the APGAR scores were  
9 somewhere on the low range, I think  
10 they were like five and seven and  
11 the baby was transferred to the  
12 NICU, as far as the outcome, I can't  
13 speak to that.

14 Q. Now, your report of  
15 December 1, 1998, do you have a copy  
16 of that with you?

17 A. Yes, I do.

18 Q. At the top it refers to  
19 yourself as a legal nurse  
20 consultant.

21 A. Yes.

22 Q. What is that?

23 A. That's just a general term  
24 for nurses that work in the legal  
25 arena.

1 Q. So this is not in your  
2 capacity as an instructor at the  
3 university, this is your own  
4 separate business?

5 A. That's correct.

6 Q. Okay. And at the bottom  
7 of page one of your report, it  
8 refers to perinatal nursing issues  
9 and nursing standards?

10 A. Yes.

11 Q. What does that mean?

12 A. Well, perinatal is that  
13 whole range of pregnancy through  
14 delivery and postpartum, nursing  
15 standards, again, are, there is a  
16 variety of sources for nursing  
17 standards ranging from the State  
18 Nurse Practice Acts, professional  
19 organizations, national  
20 organizations, hospital policies and  
21 standards, JCHO standards, all those  
22 form a basis for nursing standards.

23 Q. Let me refer to your  
24 report then before I get into  
25 specifics because you did give a

1 detailed report here. Do you have  
2 any other opinions with respect to  
3 the nursing standard of care issues  
4 in this case other than what you  
5 discuss in your report?

6 A. Well, I did want to  
7 address the fact that in my report I  
8 didn't actually talk about the NST  
9 that was done on October 7th. My  
10 copy isn't very clear as far as the  
11 background lines and I do need to  
12 get a clearer copy of that, but I  
13 feel that nurse really should have  
14 prolonged the test. The beginning  
15 part of it does not appear to be  
16 reactive and then where it ends,  
17 it's hard to tell if the infant was  
18 having accelerations or  
19 decelerations and shoulders on the  
20 decels which are technically not  
21 considered accelerations for a  
22 reactive test. And I think that  
23 test should have been run longer to  
24 establish again, whether they were  
25 excels or just shoulders on the

1 decelerations.

2 Q. Who long does the test  
3 run?

4 A. I'm sorry?

5 Q. How long was the test run?

6 A. I think about 45 minutes,  
7 I could look for sure. But it  
8 doesn't matter how long it was run,  
9 the thing is it needs to be run  
10 until you can determine that you  
11 have a reactive strip and I'm not  
12 sure that they had one at that  
13 point. And the strip started at  
14 9:00 and ended yeah, just about 9:45  
15 it looks like maybe even a little  
16 bit longer. Could we take a break  
17 for a minute, please?

18 OFF RECORD DISCUSSION

19 BY ATTORNEY SWITZER:

20 Q. Are you saying that this  
21 non-stress test on the morning of  
22 October 7, 1994 was not reactive?

23 A. I can't tell from where  
24 the strip ended. It started to pick  
25 up towards the end, but again, as I

1 said, I'm not sure if those are  
2 accelerations or shoulders on  
3 decelerations. So I think it would  
4 have been a good idea to run that  
5 strip a little bit longer so one  
6 could be sure of what exactly was  
7 going on because the first few  
8 minutes were definitely not reactive  
9 and then again, as I said, the  
10 variability seemed to pick up and  
11 you see an increase in the  
12 variability, but it's just difficult  
13 to tell what you're actually seeing  
14 there in those last five minutes or  
15 so, so I would have run the test  
16 longer.

17 Q. Did Doctor Gingo review  
18 this strip?

19 A. I think they called it in,  
20 I don't know, I'd have to look  
21 through the record. It says a copy  
22 was sent to Doctor Gingo but I don't  
23 know exactly when he reviewed it.

24 Q. But do you know whether he  
25 reviewed the strip or not, the

1 non-stress test?

2 A. No, I'm not sure.

3 Q. If he did review it, you  
4 don't know what he found, do you?

5 A. No.

6 Q. Okay. Any other opinions  
7 other than those set forth in your  
8 report and the one we just  
9 discussed?

10 A. Yes. After reviewing the  
11 depositions again, I was a little  
12 confused as to this code pink status  
13 and it looked as if the code pink  
14 did not always include a physician,  
15 but they have the option of calling  
16 the physician or a pediatrician if  
17 they felt that there was a chance  
18 that there was going to be a  
19 high-risk infant or some problems.  
20 The nurse said that that was her  
21 responsibility to call the code  
22 pink. So I'm not sure whose  
23 responsibility it was to say we also  
24 need to have the pediatrician here  
25 for this delivery since there's a

1 possibility of having some  
2 problems. So again, depending on  
3 whose responsibility it is under the  
4 hospitals guidelines and protocols,  
5 someone should have made sure the  
6 pediatrician was actually there for  
7 the delivery since this was a  
8 high-risk delivery with the  
9 bleeding. That's my other comment.

10 Q. Okay. You don't know  
11 whose responsibility that is today;  
12 right?

13 A. That's correct.

14 Q. Any other opinions other  
15 than in your report that's  
16 discussed?

17 A. No.

18 Q. Okay. Let's go to your  
19 report then.

20 A. All right.

21 Q. By the way, was this  
22 patient in labor on October 7, 1994?

23 A. Technically probably not.  
24 She did have some irregular  
25 contractions but she didn't appear



1 to be having much cervical change so  
2 technically you would say not.

3 Q. Let's go to number one  
4 then on page two.

5 A. All right. Do you want me  
6 to read it or ---?

7 Q. You can read it, I think  
8 we have all copies of it in front of  
9 us.

10 A. Okay.

11 Q. Are you talking about the  
12 fetal monitoring strip after she was  
13 admitted on the 7th?

14 A. That's correct.

15 Q. Would you explain what you  
16 mean by that paragraph?

17 A. All right. Again,  
18 according to standards when you're  
19 monitoring someone, you really have  
20 to, in your description, either in  
21 your flow sheets or your narrative  
22 chart addressing baseline fetal  
23 heart rate, whether accelerations  
24 are present or not, what  
25 decelerations are there and

1 long-term variability. And they did  
2 not do that according to standards  
3 and so it wasn't done consistently  
4 and also it wasn't done correctly.

5 Q. Okay. So let me talk  
6 about, before we get to the  
7 correctly, you're saying that they  
8 didn't document what they were  
9 seeing on the strip?

10 A. Not in the detail that  
11 they should have been doing it,  
12 correct.

13 Q. The detail that you would  
14 have liked to have seen, be the  
15 baseline fetal heart rate, any  
16 accelerations, any decelerations?

17 A. That's according to AWHONN  
18 standards, yes.

19 Q. Now, what do you mean by  
20 it was not done correctly?

21 A. Well, the nurse in her  
22 deposition said she wasn't as  
23 concerned. I mean, she was  
24 concerned because of the decreased  
25 variability but she said that the

1 infant was still having  
2 accelerations and no decelerations.  
3 And that's not correct. According  
4 to the fetal monitoring strip, this  
5 infant was not having any  
6 accelerations, anything that you see  
7 are really shoulders on  
8 decelerations and by definition,  
9 those are not accelerations. But if  
10 you read them as accelerations, it  
11 gives you a false sense of security  
12 which you shouldn't be having and  
13 also, she said that the infant  
14 wasn't having any decelerations and  
15 it's clear on the fetal heart rate  
16 tracing that indeed this infant was  
17 having decelerations. On top of  
18 that with a poor variability, there  
19 was nothing reassuring about this  
20 strip at all so she said she was  
21 concerned about the tachycardia, but  
22 she should have been much more  
23 concerned with this non-reassuring  
24 strip than she was.

25 Q. How would you describe the

1 variability on the strip?

2 A. Minimal to absent.

3 Q. And how did that equate to  
4 the fact this was an external  
5 monitor?

6 A. Well, you can evaluate  
7 long-term variability with an  
8 external monitor. The only thing  
9 they say that technically you can't  
10 do is get beat to beat variability.  
11 But you certainly can get long-term  
12 variability.

13 Q. Where are the  
14 decelerations that you find on the  
15 strip, panel numbers?

16 A. Well, I have my strip  
17 starting off at panel 55972. So  
18 let's go to panel 55974, you can see  
19 it's a fairly flat line, but there's  
20 a definite decel because her  
21 baseline is pretty stable at 180.  
22 And then you can see when you get  
23 over passed the 126 at the bottom,  
24 she has a slight deceleration there  
25 below the baseline of 180. And to

1 the left of that, it's a little bit  
2 of a shoulder and again, I don't  
3 know if that's what the nurse was  
4 reading as an acceleration, but  
5 that's not, that's a deceleration.  
6 When you go to the next page, which  
7 is 55976, again, you have pretty  
8 much a flat line, anything that  
9 gives you any movement on that line  
10 at all is below the baseline which  
11 by definition is a decel but it  
12 looks like she has, here she has her  
13 one contraction on this page, at the  
14 very end 55977 and it really looks  
15 like she has a late deceleration  
16 there but in any case, it is a  
17 definite decel at the end of the  
18 page. Also, I'd like to point out  
19 that I don't know if they didn't  
20 adjust the baseline or what was  
21 going on, but for the monitors  
22 itself, the baseline is over the 20  
23 mark so they should have either  
24 recalibrated that by pushing a  
25 button on the machine to bring it

1 down since that's arbitrary or it  
2 could be an indication of some  
3 uterine irritability which goes  
4 along with an abruption so they  
5 should have been alert to that.  
6 When you go to the next page, again,  
7 if you look at the uterine  
8 irritability there and she has those  
9 squiggles again, about 20, it looks  
10 like some irritability and then  
11 another contraction, the fetal heart  
12 rate just has those teeny tiny  
13 variable decelerations. Again, no  
14 excels at all.

15 Q. What panel number is that?

16 A. I'm sorry, let me see,  
17 that was 55978. And also, if you  
18 look at that contraction at the end  
19 of the page, it stays up there.  
20 Again, if we're assuming that the  
21 baseline is about close to 30 which  
22 is abnormal, again, they should have  
23 adjusted it, but that contraction,  
24 it leaves the baseline and is up  
25 there for 10, 20, 30, 40, 60, 70,

1 80, 90, 100, 120 I mean, it's  
2 staying up there for quite a while  
3 and that also can be an indication  
4 of hyperstimulation. And then when  
5 you turn the page over and we're on  
6 panel 55980, then it's marked that  
7 Dr. Gingo is there and using the  
8 ultrasound so there's no tracing for  
9 a while.

10 And then they pick up on  
11 55982 and it says pressure cuff  
12 applied by Doctor Gingo, the IV was  
13 started in the left hand and also a  
14 sterile vaginal exam by Doctor  
15 Gingo, cervix closed. And up here  
16 again, the baseline is a little bit,  
17 tiny bit above 180 and you're having  
18 that deceleration pattern again with  
19 some shoulders on it. It almost  
20 looks a little bit sinusoidal. When  
21 you turn it over, the next page  
22 55984, again, you just have that  
23 tachycardic undulating line which is  
24 certainly non-reassuring and the  
25 same with the next page which is

1 55986. It says Doctor Gingo to do a  
2 C-section explained this to patient  
3 permit signed. The next page you  
4 have 55988. It looks like that's  
5 where they, it says IV 1,000 hung,  
6 I'm not sure what that word is,  
7 added. And then the next page and  
8 we're on panel 55990, again, that  
9 undulating baseline with a decel  
10 towards the end of the page and it  
11 looks like the first line is cut off  
12 on my strip, I can't read it but  
13 underneath it says abdominal prep  
14 done, Doctor Andres (phonetic) here  
15 to discuss anesthesia options and  
16 then small to moderate amount of red  
17 vaginal bleeding on pad. And then  
18 the next page, again, you have more  
19 decelerations and that undulating  
20 baseline and then on 55994, more of  
21 the same, more of those  
22 decelerations and then they take her  
23 to surgery roughly around 13:22 and  
24 that's the end of the fetal  
25 monitoring.



1 Q. Do you know whether Doctor  
2 Gingo had reviewed this strip at any  
3 time before this patient was taken  
4 to surgery?

5 A. No, I don't.

6 Q. Do you know what his  
7 interpretation of the strip was?

8 A. Well, he said that it was  
9 tachycardic and he was concerned  
10 about that whenever it didn't come  
11 down and that's why he decided to do  
12 a C-section. That's from his  
13 deposition.

14 Q. Let's go to the next page  
15 of your report, number two where you  
16 have a paragraph or criticism?

17 A. Okay.

18 Q. That's basically similar  
19 to what we just discussed; is that  
20 correct?

21 A. Yes, it really is.

22 Q. Okay.

23 A. Except to say that really,  
24 the American Nurses Association  
25 speaks to a nurse needing to be

1 competent when they work in an area  
2 of specialization and AWHONN, our  
3 professional organization certainly  
4 speaks to that too. And if you  
5 really are not competent at reading  
6 fetal monitoring strips, you  
7 shouldn't be doing it.

8 Q. What makes you think this  
9 nurse was not competent at reading  
10 fetal monitoring strips?

11 A. Well, she's saying there's  
12 accelerations and there aren't any,  
13 she's saying there is no  
14 decelerations when there are. She's  
15 saying that the tachycardia can be  
16 concerning but I mean, is there a  
17 realization there, I don't see it  
18 that number one, tachycardia has a  
19 few causes but one of the most  
20 common after infection and there was  
21 no indication that this woman was  
22 infected or had an increased  
23 temperature is hypoxia of the  
24 infant. And you have a woman who's  
25 been complaining of bleeding since

1 11:00 in the morning. She's been  
2 bleeding the whole time you've been  
3 observing her and nobody is putting  
4 this picture together that maybe  
5 this baby is hypoxic. I think it's  
6 interesting they had just done a  
7 non-stress test on this woman a few  
8 hours earlier the same day and that  
9 baby's heart rate was around 150.  
10 Now, she comes back into the  
11 hospital a few hours later and this  
12 baby's baseline has gone from 150 to  
13 180 and the woman is bleeding and I  
14 don't think it takes a whole lot to  
15 realize that, you know, it's  
16 probably a good guess that this baby  
17 is experiencing some distress based  
18 on the mother's bleeding and to be  
19 conservative in treating this mom.  
20 Q. Are you saying that this  
21 nurse caring for this patient was  
22 not concerned?  
23 A. I said conservative. I  
24 think she was concerned, but  
25 unfortunately, she didn't intervene

1 in an appropriate manner.

2 Q. What would you have  
3 expected her to have done?

4 A. Well, basic interventions  
5 are number one, put oxygen on the  
6 mother, make sure an IV line is in  
7 and she says in her own deposition  
8 that under their own standards, she  
9 could have started the IV at any  
10 time, change her position and assess  
11 her very carefully. Just about any  
12 book you could read would say if you  
13 have unexplained bleeding, and  
14 that's in some of the information  
15 I'll be sending you, that you need  
16 to assess patients very closely and  
17 they took this woman's vital signs  
18 twice, once on admission at 12:00  
19 noon and then later at 13:20. They  
20 waited over an hour to reassess her  
21 vital signs. That is not close  
22 assessment of a bleeding patient  
23 from an unidentified source. So she  
24 didn't intervene to help the infant  
25 even though she says in her

1 deposition she was concerned about  
2 the tachycardia and did approach the  
3 doctor at least twice saying that  
4 the infant was still tachycardic and  
5 she wasn't really assessing the  
6 mother as carefully and closely as  
7 she should have been.

8 Q. Was this patient on a  
9 blood pressure monitor?

10 A. I see that she took her  
11 blood pressure, but I do not see any  
12 dynamap readouts or anything about a  
13 blood pressure, so I don't know.

14 Q. This patient did receive  
15 an IV, didn't she?

16 A. Yes, after she was in the  
17 hospital for a period of time. She  
18 was admitted ---.

19 Q. There was an increase in  
20 the IV fluid, wasn't there?

21 A. What was that question?

22 Q. Wasn't there an increase  
23 in the IV fluids?

24 A. All the nurses notes say  
25 is that, let me find it, I'm still

1 looking. She was admitted at 12:00,  
2 the IV was started at 12:40, it says  
3 IV LR started left hand 18 inch  
4 angiocalf, pressure cuff applied to  
5 IV per Doctor Gingo. So whether  
6 they bolus her there, that could be,  
7 and he does reflect that in his  
8 notes but I don't see anything  
9 specific in the nurses notes, that's  
10 all it says.

11 Q. What condition was the  
12 mother in the during the fetal  
13 monitoring?

14 A. Well, they said they  
15 rolled her to her left side after a  
16 vaginal exam, but there is no note  
17 about her position at any other time  
18 that I can see in the nurses notes  
19 or the flow sheet.

20 Q. So you don't know what  
21 position she was in, do you?

22 A. No, since they didn't  
23 chart it, I don't.

24 Q. All right.

25 A. She should have been on

1 her left side or switched to her  
2 right side to see if they could  
3 change the profusion to the infant.  
4 Hopefully she wasn't flat on her  
5 back..

6 Q. You don't know that, do  
7 you?

8 A. No, I don't.

9 Q. Now, you also mention in  
10 paragraph three of your report, the  
11 chain of command?

12 A. Yes.

13 Q. What would you have  
14 expected the nurse to do with  
15 respect to Doctor Gingo?

16 A. Well, most hospitals and  
17 specifically I don't have their  
18 chain of command policy, but in  
19 general, you go to your charge or  
20 your staff nurse whenever you have a  
21 concern that is not being addressed  
22 by the physician.

23 Q. Well, what makes you think  
24 that Doctor Gingo was not addressing  
25 the situation?

1     A.           All I can say is if you  
2     have a patient who's been bleeding,  
3     who has tachycardia, the infant does  
4     and has a non-reassuring fetal heart  
5     rate strip, my expectation would be  
6     working in hospitals is these  
7     patients get immediate attention and  
8     immediate surgery. And to wait  
9     around for long periods of time with  
10    no intervention and just watching  
11    this infant get a less reassuring  
12    fetal heart rate strip, the nurse  
13    said she was concerned and she went  
14    to the doctor twice. There's a  
15    point that you go to your head nurse  
16    and say I am concerned this patient  
17    is not being processed normally the  
18    way we normally handle unexplained  
19    bleeding and I'm very concerned  
20    about this. And that's what a  
21    patient advocate does, a nurse who's  
22    working in that role and we all  
23    should be patient advocates.  
24    Q.           And what would you have  
25    expected the head nurse to do and



1 had this nurse done that?

2 A. Probably also look at the  
3 strip, evaluate the case, hopefully  
4 she was competent enough to realize  
5 that yes, this woman has been  
6 bleeding, we have a non-assuring  
7 fetal heart rate strip, we need to,  
8 again, the nurse could have done the  
9 interventions herself and approached  
10 Doctor Gingo and said, we're real  
11 concerned, you know, what are your  
12 plans and we need to do something  
13 about this patient. Get her  
14 delivered as quickly as we can.

15 Q. Wasn't Doctor Gingo's plan  
16 to deliver the baby?

17 A. At some point in time, but  
18 everyone says it was not treated as  
19 an emergency. Unexplained bleeding  
20 in the third trimester unless you  
21 know the cause and you're doing  
22 something to control it is  
23 considered an emergency situation.  
24 I have never seen a patient lay  
25 around from over an hour before an

1 infant's delivered unless you know  
2 exactly what's going on and you're  
3 monitoring the patient very  
4 closely. And no one was concerned  
5 about getting this baby delivered  
6 quickly and nobody was really  
7 concerned about monitoring the  
8 mother's vitals or monitoring the  
9 infant. I think one of the biggest  
10 lapses they had was taking this  
11 woman back to the delivery room and  
12 only checking the fetal heart rate  
13 once. That is also not according to  
14 ACOG standards which clearly say  
15 that a woman on external monitoring  
16 should be monitored in the OR until  
17 the abdominal prep was done so  
18 somewhere during this time that she  
19 was laying back in the OR, the fetal  
20 heart rate went from 180 which was  
21 tachycardic, down to zero. Maybe if  
22 they had been monitoring her the way  
23 they should have, they would have  
24 picked up that the baby was getting  
25 even in more distress and could have

1 intervened promptly and done the  
2 emergency C-section for the better  
3 outcome of the infant.

4 Q. Well, let me back up  
5 here. For a C-section patient, the  
6 monitoring is stopped once the  
7 abdominal prep is begun; is that  
8 correct?

9 A. That's correct.

10 Q. All right. Now, the last  
11 time that the heart rate was checked  
12 was after the spinal was given and  
13 at that time, it was I believe 178  
14 or so; is that correct?

15 A. That's correct.

16 Q. And you're not going to do  
17 any monitoring while the doctor is  
18 doing his surgery; correct?

19 A. That's correct.

20 Q. So you don't know when the  
21 heart rate went down to the zero, do  
22 you?

23 A. No one knows, no. The  
24 thing is if you're doing an  
25 emergency C-section it really

1 doesn't matter because you're  
2 getting that baby out as quickly as  
3 you can. If you have a  
4 non-reassuring strip going into a  
5 C-section, you make a choice, you  
6 either do it as quickly as you can  
7 and get the infant out or you  
8 monitor the infant while she's  
9 laying on the table for these long  
10 periods of time until you do the  
11 abdominal prep. The fact that  
12 everybody was moving very slowly  
13 lead this baby to be not monitored  
14 for a long period of time and the  
15 baby ultimately got into trouble.

16 Q. Well, you can't give any  
17 opinion as to whether this baby got  
18 in trouble, can you?

19 A. No.

20 Q. You're not qualified to do  
21 that, are you?

22 A. Well, I mean, no one can  
23 but obviously from the time the  
24 nurse checked that infant at 178 to  
25 when it was born over a half an hour

1 later, the baby had no heartbeat so  
2 we can assume that somewhere in  
3 between that time, that the infant  
4 crashed.

5 Q. You can't assume that.  
6 Are you qualified to give such an  
7 opinion in this state, do you know?

8 A. It's not a medical opinion  
9 to say that a baby's heart rate went  
10 from the 178 and when it was born it  
11 was zero.

12 Q. When was the 178 recorded?

13 A. There's no time. She just  
14 said that she normally does it once  
15 the patient is on the table.

16 Q. After the spinal is given;  
17 correct?

18 A. Sometimes she just says on  
19 the table, so I'm not sure what she  
20 was referring to. Sometimes she  
21 says normally after the spinal,  
22 sometimes she says once the patient  
23 is positioned on the table. That's  
24 from her deposition.

25 Q. Okay. Going back to your

1 report, you say failure to position  
2 Mrs. Diver correctly for surgery,  
3 that's number eight.

4 A. Okay.

5 Q. It's your understanding  
6 there was no wedge placed under her  
7 hips?

8 A. That's what's marked on  
9 the OR sheet, yes, and that's  
10 something that she also addresses in  
11 her deposition.

12 Q. And whose responsibility  
13 is it to place the wedge under the  
14 patient's hip during a C-section  
15 surgery?

16 A. The circulating nurse.

17 Q. Okay. What's the purpose  
18 of that?

19 A. To displace the uterus off  
20 the major blood vessels so the woman  
21 does not become hypotensive and  
22 decrease the oxygen flow to the  
23 infant.

24 Q. I'm still looking at your  
25 report. I think a lot of things we

1 covered, so let me just take a  
2 minute here.

3 A. Okay.

4 Q. Oh, number four on page  
5 three?

6 A. Yes.

7 Q. Did Doctor Gingo order the  
8 nurse to perform a vaginal exam?

9 A. Yes.

10 Q. And you're saying that the  
11 nurse should not have complied with  
12 that order?

13 A. That's correct.

14 Q. How did this nurse perform  
15 that exam, do you know?

16 A. She did a sterile vaginal  
17 exam.

18 Q. How did she do it? Did  
19 she do it any differently than in a  
20 normal situation?

21 A. I don't know, she said she  
22 did a gentle one but I don't know  
23 anybody who does rough ones, so I'm  
24 not quite sure what she was alluding  
25 to. I think we all do gentle exams.

1 Q. Did Doctor Gingo do a  
2 vaginal exam?

3 A. Yes, he did after the  
4 ultrasound was done.

5 Q. You have no problem with  
6 that, do you?

7 A. Once the ultrasound is  
8 done and you're sure that there's  
9 not a placenta previa that you're  
10 going to dislodge, that's perfectly  
11 acceptable. But it is never  
12 acceptable to do a vaginal exam on a  
13 bleeding patient when you don't know  
14 what the cause does. Much less when  
15 Doctor Gingo was not available  
16 because he was finishing a C-section  
17 and the patient did not even have IV  
18 access in case she started  
19 hemorrhaging if the nurse had  
20 accidentally dislodged a placenta  
21 previa. That is unsafe nursing, you  
22 never, ever do that because you can  
23 cause profound hemorrhaging in a  
24 patient.

25 Q. Do you have any knowledge



1 as to the reason why Doctor Gingo  
2 asked the nurse to do this exam?

3 A. I assume that he wanted to  
4 know if she was dilated or not, but  
5 no, I don't know for sure.

6 Q. Unless I misread your  
7 report, I think we've covered all  
8 your opinions; is that correct?

9 A. That's correct.

10 Q. Let me just take a look at  
11 my notes if I would for one minute.

12 A. All right.

13 Q. Unless you have something  
14 to add on your opinions on the  
15 standard of care on those other  
16 nurses, I have no other questions at  
17 this time.

18 A. No, I have nothing more to  
19 add.

20 ATTORNEY SWITZER:

21 Steve?

22 ATTORNEY WALTERS:

23 Yes, just a few.

24 CROSS EXAMINATION

25 BY ATTORNEY WALTERS:

1 Q. This is Steve Walters, I  
2 represent Doctor Gingo.

3 A. Hello.

4 Q. Hi. With regard to your  
5 report, am I correct that it is not  
6 the intention of your report to  
7 express opinions as to whether or  
8 not the physician fell below the  
9 standard of care?

10 A. That's correct.

11 Q. And nor has that been the  
12 intent of your testimony here?

13 A. That's correct.

14 Q. Do you understand the  
15 limitations in the State of Ohio on  
16 nurses with regard to opining on the  
17 compliance or noncompliance with the  
18 standard of care by physicians?

19 A. Yes.

20 Q. You mentioned some  
21 interventions that could have been  
22 done. What are the interventions  
23 you're referring to?

24 A. Applying oxygen by a type  
25 face mask 8 to 12 liters, again,

1 position change and IV bolus.

2 Q. And at what point in time  
3 were those indicated?

4 A. I think as soon as the  
5 woman came to the hospital with the  
6 unexplained bleeding and the  
7 non-reassuring fetal heart rate  
8 strip, that that could have been  
9 done or should have been done.

10 Q. You mentioned in this last  
11 few minutes about an order to a  
12 nurse to do a vaginal exam and you  
13 have indicated in your report that  
14 you do not believe that the nurse  
15 should have complied with that; is  
16 that correct?

17 A. That's correct.

18 Q. Do you know or do you have  
19 any opinion as to whether or not  
20 there is a difference between a  
21 vaginal exam done to determine the  
22 amount of dilatation versus a deep  
23 digital vaginal exam done to  
24 determine the position of the  
25 placenta?

1 A. No. All I know is that  
2 you should do no vaginal exams in  
3 the face of unexplained bleeding.

4 Q. Not even what I'll call a  
5 shallow vaginal exam to determine  
6 dilatation?

7 A. Absolutely, and I can show  
8 you in the basic undergraduate  
9 textbook that I use for my  
10 first-time students, that it  
11 specifically says you never do a  
12 vaginal exam in the face of  
13 unexplained bleeding.

14 Q. Do you know what a double  
15 setup is?

16 A. Yes.

17 Q. Are you of a view that any  
18 vaginal exam, whether it be digital  
19 or shallow requires a double setup,  
20 is that what you're saying?

21 A. Well, I mean, in the days  
22 before we had ultrasounds, that's  
23 what you did. You were ready to  
24 deliver immediately in a double  
25 setup in case you did dislodge the

1 placenta in a previa and the woman  
2 started hemorrhaging. Nowadays  
3 again, you can do an ultrasound and  
4 at least rule out placenta previa  
5 and then you feel more comfortable  
6 doing a vaginal exam because you're  
7 not going to dislodge the placenta  
8 because you already know it's not  
9 there and that's certainly leads you  
10 to the, well, to the feeling that  
11 you're probably dealing with an  
12 abruption. So you can do vaginal  
13 exams as long as you're sure that  
14 it's not a placenta previa and  
15 again, you can do that with the use  
16 of an ultrasound nowadays.

17 Q. Now, a placenta previa is  
18 a position of the placenta that  
19 either wholly or partially covers  
20 the cervical loss; is that correct?

21 A. That's correct.

22 Q. Do you see any indication  
23 in the records that you have  
24 reviewed that this woman had a  
25 placenta previa?

1 A. No.

2 Q. So in terms of the vaginal  
3 exam that we've been talking about,  
4 the problem that in your mind should  
5 prohibit doing a vaginal exam as it  
6 turned out did not exist; correct?

7 A. That's correct.

8 Q. And Nurse Fedorka, I don't  
9 have your report. What did you  
10 review other than the records, the  
11 depositions?

12 A. I can go through it. I  
13 have Anthony Gingo's prenatal  
14 records, pages 1 through 46,  
15 Southwest General Hospital, labor  
16 and delivery records, pages 1  
17 through 49, Southwest General  
18 Hospital, fetal monitoring strips  
19 from the labor. Southwest General  
20 newborn records of Baby Hope,  
21 non-stress test from 9/16/94,  
22 9/22/94 and 9/30/94, 10/7/94 and the  
23 depositions of Kathleen Joniak,  
24 J-O-N-I-A-K, Karen McGirr,  
25 M-C-G-I-R-R, Jane Barth, Linda

1 Modock, M-O-D-O-C-K, Anthony Gingo,  
2 M.D., Kelly Diver and Betty Deberry,  
3 D-E-B-E-R-R-Y.

4 Q. Did you review any of the  
5 expert reports that had been  
6 submitted in this case?

7 A. No, I have not. Those  
8 have not been sent to me.

9 Q. All right. You mentioned  
10 that you were contacted by a Kathy  
11 Mulligan from the office of Mr.  
12 Becker?

13 A. I think so or one of the  
14 other legal nurse consultants they  
15 have in their office. There's a  
16 Susan too. I would have to go back  
17 to my records to see who initially  
18 contacted me.

19 Q. And you don't have those  
20 in front of you?

21 A. No, I could get them  
22 though.

23 Q. Were you sent a letter?

24 A. No, it was a telephone  
25 contact.

1 Q. Okay. And you made note  
2 of that apparently somewhere?

3 A. Yes, I usually keep track  
4 of my contacts in my folder.

5 Q. And you keep that separate  
6 from what has been marked as Exhibit  
7 A in this case?

8 A. Yes.

9 Q. And where is that notation  
10 of who contacted you?

11 A. It's up in my files. I  
12 have a notebook that I keep all my  
13 contacts with law firms.

14 Q. All right. And is that  
15 just like a listing on such and such  
16 a date?

17 A. Yeah, so and so called or  
18 I received this information, sent  
19 this report.

20 Q. Did Kathy Mulligan provide  
21 you with any information about this  
22 case when she contacted you?

23 A. I'm sure she told me  
24 generally what the case was about.

25 Q. Did she tell you that



1 Doctor Gingo was being defended by  
2 the law firm that she had left to  
3 come to Mr. Becker's office?

4 A. No, not to my  
5 recollection.

6 Q. You didn't discuss that at  
7 all?

8 A. No, no.

9 Q. Did she tell you whether  
10 she had worked on the case or had  
11 any contact with the case in her  
12 prior office?

13 A. No.

14 Q. And when was it that you  
15 were contacted?

16 A. Again, the best estimate I  
17 can give unless I go look at that  
18 file would be in the fall of '98.

19 Q. Okay. But do you know now  
20 that my office is the office at  
21 which Kathy Mulligan worked prior to  
22 going to Mr. Becker's office?

23 A. I do now. No, I did not  
24 realize that she had been at --- no.

25 Q. And you did not know that

1 the defense of Dr. Gingo was in my  
2 office while Kathy Mulligan was  
3 still working at our office?

4 A. No, we didn't discuss any  
5 of that. And again, I could check  
6 my records. Susan might have been  
7 the one that contacted me about this  
8 case rather than Kathy. I just know  
9 that Kathy had changed offices and  
10 given someone my name.

11 Q. With regard to standards  
12 and guidelines, what standards, if  
13 any, do you believe applied to  
14 nurses in a labor and delivery  
15 setting and let me qualify that  
16 question, I'm talking about any  
17 so-called standards that are  
18 committed to writing.

19 A. Well, AWHONN has many  
20 standards and guidelines. They  
21 usually set the policies for  
22 obstetrical nurses and labor and  
23 delivery as to monitoring how often  
24 it should be done, how often vitals  
25 should be done but again, that's

1 usually a reflection of ACOG. I  
2 mean, we agree on monitoring  
3 practices and evaluations. So they  
4 come from both sources. Again, the  
5 Nurse Practice Act in the individual  
6 states certainly sets the legal  
7 parameters, American Nurses  
8 Association is the professional  
9 organization for all nurses  
10 regardless of what your speciality  
11 is. And they talk to general  
12 concepts such as being a patient  
13 advocate, being competent in your  
14 field, the nurses responsibility to  
15 stay educationally updated, ethics  
16 so again, standards of clinical  
17 practice, but again, they're more  
18 general type standards. Hospital  
19 polices and procedures certainly can  
20 be more stringent than national  
21 standards but shouldn't be less so.  
22 JCHO again has some standards and  
23 guidelines about assessments of  
24 patients in general.  
25 Q. Do the standards, if they

1 are standards, of AWHONN indicate  
2 that they are intended to apply to  
3 obstetricians as opposed to nurses?

4 A. No. AWHONN specifically  
5 is a nursing organization.

6 Q. All right. And you're  
7 saying that there may be instances  
8 in which the guidelines of AWHONN  
9 mirror or are similar to guidelines  
10 of ACOG?

11 A. Yes.

12 Q. Is that what you're  
13 saying?

14 A. Right. I mean, they are  
15 usually identical.

16 Q. Okay.

17 ATTORNEY WALTERS:

18 That's all I have.

19 ATTORNEY SWITZER:

20 Just another  
21 question.

22 REDIRECT EXAMINATION

23 BY ATTORNEY SWITZER:

24 Q. On the last page of your  
25 report, ---?

1 A. Yes.

2 Q. Mrs. Fedorka, do you see  
3 that?

4 A. Yes, you're on ---.

5 Q. In your report.

6 A. In conclusion, okay.

7 Q. You say the substandard  
8 nursing care is a contributing  
9 factor in the outcome of this trial?

10 A. Yes.

11 Q. What is the basis for that  
12 statement?

13 A. I'm sorry?

14 Q. What is the basis for that  
15 statement?

16 A. That if the nurses had  
17 given appropriate care themselves  
18 and gotten appropriate care for the  
19 patient by using their chain of  
20 command, if they had realized what  
21 was going on, that hopefully the  
22 child would have been delivered  
23 earlier and in better shape.

24 Q. You have no way of knowing  
25 whether had they instituted the

1 chain of command if this child would  
2 have been delivered any earlier;  
3 correct?

4 A. No. I mean, we'll never  
5 know that but it's still their duty  
6 this make every effort to have that  
7 happen.

8 Q. In fact, the child could  
9 have been delivered later; isn't  
10 that correct?

11 A. Well, anything is possible  
12 but I would say that is certainly  
13 not probable in light of the  
14 non-reassuring fetal heart rate  
15 tracing.

16 ATTORNEY SWITZER:

17 Okay. Thank you very  
18 much. I don't have any  
19 other questions. Steve?

20 ATTORNEY WALTERS:

21 Yes, I just have one  
22 final question.

23 RECROSS EXAMINATION

24 BY ATTORNEY WALTERS:

25 Q. Nurse Fedorka, just as you

1 understand that you are limited in  
2 your opining on compliance with  
3 standards to the standards that  
4 apply to nurses, do you also  
5 understand that in Ohio, you are not  
6 permitted to render an opinion on  
7 causation? In other words, whether  
8 or not the ultimate outcome in a  
9 given situation results from some  
10 act or omission that you see.

11 A. Yes.

12 Q. Okay.

13 ATTORNEY SWITZER:

14 Okay. If you would  
15 give the Court Reporter  
16 those exhibits and she'll  
17 make copies of them and  
18 attach to the transcript.  
19 And Jackie, would you go  
20 ahead and send me a copy  
21 of the transcript.

22 \*\*\*\*\*

23 DEPOSITION CONCLUDED AT 11:20 A.M.

24 \*\*\*\*\*

25

1  
2  
3 COMMONWEALTH OF PENNSYLVANIA:

: SS

4 COUNTY OF ERIE

5  
6 CERTIFICATE

7 I, Jacqueline L. Reichert, Notary Public in and for the Commonwealth  
8 of Pennsylvania, do hereby certify:

9 That the witness was hereby first duly sworn to testify to the truth, the  
10 whole truth, and nothing but the truth; that the foregoing deposition was taken  
11 at the time and place stated herein; and that the said deposition was taken in  
12 Stenotype by me and reduced to typewriting, and constitutes a true and correct  
13 record of the testimony given by the witness.  
14

15 I further certify that the reading and signing of said deposition  
16 were (not) waived by counsel for the respective parties and by the witness.

17 I further certify that I am not a relative, employee or attorney of any of  
18 the parties, nor a relative or employee of counsel, and that I am in no way  
19 interested directly or indirectly in this action.  
20

21 IN WITNESS WHEREOF, I have hereunto set my hand and stamp this

22 25<sup>th</sup> day of June, 1999  
23  
24  
25

NOTARIAL SEAL  
JACQUELINE L. REICHERT, Notary Public  
Millcreek Twp., Erie County, PA  
My Commission Expires Aug. 20, 2001

• PITTSBURGH, PA

• CLEARFIELD, PA

• BELLEFONTE, PA

• HOLLIDAYSBURG, PA

• ERIE, PA

• OIL CITY, PA

• HARRISBURG, PA

SARGENT'S  
COURT REPORTING  
SERVICE, INC.

210 Main Street  
Johnstown, PA 15901  
(814) 536-8908

• INDIANA, PA

• GREENSBURG, PA

• PHILADELPHIA, PA

• SOMERSET, PA

• WILKES-BARRE, PA

• CHARLESTON, WV