

ORIGINAL

1 IN THE STATE OF OHIO

2 IN THE COURT OF COMMON PLEAS IN THE COUNTY OF CUYAHOGA

3 JOANNE JEFFERS,

4 Plaintiff,

5 v.

CASE NO. 235970

6 SOUTHWEST GENERAL HOSPITAL, et al.

7 Defendants.

8
9 The Deposition of FRANCIS ROBERT FEKETY, JR., M.D.,

10 taken pursuant to Notice in the above-entitled cause at

11 U of M Hospital, in the city of Ann Arbor, Michigan, on

12 Wednesday, August 18, 1993, commencing at or about

13 10:00 a.m., before Richard L. Nizza, CSR-2344, a Notary

14 Public, in and for the County of Washtenaw.

15 APPEARANCES:

16 CHARLES KAMPINSKI CO., L.P.A.

(By: Chris M. Mellino)

17 1530 Standard Building

Cleveland, Ohio 44113

18 Appearing on behalf of Plaintiff.

19 WESTON, HURD, FALLON, PAISLEY & HOWLEY

(By: Donald H. Switzer)

20 25th Floor Terminal Tower

Cleveland, Ohio 44113

21 (216) 241-6602

22 Appearing on behalf of Defendant Southwest
23 General Hospital.
24
25

1 MANSOUR, GAVIN, GERLACK & MANOS, CO.,
2 L.P.A.
3 (By: Dale E. Markworth)
4 55 Public Square, Suite 2150
5 Cleveland, Ohio 44113-1994
6 (216) 523-1500

7 Appearing on behalf of Defendant Dr. Banaga.

8 JACOBSON, MAYNARD, TUSCHMAN & KALUR
9 (By: Steven J. Hupp)
10 1001 Lakeside Avenue, Suite 1600
11 Cleveland, Ohio 44114-1192
12 (216) 736-8600

13 Appearing on behalf of Defendant Dr. Binder.
14
15
16
17
18
19
20
21
22
23
24
25

I N D E X

WITNESS	PAGE
FRANCIS ROBERT FEKETY, JR., M.D.	
EXAMINATION by MR. MELLINO	4
EXAMINATION by MR. HUPP	44
EXAMINATION by MR. SWITZER.....	45
RE-EXAMINATION by MR. MELLINO	53

E X H I B I T S

None

1 Ann Arbor, Michigan

2 Wednesday, August 18, 1993

3 At or about 10:00 a.m.

4 - - - - -

5 F R A N C I S R O B E R T F E K E T Y, J R., M.D.,

6 a witness herein, was first duly sworn by the

7 Notary Public to tell the truth, the whole truth

8 and nothing but the truth, testified as follows:

9 EXAMINATION

10 BY MR. MELLINO:

11 Q. Would you state your full name, please, Doctor?

12 A. I'm Francis Robert Fekety, Junior.

13 Q. And what's your business address?

14 A. 3116 Taubman Health Center at the University of
15 Michigan Hospital in Ann Arbor, Michigan 48109-0378.

16 Q. And what is your current position?

17 A. I am chief of the Infectious Disease Division in the
18 Department of Medicine, and chief of the Infectious
19 Disease Service of the University of Michigan
20 Hospital, and professor of internal medicine.

21 Q. How much of your professional time do you spend
22 seeing patients?

23 A. Probably sixty to seventy percent.

24 Q. Okay. And do you have a private practice where you
25 see patients in an office setting?

1 A. I see patients in the clinic, but I don't have a
2 private practice independent of the department of
3 medicine's medical professional service plan.

4 Q. What hospitals do you have privileges at?

5 A. University of Michigan Hospital, Ann Arbor Veteran's
6 Hospital.

7 Q. When you admit patients to the hospital with otitis
8 media, what drugs do you prescribe?

9 A. There's no simple answer to that. It depends on a
10 whole host of factors.

11 Q. What is the answer, regardless of whether it's simple
12 or not? What are the drugs that are used to treat
13 otitis?

14 A. Well, when a patient is admitted to the hospital, if
15 we're assuming the patient is admitted because of the
16 otitis media, we start them on parenteral
17 antibiotics, either intramuscular or intravenous.
18 And we might use Timentin or Unasyn or Ciprofloxacin,
19 Penicillin G, Ampicillin, high doses of those
20 usually. That's the most frequent ones I use, I
21 guess.

22 Q. Okay. And if you gave those drugs in high doses as
23 you indicated, would those also be effective in
24 treating meningitis if the patient also had that?

25 A. Let's see. If the patient had meningitis, I might

1 treat them a little differently.

2 Q. Maybe you didn't understand my question.

3 A. Okay.

4 Q. If you didn't know they had meningitis, you thought
5 they had -- I mean, they had otitis media, and that's
6 all you were treating --

7 A. Right.

8 Q. -- would the drugs that you mentioned in your previous
9 answer, would those also be effective in treating
10 meningitis?

11 A. Some of them would be.

12 Q. Which ones?

13 A. Ciprofloxacin specifically, of the ones I
14 mentioned. Probably Unasyn. I can't remember the
15 others. Oh, high dose Ampicillin or Penicillin. It
16 would depend on what you thought was the cause of the
17 meningitis.

18 Q. What if it was streptococcus pneumonia that was the
19 cause of the meningitis?

20 A. Then the ones I mentioned are the ones I would use.

21 Q. Those would be effective?

22 A. Should be.

23 Q. So, even if you didn't know there was meningitis and
24 you're just treating the otitis media, those drugs
25 would be effective treatment against the meningitis

1 also?

2 A. If it was pneumococcal meningitis.

3 Q. Okay. Ciprofloxacin is not an appropriate drug to
4 give to treat otitis media, is it?

5 A. Oh, it can be used to treat otitis media. I don't
6 know whether it's approved for that or not, but it
7 covers some of the organisms that cause otitis media,
8 specifically omphalos, which is one of the most common
9 causes of otitis media.

10 Q. What about streptococcus pneumonia?

11 A. It's not considered a good drug for treating
12 pneumococcal meningitis.

13 Q. Do you feel in the case of Mr. Jeffers that it was
14 appropriate to give Ciprofloxacin to treat otitis
15 media?

16 A. Well --

17 MR. MARKWORTH: Objection. Go ahead.

18 THE WITNESS: It's retrospective. It could
19 be that the doctor was worried about pseudomonas
20 otitis for which it would be the drug of choice.

21 BY MR. MELLINO, CONTINUING:

22 Q. What's pseudomonas otitis?

23 A. Pseudomonas is a fairly uncommon cause of otitis seen
24 particularly in diabetics, and there are a number of
25 antibiotics you could use, but Ciprofloxacin could be

1 used and is used intravenously to treat pseudomonas
2 otitis.

3 Q. So, do you feel it was an appropriate -- it would be
4 an appropriate choice of drug to give a patient such
5 as Mr. Jeffers?

6 A. If he had pseudomonas otitis or --

7 Q. Well, he --

8 A. -- omphalos otitis.

9 Q. Did he have any of those?

10 A. No, I don't think so.

11 Q. All right. And he wasn't diabetic, was he?

12 A. Well, he actually might have been. His blood sugars
13 were elevated.

14 Q. Do you have an opinion on that?

15 A. Well, he may well have had diabetes, but I don't think
16 that was known before he came to the hospital.

17 Q. Did you find any evidence in your review of the
18 materials that anybody thought he had diabetes?

19 A. Not that I can recall.

20 Q. Okay. Did you see any evidence in anything you
21 reviewed that anybody thought that he had pseudomonas?

22
23 A. Well, I believe Doctor Binder made a diagnosis of
24 malignant otitis externa. And when you make that
25 diagnosis, pseudomonas is the organism to worry about;

1 not the only one but the most important one to worry
2 about.

3 Q. Did he have malignant otitis externa?

4 A. I don't know. He might have, actually. It would be
5 sort of unrelated to the whole sequence of events, but
6 he might have.

7 Q. What evidence did you see that he might have?

8 A. Well, you'd have to have a careful examination of the
9 external ear canal, usually in a way that's done by
10 an ear, nose, and throat specialist to pick up that
11 diagnosis, especially early. The findings are very
12 subtle.

13 Q. Did Doctor Binder make that diagnosis?

14 A. I don't think he did. He suspected it, I guess,
15 before the patient was admitted to the hospital, but
16 he never established that diagnosis as far as I could
17 tell.

18 Q. Do you have an opinion based on reasonable medical
19 certainty whether he had malignant external otitis?

20 A. I do. I don't think he did.

21 Q. What did you review in this case?

22 A. All these records, the hospital record, a lot of
23 depositions, some letters from some of the experts.
24 Would you like to see them?

25 Q. Sure. This is everything you reviewed?

1 A. As far as I know, yes.

2 Q. Is this your entire file?

3 A. Yes.

4 Q. Has anything been removed from here?

5 MR. MARKWORTH: Correspondence from
6 counsel.

7 BY MR. MELLINO, CONTINUING:

8 Q. Anything else?

9 A. No.

10 Q. Is this your note on Jenny Knopf's deposition?

11 A. Yes, that's my writing.

12 Q. Okay. Why did you want to know when the Cipro was
13 actually given?

14 A. Well, I wondered whether it had ever been given.

15 Q. Why was that important to you?

16 A. Well, because I wondered whether she'd actually gotten
17 any antibiotics that might have helped her.

18 MR. MARKWORTH: Him.

19 BY MR. MELLINO, CONTINUING:

20 Q. Did the Cipro help him?

21 A. Doesn't look like it.

22 Q. Did he get it?

23 A. Yes.

24 Q. I just want to put on the record what you reviewed.

25 You have the deposition of Mary Kloetzly, Randolph

1 Bird, Doctor Prasad, Jenny Knopf, Doctor Jones, Doctor
2 Binder, Joanne Jeffers, Mary Jane Berardi, Doctor
3 Banaga, and the Southwest General chart, the autopsy
4 report, Doctor Craguarilo's report, Doctor Kirkwood's
5 report, Doctor Frank's report. Nurse Reedy's report,
6 Doctor Pasadaro's report. Doctor Conen's report, and
7 the Complaint. That's everything you reviewed in
8 this case?

9 A. Yes.

10 Q. Did you review any articles in the medical
11 literature?

12 A. No.

13 Q. Have you written any articles which you feel are
14 relevant to this case?

15 A. It's a broad question. I've written a lot of
16 articles, textbook chapters. I don't think I've ever
17 written an article on pneumococcal meningitis if
18 that's what we'll say is relevant to this case.

19 Q. What's your experience been in acting as an expert
20 witness in a medical malpractice case?

21 A. You mean how extensive is it?

22 Q. Yeah. How many times have you done it.

23 A. Oh, well, I've testified in court maybe three or four
24 times. I don't know how many depositions I've given.
25 I never kept a record. It seems like it's maybe a

1 dozen or something like that. I've looked at a lot of
2 cases.

3 Q. How many have you looked at?

4 A. Well, again, I don't keep records, but in the past ten
5 years or so maybe fifty or sixty, most of them for
6 defense and a few for plaintiffs.

7 Q. How many times have you given a deposition on behalf
8 of a plaintiff?

9 A. I've never been asked to do that after reviewing the
10 records of plaintiff's cases.

11 Q. Have you ever reviewed a case for a plaintiff and said
12 that there was a case?

13 A. I don't think so.

14 Q. I would assume then that all the three or four times
15 you testified in court were for a defendant?

16 A. Oh, yes.

17 Q. Okay. Were any of these cases -- did any of them
18 involve meningitis?

19 A. I don't remember. I suspect some of the cases I
20 reviewed were for -- because of meningitis. I don't
21 think, off the top of my head, that I've ever
22 testified in court in a meningitis case.

23 Q. Where did you testify in court?

24 A. Well, let's see. Once in Lansing; I forget the nature
25 of the case. Once in Grand Rapids, and I forget the

1 nature of the case. And I can't remember any of the
2 others.

3 Q. Have you ever testified for Mr. Markworth before?

4 A. Not to my knowledge.

5 Q. How about any member of his firm?

6 A. I don't think so.

7 Q. Do you know how he got your name?

8 A. No.

9 Q. Have you ever testified for anybody from the firm of
10 Jacobson, Maynard, Tuschman and Kalur?

11 A. I haven't paid a lot of attention to the names here.

12 Is that your firm?

13 Q. No.

14 A. Jacobson what?

15 Q. That's the firm that represents Doctor Binder.

16 A. No, I don't think so.

17 Q. How about Weston, Hurd?

18 A. Who?

19 Q. Weston, Hurd.

20 A. No.

21 Q. Have you ever testified in a case where the occurrence
22 happened in Ohio?

23 A. No. Don't think so. I was involved with a case by
24 the hospital in Columbus, but I don't think I ever had
25 to go testify in court.

- 1 Q. Do you know who retained you in that case?
- 2 A. No. Not off the top of my head.
- 3 Q. How long ago was that?
- 4 A. A couple of years ago.
- 5 Q. Were you reviewing it for the hospital or for a
- 6 doctor?
- 7 A. Actually, for a doctor, and then — then I've
- 8 subsequently been asked to review it for the hospital.
- 9 Q. Is this a case that is currently pending?
- 10 A. Yes.
- 11 Q. Have you had your dep — you haven't had your
- 12 deposition taken in that case?
- 13 A. Not on the one for the hospital. It was taken for the
- 14 one for the doctor. It's the same case.
- 15 Q. I see. Do you know who the attorney was that took
- 16 your deposition?
- 17 A. No.
- 18 Q. How long ago was your deposition taken?
- 19 A. A couple of years ago.
- 20 Q. Do you know the name of the case?
- 21 A. I can't remember the name of the plaintiff, but I do
- 22 remember the name of the defendant. And I think
- 23 that's privileged information, which I'd rather not
- 24 give up if I don't have to.
- 25 Q. Why do you feel that's privileged?

1 A. Because I don't want to do anything that might harm
2 the reputation of a physician.

3 Q. All right. Well, you have -- obviously you have cases
4 currently pending, and somewhere you have the name of
5 this case.

6 A. Oh, yes.

7 Q. So, you could produce it if you were ordered to by a
8 court?

9 A. Oh, yes.

10 Q. What do you feel your role is as someone who is
11 reviewing records and to act as an expert witness?

12 A. What do I feel my role is?

13 Q. Right.

14 A. Well, to look at it from my particular area of
15 experience and decide whether any kind of actions were
16 taken that were beneath the standard of care for the
17 physician and hospitals involved or not, to give an
18 honest opinion to the person who asked me to review
19 the case about my findings.

20 Q. How do you define the standard of care?

21 A. It seems to be a matter of judgment and experience, I
22 guess. I can't find a book where you look it up.
23 It's what the average physician of that type in a
24 similar community would probably do.

25 Q. And what were you asked to specifically do in this

1 case?

2 A. Well, I was asked to review all these records with
3 particular emphasis upon the -- Doctor Banaga's
4 involvement in this case.

5 MR. MARKWORTH: Banaga.

6 THE WITNESS: Excuse me, it's written wrong
7 on this report. I apologize for that. That's how
8 I've gotten that. Banaga.

9 BY MR. MELLINO, CONTINUING:

10 Q. Were you able to form an opinion as to whether or not
11 Doctor Banaga met the standard of care?

12 A. Yes, I was.

13 Q. How were you able to formulate what the standard of
14 care of a house physician was in this case?

15 A. Well, I've talked to a lot of house physicians, and I
16 think I have a feel for it.

17 Q. Did you talk to him in connection with this case?

18 A. Oh, no.

19 Q. Have you yourself ever been a house physician?

20 A. Well, it depends how you define house physician.

21 Q. I mean somebody who's had a position at a hospital
22 such as Doctor Banaga.

23 A. Well, you haven't defined what her position was. But
24 as I understand her position, I have never been in
25 that same position.

1 Q. Have you ever trained somebody in that position?

2 A. I don't train house physicians. I've trained a lot of
3 people who've become house physicians.

4 Q. So, your understanding of the standard of care then is
5 based on your conversations you've had with other
6 house physicians, your standard of care as it relates
7 to a house physician?

8 A. Based upon house physicians that I've been with as a
9 visiting professor at their hospitals and talked to
10 them about their cases and how they managed them, yes.
11 That in addition to the other things you said.

12 Q. What other things?

13 A. You said have I ever been in -- well, you have to read
14 it back to me, I guess. About how I got my feeling
15 for what a house physician does. It was in the first
16 part of your question. Something about in addition to
17 speaking to them.

18 MR. MELLINO: Why don't you read back the
19 question because I'm lost.

20 THE WITNESS: Yeah, I forgot it too. I'm
21 sorry.

22 (Whereupon the last question is read back.)

23 BY MR. MELLINO, CONTINUING:

24 Q. So, are we saying the same thing; it's based on
25 conversations you've had with house physicians?

1 A. I'm not sure that I consider a teaching interaction
2 just a conversation. I guess I wanted to make it a
3 little stronger than a conversation.

4 Q. Well, when you say teaching interactions, you don't --
5 do you teach house physicians? I thought you just
6 said you don't train house physicians.

7 A. Well, I train house officers here at the University of
8 Michigan.

9 Q. Those are residents you're talking about?

10 A. Right, interns and residents. And we don't have any
11 house physicians at the University Hospital that have
12 completed their training and work solely at night to
13 cover attending physicians who are out of the
14 hospital. We don't have any such persons here, but
15 certainly I've trained thousands of house officers
16 here at the University of Michigan.

17 Q. Do you consider those house officers or residents in
18 training to be the same as Doctor Banaga's position at
19 Southwest General Hospital as you understand it?

20 A. No, it's different. It's clearly different.

21 Q. Okay. And you don't even have physicians such as
22 Doctor Banaga, house physicians, at University of
23 Michigan Hospital where you practice?

24 A. As far as I know, that's correct.

25 Q. Okay. Did you come to any conclusions about whether

1 any of the other -- any other physicians -- well, let
2 me be more specific.

3 Did you come to any conclusions after
4 reviewing material as to whether Doctor Jones adhered
5 to the standard of care required of him?

6 A. I'm sorry. The name Doctor Jones doesn't --

7 Q. Doctor Jones was the emergency room physician that saw
8 him the second time in the emergency room.

9 A. Yes, I did. I believe he acted within the standard
10 for his position.

11 Q. How about Doctor Binder?

12 A. Well, I don't know what the standard is for an ear,
13 nose, and throat specialist. I'm not an ear, nose and
14 throat specialist. I don't know that I have the right
15 to comment on his performance.

16 Q. Well, you're not a house physician either?

17 A. That's correct.

18 Q. Why is it you can comment on the conduct of a house
19 physician and not on an ear, nose, and throat doctor?

20 A. Because an ear, nose, and throat doctor is trained in
21 a specialty that I've not been trained in extensively,
22 whereas house physicians have been trained to do the
23 same kinds of things that I have done many times in my
24 own training.

25 Q. Have you been taught how to treat meningitis and

1 otitis media?

2 A. Yes.

3 Q. So, as far as the care that was given in this case,
4 you had the same training to treat Mr. Jeffers as
5 Doctor Binder; would that be true?

6 A. I would have been comfortable treating this patient,
7 yes.

8 Q. And do you still feel, even though you would feel
9 comfortable treating Mr. Jeffers, that you can't
10 comment on Doctor Binder one way or the other as to
11 whether he met the standard of care?

12 A. Well, if you want to ask me something specific, I
13 guess I can answer that. But I don't know what the
14 standard of care is for an ear, nose, and throat
15 specialist.

16 Q. How about --

17 A. I don't have a good feeling for that.

18 Q. Well, is there a standard of care for any physician
19 that's treating a patient with the same presentation
20 as Mr. Jeffers?

21 A. Well, different physicians, first of all, might treat
22 this patient differently. But I think the standards
23 are higher for one type of physician as compared to
24 another. I think -- well --

25 Q. Do you think there's a different standard of care in

1 this case for Doctor Jones and for Doctor Banaga and
2 for Doctor Binder, each of them have a separate
3 standard of care?

4 A. Well, it's my understanding that's the way the law
5 looks at it. They're different.

6 Q. Well, what about a physician?

7 A. Pardon?

8 Q. What about from a medical standpoint? You're not
9 going to be giving legal opinions in this case, I
10 assume.

11 A. Well, I thought you wanted my opinions.

12 Q. I'd like them from a medical standpoint, though, as
13 opposed to a legal standpoint.

14 A. Okay. What was the question now?

15 Q. Was there a different duty for each physician
16 involved in this case?

17 A. I assume so, yes.

18 Q. And why is that?

19 A. That's a legal question. I don't know the answer to
20 that. I think we generally demand more of people who
21 are well trained in a certain area than we do of
22 people who are more general. I certainly would think
23 that the standards for an emergency room physician
24 might very well be higher than they would be for a
25 house physician in handling this kind of a case. I'm

1 not sure, but that's my opinion.

2 Q. Is it fairly common knowledge in the medical field
3 that otitis media can turn in to meningitis?

4 A. Yes.

5 Q. What stage of your medical training would you learn
6 that?

7 A. Well, in the United States you learn that when you are
8 a medical student in the third and fourth years and as
9 an intern.

10 Q. What are the clinical signs and symptoms of
11 meningitis?

12 A. Fever, headache, disorientation, stiff neck,
13 confusion, malaise, perhaps chills.

14 Q. Nausea and vomiting?

15 A. Yes.

16 Q. Photosensitivity?

17 A. That's sometimes seen in meningitis. It's not
18 specific for it.

19 Q. Would you consider an unrelenting headache --

20 A. Well, I mentioned headache.

21 Q. You would consider that a sign of meningitis?

22 A. That's one of the things you see in meningitis. It's
23 certainly not specific for meningitis.

24 Q. If a person had an unrelenting headache, nausea,
25 vomiting, photosensitivity, and a glucose cytolysis and

1 an inability to put his chin to his chest due to pain
2 in his spine, would you consider that clinical
3 evidence for meningitis?

4 A. Are you talking about this patient or hypothetically
5 here?

6 Q. Well, what difference would it make?

7 A. Well, I'm not sure this patient had all those things.

8 Q. I see. Okay. Well, then hypothetically assume that a
9 patient had those symptoms.

10 A. All right. What was the question?

11 Q. Would you consider that clinical evidence for
12 meningitis?

13 A. It would suggest the possibility of meningitis. It
14 certainly would not make the diagnosis. It's
15 insufficient evidence for the diagnosis of meningitis.

16
17 Q. Which one of those symptoms aren't you sure that he
18 had?

19 A. His inability to put his chin to his chest.

20 Q. And what makes you unsure about whether he had that or
21 not?

22 A. Well, because the only thing that's in the records
23 that suggest that was present was a nurse's
24 observation, and two or three doctors had examined the
25 patient and hadn't found that.

1 Q. And do you find the doctors -- well, I assume then you
2 do find the doctors' findings inconsistent with the
3 nurse's finding of the inability to put the chin to
4 the chest?

5 A. No, I don't, because -- I don't find them inconsistent
6 because I don't know exactly what the nurse asked the
7 patient and exactly what the patient said when he
8 replied. It's too subjective. It's not objective
9 enough.

10 Q. So, what do you do with that information as you review
11 it?

12 A. You examine the patient to see whether the neck is
13 supple, whether they can touch their chin to their
14 chest.

15 Q. And you're assuming that that was done in this case in
16 order to give your opinion?

17 A. It was so recorded as having been done.

18 Q. Where was that recorded, sir?

19 A. In Doctor Banaga's note.

20 Q. Could you show it to me, please, where she recorded
21 that she put his chin to his chest?

22 MR. MARKWORTH: The question was neck
23 supple.

24 THE WITNESS: Right.

25 MR. MELLINO: That wasn't the question.

1 MR. MARKWORTH: Yes, it was.

2 BY MR. MELLINO, CONTINUING:

3 Q. Did she record that she put his chin to his chest,
4 sir?

5 A. The way you determine whether the neck is supple is
6 you put the chin to the chest. So, I believe that she
7 did that.

8 Q. If she didn't do that, did she fall below the
9 standard of care required of her?

10 A. She did it. It's right here in the notes she
11 dictated. She said the neck was supple.

12 Q. So, if she didn't do it -- the question was, if she
13 didn't do it, did she fall below the standard of care
14 required of her?

15 A. You mean if she put down something that was a lie, is
16 that below the standard of care?

17 Q. No. If she didn't put Mr. Jeffers' chin to his chest?

18
19 A. Then she wouldn't be able to say it was supple.

20 Q. Can you answer my question, sir?

21 A. Well, if I don't -- I don't exactly understand it, but
22 please say it again.

23 Q. Sure. If Doctor Banaga did not put Mr. Jeffers' chin
24 to his chest, was she below the standard of care? Was
25 she negligent in this case?

1 A. If we assume that your hypothetical is true, then she
2 would be below the standard of care.

3 Q. Okay. How do you make the diagnosis of meningitis?

4 A. Lumbar puncture is the usual way. Spinal tap.

5 Q. And would a patient who had unrelenting headache,
6 nausea and vomiting, photo sensitivity, a
7 leukocytosis, and an inability to put his chin to his
8 chest need a lumbar puncture?

9 A. Is this another hypothetical because you said he
10 couldn't put his chin to his chest. I would probably
11 do a lumbar puncture.

12 Q. So, if he had all these symptoms at the time that
13 Doctor Banaga examined him, then she should have done
14 a lumbar puncture?

15 A. Well, she probably wouldn't have wanted to do it right
16 away. She probably would have wanted to do a CAT scan
17 first.

18 Q. I didn't ask about specific time frame in the
19 question.

20 A. Okay. If all those things are true, and I don't think
21 they were, then she should have done a lumbar puncture
22 or gotten somebody else to do it. Somebody should
23 have done it.

24 Q. Okay. And the standard of care would have required
25 that?

1 A. Yes.

2 Q. And you — I think you were about to tell me that she
3 may have wanted to get a CT scan before she did a
4 lumbar puncture? Was that the point you were going to
5 make?

6 A. Yes.

7 Q. And if she were going to do that, should she have
8 covered him with antibiotics pending the CT scan?

9 A. Not necessarily.

10 Q. The standard of care wouldn't have required that?

11 A. No, I don't think so. First of all, it all depends on
12 how long it's going to take to get the CT scan. There
13 is the factor that the patient was — had already been
14 prescribed antibiotics so she would have had to change
15 the antibiotics if she was going to do something
16 different. And it also depends on how the general
17 appearance of the patient is to whether you feel it's
18 urgent to give antibiotics or whether you want to
19 wait.

20 Q. If you were going to wait to do a lumbar puncture and
21 do a CT scan first, should you do the CT scan on a
22 stat basis?

23 A. It all depends on the patient's clinical condition.
24 It's not mandatory to do the CT scan on a stat basis.
25 The patient was getting some antibiotic.

1 Q. I thought you said before the antibiotics weren't
2 doing him any good?

3 A. Well, we didn't know that this was pneumococcal
4 meningitis at this point. It's retrospective. This
5 probably would have been good therapy for
6 meningococcal meningitis, maybe omphalos meningitis.
7 So, given the hypothetical that we have information
8 that we don't have when she did this.

9 Q. Well, isn't that one of the reasons you do a lumbar
10 puncture so you can determine what kind of meningitis
11 it is?

12 A. Only if you think the patient has meningitis.

13 Q. How much do you charge for reviewing records?

14 A. I charge four hundred dollars an hour for everything I
15 do record, record reviews, depositions, testifying in
16 court. Actually, I charge more than that now because
17 I'm trying to get out of this business, but I think
18 that's what I agreed to charge you.

19 Q. What do you charge currently?

20 A. Five hundred dollars an hour.

21 Q. And you charge five hundred dollars an hour because
22 you're trying to get out of the business?

23 A. Right. There's a lot of people who don't want my
24 services when they hear that.

25 Q. Do you think Mr. Jeffers was critically ill at the

1 time Doctor Banaga examined him?

2 A. No.

3 Q. Do you agree that he had an unrelenting headache that
4 was unrelieved with narcotics at the time she examined
5 him?

6 A. Let me look at what she wrote, okay? Well, certainly
7 nowhere does it he say had an unrelenting headache,
8 and she doesn't indicate that he had a headache when
9 she saw him because he was asleep and when she aroused
10 him, he was in no distress.

11 Q. So, you don't agree with that then?

12 A. I think he had a headache as part of his illness, but
13 I think it's exaggerating it to say that it was an
14 unrelenting headache.

15 Q. I see. How about — well, when she saw him, did he
16 have a history of having an unrelenting headache?

17 A. I never saw the word unrelenting anywhere in the
18 records. He'd had headache intermittently.

19 Q. What about severe headache? Would you agree with that
20 characterization of it?

21 A. I don't see the word severe anywhere. Would you want
22 to define it?

23 Q. No.

24 A. Okay.

25 Q. Do you use that word?

- 1 A. Severe?
- 2 Q. Yes.
- 3 A. Yeah, but I'm not necessarily using it the way you do.
- 4
- 5 Q. I see. So, you wouldn't agree that he had a severe
- 6 headache?
- 7 A. No. I would say he had a significant headache as part
- 8 of his illness.
- 9 Q. How about a history of nausea and vomiting?
- 10 A. Yes, he did. He had that over several days.
- 11 Q. How about photosensitivity?
- 12 A. A few people have mentioned that. Photophobia.
- 13 Q. Over what period of days did he have nausea and
- 14 vomiting?
- 15 A. Well, I think he had it -- I noticed somewhere that he
- 16 had it on the 2nd of May. He may have had it earlier
- 17 than that. He had it off and on for a couple days.
- 18 It might have been a side effect from Erythromycin.
- 19 That's another antibiotic that he got.
- 20 Q. And he did have an elevated white blood count?
- 21 A. Twenty-seven thousand two hundred as I recall, yes.
- 22 Q. Is that pretty high?
- 23 A. It's what you see with a bacterial infection very
- 24 commonly.
- 25 Q. But you wouldn't characterize it as critically ill?

1 A. No. His blood pressure is normal, his pulse is
2 normal, he is oriented, easy arousable, able to
3 sleep, and in no distress --

4 Q. According to Doctor Banaga?

5 A. -- according to Doctor Banaga's note.

6 Q. All right. What about Nurse Knopf's note?

7 A. Well, you'll have to either show me what you're
8 talking about specifically or --

9 Q. Well, she did an assessment of him; do you recall
10 that?

11 A. Right, I did, but I can't remember exactly what she
12 said in terms of the wording. I don't remember that
13 she said he was critically ill.

14 Q. Based on her assessment of him, would you characterize
15 him as critically ill?

16 A. I did not get the impression from reading her notes
17 that he was critically ill, but maybe I didn't --
18 maybe I don't have a good memory on that.

19 Q. Well, you can refer to it, it's not a memory test, if
20 you want to look at the nursing assessment.

21 A. I was hoping you would tell me what she said so I
22 wouldn't have to hunt for it.

23 I'm looking over the systems
24 assessment. I would say that this is not a patient
25 who is critically ill.

1 Q. If you had a hypothetical patient that had an
2 unrelenting headache and nausea and vomiting and
3 photophobia and an inability to put his chin to his
4 chest and an elevated white blood count, twenty-two
5 thousand, would you consider that patient to be
6 critically ill?

7 A. Not necessarily. No, not at all. I've seen a lot of
8 patients like that that are not what I would call
9 critically ill.

10 Q. Did Mr. Jeffers have meningitis at the time
11 Doctor Banaga saw him?

12 A. That's a good question.

13 Q. Do you have an opinion based on a reasonable medical
14 probability whether he did or not?

15 A. I do.

16 Q. What is your opinion?

17 A. I believe he did not. More likely than not did not
18 have meningitis at that moment.

19 Q. And what is that based on?

20 A. Just on my gut reaction. He was easily aroused, he
21 was able to sleep. His CAT scan was — in terms of
22 the central nervous system obtained some hours later
23 after Doctor Banaga saw him, was not alarming. You
24 don't make a diagnosis of meningitis on a CAT scan.
25 But there was nothing alarming on that x-ray, whereas

1 the one done on the next day was very, very much
2 different. And I suspect that some time after she saw
3 him his otitis media progressed to meningitis, but I
4 can't be sure about that. I'd say more likely than
5 not, however, that's the way it happened.

6 Q. If he was receiving the appropriate antibiotic for
7 otitis media, would that -- that would have prevented
8 the otitis from progressing into meningitis, wouldn't
9 it?

10 A. Probably.

11 Q. What is your expertise in radiology or
12 neuroradiology?

13 A. I'm real good at reading their reports.

14 Q. Not too good at reading the films though?

15 A. No.

16 Q. Okay.

17 A. I might read the films, but I don't call myself an
18 expert.

19 Q. Well, do you know what the time lag is for any brain
20 injury to show up on a CT scan?

21 A. I don't know how to answer that question. You mean
22 like if you got hit on the head, how long would it
23 take to --

24 Q. No. Like if you had meningitis and then you had a CAT
25 scan taken later on, how long would it take to show up

1 on a CT scan?

2 A. You have to go on my experience now, please. I don't
3 know of any patient I've ever seen with meningitis who
4 had a negative CAT scan and then later had an abnormal
5 one as the meningitis progressed. I think that every
6 patient I've seen with meningitis -- and my experience
7 is sort of limited, not because of numbers but, you
8 know, we've only had CAT scans for, I don't know,
9 eight years or something. Every patient I've seen
10 with meningitis who had a CAT scan, they had some
11 evidence of cerebral abnormalities when I first saw
12 them, first saw the CAT scan.

13 Q. How many of those patients died?

14 A. I don't know. A lot of them. Meningitis is a very
15 serious disease. Even when treated correctly, there's
16 a mortality rate of between ten and forty percent.

17 Q. Do you have an opinion on what Mr. Jeffers' mortality
18 rate was?

19 A. Well, he died. What are you asking me?

20 Q. If it had been properly treated?

21 A. I don't know. I hadn't really thought about that. He
22 might very well have gone on and died anyway.

23 Q. Really?

24 A. Yep.

25 Q. What's the mortality rate for otitis media?

1 A. It should be zero.

2 Q. So, if he had gotten the proper treatment by
3 Doctor Banaga, he wouldn't -- his mortality rate was
4 zero then?

5 MR. MARKWORTH: Objection. There's never
6 been any statement by this witness that Doctor Banaga
7 gave improper treatment. The question assumes that
8 there was improper treatment. The question assumes
9 that the proper treatment was something other than
10 this witness has ever testified to.

11 BY MR. MELLINO, CONTINUING:

12 Q. If Doctor Banaga had properly treated his otitis
13 media, his mortality rate was zero, correct --

14 MR. MARKWORTH: Objection.

15 BY MR. MELLINO, CONTINUING:

16 Q. -- in your opinion?

17 A. Well, I'm having trouble answering that because he got
18 treatment with good antibiotics before he came to the
19 hospital. He had Erythromycin and then Augmentin.
20 Both of those are respectable treatments for otitis
21 media. Doctor Banaga did not write any orders for
22 treating this man's otitis media.

23 Q. At the time Doctor Banaga saw him he was not getting
24 respectable treatment for otitis media, was he?

25 A. Oh, I didn't say that Cipro wasn't good for otitis

1 media. It's not good for meningitis.

2 Q. Well, it's contra-indicated for meningitis, isn't it?

3 A. I don't know that it's contra-indicated.

4 Q. You don't?

5 A. It just doesn't -- doesn't work very well in
6 meningitis. It doesn't get over in to the spinal
7 fluid.

8 Q. Well, doesn't it cause central nervous system
9 depression, Doctor?

10 A. Oh, well, it does have CNS side effects.

11 Q. That wouldn't be good to give somebody that had
12 meningitis, would it?

13 A. If you knew they had meningitis.

14 Q. Or if you should know they had meningitis?

15 A. I don't know how you would know they should know.

16 Q. Well, we -- can we agree that his otitis media was not
17 properly treated in Southwest Hospital?

18 MR. MARKWORTH: Objection.

19 MR. SWITZER: Objection.

20 BY MR. MELLINO, CONTINUING:

21 Q. I mean, the antibiotic -- you already said the
22 antibiotic he was given for his otitis media was no
23 good to him; it didn't do him any good?

24 A. Well, that's because we know the diagnosis of
25 pneumococcal meningitis here. They didn't know that.

1 Q. Why even for otitis media? They knew he had otitis
2 media, correct? It wasn't doing his otitis media any
3 good either, was it?

4 A. Well, they didn't know that it was pneumococcal otitis
5 media. It would have been fine if it had been
6 omphalos otitis, and omphalos is probably the most
7 common cause of otitis media, and at any rate it was
8 prescribed under -- by Doctor Binder for treatment of
9 malignant otitis externa, I believe, and for that it
10 is a very good treatment.

11 Q. Yeah, for something he didn't have?

12 A. Well, what do you want me to say to that? In
13 retrospect, yes.

14 Q. Well, even at the time. I mean, is it your
15 understanding in this case that the Cipro was given
16 because of an external malignant, that being a
17 possible diagnosis?

18 A. It was my understanding from reading the records that
19 that was Doctor Binder's working diagnosis.

20 Q. And do you think that that -- do you think under
21 those circumstances it's reasonable to give
22 Ciprofloxacin?

23 A. For malignant otitis externa, yes. Yes.

24 Q. If there was no -- if the patient didn't have
25 external otitis and that wasn't the differential

1 diagnosis, would Ciprofloxacin be the appropriate
2 drug to give this patient?

3 A. I think I've already said no.

4 Q. Okay. Now, let's get back to my original question.
5 If Mr. Jeffers had received antibiotics that were
6 appropriate for the otitis media that he did have, he
7 had a zero mortality rate in your opinion?

8 A. I never said that. I think he could have died anyway.
9 Pneumococcal meningitis --

10 Q. Well, I thought you said he didn't have it at the time
11 Doctor Banaga saw him.

12 A. I thought you were posing a hypothetical to me.

13 Q. Drug treatment is hypothetical.

14 A. You asked me if I thought he would have survived if he
15 had gotten appropriate therapy, and I said not
16 necessarily. People die with pneumococcal
17 meningitis, even though you give them the right
18 therapy, a lot.

19 Q. And then we progressed from that point, and I asked
20 you if he had meningitis at the time Doctor Banaga
21 saw him, and you said no. I asked you if he had
22 received -- if he had given -- been given drugs for
23 otitis media, that would have prevented the otitis
24 media from turning in to meningitis?

25 A. No, not necessarily.

1 Q. That's not what you said the last time I asked you,
2 but that's all right. That's why we take everything
3 down.

4 A. Well, I think you asked me the first time about
5 pneumococcal infection, and I got confused by your
6 last question. People get meningitis occasionally
7 while on appropriate therapy for otitis media.

8 Q. Well, the same organism was causing both the otitis
9 and meningitis, correct?

10 A. Presumably. We don't have cultures from the ears as
11 far as I know.

12 Q. Well, what's your opinion in this case based on a
13 reasonable medical certainty?

14 A. I believe he had pneumococcal otitis media and
15 pneumococcal meningitis.

16 Q. All right. So, if they had treated the organism, the
17 pneumococcal organism causing the otitis media, it
18 would have prevented the otitis from spreading into
19 meningitis?

20 A. Not necessarily, but probably.

21 Q. Fine. Should a physician -- should a physician
22 prescribe antibiotics over the phone without having
23 examined the patient?

24 A. I don't believe they should, but it's done often.
25 Common practice.

1 Q. And Doctor Banaga didn't think that he had external
2 otitis, did she?

3 A. She diagnosed bilateral acute otitis media.

4 Q. Right.

5 A. Media, not external. So, she made the correct
6 diagnosis based on her exam.

7 Q. And based on her examination and diagnosis — well,
8 what is your understanding of what her obligation was
9 to contact the attending after she did an examination
10 and diagnosis?

11 A. Well, I'm trying to read her mind and that's difficult
12 to do. The attending had already prescribed
13 antibiotics that are useful in some forms of otitis
14 media, and I guess she felt the patient was not
15 critically ill based on her exam, and I assumed she
16 didn't feel any need to contact Doctor Binder.

17 Q. Well, I'm not really interested in what she felt. I
18 want to know your opinion is as to what the standard
19 of care required of her to the extent you can give an
20 opinion on that.

21 A. I've already given an opinion. I think she acted
22 within the standard of care for a house physician.

23 Q. Well, I'm trying to test that opinion a little bit.

24 A. Okay.

25 Q. That's my job here. So, I want to know what you feel

1 the standard of care required of her in terms of after
2 she did the examination and she comes up with a
3 diagnosis. Should she have contacted Doctor Binder?

4 A. Well, I said I didn't feel it was necessary that she
5 contact Doctor Binder. I think that unless she felt
6 the patient was clearly on a wrong antibiotic regimen,
7 her duty would be to see how that antibiotic therapy
8 worked.

9 Q. Okay. So, if she thought that the antibiotic was
10 incorrect for his condition, then she should have
11 contacted Doctor Binder?

12 A. If she thought that. I don't think she thought that
13 because she didn't call him.

14 Q. All right. If she should have known that it was the
15 wrong antibiotic for his condition, she should have
16 called him also then too?

17 MR. MARKWORTH: Objection to form;
18 assumption.

19 THE WITNESS: She did not know that this was
20 pneumococcal at the time so she didn't know that it
21 was the wrong antibiotic.

22 BY MR. MELLINO, CONTINUING:

23 Q. All right. The question really was, if she should
24 have known that the antibiotic was wrong, then should
25 she have called Doctor Binder?

1 A. Hypothetically, I think I've said this, if she knew
2 that the patient is getting the wrong antibiotic, then
3 she should have called him.

4 Q. What about if she came up with a different diagnosis
5 than Doctor Binder's working diagnosis? Doesn't she
6 have an obligation to call the attending at that
7 point?

8 A. My reaction is that it's not the function of the house
9 physician to call up the attending senior staff person
10 and tell them they're wrong unless she feels that the
11 patient's life or well-being was suffered by not doing
12 that. So the answer is not necessarily should she
13 have called him.

14 Q. Do you think that Mr. Jeffers' life or well-being
15 suffered by her failure to call Doctor Binder in this
16 case?

17 A. It's hypothetical, I guess. My opinion is that
18 Doctor Binder might have said, well, we'll wait and
19 see or he might have come in and decided that things
20 were okay or he might have changed something. I don't
21 know what he would have done, so I don't know how to
22 answer that question.

23 Q. Your opinion that he didn't have meningitis, is that
24 based on the assumption that -- I'm talking about at
25 the time Doctor Banaga examined him now, your opinion

1 that he didn't have meningitis at that time, is that
2 based on you're assuming that he didn't have an
3 inability to put his chin to his chest?

4 A. It's based upon my -- in part upon my reading the
5 finding of Doctor Banaga that his neck was supple,
6 which is a different way of saying the chin to chest
7 test, yes.

8 Q. What else is it based on?

9 A. Well, people with otitis media, they usually go on to
10 get meningitis fairly late, after awhile, and when
11 those patients get meningitis, it usually escalates
12 very rapidly unless they're treated. So, reading the
13 note of Doctor Banaga on the 4th, I think it is, this
14 sounds to me like a patient who didn't -- who -- it
15 sounds like a patient who could have had meningitis,
16 but the evidence that's strong that this is meningitis
17 was certainly not there.

18 Q. What would --

19 A. And so, I would say maybe if the patient had
20 meningitis, it was early and not really diagnosable by
21 clinical signs. You might suspect it, but this is not
22 the usual findings of a patient with pneumococcal
23 meningitis.

24 Q. This, you're pointing to Doctor Banaga's --

25 A. I'm pointing to Doctor Banaga's note on the 4th of

1 May.

2 Q. Do you think that Doctor Banaga was required to do a
3 more thorough examination than the emergency room
4 physician?

5 A. I guess I'd have to say not necessarily. Not
6 necessarily. I don't know off the top of my head what
7 exam the emergency room physician did. We don't do a
8 complete exam on everybody. You can't.

9 Q. You don't do a complete exam on someone that's being
10 admitted to the hospital?

11 A. We do. We do things, but we don't necessarily record
12 them if they're negative so I --

13 Q. So, was she -- go ahead.

14 A. I would say that this exam as stated is within the
15 standard for a house physician.

16 Q. As stated by who?

17 A. Me.

18 Q. Is that based on the -- her -- the chart alone?

19 A. That's the only thing I have to go on. I have all the
20 notes.

21 Q. So, based on your review of her notes in the chart,
22 you believe that that exam meets the standard of care?

23
24 A. Yes.

25 MR. MELLINO: I don't have any other

1 question for you, Doctor.

2 EXAMINATION

3 BY MR. HUPP:

4 Q. Doctor, my name is Steve Hupp. I represent
5 Doctor Binder in this case.

6 Just so we're clear, you don't have any
7 opinions on Doctor Binder's care in this case?

8 A. I wasn't specifically asked to render an opinion on
9 his care.

10 Q. And the only opinions you have of Doctor Jones' care,
11 the emergency room doctor, is that Doctor Jones met
12 the standard of care in treating this patient?

13 A. Yes.

14 MR. HUPP: I don't have any further
15 questions.

16 EXAMINATION

17 BY MR. SWITZER:

18 Q. Just a few questions, Doctor.

19 Is a supple neck finding inconsistent
20 with a stiff neck?

21 A. Yes.

22 Q. And I take it that would also be inconsistent with a
23 rigid neck?

24 A. Yes.

25 Q. Okay. Are rigid and stiff neck the same?

1 A. Not exactly.

2 Q. What's the difference, and simple?

3 A. It's a matter of personal usage, I guess. To me a
4 rigid neck is one that's as stiff as a board, and not
5 all meningitis necks are that stiff. Supple ---
6 shouldn't get too involved with. Supple is the common
7 jargon used by physicians to describe a neck that when
8 looked at specifically for evidence of meningitis is
9 negative for that evidence. It may be jargon but we
10 all understand it.

11 Q. In your experience, Doctor, would a patient who is
12 presenting as Mr. Jeffers presented on May 4, 1992 ---
13 let's talk about in the morning --- know whether or not
14 he had a stiff neck?

15 A. Would you repeat that again?

16 Q. I'll repeat it. I was going to ask him to read it,
17 but I'll just repeat it.

18 Would a patient presenting as
19 Mr. Jeffers presented in the early morning hours of
20 May 4, 1992, would he know whether or not he had a
21 stiff neck?

22 A. Mr. Jeffers?

23 Q. Yes.

24 A. Would he know, no, not in the medical sense, like a
25 meningitic stiff neck.

1 Q. Okay. How about in the lay person sense?

2 A. Well, a lay person having --

3 Q. When he's exercising a lot or --

4 A. -- a stiff neck from osteoarthritis of the bones of
5 the spine. He could have it from tensing of the
6 muscles, the strap muscles of the neck. Because the
7 patient has otitis his muscles tense and it's stiff
8 and it hurts. There's a whole host of causes.

9 Q. Would any of the medications that Mr. Jeffers received
10 from the time he was admitted to the hospital until
11 approximately 4:30 p.m., okay, that's about --

12 A. The following afternoon.

13 Q. Yes. A sixteen hour time period, would any of those
14 medications make him lethargic?

15 A. My recollection is that he got some Demerol.

16 Q. He had some morphine in the emergency room?

17 A. Right. That could make him lethargic, yeah. And then
18 he got some --

19 Q. Compazine?

20 A. Yeah. Not Demerol. Anyway I was coming to the
21 Compazine. Compazine could make him lethargic. And I
22 think he got some Tylenol with codeine in it perhaps.
23 That might contribute to it a little bit.

24 Q. Is one of the side effects of Cipro nausea and
25 vomiting?

- 1 A. Definitely.
- 2 Q. That's also a side -- you mentioned --
- 3 A. Augmentin and Erythromycin can cause nausea and
4 vomiting.
- 5 Q. How about Demerol?
- 6 A. Yes.
- 7 Q. We're talking about bacterial meningitis in this case,
8 but let's assume that -- well, let's not assume
9 anything. Are there different signs and symptoms if a
10 patient had viral spinal meningitis as opposed to
11 bacterial meningitis?
- 12 A. Basically they're very similar. Although patients
13 with viral meningitis and, in fact, viral respiratory
14 infections, in general, including viral otitis,
15 commonly get photophobia, but really the only standard
16 between distinguishing between them is the lumbar
17 puncture.
- 18 Q. Would any of the antibiotics that you listed earlier
19 as being appropriate to treat pneumococcal meningitis
20 have had any effect on viral meningitis?
- 21 A. No.
- 22 Q. I take it the same would be true if it was a viral --
23 a virus that was causing the otitis media?
- 24 A. Correct.
- 25 Q. None of those antibiotics would have had any effect on

1 that?

2 A. Yes.

3 Q. The only way, I take it, that a physician would know
4 one way or the other whether antibiotics would have
5 any beneficial effect on a patient that he suspects
6 has meningitis would be to perform a lumbar puncture
7 and then determine what type of organism is causing
8 the meningitis; is that correct?

9 A. I think -- yes.

10 Q. Are you going to offer an opinion in this case as to
11 when it became apparent from Mr. Jeffers' clinical
12 course at Southwest General Hospital that he did have
13 meningitis based on probability?

14 A. Well, it sounds like he definitely had it in the
15 afternoon around 4:30.

16 Q. Based on your review of the records, would that have
17 been the appropriate time for a physician to diagnose
18 meningitis in Mr. Jeffers without performing a lumbar
19 puncture?

20 A. I think you still need to do a lumbar puncture. He
21 could have had a brain hemorrhage or something that
22 would have produced similar findings.

23 Q. Let's assume that antibiotics appropriate to cover the
24 pneumococcal -- I guess it's what typically,
25 streptococcus?

1 A. Streptococcus pneumonia, yeah.

2 Q. -- pneumonia organism that was causing Mr. Jeffers'
3 meningitis, let's assume that he did receive
4 appropriate antibiotics.

5 A. When?

6 Q. Let's make it one a.m.

7 A. Okay. When Doctor Banaga saw him?

8 Q. Yes. What is the time period or is there a range for
9 when a patient receives the appropriate antibiotics
10 intravenously and the time when those antibiotics
11 would have a beneficial effect on the organism so that
12 it would make it more likely or not that a patient
13 would survive?

14 A. Well, it's highly variable and in part for two
15 reasons. First, the pneumococcus -- which is what I
16 call it because when I started out they didn't call
17 it strep pneumonia -- the pneumococcus produces
18 toxins that elicit some of the damage that you see in
19 meningitis. And so, if you kill the pneumococcus
20 right there quickly with antibiotics, those toxins are
21 still there and producing damage. That's why some
22 patients may go ahead and die even though you kill all
23 the bugs.

24 And the second thing is the toxins and
25 the presence of the organism cause the host -- cause

1 the host defense mechanisms to be turned on to fight
2 that, and these host defense mechanisms, white blood
3 cells and antibodies and products of lymphocytes and
4 monocytes, they're marshalled to the site of the
5 infection. Those defensive entities are like
6 medicines that the body produces, and like any other
7 medicine they have side effects, and, in fact, they
8 may be why the patient dies. The over production of
9 the these cyctocones results in the patient's death
10 even though you -- you killed the pneumococcus.

11 I'm sorry for that being so long
12 winded, but I think it's important to know that in
13 this patient that the patient can go ahead and die
14 even though you get the right antibiotics early.

15 Now, the pneumococcus is very
16 susceptible to Augmentin, which this patient got,
17 although vomited it up. The pneumococcus is usually
18 very susceptible to Erythromycin, which this patient
19 got on the outside. Now, you wouldn't want to treat
20 meningitis with those oral antibiotics, but the
21 pneumococcus is stopped in its tracks by them, and
22 this patient went ahead and died anyway.

23 Q. How does a physician test for photosensitivity?

24 A. You don't test for it. I guess maybe you'd shine a
25 light in the patient's eyes, but the patient says the

1 lights are hurting my eyes.

2 Q. The physician using a pen light to examine the eyes --

3 A. They might squint and say that bothers them. I'm not
4 sure that's what you're asking.

5 Q. I think you probably answered my question.

6 What are the causes of
7 photosensitivity? And let's just take a patient such
8 as Mr. Jeffers.

9 A. There's a whole lot of them. I'd hate to try to
10 elicit them, but --

11 Q. Maybe five or six of them?

12 A. Five or six. Probably the most common cause is viral
13 infection of the respiratory tract, then maybe certain
14 medications. In fact, I wondered if the morphine
15 didn't have something to do with this man having
16 photosensitivity. I meant to look to see whether he
17 had that before or after he got the morphine.

18 Certainly bacterial infections of the
19 head and neck including otitis and sinusitis, which
20 this man had, can produce photosensitivity. Intrinsic
21 diseases of the eye, paralysis of the pupil so it
22 dilates widely or something can produce
23 photosensitivity.

24 Q. How about a severe headache?

25 A. Well, it's commonly found with severe headache. I'm

1 not sure the headache is what causes it. It's just
2 whatever causes the headache also can cause
3 photosensitivity. It's a very common and non-specific
4 finding and in my opinion in no way points to the
5 diagnosis of bacterial meningitis in this or any other
6 case.

7 MR. SWITZER: I have no other questions.
8 Thank you, Doctor.

9 RE-EXAMINATION

10 BY MR. MELLINO:

11 Q. Doctor, you gave us a definition before of what
12 supple — of what you said it universally means.

13 A. I'm not sure I defined it, but I'm saying that supple
14 is a commonly used word to put in physical exams
15 because it's a brief way to say that you examined the
16 patient's neck to see if it was stiff or rigid and
17 whether there were signs of meningitis or not. And
18 there were no such signs so you write down the neck is
19 supple.

20 Q. Well, it's not only — it's not used only in
21 examinations that are done to rule out meningitis, is
22 it?

23 A. Correct. You can get stiff neck from a major bleed
24 inside the head, you can get stiff neck from bone
25 disease, tenseness of the muscles of the strap muscles

1 in the neck because of an ear infection.

2 Q. So, it's used in any examination of the neck
3 regardless of whether a physician is suspicious of
4 meningitis or not?

5 A. When you say supple, you're implying to the reader, in
6 my judgement, that there's no evidence that the
7 patient has meningitis based on the physical exam of
8 the neck.

9 Q. Well, if you were just examining somebody that you
10 didn't even -- didn't have any signs or symptoms of
11 meningitis and there's no reason for you to believe
12 they had meningitis, are you saying you would never
13 use the word supple?

14 A. Oh, no, I use the word supple a lot, you know. But
15 when I say it, it means that there's no evidence that
16 the patient has meningitis clinically on physical
17 exam.

18 Q. That's the only reason that you ever use the word
19 supple in your practice?

20 A. No, but that's what it always means when I use it.

21 Q. Well, if you were just examining somebody that you
22 didn't think that they had menin -- there's no reason
23 to suspect they had meningitis, say you're just doing
24 a general physical examination --

25 A. Right.

1 Q. -- why would you use the word supple?

2 A. Because that's the word I commonly use to say that I
3 flexed the neck and there was no pain when I did that,
4 no evidence of bone disease, et cetera.

5 Q. So, it can mean other things?

6 A. Oh, absolutely. It doesn't mean the patient doesn't
7 have meningitis.

8 MR. MELLINO: Okay. That's all the
9 questions I have.

10 MR. HUPP: No questions.

11 MR. MARKWORTH: Thank you.

12 (Deposition Concluded at 11:28 a.m.)
13
14
15
16
17
18
19
20
21
22
23
24
25

1 STATE OF MICHIGAN)


2 COUNTY OF WASHTENAW)

3 CERTIFICATE OF NOTARY PUBLIC

4 I, Richard L. Nizza, of the firm of
5 HURON REPORTING SERVICE, a Notary public within and
6 for the County of Washtenaw, State of Michigan, duly
7 commissioned and qualified, do hereby certify that the
8 witness whose attached deposition was taken before me
9 in the before entitled cause on Wednesday, August 18,
10 1993, was by me first duly sworn to testify the truth,
11 the whole truth, and nothing but the truth in the
12 cause aforesaid; that the testimony contained in said
13 deposition was by me reduced to writing in the
14 presence of said witness by means of Stenography;
15 afterwards transcribed upon a computer under my
16 personal supervision; and that the said deposition is
17 a true and correct transcript of the whole of the
18 testimony then given by the witness to the best of my
19 ability.

20 I do further certify that I am not
21 connected by blood or marriage with any of the
22 parties, or their attorneys or agents; that I am not
23 an employee of either of them, nor interested,
24 directly or indirectly, in the matter in controversy,
25 either as counsel, agent, attorney, or otherwise.

1 IN WITNESS WHEREOF, I have hereunto set
2 my hand and affixed my notarial seal in Ann Arbor,
3 County of Washtenaw, State of Michigan, this 23rd day
4 of August, 1993, A.D.
5

6
7 
8 Richard L. Nizza, CSR-2344
9 Notary Public, Washtenaw County
10 State of Michigan
11 Commission Expires: 9/1/93
12
13
14
15
16
17
18
19
20
21
22
23
24
25