

CLEVELAND ACADEMY OF TRIAL ATTORNEYS Web

Last Name	<i>Dine</i>
First Name	<i>Edward</i>
Specialty	<i>OTOLOGY/OTOLOGIST</i>
Party	Plaintiff <input checked="" type="checkbox"/>
Date (format =99/99/9999)	<i>7/9/03</i>
Type of Document	Articles <i>Report</i> <input checked="" type="checkbox"/>
Type of Injury	<i>Spinal Accessory Nerve damage</i>
Type of Case	<i>Medical</i>
eDocument Name	(d/doi011501.pdf)

Submit Page

Hosted by The Nurenberg, Plevin Law Firm
 Copyright © 1999-2001 CATA



1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

IN THE COURT OF COMMON PLEAS

CUYAHOGA COUNTY, OHIO

TRICIA FORMICK,)	CASE NO. 451559
)	
Plaintiff,)	JUDGE STUART A. FRIEDMAN
)	
versus)	
)	
MADELEINE M. LENOX, M.D.,)	DEPOSITION OF
)	
Defendant.)	EDWARD FINE, M.D., Ph.D.

Deposition of EDWARD FINE, M.D., Ph.D., a
 Witness herein, called by the Plaintiff for
 cross-examination pursuant to the Ohio Rules of Civil
 Procedure, taken before the undersigned, Denise
 Chulik, RN, a Registered Professional Reporter and
 Notary Public in and for the State of Ohio, at The
 Cleveland Clinic Foundation Westlake, 30033 Clemens
 Road, Third Floor, Westlake, Ohio, on Wednesday, July
 9, 2003, at 2:40 p.m.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

APPEARANCES:

On Behalf of the Plaintiff:

David A. Kulwicki, Esq.
Neysa M. Gaskins, Esq.
Becker & Mishkind Company, L.P.A.
Skylight Office Tower, Suite 660
1660 West Second Street
Cleveland, Ohio 44113

On Behalf of the Defendant:

Ann R. Mitchell, Esq.
Edward S. Lake, Esq.
Gallagher Sharp Fulton & Norman
Seventh Floor Bulkley Building
1501 Euclid Avenue
Cleveland, Ohio 44115

I N D E X

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

EXAMINATION BY PAGE

MR. KULWICKI 4

PLAINTIFF'S EXHIBITS PAGE

1, Curriculum Vitae of Edward Fine, M.D. 38

2, Dr. Fine's 5/20/2003 report 38

DEFENDANT'S EXHIBITS

None

1 WHEREUPON,

2 EDWARD FINE, M.D., Ph.D.,

3 after being first duly sworn, as hereinafter
4 certified, testified as follows:

5 CROSS-EXAMINATION

6 BY MR. KULWICKI:

7 Q. Doctor, why don't you state your full name and
8 spell your last name, and give us your current
9 business address, where we're at today.

10 A. Edward Fine, F-i-n-e, 30033 Clemens Road,
11 Westlake, Ohio 44145.

12 Q. And Doctor, you are a board-certified
13 otolaryngologist; is that correct?

14 A. Yes.

15 Q. Do you hold any other board certifications?

16 A. No.

17 Q. All right. Now, in this case, Dr. Lenox has given
18 the opinion that Ms. Formick's problems with
19 regard to her spinal accessory nerve are related
20 to an infection. Do you agree with that?

21 A. Yes.

22 Q. Tell me how many cervical lymph node dissections
23 you've performed in the course of your career,
24 roughly.

25 A. I'd say 50.

1 Q. And have you ever had this particular complication
2 develop?

3 A. No.

4 Q. Have you ever had a complication develop wherein
5 the spinal accessory nerve was compromised and the
6 patient developed some type of palsy or injury
7 related to that with the cervical lymph node
8 dissection?

9 A. With a radical, more radical types of surgery,
10 yes.

11 Q. As I understand it, there are varying degrees of
12 lymph node dissection that you can do in this
13 area, and the most radical would be one that
14 involves the recovery of a number of lymph nodes,
15 and then there's a modified radical, and then
16 you've got what we have here, which would be the
17 simplest of those procedures. Fair enough?

18 A. Yeah, that's pretty accurate; yeah.

19 Q. And with regard to the radical, you have had to
20 sacrifice the spinal accessory nerve in the course
21 of a radical dissection; correct?

22 A. Exactly.

23 Q. But you've never had this complication in the
24 course of doing a simple resection of a single
25 lymph node; correct?

1 A. True.

2 Q. I know what I wanted to ask you -- did you know
3 Dr. Lenox before this case?

4 A. I know who she is.

5 Q. You trained at UH; she is affiliated with UH. Did
6 you know her through that?

7 A. We had some vague interactions that, I think she
8 trained at the clinic, mainly.

9 Q. Tell me about your vague interactions. What do
10 you mean by that?

11 A. Well, I was a resident, and she was a -- maybe for
12 a year or two, and I didn't really -- I don't know
13 specifics.

14 She was an attending who was in the
15 community, a community-based attending, which
16 means she would do most of her surgeries, I
17 believe out at that Green Road facility at this
18 time.

19 Rarely, if she had a bigger type of case
20 that she needed resident help with, she would do
21 it at the University Hospitals main campus.

22 Q. Okay; and were there occasions when she had these
23 bigger cases at the main campus that she would
24 have, you would have been assisting her as a
25 resident?

1 A. I would say rare. Maybe one; very rarely.

2 Q. Okay.

3 A. Typically she did most of her stuff out at Green
4 Road.

5 Q. And then on either end of that, did you know
6 Dr. Lenox before that interaction, or since then,
7 have you had any interaction with her?

8 A. Well, because she works in Cleveland and I work in
9 Cleveland, there's certain professional venues
10 that we see each other at, but I don't socialize
11 with her in any way or see her outside of the
12 realm of those settings.

13 Q. Have you discussed this particular case with her?

14 A. No.

15 Q. Now, have you done any medical research with
16 regard to any of the issues in this case?

17 A. Do you mean formal research on --

18 Q. Or informal. Have you looked at anything with
19 regard to spinal accessory nerve injury as a
20 result of cervical lymph node dissection?

21 A. Nothing recently. I mean, I didn't prepare per
22 se.

23 Q. So specifically, with regard to this case, you
24 didn't open up your computer or go into some books
25 or whatever to look at anything?

1 A. No.

2 Q. Are you aware of any literature, based on your
3 general knowledge, that specifically talks about
4 nerve injury as a result of infection?

5 A. If you ask me to quote specific articles or
6 chapters, I would be hard pressed to identify one
7 or two things. There's a certain number of
8 standard, you know, textbooks that usually we
9 refer to.

10 Q. What would those be?

11 A. There's one called Bailey, which is sort of a
12 standard ENT/head-neck surgery type textbook.

13 Q. Any others that come to mind?

14 A. Not off the top of my head.

15 Q. Now, when you in one of your 50 or so cases, when
16 you do that, remove cervical lymph node, do you
17 make yourself available for patient emergencies by
18 carrying around a beeper and having a paging
19 service where your patients can call if there's
20 some postop complication?

21 A. Yes.

22 Q. And based on your training and experience, is that
23 a standard practice for surgeons or ENTs who do
24 this type of operation?

25 A. Yeah.

1 Q. And can we agree that it would be reasonably safe
2 or careful to respond to a patient's complaints of
3 inflammation or infection in the surgical site
4 during the five-day period following this surgery?

5 A. Yeah, I would say it's reasonable for, you know,
6 me or -- I mean, I've got a partner.

7 Q. So in other words, either you or your partner will
8 cover?

9 A. Somebody's responsible, absolutely.

10 Q. Okay. With regard to the mechanism of injury, why
11 don't you just tell me, if you would, what you
12 think happened, and what led to this lady's
13 injury.

14 A. As far as I can tell from reading what was -- the
15 information that was provided to me, she had this
16 biopsy done under what they call MAC, which means
17 she wasn't completely paralyzed.

18 She was breathing spontaneously, which
19 would key you into any concerns about injury to
20 the nerve, usually, while the procedure's going
21 on.

22 It sounded like from what I read that there
23 was -- let me check and see -- but not
24 immediately, but a day or two later, when there
25 was a call made to Dr. Lenox's office indicating

1 that there might be some drainage from the wound
2 and some weakening of the arm on that side.

3 That's the crux of what I saw or, you know, read
4 about what was going on here.

5 Q. Okay.

6 A. That's the left side of the neck.

7 Q. And in terms of your opinions that you'll give at
8 trial, are you planning to explain how the
9 infection led to damage of the nerve?

10 A. Well, the way I would put it together is, first of
11 all, by history, this area of the neck had been
12 operated on before, and that can result in some
13 scarring.

14 You don't have the normal tissue planes
15 that can separate freely, and if there's an
16 infection that develops postoperatively, that
17 could impair functioning of the nerve. That's how
18 I put together what happened here.

19 Q. And tell me, if you would, specifically how an
20 infection would impair the functioning of the
21 nerve.

22 A. Well, infection usually causes inflammation, and
23 what is called the host response, which is the
24 body's attempt to wall off and fight the
25 infection.

1 If that whole process occurs adjacent to
2 the nerve, it could potentially impair the
3 functioning of the nerve.

4 Q. So it would actually be inflammation of
5 surrounding tissues compromising the nerve or
6 pressing on the nerve, somehow causing --

7 A. Impaired function.

8 Q. -- impaired function; okay. It wouldn't be
9 something like the infection actually eating away
10 at the nerve itself, would it?

11 A. That would be very rare. Depending on what type
12 of bacteria it was, there's some unusual bacterias
13 that can do that, but I think that would be
14 unusual, very unusual.

15 Q. Like the so-called flesh-eating bacteria?

16 A. Exactly.

17 Q. And there's no evidence that those were present in
18 this case, is there?

19 A. Not that I can see.

20 Q. Now, let me back up: You talked about MAC, and
21 can you tell me what that stands for?

22 A. I'm sorry. MAC stands for monitored anesthesia
23 care. There's usually -- and again, I'm not an
24 anesthesiologist; this is my perception.

25 There's usually three components of

1 anesthesia: One is amnesia, one is pain control,
2 and one is muscle paralysis; and if you're going
3 to operate in an area that you are concerned about
4 the nerve and nerve functioning, you want to be
5 able to monitor that nerve during the course of
6 your procedure.

7 And monitored anesthesia care means you can
8 have amnesia and anesthesia, but you don't
9 necessarily have to have muscle paralysis.

10 So if you're getting near a nerve,
11 stimulating it or irritating it, you would expect
12 a sign of that specifically; the arm to move or
13 the patient to twitch or something like that.

14 Q. Now, in reading the records myself, I don't see
15 specifically any reference to MAC. Is it
16 specifically referred to as monitored anesthesia
17 care or MAC in the records?

18 A. Give me a moment.

19 Q. I guess you looked at the operative note. I do
20 see it now.

21 A. Yeah.

22 Q. Do you see the operative note? Actually,
23 underneath where it says "Anesthesia," I see MAC
24 there now.

25 A. Right.

1 Q. Now, even with MAC, there is a local anesthetic in
2 the area where the incision is being made; is that
3 correct?

4 A. Exactly. You're right.

5 Q. And can that cause some anesthesia of the nerve?

6 A. Usually that anesthetic that's injected is
7 injected very superficially; if it wasn't injected
8 superficially, and by "superficially" to actually
9 numb the skin, most of the sensory nerves in this
10 area are in the skin, and once you get through the
11 skin, pretty much anything under that is not real
12 sensitive to pain.

13 Q. Okay.

14 A. And -- but you're right; if you were to inject it
15 deep, you could have some numbness of or
16 anesthesia of the nerves.

17 Q. And might that impair its response to being
18 compromised intraoperatively?

19 A. That's a possibility.

20 Q. Now, in Dr. Lenox's description of the surgery,
21 does she describe any unusual anatomy in this
22 patient?

23 A. Let me just review it for a moment just to be
24 sure.

25 Q. You bet.

1 A. No, it doesn't sound like there was anything
2 unusual done, or unusual anatomy described.

3 Q. Does she describe encountering any difficulty in
4 dissecting the spinal accessory nerve away from
5 the lymph node that was removed?

6 A. No.

7 Q. Now, in your practice, do you typically identify
8 the spinal accessory nerve during this particular
9 type of surgery?

10 A. I would not, as a routine.

11 Q. All right. Would you typically describe it in
12 your note, either as being not identified or
13 identified and isolated?

14 A. I might describe it as not being identified; not
15 as a routine, though.

16 Q. Now, in the 50 or so cervical lymph node
17 dissections that you've performed, did you use a
18 local anesthetic as opposed to general anesthesia?

19 A. Usually, yeah. You use this MAC; is that what you
20 mean?

21 Q. I guess so. I guess what I'm referring to is
22 local as opposed to general, and I assume MAC is a
23 form of local anesthesia?

24 A. Yeah, it's sort of an attended local, which means
25 you have an anesthesiologist by. Yeah; this is,

1 in my mind, the preferred technique, because you
2 do have concerns about injury to that nerve.

3 Q. Okay. In fact, have you ever done a simple single
4 lymph node resection in the cervical region under
5 general anesthesia, or has it always been with
6 local or MAC?

7 A. No, I have done it under general anesthesia, too.

8 Q. And under what circumstances would you do that?

9 A. Usually if it's a kid -- a child, someone who may
10 not be able to sort of handle some of the stress
11 associated with sort of being in the twilight
12 sleep, you just put them to sleep. It sort of
13 helps you control the surgical situation.

14 Q. Now, you made a statement earlier that when
15 there's monitored anesthesia care, that if there's
16 injury to the nerve intraoperatively, the response
17 of the associated muscle usually keys you in to an
18 injury to that nerve, and the way you said it was,
19 it usually keys you in; and I want to focus on the
20 qualifier of "usually."

21 A. Uh-huh.

22 Q. And ask if it's possible that you can cause injury
23 to the nerve and not have some physiological
24 response in the associated, the enervated muscle.

25 A. At the time of surgery?

1 Q. Yes.

2 A. Well, if you had infiltrated, or injected would be
3 a better term, lidocaine into the area, you could
4 anesthetize the nerve, and then if you came close
5 or stimulated it and were expecting motion or some
6 sign, you might not get it.

7 Q. Now, in terms of draping the surgical area, what's
8 your typical practice? How much draping do you
9 do, and what would you expect to be exposed on the
10 patient?

11 A. Typically, I would put a shoulder roll under the
12 patient to extend the neck, turn the head, in this
13 case to the right so the left neck was exposed,
14 and drape an area that's probably three or four
15 inches on a side.

16 Q. And would you expect, for instance, the shoulder
17 area to be covered in draping during this
18 procedure?

19 A. I typically would do that.

20 Q. Now, are there techniques that you use during
21 cervical lymph node dissection to protect against
22 injury to the spinal accessory nerve?

23 A. For an isolated lymph node that you're concerned
24 with like this case?

25 Q. Yes.

1 A. Besides using the monitored anesthesia care and
2 being sure or trying to be sure that I inject
3 superficially so you don't impair the ability of
4 the nerve to function, I routinely don't use --
5 there's some monitors that you can use for more
6 extended types of dissection. That isn't usual
7 for me, what I would do.

8 Q. But in terms of the actual procedure itself, I've
9 heard it described as gently teasing the lymph
10 node away from the surrounding tissue so as not to
11 cause injury to the nerve.

12 A. Okay.

13 Q. Is that something that you would employ as a safe
14 practice?

15 A. Yeah.

16 Q. Okay.

17 A. Trying to stay right on the surface of the lymph
18 node so once you've identified the superficial
19 surface, stick close to it so that you don't
20 unintentionally injure any surrounding tissue is a
21 good strategy.

22 Q. And speaking of that, one of the goals of the
23 surgery would be to preserve the tissues around
24 the lymph node as best as possible; correct?

25 A. Exactly.

1 Q. And in terms of the posterior triangle, that's the
2 area where the surgery's taking place; correct?

3 A. Um-hum.

4 Q. Oh, by the way you have to say "yes" as opposed
5 to "um-hum."

6 A. Sorry. Yes. Thank you.

7 Q. In terms of that area, the posterior triangle, the
8 only vital structure that runs through there would
9 be the spinal accessory nerve; correct?

10 A. Yeah, you could say that. I mean, there's some
11 pretty significant-sized blood vessels that run
12 through there, and there's some nerves that run
13 deep to that, but for all intents and purposes,
14 the spinal accessory nerve is the major structure
15 that you want to try to preserve.

16 Q. And I further understand that when doing this
17 procedure, one of the techniques that you would
18 use would be to place a suture in the lymph node
19 itself to assist you in teasing it away or pulling
20 it away from the surrounding tissues. Is that
21 something that you do?

22 A. No, I usually don't do that.

23 Q. Okay.

24 A. My experience is the lymph node is too friable,
25 and sticking a suture in there, you would just

1 pull the suture out, so you might have to use a
2 gentle forceps, which is a grasping tool, or blunt
3 dissection, which means small pieces of gauze
4 wrapped around your finger, or other real blunt
5 dissecting techniques.

6 Q. Okay. Now, in terms of achieving hemostasis after
7 the lymph node's been removed, it's my
8 understanding that typically you can do that
9 simply by putting pressure on the area where the
10 vessels are bleeding at. Is that your
11 experience?

12 A. That's reasonable, very reasonable, yeah.

13 Q. Okay, and I further understand that if you do have
14 excessive bleeding you can control that through
15 the use of sutures right on the bleeding vessel;
16 correct?

17 A. That's an option, yes.

18 Q. And the other option would be the use of
19 electrocautery; correct?

20 A. Right.

21 Q. Now, of the three options, is the electrocautery
22 the most, for lack of a better word, the most
23 dangerous, or it carries the most risk; would you
24 agree with that?

25 A. Yeah, I think that's reasonable to say.

1 Q. Now, in your experience in the 50 or so cervical
2 lymph nodes that you have dissected, have you had
3 occasion to use electrocautery?

4 A. Yes.

5 Q. And under what circumstances would you use it?

6 A. Failure of those other prior two ways to control
7 bleeding, either pressure or suture.

8 Q. So let's say in your note, would it say something
9 to the effect that uncontrolled bleeding or
10 excessive bleeding, or bleeding not being able to
11 be or not able to be controlled with pressure or
12 sutures, and therefore, electrocautery was used?

13 A. Right.

14 Q. Okay.

15 A. I would typically say, yeah, excessive bleeding or
16 was controlled with cautery.

17 Q. So you would spare the use of electrocautery in a
18 case where there was mild or easily-controlled
19 bleeding?

20 A. Right, and that's a judgment sort of a thing,
21 depending on how big the lymph node is. Ideally
22 you try to do this without putting a little drain
23 in, and that's why you want it reasonably dry.

24 Q. Okay.

25 A. And so there's some focus on keeping things dry.

1 Q. Yeah, I would be.

2 A. It's pretty dry stuff.

3 Q. I'm a pretty dry guy, so maybe I'll like it. Now,
4 we can certainly agree, then, that in this case
5 we're not talking about radical neck surgery;
6 correct?

7 A. Right.

8 Q. And Doctor, in terms of your medical-legal
9 involvement, again dealing with cases where you've
10 been asked by one party or the other to render
11 opinions or review records involving medical care,
12 in other words, like a medical-negligence action,
13 have you looked at cases or testified in cases
14 involving medical care in the past?

15 A. Yes.

16 Q. And how many times would you say you've looked at
17 records on behalf of lawyers in a medical case?

18 A. One or two.

19 Q. And have those been always where you've been asked
20 on behalf of the lawyer representing the doctor to
21 look at records?

22 A. No.

23 Q. Have you looked at records on behalf of a patient
24 or a patient's lawyer?

25 A. Actually, I did. I actually worked with Howard

1 Mishkind on some case.

2 Q. That was actually, I think, was it a car accident
3 case?

4 A. Right.

5 Q. But in terms of cases where a doctor's care of a
6 patient is called into question --

7 A. Yeah.

8 Q. -- have you ever looked at records on behalf of a
9 lawyer who's representing the patient?

10 A. I don't think.

11 Q. And on the occasions, a couple of occasions where
12 you've looked at records on behalf of the attorney
13 representing the defendants, do you remember who
14 the defense lawyers were that you assisted or
15 looked at records on behalf of?

16 A. Besides what I mentioned?

17 Q. Right.

18 A. I've done some looking at insurance -- on behalf
19 of state insurance companies; just a couple.

20 Q. Okay.

21 A. That's about it.

22 Q. Have you done any work with Ms. Mitchell or
23 Mr. Auciello, either of them or their law firm in
24 the past, Gallagher Sharp?

25 A. Never.

1 Q. Do you know how they got your name in this case?

2 A. I don't.

3 Q. Have you actually testified in what we call a
4 deposition; that's this setting here, where one
5 lawyer asks you questions and a court reporter
6 takes down your answers?

7 A. Once.

8 Q. Was that with Mr. Mishkind?

9 A. Twice.

10 Q. Okay; and what was the second one?

11 A. I was involved in a suit where someone was -- a
12 lawsuit against me.

13 Q. And what was generally the facts that were
14 involved in that case?

15 A. The facts were, there was an elderly woman who
16 ultimately had an unrecognized esophageal
17 perforation following a procedure.

18 Q. And was that case ultimately dismissed?

19 A. It was -- it went to mediation, and it was
20 settled.

21 Q. Besides your medical practice and a couple of case
22 that you've looked at in the past couple of years,
23 do you do any, do you have any other professional
24 activities?

25 A. No. Do you mean, do I do anything outside of what

1 is apparent here to use my medical degree or
2 whatever?

3 Q. Yes.

4 A. No, none.

5 Q. You note in your CV that you had some dealings
6 with Dr. Shuck at UH. Are you familiar with
7 Dr. Shuck's opinions regarding medical negligence
8 and his sort of negative philosophy about lawyers
9 and lawsuits and that sort of thing?

10 A. No, I'm not.

11 Q. Okay.

12 A. I was -- the only reason I had any dealings with
13 him is when I did my general surgery year, which
14 is an internship year, he happened to be the
15 chairman of the department.

16 Q. Right.

17 A. We all avoided him as much as we could.

18 Q. Doctor, I assume your medical license has never
19 been restricted, revoked, suspended or called into
20 question in any way; correct?

21 A. Correct.

22 Q. And I also assume that your staff privileges at
23 any hospital have not been restricted, suspended,
24 revoked or called into question in any way. True?

25 A. True.

1 Q. Would you agree that if proper care is taken in
2 dissecting an inflamed lymph node that is
3 non-cancerous and doesn't have any other pathology
4 attached to it, like cat-scratch fever, that being
5 careful, you can avoid injury to the spinal
6 accessory nerve?

7 A. Yeah, I think that's usually how it works out. I
8 agree.

9 Q. Do you have any plans to do any research relative
10 to the facts of this case?

11 A. None.

12 Q. Let's talk about the injury involved here. First
13 of all, can we agree that a healthy shoulder with
14 proper enervation has a dynamic equilibrium of
15 muscle forces that keep the shoulder in place?

16 A. Yes.

17 Q. And that when there is injury to the spinal
18 accessory nerve, that dynamic equilibrium of
19 muscle forces it, or that dynamic equilibrium is
20 disrupted?

21 A. Potentially.

22 Q. It's my understanding that normally, the spinal
23 accessory nerve is the sole nerve enervating the
24 trapezius muscle?

25 A. True; it's my understanding.

1 Q. And that one of the features of injury to the
2 spinal accessory nerve is pain; would you agree
3 with that?

4 A. I'd have to check on that.

5 Q. Okay.

6 A. I thought it's mainly a motor nerve, not
7 necessarily sensory, so I'd have to see.

8 Q. Yes, I agree with that, and I should clarify my
9 question, but that when the motor function of the
10 trapezius muscle is affected through injury to the
11 spinal accessory nerve, that disruption of this
12 balance of muscles around the shoulder can lead to
13 a pain syndrome?

14 A. I think that's my understanding.

15 Q. All right. Do you have any opinions with regard
16 to the prognosis for this patient currently?

17 A. As it relates to recovery of nerve function or
18 trapezius muscle function?

19 Q. Right.

20 A. Well, there were some nerve studies that
21 Ms. Formick had undergone which, to the best of my
22 interpretation, show that there was a partial
23 injury of that 11th cranial nerve.

24 And I'd have to retest the rechecking,
25 which I believe was recently done, but it did show

1 that there was improvement in the functioning of
2 the nerve. Again, the actual -- I'll have to rely
3 on the interpretation by the neurologists.

4 Q. Right. Assuming that to be the case, would you
5 anticipate that she has any permanent injury as a
6 result of this initial problem that she had?

7 A. Well, I would -- she could possibly have some
8 permanent injury. I think the fact that there has
9 been some improvement is encouraging, and the
10 ultimate, final outcome may be seen in the future.

11 Q. Sitting here today, you don't have an opinion to a
12 reasonable degree of medical probability as to
13 what her future holds, do you?

14 A. In terms of ability to move her arm?

15 Q. Correct.

16 A. Or raise her arm above 90 degrees? Yeah. I don't
17 have; I don't have a specific opinion as to the
18 amount of function that she will regain.

19 Q. If, in fact, Ms. Formick did have disability or
20 pain associated with the injury to the spinal
21 accessory nerve in the immediate postoperative
22 period, could we agree, then, that the infection
23 was probably not the cause of her spinal accessory
24 nerve injury?

25 A. Okay; I'm sorry, can you repeat it? I was

1 distracted.

2 Q. Sure; fair enough. If you were to assume that, in
3 fact, her problems with pain and dysfunction in
4 the shoulder occurred immediately in the
5 postoperative period, could we then agree that the
6 injury to the spinal accessory nerve was not
7 caused by the infection?

8 A. My interpretation of what you're asking me is if
9 she woke up in the recovery room or that night or
10 the next day and called and said, "Look, I got
11 this problem. I can't raise my arm," then in my
12 mind, there was an intraoperative injury to the
13 nerve.

14 Q. And in terms of in the postoperative period, she
15 was on, she was prescribed, I believe Tylenol 3's;
16 I think that's right. What is Tylenol 3, by the
17 way?

18 A. Tylenol 3 is a combination of codeine and
19 acetaminophen that is commonly used in the
20 immediate postoperative period.

21 Q. So would that have an effect of being both a
22 sedative and a pain reliever?

23 A. The shouldn't have much sedative effect. It
24 should mainly be a pain reliever.

25 Q. Okay.

1 A. Analgesic.

2 Q. And following this procedure, would you expect
3 there to be some discomfort in the neck area that
4 would be a natural part of the surgery itself?

5 A. I would expect a day or two of pain that would
6 require a narcotic to help get a person through
7 that period.

8 Q. Now, if a patient does experience some compromise
9 of the spinal accessory nerve but not total
10 compromise of the spinal accessory nerve
11 intraoperatively as a result of one of these
12 surgeries, what would you expect the postoperative
13 course to be like?

14 A. In my mind, some of it would depend on the
15 mechanism of injury; then there's potential
16 options in terms of a little bit of crush.

17 Q. Right.

18 A. Where you would immediately notice some
19 impairment, but if there wasn't too much damage,
20 you would get progressive improvement of the
21 functioning of the nerve.

22 Q. Okay.

23 A. The scenario that we're kind of looking at, where
24 initially her arm was all right, but then a day or
25 two later she started to notice drainage and pain,

1 which again is an infection, that shows a
2 different time course.

3 But again, I would expect improvement
4 spontaneously after the infection was controlled.
5 Is that sort of along the lines of what you're
6 asking me?

7 Q. Yes, but if, in fact, the nerve was lacerated
8 intraoperatively -- not completely cut in half,
9 but lacerated -- what sort of postoperative course
10 would you expect?

11 A. Well, I would expect immediate, partial paralysis,
12 paresis.

13 Q. And what would you expect if the nerve was burnt
14 through with electrocautery; what would you expect
15 postoperatively?

16 A. Well, then I would expect a scenario similar to
17 being cut, where there would be immediate
18 inability for the nerve to function.

19 Q. Okay.

20 A. But depending on the level of burn, progressive
21 improvement.

22 Q. And in terms of the patient recognizing this
23 immediate paralysis, there would not be a loss of
24 sensory function in the trapezius; correct?

25 A. Usually. I would say no, yes.

1 Q. So in other words, the patient wouldn't feel a
2 paresthesia or anything; right?

3 A. Numbness, right.

4 Q. Okay, and they wouldn't feel pain either, would
5 they?

6 A. Immediately?

7 Q. Right.

8 A. Nothing more than associated with the incision.

9 Q. Now, are you familiar with any of the risks
10 associated with injury, the long-term
11 complications associated with spinal accessory
12 nerve injury?

13 A. Only in very general terms. I don't deal with it
14 routinely.

15 Q. Would you agree that one of the long-term risks
16 associated with spinal accessory nerve or
17 complications associated with it would include
18 thoracic outlet syndrome?

19 A. No, I'd have to defer to a neurologist or somebody
20 who has more experience.

21 Q. Well, let me ask it this way: What's your
22 understanding of what would be the potential
23 complications or risks of spinal accessory nerve
24 injury over a long period of time?

25 A. Well, you would have an inability to raise the

1 arm; pain, as we sort of alluded to earlier;
2 there's an asymmetry of the shoulder girdle, based
3 on the -- the name of the bone is slipping my mind
4 now, but the bone, the bone sort of cants out and
5 becomes bat-winged.

6 Q.. The scapula?

7 A. The scapula, thank you -- on that side, long-term;
8 secondary, to the best I know, loss of tone of the
9 trapezius.

10 Q. Besides being able to do overhead work, have you
11 heard of complications associated with spinal
12 accessory nerve injury that make it difficult to
13 do activities that involve forward extension such
14 as cutting meat, driving, or writing?

15 A. That may be. Again, because I haven't really
16 delved into it, I would feel uncomfortable saying
17 one way or the other.

18 Q. Doctor, do you agree that the follow-up care that
19 Mrs. Formick has received has been appropriate; in
20 other words, are you critical of any of the
21 surgeons or physicians that treated her after she
22 discovered that she had this injury?

23 A. No. She was started on an antibiotic
24 expeditiously orally, and a cream to apply to the
25 area.

1 Q. Okay.

2 A. So in terms of controlling the infection, I think
3 that was a real reasonable thing to do.

4 Q. How about in terms of going to see a neurologist,
5 going to see Dr. Hardy? And I think that's about
6 all that she did in terms of when she learned that
7 she had damage to her spinal accessory nerve;
8 that's pretty much what she did.

9 Were the actions of Dr. Mann, the
10 neurologist, and Dr. Hardy, the surgeon, were they
11 appropriate and reasonable, in your opinion?

12 A. Yeah, I think they were very appropriate.

13 Q. Okay.

14 A. She also went to see a -- went to see
15 Dr. Esclamado, which is a second opinion,
16 somewhere along those lines. Yeah, that's exactly
17 what I would have done if this happened to me.

18 Q. And his care was appropriate under the
19 circumstances?

20 A. I think so.

21 Q. And Tricia's follow-up in terms of trying to get
22 this repaired and going to Dr. Esclamado and
23 Dr. Mann was appropriate?

24 A. I think so, yes.

25 Q. Are you critical of her in any respect with regard

1 to her follow-up of this condition?

2 A. Not at all. I'm sure it's very frustrating for
3 her to have that kind of difficulty, given what
4 she does for a living.

5 Q. Yes. Now, Doctor, assuming that Ms. Formick does
6 have a pain syndrome associated with her spinal
7 accessory nerve injury, first of all, would that
8 type of presentation be consistent with this
9 injury; in other words, a pain syndrome?

10 A. Yeah, I'd have to refer to my neurology
11 colleagues.

12 Q. And here she is now about five years postop.
13 Would you expect that if she does have a pain
14 syndrome associated with this injury, that it
15 would be permanent in nature?

16 A. Again, I'd just have to refer to them.

17 Q. Okay.

18 A. I couldn't really give you a good, informed
19 opinion about that.

20 Q. Are you aware of any treatments that were
21 available to --

22 A. She's about four years out, excuse me.

23 Q. Fair enough. Are you aware of any treatments
24 available to her that may improve her condition or
25 that she hasn't tried yet that may assist her in

1 recovering from this injury?

2 A. Again, I think that's more the realm of the
3 neurologist. In terms of exercises, things along
4 those lines?

5 Q. Surgeries, exercises, anything.

6 A. Part of the problem that I see is there is a --
7 and it's not a problem; it's fortunate that she
8 does have some re-energation, and that's
9 encouraging.

10 And the last study that she had, which
11 looks like it was March of 2002, by Dr. Katirji,
12 shows that she had significant re-energation with
13 marked improvement of the right spinal accessory
14 neuropathy, which is sort of an encouraging thing;
15 and I don't know -- I would again have to defer to
16 them whether there's any value to retesting her
17 now a year, year and a half after that.

18 Q. In general, can we agree that EMGs do have their
19 limitations?

20 A. Again, I'm going to have to defer to one of the
21 neurologists.

22 MR. KULWICKI: I think that's all the
23 questions I have. Why don't you give me a few
24 minutes to confer with my co-counsel and we'll
25 come back and wrap up.

1 THE WITNESS: Sure.

2 Q. I know what we should do -- why don't we mark your
3 report as Exhibit 2, and if you could, just for
4 the record, identify this report; in other words,
5 confirm that it is, indeed, your report in this
6 case.

7 (Plaintiff's Deposition Exhibit No. 1,
8 Curriculum Vitae of Edward Fine, M.D., Ph.D., and
9 Plaintiff's Deposition Exhibit No. 2, Dr. Fine's
10 report of May 20, 2003, marked for
11 identification.)

12 Q. I'll give you that, Exhibit 2.

13 A. Yeah, I think that's mine.

14 Q. Have you prepared any other reports or drafts of
15 this report?

16 A. No.

17 Q. Do you have any notes relative to your
18 investigation into this case?

19 A. Just what you've sent to me.

20 Q. Okay, very good. Doctor, one of the things in a
21 medical negligence case that you may be asked to
22 opine about is what the standard of care is, or
23 what you consider to be the standard of care in
24 this case. How do you define that term, standard
25 of care?

1 A. I may be able to opine?

2 Q. Yes. Opine, give opinions?

3 A. Oh, opine. Do I think this is standard of care?

4 Q. No, what I was asking you to do is define
5 "standard of care."

6 A. Define the term "standard of care"?

7 Q. How do you define that?

8 A. In my mind, that's something that going to a, I'll
9 say community-based physician, you would have the
10 expectations of having a certain level of
11 treatment provided.

12 And by a certain level, I mean,
13 aggressiveness, work-up, things they usually do
14 that usually go into a normal interaction with a
15 patient.

16 Q. So I guess maybe to clarify, are you saying that
17 it's what you would expect a physician in a
18 particular community to do in terms of evaluation
19 or treatment of a particular patient?

20 A. Yeah, that's a good summary.

21 Q. Have you had a chance to read Dr. Barnes'
22 deposition?

23 A. I did.

24 Q. And other than the basic opinion about what caused
25 this spinal accessory nerve injury, do you have

1 any other specific areas of disagreement with
2 Dr. Barnes?

3 MS. MITCHELL: Dave, can I interject for a
4 second -- I think the doctor thought you were
5 referring to the report.

6 MR. KULWICKI: Oh, okay. All right.

7 Q. So you've read his written report, but not his
8 deposition transcript?

9 A. Right.

10 Q. Okay.

11 A. Yes.

12 Q. And again, in terms of differences between you and
13 Dr. Barnes, the obvious one is that Dr. Barnes
14 feels that this was an intraoperative injury, and
15 you feel that it was as a result of the infection,

16 A. Yes.

17 Q. But other than that difference, are there any
18 other areas that you differ with Dr. Barnes as far
19 as his report is concerned?

20 A. Well, I don't necessarily agree with a lot of the
21 stuff he put in this report; and, you know, I
22 don't know how detailed we want to get.

23 Q. Did you make any notes, by any chance, on his
24 report?

25 A. I did make some notes, yeah.

1 Q. If I could just ask you about those?

2 A. Sure. Do you want a copy of that?

3 Q. Well, why don't you just run through it?

4 MS. MITCHELL: May I see it when you're
5 done?

6 MR. KULWICKI: Sure.

7 Q. Why don't you just run through your notes and just
8 tell me what you've written.

9 A. Okay. Well, I wrote -- paragraph by paragraph?

10 Q. Why don't we start with right here? You have a
11 question mark, and you say "not at all." Can you
12 just tell me what you were thinking when you wrote
13 that?

14 MS. MITCHELL: That's at the bottom of the
15 second paragraph?

16 MR. KULWICKI: Correct, yes.

17 A. Well, I had a question after that. I just wonder
18 how well -- here he indicates that from the
19 records -- this is my understanding -- that he
20 gleaned that she could not move the arm.

21 Q. And you didn't think that was quite --

22 A. Well, no. My question to him would be, could she
23 not move it at all; okay?

24 Q. Oh, okay.

25 A. Okay? And that's a much different scenario than

1 what we're talking about.

2 Q. Okay.

3 A. I mean, all this is in the left neck. Minor
4 stuff, but --

5 Q. So he had the wrong side of the neck in his
6 report?

7 A. He's got the wrong side of the neck throughout
8 this. He indicated that things were pretty
9 prominent, but the lymph node is barely a
10 half-inch in size, and so -- one centimeter by one
11 centimeter by one and a half.

12 So again, they're just minor things. It's
13 just when I look at this, I'm just trying to sort
14 of figure out what he's talking about.

15 Q. Incidentally, a centimeter would be about, what --
16 the size of a dime or something? Is that what
17 we're talking about; maybe a little smaller?

18 A. Probably a little smaller. I usually have a ruler
19 here.

20 Q. That's all right. You don't have to give it
21 exactly.

22 A. A little less than half an inch.

23 Q. Okay. All right; and then at the bottom of the
24 page, you have some writing here. Can you just
25 run through that briefly and tell me what you

1 wrote?

2 A. Sure. Well, there's no mention of
3 postoperatively, I didn't see where she was seen
4 by Dr. Rosenberg.

5 Her surgery, I believe, was done -- and let
6 me get these correct -- let me figure out the
7 specific date -- I'm sorry -- that this was done;
8 the 16th of November. I'm sorry, it looks like
9 October 20th.

10 Q. I believe October 20th was the date of surgery.
11 Okay, so he got the date of surgery wrong in his
12 report?

13 A. Let me be sure. I'm sorry I'm not better
14 organized. Do you have a copy of that operative
15 note?

16 Q. The op note? It's really not that important,
17 Doctor. I think, in fact, he did get it wrong in
18 his report, and corrected it in his deposition.

19 But just really for our purposes, I'm just
20 trying to understand what your notes say here, so
21 it's really not important for us to clarify that.
22 I can find it if you really want to see it.

23 A. The op note?

24 Q. Yes.

25 A. Sure.

1 Q. In terms of when you give your patients
2 antibiotics postoperatively for this particular
3 procedure, have you had any problems with them
4 developing infections?

5 A. I've had more problems with them developing upset
6 stomachs. That isn't uncommon. To answer your
7 question -- I'm sorry -- I haven't had any
8 difficulty with infection, but that's my own
9 particular -- how I was trained.

10 MR. KULWICKI: Why don't we take a quick
11 break, and I think I may be done.

12 THE WITNESS: Of course.

13 (A short recess was held.)

14 MR. KULWICKI: Let's just wrap up here.

15 Q. Doctor, when we first began the deposition, you
16 had mentioned something about operative scarring
17 and how that may have affected the outcome.

18 And I don't want to put words in your
19 mouth, but you mentioned something about her not
20 having normal tissue planes or something. Tell me
21 what you meant by that.

22 A. Usually if you enter a virgin neck, native neck,
23 one that hasn't been operated on, all the paths or
24 planes that divide the muscles from the overlying
25 soft tissue or muscles from each other are easily

1 spread apart, usually with just a finger, or what
2 I would call bluntly.

3 If somebody has entered the neck for
4 whatever reason, then there's sort of the natural
5 body's response to heal, and with that you get
6 some scarring; and that can cause adhesions or
7 sort of attachment of these normally unattached
8 muscles or tissues together.

9 Q. So if she had surgery in the same area as this
10 surgery that we're talking about here, that might
11 have made it more difficult to do the dissection;
12 is that what you're saying?

13 A. Yeah, I think it would, definitely.

14 MR. KULWICKI: Okay. That's all the
15 questions I have, and you have the right to review
16 the transcript; and Ms. Mitchell may, or
17 Mrs. Mitchell may have some advice for you in that
18 regard.

19 MS. MITCHELL: I know you've only been
20 deposed twice before. You do have a right to
21 review the transcript to make sure that your words
22 were taken down accurately -- obviously, you can't
23 make any changes to the testimony you've given --
24 or you can trust the court reporter that she took
25 everything down.

1 Since this was a fairly short deposition,
2 it's a comfort level for you. I don't see any
3 problems in waiving, but you're entitled to read.

4 THE WITNESS: Okay.

5 MS. MITCHELL: What would you like to do?

6 THE WITNESS: If I read, what does that
7 mean? That means I get a copy of the transcript
8 before?

9 MS. MITCHELL: Right. That means that the
10 court reporter, who will most likely be providing
11 an expedited transcript if possible, will forward
12 a transcript within seven days of its preparation.

13 And since trial is next week, it would be a
14 problem. You can read through it and correct any
15 errors on what's called an errata sheet --

16 THE WITNESS: Yeah.

17 MS. MITCHELL: -- and then report that to
18 me, and I'll return that to her. Really, it's
19 your own comfort level. This wasn't --

20 THE WITNESS: Well, I guess I can waive
21 this.

22 MS. MITCHELL: Okay. So just for the
23 record, if you want to say you wish to waive
24 signature?

25 THE WITNESS: For the record, I wish to

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

waive signature.

(Deposition concluded at 3:42 p.m.)

C E R T I F I C A T E

STATE OF OHIO,)
) SS:
SUMMIT COUNTY.)

I, Denise Chulik, a Registered Professional Reporter and Notary Public in and for the State of Ohio, duly commissioned and qualified, do hereby certify that the within-named Witness, **EDWARD FINE, M.D., Ph.D.**, was first duly sworn to testify the truth, the whole truth and nothing but the truth in the cause aforesaid; that the testimony so given by him was by me reduced to Stenotype in the presence of the witness, and that the foregoing is a true and correct transcription of the testimony so given by him as aforesaid.

I certify that this deposition was taken at the time and place in the foregoing caption specified.

I certify that I am not a relative of, employee of or attorney for any of the parties in the above-captioned action, that I am not a relative of or employee of an attorney of any of the parties in the above-captioned action, that I am not financially interested in this action, and that I am not, nor is the court-reporting firm with which I am affiliated, under a contract as defined in Civil Rule 28 (D).

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my seal of office at Akron, Ohio, on this 10th day of July, 2003.

Denise Chulik RPR

Denise Chulik, RN, RPR & Notary Public
My Commission Expires August 9, 2005