

STATE OF OHIO)
) SS: IN THE COURT OF COMMON PLEAS
 MAHONING COUNTY)

CASE NO. 96 CV 2055

DOROTHY A. GONDA, Individually)
 and as Administratrix of the)
 Estate of DAVID PAUL GONDA,)
 Deceased)

Plaintiff)

VS.)

HM HEALTH SERVICES, ET AL)

Defendants)

DEPOSITION

OF

ALEJANDRO FRANCO, M.D.

DEPOSITION taken before me, Mary J. Carney, a Notary
 Public within and for the State of Ohio, on the 15th Day of
 November, A.D., 1997, pursuant to agreement and at the time
 and place therein specified, to be used pursuant to the
 Rules of Civil Procedure or by agreement of counsel in the
 above cause of action, pending in the Court of Common
 Pleas, within and for the County of Mahoning, State of
 Ohio.

APPEARANCES

On Behalf of Plaintiff:

4 Mark W. Ruf, Attorney at Law
5 Hoyt Block Suite 300
6 700 West St. Clair Avenue
7 Cleveland, OH 44113-1230

On Behalf of Defendant, Dr. Franco:

8 James L. Blomstrom, Attorney at Law
9 Harrington & Mitchell Ltd.
10 1200 Mahoning Bank Building
11 Youngstown, OH 44503

On Behalf of Defendant, Dr. Ruiz:

12 Charles Scott Lanz, Attorney at Law
13 Manchester, Bennett, Powers & Ullman
14 Atrium Level Two
15 The Commerce Building
16 Youngstown, OH 44503

On Behalf of Defendants,
Drs. Cropp and DeMarco:

17 Scott A. Richardson, Attorney at Law
18 Buckingham, Doolittle & Burroughs
19 624 Market Avenue, North
20 Canton, OH 44702
21
22
23
24
25

INDEX

CROSS EXAMINATION BY MR. RUF - PAGE 5

CROSS EXAMINATION BY MR. RICHARDSON - PAGE 66

OBJECTIONS AND MOTIONS:

BY MR. BLOMSTROM: PAGE(S) 7, 41, 42, 52

BY MR. RICHARDSON: PAGE(S) 16, 17, 30, 36, 40, 41, 45, 46,
47, 48, 50, 51, 52, 53

BY MR. LANZ: PAGE(S) 41, 42, 46, 48, 51, 52, 53, 55, 64

EXHIBITS INTRODUCED:

PLAINTIFF'S EXHIBIT NO. 1 - PAGE 54

NO. 1-A - PAGE 55

NO. 1-B - PAGE 55

NO. 2 - PAGE 55

STIPULATIONS

It is stipulated and agreed by and between counsel for the parties hereto that this deposition may be taken at this time, 10:15 a.m., November 15, 1997, in the offices of Harrington & Mitchell Ltd., 1200 Mahoning Bank Building, Youngstown, Ohio.

It is further stipulated and agreed by and between counsel that the deposition may be taken in shorthand by Mary J. Carney, a Notary Public within and for the State of Ohio, and may be by her transcribed with the use of computer-assisted transcription; that the witness will read and sign the finished transcript of his deposition.

KEYWORD INDEX - ALEJANDRO FRANCO, M.D.

1	36 3:11	9:45 42:17	Although 34:11 ambiguous 41:2 among 18:4 amount 25:25, 62:3 analysis 25:20, 30:21 Ancef 47:13 answers 5:21, 5:23, 14:8, 22:24 anterior 33:9 antero-apical 25:13 antero-apically 26:1 antibiotic 43:8, 44:23, 45:14, 47:7, 47:10, 47:15 antibiotics 43:6, 43:8, 43:14, 44:5, 44:6 anybody's 36:10, 36:10 anyways 44:21 apex 26:19 apparatus 23:3 appearance 31:10 APPEARANCES 2:1 appeared 25:16, 69:14 appears 15:18, 25:4, 25:4, 25:7, 25:18, 60:5 applicable 69:7, 69:20 approximately 45:18, 45:22 areas 33:18 arrange 62:21 arrived 12:12, 14:14 arteries 26:20 arteriovenous 34:13 artery 19:24, 28:7 articles 66:14 aside 27:20, 48:7 asking 41:18, 42:18, 47:1, 48:11, 51:22 assessing 14:4 assisted 15:22 associated 34:3 assume 5:18 assumes 36:7 assumption 36:10, 41:17 assumptions 36:9 atria 25:19 Atrium 2:14 attempt 49:12 attending 9:5 attenuation 34:4 Attorney 2:4, 2:8, 2:13, 2:18 Atty 70:24, 70:24, 70:25, 70:25 August 13:8, 13:22, 14:12, 17:1, 19:10, 21:4, 22:1, 26:14, 26:18, 28:3, 33:1, 35:25, 35:25, <td>42:17, 47:11, 47:12, 50:2, 50:6, 54:22, 56:21, 60:23, 63:9, 63:22 auscultatory 39:13 available 14:18, 42:4, 65:6 Avenue 2:5, 2:19, 6:8, 6:9, 70:5 AVM's 34:13 axial 33:2, 33:3, 33:4</td> <td>13:19, 14:11, 15:4, 17:11, 18:12, 20:4, 20:7, 21:6, 23:15, 23:17, 29:22, 29:25, 35:13, 36:6, 38:10, 40:19, 40:24, 41:1, 41:10, 42:10, 42:15, 42:21, 47:1, 48:7, 48:10, 51:20, 52:9, 55:20, 56:12, 63:18, 66:19, 67:11, 70:24 blood 7:12, 19:22, 28:5, 38:18, 42:8, 42:16, 42:24, 43:20, 62:20, 62:21, 62:22, 63:7 board 11:6, 11:8, 11:11 boards 11:10 body 7:12, 36:20, 51:2 bowels 58:25 breaks 23:3 breath 15:13, 37:24 bright 63:7 bring 9:18 broad 64:23 brought 12:21, 13:17, 14:1, 17:9, 17:12 Buckingham 2:19 Building 2:9, 2:14, 4:11 Burroughs 2:19</td>	42:17, 47:11, 47:12, 50:2, 50:6, 54:22, 56:21, 60:23, 63:9, 63:22 auscultatory 39:13 available 14:18, 42:4, 65:6 Avenue 2:5, 2:19, 6:8, 6:9, 70:5 AVM's 34:13 axial 33:2, 33:3, 33:4	13:19, 14:11, 15:4, 17:11, 18:12, 20:4, 20:7, 21:6, 23:15, 23:17, 29:22, 29:25, 35:13, 36:6, 38:10, 40:19, 40:24, 41:1, 41:10, 42:10, 42:15, 42:21, 47:1, 48:7, 48:10, 51:20, 52:9, 55:20, 56:12, 63:18, 66:19, 67:11, 70:24 blood 7:12, 19:22, 28:5, 38:18, 42:8, 42:16, 42:24, 43:20, 62:20, 62:21, 62:22, 63:7 board 11:6, 11:8, 11:11 boards 11:10 body 7:12, 36:20, 51:2 bowels 58:25 breaks 23:3 breath 15:13, 37:24 bright 63:7 bring 9:18 broad 64:23 brought 12:21, 13:17, 14:1, 17:9, 17:12 Buckingham 2:19 Building 2:9, 2:14, 4:11 Burroughs 2:19
4	A	B	C		
4-centimeter 33:11 40 3:11 41 3:10, 3:11, 3:12 42 3:10, 3:12 4411 63:21 44113-1230 2:5 44503 2:10, 2:15, 70:2 44510 6:10, 70:5 44702 2:20 45 3:11, 42:22 46 3:11, 3:12 47 3:11 48 3:11, 3:12	A-I-L 23:17, 23:18 A-L-E-J-A-N-D-R- 5:12 A.D 1:16 a.m 4:9, 15:25, 42:17, 47:13, 60:1, 60:3 abdomen 34:1 able 16:13, 16:24 abnormal 19:13, 25:23, 26:9, 27:4, 49:2 abnormalities 26:20 above-entitled 68:10 accessible 49:14 accurate 52:17, 54:11 acquired 7:18, 7:18, 8:23 action 1:19 actual 11:2 added 14:23 addition 33:11, 33:12 additional 62:16 address 6:7, 70:14, 70:17 adequate 62:3 Administratrix 1:6 admission 41:8, 42:25, 44:24 admitted 15:12, 53:14, 63:21, 63:21 admitting 15:6, 19:2, 19:4 affect 59:8 affected 44:14 affidavit 55:13 affiliated 9:5 affirmative 21:1 aforesaid 69:8 aftermath 32:1, 32:3 afterwards 22:13 against 43:14 age 5:3 aggravated 15:17 aggravation 59:22 agree 36:23, 39:14, 39:21, 43:21, 44:1, 45:1, 45:7, 45:11, 45:16, 45:20 agreeable 15:24 agreed 4:7, 4:12 agreement 1:16, 1:18 ahead 42:3 aid 18:22, 62:9 AL 1:10 Alejandro 1:10, 5:2, 5:12, 69:3, 69:11, 69:14, 70:4 allow 62:2, 62:8 allowing 16:3 allows 20:19 already 8:17, 17:11, 35:5, 40:9, 56:16	43:10, 43:17, 45:12 bacterial 19:23, 22:1, 24:5, 24:11, 24:22, 26:4, 29:20, 30:10, 31:12, 32:13, 32:17, 32:21, 35:10, 35:20, 36:4, 36:6, 36:12, 36:15, 36:24, 37:1, 39:11, 39:14, 39:24, 40:3, 40:17, 41:6, 41:24, 43:3, 44:2, 44:12, 45:1, 45:7, 45:13, 45:17, 45:21, 46:3, 55:18, 55:24, 64:14, 65:2 Bactrim 47:12 band 25:9, 25:23, 26:6 Bank 2:9, 4:10 bathroom 58:24 become 11:11, 13:6, 13:9 bed 58:7, 58:20, 58:21 beginning 35:6, 56:17 behalf 2:3, 2:7, 2:12, 2:17, 5:15 belief 69:18 belong 26:10 benefits 15:23 Bennett 2:13 best 33:13, 69:17 better 14:8 bilateral 15:14 biopsies 49:16 biopsy 13:25, 14:2, 16:19, 19:8, 27:15, 28:10, 28:13, 48:18, 49:13, 61:22, 61:24, 62:11 blank 66:5 blanks 70:10 bleeding 62:3 bleedings 62:19 Block 2:4 Blomstrom 2:8, 3:10, 7:21, 8:15, 8:19, 8:22, 11:1, 13:13, 13:16,	13:19, 14:11, 15:4, 17:11, 18:12, 20:4, 20:7, 21:6, 23:15, 23:17, 29:22, 29:25, 35:13, 36:6, 38:10, 40:19, 40:24, 41:1, 41:10, 42:10, 42:15, 42:21, 47:1, 48:7, 48:10, 51:20, 52:9, 55:20, 56:12, 63:18, 66:19, 67:11, 70:24 blood 7:12, 19:22, 28:5, 38:18, 42:8, 42:16, 42:24, 43:20, 62:20, 62:21, 62:22, 63:7 board 11:6, 11:8, 11:11 boards 11:10 body 7:12, 36:20, 51:2 bowels 58:25 breaks 23:3 breath 15:13, 37:24 bright 63:7 bring 9:18 broad 64:23 brought 12:21, 13:17, 14:1, 17:9, 17:12 Buckingham 2:19 Building 2:9, 2:14, 4:11 Burroughs 2:19 C-H-O-R-D-A 23:12 cancer 52:13 cannot 31:18, 41:16, 44:16, 47:5, '52:25, 52:25 Canton 2:20 cardiac 7:13, 7:16, 50:18, 50:24, 66:4 cardiologist 8:7, 22:10, 22:15, 24:24, 49:24, 50:4, 50:9, 65:23 cardiologists 49:21, 49:23 cardiology 65:8, 65:22 cardiothoracic 35:2 cardiovascular 11:14, 66:3 care 9:12, 13:7, 13:9, 13:12, 18:25, 19:3, 27:19, 29:6, 40:20, 42:9, 44:10 Carney 1:14, 4:14, 68:14, 70:22 case 1:4, 10:15, 10:18, 15:19,		
5	5	6	7		
5 3:5 5-millimeter 33:2, 33:3 50 3:11 51 3:11, 3:12 52 3:10, 3:11, 3:12 53 3:11, 3:12 54 3:17 540 6:8, 70:5 55 3:12, 3:18, 3:19, 3:20, 25:6	624 2:19 64 3:12 66 3:6 69 70:12, 70:13, 70:14, 70:16 69-A 69:6, 69:19, 70:10, 70:12, 70:14, 70:16	7 3:10, 33:8, 70:15 700 2:5 746-7479 70:2	7 3:10, 33:8, 70:15 700 2:5 746-7479 70:2		
6	7	8	9		
624 2:19 64 3:12 66 3:6 69 70:12, 70:13, 70:14, 70:16 69-A 69:6, 69:19, 70:10, 70:12, 70:14, 70:16	7 3:10, 33:8, 70:15 700 2:5 746-7479 70:2	8 19:10, 33:9, 47:13 8/15/95 16:7, 16:20 8/16/95 63:5 8/17/95 60:1, 60:3 810 70:1 82 14:11 8:15 13:22, 47:12 8:30 60:1, 60:3 8:35 47:13 8th 19:9	9/18/96 54:18 90 45:13 95 21:4, 22:2, 63:9 96 1:4 9:40 14:12, 14:13, 63:5		
7	8	9	10		
7 3:10, 33:8, 70:15 700 2:5 746-7479 70:2	8 19:10, 33:9, 47:13 8/15/95 16:7, 16:20 8/16/95 63:5 8/17/95 60:1, 60:3 810 70:1 82 14:11 8:15 13:22, 47:12 8:30 60:1, 60:3 8:35 47:13 8th 19:9	9/18/96 54:18 90 45:13 95 21:4, 22:2, 63:9 96 1:4 9:40 14:12, 14:13, 63:5	10 15:4, 17:11, 18:12, 20:4, 20:7, 21:6, 23:15, 23:17, 29:22, 29:25, 35:13, 36:6, 38:10, 40:19, 40:24, 41:1, 41:10, 42:10, 42:15, 42:21, 47:1, 48:7, 48:10, 51:20, 52:9, 55:20, 56:12, 63:18, 66:19, 67:11, 70:24		
8	9	10	11		
8 19:10, 33:9, 47:13 8/15/95 16:7, 16:20 8/16/95 63:5 8/17/95 60:1, 60:3 810 70:1 82 14:11 8:15 13:22, 47:12 8:30 60:1, 60:3 8:35 47:13 8th 19:9	9/18/96 54:18 90 45:13 95 21:4, 22:2, 63:9 96 1:4 9:40 14:12, 14:13, 63:5	10 15:4, 17:11, 18:12, 20:4, 20:7, 21:6, 23:15, 23:17, 29:22, 29:25, 35:13, 36:6, 38:10, 40:19, 40:24, 41:1, 41:10, 42:10, 42:15, 42:21, 47:1, 48:7, 48:10, 51:20, 52:9, 55:20, 56:12, 63:18, 66:19, 67:11, 70:24	11 15:4, 17:11, 18:12, 20:4, 20:7, 21:6, 23:15, 23:17, 29:22, 29:25, 35:13, 36:6, 38:10, 40:19, 40:24, 41:1, 41:10, 42:10, 42:15, 42:21, 47:1, 48:7, 48:10, 51:20, 52:9, 55:20, 56:12, 63:18, 66:19, 67:11, 70:24		
9	10	11	12		
9/18/96 54:18 90 45:13 95 21:4, 22:2, 63:9 96 1:4 9:40 14:12, 14:13, 63:5	10 15:4, 17:11, 18:12, 20:4, 20:7, 21:6, 23:15, 23:17, 29:22, 29:25, 35:13, 36:6, 38:10, 40:19, 40:24, 41:1, 41:10, 42:10, 42:15, 42:21, 47:1, 48:7, 48:10, 51:20, 52:9, 55:20, 56:12, 63:18, 66:19, 67:11, 70:24	11 15:4, 17:11, 18:12, 20:4, 20:7, 21:6, 23:15, 23:17, 29:22, 29:25, 35:13, 36:6, 38:10, 40:19, 40:24, 41:1, 41:10, 42:10, 42:15, 42:21, 47:1, 48:7, 48:10, 51:20, 52:9, 55:20, 56:12, 63:18, 66:19, 67:11, 70:24	12 15:4, 17:11, 18:12, 20:4, 20:7, 21:6, 23:15, 23:17, 29:22, 29:25, 35:13, 36:6, 38:10, 40:19, 40:24, 41:1, 41:10, 42:10, 42:15, 42:21, 47:1, 48:7, 48:10, 51:20, 52:9, 55:20, 56:12, 63:18, 66:19, 67:11, 70:24		
10	11	12	13		
10 15:4, 17:11, 18:12, 20:4, 20:7, 21:6, 23:15, 23:17, 29:22, 29:25, 35:13, 36:6, 38:10, 40:19, 40:24, 41:1, 41:10, 42:10, 42:15, 42:21, 47:1, 48:7, 48:10, 51:20, 52:9, 55:20, 56:12, 63:18, 66:19, 67:11, 70:24	11 15:4, 17:11, 18:12, 20:4, 20:7, 21:6, 23:15, 23:17, 29:22, 29:25, 35:13, 36:6, 38:10, 40:19, 40:24, 41:1, 41:10, 42:10, 42:15, 42:21, 47:1, 48:7, 48:10, 51:20, 52:9, 55:20, 56:12, 63:18, 66:19, 67:11, 70:24	12 15:4, 17:11, 18:12, 20:4, 20:7, 21:6, 23:15, 23:17, 29:22, 29:25, 35:13, 36:6, 38:10, 40:19, 40:24, 41:1, 41:10, 42:10, 42:15, 42:21, 47:1, 48:7, 48:10, 51:20, 52:9, 55:20, 56:12, 63:18, 66:19, 67:11, 70:24	13 15:4, 17:11, 18:12, 20:4, 20:7, 21:6, 23:15, 23:17, 29:22, 29:25, 35:13, 36:6, 38:10, 40:19, 40:24, 41:1, 41:10, 42:10, 42:15, 42:21, 47:1, 48:7, 48:10, 51:20, 52:9, 55:20, 56:12, 63:18, 66:19, 67:11, 70:24		
11	12	13	14		
11 15:4, 17:11, 18:12, 20:4, 20:7, 21:6, 23:15, 23:17, 29:22, 29:25, 35:13, 36:6, 38:10, 40:19, 40:24, 41:1, 41:10, 42:10, 42:15, 42:21, 47:1, 48:7, 48:10, 51:20, 52:9, 55:20, 56:12, 63:18, 66:19, 67:11, 70:24	12 15:4, 17:11, 18:12, 20:4, 20:7, 21:6, 23:15, 23:17, 29:22, 29:25, 35:13, 36:6, 38:10, 40:19, 40:24, 41:1, 41:10, 42:10, 42:15, 42:21, 47:1, 48:7, 48:10, 51:20, 52:9, 55:20, 56:12, 63:18, 66:19, 67:11, 70:24	13 15:4, 17:11, 18:12, 20:4, 20:7, 21:6, 23:15, 23:17, 29:22, 29:25, 35:13, 36:6, 38:10, 40:19, 40:24, 41:1, 41:10, 42:10, 42:15, 42:21, 47:1, 48:7, 48:10, 51:20, 52:9, 55:20, 56:12, 63:18, 66:19, 67:11, 70:24	14 15:4, 17:11, 18:12, 20:4, 20:7, 21:6, 23:15, 23:17, 29:22, 29:25, 35:13, 36:6, 38:10, 40:19, 40:24, 41:1, 41:10, 42:10, 42:15, 42:21, 47:1, 48:7, 48:10, 51:20, 52:9, 55:20, 56:12, 63:18, 66:19, 67:11, 70:24		
12	13	14	15		
12 15:4, 17:11, 18:12, 20:4, 20:7, 21:6, 23:15, 23:17, 29:22, 29:25, 35:13, 36:6, 38:10, 40:19, 40:24, 41:1, 41:10, 42:10, 42:15, 42:21, 47:1, 48:7, 48:10, 51:20, 52:9, 55:20, 56:12, 63:18, 66:19, 67:11, 70:24	13 15:4, 17:11, 18:12, 20:4, 20:7, 21:6, 23:15, 23:17, 29:22, 29:25, 35:13, 36:6, 38:10, 40:19, 40:24, 41:1, 41:10, 42:10, 42:15, 42:21, 47:1, 48:7, 48:10, 51:20, 52:9, 55:20, 56:12, 63:18, 66:19, 67:11, 70:24	14 15:4, 17:11, 18:12, 20:4, 20:7, 21:6, 23:15, 23:17, 29:22, 29:25, 35:13, 36:6, 38:10, 40:19, 40:24, 41:1, 41:10, 42:10, 42:15, 42:21, 47:1, 48:7, 48:10, 51:20, 52:9, 55:20, 56:12, 63:18, 66:19, 67:11, 70:24	15 15:4, 17:11, 18:12, 20:4, 20:7, 21:6, 23:15, 23:17, 29:22, 29:25, 35:13, 36:6, 38:10, 40:19, 40:24, 41:1, 41:10, 42:10, 42:15, 42:21, 47:1, 48:7, 48:10, 51:20, 52:9, 55:20, 56:12, 63:18, 66:19, 67:11, 70:24		
13	14	15	16		
13 15:4, 17:11, 18:12, 20:4, 20:7, 21:6, 23:15, 23:17, 29:22, 29:25, 35:13, 36:6, 38:10, 40:19, 40:24, 41:1, 41:10, 42:10, 42:15, 42:21, 47:1, 48:7, 48:10, 51:20, 52:9, 55:20, 56:12, 63:18, 66:19, 67:11, 70:24	14 15:4, 17:11, 18:12, 20:4, 20:7, 21:6, 23:15, 23:17, 29:22, 29:25, 35:13, 36:6, 38:10, 40:19, 40:24, 41:1, 41:10, 42:10, 42:15, 42:21, 47:1, 48:7, 48:10, 51:20, 52:9, 55:20, 56:12, 63:18, 66:19, 67:11, 70:24	15 15:4, 17:11, 18:12, 20:4, 20:7, 21:6, 23:15, 23:17, 29:22, 29:25, 35:13, 36:6, 38:10, 40:19, 40:24, 41:1, 41:10, 42:10, 42:15, 42:21, 47:1, 48:7, 48:10, 51:20, 52:9, 55:20, 56:12, 63:18, 66:19, 67:11, 70:24	16 15:4, 17:11, 18:12, 20:4, 20:7, 21:6, 23:15, 23:17, 29:22, 29:25, 35:13, 36:6, 38:10, 40:19, 40:24, 41:1, 41:10, 42:10, 42:15, 42:21, 47:1, 48:7, 48:10, 51:20, 52:9, 55:20, 56:12, 63:18, 66:19, 67:11, 70:24		
14	15	16	17		
14 15:4, 17:11, 18:12, 20:4, 20:7, 21:6, 23:15, 23:17, 29:22, 29:25, 35:13, 36:6, 38:10, 40:19, 40:24, 41:1, 41:10, 42:10, 42:15, 42:21, 47:1, 48:7, 48:10, 51:20, 52:9, 55:20, 56:12, 63:18, 66:19, 67:11, 70:24	15 15:4, 17:11, 18:12, 20:4, 20:7, 21:6, 23:15, 23:17, 29:22, 29:25, 35:13, 36:6, 38:10, 40:19, 40:24, 41:1, 41:10, 42:10, 42:15, 42:21, 47:1, 48:7, 48:10, 51:20, 52:9, 55:20, 56:12, 63:18, 66:19, 67:11, 70:24	16 15:4, 17:11, 18:12, 20:4, 20:7, 21:6, 23:15, 23:17, 29:22, 29:25, 35:13, 36:6, 38:10, 40:19, 40:24, 41:1, 41:10, 42:10, 42:15, 42:21, 47:1, 48:7, 48:10, 51:20, 52:9, 55:20, 56:12, 63:18, 66:19, 67:11, 70:24	17 15:4, 17:11, 18:12, 20:4, 20:7, 21:6, 23:15, 23:17, 29:22, 29:25, 35:13, 36:6, 38:10, 40:19, 40:24, 41:1, 41:10, 42:10, 42:15, 42:21, 47:1, 48:7, 48:10, 51:20, 52:9, 55:20, 56:12, 63:18, 66:19, 67:11, 70:24		
15	16	17	18		
15 15:4, 17:11, 18:12, 20:4, 20:7, 21:6, 23:15, 23:17, 29:22, 29:25, 35:13, 36:6, 38:10, 40:19, 40:24, 41:1, 41:10, 42:10, 42:15, 42:21, 47:1, 48:7, 48:10, 51:20, 52:9, 55:20, 56:12, 63:18, 66:19, 67:11, 70:24	16 15:4, 17:11, 18:12, 20:4, 20:7, 21:6, 23:15, 23:17, 29:22, 29:25, 35:13, 36:6, 38:10, 40:19, 40:24, 41:1, 41:10,				

27:1, 31:11, 42:12, 49:25 cases 9:1, 45:5 CAT 30:21, 30:25, 32:22, 32:25 catheterization 48:19 cause 1:19, 16:14, 43:6, 50:22, 58:15 causes 15:20 causing 43:10, 43:13 cavity 7:12, 25:18, 49:12 cc 70:24 CCF 26:22, 29:2 cease 27:19 centimeter 33:25 centimeters 33:9, 33:9, 33:15 CERTIFICATE 68:5, 69:1 certified 5:6, 11:6, 11:8, 11:11 CERTIFY 68:7 chambers 20:20 Charles 2:13 chart 12:20, 12:24, 13:21, 14:19, 14:24, 15:5, 19:10, 28:25, 29:1, 29:23, 30:4, 30:22, 50:4, 52:23, 54:12, 62:14 chest 7:11, 15:14, 15:25, 19:16, 30:24, 30:25, 30:25, 31:2, 31:15, 31:19, 31:21, 32:9, 32:12, 32:15, 32:22, 33:5, 38:6, 38:13, 38:15, 62:4 chief 43:21, 44:1 chorda 19:19, 21:12, 21:14, 23:2, 23:11, 23:12, 27:9, 27:13 circumstance 7:23 circumstances 40:2, 40:3, 42:12 Civil 1:18, 70:15 Clair 2:5 clear 20:18, 42:22, 55:2, 56:5 clearly 20:20 Cleveland 2:5, 11:15, 11:22, 12:5, 29:3, 47:23, 48:4, 48:16, 49:5, 51:16 click 39:7, 39:10, 39:12 Clinic 11:15, 11:22, 12:6, 47:23, 48:4, 48:16, 49:5, 51:16	clinical 15:20, 18:2, 18:2, 26:1, 35:19, 36:14, 39:8, 40:5, 40:7, 40:11, 41:20, 41:20, 43:19 clinically 60:9, 60:16, 61:10 clinician 44:9 clot 22:21, 23:1, 26:21, 27:9, 27:12, 57:17, 58:9, 58:23, 59:1, 59:2, 59:3, 59:23 clots 59:22 code 6:10 cold 15:17 comes 57:12, 57:14 comment 60:22 comments 25:21 Commerce 2:14 Commission 69:24 Common 1:1, 1:19 communicate 28:1, 28:2, 29:19, 30:3 complete 54:6 completed 28:25 complication 38:3 complications 38:1, 62:19 Complying 12:25, 24:20 comprehend 65:16 compromise 44:14, 44:19, 49:15 compromised 36:16, 44:15 computer-assiste 4:16 concept 64:24 conclusions 25:22, 41:17 condition 8:7, 15:21, 16:14, 28:16, 48:15, 57:13, 57:23, 58:1, 59:8, 59:17 conditions 7:14, 7:16, 8:13, 22:20, 41:20 conduct 14:16, 64:14 congestive 34:14, 38:4 conglomeration 33:6 consider 26:4, 41:24 consideration 15:21, 28:18, 35:16, 35:18, 70:18 considered 21:3, 21:15, 22:1, 39:15, 39:25, 40:4, 40:18, 40:23, 41:7, 48:20, 48:24 consistent 24:22, 31:15, 32:12, 38:21, 39:10 constant 37:23	consult 9:18, 13:17, 13:20, 13:24, 14:1, 15:3, 15:5, 15:8, 50:7, 64:15, 64:19, 65:9 consultant 18:21 Consultants 6:18, 6:20, 7:2 consultation 16:6, 54:20 consulted 49:24 continuation 61:6 continues 19:18 contrast 33:5 conversation 62:23, 63:12 conversations 62:16, 63:1, 63:2, 64:2, 64:5, 64:8 copies 54:5 coronaries 7:19 corporate 6:13 corporation 6:15, 6:17, 6:22, 7:1 correct 6:21, 6:24, 12:15, 12:22, 14:3, 16:8, 17:7, 17:8, 17:10, 18:9, 20:10, 20:13, 21:17, 21:22, 24:3, 24:6, 24:10, 24:16, 27:10, 27:25, 28:10, 28:14, 30:11, 30:12, 31:4, 31:13, 37:11, 37:14, 37:15, 37:17, 37:18, 37:21, 37:22, 38:16, 40:14, 40:15, 42:19, 44:5, 45:10, 48:2, 50:14, 54:13, 54:16, 55:4, 55:7, 55:10, 55:16, 55:22, 55:23, 56:7, 56:19, 57:1, 57:8, 57:10, 57:11, 57:24, 57:25, 58:2, 58:3, 58:5, 58:15, 60:23, 63:25, 68:8, 69:5, 69:17 correction 70:11 corrections 70:10 correlation 26:1 cough 15:13, 15:16, 17:14, 37:20, 50:21, 58:24 coughing 38:18, 63:7 counsel 1:18, 4:8, 4:13 County 1:2, 1:20, 69:2 couple 65:19 court 1:1, 1:19, 5:22, 11:2, 70:1 cover 42:21 criticisms	66:23, 67:3 Cropp 2:17, 9:20, 17:20, 23:20, 29:14, 60:21, 61:9, 64:6, 66:23, 66:23, 67:2 CROSS 3:5, 3:6, 5:8, 66:20 cultures 19:23, 24:14, 28:6, 42:8, 42:17, 42:25, 43:11, 43:12, 43:16, 43:20, 62:8 Currently 6:8 CV 1:4	D damage 32:4 damaged 38:5 date 36:12, 52:5, 54:18, 63:8, 63:21 dated 16:6, 33:1 David 1:7, 5:15, 6:25, 13:7, 16:21, 17:2, 18:17, 18:25, 24:12, 27:19, 28:16, 29:6, 37:13, 37:20, 40:13, 40:16, 41:5, 42:8, 42:25, 44:18, 44:22, 47:7, 47:16, 47:22, 48:15, 49:24, 51:9, 52:6, 53:8, 53:14, 54:7, 54:14, 55:25, 56:24, 57:18, 60:17, 61:10, 70:24 dead 46:12 Dear 70:6 death 45:17, 45:21, 46:3, 46:14 deceased 1:7, 52:23 December 70:3 decided 28:13 decision 20:12, 20:14, 20:21, 28:9, 29:4 decisions 19:6 defendant 2:7, 2:12, 10:15, 10:16 Defendants 1:11, 2:17 definite 33:23 definitive 25:21, 47:18 degree 45:5 delineation 25:10, 25:11 DeMarco 2:17, 9:23, 13:23, 15:19, 16:10, 16:20, 17:4, 17:7, 17:20, 17:21, 19:20, 20:3, 20:24, 23:20, 23:21, 28:4, 29:14, 30:4, 30:9, 50:2, 50:16,	60:13, 60:16, 61:10, 64:3, 66:22, 67:2, 67:4 DeMarco's 30:5, 60:5 densities 15:14 depending 45:11 depends 40:2, 44:8, 45:5, 46:4, 46:5, 46:8, 46:19, 46:21, 57:2 depose 69:3 deposes 5:6, 69:15 deposition 1:8, 1:14, 4:8, 4:13, 4:18, 5:22, 10:6, 12:18, 69:4, 69:8, 69:16, 70:8, 70:12, 70:13, 70:16 depositions 10:10, 10:14 describe 32:24 described 15:7, 25:24, 26:5 description 31:9 determination 27:12, 43:7 determining 49:6 develop 57:15, 58:8 develops 59:7 diagnosed 36:8 diagnoses 17:7, 26:25, 27:3, 28:19, 28:23, 51:6, 61:20, 62:13 diagnosis 14:5, 15:21, 16:14, 16:16, 16:21, 17:2, 17:5, 17:10, 17:14, 17:14, 17:14, 17:15, 17:17, 17:18, 18:8, 18:11, 18:16, 18:19, 18:22, 18:22, 21:3, 21:16, 22:2, 24:2, 24:8, 27:6, 27:21, 27:24, 31:18, 32:12, 36:12, 37:2, 37:3, 37:7, 40:6, 40:8, 40:18, 40:23, 41:7, 41:14, 41:15, 41:25, 43:19, 47:18, 49:1, 49:2, 51:9, 51:24, 52:5, 52:10, 52:16, 52:17, 52:20, 52:21, 52:22, 53:11, 62:7, 62:10 diagnostic 9:2, 19:7, 30:19 didn't 8:25, 37:23, 43:24, 50:25, 51:21 die 46:11, 52:12, 52:13, 53:9, 53:15	differential 17:2, 17:4, 18:8, 18:11, 18:16, 21:16, 24:2, 24:8, 24:8, 34:8, 40:18, 41:7 difficult 65:11 direct 40:6, 40:8 direction 41:22 disagree 39:14, 39:21 disciplinary 10:4 discuss 17:19, 23:19, 29:9 discussed 15:19, 17:6, 17:21, 17:22, 19:20, 28:4, 50:15, 61:21, 62:18, 64:3, 64:6, 64:9 discussing 61:19 discussion 13:3, 16:9, 17:23, 23:22, 60:15, 60:20, 61:9, 63:23 discussions 29:13, 60:12, 61:15 disease 8:8, 34:9, 44:9, 47:4, 59:12 diseases 7:11, 7:18, 7:19, 7:19, 8:23, 46:16 doctor 15:5, 49:19 doctors 13:14, 28:22, 29:21 doesn't 11:1 donate 62:21, 62:22 Doolittle 2:19 Doppler 25:20 DOROTHY 1:6 downstairs 35:1 Dr 2:7, 2:12, 5:14, 8:16, 9:11, 9:20, 9:22, 13:23, 13:23, 13:24, 15:19, 16:9, 16:20, 17:4, 17:4, 17:7, 17:21, 19:5, 19:20, 20:3, 20:23, 21:6, 23:19, 23:20, 23:20, 23:21, 26:17, 28:4, 28:25, 29:2, 29:13, 29:14, 29:14, 30:4, 30:5, 30:9, 50:2, 50:5, 50:7, 50:16, 60:5, 60:13, 60:15, 60:21, 60:21, 61:9, 61:10, 61:10, 63:6, 64:3, 64:6, 64:9, 66:22, 66:22, 66:23, 66:23, 67:2, 67:2, 67:4, 70:4, 70:6 Irew 66:5 Irs 2:17, 17:20
--	---	---	--	--	--	---

KEYWORD INDEX - ALEJANDRO FRANCO, M.D.

drugs 44:17 DS 47:12 duly 5:3, 69:15 dysfunction 44:21 dyspnea 19:18 dyspneic 15:18	30:10, 31:12, 32:13, 32:17, 35:10, 35:21, 36:4, 36:7, 36:13, 36:15, 36:24, 37:1, 37:9, 37:11, 37:25, 38:1, 38:6, 38:13, 38:16, 38:19, 38:21, 38:24, 39:11, 39:15, 39:24, 40:4, 40:17, 41:6, 41:24, 43:4, 43:6, 43:11, 43:13, 43:22, 44:2, 44:12, 45:2, 45:6, 45:8, 45:13, 45:17, 45:21, 46:3, 55:18, 55:24, 60:11, 60:18, 61:12, 61:14, 64:15, 65:2 enhanced 33:23 enhancement 33:22, 34:12 enhancing 33:23 entertained 18:6 episodes 15:16 eradicate 43:22, 44:2 essentially 33:17 establish 17:9 estate 1:7, 5:15 Estimated 25:5 ET 1:10 etiologic 17:18, 27:6 etiology 17:16, 17:19, 34:6, 49:7, 50:16, 50:17, 50:24, 51:4 event 62:3 Everyone 17:17 Exact 11:4 exactly 14:6 examination 3:5, 3:6, 5:8, 53:12, 66:20 examinations 32:15 examined 15:12 excepted 69:5, excuse 21:22, 31:17, 57:19, 57:20 Exhibit 3:17, 54:3, 54:4, 54:6, 54:11, 54:18, 55:3, 55:5, 55:8, 55:12, 55:17 Exhibits 3:16, 13:4, 55:1 expert 10:15, 10:17 Expires 69:24 explain 21:23, 22:20, 28:16, 55:24, 56:3 explained 15:23, 36:3, 58:19 explanation 31:7, 34:20, 35:11, 35:23,	59:19 explanations 35:3 extending 33:7, 33:8 F F-L-A 23:16 F-R-A-N-C-O 5:13 facts 42:12 failure 34:14, 38:4 fair 25:1 family 15:20, 15:24, 19:21, 28:5, 47:24, 48:11, 49:3, 49:4, 61:16, 61:25, 62:17, 62:22, 63:6, 63:13, 63:24 family's 48:1, 48:10 far-advanced 56:9, 56:12, 59:15, 59:20 fatal 45:2 fellowship 11:12, 11:13, 11:16, 11:22 felt 16:15, 20:17 fever 15:16, 17:15, 36:16, 37:20, 37:23, 39:16, 40:1, 40:4, 40:10 figure 28:19 films 29:3, 30:24, 30:25, 31:2, 31:15 final 53:11 finding 19:13, 31:9, 34:16, 39:9 findings 16:11, 19:16, 24:21, 32:25, 33:2 finds 69:16 fingers 36:22 finished 4:17, 64:13, 70:7, 70:12 five 12:3 flail 19:19, 21:12, 21:14, 23:2, 23:11, 23:11, 23:14, 23:15, 23:16, 27:9, 27:13 floating 23:7 floor 14:14, 22:6 flu-like 37:10, 37:13 fluid 25:25 followed 61:3 follows 5:7 foregoing 68:7, 69:4, 69:16 forgot 66:5 formation 58:22 forming 59:22 fraction 25:6 fraid 23:13 Franco 1:10, 2:7, 5:2, 5:12, 5:13, 5:14, 8:16, 13:24, 17:4, 21:7, 63:6, 66:22, 69:3, 69:11,	69:15, 70:4, 70:6 function 25:24 G gave 10:14, 15:15 general 12:3, 36:16, 37:10, 37:12, 40:10, 40:13, 40:16, 41:5, 41:18, 49:8, 49:11, 49:22, 57:3, 57:4, 57:6, 57:7, 57:20, 64:25, 65:20, 66:3, 66:4 generalizing 57:9 generally 8:6, 37:1, 46:2, 49:22 gentleman 52:12 gentleman's 67:6 given 10:6, 10:9, 11:9, 34:7, 40:16, 41:5, 45:14, 47:7, 47:10, 53:8 goal 43:21, 44:2 goes 59:1 Gonda 1:6, 1:7, 5:15, 6:25, 16:4, 16:21, 17:2, 18:17, 18:20, 19:12, 19:20, 22:7, 28:4, 37:13, 37:20, 40:13, 40:16, 41:5, 44:18, 44:23, 47:7, 47:22, 51:10, 52:6, 53:8, 53:14, 54:7, 54:14, 54:21, 55:25, 56:24, 57:5, 57:10, 57:18, 60:17, 61:16 Gonda's 13:7, 18:25, 24:12, 27:19, 28:16, 29:6, 42:9, . 42:25, 47:16, 48:15, 49:25, 61:10 graduated 11:21 gram 47:13 granulomatosis 34:10 greater 33:24 Griffin 70:25 grossly 25:16 group 6:11 guess 36:10 H handing 54:2 hands 36:21 handwriting 60:6 handwritten 55:3, 55:6, 55:9 Harrington 2:9, 4:10 having 41:15, 41:16, 55:25, 56:4, 60:12, 61:15 I He'll 67:12 he's 8:17, 13:19, 35:1, 47:3, 52:23 HEALTH 1:10 hear 8:25 heart 34:14, 38:5, 38:23, 39:4, 39:5, 48:19, 56:19, 57:1, 57:16, 57:16, 57:17, 57:17, 58:1, 58:5, 58:11, 58:11, 58:18, 59:1, 59:3, 59:5, 59:18 hemodynamic 44:13, 44:18 hemodynamically 44:15 hemodynamics 36:17 hemoptysis 15:13, 17:15, 19:18, 34:7, 50:21 hemorrhage 34:7 HEREBY 68:7 hereinafter 5:6 hereto 4:8 hesitate 70:19 highly 36:24 hila 31:4, 33:3, 33:3, 33:6 hilar 33:11, 34:2 hilum 15:14 histopathologic 62:9 history 14:16, 14:20, 14:22, 15:2, 15:12, 15:15, 37:17, 37:19, 61:18 HM 1:10 Hoffman 50:5 hospital 9:4, 9:6, 10:1, 10:4, 12:16, 16:6, 19:1, 24:13, 42:5, 43:1, 44:24, 47:8, 47:19, 48:21, 51:15, 53:9, 53:15, 54:15, 54:21, 55:3, 57:13, 57:14 hospitalization 56:6, 67:7 hospitals 9:7, 9:9 hour 42:22 hours 47:13, 47:14, 50:7, 57:15, 58:7, 58:8, 58:21, 58:21 however 25:8, 25:10, 25:12 Hoyt 2:4 Hunt 26:17, 28:25, 29:2, 50:7 hypokinesis 25:5, 26:18 I identification	13:4, 54:25 identified 33:25, 44:4 identify 43:9, 43:11, 54:3, 55:11 ill 58:6, 58:20, 58:20 Image 33:13 immediate 31:25 Impression 34:2 include 7:20, 10:24, 34:8 increase 58:25 increasing 15:13 independent 51:18, 51:23, 52:2 INDEX 3:3 indicate 37:9, 40:11 indicated 8:17, 69:6, 69:19 individual 37:4, 37:5 Individually 1:6, 6:14 infecting 43:22, 44:3 infection 32:20, 52:13, 57:13 infections 32:6 infectious 8:8, 18:3, 18:5, 32:6, 34:5, 44:9, 46:16, 47:4, 58:20 infective 18:10, 18:15 inferior 33:8 infiltrate 19:17, 32:5, 32:15, 51:1 infiltrates 15:15, 31:3, 31:8, 32:9, 32:18 inflammation 32:20 inflammatory 34:10 information 14:24, 14:25, 15:1, 28:9, . 36:11, 51:13, 51:16, 53:18, 64:22, 65:1, 65:6, 65:16, 65:20, 66:9, 69:17 informed 15:20, 29:11, 49:3, 61:24, 61:25 initial 15:5, 61:17, 61:23, 63:23, 63:25 Initially 61:17 initials 54:18 initiation 45:17, 45:21, 46:14 inside 20:19, 23:7 intention 61:23 interest 66:10 intermittent 15:16, 37:22, 37:23 internist 8:7 internship 12:2,
--	--	---	---	--

KEYWORD INDEX - ALEJANDRO FRANCO, M.D.

12:13 interpret 50:12 interpretation 21:10, 22:15, 22:25, 34:25, 50:10 interpreted 50:5 interrupting 57:20 interview 14:19, 61:17, 63:25 interviewing 61:18 intracavitary 21:20, 21:25, 22:20, 25:8, 49:15 intravenous 33:5 introduced 3:16, 68:9 investigate 64:25 investigative 64:24 involve 33:7 involved 13:6, 13:9, 13:12, 14:4, 19:6, 40:20, 43:5, 43:10, 43:13, 44:9 involvement 18:16, 27:18, 29:5, 42:8, 50:9, 66:24, 67:4 involving 7:11, 33:13, 33:18 irregularities 39:4, 39:5 irregularity 38:23 issues 65:22 itself 31:24 IV 47:13	Lawful 5:3 lay 58:7, 58:20 Lead 58:22 Least 51:6, 63:4 Leave 27:23 Leaving 28:22 Led 26:4, 26:8 Legs 58:9, 58:22, 59:23 Lesion 33:13, 33:14, 34:4, 49:7 lesions 33:16, 33:21, 34:12, 34:17, 34:23 less 10:12, 10:13, 10:23, 11:4, 26:22, 27:20 Let's 13:1 letters 10:25 Level 2:14, 25:9 Licensed 5:25, 6:3 liked 12:8 Likely 18:4, 26:22, 51:4 likewise 9:24, 25:12 Lima 11:20 limited 50:10 listed 15:7, 34:22, 35:5, 56:16 literature 64:15, 64:19 Lobe 33:7, 33:13, 33:14, 33:19, 33:19, 33:19, 34:18 Lobes 33:17, 34:18 long-term 44:23 looking 13:19, 18:1, 22:14, 22:23, 35:1, 35:22, 35:24, 35:25, 37:5, 57:3, 59:6 looks 63:18 lost 9:25, 45:23, 52:3 loud 15:10 low 46:20 lower 33:7, 33:19, 33:19 Ltd 2:9, 4:10 Lung 13:24, 14:2, 14:7, 16:18, 16:19, 19:25, 27:15, 28:7, 28:10, 28:13, 32:4, 32:21, 33:17, 33:22, 34:17, 56:10, 61:24, 62:8 lungs 31:3, 31:9, 31:10, 31:11, 31:16, 31:21, 32:8, 34:19, 34:24, 35:4, 35:12, 35:23, 36:3, 56:14, 57:23, 59:2, 59:2, 59:16, 61:22 lymphadenopathy 33:24 Lymphoma 34:11	M MD 1:10, 5:2, 69:3, 69:11, 69:15 Mahoning 1:2, 1:20, 2:9, 4:10, 69:2 mail 70:13 major 7:12 making 49:1 malaise 36:16, 37:11, 37:12, 40:10, 40:13, 40:17, 41:6 malformation 34:14 Malik 70:24 malpractice 10:18, 10:21 Manchester 2:13 manifestation 35:20, 40:5, 40:8 manifestations 36:18, 40:11, 41:21 Marcos 11:19 mark 2:4, 5:14, 54:23 marked 13:4, 34:12, 54:2, 54:25 markedly 33:23 Market 2:19, 70:1 Mary 1:14, 4:14, 68:14, 70:22 mass 21:21, 22:1, 22:20, 23:1, 25:8, 25:22, 26:6, 26:19, 27:6, 27:9, 27:12, 28:15, 33:12, 48:19, 49:11, 56:18, 56:25, 57:2, 57:16, 58:5, 58:12, 58:14, 58:18, 59:5, 59:18 masses 33:6, 34:3, 35:4, 35:11, 35:23, 36:3, 49:17 matter 46:12, 53:8, 66:24, 66:25, 67:5, 68:10, 70:18 maybe 16:16, 16:23, 63:16 MC 69:25 means 23:11, 61:21 measuring 33:14 median 45:16, 45:20 medical 8:6, 10:18, 11:18, 11:21, 11:24, 51:9, 53:20, 55:25, 56:3, 64:18, 64:19, 64:20, 65:6, 65:17 medically 44:16 medicine 5:25, 7:5, 7:7, 11:19 mention 21:9 mentioned 18:4,	20:8, 35:5, 40:9, 40:10, 42:6, 62:14, 62:14, 62:15 Merit 68:15 metastasis 56:10, 56:14, 59:16 metastatic 18:4, 34:8, 51:2, 56:13, 59:12 method 49:6 micro-embolizati 36:19 middle 33:19 mildly 25:23 minute 13:2 minutes 42:22 misleading 42:11 misread 63:16 Mitchell 2:9, 4:10 mitral 25:13, 25:14 mobile 26:19 moderator 25:9, 25:23, 26:6 moment 16:12, 22:11, 37:8 months 45:18, 45:22, 46:10 morning 14:12, 19:12, 22:9 mortality 45:8 Mostly 7:18, 8:23 MOTIONS 3:9 move 23:11, 58:24 moves 12:9, 23:3 multiple 34:2, 34:17, 37:5, 37:6 muscle 25:17, 25:19 myself 6:12, 22:23	4:14, 69:13, 69:24, 70:13 note 15:6, 19:10, 19:15, 22:13, 25:3, 25:17, 26:17, 28:24, 29:20, 49:4, 60:1, 60:2, 60:4, 63:5, 63:10, 70:10 noted 19:11, 19:15, 25:8, 25:13 notes 14:10, 64:12, 65:15, 68:11 nothing 5:5, 37:8 November 1:16, 4:9, 35:25, 56:21, 56:22 numbered 69:6, 69:19 numbers 11:4 numerous 38:8 nurse's 63:5 nurses 14:10	ongoing 15:20 open 13:24, 16:19, 62:1 opened 62:4 opinion 51:8, 51:18, 51:23, 52:5, 53:2, 53:2, 53:3, 53:4, 53:6, 53:20, 53:25 opinions 53:1 order 47:11, 47:12 organism 43:12, 43:23, 44:3, 44:4, 46:5, 46:19, 46:21 organisms 46:8, 46:20 origin 39:16, 40:1 originals 70:14 otherwise 69:5, 69:18
J James 2:8, 70:24 journals 65:6 Jr 70:25 July 12:4, 12:4 June 12:3, 12:4, 12:5, 12:12	K kept 24:8 kidneys 59:8 knowledge 21:25, 24:11, 69:17 knows 48:13	L L-I-M-A 11:20 Label 52:16 laboratory 30:21, 43:14 lack 34:4 Lanz 2:13, 3:12, 42:2, 42:20, 46:24, 47:3, 48:5, 51:11, 52:8, 53:16, 53:23, 56:1, 56:5, 60:2, 64:11, 67:10 later 32:1, 51:16 Law 2:4, 2:8, 2:13, 2:18	N Nagy-Baker 70:1 narrow 26:25, 27:2, 27:21, 27:24, 28:23, 37:6 national 11:10 necessarily 28:17, 31:8, 31:17, 32:16, 32:17, 38:22, 56:2, 66:13 necessary 62:21 needs 28:11, 58:24 neoplastic 56:9, 56:13, 59:12, 59:15, 59:15, 59:20 neoplastics 8:24, 9:1 Nodding 21:1 non-diagnostic 16:18 nonspecific 25:15 nor 41:15 normal 22:17, 25:4, 25:7, 25:16, 25:19, 26:20 North 2:19 notarized 70:13 Notary 1:14,	O oath 55:15 object 42:10 objection 7:21, 16:22, 17:3, 30:7, 30:14, 36:5, 40:25, 41:9, 41:10, 42:1, 42:2, 42:14, 42:20, 45:3, 46:24, 46:25, 47:20, 48:5, 48:22, 50:19, 51:11, 51:12, 51:25, 52:7, 52:8, 52:9, 53:10, 53:16, 53:17, 53:23, 56:1, 64:11 OBJECTIONS 3:9 obtain 14:7, 18:21, 19:21, 28:5, 43:11, 43:16, 62:2, 62:8 obtained 20:15, 20:16, 33:5, 43:12, 43:20 obtaining 16:16, 62:7 Occasionally 9:19 occur 38:15, 38:19 occurrence 59:9, 59:10 off-the-record 13:3 offending 43:9, 43:17 office 12:19, 12:20, 12:23, 54:5, 54:7, 54:12, 65:25 offices 4:10 Ohio 1:1, 1:15, 1:21, 4:11, 4:15, 6:1, 6:23, 69:1, 69:14, 69:24 ones 46:20	P P-A-R-M-A-L-E-E 6:9 P-E-R-U 11:20 p.m. 50:2 PAGE(S 3:10, 3:11, 3:12 pages 69:7, 69:19, 70:9, 70:12, 70:14, 70:16, 70:17 pain 38:6, 38:13, 38:15 pale 15:18 papillary 25:17, 25:19 parenchyma 33:7 parenchymal 33:12, 34:2 Parnalee 6:8, 70:5 participate 29:4 particular 16:15, 36:12, 50:3, 51:5, 56:25, 66:24, 67:4 parties 4:8 passing 11:9 past 50:6 patchy 33:18 pathological 19:13 pathology 46:20 patient 8:4, 8:9, 8:10, 14:5, 14:5, 14:9, 14:13, 14:17, 14:19, 15:8, 15:11, 15:12, 15:12, 15:15, 15:18, 15:19, 15:24, 16:10, 18:20, 19:3, 19:17, 22:7, 25:2, 27:16, 39:16, 39:18, 39:19, 39:25, 40:4, 41:13, 41:15, 44:14, 51:14, 63:7 patient's 34:7, 63:6

KEYWORD INDEX - ALEJANDRO FRANCO. M.D.

<p>patients 7:24, 8:3, 9:13, 9:14, 9:18, 13:11, 64:19 PAUL 1:7 pelvis 58:9, 58:23 pending 1:19 per 21:11 percent 25:6, 45:13 perform 8:19, 14:2, 14:22, 20:12, 20:14, 20:22, 27:15, 28:13, 49:16, 50:12 performed 14:21, 16:12, 19:8, 19:12, 20:2, 22:8, 26:13, 28:21, 30:17 performs 49:19 peri-aortic 33:23 pericardial 25:25 period 44:7, 46:2, 46:13 periodic 9:17 periodical 66:6 periodicals 65:24, 66:2, 66:8, 66:11 personally 69:14 pertinent 66:10 Peru 11:20 petechiae 36:18 physical 14:16, 14:20, 14:22, 15:2, 37:17, 37:19, 61:19 physician 9:5, 19:2, 19:4, 41:24 physicians 27:24, 28:2, 29:10, 48:20 pick 65:15 pictures 32:7, 35:1 piece 14:7, 16:18, 23:2, 23:6 placed 43:14 plain 30:24, 30:25, 31:2, 31:15, 31:19, 31:21, 31:23, 32:9, 32:11, 32:15 Plaintiff 1:8, 2:3 Plaintiff's 3:17, 13:4, 54:3, 54:4, 54:6, 54:11, 54:17, 55:1, 55:2, 55:5, 55:8, 55:11, 55:17 planning 61:22 plans 61:23 platelets 59:22 Pleas 1:1, 1:20 please 5:11, 5:17, 8:2, 12:23, 15:10, 24:19, 38:11, 39:23, 41:12, 42:13, 54:3, 55:11, 70:7,</p>	<p>70:9, 70:13, 70:19 pleural 33:21, 33:25, 34:4 pneumonia 56:8, 56:8, 56:18, 56:25, 57:14, 57:23, 58:4, 58:7, 58:15, 58:17, 59:19 pneumonitis 33:18, 33:20, 34:3, 34:6 point 16:15, 16:17, 17:13, 27:5, 28:19, 50:25, 51:14, 53:21 poor 25:20 popcorn-appearin 26:19 portions 34:1 possibilities 34:8, 34:22, 34:23 possibility 18:5, 34:21, 59:7 possible 18:4, 22:20, 26:22, 26:23, 29:2, 31:14, 34:20, 35:3, 35:11, 35:22, 43:23, 44:3, 50:16 possibly 18:2 post-mortem 53:11 post-obstructive 33:20, 34:6 posterior 33:10 posterobasal 25:5 oosteromedial 25:19 oostpone 19:21, 28:5, 28:8 ootential 15:20, 16:14, 17:6, 17:19, 21:3, 26:25, 27:2, 28:18, 28:23, 37:9, 41:25, 51:6, 61:20, 62:12, 62:18, 62:19 otentially 22:18, 59:24 owers 2:13 practice 5:25, 6:4, 6:6, 6:13, 65:13, 65:18, 66:12 redilection 59:21 reliminary 49:1 remise 61:1 resent 39:2, 39:3, 61:25 resenting 36:23 ressure 58:25 iresumptive 37:3 retracheal 33:24 revious 20:9 reviously 61:14 rimary 32:20, 34:9, 34:11, 51:1, 56:8 rior 10:6, 12:17, 41:7, 42:8, 42:17, 42:25, 44:23</p>	<p>privileges 9:25 probability 51:9, 53:21 probable 53:7, 53:13 probably 10:13 problem 50:18 problems 50:21 procedure 1:18, 49:20, 62:2, 70:15 proceed 15:25, 27:22, 28:10 proceedings 10:4, 11:3, 68:9 process 18:3, 18:5, 18:6, 32:6, 34:10, 56:9, 56:13, 56:13, 58:20, 59:16, 59:20 processes 56:21 produce 46:9 professional 6:15, 6:17, 7:1 progress 59:25 prominent 25:17 promptly 70:16 proper 45:14 prove 24:14 provide 47:15, 70:15 provided 51:16, 70:10 Public 1:15, 4:14, 69:13, 69:24, 70:13 pulmonary 7:13, 8:13, 19:24, 26:20, 28:7, 50:17, 50:24 pursuant 1:16, 1:17</p>	<p>recomnnendation 20:25, 29:2, 30:15 recommended 29:6, 30:18 record 13:1, 16:6, 55:2, 55:3, 55:6 records 12:19, 37:15, 42:4, 51:14, 51:15, 51:19, 51:24, 54:5, 54:7 recurrent 15:16 red 63:7, 66:6 refer 8:4, 8:10, 9:14, 9:17 referral 9:13 referring 17:5, 20:4, 30:23, 39:6 Registered 68:15 regularly 7:24, 9:14, 65:12, 65:18, 65:21, 66:7 retated 16:11, 19:11, 44:20 relates 19:16 relationship 9:3, 9:11, 9:20, 9:22 relatively 33:22 relief 47:16 rely 14:20, 66:11 remainder 33:4 remote 34:21, 59:6 repeat 15:25, 27:14, 39:23 rephrase 16:24, 31:18 report 19:11, 24:19, 32:25, 34:23 reported 19:13, 19:14, 22:5, 22:11, 22:12, 24:23 reporter 5:22, 13:4, 54:25, 68:15 REPORTER'S 68:5 Reporting 70:1 represent 26:21, 33:20, 34:6, 34:13, 66:22 request 13:11, 13:21, 13:23, 13:24, 14:6, 20:3, 47:25, 48:1, 48:10, 50:1, 50:2, 50:6 requested 15:11, 16:18, 18:19, 49:4, 62:20 require 62:4 required 70:12 research 64:14, 64:16, 64:17, 64:18, 64:22, 64:22, 64:23 residency 12:2, 12:13 residents 13:22 resort 62:1 respect 19:7 respiratory 38:19 respond 38:10, 38:11</p>	<p>response 30:5, 30:13 responsible 18:25, 19:3 result 20:11, 26:15 results 22:4, 29:9, 29:16 retrospective 52:10 return 70:16, 70:17 reveal 32:23 reverse 58:16 review 12:17, 14:18, 59:25, 64:22, 66:7, 66:9, 70:8 reviewed 15:12 reviewing 70:12 rhythm 38:23, 39:4, 39:5, 39:8 Richardson 2:18, 3:6, 3:11, 16:22, 17:3, 30:7, 30:14, 36:5, 40:25, 41:9, 42:1, 45:3, 46:25, 47:20, 48:22, 50:19, 51:12, 51:25, 52:7, 53:10, 53:17, 66:19, 66:21, 67:8 right-sided 60:11, 60:17, 61:12 risks 15:23 room 14:21 Ruf 2:4, 3:5, 5:9, 5:14, 8:18, 8:21, 13:1, 18:13, 20:5, 20:6, 21:8, 29:24, 35:15, 42:19, 42:22, 48:9, 48:12, 51:22, 54:23, 55:22, 56:7, 60:3, 66:17 Ruiz 2:12, 9:11, 13:23, 17:20, 19:5, 23:20, 29:14, 60:21, 61:10, 64:9 rule 19:23, 28:6, 61:14 ruled 24:5, 24:9, 24:12, 24:15, 29:20, 30:10, 55:19 Rules 1:18, 70:15 rupturing 23:7</p>	<p>Scott 2:13, 2:18 se 21:11 secondary 34:11 seemed 27:1 segment 25:5 send 26:22, 29:1, 29:3 sensitive 23:25 sensitivity 43:15 sentences 60:7, 61:1 separate 14:22 sepsis 46:9 septic 36:19, 40:12, 46:11 serial 42:7, 42:16, 42:24 serve 10:15 served 10:17, 12:2 SERVICES 1:10 seven 70:15 several 15:17, 33:16 shadow 20:16 shock 36:17, 46:12 shorthand 4:14 shortness 15:13, 37:24 showed 21:20, 35:23 showing 15:14 shown 68:10 shows 19:17, 32:15, 32:24 sick 37:1 sign 4:17, 37:4, 39:13, 39:18, 70:12, 70:16 signature 67:13, 69:8, 70:8, 70:12, 70:17 signed 13:22, 60:4, 70:13, 70:17 significant 25:9, 25:11, 34:15 signs 37:6, 41:21 similar 9:21, 32:7 Sincerely 70:20 sinus 25:3 sit 36:2 sits 57:14, 57:16, 58:11 sitting 25:2 situation 58:17 six 45:18, 45:22, 46:10, 58:7 six-week 44:7 size 25:4, 25:7, 25:20 skin 36:18, 40:11 slices 33:2, 33:3, 33:4 smoking 15:17 solid 34:3 somebody 8:10, 41:19, 41:22, 45:12, 52:16, 58:4, 59:7 someone 8:4, 15:6 sorry 8:25, 12:4, 16:23, 19:10, 23:5, 23:18, 25:14, 25:25, 30:8,</p>
---	---	--	--	--	---

KEYWORD INDEX - ALEJANDRO FRANCO, M.D.

35:8, 43:24,
45:19, 45:24,
47:6, 47:14,
48:25, 52:3,
52:25, 56:11,
57:19, 59:11,
63:8, 66:5
source 64:15
specialist **8:8,**
44:9, 46:16,
47:4
specialize 7:4
specialties 11:10
specific 8:1,
9:15, 16:25,
17:23, 18:18,
25:14, 39:3,
39:5, 43:5,
57:10, 64:16,
67:3
specifically
14:7, 21:11,
69:5, 69:18
specification
50:3
specified 1:17,
50:3
speculation 47:2
spell 5:10
spoke 23:21, 67:1
spoken 63:6
sputum 16:17,
30:20
SS 1:1, 69:1
St 2:5, 9:3,
9:8, **10:1,**
10:4, 12:15,
16:6, 24:13,
24:16, 41:8,
42:25, 44:24,
47:8, 47:19,
48:20, 49:25,
50:10, 51:15,
51:19, 51:24,
53:9, 53:14,
54:14, 54:21
stabilize 44:16
staff 9:7, 9:25
stages 49:1
standing 6:22
stands 15:22,
19:22, 19:23,
34:13
started 61:1
starts 46:3
stasis 58:21
stat 50:1
state 1:1, 1:15,
1:20, 4:15,
5:10, 6:1,
6:23, 21:18,
69:1, 69:14,
69:24
stated 24:4,
30:4, 37:20
statement 29:19,
39:22, 55:14,
55:18, 60:13,
61:13
states 19:15,
26:18, 63:6
stating 21:11,
21:13
stay 24:12, 24:15
stayed 11:25
stereotype 68:11
Stephen 70:25
stepping 27:20
steps 62:10,
62:21

stipulated 4:7,
4:12
STIPULATIONS 4:5
Street 70:1
structure 26:9,
27:4
structures 49:15
studies 62:9
subcarinal 33:24
subject 10:3,
42:13
submitting 70:8
subscribe 69:7
SUBSCRIBED 69:21
sucks 58:25
sued 10:20
suggest 26:1,
35:20
suggested 27:17
suggestion
20:23, 23:24,
29:3, 30:15
suggests 34:5
Suite 2:4, 6:9,
70:1, 70:5
summer 37:14,
37:21, 40:14,
40:17, 41:6
superior 33:8
surgeon 8:17,
35:3
surgery 7:8,
7:10, 8:20,
11:14, 12:3,
15:25, 19:21,
22:7, 28:5,
28:9, 62:19,
66:4, 66:4
surgical 44:11
surrounding 15:15
survival 45:12
survived 53:22
suspect 8:9,
26:8, 50:18
suspected 26:11
suspecting 51:1,
51:1
sworn 5:4,
55:14, 69:15,
69:21
symptom 37:4,
37:11, 37:24,
38:3, 38:6,
38:13, 38:24,
39:18, 39:19,
39:20
symptoms 17:18,
17:20, 36:14,
36:23, 37:6,
37:10, 37:13,
41:21, 47:16,
50:15, 50:16,
55:25, 56:4,
56:6, 61:18
syndromes 17:15,
17:16
systolic 25:24,
39:7, 39:10,
39:12

T

achycardia 25:3
aken 1:14, 4:9,
4:13, 62:10,
64:23, 68:11,
68:12
aking 9:12, 68:9
ape 26:22, 29:1
echnical 25:1,

25:20
technically 20:19
TEE 19:21,
20:12, 20:14,
20:22, 23:24,
28:5, 28:21,
29:7, 29:10,
29:17, 30:18,
50:8, 50:13
ten 10:12,
10:12, 10:13,
10:23, 10:23,
11:4
term 23:5, 23:9,
59:14
test 11:9, 50:4,
50:4, 50:12
testicular 34:9
testify 5:4
testimony 68:8,
68:10, 68:11,
70:9
tests 9:2, 19:7,
30:19, 30:21
textbook 65:8,
65:15, 66:6
textbooks 64:20,
65:7, 65:17,
65:19, **65:21**
Thank 16:3,
55:7, 66:17,
67:11, 70:18
therapy 44:23,
47:7, 47:10,
47:15
there's 46:8,
47:11, 50:7
thereafter 69:7,
69:20
therein 1:17
they're 58:5
thickening 25:15
thin-built 15:18
thing 24:23,
52:14
thinking 56:20
Thomas 70:25
thoracic 6:18,
6:19, 7:1, 7:8,
7:9, 11:14, 66:3
thorascopic
61:24, 62:2
thoracoscopy
15:22
thoracotomy 15:23
though 6:15
thrombocytos is
59:21
thrombus 58:12,
58:22
throughout 33:17
rhus 25:21
:issue 15:21,
16:16, 18:19,
18:21, 32:5,
62:3, 62:7, 62:8
:oday 10:7,
12:18, 36:2,
51:21
:oday's 52:4
:oes 36:22
:omorrow 16:1
:op 63:17
:oward 40:8
:owards 40:6
:ract 38:19
:ranscribed 4:15
:ranscript 4:17,
68:8, 70:7, 70:8
:ranscription

4:16, 54:20,
55:6
transesophageal
19:22, 20:17,
26:12, 26:16,
26:24, 27:18,
30:16
transferred
47:23, 48:3,
48:16, 49:5
transfusions
62:20
transit 26:21
transvenous 49:13
travel 58:9,
58:23
travels 59:1
Travers 70:25
treat 7:13,
7:17, 7:22,
7:24, 8:4,
8:14, 8:16,
18:20, 18:23,
27:16, 39:19,
46:5, 46:5
treated 6:25,
8:7, 18:20,
41:14, 41:15,
44:5, 44:6,
44:16, 44:23,
45:12, 46:6
treating 27:23,
29:21, 39:19,
39:20, 43:22,
44:2, 64:19
treatment 7:11,
13:7, 13:10,
18:17, 18:25,
27:19, 29:6,
42:9, 43:3,
44:11, 45:14,
53:8, **53:22,**
62:11
tricuspid 25:15,
60:8
true 68:8, **69:5,**
69:16
truth 5:4, 5:5,
5:5
tuberculosis
18:6, **32:21,**
56:9, 59:4
tumor 18:3,
26:21, 27:6,
27:9, 27:13,
32:19, 32:20,
51:2, 51:2
tumors 34:11
type 34:10,
49:19, 57:2,
64:24
typed 54:17,
54:19
types 7:16, 8:13

U

Jh-huh 63:11,
65:3
Jllman 2:13
nderlying 25:3
nderstand 5:16,
35:2, 40:22,
41:3, 42:15,
43:24, 45:25,
47:9, 49:4,
49:21
nderstanding
18:24, 41:23,
47:24

understood 5:18,
15:24
unfortunately
52:22, 53:12
uniform 33:22,
34:2, 34:3
universally 45:2
University 11:19
unknown 39:16,
40:1
unless 69:5,
69:18
unlikely 34:12
unremarkable 34:1
untreated 45:2,
45:9, 46:6,
46:7, 46:10
updated 66:15
upon 22:25,
28:12, 51:8
upper 33:13,
33:14, 34:1
upright 25:2
usually **14:18,**
14:23, 19:2,
43:18, 44:13

V

vague 31:9,
41:2, 47:6,
52:18
valve 23:6,
25:14, 25:15,
25:15
valves 38:4,
44:14
valvular 7:19,
23:3, 26:20,
44:21
variable 36:24,
46:18, 46:18
varies 46:15
vascular 6:18,
6:19, 7:2, 7:8,
7:9
JAT 15:22
vegetation
19:19, 21:2,
21:10, 21:11,
21:12, 21:13,
21:18, 22:19,
23:1, 24:2,
27:9, 27:12,
60:9
veins 58:10,

ienothrombos is
57:15, 58:8
venous 58:21,
58:25
ventricle 19:14,
19:20, 20:16,
20:19, 21:3,
21:13, 25:4,
25:7, 25:11,
25:12, **25:18,**
26:10, 26:18,
26:19, 26:21,
27:5, 49:2,
49:3, 49:7,
49:12, **58:13,**
58:14
ventricular
20:20, 25:23,
25:24, 26:6,
28:15, 48:19,
49:12, 49:17
verbal 5:21
versus 19:19,

21:12
vessels 7:12
via 15:21, 48:19
video 15:22
viral 18:6, 32:21
virulent 46:9,
46:9, 46:21
Visualized 34:1
vomiting 63:7

W

wait 28:13, 46:10
waiting 16:16,
27:21
waived 67:13,
70:17
wanted 48:11,
62:20, 65:1
warranted 26:2
we'll 24:24
we're 28:19,
37:5, 42:22,
49:8, 49:8,
56:20, 57:2,
57:7, 57:9,
57:9, 57:19
weather 15:17
week 46:11, 46:12
weeks 15:17,
46:11, 46:11,
46:22
Wegener's 34:9
West 2:5
what's 6:6,
9:11, 9:20,
9:22, 23:13,
51:19, 54:2
whatever 13:8,
22:12, 43:10,
51:14, 51:15,
52:20, 57:13,
66:9
Whereupon 5:1,
13:3, 54:25
whether 27:8,
27:12, 42:7,
42:16, 42:24,
44:22, 46:5,
50:23
whole 5:4, 37:3,
37:14, 39:17,
43:18, 60:16,
63:1
whom 40:19,
40:24, 41:11
whose 17:4
wind 12:7
wish 70:10
within 1:15,
1:20, 4:14,
25:17, 46:11,
69:13
witness 4:16,
13:15
witnessed 70:13
workup 39:15,
39:25, 51:7
Wouldn't 55:24
writing 28:8,
29:23
written 13:21,
26:17, 28:24,
32:25, 47:11,
51:19
wrong 23:8
wrote 15:8,
19:10, 19:15,
22:13, 29:1,
54:21

KEYWORD INDEX - ALEJANDRO FRANCO, M.D.

X					
X-ray 15:14, 15:25, 19:17, 31:10, 31:19, 31:21, 31:23, 31:25, 32:9, 32:12, 32:15 X-rays 16:11, 30:20, 30:23					
Y					
yeah 13:15, 17:13, 30:2, 50:12, 54:5 you'd 63:10 young 52:19 Youngstown 2:10, 2:15, 4:11, 6:9, 12:2, 12:7, 12:10, 12:14, 70:2, 70:5					
Z					
zip 6:10					

1 WHEREUPON,

2 ALEJANDRO FRANCO, M.D.,

3 of lawful age, being by me first duly

4 sworn to testify the truth, the whole

5 truth, and nothing but the truth, as

6 hereinafter certified, deposes and

7 says as follows:

8 CROSS EXAMINATION:

9 By Mr. Ruf

10 Q Could you state your name and spell your
11 name, please?

12 A Alejandro Franco, A-L-E-J-A-N-D-R-O,
13 Franco, F-R-A-N-C-O.

14 Q Dr. Franco, my name is Mark Ruf. I'm
15 here on behalf of the estate of David Gonda. If at any
16 time I ask you a question and you do not understand my
17 question, please tell me. If you give me an answer to a
18 question, then I'll assume that you've understood the
19 question. Okay?

20 A Okay.

21 Q Also, you need to give verbal answers
22 during the deposition so that the court reporter can take
23 down your answers. Okay?

24 A Okay. Okay.

25 Q Are you licensed to practice medicine in

1 the State of Ohio?

2 A Yes.

3 Q How long have you been licensed to
4 practice?

5 A Since 1979.

6 Q And where do you practice; what is the
7 address?

8 A Currently 540 Parmalee Avenue,
9 P-A-R-M-A-L-E-E Avenue, Suite 300. That is in Youngstown,
10 zip code 44510.

11 Q Are you in a group?

12 A No, by myself.

13 Q Do you practice under a corporate name?

14 A Individually, under my name. I do have a
15 professional corporation, though.

16 Q Could you tell me the name of the
17 professional corporation?

18 A Thoracic and Vascular Consultants.

19 Q Is that Thoracic and Vascular
20 Consultants, Inc.?

21 A Correct.

22 Q And that's a corporation in good standing
23 in the State of Ohio?

24 A Correct.

25 Q During the time you treated David Gonda,

3 did you have the professional corporation Thoracic and
4 Vascular Consultants, Inc.?

5 A Yes, I did.

6 Q Do you specialize in any area of
7 medicine?

8 A Yes, I do.

9 Q What area of medicine?

10 A Thoracic and vascular surgery.

11 Q And what is thoracic and vascular
12 surgery?

13 A Treatment of diseases involving the chest
14 cavity and major blood vessels in the body.

15 Q Do you treat both pulmonary and cardiac
16 conditions?

17 A Yes.

18 Q What types of cardiac conditions do you
19 treat?

20 A Mostly acquired diseases, like, acquired
21 diseases like coronaries, valvular diseases.

22 Q Does that include endocarditis?

23 MR. BLOMSTROM: Objection. Can you
24 tell me what you mean by the word "treat" in this
25 circumstance?

Q Well, do you regularly treat patients
that have endocarditis?

A Can you be more specific with your question, please?

Q If one of your patients has endocarditis, do you treat the patient, or do you refer them to someone else?

A Generally endocarditis is a medical condition that is treated by internist or a cardiologist or an infectious disease specialist.

Q So if you have a patient that you suspect
10 has endocarditis, you would refer that patient to somebody
1: else?

1: A For the most, yes, I will.

1: Q What types of pulmonary conditions do you
14 treat?

15 MR. BLOMSTROM: Again, can you tell
16 us what you mean by the word "treat"? Dr. Franco has
17 already indicated that he's a surgeon.

18 MR. RUF: Right.

19 MR. BLOMSTROM: You mean perform
20 surgery on?

21 MR. RUF: Yes.

22 MR. BLOMSTROM: Okay.

23 A Mostly, again, acquired diseases,
24 neoplastics.

25 Q I'm sorry; I didn't hear.

A Neoplastics, or in some cases some diagnostic tests.

Q What is your relationship with St. Elizabeth's Hospital?

A I am an attending physician affiliated with that hospital.

Q What hospitals are you on staff?

A St. Elizabeth only.

Q No other hospitals?

A No.

Q What's your relationship with Dr. Ruiz?

A Other than sometimes taking care of some of his patients on a referral basis.

Q Does he regularly refer patients to you?

A If you are more specific in your question, I can answer you.

17 Q Well, on a periodic basis does he refer
18 patients to you or bring you in as a consult?

19 A Occasionally he will.

20 Q What's your relationship with Dr. Cropp?

A In the same, the similar basis.

Q What's your relationship with Dr.
DeMarco?

A Likewise.

Q Have you ever lost your staff privileges

at St. Elizabeth's Hospital?

A Never.

Q Have you ever been subject to disciplinary proceedings at St. Elizabeth's Hospital?

A No.

Q Have you ever given a deposition prior to today?

A Yes, I have.

Q How many times have you given depositions?

A I do not recall the number.

Q Is it more than ten, less than ten?

A Less than ten probably.

Q And when you gave the depositions, were you a defendant in a case, or did you serve as an expert?

A Defendant.

Q Have you ever served as an expert in a medical malpractice case?

A No.

Q How many times have you been sued for malpractice?

A I do not recall.

Q More than ten, less than ten?

A Will that include those, called 180 days letters?

MR. BLOMSTROM: No, it doesn't.

Q No, I'm talking about actual court proceedings

A I will say less than ten. Exact numbers I would not remember.

Q Are you board certified?

A No.

Q What does it mean to be board certified?

A It's the passing a test given by the national boards of the different specialties.

Q Why have you not become board certified?

A I just took a fellowship.

Q What was your fellowship in?

A Thoracic and cardiovascular surgery at the Cleveland Clinic.

Q How long was the fellowship?

A Two years.

Q Where did you go to medical school?

A San Marcos University School of Medicine in Lima, L-I-M-A, Peru, P-E-R-U.

Q And after you graduated from medical school, you did a fellowship at Cleveland Clinic?

A No.

Q Or what did you do after medical school?

A I stayed, I worked in my country for

1 three years. Then I came to this country in 1972, to
2 Youngstown. I served an internship and residency in
3 general surgery for five years from June 1972 through --
4 I'm sorry -- July 1972 through June 1977. From July the
5 1st, 1977, until June 30th, 1979, I was at the Cleveland
6 Clinic.

7 Q How did you wind up in Youngstown?

8 A Just liked this area. Like anybody else,
9 just moves on.

10 Q And when did you come to Youngstown?

11 A 1972. That's the -- that's when I
12 arrived here, June 1972.

13 Q So you did your internship and residency
14 in Youngstown?

15 A Correct. That was in St. Elizabeth
16 Hospital.

17 Q What did you review prior to your
18 deposition today?

19 A The records I have in my office.

20 Q Is that your office chart that you
21 brought with you?

22 A Correct.

23 Q Could I please take a look at your office
24 chart?

25 A (Complying).

1 MR. RUF: Let's go off the record fo
2 a minute.

(Whereupon an off-the-record discussion was had and
the reporter marked for identification Plaintiff's Exhibit,
1 and 2.)

Q When did you first become involved in
David Gonda's care and treatment?

A August 15, 19, whatever it was, 1995.

Q Why did you become involved in his care
10 and treatment?

11 A At the request of one of the patients
involved in his care at that time.

1 MR. BLOMSTROM: You mean one of the
1 doctors?

1 THE WITNESS: Yeah.

1 MR. BLOMSTROM: Okay.

1 Q You were brought in as a consult?

1 A Yes, sir.

1 MR. BLOMSTROM: Yes, he's looking for
2 the consult right now.

2 A There is a request written in the chart
20 by, on August 15 at 8:15, signed by one of the residents
20 but was at the request of Dr. Ruiz and Dr. DeMarco. And
24 that request was, "Consult Dr. Franco for open lung
25 biopsy."

1 Q Were you only brought in as a consult to
2 perform a lung biopsy?

3 A Correct.

4 Q Were you involved in assessing the
5 patient and reaching a diagnosis for the patient?

6 A Not exactly. The request was
7 specifically obtain a piece of the lung so we can come out
8 with better answers for this person.

9 Q What time did you see the patient?

10 A I'll look at the nurses' notes.

11 MR. BLOMSTROM: Page 82.

12 A 9:40 in the morning, August 15.

13 Q Why did you see the patient at 9:40?

14 A That's the time I arrived to the floor to
15 see him.

16 Q Did you conduct a history and physical
17 when you saw the patient?

18 A Yes. I usually review what is available
19 in the chart, interview the patient.

20 Q Did you rely on the history and physical
21 that was performed in the emergency room, or did you
22 perform a separate history and physical?

23 A It's usually an added on. We look
24 through the chart, see what information we have, and do my
25 own question and information.

1 Q What information did you have from the
2 history and physical?

3 A It's in the consult.

4 MR. BLOMSTROM: Page 33 in your
5 chart, Doctor, is the consult. Page 3 is the initial
6 admitting note by someone else.

7 A Okay. It's listed in, described in
8 consult that I wrote for this patient. Do you want me to
9 read it?

10 Q Yes, please read it out loud.

11 A Says, "Patient seen as requested.
12 History reviewed and patient examined. Patient admitted
13 with increasing shortness of breath, cough and hemoptysis.
14 Chest X-ray showing bilateral densities above the hilum
15 with surrounding infiltrates. Patient gave history of
16 intermittent and recurrent episodes of fever and cough for
17 several weeks, aggravated by smoking and cold weather.
18 "Patient appears dyspneic, pale and rather thin-built.
19 Case discussed with Dr. DeMarco, and patient, as well as
20 family, informed of potential causes of ongoing clinical
21 condition and consideration for tissue diagnosis via a
22 VAT," which stands for video assisted thoracoscopy, "or
23 thoracotomy. The risks and benefits explained to the
24 patient and family. All understood and agreeable. Will
25 repeat the chest X-ray in a.m. and proceed with the surgery

tomorrow."

Q What does --

A "Thank you for allowing me to see Mr. Gonda."

Q And you just read from the S-1 Consultation from the St. Elizabeth's Hospital record dated 8/15/95?

A Correct.

Q What discussion did you have with Dr. DeMarco about the patient?

1 A The findings related to the X-rays that
1 had been performed on him up until that moment; the fact
1: that he had not been able to come up with an answer as to
1: the diagnosis and potential -- and cause for his condition;
14 and that he felt that, at that particular point in time,
15 maybe obtaining a tissue diagnosis rather than just waiting
16 for another sputum, which up until that point in time has
17 been non-diagnostic, so he requested a piece of the lung.
18 He asked me to do an open lung biopsy.

20 Q So as of 8/15/95, Dr. DeMarco did not
21 have a diagnosis for David Gonda?

22 MR. RICHARDSON: Objection.

23 A They had -- I'm sorry. Maybe you can
24 rephrase the question; I will be able to give you a more
25 specific answer.

Q Well, on August 15th, 1995, what was the differential diagnosis for David Gonda?

MR. RICHARDSON: Objection. Do you mean by Dr. DeMarco or by Dr. Franco, or whose differential diagnosis are you referring to?

Q Well, you discussed the potential diagnoses with Dr. DeMarco; correct?

A Correct.

Q And you were brought in to help establish a diagnosis; correct?

MR. BLOMSTROM: Well, he already said why he was brought in.

A Yeah. Up until that point, we had a diagnosis, a person with diagnosis of cough, diagnosis of fever, diagnosis of hemoptysis. All are syndromes. We want to find etiology for all these syndromes. That's what we were working at. Everyone have a diagnosis, but we do not have the etiologic diagnosis for those symptoms.

Q Did you discuss the potential etiology for the symptoms with Drs. DeMarco, Ruiz and Cropp?

A I discussed it with Dr. DeMarco.

Q And could you tell me what you discussed; do you remember the specific discussion you had?

A No, I do not.

Q What do you remember?

A That we were looking at a, what could possibly give us the clinical picture, the clinical picture that he had. And those were infectious process; a tumor, most likely metastatic; we mentioned also a possible, among the infectious process, also the possibility of tuberculosis was entertained; and also a viral process.

Q And those were all part of the differential diagnosis?

A Correct.

Q Was infective endocarditis part of the differential diagnosis?

MR. BLOMSTROM: At that time?

MR. RUF: Yes.

A No.

Q Was infective endocarditis part of the differential diagnosis at any time during your involvement in the treatment of David Gonda?

A I, if I should be more specific, I was requested to come and give a tissue diagnosis; never to treat this patient. So I actually never treated Mr. Gonda. I was just asked as a consultant to obtain a tissue diagnosis to aid in the diagnosis of this person; never to treat him.

Q What is your understanding of who was responsible for David Gonda's care and treatment at the

hospital?

A Usually the admitting physician is responsible for the care of the patient.

Q And who was the admitting physician?

A Dr. Ruiz.

Q Were you involved in any of the decisions that were made with respect to what diagnostic tests to be performed, other than the biopsy?

A Not up until -- on the 8th -- oh, I'm sorry. On Page 8, August 16, I wrote a note in the chart. It says, "Above noted." And it related to a report of an echocardiogram that was performed that morning on Mr. Gonda that reported -- in which an abnormal pathological finding was reported on the right ventricle.

And the note that I wrote states, "Above noted," which relates to that findings on the echocardiogram. "Chest X-ray shows a new infiltrate in left side. Patient continues to hemoptysis and dyspnea. 2-D echo, questionable flail chorda versus vegetation in right ventricle. Discussed it with Dr. DeMarco, Mr. Gonda and family. Will postpone surgery and obtain a TEE," which stands for transesophageal echocardiogram, "and blood cultures. Should rule out BE," stands for bacterial endocarditis, "with embolization to pulmonary artery and lung."

1 Q Do you know why the echocardiogram was
2 performed?

3 A Was at the request of Dr. DeMarco.

4 MR. BLOMSTROM: You're referring to
5 the 2 D echo now, Mr. Ruf?

6 MR. RUF: Yes.

7 MR. BLOMSTROM: Okay.

8 Q The echocardiogram that you mentioned
9 previous was a 2-D echocardiogram?

10 A Correct.

11 Q And as a result of that echocardiogram, a
12 decision was made to perform a TEE?

13 A Correct.

14 Q Why was a decision made to perform a TEE?

15 A After we obtained, the 2-D echo was
16 obtained and that shadow was in, on the right ventricle,
17 then we felt that a transesophageal echocardiogram could be
18 more -- could give us a more clear picture of what it was
19 inside the ventricle. It technically allows to see the
20 ventricular chambers more clearly.

21 Q Do you know who made the decision to
22 perform a TEE?

23 A Was a suggestion that I, I made to Dr.
24 DeMarco.

25 Q So you made the recommendation?

A (Nodding head in the affirmative).

Q Was the first time that vegetation in the right ventricle was considered as a potential diagnosis on August 16th, '95?

A It --

MR. BLOMSTROM: You mean by Dr Franco?

MR. RUF: Yes.

A I should mention that this is an interpretation, is a questionable vegetation. I'm not stating that this is a vegetation, per se. It specifically says, questionable flail chorda versus vegetation of the right ventricle. I'm not stating yes, it is a vegetation or it's a flail chorda.

Q But that was something to be considered as part of the differential diagnosis?

A Correct. But at the same time, I should re-emphasize again, I did not state it is a vegetation.

Q And that was because, based on the echocardiogram, it showed a questionable intracavitary mass?

A Correct. Excuse me.

Q Do you need to explain something?

A No.

Q To your knowledge, was an intracavitary

1 mass or bacterial endocarditis considered before August
2 16th of '95 as part of the diagnosis?

3 A Not 'chat I'm aware of.

4 Q How were the results of the 2-D
5 echocardiogram reported to you?

6 A I have come up to the floor to see Mr.
7 Gonda, and this patient scheduled for surgery that day; and
8 I found out that an echocardiogram was performed that
9 morning. And I went to look for the echocardiogram or a
10 cardiologist to read the echo, and the echocardiogram had
11 not been reported up until that moment.

12 Whatever you have in there now, it was reported
13 afterwards. After I have wrote this note in here, I went
14 and looked at echocardiogram. And by looking at that, my
15 own interpretation was, I'm not a cardiologist; I'm just
16 trying to get something that I saw something that wasn't
17 normal, and I was questioning something that could
18 potentially be.

19 Q Other than vegetation, what other
20 possible conditions would explain this intracavitary mass?

21 A Could be a clot.

22 Q Anything else?

23 A By just looking at the echo myself, I
24 don't know if I could come up with any more answers to you.

25 Q So based upon your interpretation of the

echocardiogram, the mass was either a clot or a vegetation?

A Or a flail chorda, which is a piece of the valvular apparatus which sometimes breaks and moves around.

Q What was that term; I'm sorry?

A A piece of the valve that could be rupturing and floating around in there inside; it's been in the wrong place.

Q And what was the term that you used for that?

1: A Flail chorda. Flail, which means move
1: around; chorda, C-H-O-R-D-A.

13 Q And what's the first word, frail?

14 A Flail.

15 MR. BLOMSTROM: Flail.

16 A Flail, F-L-A --

17 MR. BLOMSTROM: A-I-L.

18 A A-I-L. Sorry.

19 Q Did you discuss that with either Dr.
20 DeMarco, Dr. Ruiz or Dr. Cropp?

21 A I spoke with Dr. DeMarco.

22 Q And what was your discussion?

23 A What I have seen in the echo. And the
24 suggestion that I made for him to have a TEE. That could
25 be more sensitive.

Q So after the 2-D echocardiogram, vegetation was part of the differential diagnosis?

A Correct.

Q And that's why you've stated that bacterial endocarditis should be ruled out?

A Correct.

Q And when something is part of the differential diagnosis, is it kept in the differential until it's ruled out?

1 A Correct.

1 Q To your knowledge, was bacterial
1 endocarditis ever ruled out during David Gonda's stay at
1 St. Elizabeth's Hospital?

1 A There were no cultures to prove that.

1 Q But it was not ruled out during his stay
10 at St. Elizabeth's; correct?

1' A Not that I'm aware of.

18 Q Could you take a look at the 2-D
15 echocardiogram report, please?

20 A (Complying).

21 Q Could you tell me what findings would be
22 consistent with bacterial endocarditis?

23 A The only thing reported here by a
24 cardiologist -- I'm just going to read it all, and we'll
25 just go from there.

"This echocardiogram was of only fair technical quality as the patient was sitting upright with an underlying sinus tachycardia. Note is made that the left ventricle appears to be of normal size and appears to be hypokinesis of the posterobasal segment. Estimated ejection fraction 55 percent.

"The right ventricle appears to be of normal size. However, there is a questionable intracavitary mass noted at the level of the moderator band. No significant delineation could be made from this study, however. The left ventricle -- no significant delineation could be made from this study, however. The left ventricle, likewise there was small antero-apical effusion noted. The mitral valve was not a specific" -- no, I'm sorry -- "The mitral valve has nonspecific thickening. The tricuspid valve appeared grossly normal.

"Note was made of prominent papillary muscle within the left ventricle cavity, which appears as the posteromedial papillary muscle. The atria are of normal size. Doppler analysis was of poor technical quality. Thus no definitive comments can be made.

"Conclusions: Questionable mass in area of right ventricular moderator band; mildly abnormal left ventricular systolic function as described above; a small amount of pericardial effusion" -- I'm sorry -- "fluid seen

1 antero-apically. Suggest clinical correlation is
2 warranted."

3 Q What was it about the echocardiogram that
4 led you to consider bacterial endocarditis?

A What is described here as a questionable
mass in the right ventricular moderator band.

Q Is there anything else about the
echocardiogram that led you to suspect endocarditis?

A There was an abnormal structure in there
1 that did not belong to the right ventricle, and that is
1 what was suspected.

1: Q When was the transesophageal
1: echocardiogram performed?

14 A August 17.

15 Q And what was the result of the
16 transesophageal echocardiogram?

1: A There is a note written by Dr. Hunt on
18 August 17th. States, "Right ventricle hypokinesis with
19 mobile popcorn-appearing mass in right ventricle apex. No
20 valvular abnormalities. Normal pulmonary arteries. This
21 may represent clot in transit. Right ventricle tumor also
22 possible but less likely. Will send tape to CCF as soon as
23 possible."

24 Q So did the transesophageal echocardiogram
25 help narrow the potential diagnoses?

A It seemed to be the case.

Q And how did it narrow down the potential diagnoses?

4 A There was an abnormal structure in the
5 right ventricle. But up until that point there was no
etiologic diagnosis. We have a tumor mass; still we do not
know what it is.

Q So you still did not know whether the
mass was a clot, vegetation, a tumor or flail chorda?

1 A Correct.

1 Q And did you do anything to make a
1 determination whether the mass was a clot, vegetation,
1 flail chorda or a tumor?

1' A I will repeat once again, I was asked
1 to -- I was called in, asked to perform a lung biopsy; not
1 to treat this patient.

1 Q Well, after you suggested a
1 transesophageal echocardiogram, did your involvement in
1! David Gonda's care and treatment cease?

2(A More or less like stepping aside, was
21 waiting for them to come to narrow diagnosis tell me, yes,
22 proceed with this; no, don't do anything.

23 Q So did you leave it up to the treating
24 physicians to narrow the diagnosis further?

25 A Correct.

Q Did you communicate that to the other physicians; and if so, who did you communicate that to?

A The -- it says here on August 16, once again, "Discussed it with Dr. DeMarco, Mr. Gonda and family. Will postpone surgery, obtain TEE and blood cultures. Should rule out BE with embolization to pulmonary artery and lung."

By that I'm writing that I'm going to postpone the surgery until we get more information and make a decision as to, is it correct to proceed with the lung biopsy, or is there anything else that needs to be done.

Q So based upon the echocardiogram, you decided to wait to perform the lung biopsy?

A Correct,

Q Because the ventricular mass would explain David Gonda's condition?

A Not necessarily, but it will be something to take into consideration as to one of the potential diagnoses. We're trying to figure out up until this point what was going on with him.

Q After the TEE was performed, did you tell the doctors that you were leaving it up to them to further narrow the potential diagnoses?

A No. It was a -- there is a note written here in the chart, Dr. Hunt, after he completed

echocardiogram, he wrote in the chart, "Will send tape to CCF as soon as possible." Was Dr. Hunt recommendation and suggestion to send the films to Cleveland. I did not participate in that decision.

Q Did you have any further involvement in David Gonda's care and treatment after you recommended the TEE?

A No, I did not.

Q Did you discuss the results of the echocardiogram and TEE with any other physicians?

A No, I was informed of the, after the fact.

Q Did you have any discussions with Dr. DeMarco, Dr. Ruiz or Dr. Cropp --

A No.

Q -- about the results of the echocardiogram and TEE?

A No.

Q Did you communicate the statement in your note that bacterial endocarditis had to be ruled out with any of the treating doctors?

MR. BLOMSTROM: You mean other than writing it in the chart?

MR. RUF: Yes.

MR. BLOMSTROM: Is that what you're

saying?

A Yeah.

Q Who did you communicate that to?

4 A Dr. DeMarco, stated in the chart.

5 Q And what was Dr. DeMarco's response to
6 tha ?

7 MR. RICHARDSON: Objection.

8 A I -- to, to what? I'm sorry. Can you --

9 Q Well, you told Dr. DeMarco that you
10 though bacterial endocarditis should be ruled out;
11 correct?

12 A Correct.

13 Q And what was his response to that?

14 MR. RICHARDSON: Objection.

15 A I made the recommendation, suggestion to
16 have a transesophageal echocardiogram, and that was
17 performed.

18 Q At the time you recommended the TEE, what
19 diagnostic tests were you aware of?

20 A He had X-rays done. He had sputum
21 analysis done. He had laboratory tests done. He had a CAT
22 scan done. All which is in the chart.

23 Q And by X-rays, you're referring to the
24 plain chest films?

25 A Plain chest films, CAT scan of the chest

also.

Q And the plain chest films show infiltrates in the lungs?

A The hila; correct.

Q And that could be emboli?

A Could be anything.

Q But one explanation would be emboli?

A Not necessarily. The infiltrates in the lungs, it's a vague description of a radiological finding. It's the appearance on an X-ray of the lungs, I mean, in this case, the lungs.

Q With bacterial endocarditis, you can have embolization; correct?

A It's possible.

Q Were the plain chest films consistent with embolization to the lungs?

A Not necessarily. Excuse me. Let me rephrase the answer. You cannot make a diagnosis of embolization just based on a plain chest X-ray.

Q Well, if you have embolization to the lungs, will that show up on a plain chest X-ray; and if so, how does it show up?

A Plain X-ray will not show too well. The embolus itself will not do anything to the -- will not -- if I see an X-ray immediate following embolization, you may

not see anything. You may see the aftermath of it later on.

Q And what would the aftermath be?

A It could be some damage to the lung tissue which could be seen as an infiltrate, which can also be seen with infections, too. Infectious process can give you the same picture or similar pictures.

Q So if you had embolization to the lungs, it could show up as infiltrates on plain chest X-ray?

A May or may not.

Q Was there anything else about the plain chest X-ray that would be consistent with a diagnosis of bacterial endocarditis?

A Once again, the radiological examinations, the plain chest X-ray shows infiltrate which could be anything; not necessarily embolization, not necessarily bacterial endocarditis.

Q What else could the infiltrates be?

A Could be a tumor; could be an inflammation around a tumor. Could be a primary infection of the lung, bacterial, viral. Could be tuberculosis.

Q What about the CAT scan of the chest, what did that reveal?

A Again, shows -- I will describe the findings of the CAT scan. This is written in the report

dated August 15.

"Findings: 5-millimeter axial slices through the hila -- 5-millimeter axial slices through the hila as well as 10-millimeter axial slices through the remainder of the chest were obtained with the use of intravenous contrast. There is conglomeration of masses in the left hila extending to involve the left lower lobe parenchyma, extending over a 15-centimeter area superior to inferior 7 centimeters right to left and 8 centimeters anterior to posterior.

"In addition, there is a 4-centimeter right hilar mass. In addition, there is a 1-centimeter parenchymal lesion involving the right upper lobe seen best on Image 17, as well as a right upper lobe lesion measuring 3 centimeters.

"There are several other 1- to 2-centimeter lesions scattered throughout essentially all lobes of the lung. There are patchy areas of pneumonitis involving the right lower lobe, the right lower lobe and right middle lobe. These may represent post-obstructive pneumonitis.

"There are no pleural effusions. The lesions in the lung are relatively uniform and after enhancement are markedly enhanced -- enhancing. No definite peri-aortic, pretracheal subcarinal lymphadenopathy greater than 1 centimeter is identified. There are no pleural effusions.

1 visualized portions of the upper abdomen are unremarkable.

2 "Impression: Multiple parenchymal and hilar uniform
3 solid masses with associated pneumonitis. The uniform
4 attenuation of the lesion as well as lack of pleural
5 effusion suggests that these may not be of infectious
6 etiology. The post-obstructive pneumonitis may represent
7 hemorrhage given the patient's hemoptysis.

8 "Differential possibilities would include: Metastatic
9 disease from a primary such as testicular; Wegener's
10 granulomatosis or other inflammatory type process; other
11 primary or secondary tumors such as lymphoma. Although the
12 enhancement of these lesions is marked, it is unlikely that
13 these represent AVM's," stands for arteriovenous
14 malformation, "without congestive heart failure."

15 Q What did you think was significant about
16 that finding?

17 A We have multiple lesions in the lung, not
18 in one lobe but in both lobes.

19 Q And would embolization to the lungs be a
20 possible explanation for that?

21 A It's a very remote possibility.

22 Q Other than the possibilities listed in
23 the report, were there other possibilities for the lesions
24 in the lungs?

25 A This is a radiological interpretation.

He's looking at the pictures downstairs.

Q I understand, but as a cardiothoracic surgeon, did you have any other possible explanations for the masses in the lungs?

A Already have mentioned, listed them before at the beginning.

Q Which were what?

A I'm sorry; you have to go back again and see what I said.

Q Would bacterial endocarditis with embolization be a possible explanation for these masses in the lungs?

MR. BLOMSTROM: You mean at the time? Was that what --

MR. RUF: Yes.

A No, it was never a consideration.

Q Do you know why it was not a consideration?

A He did not have the clinical manifestation to suggest that he had bacterial endocarditis.

Q Well, looking back now, is it a possible explanation for the masses that showed up in the lungs?

A Looking back of what, when? Back on August 15, August 17, or looking at November the 16th or

1 17th?

2 Q Well, as we sit here today, would you say
3 that the masses in the lungs could be explained by
4 embolization of the bacterial endocarditis?

5 MR. RICHARDSON: Objection.

6 MR. BLOMSTROM: What bacterial
7 endocarditis? Your question assumes that that's been
8 diagnosed.

9 A See, if I go on the basis of assumptions,
10 really, no, it's anybody's assumption, anybody's guess. If
11 I go based on the information that we have now in this
12 particular date, no, there was never diagnosis of bacterial
13 endocarditis.

14 Q What are the clinical symptoms of
15 bacterial endocarditis?

16 A Fever, general malaise, compromised
17 hemodynamics. Sometimes could be in shock. There is some
18 manifestations in the skin; it's called petechiae, which is
19 seen from micro-embolizations, or the septic emboli, we
20 call them. They can go anywhere in the body. These can be
21 in the eyes; it can be in the hands; can be in the feet, in
22 the fingers, in the toes.

23 Q Do you agree that the presenting symptoms
24 for bacterial endocarditis can be highly variable?

25 A Most of the times we will see, if person

has bacterial endocarditis, generally will be a sick person. But when you make a diagnosis or you make a presumptive diagnosis, you have to look at the whole individual, not just one symptom or one sign.

And we're looking here at one individual with multiple signs, multiple symptoms, and trying to narrow the diagnosis. And as far as I can tell you, up until the moment that the echocardiogram was done, there was nothing there to indicate that he may have potential endocarditis.

Q Well, flu-like symptoms or general malaise is a symptom of endocarditis; correct?

A General malaise, yes. It's one of them.

Q And David Gonda had flu-like symptoms the whole summer; correct?

A Correct, if I go by the records.

Q Well, you said you were aware of the history and physical that was done; correct?

A Correct.

Q And as part of the history and physical, it's stated that David Gonda had a fever and cough all summer; correct?

A Correct, on and off. Intermittent, it's intermittent. He didn't say constant fever every day.

Q Is shortness of breath also a symptom of endocarditis?

1 A One of the complications of endocarditis,
2 yes.

3 Q What complication is it a symptom of?

4 A Congestive failure from the valves in the
5 heart is damaged.

6 Q Is chest pain a symptom of endocarditis?

7 A If I may say something, really, you are
8 trying, you know, you're trying to ask me numerous
9 questions here and trying to --

10 MR. BLOMSTROM: Just respond.

11 Q Please respond to my question.

12 A Okay.

13 Q Is chest pain a symptom of endocarditis?

14 A May be there; it may not be there.

15 Q But chest pain can occur with
16 endocarditis; correct?

17 A May or may not.

18 Q What about coughing up of blood from the
19 respiratory tract, can that occur with endocarditis?

20 A May or may not.

21 Q But it is consistent with endocarditis?

22 A Not necessarily.

23 Q Is irregularity in the heart rhythm a
24 symptom of endocarditis?

25 A May or may not.

Q What do you mean, it may or may not?

A May or may not. May be present; it may not be present. I mean, you have to be more specific when you talk about irregularities of heart rhythm, you know, more specific, what kind of irregularities of heart rhythm you may be referring to.

Q What about a systolic click?

A That is not a rhythm. That is a clinical finding.

Q Is a systolic click consistent with bacterial endocarditis?

A No, it is not. Systolic click is just an auscultatory sign.

Q Do you agree or disagree that bacterial endocarditis must be considered during the workup of every patient with a fever of unknown origin?

A Once again, you look at the whole patient, just not one sign, one symptom. If I go in and just treat one symptom, I'm not treating the patient; I'm treating a symptom.

Q Do you agree or disagree with that statement?

A Can you repeat the question, please?

Q Sure. That bacterial endocarditis must be considered during the workup of every patient with a

fever of unknown origin?

A Depends on the circumstances, because --

Q Under what circumstances should bacterial
endocarditis be considered in a patient with fever?

A If I have another clinical manifestation
that would direct me towards that diagnosis.

Q Okay. And what other clinical
manifestation could direct you toward the diagnosis?

A I already mentioned them before, and one
of them is general malaise, fever, as you mentioned,
clinical manifestations in the skin that indicate, you
know, septic embolization.

Q And David Gonda had general malaise all
summer; correct?

A Correct.

Q So given that David Gonda had general
malaise all summer, should bacterial endocarditis have been
considered as part of the differential diagnosis?

MR. BLOMSTROM: By whom?

A I wasn't involved in the care at that
time.

Q I understand. But should it have been
considered as part of the diagnosis?

MR. BLOMSTROM: By whom at what time?

MR. RICHARDSON: Objection.

MR. BLOMSTROM: Your question is vague and ambiguous.

Q Do you understand the question?

A No, I don't.

Q Given that David Gonda had general malaise all summer, should bacterial endocarditis have been considered in the differential diagnosis prior to his admission at St. Elizabeth's?

MR. RICHARDSON: Objection.

MR. BLOMSTROM: Objection. Again, by whom and at what time?

Q Please answer the question.

A Once again, if a patient is going to be treated, is to be based on the diagnosis, working diagnosis. Not having treated this patient before nor having seen him before, you know, I cannot make any assumption or conclusions about it.

If you are asking me a general question about, should have some, somebody who thought about this, I, the answer is, if all the clinical conditions, all the clinical manifestations, signs and symptoms were there, yes, somebody should work him up in that direction.

Q Is it your understanding that you were the first physician to consider bacterial endocarditis as a potential diagnosis?

1 MR. RICHARDSON: Objection.

2 MR. LANZ: Objection.

3 Q Go ahead.

4 A From the records I have available from
5 the hospital, that was the first time I think it was
6 mentioned.

7 Q Are you aware of whether or not serial
8 blood cultures were done prior to your involvement in David
9 Gonda's care and treatment?

10 MR. BLOMSTROM: I'm going to object
11 to that question as a misleading question under the
12 circumstances of the facts of this case.

13 Q Please answer the question subject to the
14 objection.

15 MR. BLOMSTROM: So that I understand
16 your question, you're asking him whether serial blood
17 cultures were done prior to 9:45 a.m. on August 15th? Is
18 that what --

19 MR. RUF: Correct.

20 MR. LANZ: Objection.

21 MR. BLOMSTROM: So that would cover
22 an hour and 45 minutes? Mr. Ruf, just so that we're clear
23 about this?

24 Q Do you know whether or not serial blood
25 cultures were done prior to David Gonda's admission to St.

1 Elizabeth Hospital?

2 A No, I'm not. I was -- no, I do not know.

3 Q What is the treatment for bacterial
4 endocarditis?

5 A Specific to the bacteria involved as a
6 cause for endocarditis, it's antibiotics.

7 Q Do you know how the determination is made
8 what antibiotic or antibiotics to give?

9 A You have to identify the offending or the
10 bacteria or whatever it is that is involved or causing the
11 endocarditis. Once you identify that, you obtain cultures,
12 I mean, the cultures are obtained; and they, the organism
13 that is involved that is causing the endocarditis then is
14 placed against antibiotics in the laboratory. It's called
15 sensitivity.

16 Q How do you obtain cultures of the
17 offending bacteria?

18 A Usually it's based on the, his whole
19 clinical picture, really. Most of the time the diagnosis
20 is obtained from blood cultures.

21 Q Would you agree that the chief goal in
22 treating endocarditis is to eradicate the infecting
23 organism as soon as possible?

24 A I'm sorry; I didn't understand the
25 question.

1 Q Sure. Would you agree that the chief
2 goal in treating bacterial endocarditis is to eradicate the
3 infecting organism as soon as possible?

4 A Once organism, once it is identified, it
5 should be treated with antibiotics; correct.

6 Q And it's treated with the antibiotics
7 over a four- to six-week period?

8 A Depends, and that will be the call of the
9 infectious disease specialist or the clinician involved in
10 the care.

11 Q Is there a surgical treatment for
12 bacterial endocarditis?

13 A Usually when there is hemodynamic
14 compromise, when the valves are affected and the patient is
15 hemodynamically compromised, then it is something that
16 cannot be treated with, you know, medically, stabilize it
17 with drugs.

18 Q Did David Gonda have hemodynamic
19 compromise?

20 A Not that I'm aware of. Not related to
21 valvular dysfunction, anyways.

22 Q Were you aware of whether or not David
23 Gonda was treated with long-term antibiotic therapy prior
24 to his admission at St. Elizabeth's Hospital?

2 A No, I'm not aware of.

1 Q Would you agree that bacterial
2 endocarditis is almost universally fatal if it's untreated?

3 MR. RICHARDSON: Objection.

4 A No, I'm not aware of that. I -- there
5 are cases sometimes that, depends on the degree of the
6 endocarditis.

7 Q Would you agree that bacterial
8 endocarditis has a very high mortality rate if it's
9 untreated?

10 A Correct.

11 Q And would you agree that, depending on
12 the bacteria, the survival rate for somebody that's treated
13 for bacterial endocarditis is over 90 percent?

14 A Given the proper antibiotic treatment,
15 yes.

16 Q Would you agree that the median time of
17 initiation of bacterial endocarditis to death is
18 approximately six months?

19 A I'm sorry?

20 Q Do you agree that the median time of
21 initiation of bacterial endocarditis until death is
22 approximately six months?

2 A I still am lost with your question. I'm
21 sorry.

2 Q You do not understand the question?

A No.

Q Generally how long is the time period from the time bacterial endocarditis starts until death?

4 A Once again, it depends on the, on the
5 organism and depends whether you treat it. If you treat
6 it -- I mean, are you talking about treated or untreated?

Q Untreated.

7 A Depends. There's organisms which are
8 virulent, very virulent, and they can produce a sepsis; and
9 you don't have to wait six months. Untreated, person can
10 die within weeks, a week, two weeks. You know, septic
11 shock and they are dead in a matter of a week sometimes.

12 Q So what is the range for the time period
13 from initiation until death?

14 A I think it varies really. I mean, I'm
15 not a specialist in infectious diseases.

16 Q Sure.

17 A Okay. But it's variable. It's variable
18 and depends on the organism really. There are some
19 organisms which there is low pathology and some other ones
20 which are very virulent really. Depends on the organism.

21 Q So it can be anywhere from weeks to a
22 year?

23 MR. LANZ: Objection.

24 MR. RICHARDSON: Objection.
25

1 MR. BLOMSTROM: You're asking for
2 speculation.

3 MR. LANZ: He also just said he's not
4 an infectious disease specialist.

5 A I cannot answer that question. I think
6 it's too vague. I'm sorry.

7 Q Was David Gonda given antibiotic therapy
8 at St. Elizabeth's Hospital?

9 A Yes, he was, I understand.

10 Q What antibiotic therapy was he given?

11 A There's an order on, written on August 15
12 at 8:15, Bactrim DS. And there is another order on August
13 17th, 8:35 a.m., to give him Ancef, 1 gram IV, q 8 hours.
14 Or every eight hours; I'm sorry.

15 Q Did the antibiotic therapy provide any
16 relief of David Gonda's symptoms?

17 A No.

18 Q Was a definitive diagnosis ever reached
19 at St. Elizabeth's Hospital?

20 MR. RICHARDSON: Objection.

21 A Not that I'm aware of.

22 Q Do you know why David Gonda was
23 transferred to the Cleveland Clinic?

24 A It was my understanding the family
25 request.

Q The family's request?

A Correct.

Q Do you know why they transferred him to the Cleveland Clinic?

MR. LANZ: Objection.

A Once again --

MR. BLOMSTROM: You mean aside from what he just said?

MR. RUF: Yes.

MR. BLOMSTROM: The family's request? You're asking for him to say why the family wanted him --

MR. RUF: I just want to know if he knows.

A No.

Q What was David Gonda's condition when he was transferred to the Cleveland Clinic?

A I am not aware of.

Q Do you know if an echo-guided biopsy of the ventricular mass via right heart catheterization was considered by you or the other physicians at St. Elizabeth's Hospital?

MR. RICHARDSON: Objection.

A No.

Q That was not considered?

A I'm sorry? We were going through the

preliminary stages of making the diagnosis what we had in the right ventricle first. A diagnosis of an abnormal right ventricle was made. The family was informed. I understand by this note the family requested him to be transferred to the Cleveland Clinic.

Q Would that be a method of determining the etiology of the lesion in the ventricle?

A We're talking in general? We're talking about --

Q Yes.

A -- in general? If you have a mass in the ventricular cavity, in the right ventricle, you can attempt to do a, you know, a transvenous biopsy. If it is in an area which is accessible, an area which, by doing it, is not going to compromise the intracavitary structures.

Q Do you perform echo-guided biopsies of ventricular masses?

A I do not.

Q What type of doctor performs that procedure?

A I understand it's done by cardiologists most of the time, in general. Generally done by cardiologists.

Q Was a cardiologist consulted on David Gonda's case while he was at St. Elizabeth's?

A There was a request for a stat 2-D echo on August 16 at 10:45 p.m., request by Dr. DeMarco. Not a specified -- there is no specification as to a particular cardiologist to read the test in the chart. The test was interpreted by Dr. Hoffman. It was read by him. And the next is a request on August 16th, had to be in past 24 hours because there's no time in here, "Consult Dr. Hunt for TEE."

Q So the involvement of a cardiologist at St. Elizabeth's was limited to interpretation of the echocardiogram?

A Perform the test and interpret it, yeah.

Q And the TEE?

A Correct.

Q When you first discussed the symptoms and possible etiology of those symptoms with Dr. DeMarco, did he think that the etiology was pulmonary, or did he also suspect a cardiac problem?

MR. RICHARDSON: Objection.

A We were working with a, trying to work out the problems of cough, hemoptysis, trying to find the cause of that.

Q Did he tell you whether or not he thought that the etiology was pulmonary or cardiac?

A We didn't know. At that point in time we

are suspecting a primary infiltrate; we are suspecting a tumor, a metastatic tumor from anywhere in the body.

Q Did he tell you what he thought the most likely etiology was?

A No. We were -- at that particular time we had different, at least three potential diagnoses in the workup then.

Q Do you have an opinion now based upon medical probability as to what the diagnosis was for David Gonda?

MR. LANZ: Objection.

MR. RICHARDSON: Objection.

A The only information I have on this patient is up to this point whatever is in the records at St. Elizabeth Hospital and whatever records there were, information that was provided by the Cleveland Clinic later on.

Q So you don't have an opinion independent of what's written in the St. Elizabeth's records?

MR. BLOMSTROM: No, I think he said not as of today he didn't.

MR. RUF: That's what I'm asking.

Q Do you have an opinion independent of the St. Elizabeth's records as to what the diagnosis was?

MR. RICHARDSON: Objection.

1 Q Or should have been?

2 A What do you mean by independent? I'm
3 sorry; I think I got lost there.

4 Q Well, I'd like to know if, as of today's
5 date, you have an opinion as to what the diagnosis should
6 have been for David Gonda?

7 MR. RICHARDSON: Objection.

8 MR. LANZ: Objection.

9 MR. BLOMSTROM: Objection.

10 A I, in the retrospective way, diagnosis
11 should have been, as back again, I'm like trying to be, I'm
12 going to tell this gentleman, okay, you die because you had
13 cancer; you die because you have an infection, because you
14 have something else. Should have been, it's one thing as
15 should have been as was. It's just, it's like me trying
16 to, yes, label somebody with a diagnosis. The way I want
17 him to be is not an accurate diagnosis.

18 I mean, I think your question is still very vague
19 really because you're trying to tell me, give this young
20 man diagnosis, whatever you think should have been. I
21 mean, is not should have been. He had a diagnosis.
22 Unfortunately, no, it's the diagnosis, you know, we have
23 there on the chart. He's a deceased person. But as far as
24 should have been, is a different story. I think just --
25 I'm sorry; I cannot, should have, I cannot --

3 Q Well, if you have no opinions, say you
4 have no opinion. If you have an opinion, I would like to
5 know what your opinion is.

6 A I have no opinion based on this, your
7 question. I don't know. I should say, based on his
8 question, I have no opinion.

9 Q Do you believe it's more probable than
10 not that, no matter what treatment David Gonda was given at
11 St. Elizabeth's Hospital, that he was going to die?

12 MR. RICHARDSON: Objection.

13 A Based on the final diagnosis, post-mortem
14 examination, unfortunately, yes.

15 Q So it's more probable than not that, by
16 the time David Gonda was admitted to St. Elizabeth's
17 Hospital, that he was going to die?

18 MR. LANZ: Objection.

19 MR. RICHARDSON: Objection.

20 A Based on the information that we have
21 now, yes.

22 Q Do you have an opinion based on medical
23 probability as to what point he could have received
24 treatment and survived?

25 MR. LANZ: Objection.

A No.

Q You have no opinion on that?

A No.

Q Okay. I'm handing you what's been marked
as Plaintiff's Exhibit 1. Could you please identify
4 Plaintiff's Exhibit 1?

A Yeah, copies of my office records.

Q Is Plaintiff's Exhibit 1 a complete copy
of your office records for David Gonda?

A Yes, that's all I have.

Q Yes?

A Yes.

Q And is Plaintiff's Exhibit 1 an accurate
12 copy of your office chart?

A Correct.

Q Did you only see David Gonda at St.
15 Elizabeth's Hospital?

A Correct.

Q There is a typed page in Plaintiff's
18 Exhibit 1 with two initials and a date of 9/18/96. What is
15 that typed copy?

A It's a transcription of the consultation
21 that I wrote at St. Elizabeth's Hospital on Mr. Gonda on
22 August 15th.

MR. RUF: Okay. Why don't we mark
24 these.

(Whereupon the reporter marked for identification
25

Plaintiff's Exhibits 1-A and 1-B.)

Q So the record is clear, Plaintiff's Exhibit 1-A is the handwritten record from the hospital?

A Correct.

Q And Plaintiff's Exhibit 1-B is a transcription of that handwritten record?

A Thank you. Yes, correct.

Q And Plaintiff's Exhibit 1-A is handwritten by you?

A Correct.

Q Could you please identify Plaintiff's Exhibit 2?

A It's an affidavit.

Q That's a copy of a sworn statement under oath that you have made?

A Correct.

Q And in Plaintiff's Exhibit 2, you make the statement, "I thought that bacterial endocarditis with embolization should be ruled out"?

MR. BLOMSTROM: You're talking about where he is talking after the 2-D echocardiogram came back?

MR. RUF: Correct.

A Correct.

Q Wouldn't bacterial endocarditis explain the medical symptoms that David Gonda was having?

1 MR. LANZ: Objection.

2 A Not necessarily.

3 Q What else would explain the medical
4 symptoms that he was having?

5 MR. LANZ: Just so I'm clear, you
6 mean the symptoms at the time of his hospitalization?

7 MR. RUF: Correct.

8 A A pneumonia, primary pneumonia,
9 tuberculosis, a far-advanced neoplastic process with
10 metastasis to the lung.

11 Q I'm sorry; what was that?

12 MR. BLOMSTROM: A far-advanced
13 metastatic process, neoplastic process.

14 A With metastasis to the lungs.

15 Q Anything else?

16 A No, I think I listed them already in the
17 beginning.

18 Q With pneumonia, you would not have a mass
19 in the heart; correct?

20 A We're talking about the thinking
21 processes back August 15 or now, November 1997?

22 Q November 1997.

23 A If --

24 Q Oh, I'm not talking about David Gonda in
25 particular. With pneumonia, you do not have a mass in the

heart; correct?

A Depends on what the type of mass we're looking at. If I have -- are we talking about in general?

Q In general.

A Not Mr. Gonda?

Q Now I'm talking in general; not --

A We're talking about in general?

Q Correct.

A We're generalizing now; we're not specific about Mr. Gonda; correct?

Q Correct.

A One scenario, have a person that comes into the hospital with whatever condition, has an infection or comes from home with a pneumonia. Sits in the hospital for 24 hours. They will develop venothrombosis, embolize to the heart and sits in the heart. There I have a mass in the heart, have a clot in the heart.

Q Well, if David Gonda had only had --

A Excuse me. I'm sorry; we're talking -- excuse my interrupting, but you asked me in general.

Q Yes.

A Okay.

Q Pneumonia is a condition of the lungs; correct?

A Correct.

Q It's not a condition of the heart;
correct?

A Correct.

Q And if somebody only has pneumonia,
they're not going to have a mass in the heart; correct?

A They can have it. If a person is ill
enough with a pneumonia to lay in bed for 24 hours, or six
hours, that's enough; can develop the venothrombosis in the
legs or in the pelvis. That clot can travel, in other
words, embolize, and it's embolized from the veins to the
right side of the heart. Sits in the heart, and we have a
thrombus in there. So we have a mass in the right
ventricle now.

Q So a mass in the right ventricle can
cause pneumonia; correct?

A No, sir. Talking about the reverse
situation. You asked me about a person with a pneumonia,
can have a mass in the right heart? Yes, he can have it.
And I explained to you, it's now I have a person with an
infectious process, is very ill, ill enough to lay in bed
for 24 hours or even for a few hours in bed. Venous stasis
can lead to thrombus formation in the veins in the legs or
pelvis. That clot can travel.

 All it needs is to cough or go to the bathroom, move
his bowels; increase the venous pressure, sucks up the

clot, goes to the heart and from there travels to the lungs. So I can have both; I can have a clot in the lungs and have a clot in the heart, too.

Q What about with tuberculosis, will a person have a mass in the heart?

A You're looking at a very far remote possibility, somebody develops an emboli, which is a condition that is, you know, which can affect the kidneys.

Q So that would be a very rare occurrence?

A Very rare occurrence.

Q What about with, I'm sorry, is it neoplastic metastatic disease?

A Yes.

Q What was the term you used?

A Neoplastic, no, far-advanced neoplastic process with metastasis to the lungs.

Q With that condition, would you have a mass in the heart?

A The same explanation like in pneumonia. If a person has a far-advanced neoplastic process, they have a predilection for thrombocytosis, in other words, aggravation from platelets and forming clots. And they form a clot in the legs, we have the same picture again, potentially.

Q Okay. I'd like to review the progress

1 note for 8/17/95 of 8:30 a.m.

2 MR. LANZ: Which note was that?

3 MR. RUF: 8/17/95, 8:30 a.m.

4 Q Do you know who signed that note?

5 A It appears to be Dr. DeMarco's
6 handwriting.

7 Q Can you read the last three sentences?

8 A "2-D echo, questionable tricuspid
9 vegetation. Clinically this could be put to," I think it's
10 "could be put together if he did, in fact, have a
11 right-sided endocarditis."

12 Q Do you remember having any discussions
13 with Dr. DeMarco about this statement?

14 A No.

15 Q Did you ever have a discussion with Dr.
16 DeMarco that clinically the whole picture could be put
17 together if David Gonda did, in fact, have right-sided
18 endocarditis?

19 A No, I did not.

20 Q Did you have that discussion with either
21 Dr. Cropp or Dr. Ruiz?

22 A No. And I would like to make a comment.
23 You're talking about August 17; correct?

24 Q Yes. No, at any time.

25 A No, you asked me the question which

1 started with the premise of these three sentences here.

2 Q Right.

3 A And you followed the question after
4 question after question based on this here.

5 Q Yes.

6 A It was a continuation of questions.

7 Q At any time did you --

8 A Okay. You --

9 Q Did you have a discussion with Dr. Cropp,
10 Dr. DeMarco or Dr. Ruiz that clinically David Gonda's
11 picture could be put together if he did, in fact, have
12 right-sided endocarditis?

13 A No, I did not. Other than the statement
14 that was made previously about rule out endocarditis.

15 Q What discussions do you remember having
16 with the Gonda family?

17 A Initially, in the initial interview about
18 going over, interviewing him about symptoms, history, doing
19 a physical on him. And then discussing with him about the
20 potential diagnoses and the reason why I was called in.
21 And discussed with him about the means in which I was --
22 they were planning on doing the biopsy of the lungs and the
23 intention. We had initial plans to do it through
24 thoracoscopic biopsy of the lung. And he was also informed
25 that -- and the family was also present -- informed that we

1 were, that sometimes we do have to resort to an open biopsy
2 if the thoracoscopic procedure did not allow us to obtain
3 an adequate amount of tissue, or, in the event of bleeding,
4 the chest would require to be opened.

5 Q What --

6 A I also told him that the reason that we,
7 that obtaining the tissue diagnosis will -- I mean, the
8 tissue of the lung will allow us to obtain some cultures
9 and do some histopathologic studies that might aid us with
10 his diagnosis and also on the steps to be taken in his
11 treatment.

12 Q What did you tell him that the potential
13 diagnoses were?

14 A Were mentioned, I mentioned in the chart
15 again. I had mentioned them before.

16 Q Did you have any additional conversations
17 with the family?

18 A We discussed about, you know, potential
19 bleedings, complications of the surgery, the potential need
20 for blood transfusions. They requested that they wanted to
21 donate blood, and we took the necessary steps to arrange
22 for the family to donate blood for him.

23 Q So that was a second conversation that
24 you had?

25 A I do not remember. I remember this as a

1 whole, the conversations that I had with them.

2 Q Do you remember how many conversations
3 you had with them?

4 A At least two.

5 Q In the nurse's note of 8/16/95 at 9:40,
6 it states, "Dr. Franco has spoken with patient's family.
7 Patient coughing and vomiting up bright red blood."

8 A I'm sorry; what date was that?

9 Q August 16th of '95. Here, I can give you
10 the note if you'd like.

11 A Uh-huh.

12 Q Do you remember what conversation you had
13 with the family at that time?

14 A Is that 15th or 16th? I believe this is
15 15th.

16 Q Maybe I misread it. It's hard to read at
17 the top.

18 MR. BLOMSTROM: That looks like a 15
19 to me.

20 A 15, because you have the first page is,
21 "Admitted to 4411," so that's the date he was admitted, on
22 August 15th.

23 Q Okay. Is that the initial discussion
24 that you had with the family?

25 A Correct. That's the initial interview I

had with them.

Q Do you remember any conversations with Dr. DeMarco that we have not discussed?

A No, I don't.

Q Do you remember any conversations with Dr. Cropp that we have not discussed?

A No.

Q Do you remember any conversations with Dr. Ruiz that we have not discussed?

A No.

MR. LANZ: Objection.

Q Just let me look over my notes, and I think I'm finished.

If you were going to conduct any research on bacterial endocarditis, what literature source would you consult?

A Can you be more specific about research? What do you mean by research?

Q Well, do you ever do medical research in treating patients? Do you ever consult medical literature or medical textbooks?

A Are you talking about if I am going to review some information but not research as to research, because talk about research can be taken in a broad concept, as investigative type of things; or I'm just going to investigate something in general?

1 Q Yes, if you wanted to look up information
2 on bacterial endocarditis --

3 A Uh-huh.

4 Q -- what would you look to?

5 A I would just try to look at any
6 information that is available in medical journals, in
7 textbooks.

8 Q Is there a cardiology textbook that you
9 would consult?

10 A There are so many, really, that is
11 difficult to say really.

12 Q Is there one that you regularly use in
13 your practice?

14 A There are more than one really. Just
15 pick up textbook, you know, read your notes, try to
16 comprehend information.

17 Q Do you use any medical textbooks
18 regularly in your practice?

19 A There are a couple textbooks that we read
20 as a general information.

21 Q What textbooks do you regularly use on
22 the issues of cardiology?

23 A I'm not a cardiologist.

24 Q Do you receive any periodicals at your
25 office?

A Yes.

Q What periodicals do you receive?

A General Thoracic and Cardiovascular
Surgery and the General Cardiac Surgery. And there is a --
what is -- I forgot the name. Sorry. Drew a blank. It's
a red textbook. I can see it. It's a periodical, too.

Q Do you regularly review those
periodicals?

A Yes, I review whatever information is
pertinent, that I find that is of interest; I read it.

Q And do you rely on those periodicals in
your practice?

A Not -- not necessarily.

Q But you read the articles to keep
updated?

A Yes.

MR. RUF: Okay. Thank you. That's
all I have.

MR. BLOMSTROM: Mr. Richardson?

CROSS EXAMINATION:

By Mr. Richardson

Q Dr. Franco, I represent Dr. DeMarco and
Dr. Cropp. Do you have any criticisms of Dr. Cropp in his
involvement in this particular matter?

A No. And as a matter of fact, I don't --

I believe the person I spoke with most of the, just about every, all the time was Dr. DeMarco rather than Dr. Cropp.

Q And do you have any specific criticisms of Dr. DeMarco with his involvement in this particular matter?

A Not during this gentleman's hospitalization.

MR. RICHARDSON: Okay. I have no further questions.

MR. LANZ: I have no questions.


MR. BLOMSTROM: Okay. Thank you.

He'll read.

SIGNATURE NOT WAIVED

REPORTER'S CERTIFICATE

I HEREBY CERTIFY that the above and foregoing is
a true and correct transcript of all the testimony
introduced and proceedings had in the taking of the
testimony in the above-entitled matter as shown by my
stenotype notes taken by me at the time said testimony was
taken.



Mary J. Carney
Registered Merit Reporter

STATE OF OHIO)
) *ss:* CERTIFICATE
 MAHONING COUNTY)

I, ALEJANDRO FRANCO, M.D., depose and say that I have read the foregoing deposition and find it true and correct, unless otherwise specifically excepted to and indicated on Page 69-A, and any following numbered pages thereafter, if applicable, and I subscribe my signature to the aforesaid deposition this ____ Day of _____, 19__.

 ALEJANDRO FRANCO, M.D.

Before me, a Notary Public within and for the State of Ohio, personally appeared ALEJANDRO FRANCO, M.D., who, being first duly sworn, deposes and says that he has read the foregoing deposition and finds it true and correct to the best of his knowledge, information and belief, unless otherwise specifically excepted to and indicated on Page 69-A, and any following numbered pages thereafter, if applicable.

, SWORN AND SUBSCRIBED before me this ____ Day of _____, 19__.

 Notary Public, State of Ohio
 My Commission Expires _____
 (MC)