

IN THE COURT OF COMMON PLEAS

Doc. 164

CUYAHOGA COUNTY, OHIO

BERNITA M. QUINN, ADMRX.,
etc.,

Plaintiff,

-vs-

JUDGE GRIFFIN
CASE NO. 175672

HILLCREST HOSPITAL,
et al.,

Defendants.

- - - -

Deposition of MICHAEL FRANK, M.D., taken as if
upon cross-examination before Kenneth F.
Barberic, a Registered Professional Reporter and
Notary Public within and for the State of Ohio,
at the offices of Charles Kampinski Co., L.P.A.,
1530 Standard Building, Cleveland, Ohio, at 4:00
p.m., on Wednesday, November 27, 1991, pursuant
to notice and/or stipulations of counsel, on
behalf of the Plaintiff in this cause.

- - - -

MEHLER & HAGESTROM
Court Reporters
1750 Midland Building
Cleveland, Ohio 44115
216.621.4984
FAX 621.0050
800.822.0650

APPEARANCES:

Charles I. Kampinski, Esq. . .
Charles Kampinski Co. L.P.A.
1530 Standard Building
Cleveland, Ohio 44113
(216) 781-4110,

On behalf of the Plaintiff;

David Best, Esq.
Jacobson, Maynard, Tuschman & Kalur
1001 Lakeside Avenue
Suite 1600
Cleveland, Ohio 44114-1192
(216) 736-8600,

On behalf of the Defendants
Walter Maciejewski, M.D. &
Lakeland Emergency Associates;

Stephen Walters, Esq.
Kitchen, Deery & Barnhouse
1100 Illuminating Building
Cleveland, Ohio 44114
(216) 241-5614,

On behalf of the Defendant
Hillcrest Hospital.

1 MICHAEL FRANK, M.D., of lawful age,
2 called by the Plaintiff for the purpose of
3 cross-examination, as provided by the Rules of
4 Civil Procedure, being by me first duly sworn,
5 as hereinafter certified, deposed and said as
6 follows:

7 CROSS-EXAMINATION OF MICHAEL FRANK, M.D.

8 BY MR. KAMPINSKI:

9 Q. would you state your full name, please?

10 A. Michael Frank, F-R-A-N-K.

11 Q. And where do you work, doctor? Is this the
12 address, Old Tannery Acres?

13 A. That's my professional address.

14 Q. Is that your home?

15 A. That's my home and my professional address.

16 Q. Okay. Do you have a CV, sir?

17 A. I don't have it with me. I have one. I can get
18 it for you.

19 Q. Why don't you run me through your educational
20 background starting with high school?

21 A. High school, I went to Wheatley School in Long
22 Island, I left after I was a junior and entered
23 Yale College in the mid '60's. I received my
24 high school diploma after my first year at Yale.

25 Q. Was this some type of early admission program?

1 A. It wasn't really a program. I quit high school
2 and convinced the college to accept me.

3 Q. How did you do that?

4 A. They liked me.

5 Q. Well --

6 A. I went there and said I was ready for college.
7 I had taken some advanced placement already and
8 even though I didn't have a high school diploma
9 I met most of their admission requirements and I
10 think they were making a trial to take some
11 people like me.

12 Q. When did you start Yale?

13 A. The mid '60's. It would have been, I think, '66
14 when I entered.. I graduated after four years,
15 in 1970, with a BA degree and I had an
16 interdepartmental major in psychology and
17 philosophy.

18 I took some studies in the summer of '70 at
19 Dartmouth in organic chemistry and in the fall
20 of '70 I entered Case Western School of
21 Medicine, I graduated with an **M.D.** degree in
22 1974 and I took a one year surgical internship
23 at North Shore University Hospital in Manhasset,
24 New York, from July of '74 through June '75.

25 Then I returned to Cleveland and did a one

1 year surgical residency in the University
2 Hospitals program. That would bring us to,
3 through June of 1976.

4 And then I took one year of pathology
5 residency at St. Luke's Hospital. That would
6 bring us through June of '77.

7 Then I took six months off to recuperate
8 from back surgery which took me through that
9 entire year and while I had been doing the two
10 years of residency here in Cleveland I had been
11 moonlighting in emergency departments in town
12 and while I was convalescing I was offered a
13 full-time job and began the full-time practice
14 of emergency medicine in January of 1978 at
15 Huron Road Hospital where I was there for a
16 year.

17 Then I spent four months working at the
18 emergency department of St. Alexis and quit
19 there and moved to, or changed my position to
20 Akron, at Akron City Hospital, where I was with
21 the emergency department there for five years,

22 And then I went to Barberton Hospital where
23 I started in 1984 and I have been at Barberton
24 since then. And during that time I, I entered
25 Case Western's Law School and received my JD

1 degree in May of 1990.

2 Q. Okay. Do you practice law?

3 A. Yes, I do.

4 Q. And where do you practice law?

5 A. Out of that address there,

6 Q. What kind of law do you practice?

7 A. Mostly business law. Some, some plaintiff's
8 work. It's not a large practice.

9 Q. Do you have any affiliation with Jacobson,
10 Maynard, Tuschman & Kalur?

11 A. No, I do not.

12 Q. Are you insured by PIE?

13 MR. BEST: Objection. But go
14 ahead.

15 A. I believe that the group I work for has a policy
16 with PIE, although I'm not sure.

17 Q. What group?

18 A. I practice with Acute Care Specialists.

19 Q. And that's your work out of Barberton?

20 a. That's correct.

21 Q. Is that a corporation?

22 A. Yes, it is,

23 Q. So Acute Care Specialists, Inc., right?

24 A. I believe so.

25 Q. All right. And they are insured with PIE?

1 MR. BEST: Objection.

2 A. I think so.

3 Q. Have you, yourself, ever been sued and defended
4 by PIE?

5 MR. BEST: Objection,

6 A. I **don't** think so.

7 Q. Has your group been sued and defended by PIE?

8 A. I think they have.

9 Q. And who at PIE has defended your group?

10 A. I don't know. I'm not familiar with any of the
11 particular cases. I think there have been
12 because it's been mentioned to me before. Some
13 of the other physicians asked my advice about
14 what do I think of the case and I believe from
15 hearing them that it's been PIE, but I have no,
16 no other way of knowing that.

17 Q. You have never testified in any such case?

18 A. Involving Acute Care Specialists?

19 Q. Yes.

20 A. No.

21 Q. And you never met with any physicians who have
22 been sued and any members of PIE with respect to
23 those cases?

24 A, No.

25 To your knowledge, has Mr. Best?

1 A. I'm sorry?

2 Q. Has Mr. Best represented your group?

3 A. I don't know. If he has I don't know about it,

4 Q. And you've been with the group since '84?

5 A. Correct.

6 Q. How are you compensated for your work? I mean
7 you contract with Acute Care Specialists. Are
8 you an employee of theirs?

9 A. No.

10 Q. How do you get paid?

11 A. I'm an independent contractor and my
12 professional corporation has an agreement with
13 Acute Care Specialists.

14 Q. What's your professional corporation?

15 A. EMS M.D., Incorporated.

16 Q. And what's the nature of the contract? Do you
17 get paid hourly, daily, monthly, yearly?

18 A. We just changed this year and it's, there is a
19 fairly complex formula. It involves a fee for
20 service formula. We get paid a certain amount
21 hourly, but the amount of fees generated are
22 calculated for the entire group and there's a
23 complex formula which I can't relate to you
24 because I don't understand it myself.

25 Q. How many employees does EMS M.D., Inc. have?

1 A. One.

2 Q. Yourself?

3 A. Correct.

4 Q. Does anybody else work out of this, out of your
5 home?

6 A. **No.**

7 Q. What is F.A.C.E.P.?

8 A. Fellow of American College of Emergency
9 Physicians. I'm going to ask you to bear with
10 me, I've got a cold and if, I won't be able to
11 talk louder.

12 Q. As long as you keep **it** to yourself.

13 A. Okay.

14 Q. Is there a board certification for emergency
15 room physicians?

16 A. Yes.

17 Q. And are you board certified?

18 A. Yes, I am,

19 Q. And when did you become board certified?

20 A. 1984.

21 Q. And that's when you started with Barberton?

22 A. I had actually passed the exam, taken the exam
23 just before I started with Barberton.

24 Q. Okay. Why did you leave Huron Road?

25 A. God, that's been so long ago. I think it was

1 because I wasn't happy there, I had some
2 disagreement with the management, the people
3 that hired me there.

4 Q. What were the nature of the disagreements?

5 A. They wanted me to be able to work at different
6 hospitals and they had contracts with other
7 hospitals and they had placed some requirements
8 on certification at Hillcrest which I disagreed
9 with and we had a falling out over that,

10 Q. I don't understand the certification.

11 A. They were trying out a new program out there at
12 Hillcrest for physicians to become credentialed
13 for the emergency department. Where there was
14 some political moves by the anesthesiology
15 department to have any physician who was working
16 in the emergency department go through a
17 certification in airway management, endotracheal
18 intubation by the anesthesiologists, and I was
19 the first and only person to go through that and
20 I had a disagreement with the anesthesiologist
21 about the appropriate way to do emergency
22 intubations and even though I had demonstrated
23 the proficiency in intubation he said that I
24 needed more training and I said that it was
25 nonsense. And that was our disagreement.

1 Q. Okay. How about St. Alexis, why did you leave
2 there?

3 A. I left there because the person who had that
4 contract turned out to be a somewhat
5 unscrupulous gentleman and it became apparent
6 that he was not long for that contract and in
7 any case --

8 (Thereupon, Mr. Walters entered the
9 deposition room.)

10 A. -- and I decided that I didn't want to work for
11 him.

12 Q. Who was that?

13 A. His last name was Notash. Dr. Notash,
14 N-O-T-A-S-H. I don't remember his first name.

15 Q. How about Akron City, why did you leave there?

16 A. The, there were a couple of reasons. One of
17 which, the main one was that I had been
18 appointed the associate director of EMS
19 activities that works with the rescue squads,
20 that was about in 1982, and that was a half time
21 position. I was working half of my time in
22 clinical hours and half in that position. We
23 were getting busier in the emergency department
24 and we needed more clinical coverage and they
25 elected to assign increased clinical coverage to

1 me and not the other person who was in the EMS
2 office with me rather than spreading it out and
3 I told them I wouldn't do it. And we had a
4 parting of the ways.

5 Q. Did they terminate you?

6 A. It was mutual.

7 Q. How --

8 A. It was not my original choice, though.

9 Q. How much time do you currently spend in the
10 emergency room at Barberton?

11 A. It probably works out to between 40 to 50 hours
12 a week. It will be about 2,000 hours for
13 calendar year 1991.

14 Q. And what's your schedule?

15 A. I don't understand.

16 Q. Do you go in there daily 8:00 to 5:00?

17 A. It's erratic. There are two shifts that the
18 attending physicians work. The day shift runs
19 from 8:00 a.m. to 7:00 p.m. The night shift
20 runs from 7:00 p.m. to 8:00 a.m. the next
21 morning. Those are the only two shifts, There
22 are occasional fill-in shifts that are shorter
23 when we make special arrangements. Those are
24 the most common shifts that I work and it's
25 irregular.

1 Q. Who sets your schedule?

2 A. There is an assistant director who makes up the
3 schedule monthly.

4 Q. Of Acute Care Specialists?

5 A. He's the assistant director at Barberton
6 Hospital.

7 Q. I see,

8 A. In the emergency department.

9 Q. Okay. And your billing then would be done
10 through Acute Care Specialists?

11 A. Billing?

12 Q. Yes.

13 A. You mean my billing of them?

14 Q. Billing of patients.

15 A. Patient billing is all done by Acute Care
16 Specialists.

17 Q. Okay. How many times have you testified as an
18 expert witness, doctor?

19 A. In malpractice cases?

20 Q. Okay. Sure.

21 A. We're restricting it to depositions?

22 Q. Any testimony.

23 A. Probably more than, more than ten, less than
24 twenty-five. Something in that range.

25 Q. And how many times for Jacobson, Maynard,

1 Tuschman & Kalur?

2 A. That I'm not certain of. Probably about ten
3 times.

4 Q. How many times for Mr. Best?

5 A. Once or twice? Something like that. No more
6 than two or three times. I can recall one. I'm
7 not sure if there were others.

8 Q. How about for Mr. Charms?

9 A. I never testified for Mr. Charms.

10 Q. When were you first --

11 A. This is Mr. Charms?

12 MR. WALTERS: No. I'm Steve

13 Walters, by the way. I'm sorry.

14 A. I talked to Mr. Charms on the telephone. I
15 never met him.

16 Q. When did you first write a report in this case?

17 A. I didn't write a report in this case.

18 Q. When were you first contacted?

19 A. Excuse me. I'm sorry. I stand corrected. The
20 report was January 26th.

21 Q. Well, wait a minute. Did you or didn't you
22 write a report?

23 A. I did write a report.

24 Q. Just so, just so we understand each other, I
25 mean Mr. Best just handed you what I have been

1 provided with as apparently a report of yours to
2 Mr. Charms. Did you write this, doctor?

3 A. I did write it.

4 Q. When did you write it?

5 A. Shortly before January 26th, 1990.

6 Q. How do you know that?

7 A. It's dated that time. And I would write it
8 before I sent it.

9 Q. And how is it that Mr. Charms contacted you? Do
10 you recall?

11 A. I think he called me but I don't recall
12 offhand.

13 Q. And did you review anything in addition to
14 what's set forth in your report?

15 A. Prior to this report?

16 Q. Sure.

17 A. No.

18 Q. How about since then?

19 A. Yes.

20 Q. What have you reviewed since then?

21 A. I've reviewed the deposition of Walter
22 Maciejewski, The deposition of --

23 Q. What's the date of that deposition?

24 A. That's from, I'm sorry, Friday, February 2nd,
25 1990.

1 I've reviewed the April 27th, 1990
2 deposition of Catherine Keating.

3 And I've reviewed the first 35 pages of the
4 November 7th, 1991 deposition of Paul Kohn.

5 I've reviewed the emergency department
6 record of October 1st, 1988 of the Lakeland, or,
7 excuse me, Houston Northwest Medical Center
8 Emergency- Department.

9 And I reviewed the reports of June 1st,
10 1989 and April 9th, 1990 of Dr. Paul Kohn.

11 Q. Okay. When did you review the '89 report of
12 Dr. Kahn?

13 A. This morning,

14 Q. This morning?

15 A. Yes.

16 Q. All right. I take it then you reviewed both of
17 those this morning?

18 A. Yes.

19 Q. When did you review the emergency room record
20 from Houston?

21 A. This morning.

22 Q. How about the, how about Dr. Kohn's deposition?

23 A. The first 35 pages I read probably sometime this
24 morning or this afternoon.

25 Q. How about Dr. Keating's and Dr. Maciejewski's?

Dr. Maciejewski's deposition I reviewed, I believe, a couple of weeks ago.

5 A. I received Dr. Maciejewski's deposition on
6 February 10th, 1990. The other ones I received
7 last night.

25 And the reason you didn't bring it was what

1 again? I mean what is contained in there that
2 you felt that I shouldn't see?

3 A. Correspondence between myself and the attorneys
4 involved in the case.

5 Q. So there's letters from you to them?

6 A. I'm not sure. Probably.

7 Q. And how many letters are we talking about?

8 A. I don't know.

9 Q. And what are the dates of the letters?

10 A. I don't know that either.

11 MR. KAMPINSKI: Can I be provided
12 with those, Mr. Best?

13 MR. BEST: I don't even know what
14 he's talking about. But I will look into
15 it.

16 MR. KAMPINSKI: In light of the
17 fact that we have a trial shortly I don't
18 want to run over to the court Monday
19 morning with a motion.

20 MR. BEST: I haven't even looked at
21 his file ever. I never looked at it. I'm
22 seeing it with you. I don't know anything
23 about it. I'll look into it. I assume
24 they are just cover letters, but I don't
25 know what they are.

1 Q. What are they?

2 A. The letters?

3 Q. Yes.

4 A* They are mostly cover letters, the introductory
5 letter that was received with this. There's
6 billing statements, things like that.

7 Q. Why don't you let me take a look at what you did
8 bring.

9 Do you know Dr. Maciejewski?

10 A. No, I do not.

11 Q. Have you ever testified on behalf of the
12 emergency room physicians at Hillcrest before?

13 A. Not on their behalf, no.

14 Q. Against them?

15 A. I believe so.

16 Q. When was that?

17 A. Sometime in the mid 1980's there was a case
18 against, I believe, Dr. Gross. Nyerges versus
19 Gross, N-Y-E-R-G-E-S, versus Gross. I'm sorry.
20 I was on the defense on that, Paul Kaufman was
21 the plaintiff's attorney and I was on the
22 defense side. But I had some critical things to
23 say about Dr. Gross.

24 Q. Well, had you been retained?

25 A. I had been retained by the defense.

1 Q. By a physician other than Dr. Gross?

2 A. No, I believe I was retained on behalf of
3 Dr. Gross.

4 Q. Okay. And what did he do wrong?

5 A. To be honest with you, I don't even remember
6 what that case was about.

7 Q. In becoming board certified -- and did you pass
8 your board certification the first time?

9 A. Yes, I did.

10 Q. In becoming board certified do you have to be
11 able to read and interpret **EKG's**?

12 A. Well, we would like to think so. But the two
13 parts to the exam, the written part
14 theoretically it's possible to pass that even if
15 you miss all the EKG questions. And similarly
16 the oral part, unless they give you a scenario
17 which requires you to read and interpret an EKG,
18 that plays a critical part, You might be able
19 to pass through without doing it. Theoretically
20 the answer is yes.

21 Q. All right. I want to make sure I understand.
22 They do in fact test you on your ability to read
23 EKG's in becoming board certified?

24 A. The answer to that is yes. Your question was do
25 you have to read EKG's to pass and become board

1 certified. That was your first question.

2 Q. I understand. You can pass and not be able to
3 read them, but nonetheless they try to test your
4 ability to read them?

5 A. Yes.

6 Q. The training of someone who is an emergency room
7 physician, he should be able to read EKG's
8 appropriately?

9 A. Correct.

10 Q. Because I take it it's not unusual to see people
11 coming into the emergency room who have chest
12 pain, who are given EKG's and it is then up to
13 the emergency room physician to interpret those?

14 A. Yes.

15 Q. And the life of the patient may depend upon his
16 ability to do so?

17 A. Correct.

18 Q. Was that done correctly in this case?

19 A. Yes, it was.

20 Q. So you disagree with Dr. Nickel's interpretation
21 of the EKG?

22 A. No, I don't disagree with his interpretation.

23 Q. Well, he indicated that he couldn't rule out an
24 acute injury. Do you agree with that?

25 A. Yes, I do.

1 Q. Do you believe the ST waves were elevated in the
2 EKG?

3 A. Which part of the EKG?

4 Q. Well, any part.

5 A. Well, there were portions that were elevated but
6 those were not necessarily acute. The fact that
7 you can't rule out something acute doesn't mean
8 there is something acute going on.

9 Q. If you can't rule it out you don't send somebody
10 home guessing that maybe it is acute, maybe it
11 isn't?

12 A. You used the word guess. We always make an
13 assessment. There's never a hundred percent
14 certainty about anything. When you make an
15 assessment if your findings are more consistent
16 with a non-acute process or non-cardiac process
17 you reach a certain point where you are
18 confident enough to send somebody home.

19 Q. The ST waves in leads V2 and 3, those are the
20 ones that you are referring to as not, not being
21 able to rule out an acute injury?

22 A. No. I think he was referring to the whole
23 constellation, What he's got there is complete
24 left bundle branch block associated with tall
25 peaked narrow T-waves in precordial leads V2 and

1 v3.

2 Q. Where do you see the abnormal ST waves?

3 A. If you take a look, for example, the ST looks
4 like it's a little down in lead 1 and it looks
5 like its up here.

6 Q. In lead 3?

7 A. Yes. Some of the precordial leads. What you
8 see in the polygraph block, what we call the
9 secondary ST. The ST segments will typically go
10 the other way or the opposite direction from the
11 main vector for the acute aspects.

12 Q. Dr. Maciejewski didn't read these as abnormal.
13 Do you find any fault with his interpretation?

14 A. I think he did read this as a left bundle branch
15 block. That is abnormal. He documented that in
16 his record.

17 Q. I misspoke. He didn't read this as a potential
18 reflection of an acute injury. Do you disagree
19 with that?

20 A. He didn't count that these are potentially
21 reflecting an acute injury. But he also
22 testified --

23 Q. You read his testimony?

24 A. Yes, I did.

25 Q Q To the extent that he didn't believe there was

1 any evidence of an acute myocardial injury on
2 the **EKG**?

3 A. I believe his testimony was that taking the
4 clinical picture into consideration he was able
5 to conclude that this did not reflect an acute
6 process.

7 Q. Are you saying he didn't say that he felt that
8 the **EKG** didn't no **show** any acute injury, is that
9 your testimony?

10 A. I believe his testimony was that whatever
11 changes he saw in here were not acute based on
12 his, on his perception of the clinical picture.
13 If you can find otherwise I will be glad to take
14 a look at it.

15 Q. Well, the clinical picture, does that include
16 the history?

17 A. Absolutely.

18 Q. And this man had a history of heart problems?

19 A. Yes, he did.

20 Q. And he was on heart medication?

21 A. Yes, he was.

22 Q. Does that lead one to conclude that a
23 questionable **EKG** is more likely due to a heart
24 problem as opposed to esophagitis?

25 A. It's one more piece of data that would be

1 balanced on the side that this is a cardiac
2 problem.

3 Q. Do you believe that the EKG shows coronary
4 ischemia?

5 A. Acute coronary ischemia?

6 Q. Yes.

7 A. Yes, I do.

8 Q. Well, Page 51 of Dr. Maciejewski's deposition,
9 sir, do you recall reading that?

10 A. I read it. I don't recall specifically. Which
11 lines are you pointing to?

12 Q. Well, where he indicates that he didn't think
13 that there was acute coronary ischemia. I think
14 that's line five.

15 A. And he said I don't think that this was coronary
16 ischemia.

17 Q. Do you disagree with that?

18 A. No, I don't. I also don't see where this
19 contradicted what I told you before.

20 Q. In other words, the word acute being the
21 operative word?

22 A. The word acute is not used right in here.

23 Q. I understand. But you're distinguishing your
24 belief that Dr. Nickel is correct in not being
25 able to rule out acute coronary ischemia, you

1 agree with that, correct? I think that's what
2 you just said?

3 a. On the EKG. The EKG being consistent with, you
4 can't rule it out with just the EKG.

5 Q. And Dr. Maciejewski is indicating that he didn't
6 believe the EKG is reflective of coronary
7 ischemia. Now, are you telling me you are in
8 agreement with him, in agreement with
9 Dr. Nickel, in agreement with both of them and
10 I'm confused?

11 A. I do agree with both of them and I'm not ready
12 to say whether you are confused or not.

13 Q. Is the distinction then the word acute?

14 A. I don't think so. The distinction is based on
15 the EKG only without the clinical presentation.
16 There are many EKG findings which are abnormal
17 which are consistent with or even typically
18 acute or representing acute coronary ischemia
19 but when placed in the clinical presentation
20 aren't.

21 Q. I took out the clinical context and asked
22 Dr. Maciejewski just to deal with the EKG, which
23 is what I've asked you to do,

24 A. Yes.

25 Q. Okay. You say it is consistent with coronary


1 ischemia, he says it is not? .

2 A, No. I think I said -- that's not what I said at
3 all.

4 Q. Let's clear it up,

5 A. Dr. Nickel's interpretation that the
6 constellation, the entire constellation, left
7 bundle branch block, he's saying he can't rule
8 out the possibility of an acute injury here.

9 Q. Okay. Well, but Dr. Maciejewski did?

10 A. Well, let me use another example to try to make
11 it clear and if I'm getting off track please get
12 me back on. 

13 A typical finding in coronary ischemia or
14 someone who is having acute coronary ischemia is
15 premature ventricular contractions and in the
16 setting of coronary ischemia these are
17 considered when they are frequent to be very
18 dangerous and they require treatment right
19 away. If you see those on an EKG you have to
20 say you can't rule out coronary ischemia and
21 they can be very dangerous. However, we also
22 know that there are hundreds of thousands of
23 people who have these abnormal beats all the
24 time without their having any clinical
25 significance and if you point out on the EKG,

1 just on the **EKG**, that you can't rule out
2 coronary ischemia, that would be correct. But
3 the person examining the patient who realizes
4 that this is a, an **EKG** done for other purposes
5 and routine pre-op or that this person has had
6 these for a long time, you can see those
7 findings and agree with that interpretation but
8 you have ruled that these are not acute. That's
9 analogous, I think, to what's happened here.

10 Q. Do you agree or disagree with Dr. Maciejewski's
11 conclusion that the **EKG** does not represent
12 coronary ischemia?

13 A. I agree with that.

14 Q. Should an emergency room physician compare an
15 **EKG** that he had done on a patient with a prior
16 **EKG** if he can?

17 A. If he can and if the circumstances dictate.
18 That's not a yes or no type of answer.

19 Q. Well, I mean if there is one there available,
20 one of the things that I assume that you want to
21 see is if there's been any changes since the
22 last **EKG**?

23 A. It depends on what the findings are. For
24 example, if you had a normal **EKG** you wouldn't
25 bother with the previous one. So the answer to

1 your question is it would depend on the
2 circumstances.

3 Q. How about under these circumstances where a man
4 comes with chest pain having had prior cardiac
5 problems?

6 A. Yes, it would be helpful to see the previous
7 EKG.

8 Q. Would you consider it substandard then for a
9 physician if he was handed a previous EKG to
10 refuse to compare the two?

11 A. How old are we talking about?

12 Q. In this case. Let's assume that Dr. Maciejewski
13 was handed an EKG that had been done, you know,
14 a year, year and a half before and he refuses to
15 compare the two. would that be a failure to
16 adhere to the appropriate standard of care for
17 an emergency room physician?

18 A. If that had been done in the early part of this
19 evaluation a year before?

20 Q. A year, a year and a half.

21 A. A year might be helpful. After the evaluation
22 and his response to the medication it probably
23 wouldn't be very helpful from that long before,

24 Q. The response to that medication --

25 A. I have a problem with refusing to consider any

1 information.

2 Q. What do you mean you have a problem?

3 A. Well, you indicated a scenario where he's been
4 offered an EKG and he is refusing it.

5 Q. Yes.

6 A. And that, my answer remains that under certain
7 circumstances you would want to see the other
8 EKG. The less likely a cardiac problem is the
9 less important are previous EKG's. **EKG's** are,
10 again, just one piece of information. The
11 diagnosis of coronary ischemia is not an EKG
12 diagnosis, it's a clinical diagnosis.

13 Q. I take it the problem you are having with the
14 scenario I just laid out for you is that it
15 would not be appropriate for an, for a physician
16 to refuse to take a part of the information that
17 is available to him so he could make an adequate
18 assessment?

19 A. The problem I'm having with it is it depends on
20 certain circumstances. I still think it's
21 inappropriate. The circumstances may be such
22 that it's not substandard.

23 Q. Well, under these circumstances where Mr. Quinn
24 was at the hospital, you know, the evening that
25 he was seen by Dr. Maciejewski and he did an

1 **EKG**, if he had been presented with another **EKG**
2 that had been done within a year and a half,
3 should he have looked at it in your opinion?

4 A. You mean after all this is done?

5 Q. All what, doctor? How long was he here?

6 A. He was only there a couple of hours.

7 Q. All what?

8 A. That's what I meant. All the things that were
9 done, the testing, the medication,

10 Q. Sure.

11 A. The answer is I think it would be inappropriate
12 not to look at it. If you are equating
13 substandard and appropriate or inappropriate?

14 Q. Yes.

15 A. Then I disagree with it.

16 Q. So it was okay for him to throw it back in the
17 wife's face and say this isn't helpful to me?

18 MR. BEST: Objection.

19 A. If you are saying to throw things back in the
20 wife's face, obviously that is not standard or
21 acceptable in anybody's practice.

22 Q. And if in fact the previous -- have you looked
23 at the previous **EKG**?

24 A. No.

25 Q. So you don't even know what it shows?

1 A. That's correct. I don't think I have. I have
2 some. I would like to take a look at the file.

3 Q. Sure.

4 A. I haven't seen it for a long time.

5 Q. Absolutely.

6 A. And I would like to check and see if the other
7 EKG is there so I don't misspeak.

8 There's an **EKG** in here from April of 1988
9 which is in my records so I have must have seen
10 it. If it is the one that you are talking
11 about, That would be a year and a half prior to
12 this.

13 Q. I don't think so.

14 A. Then I haven't seen the **EKG** you are talking
15 about.

16 Q. Okay. Just for the sake of argument if it was
17 in fact different, showing changes from the **EKG**
18 that was done the night of the visitation, would
19 that in any way change your opinion?

20 A. A year and a half, you are still saying a year
21 and a half ago?

22 Q. Yes.

23 A. No, it would not.

24 Q. Why not?

25 A. Well, there's, a year and a half before that is,

1 it's too long to say when the changes occurred
2 and again you're going to have to make a
3 clinical and not an EKG diagnosis,

4 Q. Your testimony is that if there is a change in a
5 prior EKG and an EKG done on an individual
6 having chest pain that's not significant to you
7 as an emergency room physician? Is that your
8 testimony?

9 A. That's not what I said.

10 Q. Why don't you say it again.

11 A. I said that a year and a half prior, if you're
12 dealing with an EKG which is different than the
13 one you are looking at presently and if the
14 interval is a year and a half that's too long to
15 figure out when the changes occurred and you're
16 going to be thrown back upon your clinical
17 impression, your clinical assessment as to
18 what's going on.

19 Q. So it is your testimony that if you are
20 presented with an EKG from a year and a half
21 prior that is substantially different than one
22 that you are looking at for a person who comes
23 in with chest pain that that's not significant
24 to you?

25 MR. BEST: I object. He said what

1 his answer is twice. If you want him to
2 give it to you a third time. But you're
3 trying to re-characterize it and put a spin
4 on it that he has twice rejected. So it
5 might be simpler to go onto a new topic.
6 If you want to answer it a third time,
7 doctor, go ahead.

8 Q. Go ahead.

9 A. Well, the first question you asked was any
10 change from a previous EKG. And if you're
11 asking specifically about a year and a half,
12 again, if it's going to depend on this case no,
13 that would not be significant. It depends on
14 what the changes were. I can certainly imagine
15 that there are some changes where it might be
16 significant from a year and a half previously to
17 presently depending on what the changes are and
18 the clinical circumstances.

19 Q. Were you aware of the emergency room physician
20 being provided with an earlier EKG in your
21 review of the records?

22 A. No, I was not,

23 Q. Would that have made any difference to you in
24 your review, if you had been made aware of that?

25 A. In terms of that he had an EKG that was

1 different than the one presently?

2 Q. Yes.

3 A. I doubt it, I would have to see the EKG to make
4 that final determination,

5 Q. Do you disagree -- I'm sorry. You said you saw
6 Dr. Kohn's report for the first time today?

7 A. Well, I saw it for the first time last night, I
8 read it the first time today.

9 Q. You are not a cardiologist?

10 A. No, I'm not.

11 Q. Do you disagree with Dr. Kohn's interpretation
12 of the EKG taken in the emergency room as
13 reflecting marked ST segment depression of four
14 to five millivolts in leads 2 and precordial
15 lead V6 the tracing was not considered to be
16 significant and the patient was advised to use
17 antacids for relief of his symptoms? Do you
18 agree or disagree with that?

19 A. I don't disagree that there's that finding, Not
20 a determination. That's not a determination.
21 Description.

22 Q. Do you agree that the significance of the ST
23 segment depression is that it is indicative of
24 myocardial injury and is seen in the very early
25 phases of an acute myocardia9 infarction or, at

1 the very least, is found as a feature of
2 unstable angina or pre-infarction angina?

3 A. I agree that it's consistent with that or that
4 one can't rule that out.

5 Q. And in view of the patient's past history and
6 the EKG that Mr. Quinn should not have been
7 allowed to leave the emergency room but rather
8 should have been transferred to the coronary
9 care unit?

10 A. I disagree with that.

11 Q. Why is that?

12 . . . Because the overall assessment did not support a
13 diagnosis of coronary ischemia,

14 Q. What assessment are you referring to
15 specifically, doctor?

16 A. I'm referring to the overall assessment.

17 Q. Tell me specifically what findings it is that
18 leads you to that conclusion?

19 A. The history indicated that this gentleman was
20 suffering from a pain which was characteristic
21 of a gastrointestinal type of pain. He had it
22 typically after meals. It was a burning pain,
23 It also seemed to improve with antacids. It
24 also was not relieved with nitroglycerin. He
25 did not have any of the other typical signs or

1 symptoms which we usually associate with
2 coronary disease,

3 Q. Such as?

4 A. Such as a crushing pain, sweating, discomfort in
5 the arms, neck or radiation of his discomfort
6 into those areas, profound weakness and which
7 would be especially important in this gentleman
8 who had a documented history of coronary
9 ischemic disease and who had obviously had
10 symptoms of coronary problems in the past and
11 who did not provide any symptoms like that. We
12 have enzymes which although not definitive were
13 clearly within the normal range at this point.
14 And we had his response to the medication, too.
15 And also we have the history that he provided
16 that he had had problems with esophagitis in the
17 past and they were similar to the problems that
18 he was having now.

19 Q. Okay. Anything else?

20 A. There may be. That's just what I recall
21 **offhand.**

22 Q. What was the interpretation in the chart by
23 Dr. Maciejewski of the EKG?

24 A. Normal sinus rhythm. Left bundle branch block.
25 Old anterior MI.

1 Q. Do you agree with that?

2 A. Yes, I do.

3 Q. There's no mention of the MI or ST segment
4 depression, is there, doctor?

5 A. Well, there is some mention of left bundle
6 branch block.

7 Q. Is that different than ST depression, doctor?

8 A. It's almost as if he put left bundle branch
9 block and then you are saying he didn't mention
10 an MI.

11 Q. I know. I just asked you a question is left
12 bundle branch block ST depression?

13 A. ST depression is a component of left bundle
14 branch block. If you are mentioning that you
15 are going to assume that there is some secondary
16 ST changes.

17 Q. Can't left bundle branch block mask marked ST
18 segment depression which can be indicative of an
19 impending MI?

20 **A4** Actually that's an old belief that used to be
21 taught and is still taught, unfortunately, to
22 lots of people and if you take a look at the
23 literature now it's pretty clear that, that
24 that's not true. You expect to see ST changes
25 and when they are beyond a certain amount then

1 you can say there is something acute there. If
2 you don't have that above a certain, for
3 example, if you don't have at least around eight
4 millivolts of depression or elevation in left
5 bundle branch block then you conclude that it's
6 not acute.

7 Q. Well, what well known medical texts will I find
8 that in?

9 A. I know it's referenced in a lot of texts. There
10 was an article that was published in the Annals
11 of Emergency Medicine.

12 Q. What was that article?

13 A. I would have to get you the reference. I don't
14 have it off the top of my head. It was in the
15 Annals of Emergency Medicine.

16 Q. When?

17 A. Within the last two or three years.

18 Q. And what was the name of the article?

19 A. I can't give you the title. I'm sure I have it
20 in a file somewhere.

21 Q. And how long would it take you to find it?

22 A. Once I'm home probably about five minutes.

23 Q. How about these ten to twenty-five times that
24 you testified, do you keep track of those
25 cases? Do you have some record of those cases?

1 A. A record of the names of the cases?

2 Q. Yes.

3 A. I have a list of cases. I'm not sure all of
4 them are broken down whether I testified in a
5 case or not.

6 Q. Do you have a list of attorneys involved in
7 those cases?

8 A. I think I do,

9 Q. All right. How long would it take you to get
10 your hands on that? Do you have that at home as
11 well?

12 A. Probably not too long.

13 MR. KAMPINSKI: Could I be provided
14 with those, Mr. Best?

15 MR. BEST: I'll let you know.

16 MR. KAMPINSKI: When will you let
17 me know?

18 MR. BEST: I don't know. I don't
19 know that I've had anybody ask that
20 before. So I'll reflect on it. But I
21 don't know the answer to that question.

22 MR. KAMPINSKI: Would it be too
23 much for me to ask you to let me know by
24 Monday?

25 MR. BEST: Why don't you give me a

1 call and we'll talk about it. Believe it
2 or not I'm going to take a couple of days
3 off.

4 MR. KAMPINSKI: That's why I said
5 Monday. I plan to myself.

6 Q. Well, that old time thinking might have saved
7 Mr. **Quinn's** life here?

8 MR. BEST: Objection.

9 Q. Being as he had a heart attack and died?

10 A. That's not a question,

11 MR. BEST: Objection.

12 Q. Yes, it is.

13 MR. BEST: You don't have to
14 answer.

15 Q. If somebody would have used old time antiquated
16 thinking in this case in your opinion might
17 Mr. Quinn's life have been saved?

18 A. Not in this case.

19 Q. Why not?

20 A. Because, again, the clinical, the clinical
21 assessment was not consistent with coronary
22 ischemia.

23 Q. Well, what does the old time antiquated thinking
24 say with respect to the masking of ST segment
25 depression by a left bundle branch block?

1 A. Everybody says that on the EMG because there are
2 these secondary ST changes you can't tell if you
3 have acute ST changes.

4 Q. And that's still being taught?

5 A. I believe so. I think it is taught and those
6 who believe those are, true, are in good
7 company. If you take a look at most cardiology
8 texts or electrocardiology texts they make an
9 attempt to go by that.

10 Q. Which texts are these, sir?

11 A. I'm not sure. I remember it saying that in
12 most.

13 Q. You just said most. Give me one,

14 A. I wouldn't even try to tell you which ones.

15 Q. How long would it take to you come up with that?

16 A. That would take me quite a while.

17 Q. Who wrote this article?

18 A. I don't know the authors' names offhand.

19 Q. Is he a cardiologist?

20 A. I don't know.

21 Q. In light of the reading of this EKG by
22 Dr. Maciejewski, do you find the reading of
23 Dr. Nickel to be, the reading of the EKG by
24 Dr. Nickel to be of any significance at all?

25 A. I'm not sure what you're asking me.

1 Q. Yeah. I think I hear you saying, maybe I'm
2 wrong, that Dr. Maciejewski read this the same
3 as Dr. Nickel. Is that what you are saying?

4 A. I don't think he, he attached the same
5 significance that Dr. Nickel is proposing.

6 Q. Well, did you read Dr. Nickel's statement? Was
7 that provided to you?

8 A. No.

9 Q. All right. I'll ask you to assume that
10 Dr. Nickel's opinion is that Mr. Quinn should
11 have been admitted to the hospital because he
12 had a potential impending MI and shouldn't have
13 been discharged from the hospital. Do you agree
14 with that?

15 A. No, he's wrong,

16 Q. Okay. Dr. Kohn is wrong also?

17 A. Oh, absolutely.

18 Q. But you're right?

19 A. Absolutely.

20 Q. What did Mr. Quinn die from?

21 A. It appears that he died of pulmonary edema which
22 led to hypoxia and failed rhythm.

23 Q. How did all that come about? Was it as a result
24 of a heart attack?

25 A. It was not due, as best I can piece it together,

1 the information is a little skimpy here, but
2 based on the records from the emergency
3 department and what there is in the autopsy
4 report it looks like he had longstanding
5 coronary disease and a weak heart muscle and
6 developed an episode of acute pulmonary edema.

7 Q. Did you read the deposition of the coroner?

8 A. No.

9 Q. He said he had a heart attack. Are you aware of
10 that?

11 A. That's not what was said in the autopsy report,

12 Q. He says that is what was said in the autopsy
13 report. You don't agree with that either?

14 A. I don't find the information necessary to
15 support that in his autopsy report.

16 Q. So he's wrong then, too?

17 A. It depends. Why didn't he say that in his
18 autopsy report? That's not what he said.

19 Q. So then he's wrong as well?

20 MR. BEST: He didn't do the
21 autopsy, that's why.

22 MR. KAMPINSKI: It was done under
23 his direction,

24 A. I don't know if he is right or wrong. If he
25 knows something else other than what's in the

1 autopsy report then tell me.

2 Q. Well, maybe he knows a little more medicine than
3 you do. I mean as hard as that it is for
4 anybody to believe,

5 A. I assume that that does not require a response?

6 MR. BEST: It does not.

7 Q. Well, does the EKG reflect any condition that
8 caused Mr. Quinn's death, in your opinion?

9 A. Well, sure. He's got, he's a got a left bundle
10 branch block and he's had damage to his heart
11 muscle, he has enough damage to ruin it.

12 Q. Did he have fresh damage to his heart that
13 caused his death?

14 A. Based on what, the EKG?

15 Q. No. Based on the autopsy on his death.

16 A. Well, the autopsy findings, again, are a little
17 bit skimpy and what I can see on there is he had
18 damage and there's nothing there on which I can
19 say that there's been an acute MI and apparently
20 whoever did the autopsy and made the report
21 thought the same thing.

22 Q. Are you a pathologist?

23 A. No, I'm not.

24 Q. Oh, I thought maybe you were. Do you have the
25 autopsy report?

1 A. Yes.

2 Q. The, you highlighted part of the autopsy, the
3 hard findings. When did you do that?

4 A. Probably when I reviewed this shortly after I
5 received it.

6 Q. All right. The area of gray-white fibrosis with
7 red gelatinous mottling on the posterior surface
8 and lateral wall, what was that due to?

9 A. The gray-white fibrosis usually means that
10 there's been some damage to the heart muscle.

11 Q. How about the red gelatinous mottling?

12 A. That's I'm not sure about.

13 Q. Well, does that reflect an acute process?

14 A. An acute MI looks a little different than
15 that. It's not on top of a gray-white
16 fibrosis. Like you said, I'm not a pathologist.

17 Q. The fact that the mammary bypasses were
18 identified and patent, you highlighted that,
19 too, does that tell you that the bypasses done
20 in 1971 on Mr. Quinn were still open?

21 A. That's another way of saying the same thing
22 that's said there.

23 Q. All right. So whatever damage to his heart was
24 not done as a result of any old problem he had,
25 would that be a fair statement?

1 A. I'm not sure what that question is.

2 Q. Do you know what was bypassed in '71?

3 A. I'm not sure,

4 Q. You are weren't provided with those records?

5 A. His 1971 records?

6 Q. Yes.

7 A. No. If it's in his office records then I have
8 to take a look again to see, look at it.

9 Q. Was the left anterior descending artery
10 bypassed?

11 A. I'm not sure.

12 Q. Would the injury that you see reflected in the
13 heart on the autopsy be consistent with a
14 stenosis of the left anterior descending artery
15 on the lateral and posterior walls? .

16 A. It might be more likely with certain things that
17 might have caused that.

18 Q. Should Dr. Nickel's opinion have been passed on
19 to either the family physician or the emergency
20 room, in your opinion?

21 MR. BEST: I object. He's not
22 being offered to render opinions about --

23 Q. Let me ask it differently. Should the emergency
24 room department have been told of any difference
25 in their interpretation with that of a

1 cardiologist who apparently is to read these
2 later on?

3 MR. BEST: The same objection.

4 MR. WALTERS: I'll object, too.

5 MR. BEST: Go ahead.

6 A. If the question is should the cardiologist
7 report to the emergency department any
8 differences between his reading and that of the
9 emergency department the answer is no.

10 Q. Why not?

11 A. Because there may be lots of differences that
12 don't need to be passed on.

13 Q. How about the difference in this case, should
14 that have been passed on?

15 A. If the difference is only that he could not rule
16 out coronary ischemia in this case I would say
17 no.

18 Q. Even though Dr. Nickel indicates that even he
19 believes that it should have been reported?

20 A. The difference is that if Dr. Nickel believes
21 that he has something that indicates proof
22 positive an acute process that the patient
23 should be admitted he should be reporting it,

24 Q. But that's what he testified to or, I'm sorry,
25 you are not aware of that?

1 A. That's a different question than what you
2 asked.

3 Q. I thought it was the same.

4 A. I'll be glad to have it read back.

5 MR. KAMPINSKI: Would you read it
6 back?

7 - - - -

8 (Thereupon, the requested portion of
9 the record was read by the Notary.)

10 - - - -

11 A. My answer was, and I will repeat it, if the
12 difference was that he is, based on the EKG he
13 could not rule out an acute coronary process or
14 ischemia then no.

15 The next question was is the difference
16 that he believes that this EKG definitely shows
17 an acute process such that this patient needs to
18 be admitted then he should pass that on.

19 Q. Assuming he did pass that on and that there was
20 an acute process that needed the attention of
21 somebody in the emergency room, should that have
22 then been either told to the patient and/or his
23 physician?

24 A. It needs to be told to someone. It could be the
25 emergency physician to review the record. It's

1 not necessarily to the patient or the patient's
2 private physician, if that's what you are
3 asking.

4 Q. Well, what would he review if he got different
5 information?

6 A. The emergency department record. If he's told
7 by the cardiologist, though, that the EKG in his
8 opinion is such that it requires the patient to
9 be seen, I mean does he then say to the
10 cardiologist well, the cardiologist didn't see
11 the patient and evidently didn't know what
12 happened in the emergency department. So the
13 emergency physician would take a look. It's
14 like when I mentioned before about the PVC's, if
15 the report goes to the cardiologist without a
16 notation the cardiologist, I think, is going to
17 say hey, you guys missed this, these could be
18 serious. If there is an acute process this guy
19 needs to be admitted and the emergency room
20 physician on duty would take a look at the chart
21 and might be able to see it's just an incidental
22 finding, it's not clinical at all.

23 Q. I guess your position then is whatever the
24 emergency room physician does based upon his
25 interpretation of the clinical findings

1 supercedes any findings made by scientific means
2 such an EKG?

3 A. That's not what I said either. I will give you
4 a situation where he would be wrong. If the
5 emergency physician failed to recognize some
6 clear sign of an MI, such an acute MI with
7 classical ST segment elevation or ST depression
8 and that shows up and the emergency room
9 physician fails to recognize that. But when
10 this comes back down to the history and it's
11 atypical and it looks like a coronary ischemic
12 process that doesn't matter at that point.

13 Q. Doctor, the cardiologist in this case believes
14 that that's precisely what this EKG shows.

15 MR. BEST: I object.

16 A. That's not what I read. And that's not what he
17 read. If you tell me that he has something else
18 then I disagree,

19 Q. With Dr. Nickel?

20 A. Right.

21 Q. What is it that differentiates a cardiologist
22 from an emergency doctor? Is it their training
23 versus theirs? I mean do they go through
24 additional training to learn cardiology that you
25 don't?

1 A. I believe they do. But I've never investigated
2 that. I believe they could have additional
3 training.

4 Q. How much training did you receive in
5 cardiology?

6 A. You mean the training that a cardiologist
7 receives?

8 Q. No. No. How much did you receive, what
9 independent cardiology training?

10 A. Just the standard clerkship in medical school
11 and plus whatever there was in residency.

12 Q. What clerkship?

13 A. You do a rotation as a medical student for, I
14 don't even remember if it was a month or two
15 months, on a cardiology service.

16 Q. I thought your residency, I thought you said it
17 was a surgical residency?

18 A. But you rotate on medical, You do some
19 rotation.

20 Q. How long was your rotation?

21 A. I don't recall.

22 Q. A month? Two months?

23 A. It would have been the maximum of a month.

24 Q. The maximum of a month?

25 A. Yes.

1 Q. So you've had what, two months training then in
2 cardiology?

3 A. Something like that.

4 Q. You mentioned that you read the Annals of
5 Emergency Room Medicine or Emergency Medicine?

6 A. I refereed to an article which had been
7 submitted for publication in the Annals of
8 Emergency Medicine.

9 Q. Do you have any publications yourself, sir?

10 A. Yes.

11 Q. How many?

12 A, About 25.

13 Q. Would that be on your CV?

14 A. Yes.

15 MR. KAMPINSKI: All right. Can I
16 have that?

17 MR. BEST: Yes. I didn't even have
18 to think about it.

19 MR. KAMPINSKI: When might I have
20 that?

21 MR. BEST: As soon as I get it. If
22 I have a copy I'll have it sent over. I
23 don't know. I didn't see one today when I
24 was rummaging through this stuff,

25 Q. Are any of your articles on cardiology? Or let

1 me make it wider, or EKG interpretations?

2 A. I'm not sure. I don't recall any offhand.

3 Q. Are you an editor or have you been an editor of
4 any publications?

5 A. Yes.

6 Q. Okay. What? What are those?

7 A. I'm a reviewer for the Annals of Emergency
8 Medicine and have been through, since the early
9 1980's. I'm a contributing editor for the
10 Journal of Emergency Medical Services, I was a
11 contributing editor for a newsletter which is
12 now out of business. I believe it was the
13 Emergency Medical Services Management Bulletin.
14 That's been out of publication for probably
15 about five years. And I know I've edited some
16 other publications but I can't recall exactly
17 what offhand.

18 Q. Do you have any opinions about the care rendered
19 by Dr. Keating in this case?

20 A. None.

21 Q. Do you know why it is you were provided with her
22 testimony?

23 A. I am not sure why. It was sent to me,

24 Q. Were you asked to render any opinions with
25 respect to her care?

1 A. No.

2 Q. Do you know any of the physicians involved in
3 this case?

4 A. No.

5 Q. What group did you work for when you worked at
6 Huron Road?

7 A. It was called Emergency Medical Associates. And
8 I have no idea whether they are still
9 operational or what.

10 Q. And who is the head of that group?

11 A. A fellow named Carl Meyer.

12 Q. How about at St. Alexis?

13 A. Dr. Notash was the head of that.

14 Q. And what was the name of the group?

15 A. I don't know. I don't even know that there
16 was. I don't recall.

17 Q. The moonlighting you did in emergency rooms, did
18 they include any moonlighting at Hillcrest?

19 A. No.

20 Q. Okay. So your only contact then with Hillcrest
21 was as it related to this credentialing
22 disagreement that occurred when you were at
23 Huron Road?

24 A. **That's** correct.

25 MR. KAMPINSKI: Okay. That's all 1

1 have.

2 MR. WALTERS: I just missed one
3 part in the beginning about the
4 credentialing disagreement. Can you just
5 explain it?

6 MR. BEST: He was going through why
7 he left his various employments.

8 MR. WALTERS: Okay. That's all I
9 need. Thanks. I don't have any-
10 questions.

11
12
13 MICHAEL FRANK, M.D.
14
15
16
17
18
19
20
21
22
23
24
25

C E R T I F I C A T E

The State of Ohio,) SS:
County of Cuyahoga.)

I, Kenneth F. Barberic, a Notary Public within and for the State of Ohio, authorized to administer oaths and to take and certify depositions, do hereby certify that the above-named MICHAEL FRANK, M.D., was by me, before the giving of his deposition, first duly sworn to testify the truth, the whole truth, and nothing but the truth; that the deposition as above-set forth was reduced to writing by me by means of stenotypy, and was later transcribed into typewriting under my direction; that this is a true record of the testimony given by the witness, and was subscribed by said witness in my presence; that said deposition was taken at the aforementioned time, date and place, pursuant to notice or stipulations of counsel; that I am not a relative or employee or attorney of any of the parties, or a relative or employee of such attorney or financially interested in this action.

IN WITNESS WHEREOF, I have hereunto set my hand and seal of office, at Cleveland, Ohio, this ____ day of _____, A.D. 19 ____.

Kenneth Barberic, Notary Public, State of Ohio
1750 Midland Building, Cleveland, Ohio 44115
My commission expires October 16, 1993