

THE STATE OF OHIO,)
) SS:
COUNTY OF CUYAHOGA.)

DOC. 162

IN THE COURT OF COMMON PLEAS

FRANCES SMITH, Administratrix)
of the Estate of Alvester)
Smith, Sr., Deceased,)

Plaintiff,)

v.)

Case No. 100877

ST. LUKE'S HOSPITAL, et al.,)

Defendants.)

- - -

Deposition of AVRUM I. FROMSON, M.D.,
taken by the Plaintiff as if upon
cross-examination before Kerry L. Paul, a
Registered Professional Reporter and Notary Public
within and for the State of Ohio, at The Mt. Sinai
Medical Center, One Mt. Sinai Drive, Cleveland,
Ohio, on Tuesday, the 10th day of November, 1987,
commencing at 3:45 p.m., pursuant to notice.

- - -

MIZANIN REPORTING SERVICE
REGISTERED PROFESSIONAL REPORTERS
COMPUTERIZED TRANSCRIPTION

DEPOSITIONS • ARBITRATIONS • COURT HEARINGS • CONVENTIONS • MEETINGS

540 TERMINAL TOWER • CLEVELAND, OHIO 44113 • (216) 241-0331

APPEARANCES:

Charles Kampinski Co., L.P.A., by:
Charles Kampinski, Esq.,
and
Christopher M. Mellino, Esq.,

On behalf of the Plaintiff.

Reminger & Reminger, by:
Marc W. Groedel, Esq.,

On behalf of the Defendants Timothy L.
Stephens, Jr. & Curtis W. Smith, M.D.

Arter & Hadden, by:
Kris H. Treu, Esq.,

On behalf of Defendant
St. Luke's Hospital.

Jacobson, Maynard, Tuschman & Kalur, by:
William D. Bonezzi, Esq.,

On behalf of Defendant S.J. Lee, M.D.

Kitchen, Messner & Deery, by:
Eugene B. Meador, Esq.,

On behalf Defendant Agnes Sims, R.N.

- - -

STIPULATIONS

It is stipulated by and between counsel
for the respective parties that this deposition
may be taken in stenotypy by Kerry L. Paul; that
her stenotype notes may be subsequently
transcribed in the absence of the witness; and
that the reading and signing of the deposition by
the witness were expressly waived.

- - -

1 AVRUM I. FROIMSON, M.D.,
2 a witness herein, called by the Plaintiff for the
3 purpose of cross-examination as provided by the
4 Ohio Rules of Civil Procedure, being by me first
5 duly sworn, as hereinafter certified, deposes and
6 says as follows:

7 CROSS-EXAMINATION

8 BY MR. KAMPINSKI:

9 Q. Doctor, my name is Charles Kampinski and
10 I represent the Estate of Alvester Smith. I have
11 got a number of questions to ask you this
12 afternoon. If you don't understand, please tell
13 me. I'll be happy to rephrase them. Have you
14 reviewed any materials in addition to what is set
15 forth in your report of December 17, 1986?

16 A. What is set forth in that report?

17 Q. The medical records and the x-rays
18 basically. Do you have a copy of your report in
19 front of you?

20 A. I have a copy of that report. That's
21 right here. That was done almost a year ago; and
22 since then, I have looked through some other
23 depositions. I can't remember the titles of them,
24 but they are here. There are various things here.

25 Q. Have you provided any other reports to

1 Mr. Groedel or anybody else?

2 A. No.

3 Q. Did you receive any material from Mr.
4 Groedel, other than the depositions that you
5 referred to now and the matters referred to in
6 your report, that you relied upon in any manner?

7 A. No.

8 Q. Did you receive any oral communication
9 from Mr. Groedel which you relied upon in
10 providing any opinion?

11 A. No.

12 Q. Doctor, in your report on the very last
13 page, the last paragraph, you indicate that you
14 did not feel cardiological consultation, other
15 than Dr. Jackson's examination of the patient, was
16 required before returning him to the operating
17 room for the second procedure?

18 A. That's correct.

19 Q. Is that still your opinion today?

20 A. That's right.

21 Q. Is it your opinion that Dr. Jackson did
22 examine the patient for the purpose of clearing
23 him for surgery?

24 A. Dr. Jackson examined the patient.
25 Whether or not it was for the purpose of clearing

1 is neither here nor there. You can examine the
2 patient. You can make observations and then you
3 can derive whatever judgments you want from the
4 examination. The examination for purpose of
5 clearing for surgery is no different than the
6 examination for determining his medical status.

7 Q. Would it matter to you if Dr. Smith was
8 aware of whether Dr. Jackson saw him on the day of
9 surgery; that is, November 17, 1984?

10 A. Would it matter to me?

11 Q. Sure, in terms of rendering an opinion
12 that Dr. Smith didn't do anything wrong here?

13 A. It was my impression, from reading the
14 information correctly or not, that Dr. Smith did
15 know that Dr. Jackson saw the patient.

16 Q. Okay. If he didn't know that, would
17 that make any difference to you for purposes of
18 your opinion?

19 A. No, because there's another line of
20 inquiry in terms of relying upon the
21 anesthesiologist as well, so we don't rely on just
22 one person.

23 Q. All right.

24 A. We rely upon a number of people in the
25 treatment team.

1 Q. Would you rely on the anesthesiologist
2 to give medical clearance?

3 A. We generally do, as a matter of fact.

4 Q. So whether Dr. Jackson saw him or didn't
5 see him or whether clearance was obtained from Dr.
6 Jackson just doesn't matter as far as you are
7 concerned; is that correct?

8 A. That's right. It doesn't matter
9 inasmuch as in that circumstance he has the
10 anesthesiologist to fall back on and to rely upon,
11 which is what we do everyday.

12 Q. Then why did you even suggest that no
13 cardiological consult was required other than Dr.
14 Jackson's examination?

15 A. I don't know why I wrote that. I mean,
16 that's just a way of phrasing what I perceived to
17 to be an issue after reviewing the various
18 depositions and the various records.

19 Q. Doctor, in your CV you set forth that
20 you are a board examiner for the American Board of
21 Orthopedic Surgery?

22 A. That's true.

23 Q. Were you an examiner for any of
24 Dr. Smith's examinations when he took the boards?

25 A. No.

1 Q. You are aware of the fact that he has
2 failed them three times?

3 A. No.

4 Q. What is your belief with respect to the
5 responsibilities of an attending physician
6 vis-a-vis medical problems other than those within
7 the specialty of the attending?

8 A. The responsibilities of the attending
9 orthopedist?

1.3 Q. Let's just leave it as the attending
a1 first and then we will narrow it down to the
12 orthopedic surgeon.

1.3 A. The responsibility of the attending
14 physician is basically to care for his patient and
15 take those steps necessary to treat the condition
16 for which he's consulted.

1.7 Q. Well, does that require assuring that
18 other specialists are caring for him if he has
19 problems that would involve those other specialist?

20 A. Yes. He has a duty to consult, sure.

21 Q. Does he also have an independent duty to
22 be apprised of his patient's status, even though
23 that information might also be within another
24 specialist's knowledge?

25 A. I don't think I would say he has a duty.

1 I think he has a need to be advised. In other
2 words --

3 Q. Okay.

4 A. -- people have to give him information
5 as necessary.

6 Q. All right. Can he assume that he's
7 getting it all or does he have to make some
8 affirmative attempt to make sure that he's getting
9 it, especially if there are residents involved?

10 A. When there are residents involved in the
11 structure of a teaching program, it is assumed in
12 the chain of the organization that the residents
13 will provide the attending with the necessary --
14 with the pertinent necessary information.

15 There are a number of people treating
16 the patient, including the residents and the
17 nurses and the various other respiratory
18 therapists, whoever it may be and the structure of
19 the organization is that those people should
20 report to the attending physician those pertinent
21 items.

22 Q. I understand that. Maybe that's the
23 structure, but is there an independent
24 responsibility upon the attending to make sure
25 that is being done to the extent it is a teaching

1 environment? Does he have some responsibilities
2 to insure that that aspect of the teaching is
3 being attended to?

4 A. He does, yes.

5 Q. All right.

6 A. The issue is not by each individual case.
7 Just to insure the structure is intact, that he
8 told, for instance, as you ask the question, be
9 sure to tell me before we do this and that whether
10 such-and-such has taken place.

11 Q. Okay. Does he have an independent duty
12 to look at the record to insure that he's being
13 told?

14 A. No.

15 Q. He does not?

16 A. No, because it's not the standard of
17 practice in teaching institutions or others for
18 the attending to look at the record each day or at
19 each contact.

20 Q. In your opinion?

21 A. In my opinion; and as professor and
22 director of a teaching program, this is the
23 standard which we set forth.

24 Q. Does that not leave the potential,
25 Doctor, that you will not be apprised by the

1 residents and that you will miss something in the
2 care of the patient?

3 A. It does have that risk, as in any
4 calling, in any profession.

5 Q. But this is a profession involving life
6 of people, Doctor.

7 A. The same as an airline pilot. It is the
8 same thing. As corollary it would depend on
9 receiving information from subordinates; and in
10 failing to do so, he's exposed to risks, as well
11 as all of the passengers on the airplane and this
12 is a corollary situation. We depend on a certain
13 system taking place.

14 Q. And in your analogy if, let's say, the
15 navigator failed to give him crucial information,
16 wouldn't it devolve upon him as the captain of the
17 ship to be responsible for the failure of the
18 navigator for not giving him that information?

19 MR. GROEDEL: Objection.

20 A. If he knew in time.

21 Q. (BY MR. KAMPINSKI) Well, if he didn't
22 know, isn't it his responsibility ultimately for
23 the safety of the passengers?

24 MR. GROEDEL: Objection.

25 A. It would be the responsibility of the

1 employer of the navigator as well, the airplane.

2 Q. (BY MR. KAMPINSKI) I agree with you.

3 A. To make sure he hired a navigator that
4 knows what is going on.

5 Q. Sure.

6 A. It's not an exact correlation, but this
7 is exactly what happens in all professional
8 encounters.

9 Q. That is fair. Is the attending
10 responsible for the conduct of the residents in
11 addition to the employer of the residents; that is,
12 the hospital?

13 MR. GROEDEL: Objection. Go ahead.

14 Q. (BY MR. KAMPINSKI) More specifically,
15 while dealing within that specialty of the
16 attending.

17 A. As much as possible. As much as
18 possible. He's responsible to see that the
19 residents perform under his supervision in the
20 manner in which they are supposed to.

21 Q. Do you have any opinions, Doctor, with
22 respect to the cause of death of Mr. Smith?

23 A. My opinion as an orthopedist is limited
24 to orthopedics.

25 Q. All right.

1 A. From what I have perused in the record,
2 it is the opinion of others -- I mean, I'm just
3 reading from others, that he had a heart attack or
4 he had some cardiovascular collapse of some kind.

5 Q. Do you have any independent opinion of
6 that?

7 A. No. I'm not competent to make that
8 opinion.

9 Q. Do you have any opinion with respect to
10 the conduct of the anesthesiologist, Dr. Lee,
11 either in preop, during the operation or
12 postoperatively with respect to his care of Mr.
13 Smith?

14 A. Yes.

15 Q. Okay. What are your opinions regarding
16 Dr. Lee's actions?

17 A. From what I can garner from the records,
18 this is all of the information that I have, from
19 the various depositions, that Dr. Lee was less
20 than fully aware of the patient's condition prior
21 to administering the anesthetic.

22 Q. Do you believe that the orthopedist, in
23 this case Dr. Smith and/or the residents, had any
24 independent duty to make him aware of the
25 condition of the patient?

1. A. To the extent that they knew that
2 information.

3 Q. Well, shouldn't they have?

4 A. Dr. Smith, from the record, did not know
5 the information.

6 Q. Shouldn't he have, Doctor?

7 A. Ideally, yes.

8 Q. Whether --

9 A. Practically in the circumstances, not
10 necessarily. I mean, in hindsight, it is very
11 simple to say that he should have done this and
12 that; but in the proper conduct of the care of
13 these patients, Dr. Smith depends upon the
14 residents or the cardiologist or somebody telling
15 him things, which it appears did not occur.

16 Q. All right. Should Dr. Oliver, for
17 example, the intensivist who was in the intensive
18 care unit apprised, in your opinion, Dr. Smith of
19 Mr. Smith's condition?

20 A. It would have been appropriate.

21 Q. And should the residents have apprised
22 Dr. Smith of Mr. Smith's condition prior to the
23 second surgery?

24 MR. TREU: Objection.

25 A. In my opinion if they knew that he was --

1 his condition was precarious, yes.

2 Q. (BY MR. KAMPINSKI) Are there other
3 things with respect to Dr. Lee's conduct that you
4 believe to be inappropriate, either preop, during
5 the operation or postop?

6 A. I'm not, you know, an anesthesiologist
7 and I rely, as do other orthopedists, upon the
8 skill and conduct of the anesthesiologist to
9 safely get our patients through this kind --
10 through all operations and this is just one of
11 them, but I'm not skilled to analyze his treatment.

12 Q. Would that also be true with respect to
13 his treatment of Mr. Smith in the recovery room?

14 A. Yes. I would not want to analyze that
15 from a medical standpoint.

16 Q. Do you have any opinion with respect to
17 Dr. Smith's conduct at the time that Mr. Smith was
18 transferred to the recovery room?

19 A. Yes, I do have an opinion. I have read
20 what I analyzed to be the sequence of events.

21 Q. What is your opinion about whether or
22 not his conducts in leaving the hospital at that
23 time and not checking back on his patient was
24 appropriate?

25 A. He checked on -- from what I can tell

1 from the anesthesia record, he went in and checked
2 on the patient. There was a note -- I just
3 reviewed that a few minutes ago -- that he was
4 there and he checked on the patient.

5 Q. What record are you talking about in the
6 recovery record?

7 A. The recovery note and he left the
8 patient in the hands of the anesthesiologist and
9 did whatever else he was doing

10 Q. What condition was Mr. Smith in at the
11 time that he left?

12 A. He was unstable.

13 Q. And should he have, as the attending,
14 checked back to determine what the condition was
15 later on of the patient?

16 A. Should is not -- I wouldn't answer it as
17 he should have. I would say that it would have
18 been of interest to him to find out. When we
19 leave a patient in the recovery room as the
20 orthopedist or in the intensive care unit, we
21 leave them in the care of people far better
22 skilled in taking care of them than ourselves.

23 I think it would have been appropriate
24 for him to inquire, but he couldn't personally
25 have been the one to take actions.

1 Q. I understand what you are saying, but
2 aren't we really talking about someone wearing two
3 hats in that context, one, as an orthopod and, two,
4 as an attending?

5 A. While the patient is in an intensive
6 care unit, it is the practice, at least as I
7 understand it in our institution and St. Luke's,
8 where I was formally on the staff, I know that
9 when a patient is assigned to a recovery room or
10 intensive care unit he is not directly under the
11 care of the attending doctor, but under the care
12 of those that are in charge, specifically if -- we
13 don't write medical orders on the patient in an
14 intensive care unit.

15 An orthopedist does not write orders on
16 a person in the intensive care unit with regard to
17 life support. Others do. To go further, if I
18 give orders even in terms of positioning the
19 patient or ambulating the patient or sitting the
20 patient, those orders take the power of
21 consultation, but the intensivist will override
22 them.

23 He will say, we can't do that. That's
24 inappropriate, so the patient really is under the
25 care of the intensivist in the intensive care unit

1 or the anesthesia team in the recovery room.

2 There's nothing from a medical standpoint that an
3 orthopedist would do or in the case of many of us
4 know how to do, because we are highly specialized.

5 Q. Well, if you saw that the patient wasn't
6 receiving appropriate care, regardless of your
7 specialty, as the attending would you not insure
8 that he would then receive it at such time as you
9 became aware?

10 A. Yes.

11 Q. All right. And that would be done more
12 as the attending than as the orthopedic surgeon,
13 right?

14 A. Yes.

15 Q. Do you know Dr. Smith personally?

16 A. I know him. We are not friends. I know
17 him because he's been in the community for some
18 time. He's a member of the orthopedic club, the
19 Cleveland Orthopedic Club.

20 Q. And are there other organizations that
21 you are both members of?

22 A. Not that I know of. I think that's the
23 only one where we would see one another, at an
24 occasional meeting of the Cleveland Orthopedic
25 Club.

1 Q. You have testified for him before, have
2 you not, Doctor?

3 A. I believe I did in another matter.

4 Q. And how often have you testified for
5 Reminger & Reminger, let's say, in this particular
6 year?

7 A. This year?

8 Q. Yes.

9 A. Two times, three times. I don't do a
10 lot of this type of review and testimony thing.
11 This is unusual in the course of my professional
12 life.

13 Q. How about in 1986?

14 A. I don't have any accurate statistics.
15 This is not a thing that I spend a great deal of
16 hours in the year doing.

17 Q. How many times have you been consulted,
18 let's say, in the last five years by just Reminger's
19 office?

20 A. Six, eight. I don't know. I really
21 don't know. I don't keep any independent record
22 of that, so I don't have any way --

23 Q. I assume that you charge them?

24 A. I charge.

25 Q. Therefore, you get some kind of 1099

1 from them at the end of the year reflecting what
2 they paid you?

3 A. Well, I might. From what I know from my
4 bookkeepers, the checks don't necessarily come
5 from them in their name. It might come from the
6 people whom they represent, so I really have a
7 great deal of difficulty ferreting out the answer
8 to your questions.

9 Q. What were the two cases that you
10 testified in in this particular year?

11 A. I remember doing a case that involved
12 Dr. Smith in a wrist problem, but I don't remember
13 the details. I remember it was somewhere during
14 the last year. Maybe it was longer.

15 Q. Did you actually testify in that case?

16 A. I don't even know. I can't remember,
17 whether I wrote a report or testified or did a
18 deposition.

19 Q. My question is -- I guess I wasn't as
20 clear as I should have been. How many times have
21 you testified in the past year?

22 A. Testified for the Reminger office?

23 Q. Yes, sir.

24 A. I don't honestly know. Maybe once or
25 twice. Depositions. I haven't been in the

1 courtroom for years. That I would probably
2 remember a little more clearly, but what goes on
3 here occasionally I can't keep track of.

4 Q. You remember testifying in a case
5 involving one of my clients years ago for Reminger's
6 office, don't you? You said you would remember
7 court appearances more clearly, but you don't
8 remember that?

9 A. Testifying in your office?

10 Q. No, in court.

11 A. In court?

12 Q. That's correct.

13 A. How long ago? I honestly don't remember.
14 I remember seeing you somewhere, but -- a nice
15 looking man, but I don't remember what the issue
16 was.

17 Q. How about for other law firms, Doctor?

18 A. Occasionally I review cases of special
19 interest to me and do depositions or give opinions,
20 but it's not -- I would say that at the most maybe
21 1 percent of my professional work.

22 Q. And what other firms have you been
23 retained by?

24 A. That's a good question. Who else do I --

25 Q. Jacobson, Maynard?

1 A. I have done a case for Jacobson. I have
2 done cases For Fred Weisman. I have done cases
3 for Larry Stewart. I have done cases -- I mean,
4 just reviewing cases for people whom I know.

5 Q. Have you ever testified --

6 A. Arter & Hadden, Squire, Sanders,
7 different people over the years. I have been in
8 practice for 25 years. I got to know most of the
9 people in town that are doing this kind of thing.

10 Q. Has your practice been primarily with
11 the hand? Is that what you are --

12 A. No, the hand is a special area of my
13 practice. I'm in general orthopedics. I do hip
14 replacements. I did one this morning. I did one
15 yesterday. I do major orthopedic surgery and I do
16 arthroscopies. I do back surgery. I do hand
17 surgery. Hand surgery was something that I
18 derived -- have a special interest in. I had
19 special training in and I have been a leader in,
20 but I do the others as well.

21 Q. All right. Are there potential adverse
22 consequences of the use of methacrylate in someone
23 having a heart condition?

24 A. There are potential reactions in
25 everybody. There's a potential for dips in blood

1 pressure when it is being administered. Usually
2 once everybody is aware -- they are not permanent.
3 As long as everybody involved knows that it is
4 going to happen, it can be monitored. It passes
5 very quickly.

6 Q. All right. Is that something that you,
7 as the orthopod, would then tell the
8 anesthesiologist so that he would be aware of that
9 potential so he could monitor and deal with it?

10 A. Sure. This morning the anesthesiologist
11 said, where are you going? Do you plan to use
12 methacrylate? I said probably not, but we will
13 let you know. That's how it is done.

14 Q. And as a teacher of residents, I assume
15 that is something that you would apprise them of,
16 that potential adverse effect?

17 A. Yes, that's well-known.

18 Q. There is the potential of dislocation
19 after a hip replacement; is that correct?

20 A. Yes.

21 Q. And that can happen for a number of
22 reasons?

23 A. Unfortunately, true.

24 Q. And from what I understand you to say,
25 you, as an orthopod, rely on the anesthesiologist

1 to apprise you whether or not the patient is
2 medically capable of undergoing a closed reduction
3 in a situation where there is --

4 A. Yes.

5 Q. -- a dislocation?

6 A. Yes. I would expect him to veto or to
7 postpone or take special precautions if he felt
8 those were necessary.

9 Q. And you wouldn't check on any values
10 that he put down in his pre-anesthetic assessment
11 of the patient to insure that those values were
12 correct?

13 A. Not necessarily.

14 Q. Would you sit down and talk to him about
15 the status of the patient to insure that he was
16 medically stable to proceed, especially if you
17 hadn't received a medical clearance from someone
18 else?

19 A. Not specifically I wouldn't. I would
20 assume -- this is another one of those implied
21 relationships. The implied relationship is that I
22 would assume that he would tell me, that he would
23 be duly diligent in taking care of the patient and
24 let me know if there was something that I should
25 know.

1 Q. Could he also assume that you were being
2 duly diligent in knowing the status of the patient
3 and apprising him of any problems that you were
4 aware of that he might miss? Would that also be a
5 fair assumption on his part?

6 A. They don't as a rule. The
7 anesthesiologists don't as a rule rely upon that.
8 I mean, they take it independently as a rule, an
9 assessment of the record and the history and
10 whatever else.

11 Q. Do you have any opinion as to whether or
12 not the anesthesiologist's failure to become fully
13 apprised of the patient's status had any
14 contributing effect in his death? Do you have any
15 such opinion?

16 A. I really don't have an opinion on that.

5.7 Q. Do you have an opinion as to whether
18 anybody's conduct contributed to Mr. Smith's death?

19 A. Actually not. I can't arrive. He had
20 medical problems and I don't -- I'm not competent
21 to analyze all of the intricacies of the
22 laboratory and clinical studies.

23 Q. As I understand it, your involvement is
24 merely to comment on Dr. Smith's conduct and
25 whether or not that was appropriate in the

1 circumstances?

2 A. Yes. That's all I can do as an
3 orthopedist.

4 MR. KAMPINSKI: That's all I have.

5 MR. GROEDEL: Gentlemen?

6 MR. BONEZZI: Are you through?

7 MR. KAMPINSKI: Yes.

8 CROSS-EXAMINATION

9 BY MR. BONEZZI:

10 Q. Doctor, my name is William Bonezzi and I
11 represent Dr. Lee, the anesthesiologist. I have
12 some questions for you and I will try to be brief
13 and to the point. If during my examination I ask
14 a question that is inartfully phrased so that you
15 do not understand, please tell me. I will assume,
16 rightfully or otherwise, that if you answer the
17 question, you understood what I was asking.

18 A. Fine.

19 Q. Now, you have indicated, I believe, just
20 a moment ago to Mr. Kampinski that the orthopod
21 relies upon the anesthesiologist for purposes of
22 either vetoing or postponing a surgery and that
23 would be based upon the anesthesiologist's review
24 of the record; is that correct?

25 A. Yes. I added another phrase to that.

1 Q. Please.

2 A. Or taking whatever necessary actions had
3 to be taken to deal with the issues.

4 Q. All right.

5 A. That's the relationship.

6 Q. Are you aware of whether or not Dr. Lee,
7 the anesthesiologist that I represent, was also
8 the anesthesiologist involved in the first surgery?

9 A. I don't know.

10 Q. Would that make a difference to you
11 relative to your statement that the
12 anesthesiologist does not rely upon the orthopedic
13 surgeon to go ahead and make him aware of the
14 patient's condition?

15 A. I really don't understand the question.

16 Q. I want you to assume that in this case
17 Dr. Smith was aware of certain medical problems
18 that Mr. Smith, the decedent in this case, had and
19 I want you to further assume that Dr. Smith, the
20 orthopedic surgeon, believed that the closed
21 reduction had to be undertaken because of a so-called
22 emergent situation and I want you to further
23 assume that Dr. Lee was not the first
24 anesthesiologist on the case in that he was not in
25 the operating room during that first procedure.

1 A. Yes.

2 Q. And that Dr. Smith had information
3 pertaining to the patient's condition. Under that
4 set of circumstances, would you agree with me that
5 Dr. Smith in that situation has a responsibility,
6 ideally other otherwise, to convey whatever
7 information he possesses to the anesthesiologist
8 so that the anesthesiologist can go ahead and make
9 the decision whether or not the surgery should go
10 forward?

11 MR. GROEDEL: Objection.

12 A. In my opinion the answer to that is no.

13 Q. (BY MR. BONEZZI) Why?

14 A. This all happened in the course of one
15 hospitalization in which all of that information
16 is in the hospital record. The previous
17 anesthesia record, the previous SICU record,
18 everything is in the record and I think it is fair
19 that Dr. Smith would rely upon Dr. Lee to make
20 himself aware of all of that information, which is
21 in the record, and probably also in his department
22 records. That's all available.

23 If the situation were otherwise, where
24 it was a different hospitalization, it was a
25 different hospital or there was some other -- that

1. information was not actually in hand, very easily
2. in hand in that hospital chart, then I would agree
3. with you.

4. Q. Are you saying that Dr. Smith is under
5. no obligation to go ahead and impart any
6. information that he may possess relative to the
7. condition of the patient to the anesthesiologist
8. prior to the procedure?

9. A. To me Dr. Smith's duty would be to tell
10. the anesthesiologist be sure you read the medical
11. record. That would tell it much more clearly than
12. anything he could say.

13. Q. So at the minimum Dr. Smith has an
14. obligation, in your opinion, to at least inform
15. the anesthesiologist to review the record
16. carefully to obtain whatever information would be
17. necessary to allow the anesthesiologist to make a
18. decision of whether or not there was a clearance
19. for anesthesia?

20. MR. GROEDEL: Objection.

21. A. I think he has a right to expect -- you
22. are asking me initially ideally or not. Ideally
23. he could give that statement, but he has a right
24. to expect in the chain of responsibility in the
25. hospital that the anesthesiologist will avail

1 himself of all of that readily available
2 information, which is right there.

3 Q. (BY MR. BONEZZI) Depending upon the
4 amount of time that the anesthesiologist has?

5 A. Well, it doesn't take very long to do
6 that. It is right there. It's not from the
7 record room. It is right there in that same
8 record.

9 Q. Are you of the opinion then that there
10 does not need to be a give-and-take between the
11 surgeon and the anesthesiologist prior to surgery
12 relative to the condition of the patient, because,
13 in your opinion, the anesthesiologist must read
14 the record and that the orthopedic surgeon does
15 not have either a responsibility or a duty to
16 impart to the anesthesiologist any information
17 that the orthopedic surgeon has relative to the
18 condition of the patient prior to surgery, correct?

19 A. Ideally, yes. Just to tell him whatever
20 he can tell him that he knows.

21 Q. So he should tell him?

22 A. He should tell him; but if he doesn't
23 know, he has a right to assume that it's in the
24 record and that the anesthesiologist will help
25 himself to the information.

1 Q. Are you saying then that if the
2 orthopedic surgeon doesn't know the information,
3 then, of course, there's no --

4 A. He cannot tell him.

5 Q. He cannot impart that; but on the other
6 hand, if he does have information, he should give
7 that information?

8 A. If he has special information, which, in
9 his judgment, is pertinent, then he should tell
10 the anesthesiologist. To that I agree.

11 Q. Then there's a responsibility to do it?

12 A. What?

13 Q. There is a responsibility?

14 A. There is a responsibility to do it.

15 Q. Okay. You have reviewed the records
16 pertaining to this admission?

17 A. Yes.

18 Q. And are you of the belief that the
19 second procedure, the closed reduction, was an
20 emergent situation?

21 A. Yes.

22 Q. Is there a difference, in your opinion,
23 between an emergent situation and an urgent
24 situation?

25 A. No. I think they're synonymous.

1 Q. One and the same?

2 A. Yes.

3 Q. So if you have an emergent or urgent
4 situation, then, of course, surgery is indicated?

5 A. Right.

6 Q. And in this case surgery was necessary
7 based upon a so-called emergent situation; is that
8 correct?

9 A. Yes.

10 Q. Doctor, would you be kind enough to
11 explain to me why this was an emergent situation
in your opinion?

A. With a dislocation of a hip joint, a
major joint is shocking and potentially it can
cause vascular circulation problems irreversibly.

Q. Can I stop you if I might right there?

A. Yes.

18 Q. Where would the compromise occur, that
19 vascular compromise?

20 A. In the leg. Nerve injury to the leg,
21 bleeding into the area, which, in turn, can
22 increase the susceptibility to infection and it is
23 extremely painful and, as I say, shocking to the
24 patient to be left with a hip out of joint.

25 Q. Were you able to determine from a review

1 of the records whether or not Mr. Smith was in a
2 stable condition on the afternoon of the 17th of
3 November prior to the time of his surgery?

4 A. Having reviewed the records, I would say
5 he was in a marginal condition.

6 Q. What does that mean?

7 A. His hematocrit was 32 or 34, which is at
8 the lower end of acceptable. It's not unfit, but
9 it's not ideally fit. It is somewhere in between.

10 Q. Are you aware of whether or not there
11 was a medical doctor involved in reviewing Mr.
12 Smith's condition prior to the time of surgery?

13 A. Yes. Dr. Jackson saw him in the morning
14 and his concern, as I gleaned from the record, was
15 that the man had vomited and had some bloody
16 material in his stomach, coffee ground material,
17 and he was concerned about that.

18 Q. Am I correct in stating that it is
19 extremely important, if not vital, to insure that
20 the condition of the patient is stable prior to
21 the time that that individual is taken to surgery?

22 A. It is important to get the patient as
23 stable as one can given the emergence of the
24 situation. We are not always privileged to have
25 the patient at ideal condition to do the thing we

1 have to do.

2 Q. Are you saying in this case, based upon
3 a review of the records and the information that
4 you obtained from those records, that Mr. Smith's
5 condition was so emergent that the procedure
6 performed by Dr. Smith could not have waited until
7 the following morning?

8 A. I believe that, yes. It should not have
9 waited until the following morning. Irreversible
10 damage could have occurred to the limb, which
11 would have presented another whole reason for our
12 meeting today. It is another problem that should
13 be dealt with. You cannot leave a hip dislocated
14 overnight.

15 Q. What major vessel would have been
16 compromised from the period of time of, we will
17 say, 4:00 in the afternoon on the 17th of November
18 until the following morning which would have
19 increased the risk of vascular compromise?

20 A. The major arteries in the area, the
21 femoral artery, the arteries tributary to that.
22 There are a lot of vessels around the hip joint,
23 the major ones, the femoral artery and the deep
24 femoral artery. They are under torque. The hip
25 is dislocated. The blood flow is impaired.

1 Q. And can you tell me the degree of the
2 dislocation?

3 A. Well, it is completely dislocated.

4 Q. And you reviewed the x-ray?

5 A. Yes.

6 Q. And when was the last time that you
7 reviewed the x-rays?

8 A. In 1986 when I saw the x-rays and then
9 returned them.

10 Q. By any chance were you able to determine
11 from a review of those x-rays as to when the
12 dislocation took place?

13 A. No. That was a matter -- I think from
14 the medical record it was noted that morning.

15 Q. Do you recall whether or not in the
16 nursing notes there was any evidence or suggestion
17 that there was vascular impairment of the major
18 vessels?

19 A. I don't recall.

20 Q. And I would assume correctly or
21 otherwise that if there was a lack of pulse in the
22 leg at any location in the leg that, of course,
23 would be noted in the nursing notes, correct?

24 A. If they were looking for it. If the
25 nurse had been specifically instructed to be

1 checking the pulses in the foot.

2 Q. Who would do that? Who would give that
3 instruction?

4 A. That's a matter of order. That's an
5 observation that would have to be specifically
6 ordered for the nurse to check.

7 Q. By whom?

8 A. By a physician.

9 Q. By what type of physician?

10 A. By an orthopedist.

11 Q. Would it be good medical practice for
12 the orthopedic surgeon to go ahead and include
13 orders such as what you have just indicated
14 following this type of procedure?

15 A. No, that is not standard. That is not a
16 standard observation. That's unnecessary, to be
17 checking the pulses at regular intervals after a
18 successful hip replacement. It's not one of the
19 standard orders.

20 Q. Are you aware of whether or not there
21 was any evidence of circulatory compromise in Mr.
22 Smith's leg prior to the time of the second
23 procedure?

24 A. As far as I can remember there was none.

25 Q. Then if that is one of the major

1 considerations to look at relative to determining
2 or declaring a situation being emergent or urgent
3 and if there is a lack of that information, was
4 the second procedure really an emergency procedure,
5 Doctor?

6 A. Yes. I think what I have to explain is
7 that one does not wait for something terrible to
8 happen before taking action to prevent it. The
9 fact that there was no documented circulatory
10 impairment doesn't mean that he wasn't at
11 significant risk for that to happen.

12 One can't wait for it to happen and then
13 take action to reduce the hip. Then you are
14 dealing with a much more complicated issue where
15 vascular intervention would be necessary and
16 perhaps ineffective. It is best -- the ideal
17 treatment is to not let it happen; and by reducing
18 the hip, you have reduced the possibility of that
19 happening.

20 Q. It is important, though, is it not, to
21 insure that the patient's condition is stable
22 prior to the time that the patient is taken to the
23 operating room?

24 A. It is important to see that he can
25 tolerate the procedure to be done. There are

1 different levels of stability, depending upon
2 whether he's going to have a brief anesthetic to
3 reduce a dislocation or whether he's going to have
4 a three-hour hip operation.

5 There are different levels. He has to
6 be at a different level of health to tolerate one
7 versus the other.

8 Q. And, in your opinion, his health, his
9 health status, was sufficient enough or stable
10 enough prior to the time of the second procedure
11 to allow that second procedure?

12 A. I'm not saying that is my opinion, that
13 he was stable enough. I'm just saying --

14 Q. Well, did the records indicate that?

15 A. The records indicate that his condition
16 was marginal; that is, with diligence it was
17 permissible to do that. If one were on top of it,
18 one could proceed. One should be aware of what
19 that condition was.

20 Q. The orthopedic surgeon you are talking
21 about?

22 A. The anesthesiologist.

23 Q. And the orthopedic surgeon?

24 A. And secondarily the orthopedic surgeon.
25 The orthopedic surgeon cannot proceed without the

1 anesthesiologist giving him an anesthetic. That's
2 the first step and, yes, then to kind of concur in
3 the fact that the procedure is going to be done.

4 Q. Did you review the operative note of Dr.
5 Smith relative to the second procedure?

6 A. Yes.

7 Q. And upon reviewing that document, were
8 you able to determine whether or not the procedure,
9 itself, involved any type of complications or
10 involved any type of effects that were not thought
11 of prior to?

12 A. I would have to review the record again
13 to avail myself of that. If I can have a moment.

14 Q. Why don't you take a moment?

15 A. Yes.

16 MR. GROEDEL: The second surgery
17 you are asking him?

18 MR. BONEZZI: Yes.

19 A. It is a one-paragraph note which
20 indicated that -- I would say that was a routine
21 closed reduction of a hip dislocation.

22 Q. (BY MR. BONEZZI) What type of force was
23 used?

24 A. He says a large amount of force.

25 Q. Is that routine?

A. It can be. I think it's a good sign.

Q. Why is it a good sign?

A. Well, it is a good sign. It means that the hip is not inherently unstable. I think I would put that in a note, that it's not likely to come out again.

Q. Was the hip in such a position as you have just described enough to take a look back at hindsight and determine that the necessity of that surgery was not as emergent as first thought?

A. No, quite to the contrary.

Q. It would be the other way around?

A. The fact that the hip is dislocated is in itself a problem. I mean, that doesn't bear on the necessity of reducing it.

Q. Oh, I never asked you whether or not there was a necessity to reduce it. That was a given. My question always has been directed to whether or not it had to be reduced at that point in time or whether or not the procedure, itself, could have been held back at least until the following morning?

A. The finding in that operative note doesn't answer that question one way or another. The fact that it took a little force or a lot of

1 force is irrelevant. The man had a dislocated hip.
2 It had to be reduced.

3 Q. And the amount of force utilized during
4 the procedure, would that in any way cause any
5 type of diminishment in cardiac output or involve
6 any type of cardiac problem?

7 A. I would not expect that it would. The
8 patient had a spinal anesthetic.

9 Q. Now, you testified before when Mr.
10 Kampinski asked you questions involving Dr. Lee.
11 I believe you stated in your answer relative to
12 Dr. Lee that he was less than fully aware of the
13 patient's condition prior to the surgery. Is that
14 correct?

15 A. I believe that's right. You have to
16 review with the court reporter. As I recall, I
17 testified to that.

18 Q. I don't want to mischaracterize your
19 testimony. Does that sound about right?

20 A. Yes, I think that would be a fair
21 statement.

22 Q. Are you aware of whether or not prior to
23 the time that the second procedure commenced if
24 there was any communication between Dr. Jackson,
25 Dr. Smith and Dr. Lee?

1 A. I don't know the answer to that. It
2 doesn't appear in the record. I don't know.

3 Q. Are you aware of whether or not there
4 was any communication between Dr. Jackson and Dr.
5 Smith prior to the second surgery relative to the
6 condition of the patient?

7 A. I don't know.

8 Q. Would I be correct in stating that if
9 the medical doctor, Dr. Jackson, possessed
10 information relative to the patient's condition,
11 that he should impart that information to the
12 orthopedic surgeon so that eventually it would
13 also get to the anesthesiologist?

14 A. If, in fact, Dr. Jackson was apprised of
15 the fact that the patient was going to the
16 operating room -- I don't know from the chart. I
17 can't remember if the chart indicates that or not;
18 but if Dr. Jackson knew that he was going to the
19 operating room and if he possessed information to
20 cause concern in his mind, then it would be
21 appropriate for him to tell Dr. Smith and/or the
22 anesthesiologist of his concerns.

23 Q. And I don't believe the record indicates,
24 does it, if there was any type of conversation
25 between Dr. Jackson and Dr. Smith prior to the

1 procedure?

2 A. I cannot recall. I'm trying to separate
3 some things that I have looked at in depositions
4 and what was in medical records. I'm a little --
5 I'm trying not to interpose the two bits of
6 information.

7 Q. I believe that the records indicate that
8 both the hematocrit and hemoglobin levels were
9 taken twice on the 17th, one somewhere around 6:30
10 a.m. or 6:40 a.m. and another one around I think
11 11:00 if I'm not mistaken?

12 A. Yes.

13 Q. And that there appeared to be from those
14 levels a decrease in the hematocrit and hemoglobin
15 levels, correct?

16 A. Yes.

17 Q. What is the significance of that?

18 A. Well, the significance is that he's
19 either bleeding or he's receiving more fluids that
20 dilute the blood that he has or that there's some --
21 there's a variance in the laboratory values based
22 on technology.

23 Q. Are you aware of whether or not Dr.
24 Jackson was attempting to keep track of both the
25 hemoglobin and hematocrit levels on the 17th of

1 November?

2 A. Yes, it is my recollection, as I said
3 before, he was concerned about the gastric
4 bleeding and that was the signal to him to order
5 these tests.

6 Q. Do you recall whether or not the records
7 indicate if that information was imparted to Dr.
8 Smith?

9 A. I don't know.

10 MR. KAMPINSKI: By imparted you mean
11 verbally as opposed to in the record?

12 Q. (BY MR. BONEZZI) We will take verbally
13 first.

14 A. I don't know the answer to that.

15 Q. Is it the responsibility of the
16 orthopedic surgeon prior to the surgery to take a
17 look at the progress notes and the orders on the
18 day of surgery prior to the time that the surgery
19 commences?

20 MR. GROEDEL: Objection, asked and
21 answered.

22 A. Not necessarily in this setting.

23 Q. (BY MR. BONEZZI) But it would be good
24 medical practice to do it, wouldn't it?

25 A. It would be ideal; but as I pointed out

1 in answering Mr. Kampinski, in the teaching
2 hospital structure, the resident is the overseer
3 of the record and it is expected or he has a duty
4 to do that review for the orthopedist.

5 Q. But it would still be good medical
6 Practice to do it, correct?

7 A. It would still be good medical practice.

8 MR. BONEZZI: I don't think that I
9 have anything further. Thank you, Doctor.

10 CROSS-EXAMINATION

11 BY MR. TREU:

12 Q. Doctor, just a couple of questions. Let
13 me ask you initially do you have any opinions or
14 any criticisms of the hospital or any of the
15 residents in this case?

16 A. I don't have an opinion in that regard.

17 Q. And as I understand your testimony --
18 let's start like this. There are two ways that a
19 resident can get information to an attending,
20 correct? One is orally and the other is through
21 the record, correct?

22 A. Yes.

23 Q. And it's my understanding that it's your
24 testimony that the resident has a duty to impart
25 that information to the attending orally?

1 A. I think so. That's my opinion.

2 Q. Putting it in the record is not adequate
3 to your knowledge?

4 A. It's not entirely adequate. He's

5 serving as the lieutenant in the case. He has a

6 duty under the structure of these programs to tell
7 the attending, to alert him orally, not to wait
8 until he sees it in the record if it is a

9 pertinent item.

10 Q. And was it your testimony that the

11 attending orthopedic surgeon is responsible for
12 the conduct of the orthopedic residents?

13 MR. GROEDL: Objection.

14 A. As much as he can in the care of that

15 patient.

16 Q. (BY MR. TREU) Right.

17 A. The department chairman has overall

18 responsibility, but he has the responsibility as
19 much as he can control the behavior of the

20 residents in the management of that case and to

21 supervise them.

22 MR. TREU: Okay. Thank you.

23 MR. MEADOR: I don't have any

24 questions. Thank you, Doctor.

25

CROSS-EXAMINATION

BY MR. KAMPINSKI:

Q. Just a couple follow-ups, Doctor. The operative note that you referred to, which is page 153 of the record, that was apparently prepared by the resident, Dr. Gill, and then countersigned by Dr. Smith?

A. Right.

Q. And the last sentence of that, could you indicate what that is for the record, Doctor?

A. "The patient was then transferred to the recovery room in good condition and tolerated the procedure well without intraoperative complications."

Q. From your review, Doctor, of the recovery room record, which is page 155, I think you told me before that -- and I'm sorry, but I'm going to have to paraphrase it, because I don't have it down here, but I think you said that he wasn't in very good condition at that time?

A. Well, yes, upon arrival in the recovery room he was cool and diaphoretic and there was some other changes, but there was another note, the page just before, which is an operating room record, one of a number, which indicates the

1 condition upon leaving the operating room and that
2 tells us a little different -- gives a little
3 different picture.

4 The patient's condition is fair and
5 that's the page just before, so I'm trying to
6 reconstruct a picture.

7 Q. He certainly was not in good condition
8 at the time that he arrived in the recovery room?

9 A. I agree with that, based on the record.

10 Q. When you indicated that it was a good
11 sign to use "a large amount of force," I assume
12 you are now referring to a good sign
13 orthopedically in terms of the end result
14 orthopedically and it was not a good sign in terms
15 of what effect using a large amount of force may
16 have on a medically unstable person?

17 A. Yes, I'm talking about the prognosis of
18 the hip reconstruction, that it is a good sign.

19 Q. Specifically with respect to the
20 resident's duty to advise the attending of
21 information, would you expect that if there was
22 information relative to an orthopedic patient
23 having sustained myocardial damage, that that
24 information would be imparted to the attending?

25 MR. TREU: Objection.

1 A. If the resident knew that, yes.

2 Q. (BY MR. KAMPINSKI) How about if the
3 other employee of the hospital knew; that is, the
4 intensive care unit specialist? Is that
5 information that you would expect that he would
6 impart to the attending?

7 MR. TREU: Objection.

8 A. I would think so.

9 Q. (BY MR. KAMPINSKI) And how about the
10 evidence of gastrointestinal bleeding, a guiac
11 coffee ground emesis? Is that information that
12 you would expect the resident to impart to the
13 surgeon?

14 A. Yes.

15 Q. And information following the hematocrit
16 and hemoglobin? That is also information that you
17 would impart?

18 MR. TREU: Objection.

19 A. It is a matter of relatively, if it is a
20 precipitant fall or if it were something
21 unexpected --

22 Q. (BY MR. KAMPINSKI) How about if it was
23 in conjunction with guiac coffee ground emesis?

24 MR. TREU: Objection.

25 A. If it is significant. All patients on

1 the third day after a hip replacement would show a
2 drop in hematocrit or hemoglobin. If the drop is
3 greater than that expected and if at the same time
4 the patient had some evidence of gastrointestinal
5 bleeding, yes.

6 Q. In this circumstance that was present,
7 was it not, Doctor?

8 A. Well, yes.

9 Q. All right. And you would expect that
10 information then to be imparted by the residents
11 to the attending?

12 A. Yes.

13 MR. KAMPINSKI: That's all. Doctor,
14 you have a right to read your testimony. You have
15 a right to waive your signature.


16 THE WITNESS: I'll waive it.

17 - - -
18
19
20
21
22
23
24
25

1 THE STATE OF OHIO,)
2) SS: CERTIFICATE
COUNTY OF CUYAHOGA.)

3 I, Kerry L. Paul, a Notary Public within and
4 for the State of Ohio, duly commissioned and
5 qualified, do hereby certify that AVRUM I
6 FROIMSON, M.D. was by me, before the giving of his
7 deposition, first duly sworn to testify the truth,
8 the whole truth, and nothing but the truth; that
9 the deposition as above set forth was reduced to
10 writing by me by means of Stenotypy and was
11 subsequently transcribed into typewriting by means
12 of computer-aided transcription under my
13 direction; that said deposition was taken at the
14 time and place aforesaid pursuant to notice; that
15 the reading and signing of the deposition by the
16 witness were expressly waived; and that I am not a
17 relative or attorney of either party or otherwise
18 interested in the event of this action.

19 IN WITNESS WHEREOF, I hereunto set my hand
20 and seal of office at Cleveland, Ohio, this 17th
21 day of November, 1987.

22 
23 Kerry L. Paul, RPR, Notary Public
24 Within and for the State of Ohio
540 Terminal Tower
Cleveland, Ohio 44113

25 My Commission Expires: October 12, 1988.