

STATE OF OHIO,)
COUNTY OF CUYAHOGA.) SS:

IN THE COURT OF COMMON PLEAS

CARL J. WILLIAMS, et al.,)
)
Plaintiffs,)
)
vs.) Case No. 253137
) Judge Brian J. Corrigan
JONATHAN C. BOYD, M.D.,)
et al.,)
)
Defendants.)

- - - - -

THE DEPOSITION OF DR. DONALD EDWARD FUERST
WEDNESDAY, MARCH 13, 1996

- - - - -

The telephonic deposition of DR. DONALD EDWARD FUERST, a witness herein, called by the Plaintiff for examination pursuant to the Ohio Rules of Civil Procedure, taken before me, the undersigned, Darlene Lowe, Court Reporter and Notary Public within and for the State of Ohio, taken at the offices of Becker & Mishkind, Skylight Office Tower, Suite 660, Cleveland, Ohio, commencing at 3:35 p.m., the day and date above set forth.

WANOUS REPORTING SERVICE

55 PUBLIC SQUARE
1225 ILLUMINATING BUILDING
CLEVELAND, OHIO 44113
(216) 861-9270

1
2 APPEARANCES:

3 On behalf of the Plaintiff:

4 John A. Lancione, Esq.
5 Becker & Mishkind
6 Skylight Office Tower, Suite 660
Cleveland, Ohio 44113

7 On behalf of Defendant Dr. Jonathan C. Boyd:

8 Anna M. Carulas, Esq.
9 Jacobson, Maynard, Tuschman & Kalur
10 1001 Lakeside Avenue, Suite 1600
Cleveland, Ohio 44114

11 On behalf of Defendant Meridia Huron Hospital:

12 Christine Reed, Esq.
13 Reminger & Reminger
14 The 113 St. Clair Building
Cleveland, Ohio 44114

1 DR. DONALD EDWARD BOYD
2 of lawful age, called by the Plaintiff for
3 examination, pursuant to the Ohio Rules of Civil
4 Procedure, having been first duly sworn, was examined
5 and testified as follows:

6 EXAMINATION OF DR. DONALD EDWARD BOYD

7 BY MR. LANCIONE:

8 Q Doctor, would you please state your full name
9 for the record?

10 A Donald Edward Fuerst, F-u-e-r-s-t.

11 Q Doctor, my name is John Lancione. I'm one of
12 the lawyers representing Carl Williams in this
13 case. We've never met. This is the first time
14 we've spoken, so I'm going to go through some
15 rules for you for the deposition and then we'll
16 get into some of the questions.

17 A Okay, fine.

18 MR. LANCIONE: We're doing this
19 deposition pursuant to agreement of counsel, I
20 take it, Anna. Is that accurate?

21 MS. CARULAS: Correct.

22 MR. LANCIONE: And Chris?

23 MS. REED: Yes.

24 Q Okay. Doctor, during the deposition, if you
25 don't understand a question or if for some

1 reason because of the transmission of my voice
2 signal, please tell me you don't understand or
3 you didn't catch the question, I'll rephrase it
4 and ask it again and make sure that you
5 understand it and you've given an answer to a
6 question that you understand. Is that fair?

7 A That's fair.

8 Q Also, Doctor -- well, we don't have to tell you
9 about gestures, because the court reporter can't
10 see you so you have to give us verbal answers.

11 A I understand.

12 Q Doctor, what is your professional address?

13 A My office address now is 1218 North Florence,
14 F-l-o-r-e-n-c-e, Claremore, Oklahoma, 74017.

15 Q How long have you been in Oklahoma practicing
16 medicine, Doctor?

17 A Since the 10th of January, 1996.

18 Q And what is the reason for your move out to
19 Oklahoma, Doctor?

20 A I was offered the position of starting up and
21 running the department of urology for the
22 hospital here.

23 Q What hospital is that, doctor?

24 A Claremore Regional Hospital.

25 Q Is that affiliated with any university?

1 A No, it's part of the Columbia Hospital
2 Corporation -- System.

3 Q Is that a teaching hospital?

4 A No.

5 Q Doctor, previous to going out to Oklahoma, you
6 were practicing medicine in Akron, Ohio?

7 A Correct.

8 Q Okay. And how long were you practicing in
9 Akron, Ohio, in private practice?

10 A Approximately 15 years,

11 Q Doctor, have you given a deposition before as an
12 expert witness in a medical malpractice case?

13 A Yes, I have.

14 Q On how many occasions have you been deposed as
15 an expert?

16 A Probably about two or three.

17 Q Have you ever been deposed in a case with a
18 similar fact pattern to this case?

19 A No.

20 Q In addition to the deposition you've given in
21 those cases, have you reviewed cases in which
22 you have not been deposed?

23 A Yes.

24 Q How many medical malpractices have you reviewed?

25 A Two, that I can think of.

1 Q For the cases in which you gave depositions,
2 were you acting as an expert for the plaintiff
3 or the defendant?

4 A One for each.

5 Q What about the cases that you did not give a
6 deposition in?

7 A One for each.

8 Q Doctor, in any of those cases in which you've
9 been an expert for the defendant, were you
10 engaged by the law firm of Jacobson, Maynard,
11 Tuschman & Kalur?

12 A No, I think I was opposing them the last time I
13 testified.

14 Q So you've never been retained by that law firm
15 as an expert witness?

16 A Never before this, no.

17 Q When you were practicing in Akron, who was your
18 medical malpractice insurance carrier?

19 A PICO.

20 Q Were you ever insured by PIE?

21 A No.

22 Q Doctor, what are your rates for depositions? I
23 understand it's a 600 flat fee. Do you charge
24 an hourly?

25 A If it goes beyond four hours, it's \$150 an hour.

1 Q What about your rate for reviewing medical
2 records, what's your rate for that?

3 A \$100 an hour.

4 Q Doctor, in preparation for this deposition, have
5 you conducted any research?

6 A I just reviewed urology literature; nothing
7 specific, no,

8 Q What type of literature in urology did you
9 review?

10 A Just literature regarding implants,
11 complications of implants.

12 Q Did you look into any other literature
13 concerning ileus?

14 A Not specifically because I found no reference to
15 ileus as a complication of an implant.

16 Q Okay. In preparation for your deposition, did
17 you review any documents -- any medical records
18 or depositions?

19 A Yes, I reviewed the office records of Dr. Boyd,
20 the hospital records of Dr. Boyd and the implant
21 procedure, and Mr. Williams' hospitalization
22 subsequent to that, as well as reports -- the
23 original reports I believe of the expert witness
24 on your side.

25 Q Did you look at any deposition transcripts

1 previous to today?

2 A I did not look at any depositions.

3 Q Have you discussed this case with any colleagues
4 either here in Ohio or out in Oklahoma?

5 A No, sir.

6 Q In your report you said you've done thousands of
7 implants, penile implants?

8 A Actually thousands.

9 Q I'm sorry, you're right. Thank you, Doctor.
10 Have you ever had a patient who suffered
11 adynamic or paralytic ileus after penile implant
12 surgery?

13 A Never.

14 Q Doctor, what textbooks in urology do you refer
15 to in your practice?

16 A What? I'm sorry, I didn't hear you.

17 Q What urology textbooks do you refer to in your
18 practice?

19 A Oh, several textbooks. One is Campbell's
20 Textbook of Urology, there's also British
21 Textbook of Urology.

22 Q And when you did your basic research, did you
23 consult those two books?

24 A I looked through them, yes.

25 Q The only purpose of your consulting them was to

1 see if ileus was a documented complication of
2 penile implant surgery?

3 A That is correct.

4 Q Okay.

5 MS. CARULUS: The doctor's
6 secretary just came in. She just needs to talk
7 to him for a quick second.

8 - - - - -

9 (Recess taken.)

10 - - - - -

11 Q Could you describe the nature of your current
12 practice?

13 A Right now it's generally urology, all facets of
14 it.

15 Q Does that include surgery?

16 A That includes surgery as well as the medical
17 side of it.

18 Q Is that the same back in 1991?

19 A Yes.

20 Q Doctor, when you have a patient -- strike that.

21 Have you ever had a patient in your
22 practice develop an ileus after any type of
23 surgery?

24 A Yes, I have.

25 Q And did you treat that yourself or did you call

1 in a gastroenterology consultation?

2 A No, I had a surgeon see the patient.

3 Q And how did the -- did the ileus resolve in this
4 patient without a need for surgical
5 intervention?

6 A He put in a tube to depress the intestines and
7 the patient responded very nicely to that, he
8 recovered very well.

9 Q What type of surgery precipitated the ileus?

10 A Kidney stone surgery.

11 Q Is that an intra-abdominal surgery, Doctor?

12 A It's not intra-abdominal; it's retroperitoneal,
13 behind the peritoneum.

14 Q Doctor, are you of the opinion that the ileus
15 can only develop after intraperitoneal surgery?

16 A No, it can develop from other types of
17 surgeries, also.

18 Q Are you of the opinion that it is impossible for
19 an ileus to develop after penile implant
20 surgery?

21 A Considering that I've never seen it happen in
22 any of my patients, and I never read about it
23 happening to anybody else.

24 Q Well, do you think that it's impossible for it
25 to occur after penile implant surgery or that it

1 is possible?

2 A I won't say that it's impossible or that it's
3 possible; I've just never seen it happen.
4 There's multiple factors that can occur to cause
5 an ileus from any type of procedure. But
6 whether -- E can't say possible or impossible.
7 I can't say. Anything is possible.

8 Q Okay. Doctor, have you maintained a file on
9 this case?

10 A Have I maintained a file on it?

11 Q Yes.

12 A Just the packet of information that I was sent,

13 Q Which includes the records you've already
14 described for us that you've reviewed?

15 A Yes.

16 Q Your report dated February 6, 1995, Doctor --
17 I'm sorry -- yes, February 6, 1995, is that the
18 only report you've authored in this case?

19 A Yes.

20 Q Did you do any draft reports?

21 A No.

22 Q Doctor, you were Board certified in 1983?

23 A Correct.

24 Q Was there a requirement at that time to
25 recertify periodically?

1 A No, sir.

2 Q Okay. Did you take your boards only once?

3 A Yes.

4 Q Doctor, from your report I take it you see
5 constipation develop in patients on a regular
6 basis?

7 A Constipation?

8 Q Yes.

9 A Yes, it does happen. Anybody given pain
10 medication, that's one of the side effects of
11 it.

12 Q Would you agree that a distended abdomen can be
13 a symptom of constipation?

14 A It can be.

15 a And abdominal distension could also be a symptom
16 of ileus?

17 A It could be.

18 Q Doctor, are you of the opinion that in order for
19 the diagnosis of ileus to be made, that you must
20 have absent bowel sounds?

21 A I believe it would be important that there be
22 absent bowel sounds before you could call it an
23 ileus, yes.

24 Q Well, as part of your practice, do you on a
25 regular basis screen your surgical patients

1 postoperatively for the development of ileus?

2 A Usually not as a routine practice, no.

3 Q Because you find that urological surgical
4 patients do not typically develop postoperative
5 ileus?

6 A Not necessarily. It depends on the procedure
7 that's being done. Implant patients, usually
8 no, you don't screen them for ileus. If we've
9 done intra-abdominal procedure -- surgery, yes,
10 then we do.

11 Q Well, would you exclude ileus as a diagnosis --
12 if you had an individual who had not moved his
13 bowel in three or four days, had a distended
14 abdomen, had not had any flatus, but had bowel
15 sounds, would you exclude ileus as a diagnosis?

16 A On what basis?

17 Q Because of the presence of bowel sounds, would
18 you automatically exclude ileus because of the
19 presence of bowel sounds?

20 A I would be less likely to think it was ileus
21 because of that.

22 Q Would you include that in a differential
23 diagnosis, however?

24 A Possibly.

25 Q What would make you want to include ileus in

1 your differential diagnosis under those
2 circumstances that I just described?

3 A If the bowel sounds subsequently faded and the
4 patient didn't start responding, or was being
5 less distended, then I would have to definitely
6 consider ileus, With bowel sounds, it could be
7 catching an early phase where you can't make a
8 diagnosis.

9 Q If you were to make the diagnosis of ileus in a
10 patient, would you treat it yourself or would
11 you call in a consultation?

12 A No, I would call in a general surgeon.

13 Q I know you said you've done it on one patient
14 previously?

15 A That's what I would do again.

16 Q That's what you would do again, that would be
17 your routine decision?

18 A Yes.

19 Q Okay. Doctor, let's turn to Carl Williams for a
20 minute -- actually, for the balance of the
21 deposition, the first admission from December 19
22 to December 21st, 1991.

23 A Okay.

24 Q I take it you undertook a careful review of the
25 records prior to writing your report and prior

1 to being deposed today?

2 A Yes.

3 Q Doctor, is there any indication that
4 Mr. Williams had a bowel movement or passed
5 flatus during that first hospitalization?

6 A Could I review it just to be sure?

7 (Witness reviewing documents.)

8 I'm still reading.

9 Q Take your time.

10 A Yes. As a matter of fact, the 12-21-91 nurse's
11 notes says that he had been to the bathroom,
12 passed liquefied suppository stool.

13 Q And based on that note from the nurse, you are
14 accepting that and describe that as a bowel
15 movement?

16 A I would consider that to be a return of bowel.
17 function at that point.

18 Q Can you quantify the amount of stool that was
19 contained in that passage?

20 A I didn't see it.

21 Q Did you also see where that -- was that the
22 nurse's 11:45 a.m. note that you just read?

23 A Yes.

24 Q Doctor, is it reasonable to assume that if a
25 suppository is given to a patient, that

1 something -- that suppository will motivate the
2 rectum to discharge the liquefied suppository
3 and whatever is at the end of the rectum?

4 A As the suppository melts, it will liquefy and
5 cause the patient to pass the liquefied material
6 with the stool, whatever was in the rectum, yes.

7 Q In your opinion, that constitutes the return of
8 bowel function?

9 A Well, it constitutes probable return of bowel
10 function.

11 Q Wouldn't you expect a much more significant
12 amount of stool in a patient that hadn't moved a
13 bowel since the Wednesday before his admission?

14 A Probably not because he hadn't eaten that much
15 either and he hadn't eaten at least the night
16 before surgery itself, probably didn't eat much
17 in the hospital either and it takes a while for
18 that to get down. When bowel function does
19 return, chances are he doesn't have that
20 function.

21 Q What if he had been eating in the hospital,
22 would you expect more stool?

23 A No. As I said, it would still take time to get
24 down. And if bowel function is returning, it's
25 going to take a while longer for it to get down

1 there.

2 Q Up to that point in time on the 21st, is there
3 any indication in the chart that he's had
4 effective movement of bowel content?

5 A I don't believe so.

6 Q Now, on a --

7 A There is note that he had positive bowel sounds,
8 bowel sounds were present during 12-20.

9 Q The data collection sheet, 12-20-91?

10 A Correct.

11 Q It shows bowel sounds present on all three
12 shifts on that day?

13 A Yes.

14 Q Then there's a note on the data collection sheet
15 for 12-21-91, Doctor, that says bowel sounds
16 were sluggish. Do you see that?

17 A Yes.

18 Q Would you agree that represents a change in the
19 status of his bowel sounds compared to the
20 previous day?

21 A It's hard to make anything out of one single
22 interpretation of sluggish because that's a
23 subjective evaluation on the person who's
24 listening to it and making that judgment. It's
25 their judgment. Somebody else might listen to

1 it and say, no, those are perfectly normal.

2 Q Is the slowing of bowel sounds an indicator of
3 the development of an ileus?

4 A You can't say just on one shift and one person
5 reporting it, you can't make a diagnosis on that
6 basis.

7 Q Doctor, is nausea a symptom of ileus?

8 A It's also the symptom of a lot of other things;
9 it's a nonspecific complaint.

10 Q Well --

11 A Not that alone.

12 Q In a patient with ileus, would a complaint of
13 nausea be consistent with an ileus in a patient
14 with ileus?

15 A It could be,

16 Q Okay. And it could also be consistent in a
17 patient with ileus if the patient had
18 constipation, too, true?

19 A It could be.

20 Q We already talked about abdominal distension,
21 that is a symptom that's consistent with both an
22 ileus and constipation?

23 A It could be.

24 Q Doctor, in the 11:45 a.m. note on 12-21, there's
25 a note that the patient is short of breath. Is

1 there any other note in the chart before that
2 that indicates the patient became short of
3 breath?

4 A I don't believe so, but I'm just checking.

5 (Witness reviewing document.)

6 Not that I see. Not that I see, no.

7 Q Okay. Doctor, there's no note of abdominal
8 distension on the data collection sheet for
9 12-20-91.

10 A I'm sorry?

11 Q There's no note of abdominal distension on the
12 data collection sheet from 12-20-91, would you
13 agree?

14 A 12-20? It doesn't actually --

15 Q But it's not noted, is it?

16 A Not that I see, no.

17 Q And if you turn to the narrative notes in the
18 nurse's notes for 12-20-91 -- actually, 6:30
19 a.m. on 12-21-91, there's a note of a complaint
20 of nausea and that the abdomen is slightly
21 distended. Do you see that?

22 A Yes. Okay.

23 Q And the patient states, "I haven't moved my
24 bowels since Wednesday"?

25 A Um-hum.

1 Q Okay. And the 21st, are you aware is a
2 Saturday?

3 A Yes.

4 Q Okay. As you move along to the data collection
5 sheet for 12-21-91, at the top under the
6 category of well-being in the subcategory of
7 comfort, it says, "Abdomen hard and distended."
8 Do you see that?

9 A Yes.

10 Q There's a complaint of pain?

11 A Okay.

12 Q Would you agree that that represents a change in
13 the amount and level of distension of his
14 abdomen from the previous note'?

15 A Again, you can't make a judgment on that unless
16 you're examining the patient yourself because
17 that's a subjective description.

18 Q Okay. Well, nonetheless, that note is from 8:30
19 a.m., correct, that hard distended --

20 A Yes.

21 Q And the narrative note, which in my chart is the
22 next page for 12-21-91, 9:30, Nurse Bully
23 notes -- she reported the hard distended abdomen
24 to Dr. Boyd. Do you see that?

25 A Okay. Right.

1 Q And she also noted an alteration in comfort due
2 to pain related to the constipation?

3 A Right.

4 Q Now, is the finding of painful, hard, distended
5 abdomen consistent with an ileus?

6 A It could be.

7 Q Doctor, had you seen this patient during those
8 three days, would you have considered ileus as
9 part of your differential diagnosis?

10 A Not at this point, no.

11 Q And why not?

12 A Because I haven't seen anything to suggest yet
13 that he's having that much trouble and that much
14 of a problem anymore than I would have expected
E5 to see from somebody who had been on pain
16 medication, who hadn't eaten yet. There's
17 nothing there that would make me say, "Oh, this
18 guy has got an ileus, let's keep him."

19 Q Well, had the patient been eating, you would
20 have discharged him anyway?

21 A Especially if he had been eating. Usually, they
22 lose their appetite if they have an ileus.

23 Q Would you have given the patient any
24 instructions?

25 A Excuse me for a second.

1 Q Okay.

2

3 (Recess taken.)

4

5 A All right.

6 Q Would you have given this patient instructions,
7 Doctor, to call if he had not moved his bowel
8 the next day?

9 A I would have given him general instructions, I
10 think, if he was feeling worse to let me know.

11 Q Are you aware that the patient did try to
12 contact and had contacted Dr. Boyd on the 22nd?

13 A I was aware that he had contact with Dr. Boyd
14 and he was trying different things to get him to
15 move his bowels.

16 Q Would you agree that giving Milk of Magnesia to
17 a patient with ileus would be contraindicated?

18 A If you have a definite diagnosis --

19 Q Right.

20 A -- of an ileus?

21 Q That's what I'm saying.

22 A It might be.

23 Q It might be or would be?

24 A It would be worth while to give him if he
25 definitely had a diagnosis.

1 Q Couldn't it worsen the ileus?

2 A I really couldn't answer that.

3 Q Would you defer that to a gastroenterologist,
4 that issue?

5 A Yes.

6 Q Is instructing a patient to take in food orally
7 contraindicating the patient with a diagnosis of
8 ileus?

9 A With a diagnosed ileus?

10 Q Yes. You wouldn't want that patient eating?

11 A Right.

12 Q Doctor, let's turn to your report.

13 MS. CARULAS: John, he doesn't
14 have a copy in front of him and I didn't bring
15 one.

16 MR. LANCIONE: Do you want me
17 to fax you one?

18 Off the record.

19 - - - - -

20 (Recess taken.)

21 - - - - -

22 Q While it's coming through, Doctor, let me ask
23 you a question: Would you agree that it's the
24 obligation of the physician to include within a
25 differential diagnosis --

1 A Hold it a second.

2 Q Doctor, would you agree that when a physician
3 makes a differential diagnosis, that he must
4 rule out the most life-threatening condition
5 first and move down the line to the less serious
6 conditions?

7 A In general, yes.

8 Q Do you have your report now, Doctor?

9 A Yes, I do.

10 Q In the third full paragraph on the first page,
11 you say, "It's not unusual for a patient to have
12 decreased bowel motility in the immediate
13 postoperative period due to pain medications
14 required to keep him comfortable"?

15 A Um-hum.

16 Q Can pain medication also cause ileus?

17 A No, they don't usually cause ileus, They cause
18 stalling of the bowel motility, but pain
19 medication does not usually per se cause ileus,

20 Q Can the symptom complex of abdominal
21 distension -- and let's talk about Carl Williams
22 specifically -- strike the question.

23 Do you have an opinion, Doctor, that his
24 abdominal distension caused a respiratory
25 embarrassment leading to the decrease in

1 oxygenation that resulted in an ileus? Is that
2 what you think caused the ileus?

3 A No, I'm not following you on that one at all.

4 Q Well, you state that, "In a patient with a
5 compromised pulmonary function" -- this is on
6 the second page, second last paragraph --
7 "abdominal distension will further embarrass the
8 respiratory system. This leads to decreased
9 oxygenation of all tissues and can cause the
10 gastrointestinal tract to have more difficulty
11 returning to normal motility."

12 A Right.

13 Q Do you have an opinion that this phenomenon
14 caused his ileus, this lack of oxygenation of
15 the tissues?

16 A Lack of oxygenation of tissues can lead to an
17 ileus.

18 Q Is it possible, reasonable that that was the
19 cause of his ileus?

20 A Mr. Williams' ileus?

21 Q Yes.

22 A No.

23 Q Do you have an opinion as to the cause of his
24 ileus?

25 A I do.

1 Q What is it?

2 A I believe his ileus was caused by decrease in
3 blood -- blood pressure at the time of surgery,
4 compromised with the pain medication and just
5 general body impetus that led to the subsequent
6 development of the ileus. I think that was due
7 to the impairment of the pancreas.

8 Q You're talking about the decrease in blood
9 pressure during the penile implant?

P0 A Right.

a1 Can we take a break for a second?

12 - - - - -

13 (Recess taken.)

14 - - - - -

15 Q We're almost done, Doctor. Is it your opinion
16 that he was developing ileus during the first
17 hospitalization?

18 A No, I don't think you can say that.

19 Q When do you believe his ileus developed?

20 A I believe his ileus was a progressive thing that
21 developed over the course of several days from
22 the time actually -- probably it originally
23 started after he left the hospital or possibly
24 even maybe when he was getting ready to leave
25 the hospital he suffered it. No one can say for

1 sure one way or the other, but I think over the
2 next 24, 48 hours is when it became obvious this
3 was a problem. That's when he was sent back to
4 the emergency room and readmitted.

5 Q All right. Is it your opinion that he had
6 diagnosed ileus in the emergency room on the
7 23rd of December?

8 A Did I think he had diagnosable ileus at that
9 time?

10 Q Yes.

11 A I think it was very suspicious. I think it
12 would have been top on my list then.

13 Q Okay. Would you agree that at the time of his
14 discharge, or in the morning hours of the 21st
15 of December, that all of his symptoms with the
16 exception of positive bowel sounds were
17 consistent with the diagnosis of ileus?

18 A I wouldn't have thought of ileus at all in his
19 situation at that time, no.

20 Q Because of the passage of the stool with the
21 suppository and the bowel sounds?

22 A Because of that, because of the fact that he was
23 on pain medication, it could have slowed him
24 down. That could have been causing that. He
25 was eating at that time. Ileus would not have

1 been one of the things that I would have thought
2 of at that moment.

3 Q When would you have thought of ileus?

4 A When he didn't get better, continued to get more
5 and more distended, wasn't passing anything at
6 home. And in spite of all the other treatments
7 that were tried to get him to start to have
8 bowel movements, then it became apparent there
9 was something else going on.

10 Q Well, assume the patient had called Dr. Boyd on
11 the 22nd, which was Sunday, and said, "I still
12 haven't moved my bowels. I'm still distended,"
13 would you have told him to come into the
14 emergency room at that time?

15 A Not necessarily. I probably would have tried
16 some other things, or laxatives or more
17 suppositories, other suppositories --

18 Q Okay.

19 A -- could have stimulated it.

20 Q Do you have an opinion one way or the other
21 whether the insertion of a nasogastric tube on
22 the 21st of December would have made a
23 difference in his outcome?

24 A On the 21st?

25 Q Yes, in the hospital on the 21st.

1 A No way you can say anything about that.

2 Q Doctor, in that second last paragraph on the
3 second page --

4 A Of my letter?

5 Q Of your letter, yes.

6 A Okay.

7 Q What you say in that paragraph leads me to
8 believe that you think that he would have had
9 these complications even if he didn't have
10 penile implant surgery. Is that your opinion?

11 A I think he may have. With any type of
12 procedure, he could have had the same
13 complications, yes.

14 Q Let's assume, though, that he never had any
15 surgery on the 19th of December. Is there any
16 way to predict whether this gentleman's demise
17 would have occurred?

18 A No. I'm not God, no.

19 Q Doctor, did this patient -- did he become septic
20 at any time during the second hospital
21 admission?

22 A It appears from some of the reports that he
23 found -- he became septic and he was in septic
24 shock, but I cannot read any of the culture
25 reports to support that. We've asked for copies

1 of those reports and we don't have any so, you
2 know, it would be a guess at this point from my
3 standpoint because I don't have any positive
4 data to support it.

5 Q Okay. Certainly his elevated white counts and
6 elevated temperature --

7 A I suspect you're right, but again there's no
8 support that I can read in the chart that I
9 have.

10 Q Okay. Let's just assume that they were right
11 and there's several references to sepsis.

12 A Right.

13 Q If he did, in fact, have sepsis, do you have an
14 opinion as to the cause of the sepsis?

15 A It could have come from several areas. It could
16 have come from the lungs. If he had inflection
17 in his lungs from the distension and
18 uncompromised pulmonary function, that we know
19 that he had, that he was on a respirator of€ and
20 on during the hospitalization. It could have
21 come from the intestinal tract if there was
22 compromise of the intestines due to other
23 things, poor blood supply. Bacteria could have
24 entered that way. Also, from the urinary tract.
25 Although, again, without a culture, you can't

1 say where it came from. Apparently CAT scan did
2 not find any abscess anywhere or any other
3 distension.

4 Q Okay. Is it fair to say you don't have an
5 opinion based on a reasonable medical
6 probability as to the most likely cause of the
7 sepsis?

8 A That is fair, I cannot give you an opinion,

9 Q Do you have an opinion based on a reasonable
10 medical probability as to the most likely cause
11 of his seizures?

12 A No, I cannot.

13 Q What about his cerebral vascular accident?

14 A No, I cannot.

15 Q Doctor, just because some kind of medical event
16 is not published in the literature doesn't mean
17 it can't happen?

18 A No, it doesn't mean it can't happen.

19 Q Okay.

20 MR. LANCIONE: Now, Anna, you
21 may want to object to this question since you
22 did it before.

23 Q Doctor, I have a hypothetical question for you.
24 First, I want you to assume some facts. Assume
25 that I'm driving my car down the street and I

1 come to an intersection and another driver at
2 the crossing road runs a stop sign and hits me
3 broadside. I break my arm and I have an open
4 fracture on my arm, and I go to the hospital for
5 an open reduction internal fixation. And due to
6 no one's fault, I get a postoperative infection
7 and I have to have my arm amputated. Would you
8 agree that I would not have lost my arm but for
9 the negligence of the person that ran the stop
10 sign that hit me?

11 MS. CARULUS: I do object to
12 this.

13 A Now do you want to repeat it?

14 Q Which part?

15 A The whole part because I lost my train of
16 thought.

17 Q would you agree I would not have lost my arm but
18 for the negligence of the person who ran the
19 stop sign and hit me?

20 A You can't say that. You can lose your --

21 Q But I wouldn't have lost my arm on that day from
22 an infection if I had not been in the hospital
23 with an open reduction, would you agree?

24 A Yes, sir. Obviously, your arm is injured and
25 therefore you did have something happen to it,

1 but that can happen from any number of things.
2 You didn't have to have an accident to have it
3 happen from the person hitting you. It could
4 have happened from any number of things.

5 Q Well, I'm saying, I wouldn't have been in the
6 hospital and gotten an infection in the
7 hospital. I guess part of my -- what you may
8 not have caught is part of my hypothetical. I
9 got a postoperative infection in the hospital.

10 A Right. But had you not been injured, you
11 wouldn't have been in the hospital?

12 Q Right.

13 A From that standpoint, you know, yes I would
14 agree. Had it not been for the injury, you
15 would not have been to the hospital and would
16 not therefore have the infection at that point.

17 Q Okay. Doctor, are you critical of anybody in
18 this case?

19 A No. Not really, no.

20 Q Do you think Dr. Boyd should have known that
21 because of his chronic obstructive pulmonary
22 disease that he was at a higher risk for
23 developing respiratory compromise from a
24 distended abdomen?

25 A I don't think it would have crossed Dr. Boyd's

1 mind because when Dr. Boyd was dealing with him,
2 he had a distended abdomen.

3 Q Have you read Dr. Boyd's deposition?

4 A No, I have not.

5 Q Did you feel that you didn't need his deposition
6 to render your opinions in this case?

7 A Yes, I didn't feel the deposition would change
8 my opinions here.

9 Q Do you plan on reading it before trial?

10 A I probably will now that you ask about it.

11 Q Do you plan on coming to Ohio to testify at
12 trial?

13 A If I'm asked to, I will come.

14 MR. LANCIONE: Okay. All
15 right, Doctor. Thanks. I have no more
16 questions.

17 Chris Reed may have some questions.

18 MS. REED: I don't have any
19 questions, Doctor. Thank you.

20 MS. CARULAS: Thanks, Doctor.

21 (Signature not waived.)

22 (Deposition concluded at 4:25 p.m.)

23 - - - - -

24

25

1 THE STATE OF _____)
 2 COUNTY OF _____) SS :

3 Before me, a Notary Public in and for said state
 4 and county, personally appeared the above-named
 5 DR. DONALD EDWARD FUERST, who acknowledged that he
 6 did sign the foregoing transcript and that the same is
 7 a true and correct transcript of the testimony so
 8 given.

9 IN TESTIMONY WHEREOF, I have hereunto affixed my
 10 name and official seal at _____,
 11 this _____ day of _____, 1996.

12 _____
 13 DR. DONALD EDWARD FUERST

14 _____
 15 Notary Public

16 My Commission expires: . _____
 17
 18
 19
 20
 21
 22
 23
 24
 25


1 THE STATE OF OHIO,) SS: CERTIFICATE
2 COUNTY OF CUYAHOGA.)

3 I, Darlene Lowe, a Notary Public within and
4 for the State of Ohio, duly commissioned and qualified,
5 do hereby certify that the within-named witness,
6 Dr. Donald Edward Fuerst was first duly sworn to
7 testify the truth, the whole truth and nothing but the
8 truth in the cause aforesaid; that the testimony then
9 given by him was by me reduced to stenotypy in the
10 presence of said witness afterwards transcribed on a
11 computer/printer, and that foregoing is a true and
12 correct transcript of the testimony so given by him, as
13 aforesaid.

14 I do further certify that this deposition
15 was taken at the time and place in the foregoing
16 caption specified.

17 I do further certify that I am not a
18 relative, counsel or attorney of either party, or
19 otherwise interested in the event of this action.

20 IN WITNESS WHEREOF, I have hereunto set my hand
21 and affixed my seal of office at Cleveland, Ohio, on
22 this 28th day of March, 1996.

23 

24 Darlene Lowe, Notary Public
25 within and for the State of Ohio
My Commission expires March 17, 1997.