

1 THE STATE OF OHIO,)
) SS: CORRIGAN, J.
2 COUNTY OF CUYAHOGA.)

3
4 IN THE COURT OF COMMON PLEAS

5 CIVIL BRANCH

6 DOROTHY BROWN, Administratrix,)
etc. et al.)

7 Plaintiff,)

8 vs.)

Case No. CV-346342

9 UNIVERSITY HOSPITALS OF)
CLEVELAND, et al.)

10 Defendant.)

11 - - - - -
EXCERPT OF PROCEEDINGS
CROSS-EXAMINATION OF ERIN FUREY, M.D.
12 - - - - -

13
14 Whereupon the following proceedings were
15 had before the Honorable Brian J. Corrigan, and a
16 Jury, in Court Room No. 22-B, The Justice Center,
17 Cleveland, Ohio, on August 28, 2000, upon the
18 pleadings filed heretofore.

19 APPEARANCES:

20 Donna J. Taylor-Kolis, Esq.,
21 on behalf of the Plaintiff;

22 Reminger & Reminger Co. LPA, by
23 Marc W. Groedel, Esq. and
Marilena DiSilvio, Esq.,
24 on behalf of the Defendant.

25 Suzanne Vadnal, RMR
Official Court Reporter
Cuyahoga County, Ohio

1 MONDAY AFTERNOON SESSION, AUGUST 28, 2000

2 *****

3 THE COURT: Miss Kolis, call your first
4 witness.

5 MS. KOLIS: Thank you very much, your
6 Honor. At this time on behalf of the plaintiff, I
7 wish to call Dr. Erin Furey as if on
8 cross-examination.

9 THE COURT: Okay. Now, ladies and
10 gentlemen, what "as if on cross-examination" means
11 is that you're only going to be hearing part of Dr.
12 Furey's testimony. It's going to be the
13 cross-examination part. Any sort of redirect that
14 might be necessary will be dealt with later in the
15 case. In any event, sometimes the plaintiff has to
16 prove certain things that can only be established by
17 talking to the defendant, and, hence, we allow them
18 to call them as if on cross-examination.

19 Dr. Furey, you'd raise your right hand,
20 sir.

21 The PLAINTIFF, to maintain the issues on
22 its part to be maintained, called as a witness ERIN
23 JAMES FUREY, M.D. who, being first duly sworn, was
24 examine and testified as follows:

25 CROSS-EXAMINATION OF ERIN FUREY, M.D.

1 BY MS. KOLIS:

2 Q Thank you very much. Doctor, you're the first
3 person that the jury has gotten to talk to, and I think it
4 would be rude if I didn't ask you to go ahead and
5 introduce yourself to the jury. Would you please do so?

6 A My name is Erin Furey. I work at University
7 Hospital.

8 Q All right. Dr. Furey, on a prior occasion I was
9 supplied with a copy of your curriculum vitae. I'd like
10 to have the Court Reporter mark that Exhibit 2, Exhibit 1
11 being Joint Exhibit, Defendant and Plaintiff, University
12 Hospital records.

13 (Thereupon Plaintiff's Exhibit 2
14 was marked for identification.)

15 Q Doctor, would you -- and I know you'll have an
16 opportunity at another time. I'd like for you to just
17 basically explain to the jury the educational background
18 that led you to your current physician occupation. I
19 guess that's the easy way to say it.

20 A Okay. Well, first thing that I did after -- or
21 first thing that you do when you become a doctor, after
22 you graduate from medical school, is you go through what's
23 called residency. That's kind of like on-the-job
24 training. You spend three, four, five years, whatever,
25 depending on your specialty, on the job, learning a

1 particular specialty of medicine. And in my case, I spent
2 four years -- actually I spent one year doing surgery,
3 general surgery, followed by three years of anesthesia
4 training. Anesthesia is putting people to sleep in the
5 operating room, waking them up, monitoring all their vital
6 signs carefully in between.

7 After I completed my training in surgery and in
8 anesthesia, then I spent an extra year training in
9 critical care medicine. And critical care medicine is
10 taking care of people in the intensive care unit, people
11 who are critically ill. And many anesthesiologists go
12 into critical care medicine because it relates rather
13 closely to anesthesia, to the kind of monitoring and care
14 that we take of patients in the operating room. So after
15 I did the anesthesia, then I did the critical care
16 medicine training.

17 And then following that, I did more training in
18 heart and lung anesthesia, anesthesia for heart and lung
19 surgery.

20 So that worked out to be about five years of
21 on-the-job training, what we call residency after medical
22 school. And then after that, I got a job at University
23 Hospital doing anesthesia and critical care medicine.

24 Q Doctor, I'd like for you to be able to explain this
25 to the jury. After you complete certain specialty

1 training, as you're indicating your residency, you are
2 then eligible to apply for board certification. Am I
3 stating that correctly?

4 A Yes.

5 Q And, Doctor, you are, in fact, boarded in
6 anesthesiology?

7 A Yes.

8 Q You obtained that board in October of 1994.

9 A Yes.

10 Q Okay. Doctor, you have what is called a special
11 qualification in critical care medicine which you obtained
12 in December of 1995.

13 A Yes.

14 Q And you obtained that by virtue of the specialty
15 training that you received at University Hospital/Case
16 Western Reserve's program, correct?

17 A Yes.

18 Q Would you acknowledge for the jury that critical
19 care medicine is multidisciplinary and that a number of
20 physicians with different boards are qualified to be
21 critical care physicians?

22 A Yes. What that means is that you can start your
23 training in what they call internal medicine, or in
24 surgery, or in anesthesia, and still get trained to be a
25 critical care doctor.

1 Q And each and every person who is trained in critical
2 care medicine must essentially follow the precepts for
3 that kind of medicine. In other words, it doesn't matter
4 if you're a pulmonologist or anesthesiologist. If you get
5 your certificate in critical care medicine, there is an
6 assumption that we all are working under the same set of
7 medicine; is that right?

8 A In general. But things usually -- people who go
9 into pulmonology usually handle a little different set of
10 patients than people who go into critical care medicine
11 out of anesthesiology like I do.

12 Q In fact, Doctor, at University Hospital there is an
13 AMA -- an American Medical Association accredited program
14 in critical care with the anesthesiology surrounding it,
15 correct?

16 A That's right.

17 Q That's the specialty board there. And for a program
18 to have an AMA certification, it's above and beyond, in
19 other words, not just any hospital can get an AMA
20 certified program, correct?

21 A That's right.

22 Q It is rigorous credentialing.

23 A Yes.

24 Q Okay. Having said that, are you currently involved,
25 Doctor, in teaching in that program?

1 A In teaching in the critical care program?

2 Q Yes.

3 A Yes, I am.

4 Q Okay. And if I understand this correctly -- but you
5 may, of course, correct my numbers -- at present there are
6 three residents in that program?

7 A No. At the present time there's only one resident
8 in that program.

9 Q Okay. Then I did misspeak. My last checking
10 through the AMA of the certification was for three. To
11 what degree are you involved in training those residents
12 in critical care medicine?

13 A Well, at this point in my career, my training of
14 them consists of giving them lectures.

15 Q Okay. Do they round with you as an attending?

16 A Well, they do. I should explain that for about the
17 last six months, I have taken a leave of absence from the
18 intensive care unit because of health reasons, so that I'm
19 not actually working there now. I was working there for
20 the first five years. When I did work there, they did
21 round with me, yes.

22 Q I do stand corrected. I did not know that you were
23 no longer in the critical care department. As was alluded
24 to, am I clear in my understanding that on June 2nd, 1997,
25 you would do an every other month rotation through

1 critical care?

2 A Yes.

3 Q All right. And the month that you were off, you
4 were performing anesthesia in an operating room.

5 A That's right.

6 Q Doctor, you would agree with me that you are not
7 board certified in pulmonology.

8 A That's right.

9 Q Would you agree with me, Doctor, that when a
10 critical care physician encounters a patient who has an
11 underlying lung and/or other circulatory problem dealing
12 with the lungs, that they can, of course, always call in a
13 pulmonologist?

14 A In our hospital, you can, yes.

15 Q Okay, at your hospital. And that's something that
16 was available to you as an option in June of 1997. Had
17 you considered the need to call in a pulmonologist, there
18 was no prohibition against doing so.

19 A No.

20 Q Okay. Doctor, have you published any publications
21 in the area of critical care?

22 A I believe there is one on the list there.

23 Q And that is a 1998 publication and that's your one
24 and only publication, Safety and Efficacy of Early
25 Extubation in the Elderly?

1 A Yes.

2 Q Thank you very much for sharing your background with
3 us.

4 Doctor, on June 2nd, 1997, you considered yourself
5 to be one of Lawrence Brown's attending physicians. Would
6 I be stating that correctly?

7 A Yes.

8 Q And from your recollection, or I see that you have
9 the medical records there, on June 5th, 1997, Mr. Brown
10 was approximately five days postop his graft; is that
11 correct?

12 A No. He died on June 4th.

13 Q I'm asking you on June 2nd when he came under your
14 care, was he not five days postop?

15 A Yes.

16 Q Okay. Between May 28th and the date of June 2nd,
17 Mr. Brown had undergone a single graft bypass and at all
18 times was managed by your then partners, Dr. Popple or Dr.
19 Rowbottom; is that a fair statement?

20 A Yes.

21 Q Could you please explain to the jury how your group
22 worked at that time in 1997?

23 A We are a group of critical care doctors and we take
24 care of all the patients in the intensive care unit,
25 particularly during the day when the surgeon is in the

1 operating room. A surgeon -- for instance, a heart
2 surgeon will operate on a patient and then that patient
3 goes from the operating room to the intensive care unit.
4 And our area of specialty is a little different from the
5 surgeon's. We take care of problems in the intensive care
6 unit, like lung problems and kidney problems and heart
7 problems, breathing problems. And during the day
8 predominantly and somewhat at night, we're there all the
9 time watching over the patient, and working along with the
10 surgeon to manage those problems.

11 Q Okay. And so, therefore, personally, Mr. Brown, who
12 came in on May 24th, would be managed by one or a number
13 of partners in your group but not necessarily consistently
14 the same SICU doctor, correct?

15 A Well, we would take a month at a time. So, for
16 instance, my month on duty was the month of June, and I
17 start at the beginning of June and work Monday through
18 Friday taking care of the patients, and then one or two
19 weekends. And then those weekends that I don't, I'm not
20 on duty, one of my partners would round for me.

21 Q Okay. But my question was this. In a person in Mr.
22 Brown's circumstances who came in in the middle of -- I
23 don't want to call it a shift change but a cycle change,
24 he comes in on May 24th, you come on on June 22nd, so
25 obviously he doesn't have continuity of care from one

1 singular SICU doctor, correct, because you changed and
2 went into rotation?

3 A Well, he came in on -- when he came in on May 24th,
4 he was not in our intensive care unit. He came to our
5 intensive care unit, I believe it was on May 28th, after
6 he had had his operation, five days after he'd been in the
7 hospital. Prior to that he'd been in an entirely
8 different intensive care unit with different doctors.

9 After his operation on May 28th, then he came to our
10 intensive care unit and that's the unit in which my
11 partners and I take care of the patients.

12 Q And again but that's what I meant. And I did
13 misspeak the date. In other words, your patient -- it
14 isn't that you're assigned a patient. You follow them
15 from admission to discharge in your unit. A doctor will
16 change based upon month rotations; is that right?

17 A That's right. We take a month at a time.

18 Q Fair enough. Doctor, I guess to get right to the
19 heart of some things for the jury. Doctor, to a
20 reasonable degree of medical probability is it a fair
21 statement that you believe that the best that you can
22 determine was that Mr. Brown died due to pulmonary embolus
23 and heart failure?

24 A Yes.

25 Q That is what you testified to in a prior occasion,

1 correct?

2 A Yes.

3 Q Doctor, I don't usually do this, but I'm going to
4 ask you a question that I don't know the answer to. What
5 do you believe was the cause of Mr. Brown's heart failure?

6 A Well, I think it was a number of different things.
7 I think that the pulmonary embolus that he had had before
8 he came into the hospital was a big part of it. I also
9 think that his heart attack that he had suffered before he
10 came into the hospital was a big part of it. And I also
11 think that his lung problems contributed to it, as well as
12 his kidney failure.

13 Q I'm sorry, I was writing. You said you also felt
14 that his lung problems --

15 A And his kidney failure.

16 Q Okay. When you say his lung problems, specifically
17 what about his lung problems do you believe caused his
18 heart failure?

19 A Well, I don't think I said his lung problems caused
20 his heart failure. I think that it all kind of worked
21 together. But as far as his lung problems went, he
22 basically had weak lungs to begin with from years of
23 smoking, and that weakness kind of predisposed or made him
24 vulnerable to other kinds of lung problems. When he had
25 his heart attack, in addition to his underlying lung

1 weakness, he had an additional problem of water on the
2 lungs, what we call congestive heart failure. The heart
3 attack caused water on the lungs and produced congestive
4 heart failure.

5 Then in addition to that, several days after his
6 operation he developed pneumonia, which is another lung
7 problem and that's an infection in the lungs. So all of
8 these conditions weakened him and weakened his condition.

9 Q Doctor, I appreciate the fact that you've, you know,
10 patiently given me that answer, but I'm still not clear.
11 I asked you what you thought the cause of the heart
12 failure was and I want to walk away with a clear
13 understanding today. Are you saying -- first of all,
14 you've said pulmonary embolus. In what way did the
15 pulmonary embolus cause his heart failure?

16 A Well, as was explained when you and Mr. Groedel in
17 the beginning of the case talked, when there is a blood
18 clot in the vessel, in the blood vessel that comes out of
19 the heart, the heart has to squeeze extra hard, and that's
20 what he had in the blood vessel. He had a big clot in the
21 blood vessel coming out of the heart and the heart had to
22 work overtime to pump, and that would, after a while, with
23 the size of the blood clot that he had, cause his heart to
24 just give out.

25 Q Doctor, do you have a copy of the autopsy?

1 A I have one here.

2 Q Sure. If could you refer to it because I want to
3 ask you a couple of questions so that the jury can
4 understand what your thinking might be on these issues.

5 A Okay.

6 Q At the time of autopsy, would you agree with me that
7 Dr. Vande Pol reported that the blockages in the left and
8 main trunks -- I call them trunks -- of the main pulmonary
9 artery were 80 percent and 90 percent?

10 A Well, the one I'm looking at here says right and
11 left greater than 80 percent.

12 Q Okay. Are you looking at the gross anatomic or the
13 microscopic?

14 A I'm looking at final clinical and anatomic diagnosis
15 on Page 2. Right and left main pulmonary arteries greater
16 than 80 percent occlusion.

17 Q Doctor, do you have an opinion to a reasonable
18 degree of medical probability as to whether or not it is
19 possible for anyone to be alive with that kind of blockage
20 in the left and right branches?

21 A Well, I do. I think it's very difficult for someone
22 to live with that kind of blockage.

23 Q Okay. So if someone is contending that that 80 to
24 90 percent blockage would have been in my client's lungs
25 for a week or more, does that medically make sense to you

1 that that is possible that someone could have been alive
2 if that clot was sitting there for a week or more?

3 A It's possible, yes.

4 Q To what degree would it even be possible?

5 A I'm sorry, I don't quite understand.

6 Q Sure. When you're saying it's possible, Doctor,
7 given those findings and what we know about his underlying
8 COPD from autopsy, how possible is it that he could have
9 been alive if that clot had actually been sitting in there
10 for a week or longer?

11 A Well, you know, I think the possibility is not
12 great, but if you look at what he was doing and the
13 situation he was in, he was lying in bed receiving -- and
14 he wasn't moving around at all, and he was receiving
15 medicines to support his heart and to help his kidneys,
16 and breathing treatments, so I think under those
17 circumstances we were able to keep him alive in spite of
18 the odds.

19 Q Would you -- let me withdraw that question.

20 Can you tell the jury what a patent foramen ovale
21 is?

22 A There are four chambers in the heart, two -- there's
23 a left side and a right side. And each side has two
24 different -- has two chambers. There's upper chambers and
25 lower chambers. And the tissue in between the two upper

1 chambers is very thin, and when you're a baby or when
2 you're in the uterus, that thin tissue has a little hole
3 in it. In some people, a certain percentage of the
4 population, that hole never covers over or just covers
5 over in a very thin manner so that it could be opened up.

6 Q Okay.

7 A That's a patent foramen ovuli.

8 Q And do you know approximately what percentage of the
9 population has this defect? Do you have enough training
10 through critical care to know this aspect of cardiology?

11 A If it's what we call a problem patent foramen ovuli,
12 meaning that little tissue could give way, it's usually
13 estimated about 25 percent of the population has that
14 condition.

15 Q Okay. And in this particular instance, if someone
16 testifies that the heart failure, if there was heart
17 failure, that occurred in Mr. Brown was due to a right to
18 left shunt, would you agree with that?

19 A I'm sorry, can you repeat that?

20 Q Sure. First of all, I think we need to explain this
21 better to the jury. You've already told them about this
22 defect which should close. It's a necessary thing but it
23 should close at birth. But in about 25 percent of the
24 population it does not; is that correct?

25 A Not really. It does close but it's not real solidly

1 closed.

2 Q It's not a perfect closure I guess is an easier way
3 to describe it.

4 A Um-hum.

5 Q In a person with high pulmonary artery pressures --
6 first of all, would you establish for the jury that you
7 probably never saw a normal pulmonary artery pressure in
8 Mr. Brown during his confinement, did you?

9 A Not that I recall.

10 Q Okay. And they were high enough, were they not,
11 Doctor, that they would have placed a lot of stress on the
12 right atrium?

13 A Yes.

14 Q Okay. And that would have caused potentially what
15 problem with the patent foramina ovali?

16 A Well, the problem is is that you can get transfer of
17 material from the right side to the left side of the
18 heart.

19 Q Okay. Just to make sure -- and I'm really sorry. I
20 should have brought this up here. Now I get to walk
21 around the courtroom, but I'll keep on speaking loudly.
22 When you're saying transfer of material, I had discussed
23 with the jury as you know, you were sitting here, in
24 opening statement, the normal functioning of the heart.
25 And that normal functioning, once again, Doctor, of

1 course, is right atrium to right ventricle, up through the
2 pulmonary artery and around.

3 What you're indicating to the jury, are you not, is
4 that if there is a problem with the patent foramina ovuli
5 that the blood will go directly across right to left and
6 skip the pulmonary artery?

7 A It can.

8 Q Okay. Do you have any evidence whatsoever that
9 there was shunting that was occurring from right to left
10 in this patient?

11 A No, I don't. And when they did an echocardiogram on
12 his admission, there was no evidence of that.

13 Q Doctor, a little more than the echocardiogram,
14 doesn't the cardiac catheterization report that was
15 generated by the hospital indicate that at the time of
16 that examination on May 27, 1997 there were no shunts
17 detected?

18 A I can look it up.

19 Q Tell you what. I'm going to hand this to you so you
20 don't have to dig through the record.

21 A Right, that's what it says.

22 Q Okay. And you would have no reason to not believe
23 this report of the cardiology department of the hospital;
24 is that a fair statement?

25 A Yes.

1 Q And if someone was to create a supposition that
2 there was a right to left shunt, that would have developed
3 after he was in the hospital, wouldn't it?

4 A Well, what that report says is that they didn't see
5 any right to left shunt at the time they were doing the
6 procedure. Whether he had one before or after, you
7 couldn't really say.

8 Q Well, Doctor, let's try to be precise here. At the
9 time of the cardiac catheterization, a defect which they
10 can check for is right to left shunting. Are we in
11 agreement with that?

12 A Yes.

13 Q Okay. And that cardiac catheterization report finds
14 absolutely no shunting on that day.

15 A Yes, I agree with that.

16 Q In other words, when they did it the pathophysiology
17 was that he was still pumping blood correctly from his
18 right atrium to his right ventricle. Would you agree with
19 that statement?

20 A Yes.

21 Q Okay. Fair enough. Doctor, I would like to talk to
22 you about the care and treatment that you gave to Mr.
23 Brown beginning on the 2nd. You have the medical notes,
24 I'm assuming?

25 A Um-hum.

1 Q Okay. First of all, Doctor, it wasn't until June
2 3rd that you believe that there was a significant
3 possibility that there was a pulmonary embolus and that
4 you should investigate for the blood clot; is that a
5 correct statement?

6 A No, that's not correct.

7 Q Okay. Why don't you clarify the statement for me
8 then?

9 A Well, you asked me two different questions, or I
10 heard two different questions.

11 Q All right.

12 A One was, it wasn't until June 3rd that I believe he
13 had a significant possibility of a pulmonary embolus. And
14 I would disagree with that. And it wasn't until June 3rd
15 that I felt the need to investigate that. I personally
16 ordered the test on June 3rd. But prior to that I was
17 satisfied with the plan of tests that the surgeons had
18 come up with and my partners had come up with prior to
19 that.

20 Q Doctor, do you dispute that on June 1st, contained
21 within that medical chart are recommendations by both your
22 service and cardiothoracic surgery to rule out pulmonary
23 embolus?

24 A No, I don't dispute it. I'd have to review it
25 specifically to remember it but --

1 Q Well, I'd like for you to look in the medical
2 records.

3 A Okay.

4 Q Just so that I'm not misstating. I'm asking you --
5 I'm making a representation that there is a June 1st note
6 after two days of prophylactic Heparin therapy that these
7 two medical groups wanted a pulmonary embolus to be ruled
8 out.

9 A Sounds right. Dr. Popple writes -- he's my partner --
10 writes, "Rule out PE," and Dr. Azoury, who is Dr. Lee's
11 assistant, writes, "Consider pulmonary angiogram."

12 Q Doctor, on June 2nd is it a fair statement that you
13 did not perform any tests to rule out pulmonary embolus?

14 A Yes.

15 Q Is it also not a fair statement, Doctor, that it was
16 possible to do it on June 2nd; that there were no medical
17 contraindications?

18 A That's right.

19 Q Doctor, and you just didn't do it on that day; is
20 that a fair statement?

21 A That's right.

22 Q Okay. And is it also clear -- let me withdraw that
23 because I think you've answered that.

24 Doctor, the reason that you decided to look for
25 blood clot on June 3rd is that you were concerned that Mr.

1 Brown had poor oxygenation and you were concerned that if
2 there were additional clot that would break loose from a
3 location in his leg he would be injured?

4 A Well, the reason I decided to look for the blood
5 clot on June 3rd was that they hadn't done it on June 2nd.
6 Hadn't been done prior to that.

7 Q Well, you didn't do it on June 2nd; is that correct?

8 A That's right.

9 Q And you completely had the power and authority and
10 medical ability to do so, correct?

11 A That's right.

12 Q But the reason you were looking for it on June 3rd
13 was because you knew that if it was there it would present
14 a risk of injury to the patient should clot dislodge; is
15 that a fair statement?

16 A Yes.

17 Q All right. Now, at approximately 9:30 in the
18 morning on that day, and we're talking about June 3rd,
19 according to the nurse's records, you evaluated the
20 patient and made a decision to have the ultrasound done,
21 and do I have the time frame correctly for you?

22 A On that Tuesday morning of June 3rd, I ordered the
23 ultrasound to be done.

24 Q Okay. And to be certain, because I'm trying to make
25 a time line for the jury that will make some sense, the

1 nurse's notes says 9:00 Dr. Furey and team at bedside.
2 Discussion with patient. Dr. Furey -- doesn't say. If it
3 says that you were there at 9:00, that's the point that
4 you would have ordered the Doppler, is that --

5 A Probably.

6 Q Okay. And the results, Doctor, were reported to you
7 at approximately eleven o'clock and those were preliminary
8 results?

9 A Yes.

10 Q Okay. In actuality -- and I just wanted to time it
11 because the time to me is important -- the nurse's notes
12 say 10:30, Dr. Furey notified of positive Doppler. Does
13 that seem accurate to you?

14 A Yes, if that's what it says.

15 Q Doctor, the Doppler results as you recall them, the
16 impressions were there were areas of acute and subacute
17 thrombus in the left lower extremity in the proximal as
18 well as the distal. Do you recall that?

19 A Yes.

20 Q Okay. So that meant there was old and new clot. Am
21 I stating that accurately?

22 A Yes.

23 Q Okay. And because of that finding, you determined
24 that a filter was needed.

25 A I thought that one was needed, yes.

1 Q Okay. Doctor, isn't the insertion of an IVC filter,
2 a Greenfield filter, the standard of care in the treatment
3 of a patient who is at high risk for reembolization?

4 A Say that again, please.

5 Q Sure. I can read it or the Court Reporter can read
6 it, but I guess I can say it again.

7 A If that's all right.

8 Q Doctor, in fact, isn't it the standard of care to
9 insert an IVC filter in a patient who is at high risk for
10 embolization who has poor pulmonary status?

11 A Well, I wouldn't say it was the standard of care.
12 Standard of care implies that you've got to do it. I
13 would say, though, that in the kind of patient that you
14 mentioned, like Mr. Brown, who is at high risk for
15 problems with his lungs, that it's highly -- it should be
16 considered and it's an important thing to consider about
17 putting a filter in.

18 Q Dr. Furey, are we mincing words when you say it's
19 not the standard of care but it should be considered?

20 A Well, we might be a little bit. I think that -- to
21 me, standard of care means it's like black and white.
22 It's like if you're going for an operation, nothing to eat
23 or drink after midnight. That's standard of care. You
24 don't deviate from that unless it's an emergency.

25 Standard of care in a situation where you're putting

1 in a device like this is not as cut and dry, so maybe we
2 are mincing words and I don't intend to.

3 Q I think I need to explore that with you at some
4 length, Doctor. First of all, has someone told you what
5 the definition of standard of care is?

6 A Not recently, no.

7 Q Okay. Well, when you indicated in your answer that
8 we might be mincing words because you might not be
9 interpreting standard of care, let me define it for you
10 this way. What the reasonably prudent physician would do.
11 A positive, affirmative thing that they would do under
12 like or similar circumstances. And so my question to you
13 is that not anybody else on the planet, Lawrence Brown, in
14 the condition that he was on the morning of June 3rd, once
15 you found out those positive Doppler findings, a filter
16 was the recommendation.

17 A That was my recommendation, yes.

18 Q Okay. Doctor, if another physician who participates
19 in critical care were to have seen those Doppler findings
20 with fresh and acute clot and not ordered a filter, would
21 you not believe that that person had deviated from the
22 standard of care?

23 A Not necessarily.

24 Q When you were in training, Doctor, I'm assuming that
25 you, like all the other residents in the critical care

1 department at University Hospital, were given a handbook
2 of some sort to rely upon; is that a fair statement?

3 A Um-hum.

4 Q And can you please tell me which handbook you were
5 given?

6 A No. I got a lot of books.

7 Q Well, wasn't the standard textbook, first of all,
8 for CWRU during the period that you were in that program
9 Kirby, Taylor & Civetta?

10 A No. We did not have a standard textbook.

11 Q You didn't have one.

12 A That's a well-acknowledged textbook.

13 Q In fact, it's an authoritative textbook on the
14 subject; is it not?

15 A Sure.

16 Q Is it not a fact that many of the AMA certified
17 programs in critical care dispense to their residents and
18 fellows the Handbook of Critical Care by Kirby, Taylor &
19 Civetta?

20 A Probably. I don't know the answer to that.

21 Q When was the last time you looked at Kirby, Taylor &
22 Civetta and determined what the indications were for the
23 placement of Greenfield filter?

24 A Probably sometime within the last several years but
25 I can't tell you specifically.

1 Q And if it says that -- well, first of all, what are
2 the indications for a person to receive a filter?

3 A Well, if somebody considered giving someone a
4 filter, if you can't give them any blood thinner, and the
5 reason for that would be if somebody has a bleeding
6 problem and you can't give them Heparin, then you have to
7 consider giving them a Greenfield filter or a filter in
8 their blood vessel.

9 Another indication for giving someone a filter would
10 be if you've given somebody Heparin already but they have
11 continued to have blood clots to their lungs, that would
12 be another reason for giving somebody a filter.

13 And a third reason for giving someone a filter and
14 the reason I thought Mr. Brown needed one was that even if
15 you are giving somebody Heparin, a blood thinner, if the
16 risk of a blood clot to them is really serious so that
17 they couldn't recover from it, then you should consider
18 giving someone a filter, too.

19 So those are the three big -- the big three.

20 Q In fact, those are medical indications. Those would
21 be listed as the reason to put in a Greenfield filter.

22 A That's right.

23 Q All right. Doctor, would you agree with me that
24 based upon the findings at least of your physical
25 examination, and other clinical parameters on the morning

1 of June 3rd, 1997, that Mr. Brown was at high risk for
2 death should an additional embolus occur because of his
3 poor underlying lung status?

4 A Yes, I would.

5 Q Okay. And, Doctor, you agree with me that although
6 Mr. Brown was being Heparinized, Heparin could not
7 dissolve clot that was located in his groin already.

8 A Yes, I would agree with that.

9 Q That would either have to dissolve on its own as the
10 process of time, correct?

11 A Yes.

12 Q And that's why you were concerned, because you knew
13 on that date and that time and place that that clot could
14 release into his lungs.

15 A Yes, I was concerned about that.

16 Q On June 2nd, 1997, Dr. Furey, would you say that it
17 is a fair statement that you had enough clinical data
18 available to you from the notes and records made by
19 everyone else on your service, as well as other physicians
20 caring for him, to recognize that Mr. Brown wasn't simply
21 suffering from postoperative respiratory failure?

22 A Well, that's -- simply suffering from postoperative
23 respiratory failure. It's not simple.

24 Q Doctor, I'm sorry. Go ahead.

25 A Especially in Mr. Brown's case. His respiratory

1 failure wasn't simple. There's four or five different
2 things that were contributing to it. We talked about his
3 underlying lung disease, his pneumonia, the water on his
4 lungs from his heart failure, and at that time the concern
5 that we had that he could have had a pulmonary embolus.
6 At that time we didn't know it.

7 Q Okay. I'm sorry, and it is my fault for infusing
8 words that are unnecessary in the question. The question
9 that I wanted the answer to was that it was clear by the
10 time that you saw this patient on June 2nd that the cause
11 of his respiratory distress was not postoperative
12 respiratory distress alone. That there was an underlying
13 lung condition.

14 A I'm having trouble with that question.

15 Q Did you know he had COPD?

16 A Yes.

17 Q Okay. Did you know that the services thought that
18 there were pulmonary embolus?

19 A Yes.

20 Q Could you, Doctor, on June 2nd have called in a
21 pulmonologist to assist you in a treatment plan for this
22 patient?

23 A I could have.

24 Q And you did not.

25 A No.

1 Q Okay. Do you, Doctor, know what the risk of
2 aspiration is for a person of Mr. Brown's age at that
3 time?

4 A Yes. The risk for someone of Mr. Brown's age and in
5 his condition is -- there is a high risk of death from
6 aspiration.

7 Q Let's separate out the question. The first question
8 I want the answer to is do you know what the percentage
9 probability is, as is documented in any medical textbook,
10 as to what the real risk is that this person would have
11 actually aspirated?

12 A You mean just lying in bed there --

13 Q No.

14 A -- with the risk of him aspirating?

15 Q No. If you had had to take this patient for
16 sedation, given there were contents in his stomach, what
17 was the actual percentage risk that he would have actually
18 regurgitated or aspirated that material?

19 A It was high enough that I wouldn't want to do it.

20 Q I'm asking you, Doctor, if you're aware of the
21 percentages.

22 A You mean -- do you want me to put a percentage on
23 it?

24 Q Well, yes, certainly you may put a percentage on it.

25 A What was the risk that he would have aspirated?

1 Q That he would have aspirated. That's the first
2 question.

3 A I can't give you a number. The problem is is that
4 even if the risk is, you know, five or ten percent, if
5 it's going to be fatal to him to aspirate, then that risk
6 is too high. There is no number that I'm aware of for
7 someone who is critically ill that can tell you, yes, the
8 risk of aspirating is five percent, ten percent. It just
9 doesn't exist.

10 Q You believe that it does not exist. Is that what
11 your testimony is?

12 A Yes.

13 Q Okay. Doctor, in determining not to place this
14 filter, what you're testifying to and what we heard in
15 opening statement was that you were engaging in a risk
16 benefit analysis, correct?

17 A At that time, on the afternoon of -- or in the
18 morning, early afternoon of June 3rd.

19 Q All right. Let me ask this question. I heard in
20 opening statement and I have just heard you say that if he
21 had, in fact, aspirated, that he would die.

22 A Well, I probably should back off from that a little
23 bit. No, you can't say for sure. No doctor can say for
24 sure when anybody's going to live or die. I think only
25 God can say that. But to the best that I can determine,

1 given my training, if Mr. Brown had vomited and some of
2 that vomit had gone down into his lungs, that would have
3 been it for him.

4 Q Doctor, I just heard you say based on your training,
5 you're talking about your training as an anesthesiologist
6 and as a critical care doctor.

7 A Yes.

8 Q Do you acknowledge that customarily if a person
9 suffers from an aspiration -- well, first let me back that
10 up. Sorry. I'm ahead of myself.

11 If he would have aspirated, when we were talking --
12 and we keep using that word and I don't even know if the
13 jury knows -- we're talking about bringing up whatever
14 contents are in his stomach, correct, and it going into
15 his lungs?

16 A Yeah, vomiting and instead of it coming out of his
17 mouth, he can't cough it up, so instead of the stomach
18 material coming out of his mouth like would normally
19 happen for a healthy person, it goes back down into his
20 lungs and causes a bad reaction in his lungs.

21 Q That reaction is called aspiration pneumonia; is
22 that --

23 A Yes.

24 Q I don't think we've actually used that word with the
25 jury but I want it to be clear. What we're talking about

1 is an aspiration pneumonia. Doctor, clinically,
2 pulmonology usually treats -- pulmonology and infectious
3 disease customarily treat aspiration pneumonias; do they
4 not?

5 A That's not true.

6 Q Not in your facility?

7 A Well, aspiration pneumonia can happen to patients
8 who have been to the operating room and are now in an
9 intensive care unit. In that case I take care of them
10 because they are surgical patients. Or aspiration
11 pneumonia can happen to somebody who has never been to the
12 operating room, and just gets sick and aspirates, and in
13 that case in our hospital they go to a different intensive
14 care unit, and that's where the pulmonologist take care of
15 them.

16 Q Doctor, did you, upon learning of the results, and
17 I'm trying to once again get back with time. The chart
18 again says that at 10:30 you were notified of the results
19 of the Doppler. Was it at that precise moment in time
20 that you made the decision that he should have an IVC
21 placement? The chart indicates and you've acknowledged --

22 A Well, it was right around that time. That's when I
23 seriously started thinking about it.

24 Q That's my question. You didn't like go sit
25 somewhere in a laboratory -- I don't mean a laboratory.

1 Let's put it this way. You didn't go to the desk at SICU.
2 You go through every clinical sign and symptom you knew
3 based on what you knew at that time that that was the
4 right decision, correct?

5 A I thought so, yes. At that time I thought so.

6 Q Doctor, part of caring for people in the surgical
7 intensive care unit includes making, shall we say,
8 adjustments or provisions for their nutrition, and that
9 would have been part of your responsibility also in this
10 matter.

11 A Yes.

12 Q Doctor, on the morning of June 4th, in fact, was Mr.
13 Brown actually receiving enteral nutrition through an NG
14 tube?

15 A On the morning of June 4th?

16 Q Sure. Oh, excuse me. I am so sorry. June 3rd.

17 A I believe that he was. We were also -- at some
18 point during that period of time his feeding tube was
19 being adjusted. So his tube feeds were on and off during
20 that period of time.

21 Q Doctor, it would be important, I think, for the
22 context of this question for you -- and we have time.
23 Would you check in the chart and see when the tube feeding
24 was turned off that morning to adjust for the problem?

25 A At ten -- let's see. The order is written at 10:00

1 to start Isocal, that's the name of the tube feed, at 30
2 cc's per hour. 30 milliliters per hour.

3 Q And that was a verbal order from yourself?

4 A No. My nurse wrote it down here.

5 Q Your nurse. Once again, I apologize for not -- what
6 is her name again?

7 A Mrs. Popovich.

8 Q Mrs. Popovich, okay. And she did that with your aid
9 and assistance, correct?

10 A She did that at my -- because I told her to.

11 Q Okay. And you're saying that that was a verbal
12 order at 10:00, correct? I keep calling it a verbal
13 order. It's in the chart that that's what was going to
14 happen.

15 A The way that it works is I say -- what was happening
16 earlier in that day was that the feeding tube became
17 dislodged. It was out of position. So they had a series
18 of x-rays on his tummy to make sure the feeding tube's in
19 the right spot because if it's not in the right spot you
20 can't put the liquid material down there. And after we
21 determined that it was in the right spot, then when we
22 looked at the x-rays and checked that they were okay, then
23 we said start it up again. Start the tube feeds up again.

24 Q How long had he been off tube feeds at the time that
25 this order was placed back that he was going to be

1 restarted?

2 A I can't give you an exact time. It was off when we
3 came in that morning.

4 Q Okay.

5 A I believe it was anyway.

6 Q All right. Now, Doctor, so -- because I don't want
7 the jury to be confused and I'd like them to hear it from
8 you probably better than anyone else. When you do these
9 tube feeds? It's not like a person's eating a whole meal.
10 You're -- to use Mr. Groedel's word, you're titrating.
11 You're telling them how much will go in per hour; is that
12 an accurate statement?

13 A It's like a milkshake. It comes in a can. It's
14 like a milkshake. They even advertise it on TV, Ensure.
15 That's almost exactly what it's like. And it's poured
16 into a bag and it's dripped through a tube that goes in
17 people's nose, in back of their throat, into their
18 stomach, and we start it off at a slow rate to make sure
19 that it all doesn't come bubbling back up. And as the
20 patients show that it goes through their system okay, then
21 we increase the rate.

22 Q Okay. But once again, you know, we have thrown a
23 lot of numbers around here and I'm afraid the jury might
24 get lost, not because they are not following but because
25 we're talking about different numbers for all different

1 kinds of things.

2 This is set in a machine to come out at a certain
3 rate per hour. Is that generally how it is?

4 A Yeah.

5 Q At your SICU and every other one, I know that. What
6 rate of feeding had he been receiving before it was off in
7 the morning?

8 A We're talking about on the morning of June 2nd?

9 Q Well, right. Because there was a problem with that
10 tube and so he would have been off feeding, and how long
11 had he been off feeding?

12 A I'm going to have to look it up.

13 Q Like I said, we have time. We have time.

14 A Okay. That is before I got there, so this might be
15 tough for me to find in here, but I'll make a shot at it.

16 Well, the most recent note I see about it is from
17 that Saturday, the 31st, before I got there, when the
18 nutritionist suggested starting it at 50 cc's an hour, 50
19 milliliters an hour, and increasing it to 95 cc's an hour.
20 And I'm sorry, I don't see what it was running at prior to
21 that, prior to me coming in on Sunday.

22 Q Okay. I wasn't worried about the rate. What I was
23 worried about is when you came on in the morning of the
24 3rd, Mr. Brown had been off tube feeds. Otherwise, your
25 nurse wouldn't be writing the orders to put him back on

1 tube feeds, correct?

2 A That's right.

3 Q Okay. What was your understanding about the length
4 of time he had been off of tube feeds at that point, that
5 point being -- obviously late dates it. She was there at
6 8:45 and you were there at nine.

7 A On the 2nd?

8 Q No. On the 3rd. We're talking about the 3rd.

9 A I thought we were talking about the 2nd. I'm sorry.

10 Q That's okay.

11 A I'm confused. And you want to know what the tube
12 feeds were going at the night of the 2nd and when I came
13 in on the morning of the 3rd.

14 Q Right, exactly.

15 A Let me see if I can look that up. I don't recall
16 offhand.

17 Well, it looks like at seven o'clock the previous
18 night they were turned up to 70 cc's an hour.

19 Q And let me just interrupt you. 70 cc's an hour is
20 about how many ounces?

21 A I don't know.

22 Q About two and a half? Or am I doing the math wrong?

23 A Well, I don't remember the conversion factor. That
24 sounds okay.

25 Q All right. Go ahead.

1 A Well, that's what it says, 70 cc's an hour. That's
2 the last note I see about it. And then the last note I
3 see about it is at seven o'clock at night. Tube feeds
4 were running at 70 cc's an hour.

5 Q Then sometime after that in the early morning hours,
6 if you can discern this, the tube feeds were shut off; is
7 that right?

8 A Apparently.

9 Q Okay. And that was as you were relating -- unless
10 we were confused -- because there was a problem with the
11 tip of the feeding tube, the placement of it?

12 A That would be the most common reason for it, yeah.

13 Q And I'm asking you if you know approximately how
14 long the feeds had stopped at that point.

15 A No, I don't. I don't know.

16 Q Doctor, to process this material, this enteral
17 nutrition that a person's receiving, you add medications
18 to their regimen to make sure that it is moving 70 in, 70
19 out, 70 in, 70 out, don't you?

20 A We'd like it to do that.

21 Q You don't want anyone with a distended stomach; is
22 that right?

23 A That's right.

24 Q As a matter of fact, Mr. Brown had within his
25 medication regimen, I believe, Regulin?

1 A Um-hum.

2 Q And was he not also receiving an H2 blocker,
3 Rofetadine, to reduce acid production?

4 A No, that's not the name of it. It's probably
5 Zantac.

6 Q I thought he was receiving Rofetadine, but if you
7 say it's Zantac that will be all right.

8 A I don't know any medicine by that name. I think it
9 was Zantac.

10 Q Okay. So at that time he was receiving both a
11 medication to reduce the acid content of the stomach and a
12 medication to make sure that this two and a half
13 approximate ounces in the stomach would be moving out at a
14 normal pace, correct?

15 A That's right.

16 Q What do you think the content of his stomach was
17 when you were arrived at 9:00, if you have a way of
18 estimating what the actual stomach contents were at nine
19 on June 3rd?

20 A You can not predict it.

21 Q You can't predict it but let's put it this way. Was
22 there any evidence that he had a distended or bloated
23 stomach?

24 A Not that I recall.

25 Q Is there any evidence in the chart that he was not

1 processing the enteral nutrition that you were giving him?

2 A Not that I recall.

3 Q There is evidence in the chart, however, and you're
4 just not sure how long, that he was actually off tube
5 feeds when you first saw him in the morning.

6 A Yes.

7 Q Okay. Doctor, what time did the tube feeds restart?

8 A Well, I thought we said it was 10:00.

9 Q Well, that's when the order's in the book -- let me
10 ask you if you and I -- and I don't like to approach
11 people to be in their there space, but I'm just a little
12 confused about this.

13 A Me, too.

14 Q Obviously you had ordered a Doppler, right, at that
15 point in the morning. You already had the ultrasound set
16 to go, right? And then -- I'm reading from the charting,
17 10:15 -- I'm sorry. 10:15, Doppler in process, right?

18 A Yes.

19 Q Can you read that?

20 A Um-hum.

21 Q Then across from it is a parallel entry that you
22 were notified; am I right about that?

23 A Yes.

24 Q Okay. And this is the point where -- I'm sorry, I
25 don't mean to be that casual. This is the point where you

1 made the determination that a filter was needed, correct?

2 A Around that time.

3 Q Am I misreading this because the way that is
4 charted, it says tube feed starts, but before that,
5 there's a note at 1321 -- and they do military time in the
6 hospital, correct?

7 A Yeah.

8 Q So that's 1:21. Doesn't it look to you that the
9 tube feeds were restarted after 1:21?

10 A Yeah. That says 1623, which is 4:23. That's what
11 you're looking at there.

12 Q I'm sorry. I just want to be perfectly clear before
13 I walk away from you that that's right and that we're not
14 confused.

15 A Yeah.

16 Q You were indicating that to the best of your ability
17 that the time that the tube feeds are restarted is 1400?

18 A Well, no. What happened was, the explanation for
19 that is they were restarted in the morning, 10:00 or so,
20 whatever, as we established, and when I found out that
21 they were going, and that he had what we consider a full
22 stomach, then we stopped them. And then they were
23 restarted again in the afternoon.

24 Q Doctor, is there any indication whatsoever that the
25 tube feeds were actually restarted different than a time

1 that you and I just looked at, because that isn't 1400, is
2 it? If you want to look at it one more time, that's fine
3 with me. 1400 being 2:00 is the documented time that the
4 tube feeds were restarted. And then at a later time they
5 are discontinued.

6 A No. I'm getting turned around here.

7 Q Okay, I'm sorry. But this is right out of the
8 records.

9 A It says -- here it says eleven o'clock Isocal up at
10 30 cc's an hour. That's the time the nurse charted it, at
11 eleven o'clock.

12 Q So at eleven o'clock they restarted tube feeds and
13 so this charting is wrong?

14 A Which charting?

15 Q Okay. Doctor, I am showing you the sheet and I
16 think you'll have to acknowledge that this sheet is from --

17 A We're looking at the same one.

18 Q Are we looking at the same sheet?

19 A Yeah.

20 Q My print's just a little bigger than yours. That's
21 why we had these blown up a little bit.

22 A Okay.

23 Q Show me where you see Isocal at 11.

24 A I'm not sure we're looking at the same day here.

25 We're looking -- I'm looking at the 2nd.

1 Q I was afraid you were looking at the 2nd.

2 A I'm sorry.

3 Q That's all right.

4 A So what's the question again?

5 Q Okay. You come in in the morning. Do you have your
6 right page?

7 A I'm on the 3rd.

8 Q Okay. You're on the 3rd now, okay?

9 A Um-hum.

10 Q You come in in the morning. You know that he needs
11 to have an ultrasound study done. You order one, correct?

12 A Um-hum.

13 Q Meanwhile, he's not on tube feeds because there's
14 been a problem with the tube placement. You don't know
15 how long he hasn't had this tube in his stomach, but at
16 least he's been off, rights?

17 A Can you just -- I'm sorry here. Give me a minute
18 here?

19 Q Absolutely, Doctor.

20 A Since I was on the wrong day. Okay. As far as I
21 can tell, the time that we have the tube feed stopped was
22 on the 2nd when I first came in, Monday morning, and we
23 restarted them.

24 Now, as far as I can tell they -- and here it says
25 they were turned up at eleven o'clock on the 2nd, that

1 Monday. And as far as I can tell, they were kept going.

2 So they were not off on the morning of the 3rd.

3 Q Doctor --

4 A On the morning of the 3rd at 9:00, my nurse writes
5 to give 250 cc's of tap water every four hours -- that's
6 down the feeding tube -- and to increase the tube feeds to
7 95 cc's an hour. That was at 9:00 on the morning of the
8 3rd.

9 Q Okay. And I agree with you that she made notes
10 early in the morning that you came in. I want to know why
11 there is a note that says tube feed restart in the
12 afternoon at the time that's indicated on this sheet.

13 A I don't know. I don't know.

14 Q Doctor, are you absolutely certain that he had not
15 been off tube feeds before that time?

16 A No, I'm not. I'm not. It's been three years. I
17 can't tell you absolutely.

18 Q Well, you have your chart available to you and
19 that's why I'm asking.

20 A Well, from what it looks like on my chart, he's been
21 getting tube feeds all day on Tuesday, the morning of the
22 3rd.

23 Q Doctor, a suggestion has been made and we won't
24 really attribute it to anyone, but let's discuss this one
25 for the jury. You're an anesthesiologist, correct?

1 A Yes.

2 Q I gather that you've been requested to do anesthesia
3 in emergency situations in your career.

4 A Yes.

5 Q I gather that not every single person who arrives at
6 the hospital, whether a trauma victim or otherwise, comes
7 in with a completely empty stomach.

8 A That's correct.

9 Q I gather that if these people have full stomachs, of
10 course there is a risk of aspiration, but the necessary
11 surgery takes precedence over that risk, doesn't it?

12 A Yes.

13 MS. KOLIS: That's all the questions I have
14 for you today. Thanks.

15 THE COURT: Thank you very much. Doctor,
16 you may step down.

17 MS. HANOBIK: Thank you.

18 THE COURT: Ladies and gentlemen, we're
19 going to recess until tomorrow morning. You're
20 reminded about my ongoing admonition in this case.

21 Could I have counsel at side bar real
22 quick?

23 (Thereupon a sidebar discussion
24 was had off the record.)

25 THE COURT: Okay. Ladies and gentlemen of

1 the jury, we're in recess until tomorrow morning.
2 We'll call for you at about 9:00 and we hope to be
3 on the record about nine, 9:15, shortly thereafter,
4 okay? So we'll see you tomorrow morning and thank
5 you very much.


6 (Thereupon proceedings were
7 adjourned.)

8 - - - - -

9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

C E R T I F I C A T E

I, Suzanne Vadnal, an Official Court Reporter for the Court of Common Pleas, Cuyahoga County, Ohio, do hereby certify that I am employed as an Official Court Reporter, and I took down in stenotypy all of the proceedings had in said Court of Common Pleas in the above-entitled cause; that I have transcribed my said stenotype notes into typewritten form, as appears in the foregoing Excerpt of Proceedings; that said transcript is not a complete record of the proceedings had in the said cause, but constitutes a true and correct Excerpt of Proceedings had therein.



Suzanne Vadnal, RMR
Official Court Reporter
Cuyahoga County, Ohio