

IN THE COURT OF COMMON PLEAS  
OF FRANKLIN COUNTY, OHIO

- - - - -

Linda K. Mauller,

Plaintiff,

V.

: Case No. 95CVA-11-7855  
Judge Miller

Steven J. Yakubov, M.D.,  
et al.,

Defendants.

- - - - -

DEPOSITION OF BARRY S. GEORGE, M.D.

- - - - -

Taken at Mid-Ohio  
Cardiology Consultants  
3545 Olentangy River Road  
Columbus, Ohio 43215  
August 26, 1997, 1997  
5:00 o'clock p.m.

SPECTRUM REPORTING II, INC.

155 West Main Street, Suite 101

Columbus, Ohio 43215

(614) 224-0900

- - - - -

Spectrum Reporting II, Inc.  
(614) 224-0900

## A P P E A R A N C E S

## REPRESENTING THE PLAINTIFF:

Jeanne Tosti, Esq.  
Michael F. Becker, Esq.  
Becker & Mishkind Co., L.P.A.  
134 Middle Avenue  
Elyria, Ohio 44035

REPRESENTING THE DRS. YAKUBOV AND GEORGE  
& MID-OHIO CARDIOLOGY CONSULTANTS, INC.

Maurice N. Milne, III, Esq.  
Patrick Smith, Esq.  
Jacobson, Maynard, Tushman & Kalur  
175 South Third Street  
Columbus, Ohio 43215

## REPRESENTING RIVERSIDE METHODIST HOSPITAL:

Brant E. Poling, Esq.  
Roetzel & Andress  
41 South High Street  
Columbus, Ohio 43215

- - - - -

1 Tuesday Afternoon Session

2 August 26, 1997, 1997

3 5:00 o'clock p.m.

4 - - - - -

5 S T I P U L A T I O N S

6 - - - - -

7 It is stipulated by and between counsel  
8 for the respective parties that the deposition of  
9 BARRY S. GEORGE, M.D., a Defendant herein, called by  
10 the Plaintiff for cross-examination under the  
11 statute, may be taken at this time by the Notary  
12 pursuant to notice and stipulations of counsel; that  
13 said deposition may be reduced to writing in  
14 stenotypy by the Notary, whose notes may then after  
15 be transcribed out of the presence of the witness;  
16 that proof of the official character and  
17 qualification of the Notary is waived.

18 - - - - -  
19  
20  
21  
22  
23  
24

1 BARRY S. GEORGE, M.D.

2 being first duly sworn, as hereinafter certified,  
3 testifies and says as follows:

4 - - - - -

5 CROSS-EXAMINATION

6 BY MS. TOSTI:

7 Q. Doctor, as I told you, my name is Jeanne  
8 Tosti, and I'm one of the attorneys that's  
9 representing the Plaintiffs in this action.

10 Would you please state your full name and  
11 spell your last name for us.

12 A. Barry Scott George, G-E-O-R-G-E.

13 Q. And your business address?

14 A. 3545 Olentangy River Road, Suite 2325,  
15 Columbus, Ohio.

16 Q. And, doctor, do you belong to a  
17 professional medical group?

18 A. I belong to numerous professional medical  
19 groups. They're outlined in my curriculum vitae.

20 Q. Do you happen to have a copy of your  
21 curriculum vitae here with you today?

22 A. Not right on me, I don't.

23 MR. BECKER: Is it something we can call  
24 for and they can bring in later and we can mark it

1 as an exhibit?

2 MR. MILNE: I'd be happy to provide one  
3 to you. I don't know if we can get it today.

4 MR. BECKER: Would it be a problem to  
5 step out and ask your secretary to pull one?

6 THE WITNESS: No, I think I can probably  
7 get one if they're still here.

8 MR. BECKER: Thank you, doctor.

9 (A short recess is taken.)

10 BY MS. TOSTI:

11 Q. Let me ask -- re-ask my last question.  
12 Do you belong to a professional medical group  
13 practice?

14 A. Yes, I do.

15 Q. Okay. And what's the name of your group  
16 practice?

17 A. Mid-Ohio Cardiology.

18 Q. And are you -- do you hold any type of  
19 administrative position in the group practice?

20 A. I'm one of the managing partners of the  
21 group.

22 Q. Okay. Do you have a title, such as  
23 president or anything like that?

24 A. Not that I'm aware of.

1 Q. Okay. And at the time that Dale Mauller  
2 was rendered care, were you one of the managing  
3 partners in Mid-Ohio?

4 A. Yes.

5 Q. Have you ever had your deposition taken  
6 before?

7 A, Yes.

8 Q. How many times?

9 A. I've lost count.

10 Q. Can you tell me approximately?

11 A. Ten, 15 times.

12 Q. And in those 10 or 15 times, just  
13 approximately, can you tell me in what capacity your  
14 deposition was taken?

15 A. Primarily as an expert.

16 Q. Okay. Was it ever taken as a defendant?

17 A. Yes.

18 Q. And can you tell me how many times that  
19 was?

20 A. Twice.

21 Q. In the two times that your deposition was  
22 taken as a defendant, you were a named defendant in  
23 the case?

24 A. Yes.

1 Q. When were the two times that you've had  
2 your deposition taken, approximately, if you don't  
3 know precisely?

4 A. I would say one and a half years ago and  
5 probably three to four years ago. I think that  
6 would be about right.

7 Q. And where were those two cases filed?

8 A. Franklin County.

9 Q. Do you recall the plaintiff's name in  
10 either one of those two cases?

11 A. Let's see. Jean Kale.

12 Q. Is that G-E-N-E or J-E-A-N?

13 A. I believe it's J-E-A-N.

14 Q. Okay. And how about the other one?

15 A. Christine Stollings.

16 Q. And what was the allegation of  
17 negligence, medical negligence, in those two cases?

18 MR. MILNE: Objection. Just so I can  
19 have a continuing objection? Thank you.

20 A. Could you state the question again,  
21 please.

22 Q. Yes. What was the allegation of  
23 negligence, medical negligence, that was made in  
24 those two cases?

1 A. I'm not sure that I really know.

2 Q. Did you have an opportunity to see the  
3 complaint that was filed in the cases?

4 A. Yes, I did. And I had the opportunity to  
5 read it, but like I said, I'm not sure I really know  
6 what the negligence was that was assumed.

7 Q. Well, what the plaintiff was alleging was  
8 done improperly, do you recall?

9 A. No, I don't.

10 Q. **How** were those two cases resolved?

11 A. In court.

12 Q. **Okay.** Did they both go to trial?

13 A. Yes.

14 Q. And what were the results of the trial?

15 A. The results were that the defendant was  
16 found innocent.

17 Q. No negligence was found in either case?

18 A. No negligence was found in either case by  
19 the jury by the named defendant.

20 Q. Okay. Just to review with you, this is a  
21 question-and-answer session. It's under oath, and  
22 it's important that you understand the questions  
23 that I ask you. So if you don't understand the  
24 question or if I've phrased them inartfully, just



1 tell me and I'll be happy to repeat it or rephrase  
2 it. Otherwise, I'm going to assume that you  
3 understood the question and you're able to answer.

4 Also, you have to give all your answers  
5 verbally. You can't nod your head or use hand  
6 motions because our court reporter can't take them  
7 down.

8 If at any time you wish to review -- did  
9 you bring a set of records with you, doctor, or did  
10 your attorney?

11 A. My attorneys have a set of the records.

12 Q. If at any time you choose to or you wish  
13 to refer to the medical records, please feel free to  
14 do so.

15 A. Thank you.

16 Q. Your attorney may enter objections at  
17 some point during the deposition, and you're still  
18 required to answer the question unless your attorney  
19 instructs you specifically not to.

20 A. Yes, I understand.

21 Q. Okay. Have you ever had your hospital  
22 privileges called into question, suspended or  
23 revoked?

24 A. Never.

1 Q. Have you ever been declined or cancelled  
2 by a professional negligence insurer?

3 A. Never.

4 MR. MILNE: Objection.

5 Q. Has your application to join a  
6 professional staff of an HMO ever been declined or  
7 rejected?

8 A. Could you say that question again?

9 Q. Have you ever made an application to a  
10 professional staff of an HMO that was rejected or  
11 declined?

12 A. A professional staff of an HMO. I'm not  
13 sure I understand quite what you mean.

14 Q. An HMO, health maintenance organization,  
15 as an employee to provide medical services for such  
16 an organization, have you ever been declined, if  
17 you've applied for that type of work? Maybe you've  
18 never applied for that type of work. I don't know.

19 A. To work for a health maintenance  
20 organization as a consulting physician?

21 Q. Yes. Have you ever been rejected by --

22 A. I'm sorry. I'm trying to understand.  
23 What you're saying is has a health maintenance  
24 organization wanted me to work as their physician,

1 or is it that I have put in a request for proposal  
2 for a health care contract that an insurance company  
3 wants? Maybe you don't understand what I mean.

4 Q. Let's take each one of those  
5 individually. Tell me, have you ever made an  
6 application to provide professional services for an  
7 HMO?

8 A. To provide my professional services to  
9 their patients, yes.

10 Q. Okay. Have they ever rejected your  
11 request for application to do so?

12 A. Yes.

13 Q. What health maintenance organization was  
14 that?

15 A. Cigna.

16 Q. Did they give you any reason why they  
17 were rejecting you?

18 A. Absolutely none whatsoever.

19 Q. And was this for cardiology services to  
20 them?

21 A. Yes.

22 Q. Was that only -- the only organization  
23 that you've applied to and been rejected --

24 A. Yes. Basically, it was myself and our

1 entire cardiology group.

2 Q. I think that answers the question that I  
3 have for you.

4 A. Okay.

5 Q. Now, you've indicated previously that  
6 you've acted as an expert in medical/legal  
7 proceedings before; is that correct?

8 A. Yes.

9 Q. When's the last time that you did that?

10 A. Today.

11 Q. In another case other than this one?

12 A. Yes.

13 Q. What's the name of that case? Let me put  
14 it this way: Do you know the plaintiff's name in  
15 that case?

16 A. Yes.

17 Q. What's the plaintiff's name?

18 A. The plaintiff's name is Ronald Browning.

19 Q. Is it a case filed here in Franklin  
20 County?

21 A. No.

22 Q. Where is that filed?

23 A. Somewhere up in northeastern Ohio.

24 Q. Do you know who the plaintiff's attorney

1 is?

A. I don't know. It might be you guys.

Q. Is it a case filed in Cuyahoga County?

4 A. I really don't know which county. I'm  
5 sorry. I don't know which county it is.

6 Q. In any of the times that you have served  
7 as a medical expert, have you rendered opinions on  
8 the appropriateness of angioplasty or rotational  
9 atherectomy?

10 A. Quite often.

11 Q. Now, you've indicated that you served as  
12 an expert 10 times or so in the past; is that  
13 approximately correct?

14 A. Yes.

15 Q. Okay. And were your depositions taken in  
16 those cases that you served as an expert where you  
17 were rendering opinions on atherectomy or the  
18 appropriateness of angioplasty?

19 A. Some of them, yes; some of them, no.  
20 Some of them never got that far after I was  
21 consulted. Some of them never got further than  
22 that. Yes, some I have given depositions.

23 Q. How many times have you acted as an  
24 expert for defendants in all the cases that you've

1 done as an expert?

2 A. Once.

3 Q. And how many times have you acted as an  
4 expert for plaintiffs in all the cases --

5 A. I'm sorry. I stand corrected. For  
6 defendants I've -- for plaintiffs I've -- once I've  
7 served as an expert. It's been for defendants all  
8 the other times.

9 Q. Okay. And can you tell me in regard to  
10 the plaintiff how that case was resolved?

11 A. It's pending right now. I can't tell  
12 you.

13 Q. In the Ronald Browning case that you just  
14 mentioned, are you acting as an expert for plaintiff  
15 or defendant?

16 A. Plaintiff.

17 Q. **So** that would be the one case?

18 A, Yes.

19 Q. You had just previously gone out and  
20 asked to have someone bring in a copy of your  
21 vitae. I'm going to not ask questions in regard to  
22 your background if that's going to be produced here  
23 in the short term.

24 MR. BECKER: Here it is.

1 MS. TOSTI: I didn't see them bring it  
2 in.

3 - - - - -

4 Thereupon, Plaintiff's Exhibit  
5 No. 1 is marked for purposes  
6 of identification.

7 - - - - -

8 A. To my knowledge, this is the latest,  
9 up-to-date CV in regards to publications. It's  
10 within the last six months.

11 Q. Do you have any publications that you are  
12 aware of that are not on this curriculum vitae?

13 A. I'm sure there's publications that are in  
14 progress at this point in time that are not on my  
15 curriculum vitae, but that's because they are now  
16 being submitted for publication.

17 Q. Do any of those articles that are  
18 currently in the process of being submitted deal  
19 with the subject matter of rotational atherectomy or  
20 angioplasty, coronary angioplasty?

21 A. Yes.

22 Q. How many articles?

23 A. Are still pending?

24 Q. That deal with those subjects.

1 A. That aren't on the curriculum vitae?

2 Q. Correct.

3 A. Probably two, maybe three.

4 Q. Do any of those articles that have not  
5 been published as yet but are in the process deal  
6 with any of the issues that arise in this particular  
7 case of Dale Mauller?

8 MR. MILNE: Objection.

9 A. Most of the publications that you see in  
10 front of you deal with what I was dealing with with  
11 Dale Mauller.

12 Q. I'm speaking of the ones that are  
13 currently in the process --

14 A. Yes.

15 Q. -- of being published?

16 A. Yes, they do.

17 Q. And what in particular in those articles  
18 that have not been published would be applicable to  
19 this case?

20 A. The use of coronary stents, the use of  
21 anticoagulation.

22 Q. Anything else?

23 A. I don't think **so**.

24 Q. Anything to do with rotational



1 atherectomy?

2 A. No.

3 Q. In regard to the case in which you're  
4 serving as plaintiff's expert on Robert Browning,  
5 what's the medical subject matter of that case?

6 MR. MILNE: Objection, as that is  
7 pending, and I think that it's inappropriate to  
8 start asking questions about that. You can answer  
9 the general allegations, and beyond that, I don't  
10 think it's appropriate.

11 Q. Can you tell me what the general  
12 allegation of negligence is in that case?

13 A. The appropriateness of coronary  
14 angioplasty.

15 Q. And in regard to the cases that you  
16 served as a defense expert, did any of those cases  
17 deal with the subject matter of the appropriateness  
18 of coronary angioplasty or rotational atherectomy?

19 A. Yes.

20 Q. Can you tell me the names of any of those  
21 cases in which you specifically rendered opinions in  
22 regard to the appropriateness of multistage coronary  
23 angioplasty or atherectomy?

24 MR. MILNE: Objection. Continuing

1 objection to this line of questioning.

2 A. I can't remember the names of the cases.

3 Q. Did any of the cases in which -- do you  
4 have an active list **of** the cases in which you served  
5 as a medical expert?

6 A. No.

7 Q. Doctor, you're board certified; is that  
8 correct?

9 A. That's correct.

10 Q. In cardiology?

11 A. Internal medicine and cardiology, yes.

12 Q. Are you certified in rotational  
13 atherectomy?

14 A. Yes.

15 Q. And what certifying body gave you that  
16 certification?

17 A. I've been certified to do rotational  
18 atherectomy by the company who invented and designed  
19 it and had it approved, and I also was a trainer of  
20 physicians across the country, and still am, in  
21 coronary atherectomy, rotational atherectomy.

22 Q. What's the name of the company that gave  
23 you that certification?

24 A. Heart Technologies.

1 Q. And when did you receive that  
2 certification?

3 A. I would estimate it to be 1993, 1994,  
4 thereabouts.

5 Q. Did you train Dr. Yakubov on rotational  
6 atherectomy?

7 A. Dr. Yakubov trained in rotational  
8 atherectomy by going to training courses at  
9 different institutions. He did not train under me  
10 for that. He did do training under me, but that was  
11 prior to the advent of rotational atherectomy.

12 Q. When you say he trained under you, was  
13 that before rotational atherectomy?

14 A. No. That was interventional cardiology.

15 Q. In what time period was Dr. Yakubov  
16 training under you for his interventional  
17 cardiology?

18 A. I couldn't tell you the exact dates.  
19 I've trained a lot of interventional cardiologists,  
20 and I can't tell you the exact -- I would estimate  
21 it was right before he joined our practice. So  
22 whenever that was. You could find that from his  
23 deposition. I'm sorry. I can't help you there.

24 Q. When you did training for rotational

1     atherectomy for various individuals, were you the  
2     individual that then provided certification to these  
3     people?

4     A.             Yes.

5     Q.             The certification came through you to  
6     these individuals?

7     A.             Yes.

8     Q.             Have you authored or co-authored any  
9     medical journals, articles, or textbook chapters  
10    other than what appears on your vitae?

11    A.             No.

12    Q.             Do you teach or have you ever taught or  
13    given formal presentations on the subject of cardiac  
14    catheterizations, coronary angioplasty, or  
15    rotational atherectomy?

16    A.             Yes.

17    Q.             Have you done that in a formal teaching  
18    setting?

19    A.             Yes.

20    Q.             Do you have a prepared syllabus or  
21    outlines from any of those lectures that you've  
22    given on those subjects?

23    A.             I have slides from different talks I've  
24    given over the years, if that's what you mean.

Q. Are these didactic slides or are they pictures of actual procedures?

A. Both.

4 Q. Are they informational?

5 A. Both.

6 Q. Do you have slides on rotational  
7 atherectomy?

8 A. Yes.

9 Q. And also coronary angioplasty?

10 A. Yes.

11 Q. And cardiac catheterization?

12 A. Yes.

13 Q. Do you have any other written material  
14 that goes with any of the lectures that you have  
15 presented?

16 A. I did at the time that I gave them, but  
17 I'm not sure I could readily produce them for you.

18 Q. Have you utilized any of those materials  
19 recently in any of the lectures that you've given in  
20 the last two years, three years?

21 A. Yes.

22 Q. What have you reviewed for your  
23 deposition today?

24 A. The patient's chart and Dr. Yakubov's

1 deposition, primarily.

2 Q. Did you refer to any textbooks or  
3 articles?

4 A. No.

5 Q. Other than Dr. Yakubov, have you referred  
6 to any other materials other than what you just  
7 mentioned to me?

8 A. No.

9 Q. Did you review the cath films from the  
10 5-26 procedure, which was the first procedure that  
11 Dr. Yakubov did on Mr. Mauller?

12 A. **No.**

13 Q. The one from May 27th, which was the  
14 second procedure?

15 A. No.

16 Q. How about the 5-28 procedure, which I  
17 believe is the one that you did on Mr. Mauller?

18 A. No.

19 Q. Did you ever review any of those three  
20 films at any time?

21 A. Yes.

22 Q. Under what circumstances and when did you  
23 do that?

24 A. The films were reviewed at the time that

1 I first encountered the Plaintiff. The films that  
2 Dr. Yakubov had done were reviewed at that point in  
3 time when the patient was in duress, so I knew  
4 exactly what I was getting into. I had a road map,  
5 so to speak. And then the films that I did after I  
6 had intervened upon the patient, I reviewed those  
7 after those films were processed.

8 Q. Okay., Now, I want to be clear on this.  
9 You said that you reviewed the films that  
10 Dr. Yakubov had taken in the two procedures that he  
11 did, and at what point in time did you actually  
12 review those? The procedure that you did was on May  
13 28th, I believe. So if you recall when it was that  
14 you saw those films.

15 A. Well, I'm working from memory here to  
16 some extent, but I am quite sure that I would have  
17 looked at these films the morning that Mr. Mauller  
18 came close to his demise when I first met him,  
19 because I would have wanted to know what I was up  
20 against as I was taking him back to the  
21 catheterization laboratory. **So** I'm sure I would  
22 have looked at it then.

23 It's distinctly possible that I may have  
24 looked at those particular angiograms prior to him

even having any work done by Dr. Yakubov since it was not at all uncommon for us to collaborate with each other about cases where there were different opinions about modalities of therapy.

Q. So you may have seen them --

A. Once or twice prior.

Q. We can't talk at the same time.

You may have seen them at some time before he even had his first intervention, and then you believe that you probably looked at them prior to the intervention that you did on Mr. Mauller?

A. I'm quite sure that I saw them before I did my intervention. It is possible that I saw them prior to Dr. Yakubov's intervention.

Q. Doctor, in your medical group practice, do you have any type of an intervention review committee?

A. We have -- in our practice or in our hospital?

Q. Well, let's -- I'll ask both questions. In your -- in the hospital, is there any type **of** an intervention review committee that reviews films of particular -- of procedures for patients?

A. Yes. That's done pretty much on a weekly



basis.

Q. Are all the films reviewed --

A. No.

4 Q. -- for each patient? What's the criteria  
5 for review of a particular patient's films?

6 A. Films are commonly reviewed on patients  
7 who have encountered complications.

8 Q. Is it likely in the case of Mr. Mauller  
9 that these films were reviewed by an intervention  
10 review committee?

11 MR. MILNE: Objection.

12 MR. POLING: Objection.

13 A. It's unlikely that these were reviewed.

14 Q. And do you have a basis for saying that?

15 MR. POLING: Continuing objection.

16 MR. MILNE: We continue in that  
17 objection.

18 Q. You had indicated previously in your  
19 answer that patients who encounter problems during  
20 intervention were likely to be reviewed by the  
21 intervention review committee. Mr. Mauller,  
22 obviously, suffered cardiac arrest during the  
23 procedure that you were doing. And **so** my question  
24 to you is: Under those circumstances, wouldn't it

1 be likely that his films would be reviewed by the  
2 committee?

3 A. First let me clarify --

4 MR. MILNE: Objection.

5 MR. POLING: Same objection.

6 THE WITNESS: Should I, like, not answer  
7 here?

8 MR. MILNE: I would strictly answer her  
9 question, and we're not going to go much further.

10 A. I am again working from memory on whether  
11 or not Mr. Mauller's case was reviewed by our  
12 staff. It is distinctly possible it may have been  
13 reviewed. It's possible it may not have been  
14 reviewed. Many of these cases are picked somewhat  
15 at random that have had -- that are interesting  
16 cases, cases that may have had complication. So it  
17 is possible that it was reviewed. I don't recall  
18 that it was reviewed.

19 MR. POLING: Move to strike.

20 Q. Are you required to file any type of a  
21 report to the intervention review committee when  
22 there's complications during a procedure?

23 MR. MILNE: Objection.

24 MR. POLING: Same.

1 MR. MILNE: I think that that's getting  
2 into peer review and privilege, and I don't think  
3 that we're going any farther with that.

4 MR. POLING: Same objection.

5 MS. TOSTI: I have not inquired as to the  
6 content of any report. I merely asked him if under  
7 the procedures whether he's required to file a  
8 report. I believe that he can answer that  
9 question.

10 MR. MILNE: As an abundance of caution, I  
11 think we've gone far enough, and I'm going to  
12 instruct my client not to answer that question.

13 MR. POLING: I concur. Same objection.

14 BY MS. TOSTI:

15 Q. Doctor, we had talked about your medical  
16 professional group practice. Do you have an  
17 intervention review committee within that group  
18 practice?

19 A. Yes.

20 Q. Okay. And in the case of Mr. Mauller,  
21 were his particular films reviewed by your  
22 intervention review committee in your group  
23 practice?

24 MR. MILNE: Objection,

1 A. I don't recall.

2 Q. Since filing this case, have you  
3 discussed this case with any other physician?

4 A. No.

5 Q. Have you discussed the case with  
6 Dr. Yakubov since it was filed?

7 MR. MILNE: Objection.

8 A. Only after his deposition I said, "How  
9 did it go?"

10 Q. What did he tell you?

11 MR. MILNE: Objection.

12 A. "Okay."

13 Q. Did you discuss anything else with him?

14 MR. MILNE: Objection.

15 A. Not any particulars.

16 Q. And other than with counsel or  
17 physicians, have you discussed this case with anyone  
18 else?

19 A. No.

20 Q. Do you have any personal notes or  
21 personal file on this case? And I'm speaking  
22 something other than what's in the medical records.

23 A. No.

24 Q. Have you ever generated any such notes or

1 kept any such file in this case?

2 A. No.

3 Q. What medical journals do you personally  
4 subscribe to?

5 A. New England Journal of Medicine, Journal  
6 of American College of Cardiology, American Journal  
7 of Cardiology, Catheterization and Cardiovascular  
8 Diagnosis, Journal of Invasive Cardiology. That's  
9 pretty much it.

10 Q. Are there any textbooks or journals that  
11 you regularly refer to in your practice?

12 A. No.

13 Q. Is there a textbook in your field of  
14 cardiology that you consider to be the best or the  
15 most reliable?

16 MR. MILNE: Objection,

17 A. I consider textbooks to be authoritative  
18 -- or, to be informative, but not authoritative.

19 Q. Is there any particular one in your field  
20 of cardiology that you consider to be the best or  
21 the most reliable?

22 MR. MILNE: Objection.

23 A. No.

24 Q. Other than the professional group

1 practice that we've previously discussed, do you  
2 provide professional services for any other entity?

3 A. Yes. It's an EKG reading corporation.

4 Q. Does it have a specific name?

5 A. I'm sure it does, but I don't know what  
6 it is. Riverside EKG sounds good.

7 Q. Given Dale Mauller's multi-vessel  
8 coronary artery disease, what treatment options did  
9 he have available to him?

10 A. He had medical therapy, percutaneous  
11 intervention, and coronary bypass surgery.

12 Q. And in your opinion, what was the best  
13 treatment option available to him?

14 **MR. MILNE:** Objection.

15 A. Percutaneous intervention.

16 Q. And what's the basis for that opinion?

17 A. The basis for that opinion is that it is  
18 a type of situation that his blockages were  
19 approachable by using percutaneous techniques, that  
20 the anticipated outcomes of those techniques were  
21 equivalent to, if not better than, coronary bypass  
22 surgery, coupled with the fact that this was a young  
23 man who was in it for the long haul. By that, I  
24 mean that because he was afflicted at such a young

1 age with this disease, that no single therapy would  
2 be curative, and that different stages at different  
3 times would be necessary.

4 Q. Now, you mentioned that this was better  
5 than CABG for him. Why would it be better than CABG  
6 for him?

7 A. Primarily because he's at such a young  
8 age.

9 Q. Why does that make a difference?

10 A. The main reason it makes a difference in  
11 a patient at such a young age is that it's well  
12 known, well documented in the literature that men at  
13 such a young age as him, coronary bypass outcomes  
14 are such that these patients usually return with  
15 symptoms within three to five years and require  
16 further intervention-type situations; that perhaps  
17 being a second-time bypass at a young age or more  
18 complicated coronary angioplasty than what he went  
19 through the first go-round.

20 And the likelihood of him getting past  
21 the age of 50, now having had two coronary bypass  
22 surgeries under his belt, if you started at such a  
23 young age, would be very slim. Or another way of  
24 putting it, at the age of 50 he would have no other

1 options except percutaneous intervention, and that  
2 being an extremely complex intervention.

3 Q. When a patient has multi-vessel disease,  
4 how do you determine which vessel will undergo the  
5 intervention first?

6 A. That usually is a case-by-case,  
7 individual-by-individual assessment. Some of the  
8 basic concepts that we use is the technical degree  
9 of difficulty of each particular lesion, coupled  
10 with the amount of heart muscle that that particular  
11 blood vessel supplies. We use those two parameters  
12 primarily to decide how to best stage the procedure.

13 Q. Is the most difficult vessel done first  
14 in some instances?

15 MR. MILNE: Objection.

16 A. It may or may not be. If the most  
17 difficult vessel is also the one supplying the most  
18 heart muscle, a lot of times that is the first one  
19 being done. But, again, as I mentioned previously,  
20 you have to take it case by case. There's no  
21 cookbook, textbook way of doing every patient.

22 Q. Have you heard the term culprit vessel  
23 before?

24 A. Not only have I heard it, I may have been



1 one of the ones that coined it.

2 Q. Do you have an opinion as to which was  
3 the most difficult vessel in Dale Mauller's case?

4 MR. MILNE: Objection. **Go** ahead.

5 A. I don't recall, without looking at the  
6 angiogram.

7 Q. Dale Mauller underwent a thallium stress  
8 test in early May of 1994. Did you review that  
9 stress test when you looked at the records? Do you  
10 recall seeing that, that he had a stress test?

11 A. No, I don't recall.

12 Q. I'm going to represent to you that in  
13 Dale Mauller's case he did have a stress test in  
14 early May, and that that stress test indicated an  
15 anterior apical area of decreased perfusion with  
16 stress.

17 MR. MILNE: Objection.

18 Q. Doctor, what blood vessel is usually the  
19 culprit vessel if you have a patient that develops  
20 an area of decreased perfusion in the anterior  
21 apical area?

22 MR. MILNE: Objection, If you'd like to  
23 show the physician the entire document, that's fine,  
24 but to take it out of context I think is

1 inappropriate.

2 - - - - -

3 Thereupon, Plaintiff's Exhibit  
4 No. 2 is marked for purposes  
5 of identification.

6 - - - - -

7 Q. I want to indicate that Plaintiff's  
8 Exhibit No. 1 previously marked was Dr. George's  
9 curriculum vitae.

10 And I'm now handing you Plaintiff's  
11 Exhibit No. 2, which I believe is Mr. Mauller's  
12 stress test. I'm just going to ask you to take a  
13 look at it,

14 A. Okay.

15 Q. Now, I understand, doctor, that you did  
16 not specifically review the results of that stress  
17 test. You're only looking at the report that I've  
18 handed you. But you would agree that that report  
19 indicates that Dale had an anterior apical area of  
20 decreased perfusion with stress?

21 A. Yes.

22 Q. Doctor, what blood vessel of the heart  
23 usually supplies the anterior apical portion of the  
24 heart?

1 A. Primarily that's the LAD, but it can in  
2 some situations be another vessel.

3 Q. And in Dale Mauller's case, do you have  
4 an opinion as to what blood vessel was supplying the  
5 anterior apical of his heart?

6 A. None in particular.

7 Q. Would you agree that, based on the  
8 results of that stress test, the culprit vessel in  
9 Mr. Mauller's case appeared to be or was likely the  
10 left anterior descending?

11 MR. MILNE: Objection.

12 A. No.

13 Q. Why not? Why would you not agree with  
14 that?

15 A. What this stress test tells me is that  
16 this man needs a cardiac catheterization to define  
17 his anatomy so we can better define his therapy and  
18 his future prognosis, and that's all it tells me.

19 Q. Do you have an opinion as to whether it  
20 was appropriate in Dale Mauller's case to intervene  
21 in his right coronary artery and circumflex artery  
22 first --

23 MR. MILNE: Objection. Go ahead.

24 Q. -- rather than in the left anterior

1 descending?

2 A. I really have no opinion on the timing of  
3 and the staging of the angioplasty without looking  
4 at the angiographic pictures.

5 Q. Do you have any intention between now and  
6 the time of trial to review those particular  
7 angiograms?

8 A. Not unless the need arises.

9 Q. Okay. Well, doctor, if you shou'ld review  
10 those and develop any opinions regarding the staging  
11 of this particular procedure, we would reserve the  
12 right to redepose you on those particular issues.

13 A. Fine.

14 Q. Doctor, how would you define the culprit  
15 artery?

16 A. A culprit artery, by and large, is  
17 defined as the blockage in a blood vessel that is  
18 causing the patient symptoms.

19 Q. And then how would you determine whether  
20 or not it was truly a culprit, a culprit artery?

21 A. It would be a combination of taking a  
22 history from the patient, looking at the patient's  
23 EKGs during an ischemic event, coupled with the  
24 anatomic finding of the cardiac catheterization.

1 Q. Would you agree that an interventionist  
2 has a duty to accurately assess the degree of  
3 stenosis in a vessel before proceeding with an  
4 intervention?

5 MR. MILNE: Objection.

6 A. Yes. But I don't know how accurate you  
7 mean. 85.758 percent or between 80 and 90 percent?

8 Q. Would you agree that it would be  
9 inappropriate to do an angioplasty or an atherectomy  
10 procedure on a blood vessel with only a 40 percent  
11 blockage if it was a nonculprit vessel?

12 A. What I would say is that if a patient had  
13 a 40 percent blockage perceived by a coronary  
14 angiogram, as estimated by multiple observers, and  
15 that was the only blockage the patient had, but yet  
16 the patient had symptoms and stress tests suggestive  
17 of it being more than 40 percent, then I would do  
18 intravascular ultrasound and I would measure across  
19 that lesion before I would say it is not indicated  
20 to do intervention upon a 40 percent lesion.

21 Q. You've added a couple things. I had  
22 indicated if it was a nonculprit vessel, and you  
23 also indicated that if it was based on multiple  
24 observers. Now, would that be required, that

1 multiple observers would have to indicate that this  
2 particular blood vessel needed intervention even  
3 though it was only at 40 percent? I don't quite  
4 understand.

5 A. If it was a questionable lesion. It was  
6 a lesion that was in question. Coronary angiography  
7 is not perfect, and the analogy that I can give to  
8 you can perhaps better explain to a layperson. If  
9 you take a soda straw and pinch each end of the soda  
10 straw after you filled it with contrast and look at  
it under x-ray, you're going to see just a black  
tube. If you take that same soda straw and take  
toilet paper soaked in contrast, put it in that tube  
14 and pinch it and look under x-ray, it's going to  
15 look almost the same. But if you try to suck water  
16 out of the first soda straw and the second soda  
17 straw it's going to be a lot different. That's  
18 perhaps the best way that I can explain to you some  
19 of the shortcomings of doing angiography.

20 Q. If an interventionist is doing a  
21 procedure and he perceived a blood vessel and he has  
22 questions about that lesion, would the standard of  
23 care require that he obtain a second opinion on that  
24 particular lesion before intervening?

1 A. Not necessarily so.

2 Q. I don't understand your previous comment,  
3 then, about the multiple observers. In what  
4 instances would --

5 A. You're talking about the standard *of*  
6 care.

7 Q. Before --

8 A. I'm saying that if a physician who  
9 practices good medicine wants to -- is not sure of a  
10 particular situation, it is within his rights, but  
11 not necessarily the standard of care, to obtain  
12 outside opinions through multiple people.

13 Now, what's considered standard of care  
14 and what's considered good medicine aren't  
15 necessarily one and the same. *So* I don't know what  
16 you're getting at either. But if you could clarify  
17 it for me a little more, then I'll answer it better  
18 for you.

19 Q. Would good medicine require a physician  
20 to obtain an additional opinion if he had a question  
21 about a lesion before then going on to intervene?  
22 And we're talking --

23 A. Yes.

24 Q. -- talking about a blood vessel that has,

1 maybe, a 40 percent blockage in it.

2 MR. MILNE: Objection.

3 A. The way you've framed the question, yes.

4 MR. SMITH: Excuse me. Are we at a  
5 stopping point for a second?

6 MS. TOSTI: Okay.

7 (A short recess is taken.)

8 (Mr. Smith exits the room.)

9 Q. Doctor, on the Browning case that you  
10 referred to previously that you were acting as the  
11 plaintiff's expert, who's the defendant in that  
12 case?

13 A. I don't know his name.

14 Q. You don't know the defendant's name in  
15 the case that you're acting as an expert?

16 A. Not off the top of my head, I don't. I  
17 could find out for you, but I don't have it right  
18 off the top of my head. I'm sorry.

19 Q. Have you filed a report in that case?

20 A. Filed a report?

21 Q. Have you written a report --

22 A. No.

23 Q. -- and given it to the plaintiff's  
24 attorney?



1 A. It's been all verbal so far.

2 Q. You haven't had a deposition taken in  
3 that case yet?

4 A. No.

5 Q. Doctor, would you agree that if the  
6 degree of stenosis in a vessel is overestimated,  
7 that there's an increased risk for the intervention  
8 to **be** more aggressive than necessary?

9 MR. MILNE: Objection.

10 A. You're going to have to say that one  
11 again, please.

12 Q. **If** the degree of stenosis in a blood  
13 vessel is overestimated, is there an increased risk  
14 that the intervention may be more aggressive than  
15 necessary?

16 MR. MILNE: Objection.

17 A. Not necessarily so.

18 Q. If you're doing angioplasty balloon  
19 inflations, might it not be longer or at a higher  
20 pressure than normal if you overestimate the degree  
21 of stenosis or the size **of** the bur that you use?

22 MR. MILNE: Objection.

23 A. Not anybody practicing interventional  
24 cardiology that I know of.

1 Q. A rotational atherectomy might not be  
2 bigger than necessary if you overestimate the  
3 stenosis?

4 MR. MILNE: Objection.

5 A. Overestimating the stenosis has nothing  
6 to do with what size balloon you use or what size  
7 bur you use.

8 Q. Okay.

9 A. The caliber of the vessel is what you're  
10 more interested in.

11 Q. How do you determine the caliber of the  
12 vessel when you're making a determination as to what  
13 size bur you use or what size balloon?

14 MR. MILNE: Objection.

15 A. Well, at the risk of teaching you this, I  
16 guess I could say there's multiple ways of doing  
17 it. One could be a visual estimate at the table or  
18 at the time of catheterization where you know a  
19 fixed dimension of an object in the heart, such as a  
20 catheter, and you know the diameter of that, and  
21 then you can basically form a ratio between the  
22 diameter of the catheter and the diameter of the  
23 vessel and then estimate what the caliber of a  
24 particular vessel is.

1           There's other programs that can be used  
2           that are called quantitative angiography where you  
3           can actually more precisely, with computer  
4           algorithms, look at the size of the vessel and the  
5           caliber of the vessel.

6           There also is a technique of  
7           intravascular ultrasound, where you can actually put  
8           a catheter in the blood vessel and take dimensions  
9           by actual ultrasound interrogation of the vessel and  
10          take precise dimensions.

11         Q.           In the case of Dale Mauller, do you know  
12          how it was determined as to the size of the balloon  
13          and the size of the bur that was used on Dale  
14          Mauller, how that was determined?

15                 MR. MILNE: Objection. Doctor, if you  
16          don't know, don't guess.

17         A.           Well, since I wasn't there, I don't  
18          know.

19         Q.           Did you have accessible to you at that  
20          time any type of a computer analysis to make that  
21          determination in 1994, May of 1994?

22         A.           I believe we had intravascular  
23          ultrasound.

24         Q.           Do you know if that was used in Dale

1     Mauler's case?

2     A.           Not to my knowledge. I know I didn't use  
3     it.

4     Q.           When should a guide wire catheter be used  
5     to assess occlusion in a coronary artery?

6                 MR. MILNE: Objection.

7     A.           Say that again, please.

8     Q.           Under what circumstances or when should a  
9     guide wire catheter be used to assess occlu'sion in a  
10    coronary artery?

11                MR. MILNE: Objection.

12    A.           What is a "guide wire catheter"? I'm  
13    sorry. I don't quite understand what you're talking  
14    about.

15    Q.           In order to diagnose a vessel with 100  
16    percent occlusion, what procedure would be necessary  
17    to make that determination? Is it made by viewing  
18    just the catheter films after an injection of dye,  
19    or is there any type of an instrument that is placed  
20    down through the blood vessel to make that  
21    determination?

22    A.           To determine -- you're asking the  
23    question what is done to determine if a blood vessel  
24    is 100 percent blocked?

1 Q. Yes. How would you make a determination  
2 if a blood vessel was 100 percent blocked?

3 A. By angiogram.

4 Q. Is that strictly on visualizing the  
5 injection of the dye and determining whether it goes  
6 through the blood vessel?

7 A. Yes.

8 Q. Is there any other way you can make that  
9 determination? You previously spoke about using an  
10 ultrasound catheter. Is that --

11 A. Well, part -- no, you can't use  
12 ultrasound in 100 percent occluded vessels because  
13 part of the way of estimating the degree of blockage  
14 is that it can't be a total blockage because you  
15 have to be able to slide the ultrasound catheter  
16 through the area of blockage to then estimate it.

17 Q. Is a guide wire ever inserted into the  
18 vessel to determine whether there's a total blockage  
19 or not? And I may not be using the appropriate  
20 terminology here. But is there any type of catheter  
21 or a wire that is utilized to determine whether  
22 there's a total blockage in a blood vessel?

23 MR. MILNE: Objection.

24 A. Not to my knowledge.

1 Q. Do you have an opinion as to the amount  
2 of blockage Dale Mauller had in his right coronary  
3 artery prior to his first intervention?

4 A. No.

5 Q. Do you know in Dale Mauller's case which  
6 coronary blood vessel was dominant?

7 A. No.

8 Q. Do you have an opinion as to whether  
9 technically satisfactory results were achieved in  
10 opening the right coronary artery on May 26th, the  
11 first intervention that Dr. Yakubov did?

12 MR. MILNE: Objection. **Go** ahead.

13 A. Without visualizing the angiogram, I  
14 can't say, but I would estimate, knowing my partner  
15 the way I do, he wouldn't have stopped until he got  
16 a satisfactory result.

17 Q. And you don't recall, because you believe  
18 that you reviewed those prior to your intervention  
19 -- you don't recall whether or not in your review  
20 of those angiogram films whether there was a  
21 satisfactory -- technically satisfactory result  
22 after the first intervention?

23 MR. MILNE: Objection.

24 A. I don't recall.

1 Q. Doctor, what's the indications for doing  
2 a rotational atherectomy in coronary artery blood  
3 vessels --

4 MR. MILNE: Objection.

5 Q. -- in 1994?

6 MR. MILNE: Same objection.

7 A. In 1994, the indications for coronary  
8 atherectomy, rotational atherectomy were actually  
9 still evolving to some extent, as they are now. As  
10 a general rule, the coronary atherectomy is used in  
11 blockages of a more diffuse, as opposed to a  
12 discrete, nature, and also in blockages that are  
13 more *of* a dense or a calcified or hardened plaque,  
14 as opposed to those that are softer plaques. But  
15 that's a very generalized rule of indications.

16 Q. In May of 1994, was it standard and  
17 acceptable practice to utilize rotational  
18 atherectomy on soft-plaqued lesions?

19 A. Yes.

20 Q. Would you agree that there's a higher  
21 rate of restenosis with rotational atherectomy of  
22 soft plaque lesions as compared to balloon  
23 angioplasty of soft plaque lesions?

24 MR. MILNE: Objection.

1 A. No.

2 Q. Do you have an opinion as to whether the  
3 plaques in Dale's right coronary artery were soft or  
4 calcified?

5 A. No.

6 Q. In May of 1994, was it standard practice  
7 to attempt balloon angioplasty first on what  
8 appeared to be a soft plaque lesion before  
9 attempting rotational atherectomy?

10 MR. MILNE: Objection.

11 A. That wasn't necessarily standard  
12 practice, but it was not at all uncommon that if we  
13 felt we could not achieve a successful expansion of  
14 the balloon that we would switch gears and go to  
15 rotational atherectomy.

16 Q. In the procedures that you were doing at  
17 that time, did you usually attempt a balloon  
18 angioplasty first and then follow it with the  
19 rotational atherectomy?

20 MR. MILNE: Objection.

21 A. Not necessarily so, but on occasion, yes,  
22 I would do that.

23 Q. What were you doing in most cases?

24 A. In most cases we use rotational



1 atherectomy first, and then that was followed by  
2 what we call smoothing or finishing balloon  
3 angioplasty after the rotational atherectomy.

4 Q. In your opinion, was Dale Mauler an  
5 appropriate candidate for rotational atherectomy on  
6 his right coronary artery?

7 A. Well, again, without looking at the film,  
8 it's hard for me to say, but I would say that,  
9 knowing my partner the way I know him, I would  
10 estimate that, yes, he was.

11 Q. But you can't give me a basis for that  
12 because you haven't reviewed the films at this time,  
13 correct?

14 A. That's right. If you give me the films,  
15 I can answer these questions a lot more precisely  
16 for you.

17 Q. Do you intend at trial to render any  
18 opinions as to whether or not Dale was an  
19 appropriate candidate for rotational atherectomy at  
20 trial?

21 A. Yes. After I see the films.

22 Q. And at what point do you intend to review  
23 these films?

24 A. I suppose when you make me.

1 Q. Doctor, I have no control over that at  
2 all. Do you have an intention to review the films  
3 at any time in the future?

4 A. It's distinctly likely before all the  
5 shouting is done with this case I will have looked  
6 at those angiograms, yes.

7 Q. And you intend, at that point in time, to  
8 render further opinions regarding those films?

9 MR. MILNE: Objection.

10 A. If you ask me, yes.

11 Q. Well, doctor, I would request that at the  
12 point when you do review those films, that you  
13 notify defense counsel and that he lets us know,  
14 because we may very well have additional questions  
15 for you regarding those films.

16 A. That would be fine.

17 Q. Doctor, what's the basis for deciding to  
18 do coronary artery interventions in stages?

19 A. At the time that this patient had his  
20 intervention done, the mindset primarily across the  
21 country was that when a lot of myocardium was in  
22 jeopardy, and by that I mean there were a lot of  
23 blocked vessels supplying a lot of heart muscle,  
24 that it was best not to try to do all blood vessels

1 at once in hopes of minimizing the likelihood of a  
2 catastrophe occurring where all blood vessels would  
3 collapse at once while you were working on one  
4 vessel and another one would collapse.

5 Another way of putting that is if a blood  
6 vessel is going to collapse after balloon  
7 angioplasty, it's usually going to do it within the  
8 first two or three hours after the procedure. So if  
9 a patient **is** 24 hours out from a previous  
10 intervention, it's substantially **less likely** that  
11 it's going to close off on you than when you're  
12 working on the second vessel. So that's the concept  
13 at the time why vessels were staged.

14 Q. What's your understanding as to why Dale  
15 Mauller underwent multistage intervention?

16 A. Well, I'm sure that Dr. Yakubov  
17 functioned in a way that he felt was in the  
18 patient's best interest to minimize the likelihood  
19 of him having a procedural risk of vessel collapse.  
20 I'm sure that he did one or two vessels the first  
21 day session, felt that then he should be under close  
22 observation for 12 to 24 hours. If all went well,  
23 then to come back and proceed with the remainder.

24 Q. Do you have an opinion as to whether it

1 was appropriate to do a multistage intervention in  
2 Mr. Mauller's case?

3 A. I would say, based on everything that  
4 I've heard and seen and read in the chart and in  
5 Dr. Yakubov's deposition, it was quite appropriate.

6 Q. And what's the basis for your opinion on  
7 that?

8 MR. MILNE: Objection; asked and  
9 answered.

10 MS. TOSTI: I don't believe he answered  
11 as to what the basis is of his opinion that this was  
12 appropriate for Mr. Mauller. I think he indicated  
13 that --

14 MR. BECKER: You don't have to explain  
15 yourself.

16 A. I think I have. I said to you that I  
17 reviewed the chart, I reviewed his deposition, and  
18 based on those, I felt it was appropriate to do a  
19 multi-vessel staged angioplasty. I believe I did  
20 answer the question.

21 Q. What particular things did you find in  
22 the chart and in your review that helped you  
23 formulate your opinion that this was appropriate?

24 A. The dictated findings in the cardiac

1 catheterization, primarily.

2 Q. And what in particular are you referring  
3 to?

4 MR. MILNE: Do you need to look at this,  
5 doctor?

6 A. She's got it right over there, if I'm  
7 allowed to look at it.

8 Q. I don't believe that I do have --

9 A. Those look like cath notes.

10 Q. This is, I believe, your particular  
11 procedure notes. And you, I believe, were referring  
12 to the original cardiac catheterization.

13 A. Those are both one and the same?

14 Q. Yes.

15 A. Then I need the one from Dr. Yakubov,  
16 then. The progress note in the chart dated -- I  
17 don't know. The date is actually taken off by the  
18 ring binders, Anyway, it is Dr. Yakubov's  
19 catheterization report. His initial impression: 80  
20 percent mid LAD, 95 percent mid circumflex, proximal  
21 80 **No. 1**, 90 percent obtuse marginal No. 2.

22 Okay. I'm sorry. Based on Dr. Yakubov's  
23 report which showed LAD 80 percent, mid circumflex  
24 95 percent, proximal 80 percent, obtuse marginal

1 No. 1, 90 percent, marginal No. 2 and RCA 90  
2 percent, long diffuse proximal, mid and distal.

3 With that type of anatomy, I can say  
4 that, unequivocally, I would have staged his  
5 procedure.

6 Q. And do you have an opinion as to whether  
7 it was appropriate to begin the multistage  
8 intervention with the right coronary artery and the  
9 circumflex in Dale Mauller's case?

10 A. Again, without looking at the films, I  
11 would say that the appropriateness of it is probably  
12 -- if that's what Dr. Yakubov did, is do the right  
13 coronary artery first, I wouldn't criticize that  
14 because it was a very severe lesion with long and  
15 diffuse disease.

16 So it was technically the more -- the  
17 lesion more prone to give you trouble, more prone to  
18 collapse after you do the procedure, and would be  
19 the one that if he did run into trouble with it and  
20 could not achieve a satisfactory result, then there  
21 would be no sense in pursuing this further, then  
22 switching gears and going to coronary bypass would  
23 be appropriate. If that's what his thought  
24 processes were, which I would -- without reading his

1 mind, I would suspect that's where he was coming  
2 from.

3 Q. Even though the stress test that you just  
4 previously reviewed indicated that the left anterior  
5 descending likely was causing ischemia?

6 A. As I said to you, what the stress test  
7 said to me was that this man had coronary ischemia  
8 indicative of the stress test and that he needed to  
9 have a catheterization to better define his anatomy  
10 and his therapy and his future prognosis. I did not  
11 say in any way, shape, or form that the LAD was the  
12 culprit vessel. I need to make myself clear on  
13 that.

14 Q. Doctor -- and I realize you haven't  
15 looked at the films recently. Are there any  
16 indications in that particular report of the cardiac  
17 catheterization that tells you if this was a culprit  
18 vessel in Mr. Mauller's case?

19 A. No.

20 Q. And are you able to determine from  
21 anything that's written in that particular report  
22 that you're looking at as to whether his **LAD** or his  
23 right coronary artery or his circumflex was causing  
24 ischemic problems that were evident on his stress

1 test?

2 MR. POLING: Objection.

3 A. There's nothing here to tell me, looking  
4 at the angiographic report, what is the culprit  
5 vessel.

6 Q. If you were to review the angiograms,  
7 would you be able to make that determination? And I  
8 don't know if you would be.

9 A. No, I don't think so.

10 Q. so --

11 A. I don't think -- even if I had the  
12 angiogram, I'm not sure I could tell you what the  
13 culprit vessel was. It is possible that I could,  
14 but it's unlikely.

15 Q. Doctor, you would agree that if -- when  
16 you're doing multistaged intervention, if the first  
17 procedure is not technically successful, that you  
18 should then move to CABG rather than proceeding with  
19 the second stage? And by successful, I mean  
20 technically satisfactory.

21 MR. MILNE: Objection.

22 A. I think that if the first procedure has  
23 some technical shortcomings where you don't achieve  
24 the satisfactory result you set out to achieve, that



1       then it's pause for review. It doesn't mean that  
2       you automatically go to the cold blade of steel.

3       Q.               What do you consider technically  
4       satisfactory when you're doing an angioplasty  
5       procedure or rotational atherectomy?

6       A.               If we achieve a result that in a  
7       patient -- in a blood vessel with balloon  
8       angioplasty or rotational atherectomy or directional  
9       atherectomy or extraction atherectomy, or you name  
10      the percutaneous intervention, if we are able to  
11      achieve an angiographic result that shows a  
12      narrowing of 40 percent or less, the general  
13      consensus at this time in 1994, that was considered  
14      a technical success.

15      Q.               And if you were not able to achieve 40  
16      percent or less obstruction, then what would you do  
17      in a particular instance?

18                      MR. MILNE: Objection,

19      A.               If I was unable to achieve that, then the  
20      options would be to continue with medical therapy,  
21      to resort to coronary bypass surgery, or to proceed  
22      on with the angioplasty of the vessels.

23      Q.               And how would you make a determination  
24      between those three options?

1 MR. MILNE: Objection.

2 A. Certainly it would be an  
3 individual-by-individual situation. If the blood  
4 vessel that you had initially worked on was, say, a  
5 blood vessel that had a very complex lesion that you  
6 were unable to achieve a satisfactory result, that  
7 it was not supplying a lot of heart muscle, or it  
8 was supplying heart muscle to an area that could not  
9 readily be bypassed with satisfactory results, then  
10 one wouldn't resort to CABG.

11 One may then decide to treat that  
12 particular blockage then with medications, with  
13 blood thinners, make sure that the patient is  
14 stable, and then proceed on with the other blood  
15 vessels with more percutaneous intervention.

16 It may be that you don't achieve a  
17 satisfactory result and it's supplying a large  
18 amount of heart muscle, and in the patient's best  
19 interest you would then say we can't achieve what we  
20 want to with this modality. We have to resort to  
21 more drastic measures of coronary bypass surgery and  
22 recommend that to the patient.

23 Q. Are these options given to the patient  
24 prior to proceeding with the next step? Would

1       that -- would you stop a procedure and then discuss  
2       the options with the patient, or do you just proceed  
3       from that point without further discussion with the  
4       patient?

5                   MR. MILNE:  Objection.

6       A.           Well, that's the way I function.

7       Q.           So it would -- you would offer the  
8       patient an option at that point in time?

9       A.           What I would say to the patient is that  
10      we didn't get as good a result here as we'd like,  
11      and then I would then go through the logic and the  
12      thought process of why I think we should then  
13      proceed to step two, be it bypass surgery, be it  
14      angioplasty with the other vessel, keep the patient  
15      pretty informed.

16      Q.           Doctor, what's the percentage or risk for  
17      closure of a vessel after angioplasty or rotational  
18      atherectomy?  Is there a percentage of cases that  
19      you'll have closure of a vessel after procedure?

20                   MR. MILNE:  Objection.

21      A.           Yes.

22      Q.           Can you give me an estimate **of** what that  
23      percentage would be?

24      A.           If you give me a lesion, I can give **you**

1 an estimate.

2 Q. What do you mean by give you the lesion?

3 A. Well, it's well known in the  
4 interventional cardiology literature that the type  
5 of lesion -- and there's been different  
6 classifications that have been used that are based  
7 on this -- the severity of the lesion, the location  
8 of the lesion, the length of the lesion, that  
9 estimates can be made as to what the risk of  
10 closure -- of the vessel closing off in the short  
11 haul and in the long haul are.

12 Q. Well, let's take a look at  
13 Mr. Mauller's. You have the cath results in front  
14 of you. And based on the lesions that Mr. Mauller  
15 had, what -- that type of lesion, what's the chance  
16 of closure that he would have after intervention on  
17 his right coronary artery?

18 MR. MILNE: Objection.

19 A. Zero or 100, I guess. It either happens  
20 or it don't. What I can see here on the report is  
21 that it was long and diffuse. Let me finish,  
22 please.

23 Q. Let me clarify my question because I'm  
24 asking you for patients that have the type of lesion

1       that Mr. Mauller has, what's the risk of closure.  
2       I'm not asking you specifically in his particular  
3       case, but with that type of lesion, with the type of  
4       procedure that he had done, what percentage of cases  
5       would you normally expect to develop closure? Maybe  
6       that will clarify it a little bit.

7       A.           You can ask ten cardiologists this  
8       question; you'll get ten different answers. That is  
9       probably the most assured thing I can tell you. But  
10      I would estimate that his risk **of** closure, if you  
11      look at the entire number of blockages that he had,  
12      coupled with the extent of disease that he had, and  
13      the certain characteristics that Dr. Yakubov  
14      described in his report -- I would estimate his risk  
15      of one of these vessels blocking off within the  
16      first 24 hours after an angioplasty procedure would  
17      be anywhere from 2 to 7 percent.

18     Q.           But in the right coronary artery -- I'm  
19     asking specifically for the right coronary artery --  
20     what's the chance for that one closing? You had  
21     before told me: Tell me what the lesion is and  
22     where it is and I can tell you. I'm asking you  
23     specifically for the right.

24     A.           Again, without looking at the angiogram,

1     it's a little tough to estimate, but I would say  
2     that because it says zero percent long and diffuse,  
3     that it is at the higher end of what the standard  
4     quoted angioplasty abrupt closure rates were in  
5     1994. And by that I mean it was more around the 5  
6     to 7 percent range as opposed to the 1 to 2 percent  
7     range. That is one **of** the reasons I would suspect  
8     as to why Dr. Yakubov chose rotational atherectomy,  
9     to reduce the likelihood of abrupt closure by using  
10    a different type technique.

11   Q.           How about for the circumflex? Based on  
12   the lesion that you see there, what would be the  
13   percentage or risk for closure after a procedure on  
14   the circumflex with the lesion similar to what  
15   Mr. Mauller had?

16   A.           Again, I'm purely estimating from just  
17   what I see here on the report, but what I would say  
18   is that, again, not looking at the angiogram, it  
19   would appear that these were relatively  
20   straightforward angioplasty-type lesions that got  
21   satisfactory results, and you're probably talking in  
22   the 1 to 2 percent range of closure.

23   Q.           How about on his left anterior  
24   descending?

A. Again, it **looks** to be in the 1 to 2 percent range because it's more of a discrete lesion.

4 Q. In a multistage procedure, what's the  
5 chance or the risk for having all three blood  
6 vessels close down on a patient after procedures are  
7 done?

8 A. Well, in my 15-year career in coronary  
9 angioplasty, which entails 9,000 interventions, I  
10 can tell you that I can count on one hand the number  
11 of times that I've seen this happen.

12 Q. Do you have an opinion as to when Dale's  
13 right coronary artery closed down?

14 A. Saturday morning.

15 Q. And what do you base that on?

16 A. The fact that he was dying, the fact he  
17 has had EKG changes and chest pain and low blood  
18 pressure, all consistent with closure of one or  
19 multiple vessels.

20 Q. And do you have an opinion as to why  
21 Dale's right coronary artery closed down?

22 A. Well, it was one of two things: It was  
23 either a flap of tissue formed a ball valve effect  
24 and shut off blood flow downstream to the heart

1 muscle, or a blood clot formed in there and plugged  
2 it up like a cork in a wine bottle, or all of the  
3 above. I could get more technical than that if  
4 you'd like, but --

5 Q. Doctor, are you aware that Dale had a  
6 period of asystole on the **26th** of May?

7 A. I wasn't aware of that, but that doesn't  
8 overly concern me.

9 Q. If a patient develops an episode of  
10 asystole that lasts for more than six seconds after  
11 intervention on the right coronary artery, would  
12 that raise your suspicions for problem in the right  
13 coronary artery?

14 MR. MILNE: Objection.

15 A. Not unless it was with some other company  
16 that it was keeping.

17 Q. I'm sorry. I don't quite understand your  
18 answer.

19 A. The patient had a six-second period of  
20 asystole coupled with chest pain, ST segment  
21 elevation, hypotension, then, yes, I would be  
22 concerned.

23 If a patient has a six-second period of  
24 asystole after coronary intervention, I would submit



1 to you that that can happen and it happens quite  
2 commonly, probably on a daily basis, in our  
3 interventional cardiology care unit. And what it  
4 most commonly is is what we call Bezold-Jarisch  
5 B-E-Z-0-L-D - J-A-R-I-S-C-H, reflex, or a vasovagal  
6 reaction.

7 Q. So if -- I'm asking you this maybe in  
8 repetition, but if a patient has had a right  
9 coronary artery intervention and then has an episode  
10 of asystole that isn't coupled with any of the  
11 additional symptoms that you described, such as  
12 changes in blood pressure or chest pain, that would  
13 not raise your suspicions that there may be a  
14 problem in that right coronary artery that was  
15 intervened upon?

16 MR. MILNE: Objection.

17 A. That is correct.

18 Q. Okay. Do you have an opinion as to  
19 whether or not when Dale went down for his second  
20 procedure on May 27th, whether Dale's right coronary  
21 artery should have been reinjected to determine if  
22 it was still open?

23 A. Depending on the curiosity of the  
24 angiographer doing the procedure. I would say that

1 I wouldn't be opposed to reinjecting it, but I don't  
2 think that it's necessary. If the blood vessel had  
3 closed off with minimal symptoms, then that would  
4 mean that he must have developed collaterals from  
5 the other artery, and you would readily see those  
6 when you injected the artery you're going to work on  
7 the next day. So it's really not necessary.

8 Q. Would you agree that a heart muscle that  
9 is deprived of adequate oxygen is more likely to  
10 generate ventricular arrhythmias than a heart muscle  
11 that receives adequate oxygen supplies?

12 MR. MILNE: Objection.

13 A. That's pretty much fundamental 101  
14 cardiology. Yes, I would agree.

15 Q. Would you also agree that the oxygen  
16 supplied to the heart muscle is dependent, at least  
17 in part, on the oxygen-carrying capacity of the  
18 blood?

19 MR. MILNE: Objection.

20 A. To some extent that's true.

21 Q. As a person's hemoglobin count goes down,  
22 the oxygen-carrying capability of the blood also  
23 goes down, correct?

24 A. It depends on what levels of hemoglobin

1     you're talking about.

2     Q.             Would you agree that in a patient with  
3     ischemic heart disease, a drop of 7 points in  
4     hemoglobin would place a patient at increased risk  
5     for ventricular arrhythmias as compared to a person  
6     with hemoglobin values in the normal range?

7                   MR. MILNE:  Objection.

8     A.             I don't know what you mean by 7 points.

9     Q.             If a patient starts out at 15.89 and  
10    drops to 8.8 hemoglobin, that would be a drop of 7  
11    points.

12    A.             I assume you're referring to grams of  
13    hemoglobin?

14    Q.             Yes.

15    A.             By and large, patients with ischemic  
16    heart disease, we try to keep their hemoglobin in  
17    the range of 8 to 10 grams.  The reason being is  
18    that probably under those levels then there can be  
19    the potential for some compromise in the  
20    oxygen-carrying capacity to the vital organs.

21    Q.             But my question to you was:  Is a patient  
22    that has ischemic heart disease that has a drop of 8  
23    points or 8 grams in the hemoglobin -- are they at  
24    increased risk for the development of ventricular

1 arrhythmias as opposed to someone who has a normal  
2 hemoglobin or falls within the normal range?

3 MR. MILNE: Objection.

4 A. Not necessarily so.

5 Q. Doctor, isn't a patient that had a  
6 7-point drop in their hemoglobin that also has  
7 ischemic heart disease -- isn't that patient at  
8 increased risk for ventricular arrhythmia opposed to  
9 somebody who has a normal hemoglobin?

10 MR. MILNE: Objection.

11 A. The question has been asked and answered,  
12 I think. Not necessarily so.

13 Q. But more likely than not, isn't that  
14 patient at increased risk?

15 MR. MILNE: Objection.

16 A. Not necessarily so.

17 Q. Well, I'm confused by your answer.

18 MR. MILNE: Do you have a question?

19 Q. I'm trying to get it across, and I think  
20 we're not having a meeting of the minds here.

21 If you have a patient with ischemic heart  
22 disease, are you telling me that there is no  
23 difference in the risk **for** ventricular arrhythmia  
24 whether the patient has a hemoglobin of **15.8 or** has

1 a hemoglobin of 8.8?

2 A. Now you're talking.

3 Q. Okay.

4 A. Not necessarily so. They do not have an  
5 increased risk of having premature ventricular  
6 contractions or arrhythmias or whatever you want to  
7 call them if they have a hemoglobin of 8.5 as  
8 compared to 15.5. That is my answer.

9 Q. **So** the risk for ventricular arrhythmia is  
10 the same for both patients if they both have  
11 ischemic heart disease?

12 MR. MILNE: Objection.

13 Q. You may answer.

14 A. As I mentioned before, not necessarily  
15 so. If you take purely a hemoglobin, you may have a  
16 hemoglobin of 8 and I may have a hemoglobin of 15,  
17 and I would submit to you that we're not going to  
18 have different -- and we may both have ischemic  
19 heart disease. And you're not going to have  
20 necessarily more ventricular arrhythmias than me.

21 Q. But let's --

22 A. **Now**, if some expert is telling you that,  
23 that's their expert opinion. Okay. But it's not my  
24 opinion that if you have a lower hemoglobin that's

1 going to necessarily predispose you to increased  
2 ventricular arrhythmia.

3 Can we please move on to another  
4 question? Can I go to the bathroom, please?

5 MR. MILNE: Certainly.

6 (A short recess is taken.)

7 Q. Doctor, in the same patient, if the  
8 patient has an acute change over the course of three  
9 days and his hemoglobin dropped from 15.8 to 8.8,  
10 does his risk for ventricular arrhythmias go up if  
11 we're talking about a patient with ischemic heart  
12 disease?

13 MR. MILNE: Objection.

14 A. Not necessarily so.

15 Q. Would you agree that an interventionist  
16 has a duty to know a patient's hemoglobin level  
17 before cardiac intervention is begun on the patient?

18 MR. MILNE: Objection.

19 A. As a rule, I would say that is accurate,  
20 but if a patient is dying right in front of your  
21 eyes, I don't think it really makes a whole lot of  
22 difference what their hemoglobin is at that  
23 particular point in time when you have to react  
24 quickly. Certainly you need to find that out, as

1 well as potassium levels and those types of things,  
2 as soon as you can.

3 Q. Let me rephrase the question then. In a  
4 nonemergent procedure, one that isn't being done as  
5 a result of an absolute emergency, would you agree  
6 that an interventionist has a duty to know the  
7 patient's hemoglobin level before proceeding with a  
8 cardiac intervention?

9 MR. MILNE: Objection.

10 A. Yes.

11 Q. And would you agree that one of the  
12 reasons that an interventionist should know the  
13 hemoglobin level before beginning angioplasty or  
14 atherectomy is because there's a risk **of** bleeding as  
15 **a** result of the procedure?

16 MR. MILNE: Objection.

17 A. That's not my top priority for knowing  
18 the hemoglobin, but **I** guess you could say that would  
19 be --

20 Q. It's one of the reasons, though, correct?

21 A. One of the reasons you'd like to know  
22 something is for comparative purposes later, yes.

23 Q. **So** the preprocedure value is then used as  
24 a reference point to determine if there's blood

1       loss, though; is that correct?

2       A.               Yes.

3       Q.               Do you have an independent recall of Dale  
4       Mauler? Do you recall him? Do you have a memory  
5       of him?

6       A.               By that you mean if you showed me five  
7       pictures I could pick him out or --

8       Q.               Other than what you've just reviewed in  
9       the record --

10      A.               Yes.

11     Q.               -- do you recall Dale Mauler and any of  
12     the care that you rendered to him?

13     A.               Yes.

14     Q.               What do you remember about him?

15                      MR. MILNE: Objection. A little vague.  
16     Go ahead, doctor.

17     A.               What I remember about him is that he had  
18     a catastrophic event occur very suddenly, and that  
19     was my first meeting with the man.

20     Q.               Was the first time that you had contact  
21     with Dale Mauler -- was that on the morning of May  
22     28th when you did his procedure?

23     A.               Yes.

24     Q.               Was that the first time? Had you ever



1       seen him before in the office for any type of  
2       physical exams or when you were covering for any of  
3       the other physicians that you're aware of?

4       A.               No.

5       Q.               Prior to the time that you did your  
6       procedure on May 28th, did you consult with  
7       Dr. Yakubov regarding Dale Mauller at any time?

8       A.               Not that I can recall.

9       Q.               And how did it happen that you saw Dale  
10      Mauller on the morning of May 28th?

11      A.               I had the dubious honor of being the  
12      weekend physician on call and rounding on the  
13      patients in the hospital.

14      Q.               And so you were seeing both your patients  
15      as well as Dr. Yakubov's that morning?

16      A.               Yes. I was seeing all the patients in  
17      the hospital under our group.

18      Q.               Prior to the time that you arrived on the  
19      floor to make your rounds, were you notified about  
20      Dale's condition or anything that had occurred  
21      during the evening before?

22      A.               No.

23      Q.               Had you talked to Dr. Yakubov about Dale  
24      Mauller at any time before you saw him on the 28th?

1       A.           No.

2       Q.           Do you know what time you saw Dale on the  
3 morning of the 28th, what the first time you saw him  
4 was?

5       A.           I would estimate it was between 8:00 and  
6 10:00 a.m.

7       Q.           Doctor, I've got a copy of the nurses'  
8 notes from that day, and on the nurses' notes -- do  
9 you want to mark this as an exhibit for me.

10                   - - - - -

11                   Thereupon, Plaintiff's Exhibit  
12 No. 3 is marked for purposes  
13 of identification.

14                   - - - - -

15       Q.           This is a care flow sheet that's been  
16 marked as Plaintiff's Exhibit 3, which I believe is  
17 a part of the medical record. It indicates under  
18 the 8:00 time period that you came on the floor. Do  
19 you have any reason to disagree with the time that  
20 the nurses have indicated that you arrived on the  
21 floor there?

22                   MR. MILNE: Doctor, take your time before  
23 you answer.

24       Q.           Do you see where it says 8:00?

1 A. Yes.

2 Q. And the nurses have indicated, I believe,  
3 that you were on the floor?

4 A. Yes.

5 Q. Do you have any reason to believe that  
6 that is incorrect as to the time that you arrived on  
7 the floor to see Mr. Mauller?

8 A. No.

9 MS. TOSTI: Can you mark this one, too,  
10 for me.

11 - - - - -

12 Thereupon, Plaintiff's Exhibit  
13 No. 4 is marked for purposes  
14 of identification.

15 - - - - -

16 Q. Doctor, I'm going to hand you what's been  
17 marked as Plaintiff's Exhibit 4. I'd like you **to**  
18 take a **look** at a progress note that I believe is  
19 dated for 5-28. I believe there's two notes on that  
20 page. Have you written -- are two **of** those notes in  
21 your handwriting on that page?

22 A. Yes.

23 Q. In reference to the top one, that does  
24 not have a time next to it. Was that particular

1 note written at the time that you first went onto  
2 the floor sometime around 8:00 in the morning?

3 A. Yes, I would say that that was probably  
4 sometime around 8:00 a.m.

5 Q. And then the second note that is written  
6 on that page, is that also in your handwriting, just  
7 below the one that we've just looked at?

8 A. Yes.

9 Q. Now, there's a time written next to it.  
10 Can you tell me what time that says?

11 A. That says 8:30 p.m.

12 Q. Was that note written at 8:30 p.m.? Is  
13 that the correct time, or is it 8:30 a.m.?

14 A. I would estimate that was sometime around  
15 8:30 to 9:00 a.m., would be my guess.

16 Q. So that's just --

17 A. That's an error.

18 Q. Okay.

19 A. That's clearly an error.

20 Q. Doctor, what's a retroperitoneal bleed?

21 A. A retroperitoneal bleed is, to the  
22 layperson, internal bleeding that primarily occurs  
23 in and around the spine and in and around the  
24 muscles in the pelvis.

1 Q. And isn't a retroperitoneal bleed a known  
2 complication following a femoral cardiac  
3 catheterization?

4 A. Yes.

5 Q. And would you agree that a consistent  
6 finding of retroperitoneal bleeding is a progressive  
7 decrease in the hemoglobin and hematocrit?

8 A. Yes.

9 Q. Are there any other signs or symptoms of  
10 retroperitoneal bleeding?

11 A. Yes.

12 Q. Could you tell me what those are?

13 A. Other signs and symptoms of  
14 retroperitoneal bleeding could be inability to raise  
15 the leg or what we call hip flexion; also could be  
16 impingement on the femoral nerve which would cause  
17 some numbness in the leg. You can see ecchymosis in  
18 the flank. That's pretty much it.

19 Q. Isn't complaints of back pain a sign that  
20 is consistent with a retroperitoneal bleed?

21 MR. MILNE: Objection.

22 A. Back pain is a reported problem with a  
23 retroperitoneal hemorrhage, although probably 75 to  
24 80 percent of patients undergoing angioplasty have

1 back pain. As far as in our particular group of  
2 patients, we don't necessarily consider back pain a  
3 hallmark of it. We look for other more specific  
4 indicators.

5 Q. But it can be consistent with a  
6 retroperitoneal bleed?

7 A. Yes.

8 Q. And can't complaints of abdominal pain  
9 also be consistent with signs and symptoms of a  
10 retroperitoneal bleed?

11 MR. MILNE: Objection.

12 A. Again, very nonspecific, but yes.

13 Q. How do you diagnose a retroperitoneal  
14 bleed?

15 A. The diagnosis of a retroperitoneal bleed  
16 can sometimes be very difficult, and a high index of  
17 suspicion is number one priority, of which any  
18 interventional cardiologist practicing in this  
19 country has, I would estimate. But the way the  
20 diagnosis is primarily made is by a **CT** scan.

21 Q. What would cause you to have a high index  
22 of suspicion for a retroperitoneal bleed following  
23 cardiac catheterization or intervention?

24 A. Somebody put catheters in your groin and

1     you had blood thinners and your hemoglobin has  
2     dropped.

3     Q.           Have you had patients that have had  
4     retroperitoneal bleeds following intervention for  
5     cardiac cath?

6     A.           Yes.

7     Q.           Can you give me an estimate of the number  
8     of patients that you've seen in your practice that  
9     have had that complication? I'm just looking for a  
10    ballpark.

11    A.           One to 2 percent.

12    Q.           And when you find a patient that has a  
13    retroperitoneal bleed, how do you treat it? What do  
14    you do for it?

15    A.           Diagnosis is half the treatment, and by  
16    that I mean that once you recognize it, because of  
17    where the bleeding is located, if the patients are  
18    volume resuscitated, by that I mean given blood,  
19    given clotting proteins back, if they've been on  
20    blood thinners, it stops on its own and no further  
21    treatment is required.

22    Q.           And what would be the indications for  
23    sending a patient for a CAT scan? You had mentioned  
24    that a CAT scan would be probably one of the

1 diagnostic tests that might be done for a  
2 retroperitoneal bleed. What would be the  
3 indications for sending a patient for a CAT scan if  
4 you were suspecting a retroperitoneal bleed?

5 A. Commonly where we'll send a patient for a  
6 CAT scan is to confirm a diagnosis, and most  
7 particularly if it would have impact on our future  
8 therapy. In other words, we commonly see hemoglobin  
9 drops in patients. It's very, very common in  
10 angioplasty patients. If we want to do prolonged  
11 heparinization or anticoagulation, we're suspicious  
12 there might have been a retroperitoneal bleed, we'll  
13 do a CAT scan to support that diagnosis or disprove  
14 so we can better delineate whether or not we can use  
15 anticoagulants on a continual base.

16 Q. If you think a patient has a  
17 retroperitoneal bleed and the patient has sheaths,  
18 then do you remove the sheaths?

19 A. Not necessarily. The sheaths may be a  
20 need -- need to be kept in place to monitor blood  
21 pressure and for volume resuscitation.

22 Q. If they were being kept in for additional  
23 procedures, would you keep the sheaths in?

24 A. Sometimes, yes.



1 Q. If the patient is suspected of having a  
2 retroperitoneal bleed, do you discontinue  
3 anticoagulation?

4 A. Again, depending on the risk/benefit  
5 ratio of discontinuing anticoagulation. If  
6 discontinuation of anticoagulation would perhaps put  
7 the patient at a higher risk of clotting off blood  
8 vessels in the heart that have been intervened upon  
9 which can end up in a catastrophic event, we would  
10 probably, if the patient was stable enough, try to  
11 do a CT scan to confirm or disprove a bleed before  
12 we would necessarily stop Heparin.

13 Q. If you've got a confirmation on a CT scan  
14 that the patient had a retroperitoneal bleed, would  
15 that be a good indicator that you should discontinue  
16 the anticoagulation?

17 A. By all means.

18 Q. If you've got a confirmation on a CT scan  
19 that you've got a retroperitoneal bleed, is that  
20 reason enough to remove the sheaths?

21 MR. MILNE: Objection.

22 A. No.

23 Q. Would you agree that a retroperitoneal  
24 bleed can become life-threatening if it's

1 untreated --

2 A. Yes.

3 Q. -- in some instances?

4 When you saw Dale Mauller on the morning  
5 of May 28th, were you aware that Dale had been  
6 having numerous complaints of severe back pain and  
7 abdominal pain that had begun on the 26th and  
8 continued through the 27th, as well as the morning  
9 of the 28th?

10 MR. MILNE: Objection.

11 A. Just trying to recall from memory, I  
12 can't say that I was aware of that, but I know that  
13 the nurses totally debrief me on every patient when  
14 I make rounds, so I'm sure I was informed of what  
15 was going on with this patient when I saw him on  
16 Saturday morning.

17 Probably 75 percent of patients that I  
18 round on in the angioplasty recovery unit have back  
19 pain and have abdominal pain. If you're made to lay  
20 flat on your back for hours on end and not allowed  
21 to move and you can't get up and have a bowel  
22 movement, it's not exactly a pleasant situation for  
23 any of the patients. It's a very common complaint  
24 that we see, and it's not necessarily specific for

1 one particular catastrophic or potentially  
2 catastrophic process in the absence of other signs  
3 or symptoms.

4 Q. Is the fact that Mr. Mauller was having  
5 numerous complaints of severe back pain and  
6 abdominal pain something that you would want to know  
7 about when you go -- went up for rounds the morning  
8 of the 28th?

9 A. Most likely I would. The nurses in our  
10 interventional care unit are very highly specialized  
11 and trained nurses that are used to seeing a lot of  
12 patients with a lot of back pain and a lot of  
13 abdominal pain, and they're quite good clinicians at  
14 knowing whether or not it's something that requires  
15 a doctor's attention or whether it's what we  
16 sometimes call the run-of-the-mill abdominal pain  
17 and back pain.

18 Q. Doctor, if this patient was required to  
19 be medicated with Dilaudid on numerous occasions,  
20 would that be the type **of** pain that you'd want to  
21 know about?

22 MR. MILNE: Objection.

23 A. Not necessarily so.

24 Q. Do most patients that undergo cardiac

1 catheterization and intervention have pain that  
2 requires them to be medicated with Dilaudid, back  
3 pain and abdominal pain?

4 MR. MILNE: Objection.

5 A. Yes.

6 Q. In most cases patients are receiving  
7 Dilaudid for back pain and abdominal pain, most  
8 catheterizations and angioplasties?

9 A. If you look on Mr. Mauller's routine post  
10 angioplasty orders, that is part of the routine  
11 orders. Yes, it is very common.

12 Q. Do you have an opinion as to whether Dale  
13 Mauller was experiencing a retroperitoneal bleed on  
14 the morning of May 28th when you saw him?

15 A. I had nothing clinically to indicate that  
16 he was having a retroperitoneal bleed or hemorrhage.

17 Q. And in Mr. Mauller's case, what did you  
18 attribute his back pain and his abdominal pain to?

19 A. Being made to lay flat in bed for 48  
20 hours.

21 Q. Doctor, I'm going to refer you back to  
22 Plaintiff's Exhibit No. 3, and there's a notation by  
23 the nurses at 6:30 in the morning. And I'm going to  
24 let you take a look at this, but it says that the

1 patient is crying out in pain, and that's -- the  
2 nurses have indicated after that that the patient  
3 was apparently given Dilaudid, 1 milligram, IV push  
4 after that. I want to ask you if that's typical of  
5 a patient that has the type of pain that you  
6 described as being routine after various  
7 interventions, cardiac cath?

8 MR. MILNE: Objection.

9 A. Well, perhaps for me to best explain it  
10 would be for me to show you the process whereby  
11 femoral sheaths are removed, which were done at  
12 6:15. When the sheaths are removed, what has  
13 happened is that there is very firm, actually very  
14 intense pressure kept right in the groin area, in  
15 the crease in the groin, which in some patients, in  
16 males more than females particularly, is very  
17 significantly uncomfortable. And I would say  
18 estimate 75 to 80 percent of patients require heavy  
19 sedation in order to tolerate that removal process.  
20 As a matter of fact, we've done studies on that,  
21 looking at that, and it's a very common thing. This  
22 is nothing out of the ordinary.

23 Q. So are you making the assumption that  
24 he's crying out at the time that the sheaths are

1 removed? I want to understand what you're telling  
2 me. Are you saying that that was during the actual  
3 procedure where the sheaths are removed, that that  
4 nurse is making a comment on his condition at the  
5 time that the sheaths are removed?

6 A. He is very uncomfortable because the  
7 sheaths have been removed and there's firm pressure  
8 kept in his groin, and that's why he's crying out in  
9 pain, and that's why he was administered narcotics  
10 to ease the pain and improve his comfort level.

11 Q. What about his complaints of pain for the  
12 previous days, on the 26th and the 27th, that he  
13 required medication, do you have any reason as to  
14 why he required that medication, other than you  
15 think it was just him laying in bed and he was  
16 uncomfortable? Is that --

17 A. Yes, that's what I would estimate it to  
18 be.

19 Q. Doctor, you would agree that Dale Mauller  
20 suffered a progressive fall in his hemoglobin and  
21 hematocrit levels from the time of his admission and  
22 continuing through the time that you saw him on the  
23 28th, correct?

24 MR. MILNE: Objection.

1       A.           Yes, I would say that he did have a  
2       progressive fall in his hemoglobin, as noted in my  
3       progress note.

4       Q.           And would you agree that a fallen  
5       hemoglobin from a precath level of 5.8 on the 26th  
6       to 8.89 on the morning of the 28th that you saw him  
7       is consistent with the patient that's experiencing  
8       an acute bleeding problem?

9                   MR. MILNE: Objection.

10      A.           First of all, his hemoglobin wasn't 5.8  
11      when he came in. It was 15.8.

12      Q.           I'm sorry.

13      A.           And --

14      Q.           I'm in error.

15      A.           His hemoglobin fell to 8.89 when I saw  
16      him. And a drop of 15.8 to 8.8 with multiple fluids  
17      and multiple complex interventions, with no other  
18      obvious source of bleeding and no other clinical  
19      evidence of a retroperitoneal hemorrhage, is  
20      something that bears observation, but wouldn't  
21      necessarily raise up the red flag of massive  
22      hemorrhage to me.

23      Q.           Based on your progress note that was  
24      written on the morning of the 28th, you were aware

1 of Dale's fallen hemoglobin, correct?

2 A. That's correct.

3 Q. That's what you just said?

4 A. That's correct.

5 Q. Doctor, wouldn't you agree that based on  
6 Dale's severe complaints of abdominal pain and on  
7 his complaints of back pain and his falling  
8 hemoglobin and hematocrit, that diagnostic studies  
9 to rule out a retroperitoneal bleed should have been  
10 done?

11 MR. MILNE: Objection.

12 A. No.

13 Q. Can you tell me what the basis of your  
14 opinion is?

15 A. Because I had no other clinical  
16 symptomatology to suggest that. And I'm basing it  
17 on my experience of thousands and thousands of  
18 angioplasties, and knowing what kind of hemoglobin  
19 drops I see in patients who have had multiple  
20 complex interventions.

21 I think one thing that is not well  
22 understood by many of you people is that when these  
23 procedures are done, there's a substantial amount of  
24 blood loss with just one procedure, let alone



1 three. He had a heart cath, one balloon procedure,  
2 and a second balloon procedure. And for us to see a  
3 drop like this and to not have any other -- and then  
4 to receive intravenous fluid to volume resuscitate  
5 him, which would then dilute out his hemoglobin  
6 more, is a very common entity and would in no way,  
7 shape, or form raise the red flag of concern to me  
8 that I need to rush this man off to a CAT scan on a  
9 Saturday morning.

10 Q. Do you have an opinion as to whether or  
11 not Dale Mauller suffered a retroperitoneal bleed at  
12 any time during the time that he was --

13 A. Yes, I do.

14 Q. And what's your opinion?

15 A. He did not have a retroperitoneal  
16 hemorrhage.

17 Q. Were there computer terminals available  
18 on the floor or in the units at the time that Dale  
19 Mauller was taken care **of** that you could go to and  
20 check for lab results?

21 A. Yes.

22 Q. Now, doctor, you indicated that you were  
23 aware that Dale's hemoglobin was 8.8 on the morning  
24 that you saw him. And at that point in time did you

1 believe that he needed any treatment for this 8.8  
2 hemoglobin?

3 A. No, I didn't.

4 Q. And what's the basis for why you're  
5 saying that you don't believe he needed any  
6 treatment at that time?

7 A. Well, the main reason I say that is that  
8 this man had undergone multi-vessel angioplasty and  
9 primarily his vessels had been opened adequately at  
10 that point in time, or it was presumed they were  
11 opened adequately based on the report.

12 A hemoglobin of 8.8 is essentially a  
13 hemoglobin of 9. If you look at the benefits that  
14 he would gain by giving him more blood, they are  
15 very minimal. If you look at the risks that he has  
16 of getting hepatitis or AIDS, they far outweigh the  
17 benefits of giving him blood.

18 Q. Considering that he had a drop of 7  
19 points in his hemoglobin and hematocrit, did you at  
20 any point in time consider typing and crossmatching  
21 him just to have the blood on hand in case he should  
22 need it?

23 A. He probably had blood on hand anyway  
24 because, as a routine, we primarily have some blood

1 available for patients.

2 Q. Is that routine to type and cross people  
3 before an intervention is started?

4 A. *Yes.*

5 Q. Any particular level of hemoglobin and  
6 hematocrit at which -- and we'll base it on Dale's  
7 case here -- you would order a transfusion? Is  
8 there a certain level that you'd say, okay, at this  
9 level Dale would have needed one?

10 A. I would say probably if he got below a  
11 hemoglobin of 8 grams, I would basically say to  
12 him -- provided there's no obvious bleeding, I'd  
13 say, look, your hemoglobin is below 8 grams. You're  
14 going to feel pretty puny and lousy for the next  
15 four to six weeks until your bone marrow regenerates  
16 this after you've had these complicated procedures.  
17 We can give you a couple units **of** blood and you  
18 won't feel so weak and fatigued over the next four  
19 weeks, but there is a small but very real risk of  
20 hepatitis and AIDS.

21 You've got one of two choices: You can  
22 either get a couple bags of blood and you'll feel  
23 better quicker, or you can take a multivitamin with  
24 iron and let your own marrow do it and not have the

1 risk of hepatitis and AIDS.

2 Q. Doctor, I have the pages -- and it's  
3 probably in your copy of the records also -- of the  
4 nurses' notes for the procedure that you performed  
5 on the 28th, and in the nurses' notes -- I'm just  
6 going to hand you this whole group of papers here.  
7 During the resuscitation there's a number of  
8 hematocrits that are listed throughout the nurses'  
9 notes. And also on -- I think this would be the  
10 easiest thing to look at. Let's mark this.

11 - - - - -

12 Thereupon, Plaintiff's Exhibit  
13 No. 5 is marked for purposes  
14 of identification.

15 - - - - -

16 Q. What I'm marking as Plaintiff's Exhibit  
17 No. 5 has a title on it, Circulation and Technology  
18 Department Perfusion Record. There are several  
19 hematocrits that are listed on this sheet of paper.  
20 And as I've looked through the records in the  
21 laboratory section, I do not see those particular  
22 hematocrits listed on the computer printout. In the  
23 laboratory is there the capability of running  
24 hematocrits? Can you do that directly in the lab?

1       A.           In the heart cath lab?

2       Q.           I'm looking -- there's a column there  
3       that lists out hematocrit, and there's three values  
4       that are listed there, and those are repeated in the  
5       nurses' notes, and I don't find those particular  
6       hematocrit values listed in the laboratory section  
7       of the medical records. **So** I'm asking if those are  
8       tests that were run during the resuscitation by some  
9       mechanism in the lab and weren't actually run down  
10      by the hospital hematology lab.

11     A.           These were done in the stat ICU lab, I  
12     believe, and the reason that they were done there is  
13     this man was put on cardiopulmonary support, and  
14     when you're on cardiopulmonary support one of the  
15     ways that when you're on what we call an  
16     extracorporeal situation, which is basically you've  
17     bypassed the patient's heart and lungs, which is  
18     what happened in this man. This is what I did to  
19     this man. When that's done, there's a constant  
20     surveillance of the patient's hematocrit during that  
21     cardiopulmonary bypass run to make sure there's a  
22     satisfactory hematocrit. Those are done by the  
23     circulation technologists, what we call the  
24     perfusionists, and they keep very close watch and

1     assessment on that during the pump run to make sure  
2     that there's satisfactory oxygen-carrying capacity  
3     by the oxygenating machine.

4     Q.           And in this particular instance, they  
5     have indicated that at 11:30 Mr. Mauller's  
6     hematocrit was down to 13; is that correct?

7     A.           Yes.

8     Q.           Now, at some point in time when you were  
9     doing your procedure on the 28th, did you order  
10    blood for Mr. Mauller?

11    A.           Well, I want to clue you in on a little  
12    something here. These numbers right here mean that  
13    he received blood during the pump run. The reason  
14    he received blood is because his hematocrit was 13.  
15    When a patient goes on cardiopulmonary bypass, as  
16    part of priming the pump, the patient is given  
17    almost a gallon of fluid as part of the pump priming  
18    process. When that occurs, it can, in some  
19    patients, substantially dilute their hematocrit.  
20    And that's exactly what happened in this patient.  
21    His hematocrit was borderline low before this even  
22    started. When he gets 3 to 4 quarts of fluid put  
23    into his blood stream in a rapid time, you're going  
24    to see a drop in hematocrit. **So** the reaction was to

1 give him blood to increase his oxygen-carrying  
2 capacity, which was quite appropriate.

3 Q. Doctor, what would you consider to be an  
4 acceptable drop in hemoglobin and hematocrit after  
5 an intervention?

6 MR. MILNE: Objection.

7 A. Some patients can drop as much as 5 to 7  
8 grams of hemoglobin with a very complex  
9 intervention, and it's due to the intervention, not  
10 necessarily to some other pathology going on.

11 Q. Is there a particular amount of that you  
12 consider unacceptable? More than 7?

13 A. When patients drop -- if they've had,  
14 say, a single procedure, they didn't have a heart  
15 cath, they just had an angioplasty, it was an  
16 uncomplicated short procedure and a patient would  
17 drop 5 grams of hemoglobin, and we have no obvious  
18 explanation for it, that would raise the index of  
19 suspicion, yes.

20 If you had a patient like Dale Mauller  
21 who had a heart cath, had two interventions, had a  
22 cardiopulmonary support run, all that sort of thing,  
23 it's a whole different ballpark. There's massive  
24 incredible swings in hemoglobin and hematocrit with

1       that type of process going on.

2       Q.           Are you familiar with the term shear  
3       force as it relates to the pressure of the blood on  
4       the arterial walls?

5       A.           Since my background is in biomechanical  
6       engineering before I went into medicine, I would  
7       say, yes, that's correct.

8       Q.           Would you agree that a significant drop  
9       in hemoglobin and hematocrit that occurs over a very  
10      short period of time -- and here we're talking about  
11      a matter of a couple days, three days -- can  
12      actually increase the shear force that's exerted on  
13      the interior of coronary arteries?

14                   MR. MILNE: Objection.

15      A.           Well, I don't know where you got that one  
16      from. No, I wouldn't agree with that.

17      Q.           Does the heart generally attempt to  
18      compensate for significant acute blood loss by  
19      increasing the force of its contraction? Is that a  
20      compensatory mechanism that's recognized?

21      A.           Yes.

22      Q.           Would you agree that if a dissection is  
23      present in a coronary artery, that an increase in  
24      shear force increases the risk for closure of that



artery?

MR. MILNE: Objection.

A. Could you state that question again,  
4 please.

5 Q. If there's a dissection present in a  
6 coronary artery, does an increase in shear force  
7 increase the risk for closure of the artery?

8 A. Yes.

9 Q. Would you agree that in a patient with  
10 ischemic coronary artery disease, that a fall from  
11 15.8 to 8.8 would increase the risk for ischemia of  
12 the heart?

13 MR. MILNE: Objection.

14 A. No.

15 Q. Would you tell me what the basis for your  
16 opinion is?

17 A. Hemoglobin/oxygen dissociation occurs.  
18 And if we want to get into a lecture on that we need  
19 to reschedule this deposition.

20 Q. Doctor, if a patient has increasing  
21 ischemia of the heart, are they at increased risk  
22 for ventricular arrhythmias?

23 MR. MILNE: Objection.

24 A. Yes.

1 Q. Would you agree that a systemic infection  
2 can increase the risk of closure of a coronary  
3 artery after intervention?

4 A. No.

5 Q. Is it your opinion that a systemic  
6 infection has no effect on the risk for closure of a  
7 coronary vessel after intervention?

8 MR. MILNE: Objection.

9 A. To my knowledge, it's never been  
10 documented anywhere in the literature that that is  
11 the case. Based on my experience, I've not seen  
12 that a systemic infection would necessarily  
13 predispose a patient to increased risk of abrupt  
14 closure after an angioplasty.

15 Q. When you saw Dale Mauller on the morning  
16 of May 28th, were you aware that he had a WBC of  
17 15.8 at 1:30 in the morning and a WBC of 17.1 at  
18 5:30?

19 A. I may very well have been aware of that.  
20 That does not necessarily disturb me taken as a  
21 single number.

22 Q. Is that something that you would want to  
23 know when you made rounds on a patient, if a patient  
24 had a WBC of 17.1?

1 A. Not necessarily so, unless he had  
2 clinical signs and symptoms of an infection. Then I  
3 would want to know that.

4 Q. If the patient was running a temperature  
5 of 101.1 at 11:30 the night before and had a WBC of  
6 17.1 at 5:30 in the morning, is that something you'd  
7 want to know about the patient?

8 A. That would be something I would want to  
9 know about in the patient, yes.

10 Q. Would you agree that in Dale's case he  
11 had a WBC of 15.8 and 17.1 and that this was highly  
12 indicative of a systemic infection in his case?

13 MR. MILNE: Objection.

14 A. Absolutely, unequivocally, no.

15 Q. What did you attribute his increased WBC  
16 to?

17 A. Stress demargination from going through  
18 multiple procedures. We see it all the time in  
19 angioplasty patients.

20 Q. What did you attribute his temperature  
21 elevation of 101.1 to?

22 A. Coronary angioplasty and multiple  
23 interventions. We see it all the time in a lot of  
24 patients.

1 Q. Do you see it in most patients?

2 A. We see it in perhaps 25 percent of  
3 patients.

4 Q. And how about the elevations of WBC over  
5 17,000, do you see that in most patients?

6 A. I would say that somewhere in the range  
7 of 40 to 50 percent of patients, yes, we do see  
8 that, and the phenomenon is called stress  
9 demargination. I think I'm going to have to write a  
10 paper on this **so** we can get off this infection  
11 topic.

12 Q. Doctor, if there were indications or  
13 evidence of a systemic infection, would that be an  
14 indication to remove the femoral sheaths?

15 A. Absolutely.

16 Q. And if there were clear indications of  
17 infection in Dale Mauller's case, would you agree  
18 that his femoral sheaths should have been removed  
19 and he should have been placed on antibiotics?

20 MR. MILNE: Objection.

21 A. If There was no obvious source of  
22 infection and the clinician feels that there is a  
23 bacteriologic process, which a high white count and a  
24 fever is not necessarily indicative of in an

1 angioplasty patient, yes, you need to remove the  
2 sheaths.

3 Q. Doctor, if an elevation of 101.1 and a  
4 **WBC** of 17,000 is not indicative of a systemic  
5 infection, what would be the indicators of a  
6 systemic infection that would cause you to remove  
7 sheaths in a patient that had undergone an  
8 intervention?

9 **MR. MILNE:** Objection. Vague; improper  
10 hypothetical.

11 **A.** If there's pus coming out of the groin  
12 site when the sheaths are in, this man's coughing up  
13 green purulent sputum, if it burns when he urinates  
14 and we see white blood cells in his urine, if he's  
15 tender to touch in his calf suggesting he has  
16 thrombophlebitis. I'd like to see symptoms and  
17 signs, not just laboratory data for infection. **WBC**  
18 counts that's elevated, a fever, does not  
19 necessarily mean an infection,

20 Q. Doctor, if you wait until you have those  
21 other clinical signs that you've just delineated,  
22 wouldn't you agree that that infection would have  
23 been fairly far advanced?

24 **MR. MILNE:** Objection.

1       A.           No, I would not agree, and that's one of  
2       the very reasons indiscriminate use of antibiotics  
3       has caused resistant infections in this country.  
4       That's just been recently reported. You have to  
5       define if there is an infection, and if there is an  
6       infection, where the process is coming from and  
7       identify the organism, and then appropriately treat  
8       it.

9       Q.           How long should femoral sheaths be kept  
10      in?

11     A.           Femoral sheaths, as a rule, we try to get  
12     them out as soon as possible because they are a  
13     potential source of infection. But femoral sheaths  
14     in perhaps 20, 25 percent may stay in 48 to 72  
15     hours, particularly back in the 1994 era.

16     Q.           Wouldn't you agree that the longer the  
17     femoral sheaths stay in the greater the risk for  
18     infection?

19                   MR, MILNE: Objection.

20     A.           Yes, I would agree with that.

21     Q.           Do you know how long Dale Mauller's  
22     femoral sheaths were in place when you saw him?

23     A.           I don't know how long they were in place,  
24     but I would estimate they were in probably longer

1       than the average angioplasty patient.

2       Q.               Is it likely that they were in from the  
3       first procedure, which was done on the 26th?

4       A.               Probably unlikely.

5       Q.               And why do you say it would be unlikely?

6       A.               Well, as a usual matter of convention,  
7       just knowing some of our practice styles, is that in  
8       a patient like him, I would estimate that cardiac  
9       catheterization was probably done, and there may  
10      have been an issue as to what's the best way to  
11      treat his problems, so the sheath may have been  
12      removed and then a sheath inserted then for the  
13      start of the next procedure. It's somewhat of a  
14      two-edged sword. Leaving a sheath in predisposing  
15      the patient to increased infection, but multiple  
16      punctures in the groin in the patient predisposes  
17      them to more bleeding.

18     Q.               Doctor, wouldn't you agree that  
19     considering the fact that Dale had undergone two  
20     procedures prior to the time that you intervened,  
21     that he was at risk for developing an infection?

22     A.               All patients are at risk for developing  
23     an infection when they have -- when their blood  
24     vessels are invaded with foreign catheters. Was his

1 risk any greater than a normal patient, is that what  
2 you're asking?

3 Q. Compared to a patient that had a single  
4 intervention, was he at increased risk for  
5 infection?

6 A. In my opinion, I have to estimate yes,  
7 slightly increased.

8 Q. Do you have an opinion as to whether  
9 removal of Dale's sheaths and administration of  
10 antibiotics early in the morning on May 28th would  
11 have decreased the likelihood of Dale's suffering  
12 coronary vessel closure?

13 MR. MILNE: Objection.

14 A. It's extremely unlikely that would have  
15 had any impact whatsoever on his abrupt closure.

16 Q. Do you have a basis for that opinion?

17 A. Nine thousand angioplasties, 15,000 heart  
18 caths, and 15 years of experience and multiple  
19 publications.

20 Q. Now, doctor, on the morning of the 28th,  
21 you made rounds on the floor and you saw Dale  
22 Mauller, and at some point in time you were summoned  
23 back to the floor to see Mr. Mauller; is that  
24 correct?



1 A. Yes, from the looks of the notes, that's  
2 correct.

3 Q. And that appeared to be, I believe, based  
4 on the notes, around 9:30 in the morning; is that  
5 correct?

6 MR. MILNE: Do you want to show him the  
7 notes, or do you have them there so we can speed  
8 this up, counsel?

9 Q. Do you recall how long after you had seen  
10 him that you returned to the floor?

11 A. I don't recall right off the top of my  
12 head, but I would estimate it was probably within an  
13 hour, hour and a half of the time I had seen the  
14 patient.

15 Q. When you returned to the floor, what was  
16 Dale's condition?

17 A. He was acutely ill.

18 Q. And at that particular time, did you have  
19 any conversation with Dale Mauller when you went up  
20 to see him the second time?

21 A. I'm sure it was somewhat abbreviated  
22 because of his acute state of affairs with chest  
23 pain and EKG changes, consistent with a vessel  
24 closure, based on my note. My impression is

probably that I most likely said to him one **of** the blood vessels that have been worked on has collapsed and we need to move you to the cardiac

4 catheterization laboratory as soon as possible and  
5 open that up, most likely put a stent in it to keep  
6 it propped up to prevent heart injury.

7 Q. Do you have any specific recall of any  
8 conversation that you had with Dale?

9 A. No, but I can just say that's standard  
10 operating procedure. If we get into that kind of  
11 situation with a patient, that's pretty much what I  
12 tell them. We don't get too chatty about it because  
13 time is of the essence.

14 Q. At the time you went up to see him, do  
15 you have an opinion as to what blood vessels had  
16 closed off?

17 A. It appears by my note I thought, looking  
18 at the EKGs and my clinical assessment, it was the  
19 right coronary artery that was closing off.

20 Q. And at that particular point in time,  
21 when you went back to see Dale, was CABG an option?

22 A. **Yes.**

23 Q. And do you have any recall of discussing  
24 that option with him?

1 A. I don't have any recall of discussing  
2 that with him, no.

3 Q. And was there a particular reason why he  
4 was sent back down to the lab as opposed to calling  
5 in the heart team and doing a CABG on him?

6 A. Yes, indeed.

7 Q. Would you tell me what that is?

8 A. We have found in our experience in our  
9 heart program that when patients develop an abrupt  
10 closure, that it is by and large much easier to  
11 mobilize the cardiac cath team, get the vessel  
12 opened, get the patient stabilized with opening of  
13 the blood vessel before whisking the patient off to  
14 surgery.

15 In the early days of coronary angioplasty  
16 **we** learned sometimes the hard way by whisking a  
17 patient off to bypass surgery from a heart cath lab  
18 with a shut-off vessel, and these patients had  
19 substantially higher operative mortality rates.  
20 That's been well documented in the literature. **So**  
21 that's the reason why he was taken to the cath lab.

22 Q. Was there a CABG team on call at the  
23 time?

24 A. There's a CABG team and there's an

1 angioplasty team on call 24 hours a day at Riverside  
2 Hospital.

3 Q. How long would it take to assemble the  
4 surgical team?

5 A. An hour to an hour and a half. Then it  
6 would take another hour to probably get Mr. Mauller  
7 on cardiopulmonary bypass to get him -- and get his  
8 chest open so that you could put bypasses in. So  
9 we're talking about, at a minimum, a three- to  
10 three-and-a-half-hour process in a best case  
11 scenario to get blood flow back to the heart.

12 Q. Did you make the decision as to which of  
13 these options was appropriate for Mr. Mauller?

14 A. Yes, I did.

15 Q. Prior to the time that you took Dale back  
16 down to the cath lab, did you have any conversations  
17 with Dr. Yakubov?

18 A. No.

19 Q. At the time that you were in the cath  
20 lab, were there any other physicians present in the  
21 lab during your procedure?

22 A. Not that I'm aware of.

23 Q. Now, during the procedure, at the time  
24 that you introduced the catheter, at some point Dale

1       went into ventricular fibrillation; is that correct?

2       A.               Yes.

3       Q.               Do you have an opinion as to the likely  
4       cause *of* the *V* fib and the arrest that he  
5       experienced during that procedure?

6       A.               It was due to vessel -- the abrupt  
7       closure of the right coronary artery.

8       Q.               Now, at the time that you initiated your  
9       procedure, did he have a closure of his left  
10      anterior and the circumflex also?

11      A.               Not that we could assess clinically.

12      Q.               Do you have an opinion as to whether  
13      those three vessels eventually all closed off in  
14      Mr. Dale Mauller's case?

15      A.               Yes, I believe they did all close off.

16      Q.               And at what point in time do you think  
17      the left anterior closed?

18      A.               Probably at or around the time that he  
19      experienced ventricular fibrillation in the cath  
20      lab.

21      Q.               And you would agree that, based on  
22      Dr. Yakubov's previous cath note, that the  
23      circumflex was likely closed at the time that he  
24      went down for your procedure?

1       A.           The circumflex, at least based on what I  
2       see here, was kind of -- that's not going to cause  
3       all of this commotion that's going on in this  
4       patient at this point in time. I mean, the little  
5       branch he was talking about, that's not going to  
6       cause a patient to die suddenly.

7       Q.           Did hypotension play a role in the  
8       development of Dale's arrest?

9                   MR. MILNE: Objection.

10      A.           In the cath lab?

11      Q.           Yes.

12      A.           May very well have. Without actually  
13      looking at the nursing notes in the cath lab and  
14      seeing what his blood pressure was when I  
15      immediately put the catheter in, I couldn't tell  
16      you, but it certainly could have.

17      Q.           Do you have an opinion more likely than  
18      not as to the reason why Dale suffered closure of  
19      his coronary vessels on 5-28?

20                   MR. MILNE: Objection.

21      A.           He developed abrupt closure most likely  
22      because of thrombosis in the right coronary artery  
23      with the discontinuation of Heparin and removing of  
24      the sheaths.

1 Q. And you're speaking of the removal of the  
2 sheath that occurred --

3 A. At 6:00 a.m.

4 Q. 6:00 in the morning on the 28th?

5 A. Yes.

6 Q. At the time of the cath procedure on  
7 5-28, were dissections present in any of Dale's  
8 coronary arteries?

9 A. This is my procedure?

10 Q. Yes.

11 A. It was hard to say whether there was  
12 dissection present. The reason being, when I  
13 injected dye, all I saw was a totally blocked-off  
14 artery. The dye went up and stopped. So there was  
15 no good way for me telling there was dissection.

16 After it was opened with the balloon  
17 catheter, there was some evidence that there  
18 probably was dissection in there. But dissection is  
19 not a big deal to us. Dissection is part and parcel  
20 of an angioplasty procedure. There's good and bad  
21 dissections. Mr. Mauller obviously had a bad  
22 dissection.

23 Q. And the dissections that you saw, were  
24 they in the left anterior descending and the right

1 coronary or --

2 A. Bear with me while I check my notes  
3 here.

4 Yes, dissections were present in both the  
5 right coronary and the **LAD**.

6 Q. And at the time that you did the  
7 catheterization, was there evidence **of** thrombus in  
8 either the left anterior descending or the right  
9 coronary artery?

10 A. One of the things that is difficult to  
11 tell on an angiogram is how much is clot and how  
12 much **is** dissection. Usually in this kind of  
13 catastrophic situation they go hand in hand.

14 Q. Is your answer you don't know?

15 A. The answer is that you can't tell with an  
16 angiogram.

17 Q. When the resuscitation was begun on  
18 Mr. Mauller, did any other physicians come in and  
19 attend that resuscitation? Was there anyone else  
20 assisting you with the resuscitation?

21 A. No.

22 Q. And I believe the report that you did on  
23 this particular procedure indicates that the patient  
24 was resuscitated for approximately 17 minutes; is



1       that correct?

2       A.               Well, that's one thing I've looked at  
3       pretty specifically in this, and one of the things  
4       that we obviously are very meticulous about is the  
5       length of duration of resuscitation in cardiac  
6       arrest, because this has significant impact on the  
7       'patient's neurologic status long-term.

8                       And one of the things I do remember  
9       specifically about this case years later is the fact  
10      that cardiopulmonary support was put in in a very  
11      expeditious fashion, within 13 minutes of the time  
12      of the cardiac arrest, with satisfactory CPR  
13      techniques and prompt intubation.

14                      After pulmonary support was done and he  
15      was resuscitated and the vessels were opened and he  
16      was weaned from the cardiopulmonary support, he was  
17      neurologically intact. And by that I mean that I  
18      would say, "Open your eyes," and he would open his  
19      eyes, and I'd say, "Move your hand," and he would  
20      move his hand. **So** it meant that the lights were on,  
21      so to speak.

22                      And with that in mind, then what we do  
23      with patients who have went through a catastrophic  
24      event like that is we put them back to sleep because

1 they have a lot of tubes and a lot **of** things in  
2 them. And this is going to be a young man who is  
3 going to be thrashing around a lot, and we need to  
4 keep him asleep.

5 My point is, he was resuscitated in a  
6 prompt and expeditious fashion within 13 minutes and  
7 we were quite happy his neurological status was  
8 intact.

9 Q. Doctor, your report says 17 minutes; is  
10 that correct?

11 A. I don't know what the reports says. My  
12 recollection on reading the chart is 13 minutes, and  
13 I'm not going to argue over 4 minutes.

14 Q. I would just like to be correct as to  
15 what you've actually indicated in your report. Do  
16 you have a copy of your report in front of you, if  
17 you could take a look at it?

18 MR. MILNE: Counsel, do you have one that  
19 you can show him so we can move on here?

20 MS. TOSTI: I have one that's  
21 highlighted. I think he was looking at his own cath  
22 report there.

23 A. I don't believe that the cath reports are  
24 necessarily in here.

1 MR. MILNE: Can you show him his report?

2 MS. TOSTI: Do you want to mark this?

3 MR. BECKER: You don't have to mark it.

4 Just show it to him.

5 Q. I believe on Page 2 of your report, maybe  
6 about a third of the way down the page, there's a  
7 sentence that says, "The patient had cardiopulmonary  
8 resuscitation for approximately 17 minutes."

9 A. Well, approximately is just what it  
10 means, approximately. I would estimate that where I  
11 got that 13 minutes from was in the cath lab nurses'  
12 notes, and the timing is where that was from. And  
13 because the copy here on my chart here is poor -- in  
14 fact, not only do I know -- I'm sure that's what it  
15 was where I found that. That 13 minutes sticks in  
16 my mind. If you look at the sequence of events on  
17 the nursing notes, it was 13 minutes.

18 Q. Where did the 17 minutes come from in  
19 your report, doctor?

20 A. It was an estimate. Probably came from  
21 looking at this report, the nurses' notes report.  
22 But I'm not going to argue with you between 13  
23 minutes and 17 minutes, okay, if it's -- if you want  
24 it to be 17 minutes, we'll make it 17 minutes, if

1       that's what it says. Approximate means  
2       approximate.

3       Q.               Doctor, I'm just inquiring as to why you  
4       recorded in your report 17 minutes.

5       A.               I recorded approximately 17 minutes. And  
6       that if you get the official chart and look at the  
7       nurses' notes -- and I don't know if we have the  
8       official charts here that are not poor duplicates --  
9       it most likely will be 13 minutes. That's the point  
10      I'm trying to make. I may stand corrected, but I  
11      believe that's where I came up with the 13 minutes.

12      Q.               Doctor, what's cardiopulmonary support?

13      A.               Cardiopulmonary support is just what it  
14      means. Cardiopulmonary support is where we have the  
15      capability with catheters inserted in the groin  
16      where we bypass the heart and the lungs through an  
17      external machine that takes over the work of the  
18      heart and the lungs, and it pulls blood out of  
19      patient's body, oxygenates it, and then gives it  
20      back to the patient to oxygenate and supply blood  
21      flow to the vital organs in the absence of heart  
22      function.

23      Q.               Now, during the course of the procedure  
24      you had indicated previously that Dale was given

1       some blood. I think the nurses' notes may indicate  
2       three units of packed cells were given.

3       A.               Yes.

4       Q.               And you had indicated previously that  
5       this was part and parcel to the cardiopulmonary  
6       support system, was used as a primer; is that  
7       correct, or am I misinterpreting that?

8       A.               No, what -- the primer that's used is  
9       what's called chloride, which is basically salt  
10      water or some water with some protein-like material  
11      in it that's used to prime the pump. When it goes  
12      into the body, and it goes in fairly rapidly through  
13      these large catheters, it then dilutes out the  
14      patient's hemoglobin or their hematocrit.

15                      Dale already had a hematocrit that was on  
16      sort of the borderline at 8.8 that morning, so  
17      that's why he had a tremendous drop in his  
18      hemoglobin once the institution of cardiopulmonary  
19      support was started.

20      Q.               Doctor, I believe the nurses' notes that  
21      you were looking at indicates that Dale was given  
22      Ansef as well as Gentamicin during the resuscitation  
23      or soon thereafter. What was the purpose for giving  
24      him those two antibiotics?

1       A.           The purpose of giving those two  
2       antibiotics was primarily in hopes of reducing the  
3       likelihood of post-procedure infection since he  
4       developed an acute and sudden arrest, and because  
5       things had to be done quickly and promptly with  
6       insertion of the tubes in his groin. It's not at  
7       all uncommon in those types of crash situations to  
8       break down uncertainty and cover the most common  
9       microorganism that could potentially cause an  
10      infection. It's call prophylactic antibiotics.

11     Q.           How long was Dale in the cath lab the day  
12     that you did his procedure?

13     A.           Looks like he went into the lab  
14     approximately 11:00, and was pretty much tidied up  
15     by 1:30 to leave the lab to go to the coronary care  
16     unit.

17     Q.           During the period of time that he was in  
18     there you placed two coronary stents; is that  
19     correct?

20     A.           That's correct.

21     Q.           One in the right coronary and one in the  
22     left?

23     A.           That's correct.

24     Q.           Left anterior descending.

1                    Doctor, if I gave you a picture of the  
2                    coronary circulation, would you be able to indicate  
3                    on it where you placed the stents?

4                    A.                    No, I wouldn't, not without looking at my  
5                    angiogram that I did.

6                    Q.                    Doctor, do you have an opinion as to  
7                    whether or not Dale likely suffered hypoxic injury  
8                    to his brain at the time of his arrest and  
9                    resuscitation on the 28th of May?

10                   A.                    Yes, I do.

11                   Q.                    And what's your opinion?

12                   A.                    He did not suffer hypoxic brain injury.

13                   Q.                    And what's the basis for that opinion?

14                   A.                    As I explained to you previously, after  
15                   the cath procedure with the cardiovascular and  
16                   cardiopulmonary support, the patient was responsive  
17                   to appropriate and simple verbal commands and was  
18                   able to move all extremities in a satisfactory  
19                   fashion.

20                   Q.                    And is there any indication in the chart  
21                   as to what you just said?

22                   A.                    No.

23                   Q.                    Have you recorded that observation  
24                   anyplace in the chart?

1 A. No, not to my knowledge.

2 Q. And you're basing this on your memory of  
3 that particular resuscitation?

4 A. Yes, I am.

5 Q. Doctor, wouldn't you agree that that's an  
6 important observation to know whether or not a  
7 patient has suffered any type of brain injury during  
8 a resuscitation?

9 A. To note it on the chart?

10 Q. No. I asked you, isn't that an important  
11 observation?

12 A. Most certainly it's an important  
13 observation. That's what we hope for after we do  
14 it, that the patient is neurologically intact.  
15 That's the purpose of cardiopulmonary support.

16 Q. Wouldn't it be important to note your  
17 observations then relative to whether or not the  
18 patient is neurologically intact in the chart?

19 A. It may be important to note it. I'm sure  
20 that I had a lot of things that I needed to note,  
21 and maybe that just wasn't put on there, on the  
22 chart.

23 Q. Doctor' you were looking at your typed  
24 report, We have given you a copy to look at a



1 minute ago. Do you still have that in front of  
2 you?

3 A. Where did it go? Okay.

4 Q. And on the end of it it indicates that  
5 your report was dictated, I believe, on 6-6 of '94;  
6 is that correct?

7 A. Can we go back one? Let's go back just a  
8 second here.

9 Q. Okay.

10 A. When you're trying to slam me about not  
11 making notes of what we're talking about here, I  
12 would refer you to the catheterization technique on  
13 Page 3 of my catheterization technique. The patient  
14 was weaned from cardiopulmonary support relatively  
15 easily. "Following weaning from cardiopulmonary  
16 support, the patient was noted to make purposeful  
17 movements."

18 Q. But the questioning and answering of the  
19 patient you haven't recorded anyplace, the specific  
20 questions that you asked him or anything, have you?

21 A. Purposeful movements implies that the  
22 patient is neurologically intact.

23 Q. Can we go back to my previous question?

24 A. Yes. I just want to clarify that

1 situation.

2 Q. And I appreciate that, doctor.

3 At the end of your report there's a  
4 notation there that says "D:", does that stand for  
5 the date that you dictated this particular report,  
6 and then below that a "T:", which is when it was  
7 transcribed, the last page where your signature  
8 appears?

9 A. I would assume that's what that means,  
10 yes.

11 Q. **So** this particular report was dictated  
12 nine days after Dale suffered his arrest, correct?

13 A. That's distinctly possible,

14 Q. Now, your report says that this patient's  
15 -- neurologically the patient seems to be  
16 functionally intact, but the prognosis **is** still  
17 guarded in this report; isn't that what you just  
18 read me a minutes ago?

19 A, What I read to you is from the  
20 catheterization technique section on Page 3, and it  
21 said, "Following weaning from cardiopulmonary  
22 support, the patient was noted to make purposeful  
23 movements." That's what I read you.

24 Q. But in addition, doesn't your report also

1       indicate -- let me find the exact reference here.  
2       At the very end just above your signature: "The  
3       prognosis is guarded. Neurologically, the patient  
4       seems to be functionally intact, but the prognosis  
5       is still guarded in this regard." Did I read that  
6       correctly?

7       A.               Yes.

8       Q.               Now, at the time that you dictated your  
9       report, you'd agree that it was clearly evident that  
10      Dale had diffuse global hypoxic damage based on his  
11      **CAT** scan that was done on May 31st of '94, wouldn't  
12      you?

13     A.               **Well**, first of all, you can't make a  
14     diagnosis of global hypoxic damage on a CAT scan.  
15     Secondly, the catheterization dictation, when I  
16     dictate them -- they may be dictated later, but I  
17     dictate them as if it's right after the procedure,  
18     not necessarily -- I mean, some catheterizations I  
19     may not dictate for -- if they get lost in the  
20     cracks -- for two months. The patient could be long  
21     gone by then or the patient could be doing quite  
22     well. I'm not sure what the relevant point is here,  
23     or what you're trying to ask me.

24     Q.               At the time that you dictated this

1 report, were you aware that Mr. Mauller had a  
2 subarachnoid hemorrhage?

3 A. At the time that this was dictated, I'm  
4 not sure that I did or not. The last time that I  
5 was involved in his care was Monday morning of the  
6 weekend that I was on call for the group, and that  
7 was when he developed some neurologic changes  
8 suggestive of intracranial bleeding, and that's when  
9 I immediately consulted a neurosurgeon.

10 Q. Would you agree that the brain hemorrhage  
11 that Dale suffered was likely precipitated by  
12 hypoxic brain injury suffered during his cardiac  
13 arrest?

14 MR. MILNE: Objection.

15 A. Absolutely not.

16 Q. In your opinion, what precipitated the  
17 brain hemorrhage that Dale suffered?

18 MR. MILNE: Objection. **Go** ahead.

19 A. One can only speculate in this  
20 situation. First of all, it would be extremely  
21 unusual for a patient of his age to develop a brain  
22 hemorrhage like this. And in fact, my last  
23 connection with the patient was from the  
24 neurosurgeon who was somewhat baffled by the whole

1 situation at the time as well.

2 But I would speculate that he may have  
3 had some problem or perhaps an aneurysm in his  
4 cerebral arteries or some other pre-existing,  
5 undiagnosed problem up in his brain, that using  
6 blood thinners predisposed him to getting that  
7 intracranial hemorrhage. It's extremely unusual for  
8 a patient of his age to develop this kind of a  
9 problem.

10 Q. Do you have any opinion as to whether the  
11 inability to control his mean arterial pressures had  
12 anything to do with him developing the brain  
13 hemorrhage?

14 MR. MILNE: Objection.

15 A. I don't think that had any relevance in  
16 him developing a brain hemorrhage. In fact, I'm not  
17 sure he really did have a brain hemorrhage. It was  
18 at the point in time -- my last recollection in this  
19 case was that the neurosurgeon involved in this was  
20 not totally convinced that there was a brain  
21 hemorrhage.

22 Q. Do you agree that Dale Mauller suffered  
23 some type of a cerebral injury during the time that  
24 he was hospitalized at Riverside?

1 A. Yes.

2 Q. Do you have any opinion **as** to what type  
3 of cerebral injury he suffered at any time while he  
4 was at Riverside?

5 A. My guess is that he suffered probably **a**  
6 microcirculatory hemorrhagic event in his brain the  
7 Monday morning after I had rendered care to him. I  
8 do not feel that if he would have had substantial  
9 hypoxic injury during the procedure that I did, that  
10 he would have been able to make purposeful  
11 movements. That just doesn't go with standard  
12 neurologic processes in the human brain.

13 Q. Doctor, you'd agree there's various  
14 levels of hypoxic injury, wouldn't you, to the  
15 brain?

16 MR. MILNE: Objection.

17 A. There's various levels of hypoxic injury  
18 but in a young man as opposed to a 75-year-old with  
19 probably substantial hardening of the arteries, in  
20 his brain it's a totally different ball game; and I  
21 think it is extremely unlikely he had little, if  
22 any, hypoxic injury based on what I saw  
23 neurologically on this patient before he was put to  
24 sleep after the cardiopulmonary resuscitation.

1 Q. Do you have an opinion as to whether Dale  
2 Mauller's cardiac arrest was preventable?

3 MR. MILNE: Objection.

4 A. No, it wasn't preventable.

5 Q. Do you have an opinion as to what point  
6 in time, if any, Dale Mauller's condition was  
7 irreversible?

8 MR. MILNE: Objection,

9 A. I don't have an opinion on that.

10 Q. If Dale had suffered a cardiac arrest on  
11 the 28th, do you have an opinion as to what his  
12 likely life expectancy would have been?

13 MR. MILNE: Objection.

14 A. The only estimate I could make is not  
15 normal.

16 Q. Are you critical of anyone that rendered  
17 care to Dale Mauller?

18 A. No, I'm not.

19 Q. Doctor, I'm going to hand you a progress  
20 note, which I believe may be in your handwriting,  
21 from the Cardiovascular Lab, Interventional  
22 Procedures Preliminary Report, and I'm going to ask  
23 you a question about under Pathology Anatomy you  
24 have **MAP** and you've got, I believe, the number **90**

underlined. Show it to counsel.

A. I see it.

MR. MILNE: Are you going to mark this as  
4 an exhibit.

MS. TOSTI: No, he can just go ahead and  
5 take a look at it.

Q. Why is the number 90 underlined on that  
7 report?

A. Because an **MAP of** 90 is normal. In a man  
9 that had total cardiovascular collapse and literally  
10 resurrected from the dead, with two stents, and a  
11 mean arterial pressure of 90, and a wedge of 28,  
12 that **is** neurologically intact with purposeful  
13 movement, that's something to document.

Q. I'm going to show you another document  
15 which is your progress note -- I'm sorry. It's  
16 Cardiovascular Lab, Cardiovascular Preliminary  
17 Report of the Interventional Procedures, and I  
18 believe it's the document that you're just looking  
19 at, and there's a note at the bottom and it talks  
20 about complications.

A. Yes.

Q. Would you read what you've written?

A. "Ventricular fibrillation, **CPS**, shock,



1 brachycardia, ET tube, IABP (you name it)."

2 Q. What did you mean by that last comment,  
3 the "(you name it)"?

4 A. All hell broke loose when he arrested.  
5 The whole body shut down and we resurrected him and  
6 got him back, and it looked like we had a viable  
7 human here.

8 Q. Doctor, have we discussed all the  
9 opinions that you presently hold relative to this  
10 case?

11 MR. MILNE: Objection.

12 A. Not necessarily so. I don't know. I'm  
13 not sure I know what you mean by that.

14 Q. Are there any areas that I haven't  
15 covered that you have opinions on relative to Dale  
16 Mauller that you intend to express at trial?

17 MR. MILNE: Objection. I think that's  
18 very vague and overbroad. Go ahead, doctor.

19 A. I'm sure there are.

20 Q. Anything come to mind in particular?

21 A. No.

22 MR. BECKER: We'll take a short break. I  
23 think we're done. Just give us two minutes.

24 (A short recess is taken.)

1 BY MS. TOSTI:

2 Q. Just another question, doctor.

3 A. Yes.

4 Q. What was the reason that Dale Mauller had  
5 three vessels close at the point you did your  
6 intervention? Was this just bad luck on his part,  
7 or was there any other force involved?

8 MR. MILNE: Objection. Go ahead.

9 A. As I explained to you about three hours  
10 ago when we talked about this, is that in my  
11 experience of somewhere around 9,000 angioplasties,  
12 I can count the number of patients that this type of  
13 phenomenon has occurred in on the fingers of one  
14 hand. So the answer to your question is, yes,  
15 Mr. Mauller had extremely bad luck.

16 Q. And there weren't any other forces in  
17 play here out of all. of the other things that we've  
18 talked about, high blood cell counts, low  
19 hemoglobin, none of those things factored into the  
20 fact that he had triple vessel closure by the time  
21 you did your intervention or during the  
22 intervention?

23 MR. MILNE: Objection.

24 A. No, not that I'm aware of.

1 Q. Doctor, in the cases that you've  
2 identified as being extremely unusual that you could  
3 probably count on your one hand, did those patients  
4 have drops of hemoglobin of 7 points before the  
5 procedure?

6 MR. MILNE: Objection.

7 A. Probably not.

8 Q. Did any of those patients have WBCs of  
9 17,000 before the procedure?

10 A. Probably so.

11 Q. How many of those cases had **WBCs** over  
12 **17,000**?

13 A. I have no idea.

14 MS. TOSTI: No more questions. Thank  
15 you, doctor.

16 MR. MILNE: We'll read. I'd like **28**  
17 days.

18 - - - - -

19 Thereupon, the aforementioned proceedings  
20 concluded at 8:00 o'clock p.m.

21 - - - - -

1

2

3

4

5

6

-----  
BARRY S. GEORGE, M.D.

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

IN WITNESS WHEREOF, I have hereunto set  
my hand and affixed my seal of office at  
-----, Ohio, on this ----- day of  
-----, 1997.

-----  
Notary Public in and **for** the State of Ohio.

My Commission expires: -----.

Spectrum Job No.: 6783

1 State of Ohio C E R T I F I C A T E

2 County of Franklin

3 I, Rhonda Lawrence, a Notary Public in and for  
4 the State of Ohio, do hereby certify the  
5 within-named BARRY S. GEORGE, M.D., was by me first  
6 duly sworn to testify to the whole truth in the  
7 cause aforesaid; testimony then given was by me  
8 reduced to stenotypy in the presence of said  
9 witness, afterwards transcribed by me; the foregoing  
10 is a true and correct transcript of the testimony so  
11 given; and this deposition was taken at the time and  
12 place as specified on the title page.

13 I do further certify I am not a relative,  
14 employee or attorney of any of the parties hereto,  
15 and further I am not a relative or employee of any  
16 attorney or counsel employed by the parties hereto,  
17 or financially interested in the action.

18 IN WITNESS WHEREOF, I have hereunto set my  
19 hand and affixed my seal of office at Columbus,  
20 Ohio, on September 9, 1997.

21 Rhonda Lawrence

22 Rhonda Lawrence, RPR, CRR,

23 Notary Public - State of Ohio

24 My Commission expires September 19, 1999.

## I N D E X

Examination By Page No.

Ms. Tosti - Cross 4

Plaintiff's Exhibit No. Pase No.

1 - Curriculum Vitae  
of Barry S. George, M.D. 15

2 - Thallium Stress Test 34

3 - Nurses' Notes 74

4 - Progress Notes 75

5 - Perfusion Record 92

(Exhibits attached to original transcript.)