

THE STATE OF OHIO, )  
 ) SS:  
COUNTY OF CUYAHOGA. )

DOC.  
158

IN THE COURT OF COMMON PLEAS

GRACIE W. DUREN, Administratrix )  
of the Estate of John H. Duren, )  
Deceased, )

Plaintiff, )

vs. )

Case No. 55142

SUBURBAN COMMUNITY HOSPITAL, )  
et al., )

Defendants. )

- - -

Deposition of KEVIN GERACI, M.D., taken by  
the Plaintiff as if upon cross-examination before  
Sandra L. Price, a Registered Professional Reporter  
and Notary Public within and for the State of Ohio, at  
the office of Kampinski, White & Cohn, 1530 Standard  
Building, Cleveland, Ohio, on Wednesday, the 22nd day of  
February, 1984, commencing at 3:00 p.m., pursuant to  
notice.

- - -



**MIZANIN REPORTING SERVICE**  
REGISTERED PROFESSIONAL REPORTERS  
COMPUTERIZED TRANSCRIPTION

DEPOSITIONS • ARBITRATIONS • COURT HEARINGS • CONVENTIONS • MEETINGS  
540 TERMINAL TOWER • CLEVELAND, OHIO 44113 • (216) 241-0331

1 APPEARANCES:

2           Kampinski, White & Cohn, by:  
3           Charles Kampinski, Esq., and  
4           Robert L. White, Esq.,

5                   On behalf of the Plaintiff.

6           Weston, Hurd, Fallon, Paisley & Howley, by:  
7           Patrick J. Murphy, Esq., and  
8           Maureen Murhy, Esq.,  
9           and  
10          Arter & Hadden, by:  
11          Michael C. Zellers, Esq.,

12                   On behalf of Defendant Suburban  
13                   Community Hospital.

14           Nurenberg, Plevin, Jacobson, Heller &  
15           McCarthy Co., LPA, by:  
16           Cyril J. McIlhargie, Esq.,

17                   On behalf of Defendants Dr. Lissauer and  
18                   Gastroenterology Associates of Cleveland,  
19                   Inc.

20                   - - -

21 STIPULATIONS

22           It is stipulated by and between counsel  
23           for the respective parties that this deposition may  
24           be taken in stenotypy by Sandra L. Price; and that  
25           her stenotype notes may be subsequently transcribed  
          in the absence of the witness.

                  - - -

1 KEVIN GERACI, M.D.

2 called by the Plaintiff for the purpose of  
3 cross-examination as provided by the Ohio Rules of  
4 Civil Procedure, being by me first duly sworn, as  
5 hereinafter certified, deposes and says as follows:

6 CROSS-EXAMINATION

7 BY MR. KAMPINSKI:

8 Q. State your full name for the record,  
9 please.

10 A. Kevin Geraci.

11 Q. And your business address?

12 A. 1161 South Green Road, South Euclid,  
13 Ohio.

14 Q. And your residence address?

15 A. 2700 Claythorne Road, Shaker Heights,  
16 Ohio.

17 Q. If you don't understand any of my  
18 questions, Doctor, tell me. I will be happy to  
19 rephrase it, okay?

20 A. Yes.

21 Q. You have to respond verbally. She can't  
22 take down a nod of the head.

23 A. All right.

24 Q. Have you brought a CV with you, Doctor?

25 A. No.

1 Q. Do you have one?

2 A. Yes.

3 Q. Could you provide that to Mr. Murphy  
4 within the next day or so, so that he can get it to  
5 us?

6 A. Certainly.

7 Q. Since I don't have it, what I would like  
8 you to do is run me through your educational  
9 background, if you would, commencing with college;  
10 when and where you went and how long you went, what  
11 degrees you received.

12 A. I began at Holy Cross College in  
13 Worcester, Massachusetts. Went there for four  
14 years and then went to --

15 Q. What period of time was that?

16 A. Graduated there in 1963.

17 Q. Would that have been four continuous  
18 years?

19 A. Yes.

20 Q. '59 to '63?

21 A. Yes.

22 Q. All right.

23 A. Then from '63 to '67 I was at Ohio State  
24 University Medical School. The following year, '67  
25 to '68, I interned there. Then I had a period of



1 time in the U.S. Navy from -- it would have been  
2 from '68 to '71. Following that, I had residency  
3 at University Hospitals of Cleveland. That was two  
4 years. And then following that, I had --

5 Q. '71 to '73?

6 A. Right. '73 and '74 was a fellowship in  
7 gastroenterology at University Hospitals of  
8 Cleveland. Following that, I was made the head of  
9 the division of gastroenterology at St. Luke's  
10 Hospital and was assistant professor at Case  
11 Western Reserve University Medical School.

12 Q. What years was that at St. Luke's, by the  
13 way?

14 A. That was from 197 -- Well, when I got out,  
15 what was that? '73 or '74 to 1981, I believe.

16 Q. Okay.

17 A. And then since the end of 1981, I was in  
18 private practice with Cleveland Physicians,  
19 Incorporated.

20 Q. What is Cleveland Physicians,  
21 Incorporated?

22 A. It's a 13-man internal medicine group.

23 Q. I notice on the letterhead that your name  
24 is not on there. Is there any reason for that?

25 A. That's an old letterhead. We are still

1     trying to use up the old stationary over there. My  
2     name is on the letterhead of anything that should  
3     come out of there. That was just an oversight by  
4     my secretary.

5           Q.     When you say it's a group, it's a  
6     corporation, is it not?

7           A.     Yes.

8           Q.     Are you a shareholder?

9           A.     Yes.

10          Q.     And an employee?

11          A.     Yes.

12          Q.     And I take it another shareholder and  
13     employee is Dr. Franklin Plotkin?

14          A.     Franklin Plotkin, right.

15          Q.     Are you aware of the fact that he was  
16     also retained as an expert in this case prior to  
17     your providing a report?

18          A.     No.

19          Q.     You've since been made aware of that, I  
20     take it?

21          A.     I read a report of his.

22          Q.     When?

23          A.     Week and a half ago, maybe; two weeks.

24          Q.     After you wrote your report --

25          A.     Yes.

1 Q. -- or before?

2 A. After.

3 Q. Did you ever treat John Duren?

4 A. No.

5 Q. He was seen at St. Luke's, wasn't he?

6 A. I believe somewhere along the line, his  
7 past history was at St. Luke's for pancreatitis,  
8 yes.

9 Q. Did he receive good treatment there?

10 A. I think he did. At least he --

11 Q. Survived?

12 A. Yes. With pancreatitis, it's not a  
13 matter of good treatment if you survive or die.

14 Q. I see. So you can go to a hotel just as  
15 well?

16 A. No, you can't.

17 Q. I see.

18 A. You can die despite good treatment.

19 Q. Certainly, you would expect one to give  
20 good treatment at a quality health care facility,  
21 wouldn't you?

22 A. Yes.

23 Q. Do you have privileges at various  
24 hospitals?

25 A. Yes.

Q. Which hospitals?

2 A. St. Luke's and University.

3 Q. Why did you leave St. Luke's as the head  
4 of gastroenterology?

A. Because I had a good offer from  
6 University and Suburban. I thought it was a time  
7 in my life when I was ready to broaden my expanses;  
8 getting tired of shoving tubes up people and down  
9 people, and it was just not fun anymore to be doing  
10 that localized of a practice.

11 Q. Do you or does your corporation have any  
12 relationship with Gastroenterology Associates, Inc.?

13 A. No.

14 Q. Do you refer patients to that group?

15 A. No.

16 Q. Do they refer patients to your group?

17 A. No.

18 Q. Okay. Would you do the same things that  
19 they do?

20 A. I would do the same things they do, yes.

21 Q. The one-paragraph report that you  
22 prepared, when were you first retained to do  
23 anything with relation to providing an opinio in  
24 this case?

25 A. I would have to look at Pat's lette .

1 MR. MURPHY: I think in May  
2 of '83.

3 Q. (BY MR. KAMPINSKI) Well, why don't you  
4 look at the letter. Is that your entire file back  
5 there, by the way?

6 A. No. It isn't.

7 Q. Where is your file, Doctor?

8 A. I didn't bring the copy of the Suburban  
9 file but this is everything else they sent.

10 Q. Other than the Suburban medical record,  
11 this is your entire file?

12 A. That's it, right.

13 Q. Could I see it?

14 MR. MURPHY: Except for the  
15 correspondence, on the same basis you wouldn't let  
16 us see Shapiro's correspondence.

17 A. That's not part of my file.

18 Q. (BY MR. KAMPINSKI) That's *not* part of  
19 your file?

20 A. This is my own reference.

21 Q. Well, it's part of your file. Did you  
22 refer to this or use it at all about --

23 A. No, I didn't.

24 Q. Why is it here?

25 A. I just thought I'd bring it along to

1 refresh my memory.

2 Q. Is there anything in it about  
3 pancreatitis or diabetes that has anything to do  
4 with Mr. Duren or his care?

5 A. Yes.

6 Q. Why don't you leave it there?

7 MR. MURPHY: He can see that.  
8 Dr. Geraci's file that he just provided doesn't  
9 contain a May, 1983 letter that I sent to Dr.  
10 Geraci, that is the time frame for my records.

11 Q. (BY MR. KAMPINSKI) Just so we have it  
12 all clear, what you have provided to me, this is  
13 all Dr. Beckerman's records, I take it?

14 A. Yes.

15 Q. And then this is the office file of  
16 Gastroenterology Associates?

17 A. Right.

18 Q. And this is a report of Dr. Shapiro to  
19 Mr. White?

20 A. Yes.

21 Q. And there is a report of Dr. Plotkin to  
22 Mr. Nurenberg --

23 A. Right.

24 Q. -- dated November 30, 1983. Another copy  
25 of Dr. Shapiro's report, correct?

1 A. Right.

2 Q. And three articles, one of them New  
3 England Journal of Medicine, October 19, 1972,  
4 regarding Blood Pressure in the Development of  
5 Congestive Heart Failure. December 23, 1971, same  
6 publication, Natural History of Congestive Heart  
7 Failure. The Framingham Study and the Role of  
8 Diabetes in Congestive Heart Failure, Framingham  
9 Study in American Cardiology Journal?

10 A. That's the American Journal of Cardiology.

11 Q. 1974, July?

12 A. Yes.

13 Q. And you mentioned before that you did not  
14 bring with you the Suburban Community Hospital  
15 records?

16 A. That's right.

X7 Q. Are there any other records that you  
18 reviewed prior to preparing your report?

19 A. No.

20 Q. Did you have Dr. Shapiro's report prior  
21 to preparing your report?

22 A. Yes.

23 Q. So the only thing that's contained in  
24 here, and correct me if I'm wrong, that you did not  
25 have prior to preparing your report was Dr.

1 Plotkin's report?

2 A. I think that's correct. And I'm not a  
3 hundred percent sure of that, whether I had it or  
4 not.

5 Q. Okay.

6 A. I think I just don't know with certainty.  
7 I know I'd seen Shapiro's. I don't know whether  
8 I'd looked at Frank Plotkin's before.

9 Q. Yes. Would it have caused you any  
10 concern or difficulty or problems in providing any  
11 opinion in this case had you known that Dr. Plotkin  
12 was also doing so?

13 A. No. No. In fact, I did --

14 MR. MURPHY: So the record is  
15 clear, if you can refer to this one letter of  
16 January 23, '84, it makes reference to enclosing  
17 Dr. Plotkin's report.

18 MR. KAMPINSKI: That's what you  
19 sent to him.

20 MR. MURPHY: Yes.

21 Q. (BY MR. KAMPINSKI) Dr. Geraci's was  
22 February 17. So you had Dr. Plotkin's report  
23 before you prepared yours?

24 A. I had it in my office but I don't know  
25 whether -- but I think to answer your question, I



1 had no concern whether Dr. Plotkin was involved or  
2 not in the case.

3 Q. Is he a pretty competent doctor?

4 A. Dr. Plotkin is a competent doctor, yes.

5 Q. With respect to the three articles that  
6 are included in your records, do any of those  
7 either add or detract from any opinions which you  
8 have provided in your report?

9 A. They substantiate it. They don't add or  
10 subtract. They just substantiate by opinion.

11 Q. What portion of your opinion do any of  
12 these articles substantiate, Doctor?

13 A. They substantiate -- No. In fact, they  
14 go a little further than substantiate. My figure  
15 for the mortality of somebody with congestive heart  
16 failure, hypertension, was 50 percent. As in the  
17 case of Mr. Duren who had signs of congestive heart  
18 failure.

19 Q. When was that?

20 A. That was in his admission to the hospital  
21 down in Virginia, or West Virginia.

22 Q. What does that have to do with 1982,  
23 Doctor?

24 A. It has a lot to do with it. In the  
25 hospitalization previously -- And that was how long

1 before? Five years? Seven years? -- he had had  
2 first his diagnosis of congestive failure, I think  
3 looking at the figures and looking at in my opinion,  
4 his life was already on borrowed time. I mean we  
5 are talking about a 50 to 60 -- well, for a man  
6 with congestive heart failure, 60 percent mortality  
7 at the five-year level.

8 Q. Are you saying that once somebody has the  
9 symptomatology of congestive heart failure, that he  
10 is not in all probability going to live more than  
11 five years after that symptomatology, whether or  
12 not it's cleared up or not?

13 A. If he is a man, he has a 40 percent  
14 chance of living beyond five years.

15 Q. Once he has congestive heart failure at  
16 all, is that right?

17 A. That's correct.

18 Q. Did he evidence any symptoms of  
19 congestive heart failure at St. Luke's?

20 A. I would have to review the record on that.

21 Q. Did you before you came here today?

22 A. I did not look at the St. Luke's record,  
23 no.

24 Q. Wouldn't that have been important to  
25 determine?

1           A.     I looked at it, but not right before I  
2     came. But I would have to refresh my memory.

3           Q.     Well, it's not here. Maybe I'm wrong.  
4     Why don't you look through whatever records you  
5     have got there --

6           A.     Yes, because it's mentioned in one of  
7     these things here that he was in St. Luke's, and  
8     that's where I knew he was at St. Luke's.

9           Q.     My question was, did he have any  
10    symptomatology of congestive heart failure --

11          A.     I would have to review the records.

12          Q.     Why don't you?

13                   MR. McILHARGIE:        I have a copy.

14                   MR. KAMPINSKI:        Well, first  
15    let's see what it is that we have got if he  
16    reviewed it.

17                   MR. McILHARGIE:        I was attempting  
18    to assist you. That's all.

19                   MR. KAMPINSKI:        Yes. I  
20    understand.

21          A.     I don't see St. Luke's here. I had  
22    Hillcrest, and I see -- That's what I see.

23          Q.     (BY MR. KAMPINSKI) Well, let's start  
24    very slow so I understand. Did you or did you not  
25    review the St. Luke's records pertaining to Mr.

1 Duren's hospitalization there prior to writing your  
2 report?

3 A. If it's not here, I didn't review it, but  
4 there is mention of St. Luke's.

5 Q. All right. Would it have been important  
6 for to you determine whether or not there was any  
7 symptomatology of congestive heart failure at the  
8 time that he was hospitalized at St. Luke's for  
9 pancreatitis?

10 A. Not for -- not to determine whether or  
11 not his prognosis varied. The point is during his  
12 hospitalization down with Dr. Robinson, he did have  
13 signs of congestive heart failure and that was as  
14 of the date, 1977.

15 Q. The fact that it never was evidenced at  
16 any time after that isn't important to you?

17 A. With observation it was. He had signs of  
18 a large heart on other admissions.

19 Q. Well, is that the same as congestive  
20 heart failure?

21 A. It's one of the criteria.

22 Q. Well, the large heart is evidenced where,  
23 Doctor?

24 A. Large heart is evidenced by his admission  
25 to Suburban, is evidenced by his admission in 1977.

1 Q. Did that receive any attention by  
2 Gastroenterology Associates in his treatment at  
3 Hillcrest and/or subsequent follow-ups?

4 A. At that time it was under control. I  
5 mean it was --

6 Q. Did it receive any treatment, Doctor?

7 A. It received consideration.

8 Q. By whom?

9 A. By Gastroenterology Associates.

10 Q. When?

11 A. During the time that they were following  
12 him.

13 Q. Is that right? What consideration did  
14 they give him?

15 A. Well, they listened to his lungs, they  
16 listened to his heart.

17 Q. How were they?

18 A. Lung were clear.

19 Q. How about his heart?

20 A. Heart had no murmur, but that doesn't  
21 mean he wasn't a setup for congestive failure.

22 Q. Does it mean that he was though?

23 A. Congestive failure -- Let me make this  
24 clear to you, because I think it might not be.  
25 Congestive failure isn't a perpetual state of --

1 Q. It's not a perpetual condition?

2 A. Somebody, once they have it, either are  
3 compensated or uncompensated in it. If they are  
4 compensated in it, their lungs will be clear; heart  
5 sounds will be fine.

6 Q. So can somebody live 50 years with  
7 congestive heart failure?

8 A. According to the Framingham Study, once  
9 the diagnosis is made, regardless of modern medical  
10 management, the prognosis for a man is 50 percent,  
11 60 percent mortality. That's surprising.

12 Q. The diagnosis, was that a diagnosis that  
13 was made to a certainty or was it an assumption?

14 A. It was a certainty.

15 Q. Was it?

16 A. Big heart, you've got failure. Moist  
17 lungs, you have failure. And I think With Dr.  
18 Robinson's notes, this man had on his second  
19 hospital day, congestive failure,

20 Q. What's an athletic heart?

21 A. An athletic heart is something that  
22 occurs in a man who is physically fit and not 286  
23 pounds.

24 Q. I asked you what it is. Is that a large  
25 heart?

1 A. It's a slow heart.

2 Q. Is it a large heart?

3 A. Not necessarily.

4 Q. Is it normally a large heart?

5 A. Not necessarily.

6 Q. Is it usually a large heart?

7 A. Not necessarily.

8 Q. In 51 percent of time, is it a large  
9 heart?

10 A. Might be 49 percent of the time.

11 Q. Did Mr. Duren have an athletic heart?

12 A. No.

13 Q. How much did Mr. Duren work prior to his  
14 death? What was his work history like?

15 A. I don't know what his work history is.

16 Q. Isn't that important to determine whether  
17 or not the man was physically fit?

18 A. No. In fact it might be a factor in his  
19 mortality. If the man had any heavy labor at 286  
20 pounds, I think it's a risk.

21 Q. Well, there are lots of risks. In other  
22 words, walking across the street can be a risk,  
23 can't it?

24 A. Sure.

25 Q. What was the risk of Mr. Duren dying at

1 Hillcrest when he was admitted in 1981?

2 A. I think he had a risk.

3 Q. What was it? Was it 51 percent at that  
4 time? 90 percent?

5 A. I think it was -- His chances of getting  
6 out of the hospital were not real good even back in  
7 that time.

8 Q. Okay. And would you consider the  
9 appropriate medical management that he received at  
10 that time a reason for his getting out alive?

11 A. Oh, yes.

12 Q. Is there a greater risk for somebody who,  
13 assuming he has congestive heart failure diagnosed  
14 seven years prior to an admission, has obesity, has  
15 diabetes, has hypertension, is there an increased  
16 risk if he is not treated at all?

17 A. Yes.

18 Q. What is the appropriate treatment for  
19 congestive heart failure, Doctor?

20 A. Diuretics.

21 Q. When was John Duren given diuretics in  
22 his stay at Suburban Community Hospital?

23 A. I don't think he was given them.

24 Q. When was congestive --

25 A. But I don't think congestive heart



1 failure as a diagnosis was present except for the  
2 big heart. And I think diuretics would be  
3 appropriate if he had congestion in his lungs.

4 Q. Well, how was that addressed then? I  
5 mean this ultimate determination that you are  
6 making as to what he had, and what the ultimate end  
7 result of that is according to the study that you  
8 have referred to, how was that addressed by Dr.  
9 Lissauer during his last hospitalization, sir?

10 A. I think he had a chest x-ray. The chest  
11 x-ray did not show congestion.

12 Q. Well, what does that lead one to conclude  
13 then, sir?

14 A. It leads one to conclude that he didn't  
15 need a diuretic.

16 Q. Well, doesn't it also lead one to  
17 conclude that he was not suffering at that moment  
18 from congestive heart failure?

3.9 A. Not necessarily, no. I think he didn't  
20 need diuretics for his congestive heart failure,  
21 but as I mentioned before, once the diagnosis of  
22 congestive heart failure is made, I think the  
23 prognosis is there for poor long-term survival.

24 Q. Well, just getting back to my question  
25 that I asked a few moments ago, when you give a

1 prognosis, certainly the treatment enters into that  
2 prognosis, doesn't it?

3 A. Timely treatment, yes.

4 Q. Sure. And someone that doesn't receive  
5 treatment, it seems to me, is, given the figures  
6 you have just given me, probably about at a hundred  
7 percent risk of dying.

8 A. If he had pulmonary congestion from his  
9 congestive heart failure, which he did not.

10 Q. Did Mr. Duren?

11 A. No.

12 Q. What did Mr. Duren die of in your opinion?

13 A. I think he died of a complication of  
14 pancreatitis, and I think that complication  
15 involved many things which made him basically  
16 unstable to acute insult.

17 Q. You as a doctor had never seen Mr. Duren,  
18 and after reviewing a few records that you just  
19 referred to, are coming up with that conclusion.  
20 Do you see anywhere on the record where Dr.  
21 Lissauer was concerned with what you are saying  
22 were his problems when he was admitted to Suburban?

23 A. I think Dr. Lissauer from his Hillcrest  
24 notes had concern.

25 Q. All right. How did he address those

1 concerns to prevent precisely what you are saying  
2 in your opinion was going to happen from happening?  
3 How did he treat the potential congestive heart  
4 failure --

5 A. I think he --

6 Q. -- and resulting death, Doctor?

7 A. I think he was at a disadvantage to do  
8 that, and I think -- I think in retrospect certain  
9 other things might have been done.

10 Q. Well, he was aware of the patient's  
11 history before he admitted him, wasn't he?

12 A. Yes.

13 Q. Because he had seen him at Hillcrest  
14 before? You have to answer verbally.

15 A. Yes.

16 Q. And so what you are telling us today is  
17 something he was aware of before the insult, that  
18 is, the death, wasn't he?

19 A. That's right.

20 Q. So he didn't need retrospect, did he?

21 A. Oh, I need retrospect and you need  
22 retrospect.

23 Q. Yes, but he didn't.

24 A. Certainly, if the man would have survived,  
25 we wouldn't be sitting here today kind of surmising

1     what should have been done and what shouldn't have  
2     been done. So I'm saying in retrospect, now we can  
3     say certain things could have been done.

4             Q.     What should have been done sitting here  
5     now looking back in retrospect by Dr. Lissauer to  
6     try to insure the survival of Mr. Duren?

7             A.     I think the line of communication, more  
8     sensitive to how his condition was progressing.

9             Q.     Well, give me some specifics.

10            A.     Namely, a call from a nurse or a call  
11     from house officer.

12            Q.     Well, is that totally the nurse or house  
13     officer's responsibility, or is it up to the doctor  
14     to insure that they will notify him in the event of  
15     changed circumstances or changed conditions?

16            A.     I can't ever remember seeing a doctor  
17     write a note on a chart or order and chart "Notify  
18     me if changed condition, if condition changes."

19            Q.     I don't think my question was limited to  
20     that. I mean isn't there supposed to be a  
21     certainty in terms of understanding between  
22     yourself as a doctor and the people to whom you  
23     leave your patients in their care as to what they  
24     are to do in the event of a change in circumstances?

25            A.     Yes.

1 Q. All right. Because you are the doctor,  
2 you are the one responsible ultimately for that  
3 patient's well-being. Isn't that true, sir?

4 A. How far can you go with certainty?

5 Q. Well, let's talk --

6 A. Do you want to write it in the orders?  
a I've never seen that written in the orders. I mean  
8 how far --

9 Q. Does it have to be done in the orders or  
10 can't there just be -- You are the one who  
1d mentioned the clear line of communications.

12 A. That's right.

13 Q. And I agree with you, sir. And isn't  
14 that the doctor's responsibility to insure that  
15 those communications will in fact be made?


16 A. By admitting somebody to a hospital floor,  
17 it's -- In every other situation outside of this  
18 one, I think it's automatically assumed that the  
19 nurse or the house officer will notify the doctor.

20 Q. You make that assumption as a doctor?

21 A. Yes, I do.

22 Q. With respect the initial orders written  
23 by Dr. Lissauer, should he have ordered a blood  
24 sugar?

25 A. Yes.



1 Q. And he didn't?

2 A. That's right.

3 Q. And that by the way is done with the same  
4 blood that is taken for purposes of the other tests,  
5 isn't that true?

6 A. He ordered a Chem 6, and usually the  
7 glucose is part of a Chem 6.

8 Q. Is there a monetary consideration for not  
9 doing that? And that is ordering additional tests,  
10 for example, the next day.

11 A. No.

12 Q. No. It's important, is it not, when a  
13 person is suffering from diabetes, whether or not  
14 pancreatitis exists, to treat the diabetes?

15 A. Yes.

16 Q. And it was in fact Dr. Lissauer's  
17 associate, I believe Dr. Frankel, who removed Mr.  
18 Duren from insulin the prior year, isn't that true?

19 A. Yes. Right.

20 Q. And wasn't one of the immediate things  
21 that was done at Hillcrest in the previous  
22 admission to provide him with insulin stat and do a  
23 blood sugar immediately?

24 A. Yes.

25 Q. And shouldn't that have been done here,

1     sir, in your opinion?

2             A.     Yes.

3             Q.     And had that been done, certainly the  
4     level of the blood sugar would have been  
5     immediately apparent?

6             A.     That's correct.

7             Q     And we do know that it showed up as a  
8     5-plus in the urine at 9:00 that evening, which at  
9     least I have been told to this point, is difficult  
10    to then translate that into what the glucose level  
11    would have been?

12            A.     It only means that it's above a certain  
13    threshold.

14            Q.     It's off the chart?

15            A.     Right.

16            Q.     I mean that's the highest it can go?

17            A.     Right.

18            Q.     We know that at least with a 2-plus  
19    reading of the prior year, he had a glucose level  
20    of 368.

21                   MR. MCILHARGIE:     Objection.

22            Q.     (BY MR. KAMPINSKI) 326. I'm sorry. Is  
23    that correct?

24            A.     Right.

25            Q.     So could we reasonably assume that with a

1 5-plus reading, it would be even higher than that3

2 MR. McILHARGIE: Objection.

3 A. I don't think it's as clear cut as that,  
4 because I think we are looking at urine, which  
5 there is a delay.

6 Q. Right. It has to go through the kidneys?

7 A. Right.

8 Q. So you don't get as accurate a reading?

9 A. Right. Yes.

10 Q. But we do know it was very highly  
11 elevated?

12 A. Yes.

13 Q. At a minimum we know that --

14 MR. MURPHY: What are you talking  
15 about now?

16 Q. (BY MR. KAMPINSKI) The 5-plus.

17 A. Yes.

18 Q. All right.

19 A. I think that tells us it's high enough.  
20 I think the one thing we can say is it's high  
21 enough to give him insulin.

22 Q. And that's something that can't be done  
23 in the abstract, can it, Doctor? In other words,  
24 don't you have to know how the person then reacts  
25 to the insulin to determine whether or not the



1 insulin was effective and what future dosage should  
2 be given?

3 A. Yes.

4 Q. And that's something that has to be  
5 followed up after you give the insulin, isn't it?

6 A. Yes.

7 Q. Was that done here?

8 A. It was done with urine sugars but not a  
9 blood sugar.

10 Q. Was there any follow-up after the give --  
11 assuming for the sake of argument that some insulin  
12 was given at 10:00.

13 A. Right.

14 Q. Was there any follow-up from that time  
15 until the time Mr. Duren died to determine the  
16 effectiveness of that insulin if it was given?

17 A. Well, no.

18 Q. Let's forget about the blood sugar test  
19 right now, all right. And let's assume fractionals  
20 could theoretically be appropriate. Shouldn't  
21 additional fractionals have been taken after that  
22 to determine what effect the insulin had?

23 A. At about four to six-hour levels, yes.

24 Q. So that would put us to, assuming it was  
25 given at ten, we would be talking two to four

1 o'clock in the morning?

2 A. Yes.

3 Q. And that wasn't done either?

4 A. Okay.

5 Q. And were there orders given for that to  
6 be done?

7 A. I don't think there were fractional  
8 orders written.

9 Q. Shouldn't --

10 MR. MURPHY: If you want to  
11 refer to the chart, it's here, for any of these  
12 questions, Doctor.

13 Q. (BY MR. KAMPINSKI) Yes, sure.  
14 Absolutely.

15 A. Yes, it should have been done.

16 Q. The order should have been given for that  
17 to be done, shouldn't it?

18 I'm sorry, Doctor. You really do have to  
19 answer verbally.

20 A. Yes.

21 Q. Isn't that in and of itself, when I refer  
22 to that, a high blood sugar level, or in this case  
23 a high urine fractional level, all right, a  
24 potential life threatening situation in and of  
25 itself?

1 A. Yes, it is.

2 Q. And since there was no autopsy, we don't  
3 know that that isn't what killed him, do we?

4 A. We don't know it is. We don't know it  
5 wasn't. I think if it was, he certainly didn't  
6 have all the signs that would go along with it.

7 Q. He had a lot of other things going on in  
8 his body in addition to that?

9 A. Yes.

10 Q. And that certainly didn't help, did it,  
11 Doctor?

12 A. No.

13 Q. By the way, have you been advised of any  
14 of the nurses' testimony in this case?

15 A. I haven't seen any of nurses' testimony  
16 yet.

17 Q. I assume you have talked to Mr. Murphy  
18 though about this case other than what's written  
19 down. Would I be correct in that, Doctor?

20 a, Yes.

21 Q. Has he advised you of the testimony of  
22 the nurses to the effect that it is hospital  
23 procedure that when medication is given, medication  
24 including insulin, that it must be, No. 1, slashed  
25 to insure that it is given, so that others will

1 know it's given, and also that it is to be put on  
2 the diabetic chart? Have you been advised of that,  
3 sir?

4 A. Yes.

5 Q. Have you looked at that record with  
6 respect to those aspects?

7 A. No, I haven't, as a matter of fact. But  
8 getting back to our other point, there were  
9 fractionals ordered.

10 Q. For what time?

11 A. qid.

12 Q. Which would mean what to you?

13 A. It would mean about every six hours.

14 Q. So that if I understand correctly, if  
15 they were taken at nine, they should have been done  
16 again approximately three a.m.?

17 A. Yes.

18 Q. They weren't done, were they?

19 A. Let me see. We have one at nine and they  
20 are not charted at three.

21 Q. While you are looking at that sheet, that  
22 sheet being the diabetic chart, is there an area on  
23 that chart that reflects the giving of insulin, sir?

24 A. Yes, there is.

25 Q. And is there any reflection of any

1 insulin being given at any time to Mr. Duren?

2 A. Not on this chart, not on the diabetic  
3 sheet.

4 Q. All right.

5 A. I will have to check the nurses' notes  
6 and see if there is here.

7 Q. Okay. You had looked at the nurses'  
8 notes a minute --

9 A. 10:00.

10 Q. Excuse me. Let's go slow. You had  
11 looked through the nurses' notes a minute ago?

12 A. Yes.

13 Q. Did you see any indication of insulin  
14 being given to Mr. Duren in these nurses' notes?

15 A. No. It is charted on the medication  
16 administration record though.

17 Q. When you say charted, let's be very  
18 specific about what you are talking about. What is  
19 it that you are referring to, Doctor?

20 A. I'm referring to this entry, ten, 20  
21 units.

22 Q. Is it slashed, Doctor?

23 A. Hard to tell.

24 Q. Well, let's --

25 A. It might be part of the R. It might be

1 part of the 00. But as far as a slash through it,  
2 no.

3 Q. And if the testimony, Doctor -- And by  
4 the way, before I even get to that, if you go back  
5 to the nurses' notes, and I'll ask you to assume  
6 that this was written down by Nurse Springborn who  
7 was the RN on duty on the evening shift. She has  
8 testified that that's her writing.

9 A. Okay.

10 Q. Okay. Now, if you will go to the nurses'  
11 notes. Would you indicate, please, for the record  
12 at ten p.m. who it was that was seeing Mr. Duren at  
13 that time?

14 A. Well, it's an LPN.

15 Q. Chrissman?

16 A. LPN Chrissman who wouldn't be allowed to  
17 give --

18 Q. That's right. And if you would just bear  
19 with me for a moment, she refers to some difficulty  
20 being exemplified by Mr. Duren at that time, does  
21 she not?

22 A. Yes.

23 Q. Would you indicate for the record what  
24 the difficulty is?

25 A. He had severe abdominal pain;

1 respirations were rapid and shallow; skin was hot  
2 and dry.

3 Q. And let's assume that she advised Miss  
4 Springborn of that, the RN, and she returned at  
5 10:40, didn't she, Doctor?

6 A. Yes.

7 Q. And gave him pain medication?

8 A. She charted at 10:40 --

9 a. Right.

10 A. -- that pain medicine was given, yes.  
11 She may have -- Who knows whether she did. You  
12 would have to ask her.

13 Q. Why don't we look at the medication sheet  
14 and see what time is on the medication sheet when  
15 she gave pain medication?

16 A. It's at 10:40.

17 Q. And is it crossed out, Doctor?

18 A. There is a slash through it, yes.

19 Q. Yes.

20 A. And let's even assume something further,  
21 Doctor, and that is the vital signs -- right there.

22 A. All right.

23 Q. Do they reflect what time they're taken,  
24 sir?

25 A. Yes.

1 Q. Would you indicate for record what time  
2 the vital signs were taken?

3 A. Let me see here. Let's just go with the  
4 pulse at 10:00. Maybe about 10:30 at that time.  
5 And then it looks like about --

6 MR. MURPHY: I think that's  
7 two p.m.

8 A. Is that two p.m.?

9 MR. MURPHY: I'm not sure.

10 Q. (BY MR. KAMPINSKI) Here. Here's the time  
11 up here.

12 A. Right. Ten, two.

13 Q. Well, he wasn't admitted until 1:30 in  
14 the afternoon. So I think the first entry  
15 correlates to two p.m., Doctor, in the afternoon.

16 A. It's a little askew then. I don't think  
17 we could -- You have to push everything over.

18 Q. Well, I don't think we do. I think  
19 that's two p.m. Okay?

20 A. Let me see. We have a pen here.

21 Q. Don't write on that though, please.

22 A. It's before two. This two block is here.  
23 The two block comes down here.

24 Q. Right. And there are circles in the two  
25 block, aren't there, that reflect the temperature,



1 pulse and respiration?

2 A. All right. Yes.

3 Q. And when is the next time that  
4 temperature, pulse and respirations are circled?

5 A. It's in the ten box.

6 Q. Ten p.m., right?

7 A. Let me see.

8 Q. Well, I don't know what those red lines  
9 are, quite frankly. Neither does anybody at the  
10 hospital.

11 A. I'm looking at the dots now.

12 MR. MURPHY: Objection.

13 A. It looks like a dotted six for the pulse.  
14 It looks like at ten for the temperature.

15 Q. (BY MR. KAMPINSKI) How about the pulse?

16 A. The pulse looks like it's at --

17 Q. Well, what's the black line, Doctor? Is  
18 there a circle on the black line there?

19 A. The black line is at ten.

20 Q. Right, okay. And how about the  
21 respirations at the bottom?

22 A. Ten.

23 Q. So that if I read that correctly, both --

24 A. What do you think --

25 Q. I don't know. I have no idea. Let's

1 talk about what we do know. And that is the pulse,  
2 temperature and respiration taken at 10:00.

3 A. All right.

4 Q. Are they normal or abnormal?

5 A. Temperature is 38.8, mildly elevated.  
6 Pulse is 140.

7 Q. Pretty rapid, isn't it?

8 A. Yes. Respirations, 40.

9 Q. Pretty rapid, isn't it?

10 A. Yes.

11 Q. What is normal on respiration?

12 A. I like to see respirations about 20 or  
13 below.

14 Q. Is that arrhythmia, Doctor? Does that  
15 reflect arrhythmia?

16 A. No.

17 Q. Does not?

18 A. No.

19 Q. How do you define arrhythmia in  
20 terminology? Pulse rate?

21 A. Arrhythmia is heart rate.

22 Q. Can you correlate that to a pulse rate?

23 A. That's a tachycardia, I think, is the  
24 word you are looking for.

25 Q. Well, is tachycardia an arrhythmia?

1 A. Tachycardia is not an arrhythmia as we're  
2 talking about an arrhythmia. Tachycardia is  
3 usually a benign. Arrhythmia is signifying  
4 generalized condition of the patient. An  
5 arrhythmia usually is, I think you are trying to  
6 make me say, is a life threatening irregular --

7 Q. Of course, it is.

8 A. -- irregular rhythm, and this is not in  
9 that terminology a ventricular arrhythmia.

10 Q. I didn't say it was ventricular  
11 arrhythmia.

12 A. We are not skipping beats. It's a  
13 tachycardia.

14 Q. How do you define arrhythmia? Is it a  
15 heartbeat over a hundred? Or is that tachycardia?

16 A. That's tachycardia.

17 Q. And what is the irregular heartbeat?

18 A. Arrhythmia.

19 Q. Is tachycardia a form of arrhythmia?

20 A. Yes and no.

21 Q. Well, in the generalized sense, we speak  
22 of --

23 A. In a generalized sense, it's not--  
24 irregular heartbeat, as far as life threatening  
25 arrhythmias, it is not one.

1 Q. Sure, it was in Mr. Duren. He died.

2 MR. MURPHY: Objection.

3 A. But he didn't die from arrhythmia.

4 Q. (BY MR. KAMPINSKI) How do you know?

5 A. How do you know he did?

6 Q. Well, how do you know he didn't?

7 A. Because I think -- I don't know he didn't.

8 Q. Did you look at the nurses' notes  
9 throughout the entire evening?

10 A. Yes.

11 Q. Short of breath, wasn't he?

12 A. Yes.

13 Q. All night. Couldn't breathe?

14 A. Right.

15 Q. Taking his oxygen off?

16 A. But that doesn't mean he is having a  
17 ventricular arrhythmia or life threatening  
18 arrhythmia.

19 Q. But it sure could be, couldn't it?

20 MR. MURPHY: Objection.

21 A. He has so many other things going on that  
22 I think it would be wrong to say that this is the  
23 one cause of it.

24 Q. (BY MR. KAMPINSKI) Oh, but you think it  
25 would be right to say it was --

1 A. Pancreatitis.

2 Q. -- congestive heart failure, right?

3 A. No. I think pancreatitis would be quite  
4 appropriate because he came in with it.

5 Q. Well, can't pancreatitis unchecked,  
4 untreated lead to arrhythmias.

7 A. It can lead to tachycardia. It can also  
8 lead to arrhythmia, yes.

9 Q. Sure. So aren't we saying the same thing  
10 but getting there a different way, Doctor?

11 MR. MURPHY: Objection.

12 MR. McILHARGIE: Objection.

13 A. I don't -- I think he had a tachycardia,  
14 but I'm not about to say that he had a ventricular  
15 arrhythmia that caused his death. That's all I can  
16 say.

17 Q. There was no autopsy requested, was there?

18 A. No, there wasn't.

19 Q. And shouldn't there have been?

20 A. Yes.

21 Q. And that failure to obtain an autopsy  
22 provides a predicate for you to come here today and  
23 say that it was something other than what it might  
24 be?

25 MR. MURPHY: Objection.

1 Q. (BY MR. KAMPINSKI) Because we don't know,  
2 do we? We just don't know.

3 A. No, we don't. We don't -- if you ask --  
4 and I think your question is why did this man die.  
5 We can sit here and theorize why he died.

6 Q. Sure, sure. With respect to 10:00 vital  
7 signs that were taken, were there significant  
8 changes from the 2:00 vital signs taken?

9 A. Yes.

10 Q. And shouldn't somebody have been apprised  
11 of those changes?

12 A. Yes.

13 Q. The house doctor or Dr. Lissauer or  
14 somebody, anybody?

15 A. That's correct.

16 Q. And don't you as a doctor believe that  
17 had he received attention by 10:00 that night, that  
18 there would have been a significantly greater  
19 chance for this man to have survived?

20 MR. MURPHY: Objection.

21 A. I think that's difficult to say. I think  
22 for optimal medical management, somebody should  
23 have been apprised. To say that it would have made  
24 such a significant difference in his outcome, I  
25 don't think you can say, because you don't have an

1 autopsy to say what killed him.

2 Q. How can you say that it wouldn't have,  
3 Doctor? You don't know, do you?

4 A. I don't know.

5 Q. Of course not. And the fact --

6 A. But that wasn't the question. The  
7 question was would it have made a difference. I  
8 don't know.

9 Q. The fact that he didn't die shortly after  
10 10:00 and continued to survive for a period of nine  
11 hours after that, in essence fighting for life,  
12 isn't that significant to you at all? I mean it's  
13 not somebody who is weak and immediately succumbs,  
14 is it, Doctor? Things kept going on in his body  
15 for a period of nine hours afterwards.

16 MR. MURPHY: Objection.

17 A. We are dealing with somebody who enters  
18 the hospital with pancreatitis, and pancreatitis in  
19 the acute stage is a dynamic event.

20 Q. Rapidly changes?

21 A. Rapidly changes.

22 Q. And has to be constantly observed,  
23 doesn't it?

24 A. Yes.

25 a. And it wasn't here, was it? Or if it was

1 observed, it wasn't --

2 A. It might have been observed. It wasn't  
3 noted as properly as I think everybody involved in  
4 his care would have wanted it to be noted.

5 Q. And you are not saying that if in fact it  
6 had been treated, it couldn't have been treated  
7 successfully as it had been in the past. I don't  
8 think you are saying that, are you?

9 A. Again, I have to say I don't know what  
10 the outcome would be.

11 Q. But certainly the failures that we have  
12 already discussed prevented us from knowing that,  
13 isn't it? I mean wouldn't that be a fair statement?  
14 Nobody was even given an opportunity to provide  
15 appropriate medical care to try to save that man's  
16 life.

17 MR. MURPHY: Objection.

18 A. The outcome of this man with pancreatitis  
19 in the substrates that occurred, a man of poor  
20 general health, is not good. But for me to say  
21 that he would have lived or died had somebody been  
22 notified sooner, I can't say that either.

23 Q. What is your familiarity with mortality  
24 tables? Are you familiar with them, Doctor?

25 A. Mortality graphs, I am. Mortality tables



1 involving specific disease, I am, yes.

2 Q. Well, how about just mortality tables of  
3 the population in general?

4 A. In what contexts?

5 Q. Well, if you are born today, you would  
6 live, if you are a male, to age 73 or thereabouts.

7 A. I don't study those like an insurance  
8 company would.

9 Q. Okay. You don't to the extent they're  
10 provided with legal significance. You just don't  
11 know what significance that has or doesn't have?

E2 A. Legal significance.

13 Q. That's correct.

14 A. Medical significance, yes.

15 Q. Well, would you agree or disagree -- And  
16 you may not know the answer, and if you don't, just  
17 tell me, okay? I don't want you to guess -- that  
18 the mortality tables that are used for legal  
19 purposes take into account the general population,  
20 including sick and not sick people? Do you know  
21 whether --

22 A. For legal reasons? I don't know.

23 Q. And would it be true that someone who  
24 survives to an age of 47, for example, would have a  
25 better chance of reaching age 73 than someone who

1 is just born having the life expectancy of 73  
2 because he has already made it through 47 years?

3 MR. MURPHY: Under those same  
4 tables?

5 MR. KAMPINSKI: That's right.

6 A. Under legal tables, I don't know.

7 Q. (BY MR. KAMPINSKI) Okay. And to say one  
8 person will or won't die one year, two years, 20  
9 years, 30 years from a given point in time, isn't  
10 the same as saying 50 percent or 20 percent or 90  
11 percent of people with a certain disease may die in  
12 that period of time, is it, Doctor?

13 A. I don't understand your question.

14 Q. Okay. If you take a hundred people --

15 A. Yes.

16 Q. -- that had had congestive heart failure  
17 at some point in time, and if you are saying to me  
18 that a particular study has indicated that 50  
19 percent of those will die in five years, I think  
20 you are saying to me that 50 percent of them won't.  
21 Is that right?

22 A. I'm saying 60 percent will and 40 percent  
23 won't.

24 Q. Okay. If you looked at those hundred,  
25 would you be able to pick those 60 that will die

1 out?

2 A. I think you could get a pretty good idea.  
3 For instance, like any other condition involved in  
4 a medical problem, if it's complex, if it's  
5 additive, if the man has congestive failure, also  
6 is hypertensive, also an obese --

7 Q. Well, if you wouldn't mind, Doctor, I  
8 would like when you refer to congestive failure, as  
9 opposed to saying "has," if you would refer to  
10 "had," so there is absolutely no confusion in the  
11 record.

12 A. It doesn't matter. If you look at the  
13 Framingham Study, essentially if somebody has  
14 hypertension to the point --

15 Q. Let's talk about congestive heart failure.

16 A. I am. If somebody has hypertension to  
17 the point that he has congestive heart failure,  
18 when you look at the Framingham Study, it made a  
19 difference. Now, that's in the general -- when  
20 they just look at people with congestive heart  
21 failure.

22 Q. Okay.

23 A. They had -- when you look at hypertension  
24 alone, I think there is some variability there, but  
25 when you look at just the presence of congestive

1 heart failure --

2 Q. If he didn't have congestive heart  
3 failure, then what would your entire discussion  
4 amount to?

5 A. Oh, I don't think I want to base it  
6 entirely -- this man was 300 pounds with  
7 triglycerides over a thousand at Hillcrest --

8 Q. What were his triglycerides when he was  
9 stopped being seen by Dr. Frankel?

10 MR. McILHARGIE: Objection.

11 A. Well, I don't think we can say. You know,  
12 triglycerides take about three or four days.

13 Q. We can say what they were when he stopped  
14 being seen by Dr. Frankel because you have got  
15 those records available, don't you?

16 A. Right.

17 MR. McILHARGIE: I make reference  
18 to the fact that you are saying he was stopped  
19 being seen, and it would appear from your question  
20 that he was turned away by Frankel, which wasn't  
21 the case at all.

22 A. Okay. Let's see.

23 MR. KAMPINSKI: Well, if that's  
24 a conclusion somebody reaches some day, that's all  
25 right, too.

1           A.     1,900 here on the 27th of February.

2                   MR. MCILHARGIE:        It would be nice  
3     to have some evidence in support of it.

4           A.     I would say 1,900 is the last one I see  
5     charted here. No. I don't -- I certainly don't  
6     want to lead you to assume that I'm basing my  
7     entire prognosis for this man's longevity or  
8     brevity of life based only on the fact that he was  
9     hypertensive.

10          Q.     (BY MR. KAMPINSKI) You've referred to one  
11     study. There are other studies, aren't there, that  
12     disagree with Framingham's?

13          A.     Let me see this for a second. We are  
14     talking about a study of 5,192 patients.

15          Q.     Yes.

16          A.     A double blind follow-up study, which is  
17     probably the -- which is the Bible of heart disease  
18     in this country as far as studies are concerned. I  
19     think you can find a study to back up any statement  
20     anybody ever makes, but I don't think you can find  
21     5,000 cases with --

22          Q.     So you consider this the authoritative  
23     study on the issue?

24          A.     Yes, I do. But I also think he has a lot  
25     of other things going on, or had a lot of other

1 things going on, besides his congestive failure;  
2 hypertension, metabolic processes.

3 Q. What's the difference between something  
4 that's acute and something that's -- Well, first of  
5 all, define acute for me.

6 A. Something that happens with a degree of  
7 intensity and with suddenness.

8 Q. Does that have any relationship to  
9 something happening on a continual basis?

10 A. Depends on the disease.

11 Q. Let's say congestive heart failure.

12 A. I think, oh, yes. Well, I think when we  
13 talk about acute fulminant congestive heart failure,  
14 you are talking about that something happens  
15 suddenly, boom?

16 Q. Yes.

17 A. And never happens again. I don't think  
18 we have a situation like that. I think if somebody  
19 is susceptible to that happening once, unless it's  
20 compensated for by several means, congestive  
21 failure will happen again.

22 Q. When did it happen again after 1977 in  
23 Mr. Duren?

24 A. I think he had the risk of it happening  
25 on --

1 Q. No. I asked when did it happen, Doctor?

2 A. He had a large heart on admission to  
3 Suburban. And a large heart is the first thing you  
4 look for for congestive failure.

5 Q. And it wasn't treated at all. And that  
6 was evidenced on the EKG.

7 MR. McILHARGIE: Objection.

8 Q. I am sorry. On chest x-rays.

9 MR. McILHARGIE: Objection.

10 Q. (BY MR. KAMPINSKI) It said prominent left  
11 ventricle, didn't it?

12 A. Yes.

13 Q. And does that equate to you a large heart?

14 A. Yes.

15 Q. It does?

16 A. Yes.

17 Q. How much larger was it than it should  
18 have been?

19 A. You have to look at the measurements to  
20 measure its size compared to the thoracic diameter  
21 of the chest. I would have to look at the  
22 interpretation. Left ventricle was prominent.  
23 They don't mention the size of the heart to the  
24 thorax.

25 Q. Well, does prominent mean large?

1 A. Yes.

2 Q. Or does it mean prominent?

3 A. Prominent means large.

4 Q. How much larger was it than it should  
5 have been, I guess, is my question.

6 A. I think you you need the specific  
7 measurement.

8 Q. Well then, how do you draw a conclusion  
9 that it was large enough to equate to congestive  
10 heart?

11 A. It was large enough to notice and read as  
12 being prominent.

13 Q. Is it cardromegalia?

14 A. Yes.

15 Q. It is? Please answer verbally.

16 A. Yes.

17 Q. Does it make any difference what the  
18 etiology of the congestive heart failure is?

19 A. For what?

20 Q. To bring it about. Or does it happen in  
21 a vacuum, or does it happen secondary to  
22 pancreatitis, for example?

23 A. You usually don't know. You don't see a  
24 secondary pancreatitis and congestive failure as  
25 one of the complications of pancreatitis.



1 Q. I'm sorry?

2 A. Congestive heart failure is not one of  
3 the complications of pancreatitis, no.

4 Q. So you would disagree with the doctor  
5 who saw him at the time when he was diagnosed as  
6 having that, as it being secondary to acute  
7 pancreatitis?

8 A. Yes, I would.

9 Q. And if you don't agree with him there,  
10 why do you agree with him that he had congestive  
11 heart failure?

12 A. Because he has objective evidence that he  
13 did.

14 Q. I see.

15 A. But I disagree with his opinion of any --  
16 That opinion regarding congestive heart failure, I  
17 disagree with.

18 Q. Do you disagree that he had no signs of  
19 cardiomegalia at that time, seeing as how you say  
20 they go hand in hand?

21 A. I think it would depend on how he said it  
22 and I think he said it more in terms of clinical --

23 MR. MURPHY: Do you want to see  
24 the discharge summary?

25 A. Yes.

1 Q. (BY MR. KAMPINSKI) I'm referring to that  
2 last paragraph.

3 A. Yes, I would disagree with that because I  
4 think he is basing that on his physical examination,  
5 and it's very difficult to get an accurate  
6 assessment of cardiac size on physical examination.  
7 When he looks at his x-rays, he does have an  
8 enlarged heart.

9 Q. Did he have an enlarged heart at  
10 Hillcrest?

11 A. Hillcrest, I think his heart size was not  
12 noted as being large.

13 Q. Did he have a prominent left ventricle?

14 A. I don't think that was mentioned either.

15 Q. How about at St. Luke's?

16 A. I haven't seen the St. Luke's charts.

17 Q. Wouldn't that have been important for you  
18 to look at to know?

19 A. No.

20 Q. Doesn't matter?

21 A. No. As I said before, in the presence of  
22 congestive heart failure. It just means that at  
23 Hillcrest it was compensated. If it was not  
24 enlarged at St. Luke's, it was compensated.

25 Q. Let's assume, Doctor, that once having

1 congestive heart failure, you are always at risk,

2 which I think is probably fair?

3 A. Yes.

4 Q. That's not to say you will or won't

5 suffer from it again, but a certain number of

6 people may and may die from it?

7 A. Right.

8 Q. Right?

9 A. Right.

10 Q. Especially if it's not treated?

11 A. Right.

12 Q. Shouldn't you order an EKG knowing that?

13 A. Yes.

14 Q. And shouldn't you insure that it's done?

15 A. Yes.

16 Q. Was that done here, Doctor?

17 A. It was ordered.

18 Q. It wasn't done, was it?

19 A. It wasn't done.

20 Q. And did the doctor inquire at 5:30 when

21 he called what the results of the EKG were?

22 MR. McILHARGIE: Objection.

23 A. I don't know.

24 Q. (BY MR. KAMPINSKI) Have you been advised

25 by Mr. Murphy that in fact he didn't? Did you

1 review Dr. Lissauer's deposition by the way?

2 A. No.

3 Q. Even given everything you're saying, you  
4 don't ignore somebody who is sick from this, do you?  
5 You treat them realizing these potential  
6 complications, don't you?

7 MR. MURPHY: Objection. You said  
8 sick from this.

9 Q. Pancreatitis, diabetes, potential  
10 congestive heart failure. You treat them for those  
11 things?

12 A. You monitor them for them, yes.

13 Q. And he didn't treat him for congestive  
14 heart failure, did he?

15 MR. McILHARGIE: Objection.

16 A. He didn't know at the time that he was in  
17 the failure. And I don't know that either.

18 Q. (BY MR. KAMPINSKI) Assuming he was, which  
19 was an assumption that apparently you are making?

20 A. I can't assume that because I don't have  
21 an autopsy.

22 Q. So that if he wasn't in congestive heart  
23 failure, your opinion, based upon his longevity at  
24 that point in time, doesn't make any sense, does it?

25 A. Yes. Oh, yes, it did.

1 Q. It might have five years from then or ten  
2 years from then?

3 A. Let's keep these issues straight. I'm  
4 making statements about the man's general condition.  
5 I think a man of this poor general condition given  
6 pancreatitis is at a much higher risk for having  
7 died from it. A man of this poor general condition  
8 is at a much higher risk of dying in the next five  
9 years of his life than the average individual.

10 Q. Okay.

11 A. That's what I am saying.

12 Q. Okay. All right. That's not to say he  
13 will die in the next five years, but he is at a  
14 higher risk to die?

15 A. Much higher risk.

16 Q. You mentioned strength earlier, but I  
17 take it that's not an overriding factor?

18 A. Mentioned what?

19 Q. Strength. The strength of a person.

20 A. No, it's not an overriding factor.

21 Q. I assume, and correct me if I'm wrong,  
22 but treatment certainly has to be plugged into the  
23 evaluation in terms of how long he is going to live?

24 A. Yes.

25 Q. And good medical management, I assume,

1     could prolong such a person's life for some period  
2     of time?

3           A.     Good medical management, compliance, the  
4     fact that the man, who weighs 284 pounds, would  
5     trim down to his ideal body weight, which would be  
6     somewhere around 150 pounds, 160 pounds; possibly  
7     that would lower his cholesterol to the point that  
8     he might improve.

9           But we are talking about things that are  
10    very dramatic, things that he should have done with  
11    his first episode of pancreatitis, and things that  
12    I see no pattern for improvement on over the past  
13    seven years.

14          Q.     Does the body configuration have an  
15    effect on how much weight you can carry? Does the  
16    size of an individual --

17          A.     The height does.

18          Q.     How about bones?

19          A.     Bones, less. Bones, I think it used to  
20    be kind of fashionable to say somebody is big-boned  
21    and therefore you should weigh more weight, weigh  
22    more bone weight, but it's mainly a function of  
23    height.

24          Q.     Going back, I think, to where we were  
25    earlier before I digressed, Doctor, we were looking

1 at the 10:00 vital signs, and I think we had  
2 concluded that there certainly was a failure to  
3 notify somebody of that change in condition?

4 A. Yes.

5 Q. Would you agree with that? And that  
6 equates, by the way, to the time at which the LPN  
7 was seeing Mr. Duren and put her nurses' notes in  
8 there?

9 A. Right.

10 Q. Do you have an opinion, Doctor, as to  
11 whether or not insulin was given to Mr. Duren that  
12 night?

13 A. My opinion is it was.

14 Q. And what do you base that opinion on?

15 A. Because it's charted. There may not be a  
16 slash, but it's charted. Somebody put down when it  
17 was given and the time.

18 Q. Well, you don't know that they put down  
19 when it was given as opposed to the time they  
20 intended to give it, do you, Doctor? What time  
21 were urine fractionals taken, sir?

22 A. 9:00.

23 Q. And isn't that a likely time when  
24 somebody would put down when they would give the  
25 insulin, if they would give it that night?

1 A. I think they would put down at 9:00 --

2 Q. At nine?

3 A. -- you get a fractional.

4 Q. At nine?

5 A. At nine. And then you give the insulin  
6 at nine. I think if it was charted at ten, it was  
7 to be given at ten.

8 Q. You say you think that's probably true?

9 A. I think that's true.

10 Q. Did you talk to Nurse Springborn about  
11 that?

12 A. No.

13 Q. Did you talk to any other hospital  
14 personnel about that?

15 A. No, I didn't.

16 Q. Does the fact that it's not slashed or  
17 not put on the diabetic chart have any effect on  
18 you, or are you just here to say it was probably  
19 given?

20 A. No. In knowing how things happen in the  
21 hospital, if it's somewhere on the chart, it was  
22 given. If it were not on the chart at all, if  
23 there were no 10:00 noted there and insulin 20  
24 units given, it would have to be --

25 Q. Would you --



1           A.     But I have to assume it was given.

2           Q.     Would you look at the Maalox? Are there  
3 times on there when it's supposed to be given?

4           A.     Yes, yes.

5           Q.     They're not slashed, are they?

6           A.     No.

7           Q.     They weren't given, were they?

8           A.     No. I think they more than likely were  
9 given at eight, ten, twelve.

10          Q.     No. They weren't. The times that aren't  
11 slashed aren't given.

12                   MR. MURPHY:     Can you look at the  
13 order for Maalox?

14          Q.     No. Let's just look at that, because the  
15 point I am making was he died before those were --

16                   MR. MURPHY:     Let's not play tricks  
17 here.

18                   MR. KAMPINSKI: I'm not playing  
19 tricks. I'm not playing tricks one bit. Because  
20 he was dead. He died before they were given.

21          Q.     (BY MR. KAMPINSKI) The times were written  
22 prior to the time that they were supposed to be  
23 given, weren't they, sir?

24          A.     This is a different context, and let me  
25 not get confused here. With an order that was

1 scheduled, they would write for an order that is --

2 Q. Well, this couldn't be scheduled when the  
3 urine fractionals were taken.

4 A. Sure. But if they were going to do the  
5 same concept as you are saying, your thought  
6 process would be the same; then it would be charted  
7 all the way down the --

8 Q. I'm trying to deal with your thought  
9 process, which is, I think you told me, if it's  
10 written there, that means it's given?

11 A. For insulin, yes.

12 Q. Do you have privileges at Suburban?

13 A. No.

E4 Q. Do you know Mrs. Springborn?

15 A. No, I don't.

16 Q. Do you know how busy she was that night?

17 A. No, I don't.

18 Q. Do you know whether this was her regular --

19 A. But I know --

20 Q. Do you know whether this was her regular  
21 work assignment that night?

22 A. No, I don't.

23 Q. Do you know what her predilections are  
24 with respect to when she writes it down?

25 A. I know a nurse writes insulin down when

1 she gives it.

2 Q. Supposed to.

3 A. And doesn't write it if she doesn't give  
4 it.

5 Q. She's supposed to slash it when she gives  
6 it.

7 A. She is supposed to write it and slash it.

8 Q. And slash it, sure.

9 A. But she is supposed -- A nurse will not  
10 write an insulin order if it's not given.

11 Q. Sure. Is that a rule?

12 A. It's not a rule, but it's the way of  
13 action. It's an accepted approach.

14 Q. Well, the accepted approach is a slash to  
15 put on the diabetic chart, Doctor. That's the only  
16 way that I have been told that you know that it's  
17 given by every nurse there, by the hospital  
18 administration, by Mrs. Springborn herself.

19 MR. MURPHY: Objection.

20 A. The only way I know in looking at a chart  
21 if a medicine has been given or not is if it's been  
22 charted. And that has been charted.

23 Q. (BY MR. KAMPINSKI) I see. Were vital  
24 signs taken at two a.m.?

25 A. Let's see here. Vital signs as being

1 charted on the graphic chart at six. Now, let's  
2 look at the nurses' notes.

3 A. I don't see nurses' notes here say that  
4 vital signs were charted at two.

5 Q. In fact, the graphics chart does not  
6 reflect that they were?

7 A. The nurses' notes don't either.

8 Q. Should they have been?

9 MR. MURPHY: Look at the order for  
10 vital signs.

11 A. Vital signs qid. You know, that order,  
12 it's difficult to say because qid means different  
13 things to different people, and I think as opposed  
14 to a q six hours or a q four hours --

15 Q. Well, given the vital signs at 10:00,  
16 should they have been taken at two?

17 A. Little too soon.

18 Q. Too soon to take them?

19 A. Yes.

20 Q. Should the midnight shift have been  
21 apprised of abnormal vital signs taken at ten?

22 A. Yes.

23 Q. Should the three to midnight shift have  
24 been advised of the fact that Mr. Duren was a  
25 diabetic?

1 MR. MURPHY: Objection.

2 A. Three to midnight, you mean?

3 Q. That's right. Evening.

4 A. Yes.

5 Q. (BY MR. KAMPINSKI) Would you agree with  
6 me that the -- I'm sorry. I take that back. Would  
7 you agree with Dr. Plotkin that the management by  
8 the hospital of Mr. Duren was appalling?

9 MR. MURPHY: Objection.

10 A. I think I would be a little -- use that  
11 in a little less strong language.

12 Q. Why? It was appalling, wasn't it, Doctor?

13 MR. MURPHY: Objection.

14 A. I don't think it was appalling. I think  
15 it was --

16 Q. Negligence?

17 A. I think it could have been better.

18 Q. Negligence?

19 MR. MURPHY: Objection.

20 Q. (BY MR. KAMPINSKI) Better word?

21 A. I would think -- I think negligence would  
22 be a term you could use.

23 Q. And just so we understand what you have  
24 said with respect Dr. Lissauer and the things he  
25 should have done and shouldn't have done, that

1 would be an appropriate term to use for him, too,  
2 wouldn't it?

3 MR. McILHARGIE: Objection.

4 A. No.

5 Q. (BY MR. KAMPINSKI) Well, are you saying  
6 that failure to order the blood gas was not  
7 negligence?

8 MR. McILHARGIE: Objection.

9 A. He order a blood gas.

10 Q. To order it immediately, stat, as it was  
11 at Hillcrest?

12 A. You mean blood sugar.

13 Q. I'm sorry. Blood sugar. I'm sorry.

14 A. I think retrospectively he could have  
15 done that, but to say it was negligence in not  
16 doing it, I would have to disagree.

17 Q. Well, given what he knew at the time,  
18 wasn't it negligence at the time? He knew that Mr.  
19 Duren was a diabetic and had a determined level of  
20 sugar in his blood, didn't he?

21 MR. MURPHY: Objection.

22 A. I would have liked --

23 Q. Wasn't it important to know?

24 A. Well, I would have liked to have seen --

25 Q. Shouldn't he have ordered a blood sugar?

1 Wasn't it negligent for him to --

2 MR. McILHARGIE: Objection.

3 Q. (BY MR. KAMPINSKI) In all fairness and  
4 all honesty, Doctor, wasn't it?

5 A. I'm sure if you asked Dr. Frankel, he  
6 would have preferred --

7 Q. I'm asking you, sir. You are the one who  
8 has come in here as an expert.

9 A. I would have liked to have seen a blood  
10 sugar.

11 Q. My question is isn't that negligent of  
12 him to have failed to do that?

13 MR. McILHARGIE: Objection. He  
14 already answered the question.

15 MR. MURPHY: Objection.

16 A. I think it was probably negligent, yes.

17 Q. (BY MR. KAMPINSKI) And his failure to by  
18 5:30 insist that even a urine fractional was done,  
19 wouldn't that be negligence? Isn't it rather  
20 important for him to know what this man's level of  
21 sugar is --

22 MR. MURPHY. Objection.

23 MR. McILHARGIE: Objection.

24 A. He should know what the level of sugar is.

25 Q. What about the blood gas? When he

1 received that result at 5:30, he ordered oxygen,  
2 didn't he, based upon the H2 left?

3 A. The PO2 level.

4 Q. I'm sorry. The PO2. Wasn't it important  
5 for him to have complete follow-up studies of blood  
6 gases done to see if the oxygen that he ordered was  
7 adequate?

8 A. The blood gases done at that time with  
9 the PO2 of 60, it's not respiratory insufficiency,  
10 so I think that places us in a less urgent  
11 situation than if it were below 50. Definitely you  
12 would want a follow-up.

13 Q. But that's something that could change  
14 fairly rapidly, too, isn't it?

15 A. I think it can change with his general  
16 condition.

17 Q. Doctor, you just briefly glanced at the  
18 nurses' notes a few moments ago. What would I like  
19 you to do is take a minute to read the nurses'  
20 notes for the morning of February 18th commencing  
21 with the midnight shift. And just take --

22 A. O2 in progress, same one, February 18th,  
23 12 midnight?

24 Q. Yes. Starting right from there reading  
25 the entire -- just to yourself for a moment.



1           A.     Okay.

2           A.     All right.

3           Q.     First of all, from reading that note,  
4     would you assume that it was all written by the RN,  
5     that is Garrity?

6                     MR. MURPHY:                     Objection.

7           A.     It was signed by Garrity. It was done  
8     with her -- you know, she has authority for it.  
9     She has responsibility for it. I'm not sure that  
10    in the manner of nurses' notes, I don't know how  
11    they do it at Suburban, whether the LPN charted and  
12    the RN signs as a countersign or -

13          Q.     Well, have you been told anything  
14    different by Mr. Murphy as to what was done with  
15    respect to this nurses' note?

16          A.     No.

17          Q.     Well, in looking at it, would you assume  
18    that she wrote it all? I mean she is the only  
19    person who signed.

20          A.     I just don't know.

21                     MR. MURPHY:                     Objection.

22          A.     I don't know. I see her signature at the  
23    bottom.

24          Q.     Okay.

25          A.     We can assume that if she is the team

1 leader, she might take full responsibility and sign  
2 it.

3 Q. Would you assume that each entry was  
4 placed there at the time that purportedly the event  
5 portrayed therein occurred?

6 MR. MURPHY: Objection.

7 A. I don't know. Again, I think it depends.  
8 Nurses frequently chart their notes at the end of  
9 the shift at that time and keep their notes on  
10 separate sheets, and I don't know how her policy is  
11 or habit is.

12 Q. Would you read the four a.m. and five  
13 a.m. entry and tell me if that makes any sense at  
14 all to you as to those events having occurred at  
15 those respective times?

16 MR. MURPHY: Objection. HOB  
17 with the arrow means head of bed.

18 A. I think it would seem to be part of a  
19 progression of events.

20 Q. (BY MR. KAMPINSKI) Well, start with the  
21 entry regarding the removal of the I.V. when he  
22 went to the bathroom.

23 A. Okay. That's down at 5:00.

24 Q. Well, I think it's at four, isn't it?

25 A. Lying at foot of bed, still restless at

1 four. 5:00 he pulled out his I.V.

2 Q. All right. You are right. Okay. Though  
3 02 still in progress. The last line on the four  
4 a.m. entry says still having difficulties breathing  
5 though. Correct?

6 A. Yes.

7 Q. And the next line has a 5, and adjacent  
8 to that is 02 still in progress?

9 A. Yes.

10 Q. It would appear that that was all part of  
11 one sentence?

12 A. Could be.

13 Q. Well, are you saying that somebody wrote  
14 at 5:00, 02 still in progress and that though --

15 A. At 5:00 she could be writing, "In bed  
16 elevated. Patient appears" --

17 Q. Appears worse. I.V. was pulled out by  
18 patient, right?

19 A. Right.

20 Q. House officer called to restart it?

21 A. Yes.

22 Q. Does it appear that the writing changes  
23 at that point?

24 MR. MURPHY: Objection.

25 Q. (BY MR. KAMPINSKI) After the word "it."

1           A.     I don't know. I can't tell.

2           Q.     But it goes on then to say that I.V.  
3 pulled out by patient's restlessness, whereas  
4 previously it had been pulled out when he was going  
5 to the bathroom.

6                       MR. MURPHY:                       Objection.

7           A.     All right.

8           Q.     Do you know when --

9           A.     It does say that.

10          Q.     Do you know when the I.V. was pulled out?

11          A.     I guess the best we can do is between  
12 five and five-twenty on that particular time period.

13          Q.     Well, if I told you, Doctor, that the  
14 testimony of the nurses -- and I'll lay it all out  
15 for you. Originally Miss Garrity testified that  
16 this was all her writing, all right.

17                 Subsequently, I scheduled the deposition  
18 of the LPN, that being Miss McDuffie, at which  
19 point Mrs. Garrity attempted to amend her  
20 deposition testimony to say no, that was not all my  
21 writing, but part of it was Mrs. McDuffie.

22                 Mrs. McDuffie then came in and testified  
23 that it is her writing up until the word "it."  
24 That she did not in fact put the 5 into the  
25 left-hand column where you see it. Okay. You

1 follow me so far?

2 A. I can't see the "it."

3 Q. The word "it," which is the tenth line.

4 House officer called to restart it.

5 A. Okay.

6 Q. That she wrote up to there.

7 A. All right.

8 Q. The rest of it apparently is Mrs.

9 Garrity's writing.

10 A. Okay.

11 Q. The 5:00 entry, that is the numeral 5 and  
12 the 00, was not put in that column by Mrs. McDuffie;  
13 in other words, that her entire entry up to the  
14 word "it" is 4:00.

15 A. All right.

16 Q. Okay. That's the testimony as I  
17 understand as having come from the nurses up to  
18 this point in time, okay? Are we together so far?

19 MR. MURPHY: Objection.

20 A. Yes.

21 Q. Do you have an opinion then based --  
22 Assume that to be the testimony as to what time the  
23 I.V. was pulled out.

24 MR. MURPHY: Objection.

25 A. Do I have an opinion of what time it was

1 pulled out?

2 Q. Sure. I mean would you conclude from  
3 that that it was pulled out at 4:00?

4 MR. MURPHY: Same objection.

5 A. Let me see. Where are we, in the  
6 bathroom again? That I think was at four.

7 Q. Everything up to word "it," according to  
8 the testimony as I understand it, occurred at 4:00.

9 A. Okay. All right. I.V. pulled out by  
10 patient. Up to the word, "it." All right. Fine.

11 Q. Wasn't this the only treatment that Mr.  
12 Duren was receiving at that time, his I.V.?

13 A. Oxygen, too.

14 Q. Well, he had pulled the oxygen off,  
15 hadn't he?

16 MR. MURPHY: Objection.

17 A. He was also without NPO, I believe.

18 Q. Right.

19 A. He was also ordered pain medication, so  
20 there were several others. But I V. were one of  
21 them.

22 Q. Pretty important, wasn't it --

23 MR. MURPHY: Objection.

24 Q. -- to have the I.V. in this man?

25 A. It's important to have an I.V. in, yes.

1 Q. Well, isn't one of the purposes of the  
2 I.V. in a person with pancreatitis to try to  
3 maintain an electrolyte balance?

4 A. Yes.

5 Q. And can't that cause significant  
6 complications in such an individual if he goes  
7 without that treatment?

8 A. Certainly, it can cause complications.

9 Q. All right. You are looking now at the  
10 various lab results, Doctor. A number of them were  
11 abnormal, weren't they?

12 A. Yes.

13 Q. Which ones were abnormal in your opinion,  
14 sir?

15 MR. McILHARGIE: I'm going to  
16 object to the assumption underlying this, but go  
17 ahead.

18 A. Okay. The one that I think that is  
19 abnormal, but you can't accept as abnormal is the  
20 sodium.

21 Q. (BY MR. KAMPINSKI) Should it have been  
22 redone?

23 A. Well, it would still be abnormal because  
24 assuming that the cholesterol and the lipids are  
25 high, it's going to be spuriously low. So I think

1 to act on a low sodium in this setting would have  
2 not been appropriate.

3 Q. Well, should it have been redone?

4 A. At some point, maybe the next day, yes,  
5 but I think the sodium of 125 --

6 Q. Well, why did he order it stat then if  
7 you don't care what the results would be?

8 MR. MURPHY: Objection.

9 A. Well, you do when you are ordering the  
10 electrolytes stat. I don't see a stat order for  
11 the sodium. I think he wants to see what the  
12 electrolytes are.

13 Q. How about calcium; was that ordered stat?

14 A. Calcium should have been ordered stat.

15 MR. MURPHY: Why don't you go  
16 to the order sheet, unless you remember. It's the  
17 green sheet.

18 A. I've got it. Now, your question was the  
19 calcium should have been ordered stat?

20 Q. (BY MR. KAMPINSKI) I'm asking was it  
21 ordered stat?

22 A. Yes.

23 Q. Was it done stat?

24 A. Let's see. It was ordered at -- let's  
25 see. Protine to follow. Amylase to follow.



1 Calcium to follow.

2 Q. What time was it done?

3 A. It was done on the 17th. It's listed at --  
4 let's see. It's done at 13:45, February 23.

5 Q. Would you say that aloud for the record  
6 please?

7 A. February 23.

8 Q. It was ordered on February 17?

9 A. Yes.

10 Q. Is that stat?

11 A. It's not being run stat, no.

12 Q. That's six days. What does stat mean,  
13 Doctor?

14 A. Stat means as soon as you can.

15 Q. It means immediately, doesn't it?

16 A. It means as soon as you can.

17 Q. Well, for you as a doctor to order a test  
18 stat, what does that tell the people at the  
19 hospital, "I want this result right away"?

20 A. Right.

21 Q. It's important for me to know, right?

22 A. Right.

23 Q. For me to treat this man, for me to treat  
24 a patient, I need to know these levels; isn't that  
25 true, sir? Otherwise, you wouldn't order it stat?

1           A.     That's what stat would mean, but I think --  
2     in the setting of the lab, I think a lab does it as  
3     soon as they can. That's what it means to a lab.

4           Q.     Well, to you as a doctor --

5           A.     It means do it as soon as you can.

6           Q.     You are not going to order something  
7     that's going to result in your waiting six days.  
8     Why order it stat? If you don't have the  
9     capability for getting it stat, why order it stat?

10          A.     It's hardly in the concept of stat.

11          Q.     Right.

12          A.     I have to agree with you there.

13          Q.     Isn't it up to the doctor to insure that  
14     he gets stat tests results stat?

15                   MR. McILHARGIE:        Objection. I  
16     would assume that the lab was capable of returning  
17     it under the circumstances stat.

18          Q.     (BY MR. KAMPINSKI) Shouldn't he ask for  
19     the results?

20          A.     He should. If they're not back, he  
21     should ask for the results.

22          Q.     Right. And in fact, if they're not back  
23     and he wants it, shouldn't he insist on getting  
24     them?

25                   MR. McILHARGIE:        Objection.

1 Q. Isn't that the primary purpose of a  
2 doctor, to treat his patient?

3 A. Well, let's not cloud the issue here.  
4 There is frequently in real life a problem between  
5 ordering a test stat and getting it back as stat as  
6 you would like it back. If I got every test that I  
7 ordered stat back when I wanted it back, I think  
8 the lab would be out of business because it would  
9 have to drop everything.

10 Q. How important is calcium determination?  
11 I assume it has some importance; otherwise, it  
12 wouldn't have been ordered stat. Would you tell me  
13 what importance it has?

14 A. In pancreatitis serum calcium may drop  
15 and become life threatening.

16 Q. And you would expect it to drop, wouldn't  
17 you?

18 A. In severe pancreatitis -- it drops with  
19 the severity of the attack.

20 Q. What if it's elevated?

21 A. If it's elevated, it points more towards  
22 an underlying cause to it, such as a parathyroid  
23 adenoma.

24 Q. Sure.

25 A. Or oxygen calcium ingestion.

1 Q. What is parathyroid adenoma?

2 A. That's over activity of the parathyroid  
3 gland which leads to increased bone absorption,  
4 increased dietary absorption of the calcium.

5 Q. Parathyroidism, right?

6 A. Yes.

7 Q. Life threatening?

8 A. Not necessarily, no.

9 Q. Treatable?

10 A. Yes.

11 Q. Surgically treatable, too?

12 A. Yes.

13 MR. MURPHY:

I'm sorry.

14 What's treatable?

15 A. Parathyroidism.

16 Q. (BY MR. KAMPINSKI) That's pretty strong  
17 evidence that that's what Mr. Duren had, isn't it?

18 A. No, no.

19 Q. No?

20 A. No.

21 Q. Was there any evidence of his having that  
22 at Hillcrest in 1981?

23 A. He had a parathormone level drawn and I  
24 didn't see the graph, which I would like to see, o  
25 parathormone in relation to calcium at that time.

1 It's a scattered graph that is helpful in  
2 diagnosing the condition.

3 Q. Just to make sure I heard your response  
4 before, you are saying that that's not a life  
5 threatening condition?

6 A. I think you told me it was, and I think  
7 there is a possibility under certain circumstances  
8 it may be life threatening. But to make a blanket  
9 statement that it's life threatening, no.

10 Q. It could be under the circumstances, I  
11 take it, is what you are saying?

12 A. Under given circumstances, it may be, yes.

13 Q. Would this have alerted Dr. Lissauer to  
14 that possibility had he received the calcium test  
15 stat?

16 A. Oh, sure it would have -- I'm sure that's  
17 why he ordered it stat, to see whether it was  
18 sinking to a low level where it would be  
19 dangerously low or not.

20 Q. He didn't know that at 5:30 on February  
21 17, did he?

22 A. No.

23 Q. So you don't know why he testified that  
24 he did, do you?

25 A. I don't know that he did or didn't. I

know that it was -- it's on the chart here as --

Q. Yes.

A. On the other hand, I think we also know that Mr. Duren wasn't around on February 23 to draw the blood at that point.

Q. Do you know of any reason why it would take a minimum of an hour and 53 minutes or two hours and 53 minutes for a house officer to respond when he is called?

MR. MURPHY:

Objection.

A. I know of no reason except that he might -- the man might be busy.

Q. Absent that, I mean there is no reason that it should take him that long to respond, is there?

A. That's right.

Q. That's what he's there for, to respond in the event that somebody needs him, right?

A. That's correct.

Q. In having reviewed the nurses' notes for the morning of February 18, 1982, I would like you to take a look at the vital signs that were allegedly taken by someone who we don't know at six a.m. If you would look at the graph.

A. February 18, six a.m. On the graph?

1 Q. Yes.

2 A. All right.

3 Q. All right. Do those vital signs have any  
4 relationship to the condition as being exemplified  
5 by Mr. Duren in the nurses' notes?

6 MR. MURPHY: Objection.

7 A. No.

8 Q. They don't, do they?

9 A. No.

10 Q. (BY MR. KAMPINSKI) They're phony, aren't  
11 they?

12 MR. MURPHY: Objection.

13 A. I can't say they're phony.

14 Q. But they certainly don't relate to what  
15 he's going through at six a.m. in the morning, do  
16 they, Doctor?

17 MR. MURPHY: Objection.

18 A. I think that I would like to see a  
19 respiration a little faster because they are  
20 describing it as being quick in respiration or at  
21 least short of breath.

22 Q. (BY MR. KAMPINSKI) And he dies an hour  
23 later, doesn't he?

24 A. Yes.

25 Q. You don't know when those alleged vital

1 signs were put on that chart, do you?

2 MR. MURPHY: Objection.

3 A. Well, they're usually put on at the end  
4 of a shift, I think.

5 Q. Is it appropriate to call the wife after  
6 her husband has died and tell her over the phone  
7 that her husband has died?

8 MR. McILHARGIE: Objection.

9 A. I think it's done. I think it depends on  
10 the situation. I think it is done.

11 Q. I asked if it was appropriate, Doctor.

12 MR. McILHARGIE: Objection.

13 MR. MURPHY: Objection.

14 A. I think it depends on the circumstances.  
15 People have different philosophies about it. Some  
16 is people call the patient down to the hospital and  
17 explain it to them then.

18 Other people feel that it's too unnerving  
19 for the person to have to hop in a car right after  
20 and drive down. So it's a matter of judgment more  
21 than anything else. And to say one is  
22 inappropriate and one is not, I don't want to get  
23 in that picture.

24 Q. Doctor, I have a couple questions on your  
25 report to Mr. Murphy. I don't understand this



1 inclusion here, zero percent to five percent. What  
2 does that mean?

3 A. That was in my opinion how long Mr. Duren --  
4 what his odds were of living five years.

5 Q. Well, no. I just don't understand where  
6 the zero percent dash five percent fits into that  
7 sentence. He's got a zero to five percent of  
8 living five years; is that what you are saying?

9 A. Yes.

10 Q. You had never seen him, had you?

11 A. No.

12 Q. You didn't know him from the man in the  
13 moon, did you?

14 A. No. But I think if I saw him walk in the  
15 office, a man of his description, I would tell the  
16 man of this description, "You have a chance of zero  
17 to five percent of living five years from now" --

18 Q. You would tell him that?

19 A. -- "if you don't change your ways quite  
20 drastically."

21 Q. Oh, I see. So if he did change his ways  
22 quite drastically and received medical management,  
23 then that could be true?

24 A. Hard to say. Hard to say because this  
25 man in 1977 had that opportunity and didn't do it.

1 Q. I beg your pardon, sir?

2 A. Because of his attack of pancreatitis  
3 with all the things that we know we're going on  
4 with him in '77, I would say at that point his life  
5 had already begun to be shortened, and I probably  
6 would have told him then, "You better change your  
7 ways, or your life is going to be shortened."

8 Q. Any of the doctors ever do that to your  
9 knowledge to him?

10 A. That's something you don't put on a chart.

11 Q. So you don't know if they told him or not?

12 A. I don't know.

13 Q. Did Dr. Frankel ever tell him that?

14 A. I haven't talk to Dr. Frankel so I don't  
15 know.

16 Q. Are you social acquaintances with Dr.  
17 Frankel?

18 A. No, I'm not.

19 Q. Mr. Murphy?

20 A. No.

21 Q. Dr. Lissauer?

22 A. No.

23 Q. Did his condition improve after his  
24 discharge from Hillcrest in 1981?

25 A. I have been to a party with Mr. Murphy.

1 That's about it. I go to a block party with him  
2 periodically.

3 Q. Oh, you live in the same block?

4 A. Used to, yes.

5 Q. Did his condition improve after his  
6 discharge from Hillcrest in 1981?

7 A. I don't think so. I don't think as far  
8 as his underlying general health.

9 Q. Was he taken off insulin by Dr. Frankel?

10 A. Yes, he was.

11 Q. Did his weight decrease?

12 A. To some degree. I don't think he ever  
13 broke the 230 barrier.

14 Q. Did his triglycerides go down?

15 A. They did drop somewhat. 1,900 is the  
16 last I see at Hillcrest. Now, we have notes from  
17 Dr. Lissauer that show --

18 Q. Well, we have them from Dr. Frankel, I  
19 believe.

20 MR. MURPHY: You can point  
21 them out.

22 A. Sure. Try to.

23 Q. (BY MR. KAMPINSKI) What does it mean, by  
24 the way, in a chest x-ray where it says no definite  
25 evidence of acute disease otherwise. It starts out

1 left ventricular enlargement. What does that mean?

2 A. No evidence of --

3 Q. Acute disease otherwise.

4 A. It means there is no pneumonia, no  
5 chronic, passive congestion, or acute congestion in  
6 the lungs, no cancer.

7 Q. (BY MR. KAMPINSKI) Does alcohol intake  
8 have any effect on a person of Mr. Duren's  
9 character?

10 A. I don't understand the question. Does it  
11 have an effect on a man of his character?

12 Q. Well, no. An effect on his longevity.

13 A. Yes.

14 Q. What effect?

15 A. Yes and no. I think certainly it would  
16 to the extent that if a man who has previous  
17 pancreatitis start drinking, it's not good.

18 Q. I mean is there a difference between  
19 pancreatitis -- well, I should say, I guess,  
20 diabetes for a person who drinks and a person who  
21 doesn't drink? Is it more serious for a person who  
22 drinks, for example?

23 A. The pancreatitis, the attacks are usually  
24 milder with alcohol.

25 Q. Are they?

1           A.     Yes. With diabetes, you certainly  
2 wouldn't want a diabetic to drink.

3           Q.     I think those are Dr. Frankel's notes  
4 subsequent to the Hillcrest hospitalization?

5           A.     Tryglycerides 350, okay. In '81.

6           Q.     Does that help you?

7           A.     Sounds like he was doing a little better.

8           Q.     Sure.

9           A.     But I think on the other than hand, he --  
10 Let me see. Right. That was June in '81, and this  
11 admission was --

12          Q.     February, '82.

13          A.     February, '82. So somewhere between the  
14 two, I think he came to quite of bit of weight and  
15 lost control of his diabetes and regained his  
16 triglycerides.

17          Q.     That certainly shows an ability, does it  
18 not, Doctor, to maintain the disease process in Mr.  
19 Duren?

20          A.     It shows that for a transient period of  
21 time in the man's course of natural history of his  
22 disease, he dropped his weight and improved his  
23 situation.

24          Q.     Sure.

25          A.     But it also shows that he wasn't able to

1 sustain it.

2 Q. Okay. So that if we try to crystal ball,  
3 which is I guess the position we are in because  
4 there is no autopsy --

5 MR. MURPHY: Objection.

6 MR. McILHARGIE: I will object as  
7 well.

8 Q. -- had he in fact received appropriate  
9 medical care and survived his agonal period at  
10 Suburban, we have to make one or two assumptions; I  
11 guess, one, he would have changed his ways at that  
12 point and gone back to what we see here in June of  
13 1982; or the other, that he wouldn't have?

14 A. Right.

15 Q. Right?

16 A. Yes.

17 Q. And there is no way that you or I could  
18 sit here and do that, is there, realistically?

19 A. Oh, yes, there is. My opinion is that he  
20 might have tried, but as his track record is before,  
21 he tried and then went right back to it, and that's  
22 usually the way it goes in somebody who is 250, 260  
23 pounds. They can knock a little bit off, but they  
24 are right back.

25 Q. So that if his track record would have

1 changed, your opinion would be different then, I  
2 take it?

3 A. My opinion is his track record would not  
4 have changed. They followed this man from 1977 to  
5 1982 and he hadn't changed yet.

6 Q. My question was if his track record would  
7 have changed, would your opinion be different?

8 A. If this man would have been reborn, he  
9 would do different.

10 Q. And just so I understand your report,  
11 when you say the presence of an enlarged heart and  
12 congestive heart failure, just so I'm very clear,  
13 you're not saying it existed on February 17th or  
14 18th, but the fact that it had existed previously --

15 A. Had existed previously.

16 Q. -- had some significance to you?

17 A. Yes.

18 Q. Okay. What is hypercholesterolemia?

19 A. Well, hyperlipemia. Triglycerides and  
20 cholesterol are elevated.

21 Q. Same. When you say fulminant, what does  
22 that word mean to you, Doctor?

23 A. Very severe.

24 MR. KAMPINSKI: I would like to  
25 make copies of these articles if I could. I

1 believe the rest of the material we already have.  
2 And I think that's all the questions I have for you.

3 MR. McILHARGIE: I will have  
4 questions of the doctor. If you can make copies  
5 for me as well.

6 (Short break taken)

7 CROSS-EXAMINATION

8 BY MR. McILHARGIE:

9 Q. Dr. Geraci, my name is Cyril McIlhargie.  
10 I'm Dr. Lissauer's attorney. And I'll be asking  
11 you a few questions.

12 A. Okay.

13 Q. In the event I should ask a question  
14 that's not clear to you, simply prompt me by asking  
15 me to repeat or rephrase it. Will you do that for  
16 me?

17 A. Right.

18 Q. Thank you. Doctor, prior to Mr. Duren's  
19 admission to Suburban Hospital in February of 1982,  
20 you already indicated that he had gone for about  
21 one year without insulin. Is that correct?

22 A. Yes.

23 Q. And during that period of time, his  
24 diabetic condition was to have been controlled by  
25 diet?



1 A. Yes.

2 Q. And during that period of time, he was  
3 apparently seen off and on by Dr. Frankel and also  
4 Dr. Beckerman, am I right?

5 A. Yes.

6 Q. Have you reviewed the notations by Drs.  
7 Frankel and Beckerman from between the time that he  
8 was released from Hillcrest Hospital and his  
9 admission to Suburban in 1982?

10 A. I did at one point, but I think I have  
11 them here, and I will be happy to review them again.

12 Q. Take your time and take a look at them.

13 A. Okay.

14 Q. Those records essentially reflect that  
15 despite the fact he had been placed on a strict  
16 diet, he had failed to adhere to that diet and had  
17 in fact, gained about 40 pounds?

18 MR. WHITE: Objection.

19 A. He was back to 294 pounds, I think. Yes.

20 Q. So would you agree with that statement?

21 A. Yes.

22 Q. Doctor, the symptoms of chronic diabetes  
23 longstanding that is not controlled either by diet  
24 or insulin coverage, as I understand it, would  
25 include polyuria, frequency of urination, he would

1 be frequently thirsty, that he would exhibit  
2 fatigue. Is there any indication that he exhibited  
3 any of those symptoms during that period of time?

4 A. No.

5 Q. Is it fair to say that there is nothing  
6 in either Dr. Frankel's notations or Dr.  
7 Beckerman's notations which would indicate that his  
8 diabetes had actually reasserted itself during that  
9 period of time?

10 A. Not in Dr. Frankel's. Let me look at Dr.  
11 Beckerman's. Okay. Not in Dr. Beckerman's.

12 Q. Nothing. So in neither doctors' office  
13 notations is there any indication that this man  
14 exhibited symptoms consistent with a reassertion of  
15 uncontrolled diabetes?

16 A. That's correct.

17 Q. At the time Mr. Duren was admitted to  
18 Suburban Hospital, the initial orders for  
19 determination of the presence of an elevated level  
20 of blood glucose was done by urine fractional and  
21 insulin curve, is that correct?

22 A. Yes.

23 Q. In addition, Dr. Lissauer also wrote  
24 orders for an SMA 12 to be completed the following  
25 morning on February 18th?

1 A. Yes.

2 Q. And that would have required that a blood  
3 glucose level be obtained at that time, is that  
4 correct?

5 A. That's correct. Now, I don't know how  
6 they work it at Suburban, but let me just see. I  
7 remember when I originally went over these notes, I  
8 had presumed that the way he wrote them, that a  
9 blood sugar was being ordered then with the  
10 electrolytes, usually included in the electrolytes.

11 Q. So as of the following morning, Dr.  
12 Lissauer would have obtained, assuming that Mr. --  
13 assuming that he had survived?

14 A. Yes.

15 Q. But my question is by his orders, he  
16 would have obtained the following morning an SMA 12  
17 which would have given him a fasting blood sugar on  
18 Mr. Duren, is that correct?

19 A. Yes.

20 Q. At the time that Mr. Duren was admitted  
21 to Suburban Hospital, the history and physical  
22 examination were obtained. Well, let's go to the  
23 progress note that is written by Dr. Lissauer at  
24 the time of this man's admission.

25 A. All right.

1           Q.    Is there any indication in the physical  
2   that was prepared by Dr. Lissauer which would  
3   indicate that this man had symptoms consistent with  
4   uncontrolled diabetes?

5           A.    No.

6           Q.    Have you in your review of that chart  
7   found anything which would indicate at the time Mr.  
8   Duren was initially admitted on the afternoon of  
9   2-17-82, that he had symptoms consistent with  
10   uncontrolled diabetes?

11          A.    No.

12          Q.    In fact, Doctor, the early lab studies  
13   that were obtained and reported to Dr. Lissauer at  
14   approximately 5:30 by nursing personnel indicated  
15   that there was no presence of ketones in Mr.  
16   Duren's bloodstream?

17                   MR. KAMPINSKI:           Objection.

18                   MR. WHITE:               Object.

19                   MR. KAMPINSKI:           He didn't have  
20   it until 9:00 that night.

21          Q.    (BY MR. McILHARGIE)   Okay.   Fine.   Would  
22   you refer to the lab study that I am looking at?

23                   MR. KAMPINSKI:           The lab study  
24   wasn't until the next day.

25          A.    The lab study or the -- lab study or lab

1 sheets? His blood gas was good, so --

2 Q. What does that indicate?

3 A. That means he doesn't have any diabetic  
4 acidosis, and that would be the natural outcome of  
5 having a high blood sugar, is diabetic ketoacidosis,  
6 so he doesn't have that. His blood gas is good.

7 Q. As of that time. The order, according to  
8 the lab, drawn at what time?

9 A. It's drawn 2-17, and done at what --  
10 15:39. So that would be what, about 3:40 in the  
11 afternoon.

12 Q. Doctor, elevated blood sugar, if it is at  
13 a sufficiently high level and it's not  
14 appropriately treated, can lead to death, as I  
15 understand it, either secondary to the development  
16 of diabetic ketoacidosis or non-ketonic osmolar  
17 coma, is that correct?

18 A. That's correct.

19 Q. And so principally what appropriate  
20 insulin coverage is designed to do is to prevent  
21 the occurrence of either one of those?

22 A. Yes.

23 Q. And in this instance, according to the  
24 laboratory studies that were drawn on the afternoon  
25 of his admission to Suburban Community Hospital on

1 2-17-82, Mr. Duren did not have any laboratory  
2 indications of acidosis?

3 A. He did not have acidosis and he wasn't --  
4 he was not hyperosmolar.

5 Q. In addition, Doctor, what are the signs  
6 and symptoms which are consistent with the presence  
7 of ketoacidosis?

8 A. Sweet breath, lethargy, sliding deeper  
9 into coma. That's the main --

10 Q. Lethargy, decreased activity and  
11 gradually go into coma, okay. And from the onset  
12 of those symptoms, how long would it ordinarily  
13 take for that to transpire?

14 A. Usually four to six hours is the usual  
15 course, I would think, of somebody starting to get  
16 hypoglycemia and then developing it. It could be  
17 much longer, but I think we are talking about the  
18 near side of what happens, probably four to six  
19 hours.

20 Q. You have reviewed the nursing notations  
21 and the progress notes with reference to Mr.  
22 Duren's hospitalization at Suburban?

23 A. Yes.

24 Q. Is it fair to say that there is no  
25 indication that this man exhibited symptoms of

1 lethargy, decreased activity or coma prior to his  
2 death on 2-18?

3 A. His symptoms were restlessness.

4 Q. Okay. In fact, it was hyperactivity?

5 A. Right.

6 Q. And restlessness. Which is the opposite  
7 of lethargy and decreased activity, isn't it?

8 A. Yes.

9 Q. And again there was no indication  
10 anywhere on the chart that this man ever went into  
11 a coma?

12 A. No.

13 Q. Whether secondary to ketoacidosis or  
14 secondary to osmolar non-ketonic coma?

15 A. That's correct.

16 Q. Then can you say, do you have an opinion  
17 then, sir, in probability whether or not this man's  
18 death was in fact the result of either ketoacidosis  
19 or non-ketonic osmolar coma?

20 A. I don't think it was either. I think  
21 something else had occurred or something in  
22 relation to his pancreatitis occurred rather than  
23 just a complication of his diabetes.

24 Q. So basically, for the sake of clarity, if  
25 I understand your opinion, it's that elevated blood

1 sugar was not the proximate cause of John Duren's  
2 death?

3 MR. KAMPINSKI: Objection.

4 A. That's right.

5 Q. (BY MR. McILHARGIE) And you base that  
6 opinion on a reasonable degree of medical certainty?

7 A. I do. And I base that on mainly the pH  
8 of blood obtained at that time ruling out a  
9 diabetic ketoacidosis.

10 MR. KAMPINSKI: At which time?

11 A. On admission, right.

12 Q. As well as the clinical findings on the  
13 nurses' notations?

14 A. Right.

15 Q. With reference to the way --

16 A. That's correct.

17 Q. -- the circumstances leading to his death?

18 A. Yes. Yes. The general course of this is  
19 not that of a diabetic complication. Granted, it  
20 would be much cleaner to have some other data, but  
21 the general course of his fairly sudden development  
22 of an acute process rules against the first item of  
23 differential diagnosis being any ketoacidosis.

24 I would think in in terms something like  
25 pulmonary system, volume related, something cardiac,



1 and all of these could be occurring in a dynamic  
2 setting of pancreatitis.

3 Q. Would it be fair to say that even if we  
4 assume that the 20 units of insulin were not in  
5 fact administered by the nurses to John Duren as  
6 required by Dr. Lissauer's orders, that even if we  
7 assume that that took place, in other words, that  
8 they failed to administer it, that that was not the  
9 proximate cause of this man's death?

10 A. Yes.

11 Q. And likewise, assuming any omission on  
12 the part of Dr. Lissauer to appropriately order  
13 blood glucose levels, that omission would not have  
14 been the proximate cause of this man's death?

15 A. That's correct.

16 Q. And the basis for that opinion is, as you  
17 have already indicated, with reference to why you  
18 feel this man's death was not caused secondary to  
19 either ketoacidosis or osmolar coma?

20 A. That's right.

21 Q. Doctor, do you have an opinion based upon  
22 a reasonable degree of medical certainty in your  
23 field of specialization, and as a physician, as to  
24 John Duren's life expectancy as of January, 1982  
25 prior to his admission to Suburban Hospital?

1 MR. KAMPINSKI: Objection.

2 Q. (BY MR. McILHARGIE) First of all, do you  
3 have an opinion?

4 MR. KAMPINSKI: Objection.

5 Q. Sir, just say yes or no.

6 A. Yes, I do.

7 Q. And what is that opinion?

8 MR. KAMPINSKI: Objection.

9 A. My opinion is it wasn't very good.

10 Q. Would you state that with greater  
11 specificity?

12 MR. KAMPINSKI: Objection.

13 A. Looking at Mr. Duren's general physical  
14 condition, it was one of poor health to begin with  
15 starting with his obesity to a very severe degree.

16 Q. Could you indicate in years, sir, what  
17 his life expectancy was in your opinion as of  
18 January 1982?

19 MR. KAMPINSKI: Objection.

20 A. I would be surprised in my opinion to see  
21 him live five years. I would give -- if you wanted  
22 figures, I would say zero to five percent as a  
23 percentage of probability that he would be around  
24 five years from that time.

25 MR. KAMPINSKI: Objection. Move

1 to strike.

2 Q. And the basis for that opinion, sir?

3 MR. KAMPINSKI: Objection.

4 A. The basis is poor general health prior to  
5 his hospitalization.

6 Q. Which included?

7 MR. KAMPINSKI: Objection.

8 MR. WHITE: Objection.

9 A. Which included obesity, hypertension,  
10 abnormal blood lipids, diabetes, high blood  
11 pressure, presence in the past of congestive heart  
12 failure. I think also we could add he has shown in  
13 the past he cannot sustain compliance to a medical  
14 regimen that is prescribed by his physician.

15 MR. WHITE: Objection. Move to  
16 strike.

17 Q. (BY MR. McILHARGIE) Doctor, that was  
18 demonstrated time and again from 1977 until his  
19 hospitalization in 1982, wasn't it?

20 A. It was documented well by the medical  
21 records of his physician.

22 MR. KAMPINSKI: Objection.

23 Q. (BY MR. McILHARGIE) He had been placed on  
24 a regimen of diet in 1977 when he was in Virginia,  
25 wasn't he?

1 A. Yes.

2 Q. And despite that, he continued to gain  
3 weight and his diabetic condition reasserted itself,  
4 didn't it?

5 A. Yes.

6 Q. And following his hospitalization in St.  
7 Luke's, he was again placed on a very strict low  
8 carbohydrate diet, wasn't he?

9 A. I presume he was. I haven't -- Though I  
10 haven't reviewed those records, I presume.

11 MR. KAMPINSKI: Well then, I  
12 object and ask that it be stricken. Don't presume  
13 anything, Doctor.

14 Q. (BY MR. McILHARGIE) That's fine. Doctor,  
15 we will show you.

16 MR. McILHARGIE: Off the record.  
17 (A discussion was had off the  
18 record)

19 Q. (BY MR. McILHARGIE) Doctor, I ask you to  
20 refer to the dietary note of 9-29-78 from the St.  
21 Luke's Hospital chart of his admission from  
22 September 23, 1978 through September 30, 1978.

23 A. He was prescribed a diet for weight  
24 reduction and for diabetic control and also to  
25 hopefully stabilize his tendency towards

1     pancreatitis.

2           Q.     Doctor, is there further an indication  
3     that he was classified dietitian at that time?

4           A.     Yes.

5           Q.     And discussions with his wife suggesting  
6     that she had been after him to try and lose weight  
7     time and time again and that he had been  
8     unsuccessful time and again?

9           A.     That's correct. On follow up on his  
10    return to his private physician, he again was  
11    unable to sustain a reasonable weight loss.

12          Q.     That's following his release from the  
13    hospital at St. Luke's in September of 1978?

14          A.     That's correct.

15          Q.     And according to the office records of  
16    Dr. Beckerman?

17          A.     Yes.

18          Q.     And again, following his release from  
19    Hillcrest Hospital, he was again put on a very  
20    strict diet.

21          A.     That's correct.

22          Q.     That was again a similar diet to what he  
23    had been placed on at St. Luke's, a low  
24    carbohydrate diet?

25          A.     That's right.

1 Q. And in your opinion did he adhere to that  
2 diet?

3 A. No, he didn't. Not in any sustained  
4 manner.

5 MR. McILHARGIE: I don't have any  
6 questions at this point.

7 FURTHER CROSS-EXAMINATION

8 BY MR. KAMPINSKI:

9 Q. Doctor, has the treatment for congestive  
10 heart failure changed since 1971?

11 A. No.

12 Q. Same treatment?

13 A. Yes.

14 Q. Have there been more --

15 MR. McILHARGIE: I'm going to  
16 object to this line of questioning.

17 Q. (BY MR. KAMPINSKI) Have there been more  
18 recent studies with respect to the mortality rate  
19 of someone having a single incident of acute  
20 congestive heart failure?

21 A. Not that I know of. Not that I know of.

22 Q. Is the underlying basis for congestive  
23 heart failure very often hypertension?

24 A. Yes.

25 Q. And was Mr. Duren being treated for

1 hypertension?

2 A. In a way, yes.

3 Q. Well, wasn't he receiving medication for  
4 it?

5 A. No. But I think he was receiving other  
6 things, including diet.

7 Q. It's your testimony that he was not  
8 receiving medication for hypertension, is that  
9 right, sir?

10 MR. McILHARGIE: Objection. At  
11 what point in time?

12 A. He was receiving care for hypertension.

13 Q. My question is was he receiving care for  
14 hypertension in the year 1982, sir?

15 MR. McILHARGIE: Objection.

16 A. In the year 1982, no.

17 Q. If I understand you correctly, you  
18 believe that there were a number of factors,  
19 including pulmonary congestion possibly, and some  
20 heart failure that was exhibiting itself in the  
21 early morning, late evening of February 17th and  
22 February 18th?

23 A. The symptoms that Mr. Duren had were  
24 non-specific. He was having respiratory problems,  
25 and the number of things that could lead to that

1 are quite numerous.

2 Q. Isn't one of them, by the way, elevated  
3 blood sugar?

4 A. No. Dyspnea, yes, but not labored  
5 respirations like he was having. To try to piece  
6 together what happened is, as you said, impossible  
7 because we don't have a post. A list of sudden  
8 events which could happen just like that include,  
9 in the setting of pancreatitis --

10 Q. Please allow me to stop you, Doctor.  
11 Because that's the second time you mentioned sudden.  
12 And correct me if I'm wrong, but from 10:00 until  
13 7:00 is nine hours, is that correct?

14 A. That's -- in relative terms, that's  
15 sudden.

16 Q. Okay.

17 A. When we look at the nurses' notes, he  
18 suddenly became short of breath. It wasn't like he  
19 came into the hospital after days of being dyspneic.

20 Q. That was by 10:00?

21 A. That's right. Now, you wanted to know  
22 what caused it and I can't tell you that it was  
23 congestive failure as opposed to a problem with his  
24 pancreatitis as opposed to a pulmonary embolism as  
25 opposed to any number of things.



1 Q. Whatever it was, it wasn't addressed.  
2 Would that be fair?

3 MR. McILHARGIE: Objection.

4 A. That would be fair to say, that it was  
5 not addressed.

6 Q. (BY MR. KAMPINSKI) All right. And  
7 therefore, there is no way that you can sit here  
8 and say that had it been addressed, he would still  
9 have not survived that particular incident on that  
10 evening, is there, sir?

11 A. He might have. He might not have.

12 Q. But you can't say one way or another, can  
13 you?

14 A. I can say that, knowing his general  
15 condition, that he was at a poor risk to see it  
16 through.

17 Q. Well, that's really a different question,  
18 I think, than what I asked you. I'm talking --

19 A. If you were asking whether he would make  
20 it through the night, I would say that he may have  
21 made it through the night if he had received  
22 appropriate --

23 Q. Medical care?

24 A. -- monitoring. But to say that he would  
25 have made it out of the hospital, I can't say.

1 Q. Can't say that he wouldn't have?

2 A. I can't say either way. I don't see how  
3 anybody could.

4 Q. Sure.

5 A. But except I think the odds were stacked  
6 against him.

7 Q. That's your opinion?

8 A. That's my medical opinion.

9 Q. As opposed to Dr. Shapiro's?

10 A. That's my medical opinion. I'm not --  
11 Dr. Shapiro is not a gastroenterologist.

12 Q. He is an endocrinologist and internal  
13 medicine.

14 A. Oh.

15 Q. Does that make him something different  
16 than yourself in terms of being able to offer an  
17 opinion as to whether this man would have survived?

18 A. As far as pancreatitis, yes. I don't  
19 think he sees too many cases of that in the course  
20 of his --

21 Q. How many cases of diabetes do you see?

22 A. I see very many.

23 Q. You are qualified to talk about that then?

24 A. Yes. Sure, I am.

25 Q. But he is not qualified to talk about it.

1 Is that what you are saying?

2 A. I don't know how many cases he sees.

3 Q. Are you saying he is not qualified to  
4 talk about pancreatitis, sir? Do you know one way  
5 or the other?

6 A. I am saying that I am very happy to hear  
7 his opinion, but as far as my value in it, I wish  
8 you wouldn't quote him, because I am not going to  
9 take him as any authority on pancreatitis. I don't  
10 know of any endocrinologist in the world that's a --

11 Q. Do you know Dr. Shapiro?

12 A. I have never read anything by him on  
13 pancreatitis.

14 Q. How many articles have you written?

15 A. I have written two -- I have written one  
16 article.

17 Q. What is it?

18 A. It's pancreatitis.

19 Q. What's the name the article, where is it,  
20 and when was it written?

21 A. It was written in 19 -- Let me get the  
22 date here. The date would probably be 1979 or 1980.  
23 It's in Medicine 19 -- Medicine 1980, I guess is  
24 the name of the --

25 Q. Publication?

1 MR. MURPHY: Let him finish  
2 the answer.

3 A. The monograph.

4 Q. Monograph?

5 A. Right.

6 Q. Who publishes that?

7 A. Case Western Reserve University.

8 Q. And the name of publication is Medicine  
9 1980?

10 A. Yes. I think it's in 1980. '79 or '80.

11 Q. Do you have a copy?

12 A. Not on me. I have one.

13 Q. Can you provide that to Mr. Murphy along  
14 with your CV?

15 A. Certainly.

16 Q. Any other articles?

17 MR. MURPHY: On pancreatitis?

18 Q. (BY MR. KAMPINSKI) Yes. Sure.

19 A. I have given speeches around the country.  
20 I was out in Montana speaking on it and in New York  
21 City speaking on it.

22 Q. How many articles have you written on  
23 other things, Doctor?

24 A. That will be in my CV. I have written  
25 two or three other articles on gastroenterology

1 related topics.

2 Q. Doctor, if I understood what you  
3 indicated earlier in response to Mr. McIlhargie's  
4 questions, you said something about -- and this is  
5 what my previous question was direct to -- about  
6 heart failure and pulmonary distress that morning.  
7 I think that's what you said. That that's what you  
8 believe ultimately led to his demise?

9 A. I think something led to his pulmonary  
10 distress. I don't think I said heart failure. But  
11 I would have to check. I'm sure, although I have  
12 been --

13 Q. Well, wouldn't you anticipate that  
14 congestive heart failure would have occurred given  
15 all these circumstances that evening?

16 A. Can't say. Can't say without a post.

17 Q. The EKG that was ordered, you are sitting  
18 here in hindsight looking back at --

19 A. Aren't we all?

20 Q. -- Mr. Duren's records going back to 1977.  
21 That information was available, I take it, to Dr.  
22 Lissauer because he had in fact treated him, as had  
23 his group, in 1982?

24 MR. MCILHARGIE: Objection to the  
25 assumption.

1 Q. (BY MR. KAMPINSKI) Correct? Correct,  
2 sir?

3 MR. McILHARGIE: Object to the  
4 assumption.

5 A. Right.

6 Q. (BY MR. KAMPINSKI) Shouldn't he have  
7 inquired further into the potential of cardiac  
8 involvement on the part of Mr. Duren that evening?

9 MR. McILHARGIE: Objection. You  
10 have already been over this. And it wasn't an area  
11 of inquiry on cross.

12 A. On Dr. Lissauer's initial assessment when  
13 he examined the patient, there were no signs of  
14 precipitating congestive failure. His lungs were  
15 clear.

16 Q. Yes.

17 A. His heart was fine.

18 Q. What about the prominent --

19 A. So he did address the EKG.

20 Q. What about the left prominence in the  
21 ventricle?

22 A. Doesn't necessarily require treatment if  
23 there is no pulmonary congestion at the time.

24 Q. I see.

25 A. And in fact, the diuretic in the presence

1 of pancreatitis can be a precipitating agent.

2 Q. Well, don't you have to balance depending  
3 upon what condition is most life threatening at the  
4 particular time to the patient?

5 A. Dr. Lissauer listened to the lungs. The  
6 lungs were clear. I don't think there was a  
7 necessity for a diuretic when he examined him.

8 Q. Was the oxygen that he prescribed  
9 sufficient to take care of the respiratory distress  
10 that Mr. Duren was having that evening?

11 MR. McILHARGIE: Objection. At  
12 what point in time?

13 A. Yes.

14 Q. It was?

15 A. Yes.

16 Q. How do you know that?

17 A. Two liters a minute, I think anything --  
18 taking the situation again, the very critical  
19 underlying health of Mr. Duren, he was at a risk  
20 for going any higher with oxygen because of his  
21 massive obesity. And because of that, we could  
22 have thrown him, or the doctor could have thrown  
23 him, into a respiratory arrest just from pure  
24 oxygen. There is a mechanism involved there.  
25 Somebody who is obese --

Q. Yes.

2 A. -- and is given oxygen, the only thing  
3 that drives this man to breathe at that time is his  
4 hypoxia. By giving a good dose of oxygen, say  
5 eight liters, ten liters, that wipes out the  
6 hypoxia drive. He slows down his breathing,  
7 accumulates carbon dioxide, and goes into acidosis  
8 or an arrest.

9 So I think he's -- I think that oxygen  
10 had to be given to this man, but I think it also  
11 has to be considered a drug and possibly a toxic  
12 drug in an obese individual. You are damned if you  
13 do and damned if you don't.

14 Q. Well then, don't you really have to  
15 follow it up to see how he takes it?

16 A. You do and you don't. I think in a low  
17 flow you are safe.

18 Q. Safe which way? From ever being  
19 questioned about what you did?

20 MR. McILHARGIE: Objection.

21 MR. MURPHY: Objection.

22 A. Safe because we don't have blood gases  
23 that were given. He was not in respiratory  
24 insufficiency, so it was safe to give oxygen in a  
25 low dose. And the low dose was reasonable enough



1 because of his being obese and the high dose would  
2 have polished him off.

3 Q. You have now referred to his extreme poor  
4 health and poor health, at least by my count, ten  
5 times throughout the this deposition. Why wasn't  
6 he in Intensive Care?

7 MR. McILHARGIE: Objection.

8 A. I think that's a question of judgment.  
9 When Dr. Lissauer saw him, I think looking at Dr.  
10 Lissauer's chart, there was nothing to site  
11 immediate admission to Intensive Care based on his  
12 cardiovascular status.

13 Q. Should he have been in Intensive Care  
14 later on that evening?

15 A. Yes.

16 Q. What time?

17 A. Between nine and ten o'clock.

18 Q. Is it likely he would have survived if he  
19 could have been?

20 A. Survived the night? I can't say.  
21 Survived the hospitalization? Possibly not.

22 Q. And I take it your opinion, once you had  
23 seen the 1977 Bedford Community Hospital record in  
24 Virginia, was that at that time he had a five-year  
25 survival rate, give or take zero to five percent,

1 right?

2 A. At that time of the first attack of

3 pancreatitis, he'd probably had a better chance.

4 He was a little younger. But I think in the basis

5 of his other findings, I would say he was a poor

6 risk then, too.

7 Q. So he surprised you just by living as

8 long as he did, didn't he?

9 A. He surprised me to some degree.

10 Q. And if I understand your responses to Mr.

11 McIlhargie's questions correctly, it's your opinion

12 that he killed himself?

13 A. I didn't say that. I said -- I don't

14 want to be harsh on the man for dying.

15 Q. But you do want to be kind on the doctor

16 for not ordering the appropriate tests?

17 MR. McILHARGIE: objection.

18 MR. MURPHY: objection. You

19 don't have to answer that question.

20 A. I think I better make it clear to you

21 that in my medical opinion, the man did not die

22 because somebody did not order a blood test or

23 somebody did not institute management, basically, a

24 blood test. He died because he had a bad disease.

25 Q. He died because he was neglected that

1 night, didn't he?

2 A. More than likely he would have died  
3 despite medical management.

4 Q. Well, he didn't receive any medical  
5 management, so we don't know.

6 MR. MURPHY: Objection.

7 A. Yes, we do. I think based on the  
8 substrate of Mr. Duren, we can say that he wasn't  
9 in the best of shape.

10 Q. Well, speak for yourself when you say we.  
11 You are saying --

12 A. I am saying --

13 Q. -- it's your opinion?

14 A. It's my medical opinion.

15 Q. Okay.

16 A. This man was in terrible physical  
17 condition.

18 Q. And you don't think he should have been  
19 in Intensive Care despite that, right?

20 A. Oh, I think he should have at one point,  
21 but I don't know whether that would have altered  
22 his survival, getting out of the hospital. I doubt  
23 that it would have.

24 Q. The only reason we don't know that, the  
25 only reason we are here is because of the failure

1 to adequately manage it. In other words, you sit  
2 here and say in your opinion he wouldn't have made  
3 it anyhow, but there were facts that we can deal  
4 with as opposed to opinion, isn't there?

5 MR. MURPHY: Objection.

6 Q. Those facts, Doctor, are that he didn't  
7 receive appropriate medical management that night.

8 MR. MURPHY: Objection.

9 A. The other, he had a high blood sugar, he  
10 had a high blood glucose, and the fact that he was  
11 obese --

12 Q. They didn't treat that --

13 A. He has to treat himself at one point.

14 Q. -- in the hospital --

15 A. It is a principle of a man who is a poor  
16 compliant personality. At some point you have to  
17 quit pulling him by nose and say, "Look, buddy, you  
18 are going to have to start taking care of yourself.  
19 Knock off that two hundred pounds."

20 Q. Let's deal with what happened at the  
21 hospital.

22 A. In the hospital the man's disease caught  
23 up with him.

24 Q. Regardless of any management?

25 A. Regardless of blood sugar.

1 Q. Regardless of the failure to report the  
2 vital signs?

3 A. An acute event happened in the hospital --

4 Q. Yes or no. Regardless --

5 A. -- that probably killed him.

6 Q. Regardless of failure to report the vital  
7 signs, right?

8 A. How do we know there is a failure to  
9 report the vitals signs?

10 Q. I'm telling you there is. Let's assume  
11 there is. Let's assume Nurse Springborn testified  
12 that she was never made aware of the vital signs  
13 and had she been aware, she would have notified a  
14 doctor.

15 A. I don't understand your question. Is  
16 that a question? What's your question?

17 Q. It's your opinion that he would have died  
18 even had she notified a doctor that he had abnormal  
19 vital signs at 10:00, right?

20 A. Yes.

21 Q. It's your opinion that he would have died  
22 even if he didn't get his insulin, right?

23 A. Yes.

24 Q. And it's your opinion that he would have  
25 died that night or in that hospital --

1 A. Not that night.

2 Q. -- in that hospital stay, even if the  
3 doctor had ordered blood sugar and seen his sugar  
4 extremely elevated and that condition was tended to<sup>3</sup>

5 MR. McILHARGIE: Objection.

6 MR. MURPHY: Objection.

7 Q. (BY MR. KAMPINSKI) Is that correct?

8 A. Yes.

9 Q. And it's your opinion that he would have  
10 died even if he didn't receive the insulin at 10:00  
11 that night, is that correct?

12 A. You said that. Yes.

13 Q. Okay. And it's your opinion that he  
14 would have died at that hospital stay even if he  
15 had been put in Intensive Care, is that correct?

16 A. I'd say given this man's general poor  
17 health, it was a reasonable probability that he  
18 would have not made it out of that hospital.

19 Q. And it's your opinion that he would have  
20 died even if the house officer would have arrived  
21 within four minutes as opposed to an hour and 53  
22 minutes after he was called, is that correct?

23 A. I think your watch --

24 Q. Yes or no, sir?

25 A. Yes.

1 Q. And it's your opinion that he would have  
2 died even if vital signs would have been taken at  
3 two a.m., is that correct, sir?

4 A. Yes.

5 Q. And it's your opinion that he would have  
6 died even if the first shift had told the second  
7 shift of the abnormal vital signs, is that correct,  
8 sir?

9 A. That's correct.

10 Q. And it's your opinion that he would have  
11 died even if he had been placed in the Intensive  
12 Care Unit between nine and ten o'clock?

13 A. Yes. That his disease was -- his general  
14 physical condition was poor enough --

15 Q. Sure.

16 A. -- and his pancreatitis --

17 Q. Sure.

18 A. -- documented when he came in the  
19 hospital, was enough of an insult that he may well  
20 have died during that hospitalization.

21 Q. So he should have just stayed home, right?

22 A. No.

23 MR. KAMPINSKI: That's all.

24 MR. McILHARGIE: I will object  
25 and move to strike the last comment.

1 MR. KAMPINSKI: I just have one  
2 further thing. I'm sorry.

3 Q. (BY MR. KAMPINSKI) You were retained by  
4 Mr. Murphy to testify in this case?

5 A. That's correct.

6 Q. Do you plan to be present at the  
7 arbitration hearing, sir?

8 A. No.

9 Q. How much are you being paid for your  
10 testimony in this case or your opinion?

11 MR. McILHARGIE: Objection. He  
12 is not being paid for his testimony. For his  
13 professional time.

14 A. For my time I really have to reach an  
15 opinion. Probably \$150 an hour.

16 Q. You haven't even charged him yet, Mr.  
17 Murphy?

18 A. I sent him one bill.

19 Q. How much was it?

20 A. \$150.

21 Q. One hour?

22 A. One hour.

23 Q. That's the amount of time you put into it  
24 case?

25 A. I haven't sent him the bill yet.



1 Q. When did you send that bill, sir?

2 A. I sent that bill when I -- Let me see.  
3 Before this, probably last week.

4 MR. KAMPINSKI: Could I see a  
5 copy of that, Mr. Murphy?

6 MR. MURPHY: I haven't seen  
7 it yet.

8 MR. KAMPINSKI: Are you going to  
9 provide Mr. Murphy a copy of that with your CV and  
10 articles?

11 A. It should be in the mail.

12 Q. I'd like to see a copy.

13 MR. McILHARGIE: I assume nobody  
14 else has any questions and this marks the end of  
15 the deposition.

16

17

18

19

20

21

22

23

24

25

1 I have read the foregoing transcript from page  
2 1 to page 125 and note the following corrections:

3  
4 PAGE: LINE: CORRECTION: REASON:

5

6

7

8

9

10

11

12

13

14

15

16

\_\_\_\_\_  
KEVIN GERACI, M.D.

17

18 Subscribed and sworn to before me this  
19 day of , 1984.

20

21

\_\_\_\_\_  
Notary Public

22

23 My Commission Expires:


24

25

1 THE STATE OF OHIO, )  
2 ) SS: CERTIFICATE  
3 COUNTY OF CUYAHOGA. )

4 I, Sandra L. Price, a Notary Public within and  
5 for the State of Ohio, duly commissioned and  
6 qualified, do hereby certify that KEVIN GERACI,  
7 M.D. was by me, before the giving of his  
8 deposition, first duly sworn to testify the truth,  
9 the whole truth, and nothing but the truth; that  
10 the deposition as above set forth was reduced to  
11 writing by me by means of Stenotypy and was  
12 subsequently transcribed into typewriting by means  
13 of computer aided transcription under my direction;  
14 that said deposition was taken at the time and  
15 place aforesaid pursuant to notice; that the  
16 reading and signing of the deposition by the  
17 witness were expressly waived; and that I am not a  
18 relative or attorney of either party or otherwise  
19 interested in the event of this action.

20 IN WITNESS WHEREOF, I hereunto set my hand and  
21 seal of office at Cleveland, Ohio, this 24th day of  
22 February, 1984.

23   
24 Sandra L. Price, RPR, Notary Public  
25 Within and for the State of Ohio  
540 Terminal Tower  
Cleveland, Ohio 44113

My Commission Expires: January 7, 1989.