

IN THE COURT OF COMMON PLEAS

OF CUYAHOGA COUNTY, OHIO

DOC.
156

FRANK PETRILLI,

Plaintiff,

vs.

Case No.

THE CLEVELAND

CLINIC FOUNDATION,

160910

Defendant.

- - - - -

Deposition of KEVIN T. GERACI, M.D., a
 Witness herein, called by the Plaintiff for
 examination under the statute, taken before me,
 Karen M. Patterson, a Registered Professional
 Reporter and Notary Public in and for the State
 of Ohio, pursuant to notice and stipulations of
 counsel, at the offices of Kevin T. Geraci,
 M.D., 1611 South Green Road, South Euclid,
 Ohio, on Thursday, April 19, 1990, at 5:20
 o'clock p.m.

pg. 49 : pg. 66
 are missing from
 THIS TRANSCRIPT

1 APPEARANCES:

2 On behalf of the Plaintiff:

3 Greene & Hennenberg Co., L.P.A., by

4 WILLIAM GREENE, ESQ.

5 JEAN M. MCQUILLAN, ESQ.

6 801 Bond Court Building

7 Cleveland, Ohio 44114

8 (216) 687-0900

9 On behalf of the Defendant:

10 Reminger & Reminger, by

11 GARY X. GOLDWASSER, ESQ.

12 The 113 St. Clair Building

13 Cleveland, Ohio 44114

14 (216) 687-1311

15 -----



PG LN [Ng1]PETRILLI-GERACI 4-19-90 KMP ---COMPUTER INDEX-

PG LN BY-M^{*}
3 7 KEVIN T. GERACI, M.D. BY-MR. GREENE: Q.

PG LN MARK'D

PG LN AFTERNOON-SESSION

PG LN ---THIS INDEX IS RESEARCHED BY COMPUTER---



I KEVIN T. GERACI, M.D., of lawful age,
2 called for examination, as provided by the Ohio
3 Rules of Civil Procedure, being by me first
4 duly sworn, as hereinafter certified, deposed
5 and said as follows:

6 EXAMINATION OF KEVIN T. GERACI, M.D.

7 BY--MR. GREENE:

8 Q. Doctor, so we can move this along,
9 by way of background, I think you went to Holy
10 Cross undergraduate?

11 A. That's right.

12 Q. And you went to Ohio State
13 University Medical School?

14 A. That's correct.

15 Q. You did your residency at
16 University Hospitals; is that correct?

17 A. Yes.

18 Q. And you did a fellowship in
19 gastroenterology at University Hospital?

20 A. That's correct.

21 Q. And you were for awhile the head of
22 gastroenterology for St. Luke's Hospital?

23 A. That is correct,

24 Q. Are you board certified in
25 gastroenterology?



1 A. Yes.

2 Q. At **some point** you left **St.** Luke's
3 Hospital to come over to University and
4 Suburban Hospitals; is **that** correct?

5 A. **About eight years** ago.

6 Q. And you do now a general internal
7 medicine practice?

8 A. **Gastroenterology** as well as having
9 a practice on the side as well.

10 Q. Now, I received a letter from you
11 dated December the **19th**, 2989, and I am going
12 to ask you, prior to writing **this**
13 correspondence, what materials did you go
14 over?

15 A. **None.**

16 Q. Did you go over the medical records
17 of the patient?

18 A. **Yes.**

19 Q. Did you reference --

20 A. I thought you meant references.

21 Q. Did you reference any literature?

22 A. No, I didn't.

23 Q. Have you since then referenced any
24 literature in preparation for this deposition?

25 A. No. I **pause** there, because I had a

1 grand rounds, but it was a grand rounds on
2 high -- colonoscopy in high risk groups and
3 screening high risk patients, so it covered
4 some of the screening areas.

5 Q. Now, we are here in the library of
6 your medical practice; is that correct?

7 A. Yes.

8 Q. And I see among us in the library
9 the Journal of Lancet.?

10 A. Yes.

11 Q. And the Journal of Cancer?

12 A. Yes.

13 Q. Those are two journals which your
14 practice subscribes to?

15 A. The building does, yes.

16 MR. GOLDWASSER: Let's be fair. We
17 are in a very large suburban medical building,
18 which also is an ambulatory surgical center.

19 A. I'm not; sure we subscribe to
20 Cancer. Cancer, yes. I was wondering whether
21 we had CA, which is the Journal of The American
22 Cancer Society, which is a --

23 Q. Do you yourself read the Journal of
24 Cancer?

25 A. I will. I will when I'm looking

1 for something specific **and** they have that
2 article in **it**,

3 Q. **And Gastroenterology**, is that a
4 periodical that you would read?

5 A. **Yes.**

6 Q. **And Lancet**, is that a periodical
7 that you would read if **there** is articles in it
8 about gastroenterology?

9 A. **Yes.** If **there** is an article,
10 again, pertaining to a subject **that** I might
11 want to read **about**.

12 Q. As far as general reference books
13 in gastroenterology, I see **one** of them on the
14 shelves here that's **Sleisenger** and Fordtran?

15 A. **Yes.**

16 Q. Is **that** a book that you would
17 utilize from time to time in your practice,
18 doctor., for reference material?

19 A. **Not that one.**

20 Q. which one would you use?

21 A. Fourth edition.

22 Q. When **that** was current, you would
23 have used the third ed; is that correct?

24 A. **Yes.**

25 Q. Do you know Dr. Owens, Dr. Frank

1 Owens?

2 A. I **think** I know who he is. I
3 certainly have met him at a meeting. I haven't
4 talked to him in **maybe** five, maybe eight
5 years.

6 But you do know him?

7 A. I do know him. I know him enough
8 to say -- after, say a city-wide meeting,
9 passing by, say hi, and he would say hi back.

10 Q. Do you belong to any associations
11 with him, medical associations, with him?

12 A. I don't think he belongs to the
13 Academy of Medicine. I don't know what
14 societies he belongs to, if he **belongs** to the
15 American Gastroenterologic Association, but
16 it's not like social --

17 Q. Right.

18 A. -- or even anything around town
19 that I *see* him at.

20 Q. I just wanted to know if you belong
21 to any associations **with** him.

22 A. I don't know.

23 Q. Have you ever testified as an
24 expert.. in a medical malpractice case?

25 A. Yes.

1 Q. About how many occasions?

2 A. Too many. Probably one every six
3 months.

4 Q. And for what period of time would
5 that have been?

6 A. Maybe ten years.

7 Q. Has most of your testimony been on
8 behalf of the physician?

9 A. Yes.

10 Q. Have you ever testified against a
11 physician in the Northern Ohio area in a
12 malpractice case?

13 A. I don't know. I might have, but I
14 don't know -- I can't; recall a case.

15 Q. Do you have a recollection?

16 A. I can't recall doing that.

17 Q. Have you ever had an opportunity to
18 read the deposition of Dr. Owens?

19 A, No, I don't think I have,

20 MR. GOLDWASSER: Do you want to
21 know what I sent him, Bill, or don't you care?

22 MR. GREENE: Sure.

23 MR. GOLDWASSER: I was just looking
24 at my letter. I sent him the records of
25 Cleveland Clinic that are relevant to this. I

1 didn't send him everything. I sent him the
2 Cleveland Clinic admission of **March** of 87.

3 I also sent the doctor a memorandum
4 prepared by my paralegal, and I sent him a
5 report, of the Plaintiff's expert. The
6 memorandum is just a summary of **the** medical..
7 **records.**

8 Q. Doctor, you have a copy of your
9 report in front of you, do you not?

10 A. Yes.

11 Q. Now, you wrote this report on
12 December 19th, 1989?

13 A. Yes.

14 Q. Had Dr. Owens saw Mrs. Petrilli on
15 December the 18th, 1989, would your criticism
16 or opinion of **this** case be any different?

17 A. Yes.

18 Q. In what respect?

19 A. I think in the past four years,
20 colonoscopy has **become** used more frequently,
21 and I think double contrast barium enema
22 **studies** have come on as a more accurate test.
23 I think **the numbers** for them picking up
24 right-sided lesions would be such that one of
25 the two studies -- an argument could be **made**

1 very much more strongly now for doing one of
2 the two studies in that particular case. I'm
3 not sure back in 1985 things were that way.

4 Q. Is it your opinion then, doctor,
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25



1 which appeared in the Journal of the American
2 Medical Association in 1989?

3 MR. GOLDWASSER: Bill, let's read
4 it into **the** record.

5 MR. GREENE: This is Detection and
6 Surveillance of Colorectal Cancer,

7 A. I've read this, yes, I haven't
8 read it lately, but I'm familiar with it, yes.

9 Q. Let me see **this** just for a second,
10 MR. GREENE: I can give you a copy
11 of it.

12 MR. GOLDWRSSER: Just for the
13 record, it appears in JAMR, January 27, 1989,
14 authored by Fleischer, et al.

15 MR. GREENE: Thank you.

16 Q. Are you a member of the American
17 Gastroenterological Association?

18 A. Yes.

19 Q. And referencing this article which
20 you read, this article was, I believe, approved
21 by the governing body of the American Society
22 of Gastrointestinal Endoscopy and the American
23 Gastroenterological Association, do you recall
24 that?

25 A. I don't recall it, but --



1 Q. And one of the signers off on this
2 article is Dr. Victor Fazio, chairman of the
3 division of colorectal surgery of the **Cleveland**
4 **Clinic Foundation**. Do you know Dr. Fazio?

5 A. Probably as well as I know Francis
6 Owens.

7 Q. Do you know what type of stool
8 guiac test Dr. Owens administered on Mrs.
9 Petrilli?

10 A. I would have to review the records,
11 but I'm sure it was a hernocult test.

12 Q. Doctor, do you treat patients,
13 middle-aged women who present with rectal
14 bleeding, with a history of rectal bleeding?

15 A. Yes, I do.

16 Q. And if, after your workup -- I'm
17 referencing 1985 now --

18 A. 1985. **This** is important with the
19 hemocult **test**..

20 Q. I understand that. Referencing
21 1985, you saw patients in 1985, middle-aged
22 women who presented with a **history** of rectal
23 bleeding; is that **correct**?

24 A. Yes.

25 Q. After your taking the history and

1 doing the physical exam, in your differential
2 diagnosis, you listed colon **cancer** as a
3 possibility, **polyps**, adenomatsus polyps as a
4 possibility, along with all of the number of
5 other things **that** you might **list**, would it be
6 your obligation to rule out colon cancer or
7 adenomatous polyps if they appear in your
8 differential?

9 A. Obviously, the way you phrased the
10 question, it would **be** yes.

11 Q. I'm asking you to **assume** that Dr.
12 Owens did a differential, and in his
13 differential he had colon cancer in Mrs.
14 Petrilli and **adenomatous** polyps.

15 A. Yes.

16 MR. GOLDWASSER: Is that the facts
17 in this case?

18 MR. GREENE: That's Dr. Owens'
19 testimony.


20 MR. GOLDWASSER: That is the
21 testimony?

22 A. It's not in his notes, but --

23 MR. GREENE: That's his testimony.
24 I could reference you page number, if you want,
25 right now.



1 MR. GOLDWASSER: Would you? Do you
2 have it there?
3 MR. GIIEENE: Yes, I do.
4 MR. GOLDWASSER: He hasn't seen the
5 testimony.
6 A. I haven't seen the testimony, but
7 if it's in his notes, fine.
8 Q. It's not in his notes. It's in his
9 deposition testimony.
10 A. Okay.
11 Q. Page 31, line 11, Dr. Owens'
12 testimony, I asked him --
13 MR. GOLDWASSER: I believe you,
14 You just; tell me where it's at, that's all,
15 MR. GREENE: Page 31, line 11.
16 MR. GOLDWASSER: And where else?
17 He said that at the time he saw this lady he
18 had a differential diagnosis of cancer?
19 MR. GREENE: Yes, colon cancer.
20 MR. GOLDWASSER: Okay. What else
21 did he say?
22 MR. GREENE: He said it was, in his
23 differential, adenomatous polyps.
24 O. Were you familiar with the fact
25 that Mrs. Petrilli complained, arrd I believe



1 it's in your correspondence,. of **having** blood in
2 her bowel movement, referencing your third
3 sentence, **bright red blood** in her bowel
4 movement; is that correct?

5 A. It was seen in the form of stool,
6 yes.

7 Q. And the bright red blood that was
8 seen in her bowel movement was described by
9 Mrs. Petrilli in her medical records of being,.
10 quote, unquote, **braided** in her stool. Are you
11 familiar with that?

12 A. Yes.

13 Q. Does braided in her stool mean
14 anything to you, doctor?

15 A. I think Mrs. Petrilli, in looking
16 at that; note, I would think Mrs. **Petrilli**
17 looked at her stool and saw blood mixed on the
18 top of it, or braided in, yes.

19 Q. Well, does the word "braid" have
20 any significance to you?

21 A. I'm not sure it does, no. I don't
22 think it does.

23 Q. All right. The word "braid" means
24 to weave or intertwine, does it not, doctor?

25 A. Fine.

1 MR. GOLDWASSER: We don't know if
2 that's what she meant. That's quote, unquote,
3 whatever she meant by braided.

4 MR. GREENE: Don't testify. Let
5 him testify.

6 A. Unless she is **extremely compulsive**,
7 I would think she would be like any **other**
8 patient and look at her stool., the surface, and
9 *see* there is blood in there,

10 Now, **braided** may be what's on
11 there, but I doubt if she picked it up in her
12 hands and went through **it** and sifted to see if
13 **there** was blood in the center as **opposed to the**
14 surface.

15 Q. She saw **blood** in her **stool**?

16 A. On the surface.

17 Q. **Well**, according to the note, Dr.
18 Owens' note, **it says blood in her stool, does**
19 **it not**, his note? Do you want to reference his
20 note?

21 A. Blood in her stool and on the
22 toilet **paper**.

23 Q. And on the toilet paper. **That's**
24 correct?

25 A. That's correct.

1 Q. Now, do you know whether or not Dr.
2 Owens was **able** to demonstrate bleeding from the
3 hemorrhoid which he said he saw, the internal
4 hemorrhoid?

5 A. No, he wasn't. It was not bleeding
6 and there was no -- and it was hernocult
7 negative, the stools.

8 Q. Well, getting back to your
9 differential, in 1985 if colon cancer appears
10 in your differential of a woman who **comes** in
12 with complaints of **bright** red blood in her
12 bowel movement and on the toilet paper, you
13 would have an obligation, would you not, to
14 rule out the cancer or the polyp; is that
15 correct?

16 A. As you phrased the question, yes, I
17 would.

18 Q. Okay.

19 A. If you would -- at some point I
20 would like to make the point.

21 Q. I'll let you explain a **Little** bit
22 later on, but Mr. Galdwasser is going to have
23 you at trial and you will be able to do a lot
24 of talking there.

25 A. Okay.

1. Q. And, doctor, you would agree that-
2 there is substantial evidence to suggest that
3 most of:, most, if not all, colorectal cancers
4 arise from preexisting adenomatous neoplastic
5 polyps; is that correct?

6 A. That's correct.

7 Q. And these polyps -- you are
8 familiar with the guidelines for screening of
9 the American Cancer Society as to colorectal
10 cancer? Are you generally familiar with
11 those?

12 A. I am.

13 Q. Okay. And in a middle-aged woman,
14 the guidelines provide for, among other things,
15 a sigmoidoscopy every three to five years?

16 A. Yes.

17 Q. And that's based upon the fact that
18 it's known that colorectal polyps grow slowly;
19 is that correct?

20 A. It's based on the fact that
21 approximately 70 percent of colorectal cancers
22 occur within the reach of a sigmoidoscope.

23 Q. But that's the three to five-year
24 interval, doctor. It's based upon the fact
25 that once there is a clean colon seen, or at



1. least sigmoid colon seen, that it would take at
2. least three to five years for a polyp to grow?

3. A. That's correct.

4. Q. And some of those polyps go on and
5. become cancerous, don't they?

6. A. Yes.

7. Q. And it takes a number of years for
8. those polyps to go through the polyp/cancer
9. transformation, doesn't it?

10. A. Yes, it does.

11. Q. And it's thought that the least
✓12. amount of time it would take for a polyp to
13. become a cancer is two years; isn't that
14. correct, doctor'?

15. A. Yes.

16. Q. And it may be --

17. A. Now, wait a minute now. I don't --
18. I'm not sure that that's correct. I mean, I
19. understand what you are saying, but I think
20. from my point of view, I don't think I could
21. agree a hundred percent there.

22. Number one, there are certain
23. cancers that are very malignant in their
24. activity and very aggressive and they don't
25. take a period of time to go from adenomatous to

1 villus to cancer. I think there **are** very **small**
2 polyps that are very aggressive and they don't
3 take two years to go.

4 Q. Well, doctor, isn't the estimate
5 actually that it takes them seven to ten years
6 for an adenoma to progress to a malignant
7 cancer?

8 A. Those are certain studies based on
9 probably -- well, it's more the **work** of a
10 pathologist, but I **could** quote you other
11 studies that those numbers are off, that there
12 are certain conditions, particularly
13 right-sided colon cancers, that **don't** behave
14 that., way.

15 Q. But first you have **an adenomatous**
16 polyp growing from normal **gastric** mucosa?

17 A. Not necessarily.

18 Q. But that is the generally accepted
19 theory, that almost all colon cancers --

20 A. The theory begins with screening.

21 Q. And the general **theory** put forth by
22 the ACS and your association, doctor, for the
23 **three to five years** for a sigmoidoscopy is
24 based on evidence and estimates of **seven to ten**
25 years for an adenoma to progress to a malignant

✓1 cancer; isn't that correct?

2 A. Yes, that's correct.

3 Q. In a study by Gilbertson and Nelms,
4 no patient was found to have a rectal cancer
5 within seven years of a negative sigmoidoscopy;
6 isn't that correct?

7 A. That's correct.

8 Q. In mathematical modeling, it's
9 suggested there is an interval of three to five
10 years between examinations because that would
11 appear to be safe, would it not?

12 A. That's correct.

13 Q. Now, early colorectal cancer
14 produces no symptoms in general; isn't that
15 correct, doctor?

16 A. I think that's a broad
17 generalization. I think it depends where the
18 cancer is. You can have a rectal, cancer that
19 will bleed bright red blood.

20 Q. But, doctor, early --

21 A. Early.

22 Q. -- early cancer may produce no
23 symptoms at all?

24 A. Early cancer may produce no
25 symptoms.

1 Q. And a lot of what you do -- do you
2 do colonoscopies, doctor?

3 A. Yes.

4 Q. Did you do colonoscopies in 1985?

5 A. Yes.

6 Q. As a matter of fact, colonoscopies
7 have been around since 1971, haven't they?

8 A. I'm trying to think. 6970.

9 Q. When did you start doing them?

10 A. 1970.

11 Q. And double contrast barium enemas,
12 how long have **they** been around?

13 A. I'm not sure, because I never
14 really was a big fan of double contrast barium
15 enemas until last year or the year before.

16 Q. Rut they have been around **for** some
17 time, haven't they?

18 A. Maybe -- I don't know if -- how
19 germane **they** are to **this** discussion. I'm **not**
20 sure what they were doing with double contrast
21 barium enemas in 1985,

22 Q. Barium enemas have been around for
23 a long time, haven't they, doctor?

24 A. That's a difference.

25 Q. They have **then**?

1 A. But I'm sure you know there is a
2 big difference between the barium enema and
3 the --

4 Q. I understand **that**, but barium
5 enemas have been around for a long time,
6 haven't they, doctor?

7 A. Yes.

8 Q. Now, in 1989, why would you **have**
9 looked on the right **side** of Mrs. Petrilli's
10 colon if you were her treating **doctor**?

11 A. I'm not sure you're going to like
12 **this** answer, but I think we **are** more --

13 MR. GOLDWASSER: I'm going to
14 **object to the question.**

15 A. I think **we** are more mechanical, or
16 **more** technician oriented in 1989. 1985 and
17 earlier, we **were** still relying quite a **bit** on
18 clinical judgment and **the** art of medicine, and
19 I think as the years have gone by, for a number
20 of reasons, I think one of which is, quite
21 frankly, financially you can **justify** it, **where**
22 before I think people would say **what** the hell
23 are you doing putting a colonoscope in
24 everybody who walks in your **office.**

25 So I think in 1989 **we** are more apt



1 to be blind and not rely as heavily on history
2 and physical examination.

3 Q. Did you train under Dr. Dworken?

4 A. Yes, I did.

5 Q. Do you accept him as being someone
6 who has expertise in the area of the diagnosis
7 of colorectal cancer?

8 A. I think as much as I do,

9 Q. But you did train under him?

10 A. Yes.

11 Q. Do you accept that you can yet.

12 bright red blood on the stool from a
13 right-sided Pesion?

14 A. I don't accept that.

15 Q. You don't accept that?

16 A. I don't accept that.

17 Q. Okay.

18 A. I think if you read my letter, I
19 have never seen that,

20 Q. You haven't seen that?

21 A. I've never seen it.

22 Q. Doctor, your letter says, to be
23 fair, you have never seen in 20 years bright
24 red bleeding -- I have never in my 20 years of
25 practice as a gastroenterologist seen a right

1 colon to present with a history of bright red
2 blood on the tissue and hemocult negative
3 stool. Isn't that what you said?

4 A. Yes, and I can expand that to say
5 bright- red blood on the stool.

6 Q. Are you saying that's not well
7 documented in the literature, doctor, that
8 bright, red bleeding can present --

9 A. I'm saying 20 years of experience
10 as a gastroenterologist holds up darn well
11 against your quoti-rig little --

12 Q. The lit --

13 A. -- little remarks within the
14 Literature.

15 Q. Doctor, the literature --

16 MR. GREENE: Am I interrupting?

17 MR. GOLDWASSER: You are
18 interrupting the witness.

19 MR. GREENE: I'm sorry.

20 A. I would like to stand on that. I
21 think 20 years of practice as a
22 gastroenterologist means a hell of a lot more
23 than somebody researching the literature.

24 As somebody in academic medicine,
25 I'm quite aware that any point of view I want

1 to take in an academic argument I can go to the
2 literature and find something to back me up, so
3 you can quote me articles all day long, and I
4 know you **will**, hut **that** doesn't change my
5 clinical impression of how I see things at the
6 moment.

7 Q. Are you done?

8 A. Yes.

9 Q. Doctor, have you seen right-sided
10 colon cancers presenting with bright red blood
11 in the stool and a positive guiac test?

12 MR. GOLDWASSER: What was that last
13 one?

14 MR. GREENE: Positive guiac test.

15 A. No.

16 Q. Doctor, **the** literature is made up
17 not only of a singular gastroenterologist's
18 experiences, but also in part **the** collective
19 experiences of many doctors and many health
20 centers throughout the country; isn't that
21 correct?

22 A. I think the literature, if it's
23 quoted properly, would be just **what** you said,
24 yes.

25 Q. And --



1 A. And by quoted properly, I mean not
2 to grind in an ax of a point of view.

3 Q. Doctor, would you agree that rectal
4 bleeding, visible or occult, is commonly
5 **associated** with colorectal cancer?

6 A. That is correct.

7 Q. Would you agree that the passage of
8 bright, **red** blood per the rectum is **most** often
9 seen with lesions of the rectosigmoid?

10 A. Yes.

11 Q. Would you agree that it can also **be**
12 seen with cancer anywhere in the colon?

13 A. Not as a symptomatic presentation.

14 Q. Okay. Just *so* I can be clear, I'm
15 reading from a special communication that I
16 **referenced** earlier which says rectal **bleeding**,
17 visible **or** occult, is commonly associated with
18 colorectal cancer. The passage of bright red
19 blood per the rectum is most often seen with
20 lesions of the rectosigmoid, but can be seen
21 with cancer anywhere in the colon.

22 Do you disagree with that?

23 A. No. No. I think incidental bright
24 **red** blood on the surface of a **stool** can be seen
25 with cancer of the brain; it can be seen with



1 cancer of the lung, but it doesn't mean that
2 that particular cancer is causing the symptom.

3 Q. Okay. So what you are saying,
4 doctor, is that even though there is bright red
5 blood seen on the stool with cancers anywhere
6 in the colon, it wouldn't be seen from cancer
7 on the right side of the colon?

8 A. It wouldn't be the presenting
9 symptom of right-sided colon cancer.

10 Q. It would not?

11 A. It would not.

12 Q. Do you have any literature that
13 supports that;, doctor?

14 A. I don't at the moment. I can say
15 in my 20 years of experience I've never seen
16 it.

17 Q. I'm just asking, doctor, do you
18 have any literature that supports that?

19 A. That supports that point, no.

20 Q. How many right-sided colon cancers
21 have you treated?

22 A. Triple figures. I don't know. I
23 don't --

24 Q. Would it be over a hundred?

25 A. Over a hundred, yes.

1 Q. Over 200?

2 A. Possibly. I don't put notches on
3 my colonoscope, but I'm sure there are over a
4 hundred, yes.

5 Q. But would it be over 200?

6 A. Possibly.

7 Q. Would it be over 300?

8 A. I have no idea. I would
9 probably -- it might be. I don't know.

10 Q. Isn't it also true, doctor, that
11 the literature states, in essence, that
12 hemorrhoidal bleeding in a middle-aged person,
13 hemorrhoidal rectal bleeding, should never be
14 attributed to hemorrhoids without an
15 examination of the entire colon?

16 A. Without a sigmoidoscopy.

17 Q. Isn't it also true that, as stated,
18 it should be a diagnosis of exclusion which
19 would involve looking at the entire colon?

20 A. With a colonoscopy for bright red
21 bleeding on the tissue and the surface of the
22 stool., I think that can be argued.

23 Q. in stool, doctor.

24 A. On the surface of stool.

25 Q. Do you know where the blood was,



1 doctor?

2 A. Do you know where it was? I think
3 it was on the surface of the stool.

4 Q. Is there a difference, in your
5 mind, between blood on the surface of the stool
6 and blood in the stool?

7 A. Blood in the center of the stool,
8 yes, would mean, I think, to me that it might
9 be 35, 40, up a little higher, yes, as opposed
10 to -- do you want to hear where I think the
11 symptom of blood in the stool, how it goes, as
12 far as where you are looking for a lesion?

13 Q. Yes.

14 A. If you do, I would say that bright
15 x-ed blood seen in the commode, whether it be
16 free or on the surface of the stool, would come
17 from, in all probability, from the left side of
18 the colon within the region of the
19 sigmoidoscope.

20 Q. What size sigmoidoscope are you
21 talking about, doctor?

22 A. Flexible sig.

23 Q. 35 centimeters?

24 A. A flexible sig is a 50-centimeter
25 scope.



1 Q. Did Dr. **Owens** look up to 50
2 centimeters?

3 A. He went to 35.

4 Q. So he missed everywhere between 35
5 and 50 **that** you think the blood might have come
6 from?

7 A. Well, again, I'm saying that we
8 are -- you know, you are going to -- I think
9 they have 15 centimeters I can't account for.
10 I think if we were arguing today a case where a
11 colon cancer was missed on the left side of the
12 colon, I wouldn't **be** testifying, because I
13 would say **it** was missed,

14 Q. Doctor, would you agree that, in
15 **all** probability, that cancer **was** on the right
16 side of the colon?

17 A. Excuse me, you didn't let **me** finish
18 my explanation of where the blood in the stool
19 comes from.

20 Right-sided lesions, to me, would
21 ordinarily present as hemocult positive
22 presentations, occult bleeding.

23 If there is an erosion into a
24 vessel from this lesion, you'll see bright red
25 blood, but it won't be in the form of stool;

1 you'll have Like we had talked about earlier, a
2 massive hemorrhage or bloody diarrhea, but it
3 will not --

4 Q. You can't get bloody stools, red
5 bloody stools, From right-sided lesions; is
6 that correct? Is that what.. you are saying?

7 A. You could have entirely uniform red
8 stools, I have seen that, of a red --
9 semi-formed red, possibly formed, but not
10 braided. Braided to me means it's more going
11 left for the simple reason that by the time the
12 stool moves from the right side to the left
13 side, things happen to it. The stool can --
14 the blood can undergo color changes that will,
15 make it blend in with the stool.

16 Q. In actuality, doctor, isn't the
17 literature quite clear that you can have bright
18 red bleeding on the stool from lesions anywhere
19 in the colon? Can you answer that yes or no?
20 The literature, not your experience, but, just
21 the literature.

22 A. Let me answer your question, but
23 make sure I understand it. You are saying that
24 the literature says -- you are asking me, first
25 of all --

1 Q. I'm asking you, from your
2 understanding, doesn't the literature support
3 the proposition you could have bright red blood
4 on the stool from lesions anywhere in the
5 colon?

6 A. No.

7 Q. Right side or left side?

8 A. No.

9 Q. Thank you. But you know of no
10 literature that supports what you just stated,
11 that you cannot have bright red blood in the
12 colon?

13 A. On the surface of the stool from
14 right-sided colon cancers.

15 Q. In her bowel movement, bright red
16 blood in the bowel. movement from right-sided
17 lesions.

18 A. As we have been talking about, on
19 the surface of the stool or, as you like to
20 call it, braided, as she looks in the toilet at
21 the stool --

22 Q. Whatever you want to say, yes.

23 A. A streak of blood.

24 Q. Where is the literature that says
25 that that; cannot come from a right-sided



1 lesion?

2 A. I'm sure I could show it to you. I
3 mean, it's literature, it's there. I'm not in
4 any --

5 Q. Will you bring that to the trial
6 with you, doctor?

7 A. Oh, I would be happy to.

8 Q. Thank you. As far- as your
9 training, you **were** trained, **were** you not, that
10 hemorrhoidal bleeding is a diagnosis of
11 exclusion?

12 A. Hemorrhoidal bleeding is a
13 **diagnosis** you make when you look with an
14 anoscope.

15 Q. Bright **red** blood **from** a hemorrhoid
16 that you cannot demonstrate **bleeding on is** a
17 **diagnosis of exclusion, is it not?**

18 A. I don't understand what you just
19 said.

20 Q. Okay. We will go on.

21 A. You could rephrase it.

22 Q. I will. I will.

23 A. Okay.

24 Q. Doctor, when you **assume** that. Mrs.
25 Petrilli was guiac negative on **the** examination

1 of July **the** 16th, **are** you assuming that she had
2 a guiac test?

3 A. Hemocult test.

4 Q. **A** hernocult test,?

5 A. Yes,

6 Q. All right. When you give, in 1985,
7 a patient **a** hemocult test, do you follow the
8 general guidelines for the hemocult test of **the**
9 American Cancer Society and your
10 Gastroenterological Association?

11 A. 1985?

12 Q. Yes, 85.

13 A. I tried to.

14 Q. **As** a matter of fact, you are
15 concerned **with** false negatives and false
16 **positives** in that. test, are you not?

17 A. Yes, I am.

18 Q. And --

19 A. More so in 89 than in 85.

20 Q. But you were concerned about that?

21 A. Yes. Actually, I'm not sure I was
22 so sensitive to **the** false negative **rate** in
23 1985. In fact, I can assure you I **wasn't**, In
24 1985, a hemocult test meant a lot more, if it
25 **were** negative, than it does now.

1 Q. Doctor, isn't **it** a fact that if you
2 just take one specimen of stool and do a
3 hernocult test, **that** one specimen can yield a 50
4 percent false negative rate?

5 A. That's correct, in 1989.

6 Q. It was still a 50 percent false
7 **negative** in 1985, wasn't it, doctor?

8 A. That information was not known
9 **then**. It wasn't disseminated then.

✓
10 Q. It wasn't known then?

11 A. It was not disseminated then. I
12 can remember **the** first time I read **that** as a
13 gastroenterologist.

14 Q. Doctor --

15 A. The first time --

16 MR. GOLDWASSER: Let him finish.

17 A. I read that in the American College
18 of Physicians **Medical**, what they call, Medical
19 Knowledge Self-Assessment Syllabus, and **that** I
20 read in 1987, no earlier.

21 Q. So if that appears in the --

22 A. That was the first time, and I feel
23 like I'm a pretty well-educated practicing
24 gastroenterologist. If I learned that in 1987,
25 **then** I'm not going to hold anybody to task for

1 not knowing it in 1985.

2 Q. The recommendation in 1985 -- the
3 recommendation in 1980, doctor, was to do three
4 tests on --

5 A. The three versus one is not the
6 issue.

7 Q. Can I finish, please?

8 A. Yes.

9 Q. All right. -- was to do the test
10 on three consecutive days after proper
11 preparation from two separate pieces of stool
12 on each of those days; isn't that correct?

13 A. That's correct.

14 Q. Isn't that the recommendation in
15 1980?

36 A. That's correct.

17 Q. And that's how you proceeded when
18 you tested patients in 1985, did you not?

19 A. No. I did a rectal exam and
20 checked their stool for blood. If it was
21 negative, I assumed it to be negative, as
22 Francis Owens did.

23 Q. If it was negative, you were
24 looking in the face of a 50 percent false
25 negative rate, were you not?



1 not knowing it in 1985.

2 Q. The recommendation in 1985 -- the
3 recommendation in 1980, doctor, was to do three
4 tests on --

5 A. The three versus one is not the
6 issue.

7 Q. Can I finish, please?

8 A. Yes.

9 Q. All right. -- was to do the test
10 on three consecutive days after proper
11 preparation from two separate pieces of stool
12 on each of those days; isn't that correct?

13 A. That's correct.

14 Q. Isn't that the recommendation in
15 1980?

16 A. That's correct.

17 Q. And that's how you proceeded when
18 you tested patients in 1985, did you not?

19 A. No. I did a rectal exam and
20 checked their stool for blood. If it was
21 negative, I assumed it to be negative, as
22 Francis Owens did.

23 Q. If it was negative, you were
24 looking in the face of a 50 percent false
25 negative rate, were you not?

1 A. And I still am.

2 Q. But you don't rely on that test to
3 proclaim that your patient's stool is either
4 positive or negative; isn't that correct,
5 doctor?

6 A. Well, I did that.

7 Q. You did?

8 A. Yes.

9 Q. You are familiar, though, with the
10 fact that **that test was** recommended on three
11 separate days as long ago as 19803

12 A. Yes, but, see, we **are** talking about
13 somebody coming in **the** office with a complaint
14 of bright red blood.

15 In those days, in 1985, we would
16 assume **that** if **it were** positive then, basically
17 it would probably be positive immediately
18 during the exam. The concept of how falsely
19 negative the hernocult test could be really has
20 evolved more so -- and been emphasized much
21 more since **then**.

22 Q. Doctor, I'm reading from an article
23 in 1980 that appeared in Cancer, Screening for
24 Colorectal Cancer.

25 A. Screening for Colorectal Cancer,



1 and let's keep it in context.

2 Q. Can I finish my question?

3 A. No. Let me finish what I'm
4 saying.

5 Q. In 1980 in Cancer, the Journal of
6 Cancer, they are recommending a test, the
7 patient prepare six **smears** in **three** days from
8 different parts of stool. specimens; isn't that
9 correct?

10 A. **Now**, let me explain, if we are
11 screening a population for colon cancer.

12 Q. I'm going to ask you, first, isn't
13 that correct,?

14 A. I'm not going to answer that
15 question, because you cut me off from before.
16 I'm going to answer as I think it should be
17 answered.

18

19

20

21

22

23

24

25

1 MR. GOLDWASSER: I disagree with
2 you. You and I went through this about ten
3 years ago,

4 I want you to know, as a lawyer, I
5 don't agree with him. So you heard a lecture
6 on what: Bill Greene thinks the law is, and I
7 disagree.

8 A. Okay, all right.

9 Q. Doctor, I'm reading to you from an
10 article in 1980, and I'm only asking you, are
11 you familiar with the article that appeared in
12 Cancer, Early Detection of Colorectal
13 Carcinomas?

14 A. Who is the author?

15 Q. Gilbertson.

16 A. Yes.

17 Q. Victor Gilbertson you would
18 consider to be an expert in the area of
19 colorectal cancer?

20 A. Screening, screening large
21 populations for colorectal cancer. I don't
22 know if he never --

23 Q. In 1980, the conclusion of his
24 article, doctor, he says in the second last
25 sentence, colonoscopy, this is 1980, is



1 recommended as an integral portion of
2 diagnostic evaluations of persons found to have
3 blood in the stool.

4 Would you agree with that?

5 A. Hemocult positive blood in the
6 stool, yes.

7 Q. We would agree in 1985, around
8 Memorial Day, that Mrs. Petrilli had blood on
9 her stool --

10 A. She was hemocult negative.

11 Q. Correct, and you think that she was
12 hemocult negative in 1980 with visible blood in
13 her stool?

14 A. I have no evidence in any records
15 that she was ever found to be hemocult
16 positive.

17 Q. In **other** words, doctor, if you *see*
18 gross blood on the stool, you consider that to
19 be hemocult negative?

20 A. If I'm testing, I see gross blood,
21 I'll test it with guaiac test and it will turn
22 blue.

23 Q. If she had **gross** blood. an her
24 stool, you would assume she **was** hemocult
25 positive at that-, time?

1 A. If my office and I checked her and
2 she was hemocult negative in 1985 --

3 Q. I'm not asking you that.

4 A. I think that was one of the **issues**
5 **here.**

6 Q. If a patient has blood on her
7 stool, gross blood, she is considered to **be**
8 hemocult positive, is she not?

9 A. No, she isn't. It means you put a
10 sample from **a** finger or a stool on a card, put
11 a developer on **there**, and it turns blue. That
12 is by definition hemocult positive.

13 Q. You would assume --

14 A. I **try not** to make assumptions.

15 Q. Doctor, if she had visible blood on
16 her stool and the portion of her stool **that** has
17 blood on **it** is put on a hemocult **card**, she is
18 hemocult positive, isn't; she?

19 A. Yes, she is.

20 Q. It would be fair **to say that** in May
21 of 1985, from what you know about hemocult
22 tests, that she would have been hemocult
23 **positive** on those days -- I'm not talking about
24 later on; on those days -- isn't that fair to
25 say?



1 A. I think there are variables.
2 Q. Is that fair to say?
3 A. I think there are variables of what
4 you are saying.
5 Q. You can't answer that yes or no?
6 A. I don't know about her stool, if it
7 were positive or not. I think if you found
8 blood on somebody's skin or on tissue and you
9 tested it for being hemocult positive, it would
10 be hemocult positive, but that's not saying
11 it's coming from the GI tract, number one.
12 It's not saying her stool is positive, number
13 two.
14 All I'm saying is if she had gross
15 visible blood on her stool, that would be
16 considered to be hemocult positive, would it
17 not? Is that a fair statement?
18 A. Yes. I think that would be a fair
19 statement.
20 Q. And you would also agree that
21 colonic neoplasms, such as carcinomas and
22 polyps, bleed intermittently, do they not?
23 A. Yes, they do. They may. I mean,
24 they may, yes.
25 Q. That's one of the reasons, among

1 other things, why you do **these** tests, the stool
2 guiac tests, on successive days to try to
3 reduce the false negative rate by doing
4 successive stool samples on different days
5 because they bleed intermittently? That's one
6 of the reasons; correct?

7 A. Yes.

8 Q. So the fact that she was guiac
9 negative on one test on July 16th, 1985 doesn't
10 mean that she would have been guiac negative on
11 July 17th, 1985; is that correct?

12 A. Yes.

13 Q. Would you agree, doctor, in 1985,
14 with Dr. Gilbertson that **colonoscopy** is
15 recommended as an integral portion of
16 diagnostic evaluations of persons found to have
17 blood in the stool?

18 A. Guiac positive blood in the **stool**.
19 Guiac positive stool, I would consider that,
20 yes.

21 Q. Well, would you agree with his
22 statement that persons found to have blood in
23 the stool, that colonoscopy is an integral
24 portion of the diagnostic workup?

25 A. No. I think you are taking it out

1 of context.

2 Q. Now, doctor, had Mrs. Petrilli not
3 had an internal hemorrhoid when she was
4 examined in 1985, would you have expected Dr.
5 Owens to then search for a bleeding point that
6 was responsible for her bloody stools?

7 A. I think he -- I would have -- if
8 there were no hemorrhoid --

9 Q. No hemorrhoid.

10 A. No hemorrhoid, I would have done a
11 sigmoidoscopy, no hemorrhoid bleeding.

12 Q. If your sigmoidoscopy was negative,
13 you would still have not identified a cause for
14 her colorectal bleeding; is that correct?

15 A. That's correct.

16 Q. And you then would have looked
17 further, would you not, doctor?

18 A. I'm not sure I would have.

19 Q. Do you know either way?

20 A. I can probably cite you cases where
21 I didn't.

22 Q. Would you have --

23 A. In 1985.

24 Q. Would you have scheduled her for a
25 stool yuiac test?



1 A. I would have thought pretty much
2 about that, yes.

3 Q. Would you have suggested a followup
4 stool guiac test for this woman at any time in
5 the future?

6 A. Yes.

7 Q. Six-month interval?

8 A. Within six months,

9 Q. Now, you are aware that Dr. Owens
10 recommended no followup on this patient, are
11 you not?

12 A. I see no followup,

13 Q. And that would not be consistent
14 with acceptable practice in 1985 not to follow
15 up on this patient with a stool guiac test at a
16 six-month interval?

17 MR. GOLDWASSER: Now, wait a
18 minute. Your premise is he found no internal
19 hemorrhoids, In his record, he found internal
20 hemorrhoids.

21 Q. Let's go back, doctor. What
22 percentage of the female population past 50
23 have internal hemorrhoids?

24 A. That have internal hemorrhoids, I
25 would say probably 50 percent.

1 Q. And there is a difference, in this
2 type of examination, if you are able to
3 demonstrate bleeding from the hemorrhoid as
4 opposed to when you see a hemorrhoid that's not
5 bleeding; isn't that correct?

6 A. That's correct.

7 Q. And in this case, Dr. Owens was not
8 able to demonstrate bleeding from that
9 hemorrhoid?

10 A. That's correct.

11 Q. So let's say assuming he saw the
12 hemorrhoid and thought that was the reason
13 far --

14 A. We're talking hemorrhoids?

15 Q. Let's talk hemorrhoids for a
16 second.

17 If he saw **hemorrhoids** and thought
18 that was the reason for the **bleeding** but was
19 unable to demonstrate bleeding **from** that
20 hemorrhoid, would you have expected him to have
21 recommended a followup for her?

22 A. I would. **have told** her to come back
23 if she *sees* some more bleeding,

24 Q. Doctor, the recommendations for
25 screening in 1985 of asymptomatic patients with

1 no bleeding at all would be to do yearly stool
2 guiacs; is that correct?

3 A. That's correct.

4 Q. Do you have any **indication** from the
5 records **that** prior to 1985 anybody had **ever**
6 worked up Mrs. Petrilli for colorectal cancer
7 by doing **any** screening **tests**?

8 A. **No**, I don't have any.

9 Q. Would you agree **that** it would have
10 been incumbent on Dr. Owens, given his
11 impression that **this** was hemorrhoidal bleeding,
12 but bleeding that **he** could not demonstrate, to
13 to have informed her of the need for **followup**
14 for her condition?

15 A. Again, I think he would -- he **would**
16 be required **to tell** her **that** if she sees some
17 more rectal bleeding, to get in there soon so
18 **he** could investigate **it**.

19 Q. would you expect him to inform her
20 of the **need** for **followup** stool guiacs?

21 A. I think as a general health issue,
22 it would be of some value. I think as **far** as
23 having identified a high risk person, I **don't**
24 think he had.

25 Q. I'm talking about ordinary risk of

1 3 screening. That's population screening that
2 I don't think is very good one way or the
3 other.. I don't think it's a good picker upper
4 of cancer, I don't think it's a good rule out
5 or detector of cancer.

6 So, I mean --

7 Q. You don't think the stool guiacs
8 are really very reliable?

9 A. I don't,

10 Q. So what we have here is a woman who
11 comes in with four days -- with a history of
12 four days of bloody stools --

13 A. Not bloody stools, Blood on the
14 surface of her stool and on the tissue,

15 Q. Doctor, she was described as having
16 blood in her stool by Dr. Owens; isn't that
17 correct? In Dr. Owens' note, it; says blood in
18 her stool?

19 A. Blood that she saw in her stool.

20 Q. And all you know about what the
21 stool looks like is the history that she gave
22 to Dr. Owens?

23 A. No. No. We have the description
24 of braided.

25 Q. Braided blood?

1 A. Yes.

2 Q. which means blood intertwined; is
3 that, correct?

4 A. That's correct.

5 Q. So that would suggest blood in the
6 stool, would it not, doctor?

7 A. Again, we have been **over** this blood
8 on the surface of the stool, and to me the
9 biggest -- go ahead.

10 Q. And once again, Dr. Owens didn't
11 *see* the blood on the stool, you didn't see the
12 blood on the stool, and you didn't even talk to
13 the patient; is that correct?

14 A. That's correct.

15 Q. And Dr. Owens **has** no memory of this
16 patient **other** than what's written in this
17 chart.

18 MR. GOLDWASSER: So what's your
19 question?

20 Q. The point is: You don't know
21 whether they were bloody stools or not bloody
22 stools? They were **stools** with blood on them;
23 correct?

24 R. Stools with blood on them,
2.5 exactly.

1 Q. Blood that the patient
2 recognized --

3 A. Yes.

4 Q. -- as being blood?

5 A. Yes.

6 Q. Now, the object of doing
7 colonoscopies and double contrast barium enemas
8 and sigmoidoscopies by gastroenterologists is
9 to find lesions in a state where they can be
10 removed and a patient can be cured, hopefully;
11 isn't that correct;?

12 A. Yes.

13 Q. That's why you are doing --

14 A. Or to pick up a cancer that you
15 have to deal with.

16 Q. That's potentially curable;
17 correct?

18 A. You pick up incurable ones, too.

19 Q. Is it fair to say that, in all
20 probability, which is 50 percent or more, that
21 that four-by-two centimeter lesion that
22 appeared in her sigmoid colon that we know she
23 had in early 1987 was there either as an
24 earlier cancer or as an adenomatous polyp in
25 1985?

1 A. In all probability.

2 Q. And the colonoscopy that you do,
3 doctor, that you were doing in 1985, has an
4 accuracy rate of over 90 percent in picking up
5 polyps and cancers, doesn't it, doctor?

6 A. Cecal cancer is probably a little
7 lower, 85 to 90.

8 Q. You would expect that, had Dr.
9 Owens done a colonoscopy, that he would have
10 found the lesion in the cecum?

11 A. I think that's debatable.

12 Q. I'm just talking percentages,
13 doctor.

14 A. Percentages we have to figure, what
15 do you get to the colon, what do you get to the
16 cecum.

17 Q. If you didn't get to the cecum, he
18 had available to him a double contrast barium
19 enema?

20 A. I don't think he did in 85. I
21 wouldn't have.

22 Q. Do you know if the Cleveland Clinic
23 was doing double contrast barium enemas in 85?

24 A. I don't know, and I don't know how
25 good they were, if they were.

1 Q. They were doing colonoscopies in
2 the 70's at the Cleveland Clinic, were they
3 not, doctor?

4 A. I would suppose.

5 Q. You have no information to believe
6 that hers was the type of colon that you
7 wouldn't be able to do a colonoscopy all the
8 way to the cecum, do you, doctor?

9 A. I think we had lower rates getting
10 to the cecum in 85.

11 Q. You would have expected, though, he
12 could have done it to the cecum, given the
13 ordinary population?

14 A. I would give him probably about --
15 the Cleveland Clinic, I think Mike Sivak
16 probably says they probably get; there about 80
17 percent of the time.

18 Q. You would have anticipated, in all
19 probability, had they looked at that time, they
20 would have found something in the cecum, to a
21 probability?

22 A. If they could have gotten there.

23 Q. And you would have expected that 80
24 percent of the time they would have gotten
25 there; correct;?



1 A. Yes.

2 Q. And whether or not they would have
3 found a polyp or a cancer, you don't know?

4 A. I don't know.

5 Q. But they would have found a cancer
6 at air appreciably earlier stage, would they
7 not, doctor?

8 A. You know, I really don't know that.
9 either. I --

10 Q. You would assume that., would you
11 not, doctor?

12 A. I would assume that. I would also
13 have to assume this wasn't a very highly
14 virulent cancer, but it could have been a
15 cancer that developed very quickly, and I don't
16 know. You know, I think we have talked over
17 those figures --

18 Q. But in all probability --

19 A. -- and the reservations about the
20 figures of right-sided lesions.

21 Q. But, in all probability, doctor,
22 they would have found it at an earlier stage;
23 correct?

✓ 24 A. Yes.

25 Q. And would you agree, doctor, that

1 if the cancer has arisen and is picked up
2 early, then your chances of being cured are
3 greater the earlier it's picked up?

4 A. Yes.

5 Q. And if the cancer is confined to
6 the bowel wall, you have a 90 percent chance of
7 being cured with an operation?

8 A. That is correct.

9 Q. if the cancer goes in through the
10 bowel wall, it drops to 70 percent total cure?

11 A. Yes.

12 Q. And even if it's in the lymph
13 nodes, there is still about a 50 to 60 percent
14 chance of being cured with an operation?

15 A. 40 to 50.

16 Q. You don't agree with the 50 to 60
17 percent?

18 A. No.

19 MR. GOLDWASSER: What are you
20 reading from, Bill?

21 MR. GREENE: My notes.

22 Q. And you would agree, doctor, that
23 all cancers, other than maybe cancers occurring
24 in a disease called ulcerative colitis, which
25 she didn't; have, all cancers in the regular



1 population arise in a preexisting polyp which
2 is benign?

3 A. No, **that's** wrong. Not all.

4 Q. Let me finish. And starts changing
5 **towards** cancer and then full blown cancer?

6 A. No, I disagree with that.

7 Q. You disagree with that?

8 A. Yes. Because I think you said
9 **all..**

10 Q. You would agree **that** almost all
11 begin with polyps, adenocarcinoma of the colon,
12 begin with a polyp, according to the accepted
13 thinking of- gastroenterologists?

14 A. I would say probably 80 percent
15 again, 80, 85 percent.

16 Q. **Would it** be significant to you as
17 to how much bleeding existed in Mrs. Petrilli,
18 the quantity of blood that she had?

19 A. **Would it** be significant, the
20 quantity of blood?

21 Q. Yes.

22 A. Really, no.

23 Q. And, doctor, you've testified
24 earlier that in 1989 the **standard** would have
25 been to do a colonoscopy and look at the entire

1 Q. And in the Sleisenger and Fordtran
2 book, they state that, in 1983, that the
3 accepted workup when adenomatous polyps appear
4 in your differential is to look at the colon
5 either radiographically or fiberoptically or
6 both; is that correct?

7 A. Yes, I think you did quote that.

8 Q. And so their standards in 1983 are
9 different than your standard in 1983; is that
10 correct?

11 A. I think you are quoting general
12 information. I think my standard is pretty
13 close to Fordtran and Sleisenger and Harvey
14 Dworken in both years, but when we talk about a
15 particular case, I know Harvey would have
16 probably done the same thing.

17 Q. Would have done the same thing
18 what?

19 A. If somebody presented as this
20 person presented.

21 Q. With bright red bleeding?

22 A. Harvey probably wouldn't have
23 colonoscoped him. See, that's where you lose
24 it quoting from books, because I know
25 personally.

1 Q. Dr. Harvey Dworken says that bloody
2 stools may originate from any part of the
3 tract.

4 A. Harvey Dworken is a very cautious
5 arid careful and artistic historian. I think he
6 relies on history.

7 Q. Well, Francis Owens' history -- did
8 Dr. Owens take what you consider to be a
9 complete arid thorough history from this
10 patient?

11 A. I wasn't there.

12 Q. Reading it, does that look to you
13 like it's a complete and thorough history?

14 A. From my own experience, what goes
15 down on the paper and what is done in the
16 office sometimes don't reflect --

17 Q. Well, doctor, in your own
18 experience in taking a thorough history, about
19 how long would it take you to take the
20 history?

21 A. 20 minutes, in a setting like
22 this.

23 Q. Assume that Dr. Owens' consultation
24 was five minutes, Do you think that would be
25 long enough to take a thorough history as



1 colon, whereas in 1985 the standard wasn't for
2 this case; is that correct?

3 A. That's correct.

4 Q. When did the standard change? Was
5 it 86, 87, 88?

6 A. Oh, I would say, in my mind --

7 Q. In your opinion,

8 A. -- probably 87.

9 Q. Okay. Was **there** any particular
10 impost, anything that happened in 1987, any
11 particular advance in gastroenterology that
12 occurred in 87 that wasn't there in 85 that
13 would have led to a changing of the **standards**
14 for colonic investigation of this kind of
15 problem?

16 A. Well, I think, **number** one, I think
17 the failure of **the** guiac testing to, as a
18 negative, show that there were truly no cancers
19 in the colon, or, as a positive, to show that
20 **there** was truly a cancer. But I think more
21 importantly, what I said initially, the
22 negative, the false **negative** rate, became much
23 more generally known.

24 Secondly, I think the air contrast
25 barium enema came into its own population

1 then.

2 I think colonoscopy always, to some
3 degree, varied with the aggressiveness of the
4 person handling the case, so in my own personal
5 philosophy, I got a little more aggressive.
6 That's all.

7 And I got a little less -- I became
8 less confident in my ability as a clinician at
9 that: point to pick it up.

10 Q. Why is that?

11 A. Well, I just think if a hernocult
12 test, if it's negative, I then -- when I find
13 that; information out, that's enlightening,
14 because I relied a lot on the negative hernocult;
15 tests before that, and I relied a lot on the
16 value of a sigmoidoscope examination.

17 Q. But you do --

18 A. So to put the two together, I would
19 say back in 85 I felt very confident. As time
20 evolved, I became less and less, and right now,
21 you present this case to me now, there is no
22 question, what we know, something else could be
23 done.

24 Q. You would look at the whole colon?

25 A. Yes.

1 Q. What you axe saying is between 1985
2 and 1987, in your opinion, the standard
3 changed?

4 A. I would say in 1985 I can probably
5 recall cases just like this I did the same
6 thing.

7 Q. Did any of your cases turn out to
8 be people who had cancer?

9 A. Not that I know of. That's a
10 worry.

11 Q. Doctor, bleeding is thought to be a
12 symptom of early rather than Late colorectal
13 cancer; isn't that correct, in general?

14 A. Bleeding?

15 Q. Yes, bleeding.

16 A. Left-sided colorectal, yes.

17 Q. Doctor, are you familiar with a
18 study khat was done on 2,200 patients with
19 rectal bleeding, was done in 1982 by Dr.
20 Shinya, S H I N Y A? Do you know Shinya?

21 A. Yes.

22 Q. He had a lot to do with the
23 colonoscopy, didn't he?

24 A. If you bent over to tie your shoes,
25 Dr. Shinya would colonoscope you. I think that

1 states his philosophy.

2 Q. In that study of 2,200 patients
3 presenting with rectal. bleeding, they found
4 **that** five percent had bright red rectal
5 bleeding on right-sided colon cancers.

6 A. I would think people who were left
7 handed and were colonoscoped by Dr. Shinya, he
8 would probably find the same **rate**.

9 Q. Are you familiar with that study?

10 A. Five percent to me is a difficult
11 number. I don't know how highly statistically
12 significant that is. I'm not familiar with
13 **that** particular study.

14 Q. He found that 110 patients out of
15 2,200 presenting with rectal. bleeding, bright
16 **red rectal** bleeding, had right-sided colon
17 cancers.

18 A. Yes.

19 Q. That is a significant statistic, is
20 it not?

21 A. Five percent?

22 Q. Yes.

23 A. Did he have a control group?

24 Q. Yes. They had a group --

25 A. How many were in **the** control?

1 Q. I'm just asking, that is a
2 significant statistic, is it not?

3 A. It stinks, unless you give me a
4 control group with the same figures, with zero
5 figure.

6 Q. Well, doctor, in your practice, did
7 you utilize control groups in your experience?

8 A. I'm not quoting the literature to
9 make a point.

10 Q. I'm just saying -- if you have any
11 literature that you want to quote, I'll be glad
12 to look at it,

13 A. I got 20 years; you've got one week
14 of reading literature.

15 Q. No, doctor, I don't think so. I
16 have an expert, also and the Literature that I
17 have gone through is every article probably
18 from 1971 on. If you have an article, I would
19 like to see it,

20 MR. GOLDWASSER: We are not here to
21 argue about it.

22 Q. Well, doctor, you were an expert in
23 a lawsuit against Suburban Hospital a little
24 while ago, were you not?

25 A, I'm not sure, How --



1 Q. Okay. The case is **the** Duren case,
2 does that ring a bell, D U R E N?

3 A. Yes.

4 Q. You **were an** expert there, were you
5 not?

6 A. I had a person run it by **me**, and I
7 went and **gave a deposition**, yes.

8 Q. You **gave a deposition**, did you
9 not?

10 A. Yes.

11 Q. You also wrote **letters**, did you
12 not?

13 A. I must have, yes.

14 Q. And you **felt** it was important at
15 that time **that**; you have literature to support
16 your opinion, did you not?

17 A. I'm not sure.

18 MR. GOLDWASSER: Why **don't** you save
19 it for trial, Bill. Why **give it all** away now.

20 MR. GREEME: Let me do my
21 deposition.

22 MR. GOLDWASSER: Do **it**, do **it**. I
23 mean, you take **all the** intrigue out of it.

24 MR. GREENE: Maybe and **maybe** not.

25 Q. Didn't you **think it** was important

1 at that. time that you find literature to
2 support your opinion that Mr. Duren would have
3 **died** even if there was malpractice?

4 A. I really don't recall,

5 Q. Do you know who Pat Murphy is?

6 A. Yes, I do.

7 Q. Didn't you tell **Pat** that you could
8 not **testify** unless you had literature to
9 support your opinion?

10 A. I don't remember that.

11 Q. Now, doctor, would you agree that a
12 consult over **rectal** bleeding is the most common
13 presenting symptom that can be attributed to
14 colon polyps?

15 A. Yes.

16 MR. GOLDWASSER: What book are you
17 reading from?

18 A. Fordtran and Sleisenger, old
19 edition.

20 MR. GREENE: **The** 1983, because this
21 happened in 1985.

22 MR. GOLDWASSER: All right.

23 Q. And the accepted workup in 1983
24 when colon polyps, **colorectal polyps**, appeared
25 **in** your differential diagnosis was to do either

1 a colonoscopy or a barium enema; isn't that
2 correct? Isn't that the accepted workup?

3 A. If you were -- if you had a strong
4 suspicion of colon polyps, yes

5 Q. What if it appears in your
6 differential diagnosis, doctor?

7 A. It would have to depend on how high
8 up in the differential it was. Certainly that
9 could be criticized. LE one family member had
10 colon polyps, that would be in a differential,
11 and yet you wouldn't colonoscope every family
12 member.

13 MR. GREENE: Would you read that
14 back, please?

15 (Record read.)

16 Q. Doctor, I'm reading you from the
17 Sleisenger book. That was a book that you
18 would consider in 1983 to be an authoritative
19 source of information?

20 A. Yes.

21 Q. Would you agree that in the
22 differential diagnosis of bright red rectal..
23 bleeding -- she did have bright red rectal..
24 bleeding, did she not, doctor?

25 A. Yes.

1 aggressive search for the cancer must be done,
2 including **barium** enema and colonoscopy, in 83?

3 A. Out of context, yes. Broad
4 category, rectal bleeding, yes, but I think we
5 have been over this in the past --

6 Q. I **understand** what **you** are saying.

7 -- from a clinical approach.

8 Q. You would agree that rectal
9 **bleeding** is one of the leading symptoms of
10 colon cancer?

11 A. Yes.

12 Q. Now, doctor, in 1983 in the
13 Fordtran book, they are saying here that
14 formerly one or more stool samples were tested
15 in patients without dietary restrictions and
16 without quality control of guiac reagents.
17 This approach had an unacceptably high rate of
18 false positives and false negatives; isn't that
19 correct? Would you agree with that in 1983?

20 A. I think the hernocult test has gone
21 through so many variations and changes that
22 I -- 1983, that: would be -- sound about right,
23 yes.

24 Q. Well, your 1987 benchmark for a
25 change in what you considered **the** standard

1 practice relied upon information that hemocult
2 tests were not as **accurate** as **they** should have
3 been or as they were presumed to be; is that
4 correct?

5 A. That they were relied on the fact
6 **that** hemocult tests had a much **higher** false
7 negative rate than once considered.

8 Q. The standard that we are talking
9 **about** is not **the** standard for a doctor; it's
10 **the** standard for a board certified
11 gastroenterologist?

12 A. That's correct.

13 Q. And you would agree **that** even by
14 1983 the Sleisenger book was discussing the
15 **perils** of doing one stool guiac sample as
16 opposed to a regimen; is that correct?

17 A. Correct.

18 Q. Now, the second **reason** that you
19 said the standard changed had to do with
20 colonoscopies being in more widespread use?

21 A. No. No. I think **more** aggressively
22 used. It's always been available.

23 Q. Doctor, in 1983, in the Sleisenger
24 book, it says under **the** hemorrhoid section that
25 sigmoidoscopy is mandatory to **detect** neoplastic



1 or inflammatory disease that **may** be responsible
2 for symptoms.

3 **Would you agree with that?**

4 A. **Definitely.**

5 Q. **It goes on to say, and barium enema**
6 **x-rays are advocated in all patients over age**
7 **40 for the same reason.**

8 **Would you agree with that?**

9 ✓ A. **No, not really.**

10 Q. **This was 1983.**

11 A. **Yes, and I think there was**
12 **always --**

13 Q. **It goes on to say, it is important**
14 **that. rectal bleeding not be attributed to**
15 **hemorrhoids unless other, more serious disease**
16 **is excluded.**

17 **Would you agree with that?**

18 A. **I would think in the -- yes, but,**
19 **again, sigmoidoscopy is a very important tool**
20 **here in doing that very thing.**

21 Q. **But sigmoidoscopy will only pick up**
22 **probably 50 percent of the lesions, colorectal**
23 **cancer lesions; correct?**

24 A. **No.**

25 Q. **You don't agree with that 50**



1 percent figure?

2 A. No. And I don't agree -- we are
3 talking about a subgroup of colorectal cancers
4 that present with bright red blood. I would
5 say the sigmoidoscopy would pick up over 80 and
6 probably well into 90 percent.

7 Q. But when you are working up a
8 patient to exclude carcinoma --

9 A. Who presents with bright red
10 blood.

11 Q. -- you have to rule it out, don't
12 you, doctor?

13 A. You do your best to rule it out,

14 Q. Can you rule it out, doctor,
15 without; looking at the entire colon? Can you
16 really rule it out?

17 A. In 1989, I don't think you can,
18 no.

19 Q. The tools that were available to
20 you to rule out cancer of the colon in 1989
21 were all available in 1985, were they not?

22 A. But the attitudes weren't.

23 Q. Dr. Harvey Dworken was the guy that
24 trained you; correct?

25 A. One of them.



1 Q. Someone whose opinion you respect?

2 A. I respect Harvey, I respect
3 Francis Owens, too.

4 Q. Harvey's book was written in 1982,
5 was it not?

6 A. Yes.

7 MR. GOLDWASSER: What's the name of
8 the book and the publisher?

9 MR. GREENE: Gastroenterology,
10 Harvey Dworken, Butterworth.

11 Q. Would you agree that bloody stools
12 may originate from lesions at any level of the
13 tract,?

14 A. What do you mean by bloody?

15 Q. Stools with blood on them.

16 A. No, I don't agree with that.

17 Q. Would you agree, doctor, that
18 diagnosis of adenomatous polyps of the colon is
19 by barium enema and endoscopy in 1982?

20 A. I have to inform you that flexible
21 sigmoidoscopy is endoscopy.

22 Q. I understand that, doctor. You are
23 not informing me of anything.

24 But would you agree with that
25 statement?



1 A. I wanted to make sure you knew
2 that.

3 Q. I'm trying to learn, **doctor**, and
4 maybe you can try to help **me** learn, but would
5 you agree that diagnosis of adenomatous polyps
6 of the colon in 1982 **is** by barium enema and
7 endoscopy?

8 A. Yes.

9 Q. Did Mrs. Petrilli have a barium
10 enema study in 1985?

11 A. No.

12 Q. And if adenomatous polyps appeared
13 in Dr. Owens' differential, he would have been
14 obligated to give her a barium enema, would he
15 **not**, to rule it out?

16 MR. GOLUWASSER: Objection. You
17 may answer.

18 A, I don't -- I have all kinds of
19 trouble with that question. I have a bias
20 against barium enemas being sensitive to pick
21 **them** up, number one.

22 Q. You would rather use a **colonoscopy**
23 to pick up a polyp?

24 A. I would rather use an air contrast
25 barium enema **or** a colonoscopy.

1 Q. Could you rule out adenomatous
2 polyps without, once it appears in your
3 differential, without doing a study of the
4 colon that allowed you to look at the entire
5 colon?

6 MR. GOLDWASSER: Objection.

7 A. My accuracy of ruling out
8 adenomatous polyp that was giving bright **red**
9 blood in the stool. would probably **be** close to
10 90 percent with a flexible sigmoidoscope.

11 Q. You just leave the other ten
12 percent, doctor?

13 A. If the stool is guiac negative, I
14 would trave then.

15 Q. You would **have** let it go on one --

16 A. Told her to come back when **it** was
17 bleeding. I had done that with people.

18 Q. Well, I'm not --

19 A. What can I say?

20 Q. I'm not inquiring whether you did
21 something at; that time that you should have
22 done or shouldn't have done.

23 I'm only asking you: In 1982, **it**
24 was advocated that for a suspicion of
25 adenomatous **polyps**, if **it** appears in your



1 differential-, that the way toⁱ work it up is by
2 looking at the colon, the entire colon; isn't
3 that correct?

4 A. Depends where you think the
5 adenomatous polyp is. If you think it's left
6 sided, no, you do a flexible sigmoidoscope.

7 Q. But you still can't rule it out
8 without looking at the entire colon, can you?

9 A. No.

10 Q. And Dr. Owens did rule it out, and
11 he didn't look at the entire colon; isn't that
12 correct?

13 A. I'm not sure -- see, you are giving
14 information.

15 Q. Assuming it was in his
16 differential-.

17 A. I don't know what he said.

18 Q. Assuming it was in his
19 differential.

20 A. Assuming it was in his differential
21 -- I would have to hear, really, his
22 deposition, actually.

23 Q. Assuming it was in his
24 differential, could he have ruled out
25 adenomatous polyps without looking at the



1 entire colon?

2 MR. GOLDWASSER: Objection.

3 A. I would like to see his
4 deposition. I would like to see how the
5 question was phrased to him and how he answered
6 it.

7 Q. Assuming, doctor, that it was in
8 his differential, that's all I'm asking,
9 assuming it was in his differential --

10 A. I don't feel comfortable answering
11 the question as you put it.

12 Q. Because you don't think that I'm
13 accurately portraying what he testified to?

14 MR. GOLDWASSER: I don't think
15 he --

16 A. I think you have an ax to grind,
17 and I am trying to at least tell you how I feel
18 about left-sided versus right-sided lesions.

19 I'm also telling you how I felt in
20 1985 about the hernocult test, and how I felt in
21 1985 about sigmoidoscopy as a sensitive test
22 for finding lesions that present as blood on
23 the surface of the stool.

24 Q. Doctor, if your --

25 A. I hope you got all that.

1 Q. I got all that, If your
2 sigmoidoscopy is negative, do you just leave
3 it, or do you go on and look at the rest of the
4 colon in searching for adenomatous polyps?

5 A. Right now I have no problem
6 answering that question. In 1985, if the stool
7 was negative, I'm telling you, I've had people
8 I told to come back if they had blood again.

9 Q. Right now you look at the entire
10 colon in searching for adenomatous polyps;
11 correct:, in this case?

12 MR. GOLDWASSER: Me's already said
13 that.

14 A. Yes.

15 Q. And in 1982, Dr. Dworken was
16 recommending in his book that you look at the
17 entire colon in searching for adenomatous
18 polyps; correct?

19 A. In what setting?

20 Q. The setting where it appears in
21 your differential.

22 A. I mean --

23 Q. You can't answer that. question?

24 A. I think it's a vague question,
25 yes.



1 referenced by this record that you see in front
2 of you of this history?

3 MR. GOLDWASSER: Is that what it
4 was, **five** minutes?

5 MR. GREENE: That's what his
6 testimony is, yes, five minutes.

7 MR. GOLDWASSER: He testified to
8 that? You can answer, if you don't think it's
9 long enough.

10 A. I don't think it's long enough.

11 Q. Do you *see* anything **here** about
12 family history of cancer, colon cancer?

13 A. **No.**

14 Q. Would you want to know that when
15 you are taking the history of this patient?

16 A. In 1989 I would.

17 Q. In 1985, would you want to know
18 about, a family history?

19 A. **Pilot**, as strongly as I do now. I
20 think all that history on **family history** and
21 colon polyps and colon cancers **are** from late
22 1985, 1986.

23 Q. 1985, you would have expected a
24 more thorough history; is that correct?

25 A. If **it were** only five minutes, I

1 would expect him to spend a little longer.

2 Q. You would have expected a note that
3 would have at least referenced family history,
4 would you not?

5 A. Not necessarily in 1985, no.

6 Q. Doctor, do you do sigmoidoscopies?

7 A. Yes.

8 Q. Could sigmoidoscopies pick up
9 internal hemorrhoids?

10 A. Yes, they do,

11 Q. You would expect on a
12 sigmoidoscopy, if someone had an internal
13 hemorrhoid, that that would be reported,
14 wouldn't you?

15 A. Should be.

16 Q. The sigmoidoscopy that was done on
17 July the 16th, 1985, does that show any
18 internal hemorrhoid?

19 A. No internal hemorrhoids, and what
20 does that say, staining?

21 MR. GOLDWASSER: That says digital-
22 exam.

23 Q. I'm asking you the next note.

24 A. within normal limits.

25 Q. It does not show an internal



1 hemorrhoid, does it, doctor?

2 A. No. No polyps I think is what they
3 are talking about, ~~but specifically~~ no internal
4 hemorrhoids, right. It was within normal
5 limits.

6 Q. What's ~~the~~ most accurate tool,
7 referencing 1985, what was the most accurate
8 tool at your disposal to rule in or rule out
9 the presence of ~~either~~ adenomatous polyps or
10 colorectal neoplasms in a patient?

11 A. Endoscopy.

12 Q. And that would be a colonoscopy,
13 would it not?

14 A. No. ~~It~~ would either be a ~~flexible~~
15 sigmoidoscopy or colonoscopy.

16 Q. What was the most effective tool to
17 rule in or out right-sided lesions? It's not
18 sigmoidoscopy?

19 A. I'm not sure that was in ~~the~~
20 differential.

21 Q. Colorectal cancer?

22 A. Didn't you just say right-sided
23 lesion?

24 Q. I'm only asking you what is the
25 most effective.

1 MR. GOLDWASSER: He's talking about
2 in the abstract.

3 Q. What was the most effective tool
4 available to you to rule in or out colorectal
5 cancer in 1985?

6 A. Colonoscopy, endoscopy.

7 Q. Doctor, this is a representation of
8 the colon. Is that relatively accurate, as far
9 as it goes?

10 A. Yes.

11 Q. Can you take a pen, I'm going to
12 label this as Plaintiff's Exhibit: A, Deposition
13 Exhibit A, and would you mark for me how far a
14 30 centimeter --

15 MR. GOLDWASSER: 35. It was 35
16 centimeters.

17 Q. On your report, doctor, you said
18 that the exam was negative to 30 centimeters.
19 I think Mr. Goldwasser is right. I think it
20 was to 35 centimeters.

21 Could you point out to me where 35
22 centimeters is?

23 A. (Indicating.)

24 Q. So your testimony is today this --
25 you've made a double line with a pen; correct?

1 A. Yes.

2 Q. Would you initial that double
3 line?

4 A. Let me just say one other thing
5 here. Let me just -- am I allowed to make a --

6 Q. Do whatever you want to.

7 A. Good. I'll put from here to here
8 (indicating) far 35 centimeters, depending on
9 how well they can straighten out that line.

10 Q. And in doing that, you are looking
11 at approximately one-third of the colon;
12 correct?

13 A. Yes.

14 Q. We have the other two-thirds of the
15 colon unexplored and unexamined; is that
16 correct?

17 A. Yes.

18 Q. And you **are** assuming that the
19 bright, **red** blood **the** patient reports to you is
20 coming from that one-third of the colon and not
21 from the other two-thirds; isn't that correct?

22 A. Yes.

23 P. Now, doctor, as to colonoscopy, you
24 state in your correspondence that colonoscopy
25 as an exam is dangerous. Am I taking that out

1 of context?

2 A. It can be dangerous.

3 Q. What's the complication rate for
4 colonoscopy?

5 A. One per thousand cases of
6 perforation, one per thousand cases of
7 bleeding.

8 Q. And --

9 n. And let's not forget; the anesthetic
10 complication, too.

11 Q. In your experience, what's your
12 complication rate?

13 A. Well, until last month I never had
14 a perforation, but I did with a polypectomy.
15 Bleeding, maybe three cases.

16 Q. Out of how many?

17 A. Well, since 1970.

18 Q. You have been doing them since
19 1970?

20 A. Yes.

21 Q. About how many colonoscopies have
22 you done?

23 A. A couple thousand, probably.

24 Q. So you have found it to be a
25 relatively safe procedure, have you not,



a doctor?

2 A. I think **there** is a risk.

3 Q. I understand that, There is a risk
4 in getting up in the morning, but hasn't it
5 been a relatively safe procedure, doctor?

6 A. Tell me how you **felt** during your
7 **colonoscopy** times two.

8 Q. I didn't feel a damn **thing**.

3 A. **Were you worried** going into them,
a0 were you worried about perforation or
11 bleeding?

12 Q. I was more **worried** about what was
13 bleeding than that. Let's get back.

14 It has been described in the
15 literature as a relatively safe procedure,
16 hasn't it, doctor?

17 A. No. I think it has a risk of one
18 per thousand cases.

19 Q. I'm only asking you, it's been
20 ~~described~~ in the literature as a relatively
21 safe procedure, hasn't it?

22 A. I think more **accurate** is when you
23 say one per thousand cases.

24 Q. Do you have any information
25 whatsoever that Mrs. Petrilli ~~would~~ have

1 refused a colonoscopy if offered her?

2 A. No, I don't.

3 Q. Complication rate is about one in a
4 thousand?

5 A. Yes.

6 Q. What's the mortality rate of
7 that -- of a colonoscopy?

8 A. I would think of that one in a
9 thousand probably a fourth die or a fifth.

10 Q. Do you know if anyone has ever died
11 from a colonoscopy at the Cleveland Clinic?

12 A. I presume they have,

13 Q. You don't know that for a fact, do
14 you?

15 MR. GOLDWASSER: The fact is you
16 don't know?

17 A. I don't know. I would presume.
18 You do enough of them, somebody is going to
19 die.

20 Q. Doctor, are you familiar with any
21 of the general reference books on cancer?

22 A. Yes

23 Q. Is DiVita one of the books you are
24 familiar with?

25 A. Yes.

1 Q. That's a generally accepted
2 authority **that** doctors look to?

3 A. Yes, I think it's an accepted
4 authority.

5 Q. Okay. Doctor, in DiVita, it says
6 hemorrhoidal bleeding should always be a
7 diagnosis of exclusion. Would you **agree with**
8 that?

9 A. Yes.

10 Q. It says, all **patients** with rectal
11 bleeding should be evaluated. You would agree
12 ~~with~~ what?

13 A. I think that's true.

14 Q. If the blood is minimal and bright
15 red in appearance, is located only on the
16 toilet- paper and is associated with normal
17 color stool, a sigmoidoscopy may suffice.
18 Would you **agree with that**?

19 A. Yes.

20 Q. All other patients should undergo
21 sigmoidoscopy and barium enema examination or
22 colonoscopy. Would you **agree with that**?

23 A. Yes.

24 Q. Did Mrs. Petrilli have **normal**
25 colored **stool**?

1 A. Yes.

2 Q. You would consider stool with blood
3 on the surface of it normal color stool?

4 A. Yes.

5 Q. Is that the stools you have in the
6 morning, doctor?

7 MR. GOLDWASSER: Oh, come on,
8 Sill..

9 Q. ~~Stools~~ with blood on the surface of
10 them?

11 A. I have normal color stools.

12 Q. So stool with blood on them is a
13 normal- colored stool; is that what you are
14 saying:

15 A. Yes, that's correct, Now, let
16 me --

17 Q. You can -- fine.

18 MR. GOLDWASSER: ~~Bill~~, I would like
19 that article. Would you read that into the
20 record?

21 MR. GREENE: I just did.

22 MR. GOLDWASSER: ~~Did you~~ identify
23 the entire article?

24 MR. GREENE: I'm going to read it
25 in.

I MR. GOLDWASSER: Let me see it. I
2 haven't found this one before. That's good.
3 This is DiVita, Jr.'s book.

4 MR. GREENE: Yes.

5 MR. ~~GOLDWASSER~~ Lippencott is the
6 publisher. What's the publication date of
7 this? Do we have it here?

8 MR. GREENE: That's brand new.

9 THE WITNESS: Third edition.

10 MR. GOLDWASSER: Is it new'?

11 MR. GREENE: It's brand new. There
12 are similar --

13 MR. GOLDWASSER: And you were
14 reporting from page 903.

15 MR. GREENE: Yes, you are right.

16 Q. Doctor, would you agree in
17 discussing right colon cancer that bleeding may
18 be acute and most commonly appears as red blood
19 mixed with stool?

20 A. Acute bleeding is bright red blood,
21 is bright red blood coming out in a gush with
22 stool mixed in. That's my idea of an acute
23 bleed.

24 Q. When you say dark blood from a
25 right sided lesion, it's usually secondary to

1 diverticuli bleeding?

2 A. I really don't discern the two. If
3 I seed maroon stool, if I see dark blood, I
4 think it's right-sided. I don't know if it's
5 diverticular cancer.

6 Q. Why do you think blood appearing on
7 the surface of the stool is normal colored
8 stool?

9 A. I think the blood is red; I think
10 the stool is brown. I think that's blood on
11 the surface.

12 Q. You think that they are saying that
13 normal-appearing stool has blood on it?

14 A. Yes.

15 Q. Oh, I see. So when they say that
16 if it appears on the toilet; paper only, they
17 are not talking about if it appears on the
18 stool itself? Is that your testimony?

19 A. No. It appears on both.

20 Q. Their quote is if it appears only
21 on the toilet paper.

22 A. Well, only on toilet paper, fine.
23 I think if the -- I think I know what he
24 means.

25 Q. Okay. Thank you, doctor. I'm glad

1 you do.

2 A. Apparently you didn't.

3 Q. Would you agree, doctor, that
4 rectal bleeding should **never** be attributed to
5 hemorrhoids, especially in older individuals,
6 unless a malignancy has been ruled out by
7 barium enema and proctoid sigmoidoscopy? Would
8 you agree with that?

9 A. Older individual, rectal
10 bleeding --

11 Q. Rectal bleeding.

12 A. Yes, I would agree with that,

13 Q. She had rectal bleeding, didn't
14 she, doctor?

15 n. She had **blood** on the surface of her
16 stool, **that's** rectal bleeding, but I think you
17 have to define --

18 Q. I just want to **know**, she had rectal
19 bleeding, didn't she?

20 A. Yes.

21 Q. This appears in **Harrison's**
22 **Principles of Internal Medicine**, and this is a
23 1983 edition.

24 A. Yes.

25 Q. Her malignancy was **never** ruled out

1 by a barium enema, was it, doctor?

2 A. Barium enema in 1983 was not a very
3 sensitive test.

4 Q. Rut. it was never utilized; there
5 was no test; here utilized at all to rule out a
6 right-sided lesion; correct?

7 A. No, No.

8 Q. Is Harrison's Internal Medicine a
9 book that you utilize in your practice?

10 A. No.

11 Q. It's on your library shelves,
12 though, isn't it, your library shelves of where
13 you are at now?

14 A. I don't use it for
15 gastroenterology. I think it's very weak.

16 Q. Would you agree, in regard to
17 internal hemorrhoids, that such lesions are
18 very common and should not be regarded as the
19 cause of rectal bleeding?

20 A. Yes.

21 Q. You would agree that rectal
22 bleeding is a symptom that requires
23 investigation and cannot be attributed to a
24 simple cause; there are multiple other causes
25 that create bleeding, and it should not be



1 assumed to be occurring from something simple
2 like a hemorrhoid?

3 A. Yes.

4 Q. And you would agree that bright red
5 blood can come from the stomach?

6 A. No.

7 Q. Okay.

8 A. Not like this. Not bright red
9 blood in the stool.

10 Q. Come from the small intestine?

11 A. Bright red blood, I think if you
12 are talking about a hemorrhage, big time gush
13 of blood, it can come from anywhere in the GI
14 tract, not a string of --

15 Q. A hemorrhage is a bleed, is it not,
16 doctor?

17 A. We are talking -- well, sure, yes.

18 Q. A hemorrhage is a bleed; right?

19 A. Fine.

20 Q. Do you know how much she bled on
21 Memorial Day 1985?

22 A. Are we speaking generalities or
23 this case?

24 Q. This case, do you know how much --

25 A. Her hematocrit was normal. She

1 didn't bleed much.

2 Q. May of 1985, do you know how much
3 the woman bled?

4 A. No.

5 Q. You don't know if she had a
6 hemorrhage then or not?

7 A. Whatever you say.

8 MR. GOLDWASSER: No, we don't
9 know.

10 Q. We don't know, do we?

11 A. No.

12 Q. When did you begin to do stool
13 guiac tests using three specimens -- two
14 specimens on three successive days?

15 A. I would have to answer that in two
16 ways. In screening large populations probably
17 like people coming into the office for any
18 reason, in the 1980's --

19 Q. Prior to 85?

20 A. Prior to 85, yes.

21 Q. Was there any reason with this
22 patient; not to at least screen her stool?

23 A. No.

24 Q. You would agree that Dr. Owens'
25 workup of this patient, he did not look at the

1 entire left side of the colon?

2 A. Probably didn't.

3 Q. Doctor, is blood on the toilet
4 paper, in this patient, the fact **that** it was on
5 the toilet paper, proof **positive** of a lower
6 rectal lesion?

7 A. No. It strongly suggested it, but
8 it wasn't proof positive.

9 Q. It would have been more impressive
10 to you for **that** rationale if the blood was on
11 the toilet paper but not on the stool?

12 A. No. No. Usually you **get** -- I
13 think you can make the corollary of your
14 initial **question**, is blood streaking the stool
15 proof **positive** of a **rectal** lesion, and it
16 isn't, but, again, it has a high probability.

17 Q. What you are doing is you are
28 getting a clinical impression, hut you are not
19 able to rule out a lesion higher up in the
20 tract?

21 A. Usually within **the reach** of a
22 sigmoidoscope.

23 Q. But still even though all of that
24 is true, you can't rule it out unless you look
25 higher and you look at the entire colon? You

1 found that out, haven't you, doctor?

2 A. I found out **that** blood streaking
3 **the** stool with blood on **the** tissue, 90, 95
4 percent of **the** time, comes from something
5 khat:'s within the reach of the sigmoidoscope.

6 Q. Do you have any indication in this
7 **record that** my client, Virginia Petrilli, was
8 **interested** in **gambling**?

9 MR. GOLDWASSER: What?

10 A. I don't think this **is** gambling. I
11 think probabilities, I think if you are
12 anywhere --

13 MR. GREENE: I strike the
14 question.

15 MR. GOLDWASSER: Yes.

16 Q. But you would not **agree** with the
17 quote that appearance of blood on the toilet
18 **paper** is proof positive of an anorectal
19 lesion?

20 A. It is strongly suggestive.

21 Q. It's riot proof positive?

22 A. I would do a sigmoidoscopy to make
23 sure.

24 Q. Even that won't make sure?

25 A. 95 percent of the time it will.

1 Q. Five percent of the ^Vtime is the
2 five percent that they are talking about in
3 Lancet's article, isn't it, doctor?

4 A. No, it isn't, unless you have a
5 control group.

6 Q. Doctor, do you know of anywhere in
7 the literature that: says that you can rule out
8 a **right-sided** lesion based on a report of a
9 **patient.** having bright **red** blood in her stool?

10 A. No.

11 Q. Now, if Virginia had just come in
12 and seen you in 1985 because she heard that you
13 were a very fine doctor, at 61 years of age,
14 having never had a colon workup, you would have
15 given her a stool guiac test and a
16 sigmoidoscopy, would you riot, as part of **her**
17 workup?

18 A. As the way she presented, yes.

19 Q. And you would have utilized a stool
20 guiac: test?

21 A. I would have done a rectal exam
22 and --

23 Q. As recommended by the ACS?

24 A. I would have done a stool guiac. I
25 **don't** know if I would **have** given her the

1 sigmoidoscopy then **or** not.

2 Q. Once again, doctor, on Memorial Day
3 of 1985, given the history that you have of
4 this patient, you don't know how much she bled;
5 is **that**. correct, quantity?

6 MR. GOLDWASSER: Didn't we answer
7 that question?

8 A. I would assume it wasn't a very
9 large quantity.

10 Q. You **don't** know the quantity, do
11 you, doctor?

12 A. I don't know the quantity.

13 Q. Now, as to colorectal polyps and
14 cancer, some bleed a little; is **that** correct?

15 A. Some bleed a little.

16 Q. Some bleed a lot?

17 A. Some bleed a lot.

18 Q. Some of **the** blood is visible?

19 A. **Some** is visible.

20 Q. Some is occult?

21 A. **That's** correct.

22 Q. **Some** bleed and stop?

23 A. Yes, I guess they do.

24 Q. Some keep on bleeding?

25 Yes.

1 Q. And this is a major killer in our
2 population, second leading cause of death of
3 women her age?

4 A. Colorectal cancer, not polyps?

5 Q. Yes, colorectal cancer.

6 A. That's correct.

7 Q. And one of the reasons that you do
8 -- one of the strong reasons why you do
9 surveillance is to find these cancers while
10 they are polyps and interrupt the polyp/cancer
11 progress; is that correct?

12 A. That's correct.

13 Q. Would you agree that any neaplastic
14 polyp, such as an adenomatous polyp, should be
15 considered a potentially malignant Lesion?

16 A. Probably.

17 Q. Would you agree that colorectal
18 cancer is silent in its early development, the
19 most common early presentation is bleeding
20 resulting in positive stools for occult blood
21 or gross rectal bleeding?

22 A. That's right.

23 Q. Would you agree that since early
24 colorectal cancer produces no symptoms, since
25 many of the symptoms of colorectal cancer are

1 nonspecific, aggressive efforts at the
2 incept-ion are essential?

3 A. That sounds good, yes.

4 Q. That would **be** true in 1985, would
5 it not, doctor?

6 A. More so in 85. **More** so in 89 than
7 a5.

8 Q. Still true in 85?

9 A. Still true.

10 Q. Would you agree, doctor, that the
11 passage of bright red blood per the rectum is
12 most often seen with lesions of the
13 rectosigmoid but; can be seen with cancer
14 anywhere in the colon?

15 A. **No.**

16 Q. And, doctor, it's your testimony
17 that the information about the 50 percent false
18 negative rate of a single guiac test was not
19 generally available to board certified
20 gastroenterologists in 1985?

21 A. I think we are talking two things
22 here. The false negative **rate** of your **three**
23 slide tests is 50 percent,

24 Q. That's your testimony? Was that
25 known in 1985?

No.

The false negative rate of doing a stool guiac with one sample being a large false negative rate in the 50 percent area was well known in 1985, was it not, among board certified gastroenterologists?

A. It was known that a single -- well, yes.

Q. It wasn't a recommended test?

A. Right.

Q. Okay.

A. For screening of the population.

Q. It wasn't a recommended test to see if there was blood in the stool.. or not?

A, The whole context of that slide was to screen for colon cancer of population.

Q. I understand that, doctor. I'm just saying: It was not a recommended test in 1985 to rule out occult blood in the stool?

A. Probably not.

Q. Okay.

MR. GREENE: Thank you.

(Deposition concluded at 7:10 p.m.)

- - - - -

1 CERTIFICATE

2 The State of Ohio,)

3 SS:

4 County of Cuyahoga.)

5
6 I, Karen M. Patterson, a Notary
7 Public within and for the State of Ohio, duly
8 commissioned and qualified, do hereby certify
9 that the within named witness, KEVIN T. GERACI,
10 M.D., was by me first duly sworn to testify the
11 truth, the whole truth and nothing but the
12 truth in the cause aforesaid; that the
13 testimony then given by the above-referenced
14 witness was by me reduced to stenotypy in the
15 presence of said witness; afterwards
16 **transcribed**, and that **the** foregoing is a true
17 and correct transcription of **the** testimony so
18 given *by* the above-referenced witness.

19 I do further certify that this
20 deposition was taken at the time and place in
21 the **foregoing** caption **specified** and was
22 completed without adjournment.



1 I do further certify that I am not
2 a relative, counsel or attorney for either
3 party, or otherwise interested in the event of
4 this action.

5 IN WITNESS WHEREOF, I have hereunto
6 set my hand and affixed my seal of office at
7 Cleveland, Ohio, on this 5th day of
8 May, 1990.

9
10
11
12
13 

14 Karen M. Patterson, Notary Public
15 within and for the State of Ohio
16

17 My commission expires September 13, 1994.
18
19
20
21
22
23
24
25



[illegible]

Computerized Reporters
Statler Office Tower
Cleveland, Ohio 44115
Phone 216-687-1161

