

STATE OF OHIO,)
COUNTY OF LORAIN.) SS :

IN THE COURT OF COMMON PLEAS

HUBERT PORTER, Administrator)
of the Estate of)
Brad J. Porter, Deceased,)
)
Plaintiff,)
)
vs.) Case No. 96 CV 115689
) Judge Lynnette McGough
MANHAL A. GHANMA, M.D.,)
et al.,)
)
Defendants.)

- - - - -
THE DEPOSITION OF MANHAL A. GHANMA, M.D.
FRIDAY, AUGUST 23, 1996
- - - - -

The deposition of Manhal A. Ghanma, M.D., a Defendant herein, called by the Plaintiff for examination pursuant to the Ohio Rules of Civil Procedure, taken before me, the undersigned, Tracy L. Barker, a Registered Professional Reporter and Notary Public within and for the State of Ohio, taken at the offices of Manhal A. Ghanma, M.D., 125 East Broad Street, Suite 320, Elyria, Ohio, commencing at 2:10 p.m., the day and date above set forth.

WANOUS REPORTING SERVICE

55 PUBLIC SQUARE
1225 ILLUMINATING BUILDING
CLEVELAND, OHIO 44113
(216) 861-9270

APPEARANCES:

On behalf of the Plaintiffs:

Dennis R. Lansdowne, Attorney at Law
Spangenberg, Shibley & Liber
2400 National City Center
1900 East Ninth Street
Cleveland, Ohio 44114

On behalf of Defendant Dr. Ghanma:

Donald H. Switzer, Attorney at Law
Jacobson, Maynard, Tuschman & Kalur
1001 Lakeside Avenue, Suite 1600
Cleveland, Ohio 44114

On behalf of Defendant Dr. Quansah:

Deirdre G. Henry, Attorney at Law
Weston, Hurd, Fallon, Paisley & Howley
2500 Terminal Tower
50 Public Square
Cleveland, Ohio 44113

On behalf of St. Joseph Regional Health Center:

Kris H. Treu, Attorney at Law
Arter & Hadden
1100 Huntington Building
925 Euclid Avenue
Cleveland, Ohio 44115

DEPOSITION INDEX OF MANHAL A. GHANMA, M.D.

<u>EXAMINATION BY:</u>	<u>PAGE NO.</u>
MR. LANSDOWNE	4, 99
MS. HENRY	84
MR. TREU	96

MANHAL A. GHANMA, M.D.

of lawful age, called by the Plaintiff for examination pursuant to the Ohio Rules of Civil Procedure, having been first duly sworn, as hereinafter certified, was examined and testified as follows:

EXAMINATION OF MANHAL A. GHANMA, M.D.

BY MR. LANSLOWNE:

Q Doctor, would you state your full name for the record, please.

A My name is Dr. Manhal Amiad Ghanma.

Q How do you say your last name, Doctor?

A Ghanma, as if there's no H.

Q Ghanma?

A Correct.

Q Your professional address is what?

A 125 East Broad Street, Suite 320, Elyria, Ohio 44035.

Q Have you given a deposition before, Doctor?

A Yes.

Q On how many occasions?

A On several occasions.

Q Several. You're an orthopedic surgeon. I imagine you've given quite a few depositions relating to traumatic injuries your patients

1 received and their bringing lawsuits relating to
2 how they got those injuries?

3 A Correct.

4 Q Have you ever given a deposition before in a
5 case in which you were a named defendant?

6 A Yes.

7 Q How many times?

8 A To the best of my recollection, once.

9 Q How long ago was that?

10 A That was approximately 20 years ago.

11 Q Were you in Ohio then?

12 A I was deposed in Ohio. The case that occurred
13 was in Rochester.

14 Q Let me just remind you of some things about
15 depositions. I'm going to be asking you some
16 questions relating to the care and treatment
17 that Bradley Porter received back about a year
18 ago back at St. Joe's Hospital. Okay?

19 A Yes.

20 Q And with respect to my questions, if you'd
21 answer them out **loud** rather than by a nod of the
22 head, I'd appreciate that. Okay?

23 A Yes.

24 Q And if you don't understand my question, please
25 don't answer it. All right?

1 A Yes.

2 Q If you don't hear my question -- because we've
3 got the air conditioner going -- please tell me
4 that and I'll repeat it so that you do hear it.
5 All right?

6 A Yes.

7 Q And if at any time you want to take a break for
8 anything, to answer a call or whatever, please
9 let us know and we'll do that. Okay?

10 A Thank you. Yes.

11 Q Doctor, what have you reviewed in preparation
12 for your deposition today?

13 A I reviewed the office record that I have as well
14 as the records from the hospital in relation to
15 Brad's care.

16 Q And you have that in front of you?

17 a Correct.

18 Q Did you review anything else relating to Mr.
19 Porter's care or this case?

20 A No.

21 Q Have you done a summary of the care you provided
22 to Mr. Porter at any time?

23 A Yes, I have.

24 Q When did you do a summary of the care you
25 provided?

1 A I did that at the request of **my** attorney
2 approximately two months ago.

3 Q Two months ago?

4 A I don't know exactly the date. But it was about
5 that time.

6 Q Did you review that prior to the deposition
7 today?

8 A No.

9 Q When is the last time you saw that summary?

10 A The last time was when it was typed.

11 Q Other than that summary, did you make any notes
12 regarding Mr. Porter's care or this case?

13 A No.

14 Q Did you see anyone else's notes or summaries
15 regarding Mr. Porter's care or this case?

16 A No.

17 MR. SWITZER: I assume you're
18 talking about other medical, other than medical
19 charts.

20 MR. LANSDOWNE: I am.

21 MR. SWITZER: Okay.

22 Q Other than those medical records, have you seen
23 any other medical records relating to Mr.

24 Porter?

25 A No.

1 Q Have you seen the autopsy report?

2 A Yes.

3 Q When did you see that?

4 A My attorney showed it to me approximately two
5 weeks ago.

6 Q Is that the first time that you'd seen it?

7 A Correct.

8 Q Had you been aware of the findings of the
9 coroner prior to seeing the report?

10 A You might want to rephrase that. I did
11 understand at one point that there may have been
12 a laceration and he found fluid in the belly,
13 but I did not see it as a direct report at that
14 time. I didn't see it in writing.

15 Q If I can try and rephrase that. At some point
16 in time you became aware that the coroner had
17 made a finding of fluid in the abdomen?

18 A Correct.

19 Q When did you become aware of the coroner's
20 finding?

21 A I don't have a good recollection of the exact
22 date.

23 Q Would it have been within couple weeks of Mr.
24 Porter's death?

25 A Probably within four to five weeks. I don't

1 think -- I don't know when the coroner actually
2 finished his report.

3 Q Did you have a discussion with the coroner on
4 the telephone?

5 A Yes, I did.

6 Q And that's when you learned about his findings?

7 A Yes.

8 Q Did you make any notes of that discussion?

9 A No.

10 I would like to correct my one statement.
11 I did talk to the coroner and he did notify me
12 of that and then I also subsequently heard it
13 from another source.

14 Q What was the other source?

15 A One of the doctors at the hospital had mentioned
16 it to me.

17 Q What doctor?

18 A I think it was -- it may have been Dr. Sertich.
19 I'm not certain about that.

20 Q Can you spell that?

21 A S-E-R-T-I-C-H.

22 Q Okay. Is he the vascular --

23 A He's the neurosurgeon.

24 Q Neurosurgeon?

25 A Yes.

1 Q Did you make any notes of your conversation with
2 that doctor?

3 A No.

4 Q Since you corrected that answer, let me just
5 tell you if at any time you feel that you want
6 to correct an answer in this deposition, please
7 feel free to stop us and go back at any point to
8 correct something or clarify something that you
9 feel needs correcting and clarify it. Okay?

10 A Yes.

11 Q Have you reviewed any medical literature
12 regarding this case?

13 A Yes.

14 Q What medical literature have you reviewed?

15 A There **is** a **textbook** of surgery **by** Schwartz that
16 I looked at.

17 Q When did you look at that?

18 A Approximately nine or ten months ago.

19 Q Where did you look at that, here in your office?
20 No, the library at the MH.

21 Q What was the purpose of looking at the Schwartz
22 surgical text?

23 A When the coroner -- when I knew from the coroner
24 there **was** an injury to the abdomen, I viewed
25 that part of care.

- 1 Q What, a chapter on abdominal injury?
- 2 A Correct.
- 3 Q Did you make copies of any parts of that
- 4 chapter?
- 5 A No, I did not.
- 6 Q Did you take notes about anything in that
- 7 chapter?
- 8 A No, I did not.
- 9 Q What edition of Schwartz?
- 10 A I don't recall what edition they have up there.
- 11 Q Any other literature you looked at relating to
- 12 this case?
- 13 A No.
- 14 Q What is it you recall of your discussion with
- 15 the neurosurgeon about this case, after the
- 16 death of Mr. Porter? I know that you discussed
- 17 things with the neurosurgeon while Brad was in
- 18 the hospital, but I'm talking about afterwards.
- 19 Okay?
- 20 A The conversation related to cause of death and
- 21 what we thought might be the cause of death.
- 22 Q Okay. Have you had any discussion with any
- 23 nurses relating to Mr. Porter's care or death
- 24 since the time of his death?
- 25 A Do you mean since the 17th, the 15th of July, or

1 subsequent.

2 Q Any time since the 15th of July.

3 A No.

4 Q On the 15th of July, did you have discussions
5 with the nurses about his death after his death?

6 A Yes. There was a nurse in the -- I assume she's
7 a nurse. I don't know if she is or not. But
8 there was a person from the hospital there.

9 Q Do you know his or her name?

10 A No, I don't.

11 Q What was the substance of that conversation?

12 A The conversation related to what occurred during
13 the end of the procedure.

14 Q Tell me what you recall about the conversation,
15 please.

16 A And the conversation was about the timing of the
17 removal of the endotracheal tube from Mr.
18 Porter.

19 Q Tell me what you recall about that.

20 A I think she was the risk manager. I assume
21 she's a **nurse**, I don't know. But wanted to know
22 when the endotracheal tube was removed from **Mr.**
23 **Porter**, and that was basically what the
24 conversation was about.

25 Q When **did this** conversation take place?

1 A This took place during the resuscitation of Mr.
2 Porter.

3 Q This was a person from risk management who was,
4 who came up during the resuscitation?

5 A Yes.

6 Q How did she get notified to come up?

7 A I don't know.

8 Q Where did the conversation take place?

9 A It took place in the hallway outside the
10 operating room, outside the actual operating
11 suite, but in the operating theater, the whole
12 department.

13 Q This conversation took place while the
14 resuscitation efforts were ongoing?

15 A Yes.

16 Q And she asked you when did the ET tube get
17 removed from Mr. Porter?

18 A Yes.

19 Q Was **she talking** about the first time it was
20 removed **or** the **second** time?

21 A **The first time.**

22 Q Do you know why she wanted to know that?

23 A I don't really know why she wanted to know that,
24 no.

25 Q Did she tell you why she wanted to know?

1 A Not to my recollection.

2 Q So she comes up and you're standing outside in
3 the operating room but -- or in the theater but
4 outside the operating room itself. Correct?

5 A Uh-huh.

6 Q If you'd answer yes --

7 A Yes, the answer was yes.

8 Q But I just want you to answer yes or no rather
9 than uh-huh or uh-uh.

10 A Well, I already told you where I was standing,
11 so --

12 Q I understand, but I'm trying to get this in my
13 head as to what happened.

14 A Okay.

15 Q So this person from risk management walks up to
16 you and says, when did the ET tube --

17 A She wanted to know what happened, and I
18 described what had occurred to her, what had
19 happened during the case and after the case and
20 when the patient arrested and what was done
21 subsequent to that. And one of the questions
22 that she wanted to know was, when did the ET
23 tube come out.

24 Q Was she taking notes?

25 A I don't recall

1 Q What did you tell her about the ET tube?

2 A I told her that I can't remember exactly when
3 and how the ET tube came out and that at one
4 point I turned around and the ET tube was not
5 there and the patient was being given oxygen
6 through the mask and subsequent to that, the
7 patient was being intubated. I don't know
8 exactly when the tube came out the first time.

9 Q Do you know how it came out the first time?

10 A No. I do know that there was difficulty in
11 turning the patient over and there may have been
12 some tape across his forehead, but I don't know
13 exactly when the ET tube was removed.

14 Q When you say difficulty turning the patient
15 over, do you mean subsequent to the patient's
16 arrest or prior to the patient's arrest?

17 A Just at the time that he arrested. We had just
18 finished the procedure in terms of what I was
19 doing, and we were in the process of wanting to
20 turn him back on his back, and that's when he
21 arrested and that's when there was difficulty in
22 getting the, whatever apparatus was around his
23 face so that he could be turned without that
24 obstructing that turn.

25 Q You're surmising that may have been the point

1 when the tube came out; is that right or --

2 A I don't know exactly when the tube came out.

3 Because at the same time people were coming into
4 the room and I turned my view elsewhere.

5 Q You know the anesthesiologist that was involved
6 in this case?

7 A I had never worked with her to the best of my
8 recollection, or if I had, I didn't know her.

9 Q Dr. --

10 MR. **LANCIONE:** How does she say
11 her name?

12 MS. HENRY: Quansah.

13 Q You had never worked with Dr. Quansah prior to
14 this operation?

15 A I don't think I did. I'd have to go back to the
16 records and see if I had ever actually worked
17 with her before. I don't think so.

18 Q Ever work with her after this?

19 A No.

20 Q **Did you ask Dr. Quansah when the ET tube came**
21 **out?**

22 A **I did not ask her.**

23 MS. HENRY: I didn't hear
24 the answer, I'm sorry.

25 A I did not ask her. To the best of my

1 recollection, I do not recall asking her when
2 she took the tube out.

3 Q Well, do you know what she says in terms of when
4 the ET tube came out? Did anyone else tell you
5 Dr. Quansah says the tube came out at such and
6 such a point?

7 MS. HENRY: Objection.

8 A Could you rephrase that?

9 MR. SWITZER: I think he's
10 already indicated that he doesn't know how or
11 when the ET tube came out.

12 MR. LANSDOWNE: I know. But I'm
13 getting to what Dr. Quansah says in terms of
14 when the ET tube came out.

15 MS. HENRY: Didn't he just
16 say he didn't know?

17 MR. SWITZER: He said he
18 didn't know how or when the ET tube came out.

19 MS. HENRY: And he didn't --

20 MR. LANSDOWNE: We're going
21 along fine.

22 MR. SWITZER: You keep asking
23 the **question** and then you keep asking the
24 question different ways.

25 MR. LANSDOWNE: Well, sure.

1 MR. SWITZER: Ask the
2 question. Go ahead, Dennis.

3 BY MR. LANSLOWNE:

4 Q Did anyone else tell you that Dr. Quansah told
5 them when the ET tube came out?

6 A I don't remember any such conversation.

7 Q Have you ever seen a note or a summary of any
8 kind that indicates what Dr. Quansah said about
9 when the ET tube came out?

10 A Yes.

11 Q The first time?

12 A Yes. I saw the addendum that she wrote to her
13 note. To the best of my knowledge, it does not
14 specify exactly at what point the ET tube was
15 removed.

16 Q Do you know when she put that addendum in the
17 chart?

18 A She put it in that morning, to the best of my
19 recollection.

20 Q **Okay.** Did you **have a** discussion with her about
21 that addendum?

22 A I did not.

23 Q **Who did?**

24 A I **think** the risk manager informed me that she
25 had a conversation with her about that, but,

1 again, I'm not 100 percent sure of that
2 response.

3 Q You think that the risk manager told you that
4 the risk manager had had a conversation with Dr.
5 Quansah before Dr. Quansah put the addendum in
6 the chart; is that right?

7 A I don't know.

8 Q I'm sorry if you answered this. Did you have
9 any conversation at all with Dr. Quansah about
10 the addendum, either before or afterwards?

11 A No. No, I didn't.

12 Q Okay. I'd like to move ahead and then we'll
13 back **up** later. **Can you tell** me what the cause
14 of death **was for Mr.** Porter, in your opinion?

15 A I **don't** know his exact cause of death.

16 Q **Is** it fair to say that you did not have an
17 opinion with reasonable medical certainty as to
18 Mr. Porter's cause of death?

19 A Do you mean am I certain of what caused his
20 death?

21 Q I mean, do **you have** an opinion with reasonable
22 certainty **as to what** caused his death?

23 A **As I said,** I don't know what he died **of.**

24 Q Let me just clarify this because we used legal
25 terminology. Now I want to find out, do you

1 have an opinion as to what probably caused his
2 death?

3 A No.

4 Q Other than the coroner, have you discussed with
5 anyone what probably caused Mr. Porter's death?

6 A During his resuscitation, the possibility of a
7 pulmonary embolism was entertained and discussed
8 with the physicians that were there. The
9 possibility of a cardiac arrest was also
10 mentioned. The possibility of sepsis was also
11 mentioned as a possible cause of death. And
12 that's basically what was mainly discussed as
13 possible cause of death with physicians in and
14 around the time of his demise.

15 Q You indicated earlier that you had had a
16 conversation with the neurosurgeon about the
17 cause of death sometime after --

18 A Correct.

19 Q -- his death. When did that conversation take
20 place?

21 A That took place after the coroner had found the
22 blood in the patient's abdomen and the fluid
23 that was there, and that's what I have.

24 Q Okay. What was that conversation? What did you
25 say and what did the neurosurgeon say?

1 A The conversation was whether that might have
2 caused his death or not, whether there was
3 bleeding that might have caused his death or
4 not. And the general consensus was that
5 probably not, as opposed to probably yes. .

6 Q The bleed you mean, probably **not** the bleed?

7 A If there was any bleeding. We don't know that
8 there was any bleeding before he actually died.

9 Q What was the reason that you -- I don't know if
10 conclusion is the right word -- but that you at
11 least thought and discussed that bleed was
12 probably not the cause of death?

13 A There was several reasons for that. One was the
14 fact that his hemoglobin was quite normal in
15 terms of the average hemoglobin. He had a
16 hemoglobin of 15 when he came in and after the
17 first surgery was only 13, even though he'd been
18 struck in the calf or thigh by a propeller'and
19 probably had bleeding from his thigh at the
20 time.

21 The second and third reasons had to do
22 with the fact that he was resuscitated for about
23 two and a half hours with constant compression
24 being applied to his chest and abdominal areas.
25 And third, he was also given a blood clot

1 dissolving agent during this resuscitation that
2 may have caused some of the fluid that was in
3 his abdomen and some -- and may have caused some
4 of that fluid to be there. SO for all those
5 reasons, that's why that conclusion was made or
6 arrived at.

7 Q That conclusion being arrived at was by you and
8 the neurosurgeon?

9 A Yes.

10 Q Let me just ask you again so I'm clear on this.
11 Is it your opinion with reasonable medical
12 certainty that the bleed did not cause Mr.
13 Porter's death?

14 A I don't know what caused Mr. Porter's death.

15 Q I understand.

16 A SO --

17 Q With respect to the second point that you raised
18 about the resuscitation efforts on his chest and
19 abdomen, are you indicating that that's a
20 possible source of the liver laceration?

21 A Correct.

22 a That is that the liver lacerated in the
23 resuscitation process?

24 A Correct.

25 Q And that's a hypothesis that you have; is that

1 right?

2 A I don't know, but that's -- yes.

3 Q Have you seen that happen before where a
4 person's liver is lacerated in resuscitation
5 efforts?

6 A I don't get involved in too many resuscitations,
7 but I have seen broken ribs before from that
8 kind of activity, yes.

9 Q Have you seen liver lacerations?

10 A I have not.

11 Q The third point --

12 A Let me -- I have not seen liver lacerations
13 after resuscitation. I have seen liver
14 lacerations of other causes.

15 Q Thank you. With respect to the third cause or
16 third reason in your list was that the patient
17 had been given TPA in the resuscitation?

18 A Correct.

19 Q And your thinking in that respect was that the
20 TPA might have caused a significant amount of
21 bleeding from the tear that was caused in the
22 resuscitation?

23 A Yes.

24 Q Indicating that the bleed came after the arrest,
25 as opposed to causing the arrest?

1 A Yes.

2 Q That's sort of your thought process on that; am
3 I stating that correctly?

4 A Yes.

5 Q You presently limit your practice to
6 orthopedics?

7 A Correct.

8 Q And that was true also back in '95?

9 A Correct.

10 Q When did you get your board certification?

11 A 1992.

12 Q Do you do any general surgery?

13 A No.

14 Q Do you do any abdominal surgery?

15 A No.

16 Q You have some duties in the risk management
17 department at Elyria Memorial Hospital?

18 A I used to be in the risk management committee,
19 but I'm not anymore.

20 Q When did you leave that committee?

21 A Approximately three or four years ago.

22 Q **So** this resume that was attached to your
23 interrogatory answers says 1990 to present,
24 that's outdated?

25 A That **was** probably part of my CV that was not

1 amended. Yes, that's correct.

2 Q What were your duties in the risk management
3 department?

4 A What were my duties? I would attend meetings
5 relating to risk management concerns of
6 hospitals and review cases at those meetings.
7 This is at Elyria Memorial Hospital. I want to
8 clarify that.

9 Q Did you participate in drafting any protocols or
10 procedures as part of your risk management
11 duties?

12 A Not generally. I had to review one particular
13 policy and make some comments on that relating
14 to complaints, patient complaints, and how to
15 handle a complaint, but not policies relating to
16 patient care.

17 Q Did you have any duties in the risk management
18 department at Lorain Community Hospital?

19 A No, I did not.

20 Q How is it that you first became involved in Mr.
21 Porter's care?

22 A Are you asking in relation to his admission for
23 his boat injury?

24 Q Right.

25 A I was called by Dr. Evans that evening. I was

1 on call as backup for orthopedics for the
2 emergency room and I had signed out to Dr.
3 Purohit. Dr. Evans called me about Mr. Porter.

4 Q Where were you when you got the call?

5 A I was in Cleveland at an Indians game.

6 Q You were backup to **Dr.** Purohit?

7 A No. Since I was going to the game, I had asked
8 him to cover for me while I was gone, and I
9 asked Dr. Evans to call Dr. Purohit to see Mr.
10 Porter, since I was signed out to Dr. Purohit.

11 Q Oh, okay. And what happened, they couldn't find
12 Dr. Purohit?

13 A No, they called him and he said that the lights
14 were out and it was a very dangerous -- there
15 was a storm that evening, and at any rate, he
16 asked them to call somebody else.

17 Q And they called you back?

18 A They called Dr. Marfori, the plastic surgeon,
19 and I'm not sure if they called another person.
20 And by the time that all that had transpired, I
21 was back home because the rain was pretty bad
22 and the whole game was rained out, and I was at
23 home about 10:30. I think I got a call from Dr.
24 Evans again about 11:00 or 10:45. I'm not sure
25 of the exact time.

1 Q Who's Dr. Evans?

2 A He's the emergency room physician at St.
3 Joseph's Center who was there that evening.

4 Q So when did you first get to see Mr. Porter?
5 Obviously, you can look at the notes.

6 A I think it's around 11 **or** 10 past 11. I'm not
7 sure of the exact time that the nurses have
8 noted that. I don't think I noted exactly when
9 I saw him. It may be in the hospital record.

10 Q Let me back up. What were you told about Mr.
11 Porter by Dr. Evans in either of those two phone
12 calls?

13 A I was told that Mr. Porter had sustained a
14 laceration of his left thigh having been struck
15 by a propeller of a boat while he was in Lake
16 Erie and that he had a large laceration of his
17 thigh involving the posterior aspect of his
18 thigh and that there was a large open wound
19 there with muscle laceration and skin exposed.
20 So I think he also informed me that there was a
21 fibular fracture of the proximal fibula on that
22 same side.

23 Q Okay. When you came to the hospital that
24 evening, take me through the steps. Do you
25 review the chart first or see the patient first,

1 which one?

2 A To the best of my recollection, the first thing
3 that happened was I spoke with Dr. Evans or
4 whoever was there at the time. And then I went
5 to see the patient and examine the patient. I
6 think that's how the sequence was.

7 Q Okay. What did you understand was going to be
8 your role in terms of the care of this patient?

9 A My role was going to be to treat his left thigh
10 injury and to clean out his thigh in case of the
11 fact that it was contaminated with lake water,
12 and I was to follow the fibular fracture since
13 he had a fracture. And that was basically what
14 I saw as my role with respect to Mr. Porter. I
15 was called as an orthopedic surgeon and that's
16 how I presented myself there.

17 Q Did you do a trauma assessment of Mr. Porter?

18 A I examined Mr. Porter. I listened to his heart
19 and lungs, I examined his abdomen, and I again
20 checked with Dr. Evans concerning any possible
21 general surgical problems and he assured me that
22 he had also examined him and found that there
23 was nothing in that area.

24 Q You say you examined Mr. Porter's abdomen?

25 A Yes, I did.

1 Q Did you make a note to that effect?

2 A No, I did not. I borrowed a stethoscope from
3 the nurse that was there and examined him at the
4 time because --

5 Q Do you recall -- go ahead. I don't want to
6 interrupt him.

7 A -- because he required history and physical to
8 be completed prior to the surgery being done.
9 After I left after completing his history and
10 physical I saw that Dr. Murthy had already
11 written that into the chart, so I didn't write
12 anything at that time.

13 Q You said Dr. who?

14 A M-U-R-T-H-Y is the name of the doctor who was
15 assigned the HP to Mr. Porter.

16 Q Who is he or she?

17 A He's a physician on staff at the hospital. I
18 don't know -- I think he covers in the emergency
19 room as well on occasion.

20 Q So you had this conversation with Dr. Evans who
21 told you that an assessment of Mr. Porter had
22 been done and the conclusion was there was no
23 need for a general surgery consult?

24 A That was -- yes, that's correct.

25 a And you didn't make a note about his abdomen

1 because Dr. Murthy had already put that in the
2 chart?

3 A Right. And there were no findings to put down
4 anyway.

5 Q Is there a trauma protocol at St. Joe's?

6 A Not to my knowledge.

7 Q Let me just ask you, did you consider that you
8 had done a complete trauma assessment of Mr.
9 Porter?

10 A I don't understand the question, so you might
11 want to explain what you mean.

12 Q Well, you were being called in as an orthopedic
13 surgeon is what you testified, and you were
14 going to be taking care of his left lower
15 extremity injury. I was just wondering whether
16 you felt that you had done a complete trauma
17 examination of him or whether you left that to
18 somebody else and concentrated on the, what you
19 had been called in for is my question.

20 A It's not answerable by a yes or a no. I came in
21 for the left thigh. I examined him to make sure
22 that there was no problem that would prevent him
23 from having the anesthetic that he required to
24 have the thigh taken care of and that there were
25 no other injuries that were compelling or more

1 important than his thigh injury. So in that
2 sense, it's a combined answer.

3 Q Okay. What did your examination of the abdomen
4 consist of?

5 A I listened to his abdomen and I palpated his
6 abdomen.

7 Q And your findings were?

8 A That the findings were negative. He had good
9 bowel sounds and no tenderness in his upper,
10 lower, or other quadrants, nothing to indicate
11 any intra-abdominal bleeding.

12 Q Did you ask Mr. Porter if he had pain in his
13 abdomen?

14 A I don't recall if I specifically asked him if he
15 had pain in his abdomen. He was in severe pain
16 with his left thigh and he was generally tender
17 over most of his body and the answer is -- in
18 relation to his initial visit in the ER when I
19 saw him the first time.

20 Q Did you have a conversation with Dr. Murthy
21 then?

22 A No, I did not.

23 Q But you had reviewed his notes in the chart?

24 A When I went back to dictate and write my own
25 history and physical, I found that he had

1 already completed that in the chart, so I didn't
2 do a duplicate.

3 MS. HENRY: Can we just -- I
4 didn't --

5 MR. LANSLOWNE: Do you want her
6 to read back the end?

7 (Record was read.)

8 Q Are you the doctor then who actually admits Mr.
9 Porter into the hospital?

10 A Yes.

11 Q And in admitting Mr. Porter, you become the
12 attending physician for him; is that right?

13 A Correct.

14 Q And then you assume the role of the physician
15 primarily responsible for his care?

16 A That's a tough one to answer because there are
17 areas where I might call in somebody else and
18 that person would be primarily responsible' for
19 that part of that care, so --

20 Q But I mean in terms of overall responsibility,
21 you're the attending physician and you may call
22 in consults, but you're the --

23 A Correct.

24 Q -- the one that's running the show, so to speak,
25 for Mr. Porter. Correct?

1 A Correct. But if I call in somebody, for
2 example, who decides he wants to do a particular
3 procedure in his area, I'm not one that's going
4 to stop that person from doing it or recommend
5 to him to do that. I'm not the so-called
6 "captain of the ship" in the old sense of the
7 word.

8 Q Okay. What treatment did you decide to, or did
9 you recommend for Mr. Porter?

10 A I recommended to him that he have the wound
11 cleaned out under anesthetic and have cultures
12 obtained and that he receive antibiotic therapy.
13 And I also advised him that it would be more
14 than one debridement that would be necessary. I
15 also informed him that he may require the
16 services of a vascular surgeon, of a plastic
17 surgeon, and of a neurosurgeon as well.

18 Q Why did you feel it was going to be two
19 debridements?

20 a The contamination was quite extensive and the
21 laceration was a very large laceration and he
22 was in the water for quite some time. It would
23 be unlikely for him not to develop an infection
24 if he only had one debridement and one cleaning
25 out of that wound given the circumstances.

1 There was -- do you want me to continue?

2 Q Sure.

3 A There was a good likelihood that he might lose
4 his leg and his life from the injury to his
5 thigh alone and he was aware of that from the
6 very beginning. There were no guarantees made
7 as to the survival of that limb considering the
8 amount of trauma.

9 Q Did you have a discussion with Mr. Porter about
10 that potential loss of his limb?

11 A Yes, and with his family as well. They were
12 aware of that also.

13 Q How did you grade his condition upon admission,
14 critical, severe, what?

15 A He was between severe and critical. In terms of
16 the intensity and severity of the thigh wound
17 **alone.**

18 Q Did you --

19 A Let me qualify that answer, if I might.

20 Q Sure.

21 A When I say that, I mean what the consequences of
22 that injury would end up being **as** time goes on.
23 He was going to live that day, whether **we** took
24 him to debride his thigh or not. But if an
25 infection set in, that could be a

1 life-threatening infection. That could not only
2 cause him **to lose his thigh** and leg, but **also**
3 his life. **So** in that sense, I rated it **severe,**
4 potentially **fatal.**

5 Q You ordered some lab studies?

6 A I did not. These lab studies were already
7 ordered before I got there. The only lab
8 studies that I ordered were the cultures that
9 were obtained intraoperative that night and
10 subsequent, on the subsequent debridement.

11 Q Okay.

12 A And I also -- correct that, I ordered some
13 hemoglobin afterwards as well to check on his
14 blood and electrolytes.

15 Q After the first debridement?

16 A Correct.

17 Q Did you, prior to the debridement, first
18 debridement, make an estimate of blood loss?

19 A It was impossible to make such an estimate. He
20 had lacerated his thigh in water and there was
21 no way to know how much blood he had lost in the
22 time that he was in the water trying to get
23 resuscitated or trying to get rescued, so there
24 really was no way to estimate that accurately.

25 Q When you saw him, was bleeding controlled in his

1 leg?

2 A Just, if you could clarify what you mean by
3 "controlled." I do have an answer to your
4 question, but I want you to clarify it some
5 more.

6 Q I don't know how to -- if I can use the right
7 terms. But was the thigh actively -- was there
8 active bleeding in the left thigh at the time
9 that you saw him?

10 A Yes. And there were also compression bandages
11 around there that were helping to slow down that
12 bleeding.

13 Q Okay. Those had been applied prior to your --

14 A Prior to my getting there, yes.

15 Q Did you get the results of the hemoglobin and
16 hematocrit that had been ordered in the ER
17 before you did your debridement the first time?

18 A I don't remember.

19 Q Did you see those, or see that H & H, the first
20 H & H, at sometime before the second
21 debridement?

22 A Correct.

23 Q The studies indicate that the H & H prior to --
24 the H & H taken at 2106 on July 13th was in the
25 normal range. Correct?

1 A Yes.

2 Q What would that indicate to you?

3 A What do you mean?

4 Q Well, about his blood loss from the injury.

5 A That really doesn't tell us very much about how
6 much blood he lost. He could have lost more
7 than one or two units. He could have lost less
8 than that and the hemoglobin would not have
9 changed in that short a period of time from the
10 time of his accident to that time that that
11 blood was drawn.

12 Q All right.

13 A So in answer to your question, that would
14 indicate to me that more than likely his normal¹
15 hemoglobin was about that level.

16 Q That's what you would have taken that to mean,
17 that this H & H at 2106 probably represented his^{is}
18 baseline?

19 A Probably. You can get incorrect readings if
20 there is basic constriction and you may have it^t
21 actually a little higher than what is baseline.

22 Q You did not feel that this gave you any
23 information as far as how much blood he may have^{ve}
24 lost from the injury itself. Correct?

25 A Yes.

1 Q Okay. The first debridement, what was the time
2 of that?

3 A I'll check the record here and see.

4 Q Sure.

5 A It was 20 minutes after midnight when the
6 patient was in the room, and the anesthetic
7 ended at 1:40 in the morning.

8 Q That was a spinal anesthetic?

9 A That is correct.

10 Q What did you do in that operation?

11 A Let me find my operative report and refresh my
12 memory also.

13 Q Sure.

14 A After the patient had been given the spinal
15 anesthetic, he was placed prone, in other words,
16 face down on the operating table, and the left
17 thigh was prepped and draped and explored.
18 There was tissue that was removed that was dead
19 tissue that was shredded. The thigh was
20 explored for evidence of injury or possible
21 injury to any of the arteries and nerves in the
22 area as well as to see how deep the laceration
23 extended down and whether it went to the bone or
24 not. That area that was the deepest parts of
25 the wound were cultured. Irrigation was

1 performed, and then the wound was dressed.

2 I would like to just check my notes. I
3 think I left a clip or clamp or something to --
4 it may have been the end of the second
5 operation -- to show where the end of the nerve
6 was. There was **one** nerve that **hoked** like it
7 was severed. At any rate that was what was
8 done.

9 There were bleeders that were coagulated,
10 and that refers back to your previous question
11 as to whether the bleeding was controlled.
12 There were still vessels that were still
13 bleeding and they needed to be coagulated. And
14 there was blood coming from the open muscle and
15 lacerated muscles as well. So those were the
16 findings at that time.

17 Q Your note indicates small bleeders in the area.
18 Correct?

19 A Right.

20 Q Any major vascular injury?

21 A There may have been one large vessel that was
22 coagulated, but I don't recall -- I don't recall
23 exactly. There were multiple bleeding sites.
24 The whole thigh in the back, I don't know if
25 you've had a chance to see his pictures or not,

1 the area was quite extensive. It was not one or
2 two small bleeders in one isolated area of the
3 thigh. The whole thigh was filleted open. If
4 you can imagine how much bleeding there was from
5 many areas over a large surface, small bleeders
6 over a large surface area.

7 Q In your note, is there any indication that you
8 found any major vascular --

9 A I was looking to see if the popliteal artery was
10 severed or cut. I did not find a laceration of
11 the popliteal artery.

12 Q But you did decide to get a vascular consult
13 just to be on the safe side?

14 A Yes.

15 Q And did the vascular consultant find any major
16 vascular injury?

17 A Not to my knowledge. I don't think he did an
18 arteriogram, so on clinical examination alone he
19 did not find any major.

20 Q Mr. Porter did well in the first operation?

21 A Yes.

22 Q The estimated blood loss in the operation?

23 A Nil.

24 Q Virtually none?

25 A 50, 100 cc's, not that much.

1 Q What was the plan after the first operation?

2 A The plan was to make sure that his leg was
3 viable in terms of its vascularity. That was
4 the reason for the vascular consultation. That
5 was number one on the list in terms of things to
6 check and take care of.

7 Number two, with respect to his lower
8 extremity, was to have a plastic surgeon
9 involved, and three, have a neurosurgeon look at
10 it as well. Before the surgery the patient had
11 limited function in his foot in terms of being
12 able to move it and he had decreased sensation,
13 and during the surgery it appeared that one of
14 the nerves had been lacerated also. And that's
15 why it was important to get the opinion and
16 recommendations and treatment from the
17 neurosurgeon as well.

18 So those were the main things, followed as
19 well, with **all of this going on, to** make sure
20 that he got enough antibiotics to make sure no
21 infection developed in his thigh. He was also
22 notified that he would have to come back with
23 either a second or possibly third debridement,
24 and he also was aware that the plastic surgeon
25 would more than likely have to do something to

1 close that wound eventually.

2 Q Would you read your post-op order into the
3 record, because I can't read all of it. It
4 would just be helpful if you could do that.

5 A Diet, regular. Out **of** bed, back to chair is
6 tolerated. Vital **signs**. Neural and vascular
7 checks for left leg and foot, accurate intake
8 and output. ICD 5, normal saline at 50 cc's an
9 hour. Erythromycin, 500 milligrams IC piggyback
10 every six hours. Allergic to Penicillin.
11 Gentamycin 80 milligrams IC piggyback every
12 eight hours. Repeat CBC a.m. Get baseline SMA,
13 6. Insert Foley or straight cath if unable to
14 void by 8 a.m. Inform Dr. Ghanma of results of
15 cultures from left thigh. Dressing change **a**
16 shift with Adaptic gauze, wet four by fours, Ace
17 for left thigh. Consult Dr. Marfori regarding
18 wound management. Consult Dr. Zolli a.m.
19 regarding vascular status of thigh. PC pump for
20 pain control. Contact anesthesia for orders.
21 Sign consent for re-debridement on 7-15-95.

22 Q Was the patient having significant amount of
23 pain after the surgery?

24 A Yes.

25 Q Where was the pain?

1 A In his left thigh.

2 Q Did he have pain anywhere else?

3 A He had **a** laceration of his thumb that I sutured
4 during the first operation. He had pain there.
5 **He had** pain all over. He **was** quite sore. The
6 most severe pain that he complained of that he
7 verbalized was of his left thigh.

8 Q One of the things that you ordered was a CBC.
9 What was the purpose of ordering that?

10 A I was not clear as to how much blood loss he had
11 during the time that he spent in the water, and
12 by repeating the CBC that would give me a more
13 accurate assessment of blood loss that had
14 occurred prior to the CBC being done.

15 Q Were you also checking to see if there might be
16 potentially **an** internal bleed?

17 A That wasn't **on my mind**. At the time that I
18 ordered **that** CBC I wanted to find out how much
19 blood **loss** he **had**.

20 Q Did you consider **at all** the possibility that he
21 might have **an** internal bleed? And I'm talking
22 at the **time** after your first debridement.

23 A Yes.

24 Q **You did** consider that?

25 A **Yes**.

1 Q What did you consider and why did you consider
2 it?

3 A Well, the patient had been in the water, and I
4 **don't** know exactly what kind of trauma he might
5 have received at the time. The waves were quite
6 rough, but **that's** something that you just think
7 about without specifically recalling exactly
8 when you thought about it.

9 Q Was that consideration part of the reason for
10 getting the CBC or no?

11 A No.

12 Q After the debridement, when did you next see Mr.
13 Porter?

14 A I saw him that morning, to the best of my
15 recollection, as well as on 7-14, that evening
16 as well, late afternoon, two visits. I saw him,
17 according to my progress notes, at 7:40 a.m. on
18 the 14th, and I also saw him that evening.'
19 Actually, it says 7 a.m. I want to correct
20 that. No, that was -- 2 a.m. I believe was the
21 surgery, and it was 7:50 a.m. that I saw him
22 that same morning.

23 Q **Okay.** In between the times that you were able
24 to see Mr. Porter, the nurses would have been
25 the people who were doing assessments of him on

1 a regular basis?

2 A That is correct.

3 Q And you would have to rely upon their assessment
4 to make your decisions about his care?

5 A Not necessarily.

6 Q I mean, that information **would** be something that
7 you would use to make your decision?

8 A That would be helpful in making the decision.

9 Q One of the things you would want to know about
10 is pain the patient was having. Correct?

11 A Correct.

12 Q You would want the nurses to chart the patient's
13 complaints of pain?

14 A Correct.

15 Q You would want the nurses to chart the location
16 of the pain. Correct?

17 A Yes.

18 Q That's what you expect nurses to do, is tell you
19 not only that the patient's having pain but
20 where the pain is. Correct?

21 A Yes.

22 Q And you'd also want them to chart the intensity
23 of the pain?

24 A Yes.

25 Q Why do you want to chart the location of the

1 pain?

2 A The location of the pain would be helpful in
3 determining an area of possible pathology.

4 Q If we look at the nurses' notes for July 14th,
5 do you have that?

6 MR. SWITZER: What shift?

7 MR. LANSDOWNE: I think it
8 starts out at 0300.

9 THE WITNESS: Yes.

10 MR. TREU: Those are the
11 progress notes, not the assessments?

12 THE WITNESS: Nursing progress
13 note?

14 MR. LANSDOWNE: Right. Because
15 the assessment and care plan is done,
16 apparently, on the 13th; is that right? I'm
17 just trying to break this up so we can focus on
18 some things. But I think the original
19 assessment is on the 13th, nursing assessment,
20 and the notes begin on the 14th; is that
21 correct?

22 MR. SWITZER: What assessment
23 were **you** talking about?

24 MR. LANSDOWNE: Well, there's a
25 nursing department admission assessment, several

a pages, which, I believe, **was** done on the 13th.

2 THE WITNESS: Are you talking
3 about the preoperative checklist on the 13th?

4 MR. SWITZER: This
5 (indicating)?

6 MR. LANSDOWNE: Right.

7 MR. SWITZER: Is there a date?

8 THE WITNESS: I don't see one.

9 MR. SWITZER: There is down on
10 the bottom.

11 THE WITNESS: That's 7-15.

12 MR. LANSDOWNE: There does seem
13 to be a date on it of 7-15 which I think must
14 be an error.

15 BY MR. LANSDOWNE:

16 Q But in any event, looking at the notes from the
17 progress notes beginning at 0300 on the 14th, as
18 far as pain is concerned, I see one notation for
19 pain at 0430, patient complains of pain. See
20 any other indication -- well, there's one.

21 A At 0300, complains of pain.

22 Q One **at** 0300.

23 MR. SWITZER: Did you say
24 0330? I don't think it said complained of pain.
25 I think it said 3:30 denies pain, unless I'm --

1 MR. LANSLOWNE: No, I said 0430.
2 The doctor corrected me to say that there was
3 one at 0360.

4 Q Complains of pain with movement at 0300. At
5 0330, denies pain at this time, and at 0430,
6 patient complains of pain. Correct?

7 A Yes.

8 Q Those are the notes of pain as far as that
9 shift, right?

10 A For that shift. There are notes of pain prior
11 to that shift in the ER assessment.

12 Q Right. Any indication on this shift that we're
13 looking at here as to the location of that pain?

14 A To the best of my understanding, the complaint
15 of pain with movement meant movement of the leg,
16 and he was having a lot of pain whenever that
17 lower extremity was moved. So I'm going back to
18 the first notation of 0300. That's my
19 understanding of that reading.

20 Q How do you come to that understanding?

21 A Well, I was seeing him. He was in excruciating
22 pain before the surgery, and when I saw him
23 subsequently, that was his main complaint as
24 well as the pain in his thigh that following
25 morning. And given the laceration he had, it

would be very surprising if he didn't have pain.
And so, therefore, the information at 3:30
indicates that the PCA was more than likely
helping him with his pain.

Q So you took these notations to mean that pain in
the area of the thigh?

a I don't recall seeing these except after the
patient was discharged.

Q You don't think you reviewed these notes from
0300 to 0600 at any time?

A I doubt it. I might have looked through them,
but I doubt it.

Q Did Mr. Porter complain to you about any --
strike that.

Did you **ask Mr.** Porter about any
complaints of pain **in** his abdomen? I'm talking
about on the 14th.

A **Yes.**

Q When did you ask him?

A When I came that evening to see him again he
hadn't eaten any **of his food or** very little of
his **food, and** I asked him why, and he said that
he had **a poor appetite.** So I again examined his
abdomen **and his chest to** see if there was
anything **I would be able** to find at that time

in appetite.

MR. TREU:

I'm sorry, did

you say the evening when you visited him or the ^{he} morning?

THE WITNESS: That evening.

A

I don't recall if I asked him in the morning, but I definitely know that I did ask him in the ^{re} evening because his tray was there and he hadn't eaten much of the food.

You're referring then to at the time you made the note July 14th -- I guess there's no time on it. But you think that would have been the evening of the 14th? It starts out, I think, Dr. Marfori?

A

Yes.

That note **would** have been sometime in the evening of the 14th?

A

It was about the time when they brought the
dinner trays out.

0

And you say at that time you examined his abdomen again?

A

Yes.

0

Did you make any notation about examining his abdomen?

Computer Transcription - Wanous Reporting Service

1 A No.

2 Q And, again, tell me what you did in your
3 examination.

4 A I examined his abdomen. I also listened to his
5 abdomen for bowel sounds as well as examined his
6 chest. He was slightly tender in the right
7 lower chest area, back in this area here at that
8 time (indicating).

9 MR. SWITZER: You're pointing
10 to your back?

11 THE WITNESS: In his right --

12 Q You indicated the right lower quadrant?

13 A No, no, the right lower quadrant, that's in the
14 abdomen. We're talking about the right lower
15 part of his chest to listen for breast sounds.
16 That's where he had tenderness, right there
17 (indicating).

18 MR. SWITZER: You said chest,
19 Doctor, do you mean back?

20 THE WITNESS: Well, that's
21 part of -- his chest goes all the way around.

22 MR. SWITZER: That's true.
23 The back position of his chest,.

24 THE WITNESS: Correct.

25 BY MR. LANSLOWNE:

1 Q And what did you attribute that to?

2 A I asked him, and he said that he was bumped
3 around when he fell during the, during his
4 episode in the water.

5 Q Bumped around how?

6 A He had fallen off of his boat. He was -- and I
7 don't know exactly how he fell off the boat --
8 but he ended up in the water. He then attempted
9 to climb onto another boat, and the propeller
10 hit him in the leg, and he was also bumped by
11 that boat at the time. And then he tried to get
12 on a jet ski, and he had to scramble onto that.
13 So there were times during that whole incident
14 that he was in a situation where he might have
15 been bumped.

16 Q Multiple opportunities to get multiple types of
17 trauma. Correct?

18 A I don't know what happened when he was out'
19 there, what exactly happened. All I know is
20 that he did fall off of his boat. Exactly how
21 he fell off the boat, I don't know, and what he
22 hit, I don't know specifically.

23 But when I asked him, he said that he
24 didn't have a previous problem before that
25 accident. It wasn't something he had pain there

1 For a long time before. **So** to the best of **my**
2 knowledge, that was accident related.

3 Q The ~~pain in his right lower back?~~

4 A Correct. ~~Right lower back, no.~~ Right 'lower
5 chest.

6 Q ~~Right lower chest. I apologize.~~

7 A Okay.

8 Q By the evening of the 14th when *you* saw him, did
9 you have the results of the CBC?

10 A I think so. I'm not positive on that, but I did
11 at some point get information that the CBC was
12 within acceptable limits.

13 Q The records indicate that the CBC would have
14 been drawn at 0740 and revealed hemoglobin of
15 13.5 and a hematocrit of 38.2. Correct?

16 A Yes.

17 Q Both of those would be in the low range?

18 A They would be within acceptable range for the
19 kind of injury that he sustained to his thigh.
20 They would not be critically low. They would be
21 on the underside of low. There are many people
22 who have a hemoglobin 13.5 that are considered
23 normal as far as their hemoglobin goes.

24 Q The lab, in any event, rates those as low.
25 Correct?

1 A On their charting, yes.

2 Q What did you attribute that decrease from the
3 previous H & H that had been drawn on admission?

4 A I attribute it to the probability of blood loss
5 from his thigh mainly and some of the blood loss
6 that was present not only during the surgery but
7 afterwards. The dressings were soaked in blood
8 over the 24-hour period intervening between the
9 time that he had surgery and subsequently.

10 Q Did you consider the possibility -- now, I'm
11 talking about the 14th when you received the
12 information of the second H & H. Did you
13 consider the possibility that that blood loss
14 was related to an internal bleed?

15 A The answer to that is that not all that blood
16 loss could have been related to internal bleed.
17 I did **consider that** possibility, and my notes of
18 7-14 indicate that I had a question concerning
19 nausea or vomiting and he had none. And if he
20 had had an internal bleed, I would have expected
21 him to have symptoms of a peritoneal nature,
22 nausea, vomiting, etc., all of which he denied.

23 Q Your note about no nausea or vomiting is at 7:50
24 a.m.?

25 A **Correct.**

1 Q I'm really referring to -- at that time you
2 would not have had the second H & H results.
3 Correct?

4 A Right.

5 Q I'm talking about the --

6 A I don't know if that's true or not. I might
7 have already gotten those if they were done in
8 the morning. If they were drawn early that
9 morning I might have had them when I went to
10 make rounds. I don't know exactly when I got
11 the hemoglobin.

12 Q Well, the lab report indicates that -- indicates
13 0740. That would be the time that they're
14 collected, right?

15 A Let me check.

16 Q It says collection time. Take a look.

17 A Yes. They may have called me with the results.
18 I don't recall when they called me with these
19 results. It's possible.

20 Q You wouldn't have had them by 7:50. Correct?

21 A Probably not.

22 Q So, again, what I'm asking you is, at the point
23 in time, the evening of the 14th when you saw
24 Mr. Porter and you had the results of the second
25 H & H, did you consider the possibility that

1 som of that blood loss was attributable to an
2 internal bleed?

3 A No, I did not.

4 Q And why not?

5 A There was ample evidence to indicate where the
6 blood loss was from, from his thigh injury. And
7 his abdomen was benign. He had no complaints
8 with regard to his abdomen. If he had had a
9 substantial bleed as a result of the accident
10 that he had been in, he would have most likely
11 been hypotensive by that time. And so all of
12 those factors relate to that. If he had a
13 ruptured spleen that was bleeding, he would have
14 probably been dead by then.

15 Q Did you do any type of calculation of his fluid
16 intake and output at that time that -- that is
17 the time of your visit with him on the evening
18 of the 14th?

19 A NO.

20 Q To the best of your recollection, you saw him
21 two times on the 14th?

22 A Yes.

23 Q The next time you saw him would have been on the
24 15th?

25 A Correct.

- 1 Q On the morning of the 15th, prior to surgery,
2 did you review the nurses' notes for the
3 previous evening?
- 4 A No, I did not.
- 5 Q Do you know whether he complained of abdominal
6 pain or back pain the evening of the 14th or the
7 morning hours of the 15th before you saw him on
8 the 15th?
- 9 A To the best of my knowledge, he didn't complain
10 of any abdominal or back pain. My examination
11 on the 14th elicited from him the statement that
12 he was having pain in that area when I was
13 examining him, that was a tender area when I was
14 examining him. To the best of my knowledge, he
15 did not complain of any abdominal or back pain
16 during the period that you're asking.
- 17 Q Did you consider on the afternoon **or** evening of
18 July 14th ordering another **CBC** so that you could
19 have the results of that **prior** to the scheduled
20 debridement?
- 21 A I don't **think** so.
- 22 Q Do you have an **opinion what a** CBC done on the
23 evening of the 14th or **the** morning of the 15th
24 would have shown **regarding** Mr. Porter's H & H?
- 25 A I don't know **what** it would have shown.

1 Q Okay. So I'm clear, I know you don't know what
2 it would have shown, but do you have an opinion
3 what it would have shown?

4 A Can you clarify the question?

5 Q Sure. The only way to know what it would have
6 shown is if it would have been done. But what
7 I'm asking you is: Do you have an opinion,
8 based upon your experience and knowledge and the
9 fact that you treated this patient and have had
10 an opportunity to review this record and give it
11 some thought, do you have an opinion what a CBC
12 done the evening of the 14th or the morning of
13 the 15th would have revealed regarding his
14 hemoglobin and hematocrit at that time?

15 A It probably would have been slightly lower than
16 the 13.5.

17 Q And why do you say that?

18 A Well, because it takes time for that hemoglobin
19 and hematocrit to accurately reflect an acute
20 episode of blood loss, so the hemoglobin was
21 somewhat behind the actual reading more than
22 likely. In other words, when I received the
23 13.5, it more likely represented something in
24 the neighborhood of 12 or 12.5 for when it would
25 stay stable.

1 Q Knowing what you know now about the coroner's
2 findings, do you believe that Mr. Porter was
3 bleeding internally on the evening of the 14th?

4 A I don't know.

5 Q Assume for me that he was bleeding on the
6 evening of the 14th, had you known that, would
7 you have gone ahead with the surgery on the
8 15th?

9 A I would have called in a general surgeon to see
10 him and more than likely would have had to also
11 debride that thigh as well. So no matter what
12 the surgeon did, he needed to have that thigh
13 debrided also. So I think it would have been a
14 situation where both of us would have been
15 working if there was a need for him to do
16 surgery on Mr. Porter.

17 Q Would you have agreed to a general anesthesia if
18 you had known that he was bleeding internally,
19 assuming he was bleeding internally?

20 A If he was bleeding internally, then the bleeding
21 would have had to have been stopped, I would
22 assume. So to stop that bleeding, he would have
23 had to have a general anesthetic, if there were
24 this bleeding.

25 Q Prior to the surgery on the 15th, did you have a

1 discussion with the anesthesiologist about the
2 use of a general anesthetic?

3 A No. When I came to the **operating** suite, he was
4 in the process of ~~being examined~~ and questioned
5 by her, by ~~the anesthesiologist~~.

6 MS. HENRY: Wait. He --

7 MR. SWITZER: She didn't hear
8 it.

9 MS. HENRY: You dropped your
10 voice.

11 MR. LANSDOWNE: Read it back,
12 will you please.

13 (Record was read.)

14 BY MR. LANSDOWNE:

15 Q Did you overhear any of that conversation?

16 A No.

17 Q Do you know what the anesthesiologist and Mr.
18 Porter discussed?

19 A I don't know. I wasn't directly close to them
20 when they were talking.

21 Q The records would indicate that Mr. Porter had a
22 decrease in his blood pressure -- I'm talking
23 about the early morning hours of the 15th.

24 A Okay.

25 Q Do you know what I'm referring to?

1 A Okay.

2 Q Do you see the decrease in blood pressure that's
3 listed on July 15th? He had been up in 144/76,
4 130/60, 138/74 and then decreased down to
5 110/62. Do you see that?

6 A Yes.

7 Q Had you seen that prior to your surgery on the
a 15th?

9 A The nurse called me about him that morning
10 before the surgery and notified me that his
11 temperature was up and that he **had** dropped his
12 blood pressure **slightly**. **He was** also
13 experiencing increasing pain in his thigh. I
14 explained to her that **we were** taking him to
15 surgery to debride again. I felt that that was
16 related to the possibility of him getting an
17 infection in his thigh.

18 Q You thought his blood pressure drop was related
19 to infection?

20 A Yes.

21 Q Did you consider whether that blood pressure
22 drop could be related to bleeding, internal
23 bleeding?

24 A Not **at** the time.

25 Q Did you make any orders at the time that you got

1 this notification about the blood pressure and
2 temperature?

3 A Actually, there was a notification about the
4 temperature more than the blood pressure, and
5 the call was about the temperature more than it
6 was about blood pressure. The call for Tylenol
7 was in relation to the temperature elevation.

8 Q The second procedure was going to be another
9 debridement; is that right?

10 A Correct. I had also discussed with Dr. Sertich
11 whether he wanted to be present to check the
12 nerve that was damaged and had suggested that he
13 might want to also be there as well. So it was
14 debridement but also to look at the nerve and
15 see the extent of what amount of nerve damage
16 was present. The overriding reason for the
17 second surgery was debriding it and cleaning it
18 out again.

19 Q Was Dr. Sertich present for --

20 A To the best of my recollection, he was not in
21 surgery. He may have seen the patient prior to
22 Mr. Porter going to surgery that same morning.

23 Q During the procedure itself -- I know at the end
24 of the procedure or maybe after the procedure,
25 obviously, he has an arrest, but during the

1 procedure itself were there any complications?

2 A Can you explain what you mean by
3 "complications"? In other words, related to
4 what I was doing with his thigh?

5 Q Related to what you were doing, yes.

6 A No.

7 Q He did, at one point, have a drop in his blood
8 pressure during the procedure, right?

9 A That's correct.

10 Q That was towards the end of the procedure?

11 A Yes.

12 Q Do you remember that?

13 A Yes. I remember that she told me that he was
14 having -- he was having trouble with his blood
15 pressure.

16 Q That **is** Dr. Quansah?

17 A That's correct.

18 **a** What did she tell you?

19 **A** She said, I'm having trouble with his blood
20 pressure. And I said, well, there's nothing
21 that we're doing here that is going to cause his
22 blood **pressure to** drop so I asked her if she
23 wanted **me** to stop. She said no. I don't know
24 what she did. I don't know what she gave him or
25 what she did, but his **blood** pressure came back

1 and we continued **and I quickly finished** what I
2 **was** doing.

3 Q What did you attribute that blood pressure drop
4 to?

5 A Often in the course of anesthesia the patient
6 will have a transient problem with blood
7 pressure and they're given vital fluids or
8 another medication and their blood pressure goes
9 back up, so this was not an unusual occurrence.
10 **If** she had asked me **to** stop immediately, I would
11 have finished right then and there.

12 Q You could have at that point finished, Doctor?

13 A We were almost done with the surgery basically.
14 I think we were taking pictures or something,
15 finishing up some more irrigation and that was
16 all there was to do.

17 Q Do you now, looking back at it, contribute that
18 blood pressure drop to anything specific?

19 MS. HENRY: Objection.

20 A Again, I **don't know why** he dropped his **blood**
21 pressure.

22 Q At what point did you get any indication that
23 there was something wrong with the patient?

24 A At the moment that **we** were planning to turn him
25 back onto **his** -- from being face down to putting

1 him back on his back, we had completed all the
2 dressings, we were ready to roll him over back
3 onto his face -- back onto his back, and that's
4 when the anesthesiologist indicated there was
5 something wrong.

6 Q What did she say?

7 A She said, "I'm losing End-Tidal CO2."

8 Q Which means what?

9 A Which means that he's not breathing.

10 Q Physically where were you when this happened,
11 when she said this?

12 A I was standing near the operating table to the
13 best of my recollection on the patient's left
14 side.

15 Q Left side as he's face down?

16 A Face down. So I was below his waist.

17 Q So she gave you this indication -- that is the
18 anesthesiologist -- before anybody had begun to
19 turn Mr. Porter over onto his back. Correct?

20 A Correct. In thinking about it, I may have been
21 seated writing my orders and then got up to go
22 to help turn him over and I may have been
23 walking from the stool that was toward the back
24 of the room or to the area that was directly
25 near the door going back toward the patient. I

1 don't really recall exactly where I was
2 standing, but during the surgery that's where I
3 was standing.

4 Q What happened next?

5 A Well, we attempted to get him rolled back onto
6 his back and she **was** having difficulty in
7 getting his face and head released from whatever
8 was supporting his face during the procedure in
9 order to turn him back. And then we -- that
10 eventually was not a problem and we were able to
11 get him on his back. And then a code was called
12 and the resuscitation was started.

13 Q You participated in moving Mr. Porter onto his
14 back?

15 A Correct.

16 Q So you would have been standing right at the bed
17 when he got turned over onto his back?

18 A Correct.

19 Q Did you look --

20 A No, not necessarily so. I was there when the
21 code happened. When he arrested I was right
22 there and then tried to get him over. So I
23 could have been walking back from where I was
24 sitting writing my note and then said, well,
25 it's time to turn him over. I may have

1 approached there and then it happened, and I was
2 there and tried to help move him over.

3 Q My question **is really**, when he *got* turned over,
4 were you right **by** the patient's bed?

5 A That's correct.

6 Q **So** at that time that he was turned over did you
7 look at his face?

8 A I was more -- I was looking at what she was
9 doing over there, trying **to get** -- because we
10 couldn't turn him over. We were trying to turn
11 him over, but we couldn't turn him over until
12 that, whatever, whether it was tape **or** there was
13 a sponge, whatever **was** there had to be unhooked
14 or whatever **so** that he could be turned over. **SO**
15 we were looking standing at the back of the
16 table looking toward his head.

17 Q Looking up at **Dr.** Quansah who was --

18 A Correct.

19 Q -- who was trying to disconnect or -- trying to
20 do something to get his head **so** it could be
21 turned over?

22 A Correct.

23 Q All right. And then when he did get turned
24 over, did **you** at that point look **at** his face?

25 A I **don't** remember **what I saw when I** looked at his

1 face at that time.

2 Q Can you tell me whether he was intubated at the
3 time that he was turned over?

4 A I don't know.

5 Q Do you know anybody who knows one way or the
6 other whether **he** was intubated at the time that
7 he was turned over?

8 A Dr. Quansah probably knows.

9 Q Anybody else?

10 A I don't know. There were other people in the
11 room.

12 Q Right.

13 A I don't know what they saw or what they did not
14 see.

15 Q What did Dr. Quansah tell you at the time that
16 you were trying to get this guy turned over?
17 He's having a code and she's, you know, having
18 some problem up there, did she say what the
19 problem was she was having?

20 A I don't recall verbatim, but she did mention
21 that **she** was having a problem disconnecting him
22 from whatever was there supporting his face
23 while he **was**, while the procedure was being, was
24 going on. So I don't know what it was that was
25 obstructing or preventing her from being able to

1 get his head disengaged **from** wherever he **was**.

2 Q What happened after you got Mr. Porter turned
3 over?

4 A Well, CPR was started, and the code team arrived
5 into the operating suite, and basically they
6 took over the code, and code was continued for
7 about two and a half hours probably trying to
8 resuscitate him and many things happened during
9 that code to try to get him back.

10 Q When you say that they took over, you mean the
11 code team?

12 A Correct.

13 Q Did you sort of back out of the picture?

14 A Yes. I gave him some CPR to start with, and she
15 was giving him oxygen, and I don't clearly
16 remember exactly when the tube actually came
17 out, but the tube came out. But at some point I
18 looked over and the tube wasn't in him and it
19 was an Ambu bag that was giving him oxygen.

20 Q You **didn't see Dr. Quansah** extubate him?

21 A I did not **witness** that, no.

22 Q So at some point while you were giving CPR, you
23 noticed that he was extubated?

24 A I may have been at that point standing at the
25 door of the room and talking to some of the

1 people that had come in after the code was
2 called.

3 Q Okay- Once the code team took over, did you
4 have anything further to do with Mr. Porter's
5 care? *

6 A No.

7 Q Did you stay in the operating room theater and
8 watch the resuscitation efforts?

9 A Yes, I did. That may be misleading in that I
10 did go out to talk to the family.

11 Q Right.

12 A But I was in the operating theater area. I did
13 not leave the premises.

14 Q Did you see a chest x-ray done during the code?

15 A Yes, a chest x-ray was done during the code.

16 Q Why was that?

17 A To ascertain, number one, the position of the
18 endotracheal tube that was reinserted, and two,
19 to see if there was any evidence to indicate any
20 pulmonary or cardiac problem that the patient
21 might have had.

22 Q Do you know what the results of that chest x-ray
23 were?

24 A At the time the people that were doing the code
25 were concerned that he might have had a

1 pulmonary embolism and that he had some
2 cardiomegaly as well, and to the best of my
3 knowledge there was also some fluid in the right
4 lower base that might be there. I know that the
5 radiologist subsequently read it as not
6 definitely showing fluid in the chest, but there
7 was concern at the time that this did show
8 cardiomegaly and the possible pulmonary embolism
9 was suspected on the basis of that chest x-ray
10 as well as of the -- I think they also did an
11 echocardiogram or some other test that they did,
12 which suggested the possibility of pulmonary
13 embolism.

14 Q Was there also some concern that the tube itself
15 was not advanced quite far enough?

16 A No. But getting the x-ray would confirm whether
17 it was in the right position or not and that was
18 confirmed on the x-ray.

19 Q Was there some indication at the time of the
20 chest x-ray that -- a recommendation that the
21 tube be advanced a little farther?

22 A Not to my knowledge.

23 Q I may not be reading this right, but there is a,
24 I think an interim radiology note.

25 MR. TREU:

A printed report

1 or a handwritten note?

2 THE WITNESS: I really cannot
3 read what it says here.

4 Q Could you read that number two, Doctor?

5 A Distal tip nasogastric. That's not the
6 endotracheal tube. Recommend advance the NG
7 approximately six centimeters. But the
8 endotracheal position, ET, is in the correct
9 position.

10 Q So this relates to the nasogastric --

11 A Right.

12 Q -- tube. And the ET is indicated to be in
13 trachea in the appropriate place?

14 A Yes.

15 Q And then later on the dictated note confirms
16 that?

17 MR. SWITZER: I don't think
18 it's in there.

19 A I don't see it here, but I may have a copy of
20 it. You have a chest x-ray later. Here's the
21 final one.

22 Q Now, these two, the handwritten one that you
23 read from and then the final one that was
24 dictated on the 17th, those relate to the
25 position of the tube after it was, after Mr.

1 Porter was re-intubated?

2 A Correct.

3 Q Was a chest x-ray done to establish whether the
4 first intubation was in the proper place?

5 A No.

6 Q Did Dr. Quansah have any problem that you
7 noticed intubating Mr. Porter the first time?

8 A No.

9 Q There's some indication in the records about
10 concern about a difficult intubation. Were you
11 aware about any of that?

12 A That was not on that, on that surgery.

13 Q I know. But there's some indication in the
14 record about possible difficult intubation.

15 A Yes, there's one notation in the record about
16 possible difficulty with this patient that was
17 mentioned on his first surgery.

18 Q Do you know what that relates to?

19 A I don't know specifically. I think he had a
20 small chin. I'm not sure what the exact concern
21 was.

22 Q Did you discuss that with any anesthesiologist?

23 A No, I did not.

24 Q You said you had a discussion with the family
25 during this resuscitation?

1 A Yes, I did.

2 Q And who do you recall from the family being
3 there?

4 A Both his father and his mother were there.

5 Q What did you tell them?

6 A I told them that he had undergone cardiac arrest
7 and was undergoing resuscitation and that the
8 condition was grave and that we were doing our
9 best to save him. And I think I went back maybe
10 twice again and kept them updated as to what the
11 progress of the resuscitation efforts were. And
12 when he was finally pronounced, I told them that
13 the resuscitation efforts had ended.

14 Q Did you tell them what had caused this?

15 A I told them that we didn't really know exactly
16 what caused it, and I told them that there was a
17 possibility of a possible pulmonary embolism,
18 either that or cardiac arrest or sepsis. I was
19 not aware at the time of the report of the
20 coroner, so we did not mention possible
21 intra-operative, intra-abdominal bleeding.

22 Q As I read the records, it appears that people
23 involved in the code -- I don't know whether
24 it's the anesthesiologist or -- could not
25 ventilate Mr. Porter. Is that what you

1 understand the records to indicate?

2 A For a short period of time after he was turned
3 on his back ventilating him was difficult, but
4 he was re-intubated. I don't know the exact
5 length of time between turning him over on his
6 back and when the tube **was** reinserted. I don't
7 think it was a very long time. From reading the
8 records, there is a statement indicating that
9 there was difficulty with ventilating him.

10 Q Do you **recall** them **having** difficulty
11 ventilating?

12 A I think Dr. Quansah may have said that, that she
13 was having difficulty with reventilating.

14 Q And that would have been at the point when he
15 **was** turned over from his prone position to the
16 supine position?

17 A I think it was -- I don't exactly recall. My
18 best estimate --

19 MR. SWITZER: Don't guess,
20 Doctor.

21 A All right. I don't know.

22 Q Don't speculate, but if you have a best
23 estimate, you can give me that.

24 MR. SWITZER: Now, wait a
25 minute. What was the question again?

1 MR. LANSDOWNE: It relates to at
2 what point in time the doctor recalls them
3 having trouble ventilating him. I don't want
4 him to speculate, but a best estimate is a fair
5 question. If you have one.

6 A It was very close to when he was turned over,
7 very close to that time.

8 Q Okay.

9 MR. LANSDOWNE: Can we take a
10 little break?

11 THE WITNESS: Sure.

12 Q Doctor, at some point during the resuscitation
13 blood was drawn from Mr. Porter?

14 A Yes.

15 Q Do you recall that?

16 A I think blood gases were drawn at some point. I
17 don't recall the exact time that they were
18 drawn, but it was during the resuscitation.

19 Q On one or more occasions was blood drawn for
20 blood gas?

21 A I don't know.

22 Q Do you know where the blood was drawn from?

23 A No, I don't.

24 Q Do you know if there was any problem obtaining
25 blood?

1 A I don't know.

2 Q Did you witness them having any problem
3 obtaining the blood?

4 A I don't recall that there was any problem
5 obtaining the blood.

6 Q Did you discuss with anyone there being a
7 problem getting arterial blood?

8 A No.

9 Q Did you overhear anybody say anything about
10 there being a problem getting arterial blood?

11 A I don't remember that.

12 Q Did you have another conversation with the
13 family after telling them that Brad had expired?

14 A You mean on the 15th or --

15 Q At any time after the time --

16 A Yes, I had conversation with the family
17 subsequent to the death.

18 Q When was that?

19 A Yes, I did. Mrs. Porter was interested in
20 obtaining the medical records of Brad, and she
21 called me to get my help in that area. And the
22 records had to be signed by all the physicians
23 that were associated with the case, so there was
24 some delay in her being able to obtain the final
25 record, and I assisted her in that regard. She

1 actually came to my office afterwards and from
2 there she explained what her problem was to me
3 in terms of medical records., etc., and I
4 explained to her what the delay was in getting
5 those records.

6 Q Did you have any discussion with her at that
7 point about what had happened?

8 | A I don't recall.

9 Q Any other discussions with any family members
10 after that point?

11 A No. The last contact that I had with Mr. Porter
12 was at the hospital after I informed them that
13 his son had expired.

14 Q Any telephone conversations with any of the
15 family?

16 A Well, to the best of my recollection, I did call
17 Mrs. Porter back to let her know that I had gone
18 to the medical records and asked them to
19 expedite whatever it was that needed to be done
20 to get the records completed and copied. And I
21 think after I was informed that the record was
22 available, I gave her a call to let her know
23 that. That's my best recollection. When she
24 came here, she did not come alone. There was
25 another person with her.

1 Q Okay. Do you know who that was?

2 A I don't recall who it was. It was another lady.

3 Q When in time did you speak with the coroner?

4 A I don't think I actually talked with the coroner
5 himself. I talked with someone at the coroner's
6 office either the same day or the following
7 morning after Brad's death, and it may have been
8 the next morning. I'm not sure.

9 Q Had the autopsy been completed at that point?

10 A The report was not completed, but the finding of
11 blood or fluid in the abdomen was already known
12 at that point.

13 Q Did you discuss that finding with anybody,
14 anybody in the Porters' family?

15 A I don't recall. I did ask the coroner whether
16 that could have been caused in the resuscitation
17 efforts. I don't want to say coroner because it
18 was not the coroner, it was whoever answered the
19 phone at the coroner's office.

20 Q What did they tell *you* about that?

21 A They didn't know the answer to that at that
22 point.

23 Q Did you ever put that question to the coroner?

24 A Not directly, no.

25 Q **Did** you ever indirectly put it to him?

1 a Well, when I asked whoever it was that -- there
2 was a physician there, I assume, who was
3 responding on the phone -- to look into that
4 possibility because there had been quite a fair
5 amount of pressure applied to his chest and to
6 his abdomen anteriorly throughout two and a half
7 hours of constant resuscitation effort.

8 Q Did you go back and review the chart and try and
9 figure out what happened with this patient?

10 A I requested a copy of the records of the chart
11 and I did look at it.

12 Q Was the purpose to try and figure out what had
13 happened?

14 A That was the main reason I'd look at it, yes, to
15 see if there was -- what the findings were in
16 terms of the organisms that were growing, etc.

17 Q What did you conclude, if anything?

18 A I really haven't concluded anything specifically
19 about it, about the cause of death of Brad
20 Porter.

21 Q Did you and the neurosurgeon come up with a list
22 of possible causes of death?

23 A We didn't come up with a list, but we discussed
24 different possibilities. At the time that we
25 were discussing this, this information was not

1 available and subsequent --

2 Q "This information" being the coroner's report?

3 A Right, the final information concerning that.
4 And so at that point all the information was
5 related to what the conversations had been
6 during the resuscitation effort at the hospital,
7 none of which had any discussion of
8 intra-abdominal bleed as the possible cause of
9 death.

10 Q Did you **discuss as a** possible cause **of** the
11 arrest that Mr. Porter became extubated during
12 the procedure?

13 A **NO.**

14 Q **Did** you consider that at any time to be a
15 possibility?

16 A I considered it.

17 Q When?

18 A When I was in the **process** of thinking about what
19 possibly **might** have **caused** the patient to die
20 unexpectedly **in** this fashion.

21 Q Anything in the record cause you to think about
22 that possibility?

23 A Any what in the -- anything in the record?

24 Q Any note or any factor, any blood value,
25 anything?

1 A No. I was trying to understand in my own mind
2 what could have caused Brad Porter's death.

3 Q Did you ever discuss with anyone the possibility
4 that Mr. Porter became extubated --

5 A No, I did not. That was just a thought that I
6 had.

7 Q Do you have any criticisms of the nursing care
8 in this case?

9 A No, I do not.

10 Q Do you have any criticisms of any other doctors
11 who cared for Mr. Porter?

12 A No, I do not.

13 Q I'm **sorry** if you answered this at the beginning,
14 but I can't recall and **my** notes didn't tell me.
15 Did you ever ask Dr. Quansah directly whether
16 the patient had become extubated prior to him
17 being moved from **his** prone position to the
18 supine **position**?

19 A No, I didn't. And I think the tube was still in
20 place when **he had his** face down. I can't prove
21 that or say that I **saw** the tube there, but I
22 think the **tube was** still **in** place while he was
23 **still** face down.

24 Q Why **do** you think that?

25 A Because I think that was **part** of what **was**

1 impairing her from being able to flip him over.
2 There was a tube out of his mouth and that tube,
3 more than likely, was coming through a holding
4 device that was taped to his head or attached to
5 his head in some way. And that was where the
6 problem was. So there must have been a tube
7 there, I would think.

8 Q And then when he was **flipped** over, you just
9 don't know where, what **position** the tube was in?

10 A I don't **know** if the tube was there then or if it
11 wasn't. I don't recall that. I know at some
12 point later I looked over and **the** tube was not
13 there and he was being Ambu bagged.

14 Q By Dr. Quansah?

15 A By Dr. Quansah.

16 MR. LANSDOWNE: I don't have any
17 other questions, but I would like to see your
18 notes.

19 THE WITNESS: This is the
20 chart from the hospital and this is my office
21 chart for Brad. He had been here one more time
22 about two or three years ago, an old visit for
23 his knee.

24 MS. HENRY: Do you mind if I
25 go ahead?

MR. LANSDOWNE: Please.

- - - - -

EXAMINATION OF MANHAL A. **GHANMA**, M.D.

BY MS. HENRY:

Q Dr. Ghanma, I want to clarify a few things here.
You were the surgeon for both the first and
second surgery?

A That's correct.

Q Am I correct that there was no problem
intubating Mr. Porter at the beginning of the
first surgery?

A He was not intubated on the first surgery.

Q That's right. I'm sorry. You talked about
seeing something in the -- there was questions
about some things in the notes about problems
intubating Mr. Porter. There were no problems
in the second surgery intubating him at the
beginning of the surgery. Correct?

A That is correct also.

Q You were present in the operating theater at the
time that the intubation took place?

A That is correct.

Q Who **assisted** in **actually turning** Mr. Porter from
the prone position during the second procedure?

A Back onto his back after the surgery was

1 completed?

2 Q Yes.

3 A Dr. Quansah was at the head of the table. I was
4 on one side of the patient. There was a male
5 scrub nurse that was there. I think he was a
6 physician's assistant, and I'm not sure if his
7 name was Tony or not. I think his name is Tony.
8 And there was the scrub nurse that was assisting
9 on the case and it was a female scrub nurse.

10 Q So when he was actually turned over, it was done
11 all four of you operating together in turning
12 him over; is that right?

13 A Well, the three of us were trying to get him
14 turned over, but she was trying to get his head
15 freed from whatever was attached. And so that
16 would have been really more of the turning over
17 would have been our job and she would have been
18 supporting his head.

19 Q Okay. And in the operating theater at that time
20 were the four of you that you just described; is
21 that correct?

22 A Yes. There may have been another person, I
23 don't know. But at least those four people were
24 present.

25 Q When you dictated your operative note it was

1 during the resuscitation effort?

2 A That was during the time the resuscitation was
3 still ongoing Correct

4 Q How long after the code team arrived did you
5 leave the operating -- actually the operating
6 theater, but still within the suite?

7 A I couldn't hear that

8 Q You said you started CPR. the code team arrived?
9 Yes

10 Q And you left the area where this was being --

11 A I was in the operating room for quite a time
12 before I actually left

13 Q Okay

14 A And then I went to notify the family that we
15 were having a problem. I came back again, and
16 probably an hour or an hour and a half into this
17 resuscitation is when I went to dictate the
18 operative note

19 Q Do you know who was running the code?

20 A I know two or three people that were there I
21 don't know who was the senior person Dr Salka
22 was there. Dr. Oday was there. There was
23 another anesthesiologist that came in I don't
24 recall his name

25 Q I just want to know. Who do you know who was

1 running the code?

2 A Well, there was a combination of these people
3 deciding on what the next step should be. I
4 don't know if there was a particular lead person
5 that was in charge.

6 Q I think Dr. Salka -- is that a name that you
7 mentioned earlier?

8 A He's a cardiologist, yes.

9 Q The cardiologist. I mean, you had a couple of
10 names of physicians early on that I didn't, I
11 couldn't get. You were signed onto --

12 A P-U-R-O-H-I-T.

13 Q And then the plastic surgeon that was called was
14 Dr. --

15 A M-A-R-F-O-R-I. And if I'm not mistaken, there
16 may have been two other people that were called,
17 one of whose name is in the record, and that was
18 Dr. Whitted, W-H-I-T-T-E-D, he's an orthopedic
19 surgeon. And there may have been one other
20 doctor that was called, I don't know that for a
21 fact, **but** I think Dr. Darrow may also have been
22 called.

23 Q You said that when you came in and examined Mr.
24 Porter you felt that there was a good likelihood
25 he might lose his leg and possibly his life due

1 to this injury and the infection; is that
2 correct?

3 A Correct.

4 Q And you said his overall condition was severe,
5 between severe and critical as it relates to the
6 thigh wound alone?

7 A As it related to the complications that could
8 develop from the thigh wound alone.

9 Q Why did you say "alone"? Were there other
10 problems with him that made his condition
11 critical?

12 A Well, he had swallowed a lot of water I think as
13 well when he was in the lake, and if there was
14 any other injury that he had sustained during
15 that fall or accident, those could also had been
16 a factor.

17 Q When is the last time that you saw him before
18 you did the second surgery?

19 A Okay. I saw him -- do you mean by see him
20 physically or examined him?

21 Q Well --

22 A I saw him in the hallway of the OR when I came
23 to get changed. And I also spoke with him
24 before he was put to sleep.

25 Q The last time that you had examined him was the

1 evening before around dinnertime; is that
2 correct?

3 A That's correct.

4 Q And then you were called by the nurse about his
5 blood pressure --

6 A No. I was called about his temperature.

7 Q His temperature. And there was a mention about
8 his blood pressure?

9 A Correct.

10 Q Am I correct that you felt that these changes,
11 that is the increased temperature and the
12 discussion about the blood pressure, were
13 probably related to the infection he was
14 developing?

15 A That's correct.

16 Q It was essential that he go to the OR and be
17 debrided at that point in time because infection
18 was one of your concerns, if it wasn't taken
19 care of it could cause his death; is that right?

20 A That's correct. Can I amplify on that?

21 Q Sure.

22 A When we undressed the wound, there was a smell
23 coming in that area as well so I have no doubt
24 in my mind that he needed to be taken back for
25 that second debridement. From that standpoint,

1 from the condition of his thigh.

2 Q And you felt it was appropriate given everything
3 you knew from the nurse about his blood
4 pressure, his temperature, your evaluation of
5 his overall condition, for him to go to surgery?

6 A Yes.

7 Q And general anesthetic was an appropriate
8 anesthetic given all of those facts; is that
9 correct?

10 A Yes.

11 Q And there was a question about if you had known
12 about internal bleeding, assuming that occurred,
13 that you would -- the general surgeon would have
14 needed to use general anesthetic to remedy that
15 problem?

16 A Correct. Maybe. If he had had to take him to
17 surgery for that bleeding, then that would have
18 happened. Occasionally there are some cases of
19 intra-abdominal bleeding where they don't need
20 to be operated on, and in that case, he wouldn't
21 have had to have done surgery.

22 Q Let's assume that this --

23 A But if he had an active bleed, then that would
24 be the necessary anesthetic.

25 Q And assume that hypothetically there actually

1 was a laceration of the liver, that would
2 necessitate general anesthetic to do surgery for
3 that. Correct?

4 A Yes.

5 Q Now, you have testified that during the
6 operative procedure **Dr.** Quansah told you that
7 there was a problem with the blood pressure?

8 A Correct.

9 Q Did she tell you what the problem with the blood
10 pressure was?

11 A (Witness shakes head.)

12 Q Did she say, "It's dropped"? What did she say?

13 A When there's a problem, it usually means it's
14 dropped or --

15 Q And you also said that a drop in a blood
16 pressure during a surgical procedure is not an
17 unusual occurrence?

18 A That's correct.

19 Q Dr. Quansah, do you know what she did for him at
20 that time?

21 A I don't know. I didn't know at the time what
22 she did. I have read notes that she has
23 written.

24 Q I don't want you to talk about what you've read.

25 A I don't know what she did.


1 Q You know that she did something right after --

2 A Correct.

3 Q Let me finish.

4 A Sorry.

5 Q I know you're probably getting tired. It's the
6 end of day and we're all tired here and it's
7 Friday, but my question is: When she told you
8 there was a problem with the blood pressure, you
9 knew this was not an unusual occurrence to have
10 a problem with the blood pressure, and she did
11 something and the blood pressure came back; is
12 that correct?

13 A Well, I don't want to characterize it that way.
14 It's unusual for an anesthesiologist to tell me
15 there's a drop in the blood pressure. In most
16 cases, they handle it on their own. When the
17 anesthesiologist tells you there's a problem
18 with the blood pressure, she's trying to get my
19 attention about that. So it's an unusual
20 occurrence. 

21 It's not an unusual occurrence for the
22 blood pressure to drop and go up and go down, as
23 they're giving anesthesia. They don't usually
24 tell you about that. When she told me about
25 that, that was not a usual occurrence.

1 Q You had never, to your knowledge, been in the
2 operating room with Dr. Quansah before?

3 A That's correct.

4 Q You don't know what her usual procedure is about
5 informing a surgeon about changes; is that
6 correct?

7 A Yes.

8 Q So when the blood pressure, when she mentioned
9 this problem with the blood pressure, she did
10 something and it remedied the problem with the
11 blood pressure; is that correct?

12 A That's my understanding.

13 Q **How** did you get that understanding?

14 A I said, if you want, we can stop. And she
15 didn't. I had asked her if she wanted me to
16 stop right then and there, and she said no.

17 Q My question is: When she gave him something,
18 she didn't say to you, oh, there's still a
19 problem?


20 A No. **My** understanding was that the problem had
21 been resolved.

22 Q **All** right. You were near the very end of this
23 procedure when that occurred. Correct?

24 A Correct.

25 Q **About** how much time elapsed between that

1 discussion and when you were done with what you
2 needed to do?

3 A I don't know exactly. My best estimate is ten
4 minutes. 

5 Q And is your testimony that he was still in the
6 prone position when the patient stopped
7 breathing?

8 A Yes.

9 Q Do you ever look at the monitors that the
10 anesthesiologist is using during the procedure?

11 A Sometimes.

12 Q For example, when an anesthesiologist says to
13 you, I'm having a problem with the blood
14 pressure, do you look and see, is there anything
15 that shows you what the problem is?

16 A The only time that I would look -- and I haven't
17 done this kind of surgery recently -- is with
18 back surgery because when they're flat on their
19 back and you want to turn them over, I do look
20 at the monitor and make sure their blood
21 pressure is very stable before they do that,
22 basically for spinal surgery. I don't recall
23 looking at the monitors in this case.

24 Q Okay. And I am correct that you don't have --
25 I'm not talking about an estimate -- you cannot

1 tell us how long it took to disengage the head
2 and get the patient turned over; is that right?

3 A That's correct.

4 Q And you also cannot tell us at what point in
5 time the Ambu bag was being used and there was
6 no tube in; is that correct?

7 A Well, what was the question?

8 Q You cannot tell us what time the tube was not in
9 place and the Ambu bag was being used; is that
10 correct?

11 A Yes, I cannot tell you exactly.

12 Q And you have no idea how long the tube was out?

13 A That is correct.

14 Q When Mrs. Porter asked you about the medical
15 records that she wanted, did she say why she
16 wanted them?

17 A When she left here, she was clear that she was
18 going to be suing everybody involved including
19 myself.

20 Q When you say "when she left here," was that the
21 night her son died?

22 A No, this was when she came later for the
23 records.

24 Q How long after --

25 A It was probably within a couple of days.

1 Q Okay. That's all.

2 A Well, it was a weekend, so she came on a
3 weekday, so Saturday was when he died, Sunday
4 she wasn't here.

5 Q Monday?

6 A It could have been Monday or Tuesday when she
7 came.

8 MS. HENRY: That's all.

9 Thanks.

10 MR. TREU: Doctor, I just
11 have a couple quick questions.

12 - - - - -

13 EXAMINATION OF MANHAL A. GHANMA, M.D.

14 BY MR. TREU:

15 Q Hypothetically, if Mr. Porter experienced, was
16 experiencing an internal bleed from a liver
17 laceration from the time that he came into the
18 emergency room at the hospital up until the date
19 of that second surgical debridement, would you
20 expect to have seen a rigid abdomen in the
21 patient with those, with that occurring?

22 A Yes.

23 Q Would you expect to see some abdominal
24 distension?

25 A Yes.

1 Q Okay.

2 A so that's a possibility, and there could have
3 been a very slow bleed.

4 Q Okay.

5 A That could not have been detected by anyone.

6 Q But does it indicate that Mr. Porter complained
7 of any pain when his --

8 A No.

9 Q -- abdomen was palpated?

10 A It indicates that he did not complain of any
11 abdominal pain.

12 Q Is the same true of the notations for the three
13 to eleven-thirty shift on July 14th in terms of
14 the findings?

15 A Yes.

16 Q Of a soft, nondistended, nontender abdomen?

17 A Yes.

18 Q And, again, also on July 15th, eleven to
19 seven-thirty shift, also indicate a soft
20 nondistended, nontender abdomen?

21 A That is correct.

22 Q Would those findings give you any reason to
23 suspect an ongoing abdominal bleed?

24 A No, they would not.

25 MR. TREU: That's all I

1 have. Thanks.

2 - - - - -

3 FURTHER EXAMINATION OF MANHAL A. GHANMA, M.D.

4 BY MR. LANSDOWNE:

5 Q Doctor, when Mrs. Porter told you that she was
6 going to be pursuing a lawsuit, did she discuss
7 with you why she was going to be doing that?

8 A Not exactly. She was very upset that her son
9 had died, and she didn't give any specific
10 reason, no.

11 Q Did she tell you whether she had spoken with the
12 coroner?

13 a Not to my knowledge. I don't recall.

14 Q Do you recall anything else about that
15 conversation with her that you haven't told us?

16 A She told me that -- I don't think she had any
17 hostility or animosity toward me, but she said
18 that my name would come out. That's what she
19 said. I think she was happy with what I had
20 done for her and the work that I had done, but
21 she said, your name is going to come out because
22 she was going to list everybody that was there.
23 And that is how she voiced it to me.

24 Q What did you respond?

25 A What could I say?

1 Q I don't know. I don't know, what did you say?

2 A I had spoken with her before. I was heartbroken
3 when I told her about her son's death. It's
4 very difficult to tell somebody that their son
5 had died, and so I was emotional at the time in
6 the hospital and am still emotional about it,
7 and so there's nothing I can say.

8 Q When you spoke with the person from risk
9 management during the time that I guess
10 resuscitation was still going on, right?

11 A Yes.

12 Q That was before you dictated your operative
13 note?

14 A I don't know.

15 Q You don't remember whether it was before or
16 after?

17 A Yeah, I don't remember. But it was within the
18 latter part of the resuscitation. This
19 resuscitation went on for two and a half hours,
20 and it's hard to remember exactly when I spoke
21 with her and said what.

22 a Is that the last discussion you had with risk
23 management **about** this case?

24 A I think so. I don't recall talking to her
25 afterwards. Yes, I don't have any recollection

1 of speaking with them afterwards. The only
2 people that I spoke to subsequent about this
3 case were the medical records people in terms of
4 getting the chart taken care of.

5 Q Have you asked any doctor to review the chart
6 yourself?

7 A No, I have not.

8 Q Through your, not through your counsel, but
9 yourself.

10 A No, I have not.

11 Q Were you present when Dr. Quansah made the
12 addendum to her anesthesia note?

13 A By "present" do you mean did I see her writing
14 that note?

15 Q Yes.

16 A No.

17 Q Do you know when she did make that note?

18 A I think it was still in and around the time of
19 Brad's death, in other words, that morning.

20 Q And that **was** after she **had** spoken with the
21 person from **risk** management?

22 A I think the answer to that question is yes.

23 Q Okay.

24 A I was not present **for the** conversation between
25 the **risk** manager and Dr. Quansah, so -- but I

1 assume that the answer is yes.

2 MR. TREU: What was the
3 question?

4 THE WITNESS: He had asked me
5 whether I had -- whether I knew when Dr. Quansah
6 wrote her note. There's an addendum in the
7 chart and whether it was after the risk manager
8 had spoken with her or not, and the answer to
9 that question is -- after thinking about it, the
10 answer is yes.

11 Q Did the risk manager tell you why she was
12 questioning the endotracheal tube coming out?

13 A That wasn't what she **was** questioning. She
14 wanted to have an x-ray done of the original
15 tube before that first tube was taken out.

16 Q It was too late for that, wasn't it? Wasn't
17 this tube already out when she was having this
18 discussion with you?

19 A Yes.

20 Q What do you mean then she wanted an x-ray taken
21 of the first tube placement?

22 A What do I mean? That was part of the
23 conversation with her, that was her concern, the
24 risk manager's concern.

25 Q That there was no x-ray to document this?

1 A Right.

2 Q That's what she expressed to you?

3 A Yes.

4 Q Did she say why she was concerned about that?

5 A Apparently, there had been a previous
6 malpractice case there, and that was what her
7 concern was about. I don't know the specifics
8 of that case.

9 Q This was all information you got in this
10 discussion with the risk manager during the
11 resuscitation itself?

12 A Right. I have never had a conversation with her
13 subsequent to that.

14 Q Any other concerns that she expressed to you in
15 this conversation?

16 A She wanted to know when the tube came out the
17 first time, and I really didn't know.

18 Q So you just told her, I don't know. Corre'ct?

19 A I told her, I don't know exactly when it came
20 out, but at some point I looked over and I saw
21 there was no tube. But I don't know exactly
22 when in the sequence, in the time sequence.

23 MR. LANSDOWNE: That's all I
24 have. Here's your records back.

25 MR. SWITZER: We're done.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

We'll read it.

(Signature was not waived.)

THE STATE OF OHIO,)
COUNTY OF CUYAHOGA.)

SS:


CERTIFICATE

I, Tracy L. Barker, a Notary Public within and for the State of Ohio, duly commissioned and qualified, do hereby certify that the within-named witness, Manhal A. Ghanma, M.D., was first duly sworn to testify the truth, the whole truth and nothing but the truth in the cause aforesaid; that the testimony then given by him was by me reduced to stenotypy in the presence of said witness, afterwards transcribed on a computer/printer, and that the foregoing is a true and correct transcript of the testimony so given by him, as aforesaid.

I do further certify that this deposition was taken at the time and place in the foregoing caption specified.

I do further certify that I am not a relative, counsel or attorney of either party, or otherwise interested in the event of this action.

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my seal of office at Cleveland, Ohio, on this 16th day of September 1996.


Tracy L. Barker, Notary Public
within and for the State of Ohio
My Commission expires May 23, 2000.

THE STATE OF _____)
)
 COUNTY OF _____) SS :

Before me, a Notary Public in and for said state and county, personally appeared the above-named Manhal A. Ghanma, M.D., who acknowledged that he did sign the foregoing transcript and that the same is a true and correct transcript of the testimony so given.

IN TESTIMONY WHEREOF, I have hereunto affixed my name and official seal at _____, this _____ day of _____, 1996.

 MANHAL A. GHANMA, M.D.

 Notary Public

My Commission expires: _____

[illegible]

WANOUS REPORTING SERVICE
Registered Professional Reporters

55 PUBLIC SQUARE
1225 ILLUMINATING BUILDING
CLEVELAND, OHIO 44113
(216) 861-9270

COPY

September 17, 1996

Donald H. Switzer, Esq.
Jacobson, Maynard, Tuschman & Kalur
1001 Lakeside Avenue
Suite 1600
Cleveland, Ohio 44114-1192

Re: Hubert Porter, et al. vs.
Manhal A. Ghanma, M.D., et al.

Dear Mr. Switzer:

Please be advised that the transcribed deposition of
Manhal A. Ghanma, M.D., taken August 23, 1996, requires
the deponent's review and signature.

Please have the deponent read your copy and make
corrections only on the Errata Sheet attached at the
end of the transcript by signifying page and line
number to be corrected.

Return the corrections to me, and I will supply the
other attorneys with copies of the same.

Sincerely,

Tracy L. Barker

TLB:lmf

cc: Dennis R. Lansdowne, Esq.
Deirdre G. Henry, Esq.
Kris H. Treu, Esq.