

# CLEVELAND ACADEMY OF TRIAL ATTORNEYS Web

Last Name	Ghodsi
First Name	Abdi
Specialty	Neurosurgery
Party	Plaintiff <input checked="" type="checkbox"/> $\Delta$
Date (format =99/99/9999)	7/24/03
Type of Document	Articles <input checked="" type="checkbox"/> Depo <input checked="" type="checkbox"/>
Type of Injury	Neuro damage
Type of Case	Medical
eDocument Name	(d/does011501.pdf)

Submit Page

Hosted by The Nurenberg, Plevin Law Firm  
Copyright © 1999-2001 CATA



THE COURT OF COMMON PLEAS  
WASHINGTON COUNTY, OHIO

**COPY**

MARILYN F. DODD,

Plaintiff,

vs.

CIVIL ACTION  
NO. 03-PT-24

ABDI SEYED GHODSI, M.D. and  
MARIETTA MEMORIAL HOSPITAL,

Defendants.

The deposition of ABDI GHODSI, M.D., taken upon oral examination, pursuant to notice and pursuant to the Ohio Rules of Civil Procedure, before Annette R. Lovejoy, Certified Court Reporter and Notary Public in and for the State of West Virginia, at 10:07 a.m., Thursday, July 24, 2003, at the office of Abdi Ghodsi, M.D., St. Joseph's Hospital Physicians Office Building, 600 18th Street, Suite 606, Parkersburg, West Virginia.

JOHNNY JACKSON & ASSOCIATES, INC.  
606 Virginia Street, East  
Charleston, WV 25301

(304) 346-8340

## APPEARANCES

On behalf of the Plaintiff:

BECKER & MISHKIND CO., LPA  
Jacqueline D. Tresl, R.N., Esquire  
Skylight Office Tower  
1660 West Second Street, Suite 660  
Cleveland, Ohio 44113  
(216) 241-2600  
jtresl@beckermishkind.com

On behalf of Abdi Seyed Ghodsi, M.D.:

COLOMBO & STUHR CO., LPA  
Karen L. Clouse, Esquire  
933 High Street, Suite 212  
Worthington, Ohio 43085  
(614) 785-4229  
karenc@colombostuhr.com

On behalf of Marietta Memorial Hospital:

REMINGER & REMINGER  
Robert V. Kish, Esquire  
Courthouse Square  
505 South High Street  
Columbus, Ohio 43215  
(614) 461-1311  
rkish@reminger.com

## INDEX

EXAMINATION BY:	PAGE
Ms. Tresl . . . . .	4
Mr. Kish. . . . .	120

## EXHIBIT INDEX

	MARKED
1 Consent to operate	35
2 Drawing	52

1 ABDI GHODSI, M.D., WITNESS, SWORN

2 EXAMINATION

3 BY MS. TRESL:

4 Q. Hi, Dr. Ghodsi. We've already met.  
5 Jacqueline Tresl, attorney for Ms. Dodd. Have  
6 you had your deposition taken before?

7 A. On this matter?

8 Q. No, at any time.

9 A. Yes.

10 Q. Let me just review a few basic things  
11 and then we'll start with the questions. First  
12 of all, when you answer your question, if it's  
13 yes or no, would you say yes or no rather than  
14 nodding or shaking or saying, uh-huh or huh-uh?

15 A. Okay.

16 Q. I will assume that you understand my  
17 question when I ask it if you answer it. If you  
18 don't understand it, will you tell me, I don't  
19 understand, and ask me to repeat it or rephrase  
20 it?

21 A. Okay.

22 Q. So you understand if you answer me, I  
23 will assume that you understood the question?

24 A. Yes.

1 Q. State your name and address?

2 A. Abdi Ghodsi, G-H-O-D-S-I. Do you want  
3 my home address?

4 Q. Please.

5 A. 5509 11th Avenue, Vienna, West Virginia,  
6 26105.

7 Q. Are you currently associated with any  
8 other physicians?

9 A. I am associated with Dr. Khosrovi, who's  
10 a neurosurgeon here in town. We share office  
11 expenses and employees.

12 Q. Are you associated with Dr. Gold, also?

13 A. We share call and we share some office  
14 expenses together.

15 Q. You personally aren't incorporated or  
16 have any organization related to --

17 A. No.

18 Q. How long have you been involved with  
19 Dr. Khosrovi?

20 A. Three years.

21 Q. And Dr. Gold?

22 A. Three years.

23 Q. You said you've had your deposition  
24 taken before. Can you tell me in what

1 situations?

2 A. I've done a number of Workers'  
3 Compensation depositions.

4 Q. So in those cases you were serving as an  
5 expert?

6 A. Yes. I had seen the patient and they  
7 wanted my deposition regarding my treatment of a  
8 patient.

9 Q. So you were commenting as an attending  
10 physician in some of them?

11 A. Yes.

12 Q. About how many depositions have you  
13 given for Workers' Compensation?

14 A. Probably about four or five.

15 Q. Were they all here in Parkersburg,  
16 Marietta area?

17 A. Yes.

18 Q. Were any of them related to the  
19 vertebroplasty or osteoporosis or anything  
20 related to this case?

21 A. No.

22 Q. Have you ever been a defendant in a case?

23 A. Yes.

24 Q. How many cases?

1 MS. CLOUSE: Note an objection,  
2 but you can answer at this point.

3 A. When you say, defendant in a case, can  
4 you --

5 Q. Were you named on the complaint?

6 A. Yes, I have been.

7 Q. How many cases were you named on the  
8 complaint?

9 A. Including this one, three.

10 Q. Can you just tell me briefly about the  
11 two previous -- do you know?

12 MS. CLOUSE: Again, note an  
13 objection, but you can answer.

14 A. Had a malpractice suit filed last year  
15 regarding a lumbar spine surgical case and that  
16 has been since been dismissed. I did have a  
17 deposition for that case and there was one suit  
18 that's pending, a lumbar spine instrumentation  
19 case.

20 Q. What kind of instrumentation?

21 A. Fusion.

22 Q. Was that following vertebroplasty or  
23 kyphoplasty or was that independent of anything  
24 else?



1           A. It didn't have anything to do with  
2           vertebroplasty.

3           Q. What about that malpractice that was  
4           dismissed, did that have anything to do with  
5           vertebroplasty or kyphoplasty?

6           A. No, a diskectomy.

7           Q. Do you have a caption or can you tell me  
8           something that the party -- the plaintiff in  
9           this one that's pending?

10          A. It's Dodd -- no, I'm sorry. It's  
11          Rousch.

12          Q. Can you spell that?

13          A. R-O-U-S-C-H.

14          Q. When was that filed?

15          A. I'm not sure. It was last year. It  
16          would be in Wood County.

17          Q. Have you ever served as an expert  
18          witness in a case?

19          A. No.

20          Q. Have you ever been contacted to serve as  
21          an expert witness?

22          A. Informally I have, but I have not  
23          reviewed any cases.

24          Q. Did you decline when you were asked

1 informally?

2 A. It was a generic kind of -- would you  
3 like to do this and I said, no.

4 Q. Your CV, I think we have it. Did you  
5 give it to me? Do you want to tell me then  
6 briefly about your medical background, your  
7 schooling, that sort of thing?

8 A. I did my undergraduate at the University  
9 of Iowa. I graduated in 1988, bachelor's in  
10 chemistry. I did my medical school at  
11 University of Iowa, started in 1989, completed  
12 in 1994 and I did my residency at the University  
13 of Iowa hospitals and clinics in neurosurgery,  
14 completed in July 2000.

15 Q. Are you board certified?

16 A. No.

17 Q. Have you sat for the certification?

18 A. I have completed the written aspect of  
19 it. The oral part is still pending. It needs  
20 to be done within five years of completing  
21 residency.

22 Q. How many times -- did you pass on the  
23 first time with the written for the board  
24 certification?

1 A. Yes.

2 Q. Have you taken the orals and not passed  
3 or have you not taken the orals?

4 A. I have not taken them.

5 Q. Have you written anything in the medical  
6 literature?

7 A. Yes.

8 Q. Can you tell me about that?

9 A. I think it may be best if you look  
10 through them. There's a bibliography on the  
11 second page.

12 MS. TRESL: If I can just have  
13 a minute.

14 MS. CLOUSE: Off the record for  
15 a second.

16 (Break.)

17 BY MS. TRESL:

18 Q. This CV is current as of when? Is this  
19 current as of today? Has anything changed on  
20 this CV?

21 A. No, it's current.

22 Q. I can assume then that you are still  
23 currently a member of all these societies on  
24 page 2, neurosurgeons, gene therapy?

1           A. I'm not an active member of the American  
2 Society of Gene Therapy or the American Chemical  
3 Society or the Society of Neuroscience.

4           Q. You are currently ACLS certified, BLS  
5 certified?

6           A. Yes.

7           Q. I believe the answer to Interrogatory  
8 already answered this, but let me ask it for the  
9 record. You have never had your license revoked  
10 or privileges revoked?

11          A. No.

12          Q. And I'm sure the answer is no, but have  
13 you ever been treated for drug or alcohol abuse?

14          A. No.

15          Q. Do you subscribe to any journals?

16          A. The Journal Neurosurgery and the  
17 Neurological Surgeon Journal.

18          Q. And do you find articles in there  
19 relative to the vertebroplasty and kyphoplasty?

20          A. Yes.

21          Q. Can you -- is there one that sticks out  
22 in your mind or several that stick out in your  
23 mind as having been very helpful in the past or  
24 that you continue to reference today that I

1 could look to?

2 MS. CLOUSE: Objection. If you  
3 can think of any, you can answer.

4 A. There's one, but I can't give you the  
5 reference that -- I just recall off the top of  
6 my head. It's the only one I really recall.

7 Q. What do you recall about it?

8 A. It was out of South Korea and they  
9 reviewed their cases and talked about their  
10 successes and problems.

11 Q. Did you review that article for today's  
12 deposition?

13 A. No, I haven't.

14 Q. Do you own any text books?

15 A. Yes.

16 Q. Do you know the titles of those?

17 A. Yes.

18 Q. May you tell them to me, please?

19 A. I think I can provide you a list of  
20 them. They are really too numerous for me to  
21 even remember off the top of my head but if you  
22 like, I can provide a list to you.

23 Q. Is there one or two that you find  
24 especially reliable that you turn to for

1 neurosurgical questions?

2 A. I use surgical text from Schmidek Sweet  
3 frequently. There is a spine book that I use  
4 with Dr. Menezes as the editor with  
5 Dr. Sonntag. There's a handbook from Greenberg  
6 that I use frequently. There is an operative  
7 atlas and description by Kemp, K-E-M-P, that I  
8 use once in a while. Those are the main things.

9 Q. Do you consider those reliable text  
10 count on the information you read in them?

11 MS. CLOUSE: Objection. You  
12 can answer.

13 A. I certainly think that some of the stuff  
14 in there is valid. I certainly don't trust  
15 everything it says. A lot of what you find in  
16 text books is people's opinions and anecdotes,  
17 but it certainly gives you things to think about  
18 and compare.

19 Q. What about teaching? Have you done any  
20 teaching about osteoporosis, fracture of the  
21 vertebra, kyphoplasty?

22 A. I haven't done any teaching on that,  
23 no. You are talking about in a formal  
24 educational --

1           Q.   Even informally I suppose if you have  
2 residents assigned to you or someone shadowing  
3 or someone you are mentoring. I suppose in any  
4 context really.

5           A.   Patients certainly I would be teaching,  
6 but other than that, nothing that sticks out in  
7 my mind in a formal session or even in an  
8 informal session.

9           Q.   Is there anything that you can point me  
10 to that you relied -- and this is going back a  
11 ways -- to your care and treatment for Ms. Dodd,  
12 an article or reference or text book that you  
13 referenced specifically in her care?

14          A.   No.

15          Q.   You brought with you today the medical  
16 records; is that correct?

17          A.   Yes, from Marietta Memorial Hospital.

18          Q.   In that folder you have?

19          A.   This is my clinical chart.

20          Q.   Is that all the office records of  
21 Ms. Dodd, that hopefully I have?

22          A.   Yes.

23          Q.   Is there anything else in there other  
24 than medical records?

1 A. No.

2 Q. Any notes that you've taken to prepare  
3 for today?

4 A. No.

5 Q. What have you reviewed for today's  
6 deposition? I assume it's in front of you.

7 A. I reviewed the chart and some of these  
8 records.

9 Q. Have you reviewed anything else?

10 A. I've reviewed Greenberg.

11 Q. That would be the text book?

12 A. Yes.

13 Q. What part of Greenberg did you review?

14 A. The vertebroplasty section. That's all  
15 I reviewed really.

16 Q. Did you look at any of her imaging  
17 studies or MRI or CAT's, any of the films?

18 A. Not recently.

19 Q. When is the last time you reviewed them,  
20 more or less?

21 A. I think I briefly looked at one of the  
22 images about a week ago.

23 Q. Which of those did you look at about a  
24 week ago?



1           A.    I think it was the CT scan after the  
2 operation.

3           Q.    The 25th?

4           A.    Let me check the date, yes, the 25th.

5           Q.    Why did you review that image particular  
6 and none of the others?

7           A.    I was reviewing with counsel.

8           Q.    You did not review any of the other  
9 images then, just the one on the 25th?

10          A.    No.

11          Q.    Did you review any of the images since  
12 the time you treated Ms. Dodd, which I believe  
13 you said is April 24 up until a week ago? In  
14 other words, when you received the complaint,  
15 when you first understood that Ms. Dodd was  
16 having continued troubles, did you review  
17 anything between then and last week?

18          A.    Let me understand, from the time that  
19 the suit was filed until today?

20          Q.    From April 24, which is the last time  
21 you saw her because I assume --

22          A.    From April 24? I certainly reviewed  
23 things back on April 24, but since then I have  
24 not reviewed the images except one week ago when

1 I briefly looked at the CAT scan.

2 Q. Did you discuss Ms. Dodd and the lawsuit  
3 or the particulars of her care and treatment  
4 with anyone other than your counsel since April  
5 24, 2002?

6 A. I cannot remember that. I can tell you  
7 that since the lawsuit was filed I haven't  
8 discussed it with anybody.

9 Q. I want to spend a lot of time over the  
10 records because they are very easy to follow  
11 here. Let's start with your office record of  
12 September 19, 2001. On page 2, the bottom  
13 paragraph it has the lumbar spine MRI as well as  
14 plain x-rays, and I won't read the rest of it to  
15 you because you can read it there. First of  
16 all, when was that lumbar spine MRI taken and  
17 who took it?

18 A. The lumbar spine MRI was done on  
19 December 27, 2000, in Marietta Memorial  
20 Hospital.

21 Q. December 27, 2000, at Marietta  
22 Memorial. Is that what you said?

23 A. Yes.

24 Q. So at the time you were writing your

1 note, you were -- this review of the lumbar  
2 spine MRI was nine months old; correct?

3 A. Yes.

4 Q. Would you have been able to see on that  
5 MRI that was nine months old any anatomical  
6 defects that might have made Ms. Dodd prone to  
7 leakage of cement?

8 A. No.

9 Q. Did you review that MRI with an  
10 interventional radiologist?

11 A. No.

12 Q. Could pathological changes have been  
13 going on that you would not have necessarily  
14 seen on MRI?

15 A. What do you mean by pathological?

16 Q. Cordical defects, weaknesses that would  
17 allow the cement to leak out?

18 A. Are you speaking generally?

19 Q. Yes.

20 A. About an MRI?

21 Q. Yes.

22 A. Yes, I think you can see them sometimes.

23 Q. And sometimes you cannot?

24 A. Yes.

1 Q. Is that reliable to rely on an MRI that  
2 is so old before you make a decision to do a  
3 vertebroplasty?

4 A. Sure. I think you have to go with the  
5 exam, too. If there's changes on the exam that  
6 doesn't make sense, you may need to get more  
7 recent imaging.

8 Q. So tell me about your exam that led you  
9 to believe you didn't need to get more recent  
10 imaging?

11 A. She did not have any deficits in her  
12 legs, any findings of neurological deficit  
13 referable to a nerve root or to an impression of  
14 the spinal cord.

15 Q. So therefore, a fresh MRI would not have  
16 probably shown you anything new?

17 A. I don't think that necessarily follows,  
18 but it could have shown something new, but I  
19 have to go by the patient's symptoms and  
20 findings on exam, whether I need to order a new  
21 study or not.

22 Q. And you felt that you didn't need to  
23 order a new study?

24 A. No, I didn't.

1 Q. Routinely how often do you order newer  
2 studies for patients? Is it a very rare thing  
3 that you feel you need to order new imaging  
4 studies?

5 MS. CLOUSE: Objection.

6 A. I think if the quality of the imaging is  
7 poor I order new studies. If the patient's  
8 symptoms have changed since the previous  
9 imaging, I order new study. If I find something  
10 on the exam that doesn't correspond to that  
11 imaging, then I will order new imaging.

12 Q. So we can say then that Ms. Dodd's  
13 symptoms were compatible with her MRI from  
14 December 22, 2000; correct?

15 A. I cannot answer that when you say  
16 compatible.

17 Q. Consistent with?

18 A. Can you rephrase the question?

19 Q. Sure. You said that as long as the  
20 symptoms you were seeing did not contradict,  
21 let's say, the MRI, the older study, you didn't  
22 feel that you needed to order a newer study;  
23 correct?

24 A. Yes.

1 Q. So therefore, can we say that the  
2 symptoms that Ms. Dodd was having were  
3 compatible with the MRI in December 22, 2000,  
4 which is why you didn't need to or feel the need  
5 to order a newer study?

6 A. I certainly thought there were some  
7 findings on the MRI that could explain her  
8 symptoms.

9 Q. I'm assuming that this is a typo, but  
10 while we're on it, the MRI from the 26th,  
11 comparison is 12/27/01. I'm assuming that  
12 that's a typo, that there is no MRI of 12/27/01?

13 A. It's supposed to probably say 2000, I  
14 would assume.

15 Q. So there was not a study done on  
16 12/27/01, that we don't have a record of?

17 MS. CLOUSE: To your knowledge  
18 anyway.

19 A. I have no knowledge of that. I don't  
20 think there was. If so, I would expect to have  
21 seen it if there was.

22 Q. Now, this is -- if I can turn your  
23 attention to the operative record, the first  
24 surgery on the 24th.

1           A.    Okay.

2           Q.    The operative record says, kyphoplasty  
3 and many times through your earlier notes you  
4 write, kyphoplasty, yet it appears that she had  
5 a vertebroplasty.

6           A.    I think it depends on your definition of  
7 kyphoplasty. I think by definition now, she had  
8 a vertebroplasty because now a kyphoplasty tends  
9 to refer to people putting balloons in the bone  
10 and then putting the cement in through the  
11 balloons, but when a patient has a kyphosis in  
12 their spine and you are doing something to  
13 either repair that kyphosis, that could be a  
14 kyphoplasty. A balloon by itself when you put  
15 it in the bone, that's one type of kyphoplasty,  
16 but you can go in and do a big operation to  
17 repair a kyphotic and call that a kyphoplasty  
18 also. By doing a fusion possibly, that could be  
19 a kyphoplasty. I think -- and I should  
20 technically have said vertebroplasty.

21          Q.    Because you did not do a fusion in this  
22 first surgery; correct?

23          A.    I did not, but by putting cement into  
24 the bone -- if you are making the bone stronger,

1 you may be preventing further kyphosis later and  
2 I think maybe that's what my thinking was when I  
3 dictated the kyphoplasty, but technically it is  
4 a vertebroplasty.

5 Q. While we are on the technicalities, this  
6 was a transpedicular approach, yes?

7 A. Yes.

8 Q. Tell me how many vertebroplasties -- and  
9 let's just lump in even if you called them  
10 kyphoplasties previous to this -- let's just say  
11 we're referring to vertebroplasties, not the  
12 tamping. How many had you done prior to January  
13 24, 2002?

14 A. I would say approximately 10 to 15.

15 Q. Prior to that what had you been doing  
16 for patients like Ms. Dodd with those  
17 presentations?

18 A. If I thought the pain was coming from  
19 that site, I would -- we could do a fusion.

20 Q. Why is it -- I'm assuming you felt  
21 vertebroplasty is -- will you tell me why do  
22 vertebroplasty rather than fusion?

23 A. I think fusion is an extensive  
24 procedure. It requires general anesthesia. As



1 with any surgical procedure, sometimes it  
2 doesn't work and there are some complications  
3 and risks. I think the vertebroplasty is done  
4 under a local anesthetic and that reduces the  
5 risk to the patient.

6 Q. So is that really the only benefit to  
7 doing a vertebroplasty, that it's done under  
8 local as opposed to general?

9 A. It's not as expensive of a procedure.

10 Q. In what way?

11 A. It's shorter duration. The patient does  
12 not have to be in the operating room as long.

13 Q. How about invasive? How does it compare  
14 to fusion with evasiveness?

15 A. It's less invasive.

16 Q. In what way?

17 A. You don't have to make an incision.  
18 It's a percutaneous technique.

19 Q. How did you learn to do the  
20 vertebroplasty? Who trained you?

21 A. I trained at the University of Iowa.

22 Q. So they were doing it when you were at  
23 the University of Iowa?

24 A. Yes.

1 Q. Tell me about that training for  
2 vertebroplasties.

3 A. What specifically do you want to know?

4 Q. Did you -- was it like a three-month  
5 period where any vertebroplasties that were  
6 scheduled, they would call you in to do it? Did  
7 you oversee 10, do 10, sort of how did it work  
8 because I'm assuming there was a training  
9 period?

10 A. You were assigned to certain staff  
11 during your residency and if that staff had a  
12 case on and I was the resident, then I would  
13 assist him with that case and if he put on a  
14 kyphoplasty or vertebroplasty, I would do that  
15 case with the staff.

16 Q. During that time were you actually doing  
17 them before you were finished or were you just  
18 assisting him and he was doing them?

19 A. In residency you are always an assistant  
20 technically even though you are listed as -- you  
21 dictate and stuff, you are basically the staff  
22 is responsible for the patient in residency  
23 assistant.

24 Q. Did you ever place the needles, let's

1 say, while you were a resident?

2 A. Yes.

3 Q. And the 10 to 15 vertebroplasties you  
4 did previous to Ms. Dodd, was that done in  
5 residency or on your own here?

6 A. I think about half of them were in  
7 residency.

8 Q. So you did perhaps five to seven-  
9 and-a-half since residency, on your own?

10 A. Prior to Ms. Dodd's case, yes.

11 Q. What years were you doing those five to  
12 seven-and-a-half on your own?

13 A. From July 2000, when I came here until  
14 today.

15 Q. Do you consider the vertebroplasty has a  
16 high level of risk?

17 A. I think the risks are low.

18 Q. How about kyphoplasty, the tamping  
19 kyphoplasty?

20 A. Are you talking about the balloon  
21 technique?

22 Q. Correct.

23 A. I haven't done those.

24 Q. So you don't do kyphoplasty?

1           A.    I haven't done one as of yet.

2           Q.    Did you in your residency?

3           A.    I don't recall ever doing one in  
4           residency.

5           Q.    Was Ms. Dodd a candidate for kyphoplasty  
6           as well as vertebroplasty?

7           A.    I have not looked at the literature  
8           really well comparing kyphoplasty and  
9           vertebroplasty but just from my reading of  
10          journals and stuff, it appears that she would  
11          have been a candidate for kyphoplasty also.

12          Q.    Do you know if there's a higher or lower  
13          risk of cement leakage with kyphoplasty?

14          A.    I think the people who do kyphoplasty  
15          seem to think that it is a lower risk, but I  
16          don't know if anybody has shown that in a good  
17          study. I certainly haven't heard of a good  
18          study that shows that.

19          Q.    Jumping ahead just a little bit, I'm  
20          assuming that Dr. Gold also does vertebroplasty  
21          since he assisted you in the second surgery?

22          A.    That had nothing to do with assisting me  
23          on the second surgery, but he does do  
24          vertebroplasty.

1 Q. Do you know if he does kyphoplasty?

2 A. I don't think he does.

3 Q. I believe you answered this in your  
4 interrogatories but just to go over it, was  
5 there anything that you believe that Ms. Dodd  
6 did or didn't do that attributed to the outcome  
7 of her vertebroplasty?

8 A. Are you talking about -- what outcome  
9 are you talking about?

10 Q. The fact that -- the complications that  
11 she experienced as a result of the  
12 vertebroplasty?

13 A. I don't think she did anything to effect  
14 the complications, no.

15 Q. In your September 19 note and your  
16 December -- maybe December 19 note, you talk  
17 about your discussions with Ms. Dodd in relation  
18 to her treatment options, vertebroplasty. Can  
19 you recall those conversations that you had with  
20 her apart from your office records?

21 A. I can recall some of it, yes.

22 Q. Can you share those with me, maybe  
23 starting from September. Am I correct that you  
24 saw her in September and December 19 and then

1 January 23, 24? You did not see her between  
2 September 19 and December 19?

3 A. No, I did not.

4 Q. Tell me what you remember about those  
5 conversations, please.

6 MS. CLOUSE: Do you want him to  
7 start with September and sort of work  
8 his way through the--

9 MS. TRESL: If he could. If he  
10 remembers the September 19 visit.

11 A. My recall has a lot to do with the notes  
12 that's here. I think after I had reviewed her  
13 studies we talked about the options, the  
14 treatment options. I thought that she was  
15 developing kyphosis and it would probably get  
16 worse with time. She was relaying to me that  
17 she was having severe pain that was not  
18 responding to the treatments that she was  
19 pursuing.

20 I think I talked to her about -- I  
21 usually talk to them about two different  
22 options, one is to treat the pain and one is to  
23 treat the underlying problem that is causing the  
24 pain. Treating the pain involves medications,

1 physical therapy, maybe pain injections and pain  
2 blocks, bracing these things can treat the pain  
3 and I talked about treating maybe the underlying  
4 problem that's causing the pain which were the  
5 fractures. I thought there were fractures and  
6 that involved two possible surgical treatments.  
7 One was the vertebroplasty and one was the  
8 fusion.

9 I did recommend to her that as far as  
10 the surgical procedures were concerned, I  
11 thought the vertebroplasty was the better option  
12 because of the decrease in the amount of  
13 anesthesia time and the invasiveness of the  
14 procedure and risks and I think she was not sure  
15 at that time whether she wanted to do anything  
16 surgically and I give her a follow-up  
17 appointment in three months and I asked her to  
18 call me if she wanted to see me sooner or if she  
19 wished to discuss these things any more.

20 In December she came back and she was  
21 having worsening pain, I believe, and I think we  
22 again talked about the different options and I  
23 think at this point she decided she would like  
24 to proceed with the vertebroplasty. I'm not

1 sure specifically but I usually discuss some of  
2 the potential problems that can happen and the  
3 hospital stay.

4 In her case her coumadin that would have  
5 to be discontinued and how long afterwards in  
6 terms of her recovery, her hospitalization, her  
7 follow-up visits, things like that. We usually  
8 discuss that but I don't specifically remember  
9 the details of that.

10 Q. Do you remember if she had family with  
11 her at either visit?

12 A. She did not.

13 Q. You remember that specifically?

14 A. Yes.

15 Q. In the first -- when we were going  
16 through the first September visit, you talked  
17 about that you had -- you had talked to her  
18 about vertebroplasty and fusion, can we agree  
19 that your records don't discuss fusion or else I  
20 just don't see them?

21 A. I'm sorry. Say that again.

22 Q. September 19. I'm looking at page 3 and  
23 it might be somewhere and I just haven't seen  
24 it, but you have told me that you discussed



1 vertebroplasty and fusion with her and I see  
2 here you discussed bracing and vertebroplasty.

3 A. I probably discussed fusion with her,  
4 too.

5 Q. But we can agree that the records don't  
6 reflect that you discussed fusion with her?

7 A. The last sentence says, I suspect if  
8 kyphosis continues to progress you may need a  
9 fusion otherwise so I probably would have  
10 discussed the fusion with her.

11 Q. Do you remember anything else about  
12 those two visits?

13 A. Not specifically. I think I reviewed  
14 the films with her the first visit. I may have  
15 reviewed them on the second visit. I'm not  
16 sure.

17 Q. Do you remember her demeanor during  
18 either visit?

19 A. Nothing stands out in particular.

20 Q. Can you recall discussing with her the  
21 possibility of cement leakage associated with  
22 vertebroplasty?

23 A. I don't recall specifically at those two  
24 meetings but that would be my standard that we

1 had discussed that.

2 Q. What do you discuss in terms of  
3 complications associated with vertebroplasty  
4 specifically as part of your routine?

5 A. I talk about infection, possibility of  
6 needing antibiotics or even a surgery if it was  
7 an abscess. I talk about possibly losing a  
8 significant amount of blood and needing a blood  
9 transfusion. I talk about spinal fluid leakage,  
10 headaches and possibly needing a drain or a  
11 procedure to repair that. I talk about the  
12 cement can leak into the blood vessels and cause  
13 an embolus to the lung and can be very serious  
14 and then I talk about the cement may leak into  
15 the canal and cause significant problem with  
16 nerve damage and I specifically outline what  
17 that nerve damage could lead to, weakness,  
18 numbness, paralysis, bowel or bladder  
19 dysfunction. I talk about the needle may cause  
20 an injury to the nerves or to the spinal cord  
21 and bleeding around that area can cause  
22 significant pressure on the spinal cord or the  
23 nerves and then I talk about the risk of  
24 anesthesia like heart attack or a blood clot in

1 the legs or in the lungs, pneumonia, blood  
2 infection, stroke and death. Those are the  
3 specific things I discuss with the  
4 vertebroplasty.

5 Q. Let's talk about the first surgery if we  
6 could. How many vertebroplasties have you done  
7 since January 24, 2002, more or less?

8 A. Probably about five or ten.

9 Q. It's not a real common procedure that  
10 you do?

11 A. No, by no means.

12 Q. Is that because of the area? I mean, in  
13 the bigger cities are they doing gobs of them or  
14 --

15 A. I think there are people doing a lot  
16 more than here, but I tend to be very  
17 conservative and I think most people respond to  
18 some conservative measures rather than needing  
19 surgery, some therapy or medications. They tend  
20 to respond or just give it enough time from the  
21 time of the injury.

22 Q. Tell me why -- let's just kind of go  
23 through this and answer my questions as we're  
24 reading through it. First of all, I have never

1 seen any informed consent and I'm sure it  
2 exists. You refer to it. I asked for it but  
3 we're kind of behind on discovery. If I could  
4 take a look at it and then ask for a copy of it  
5 or maybe you could put it as an exhibit and it  
6 could come with the transcript.

7 MS. CLOUSE: You mean the  
8 informed consent form for the surgery?

9 MS. TRESL: I'm missing little  
10 bits and pieces and I'm not sure why.  
11 Thank you.

12 MS. CLOUSE: If you want to  
13 mark that before we're done, we'll just  
14 make another copy of it.

15 (Deposition Exhibit No. 1  
16 marked.)

17 BY MS. TRESL:

18 Q. If we could, talk about why you inject  
19 barium initially, looking to the first surgery.

20 A. I look for -- to see where the contrast  
21 goes and the main thing I want to look for is  
22 that it doesn't fill up the venous complex real  
23 well because we may need the cement to go into  
24 the vein if the barium strongly fills up the

1 veins.

2 Q. Is barium strong enough for contrast  
3 medium?

4 A. I don't know if we were using barium.  
5 Sometimes we use isovue. It says barium  
6 sulphate. Yes, I think I usually can see it.

7 Q. With the barium?

8 A. Yes.

9 Q. Do you know why a tantalum or tungsten  
10 are added to the PMMA, the cement?

11 A. To make it more radiopaque.

12 Q. Were either of those added to Ms. Dodd's  
13 PMMA, the cement, before it was injected? Am I  
14 correct that it's added before it's injected  
15 into the cement, the tantalum or --

16 A. Yes. I cannot recall specifically, but  
17 I usually do add them.

18 Q. Where would I look to see if you did add  
19 them in Ms. Dodd's case?

20 A. The operating room should have some  
21 records of that.

22 Q. Apart from the records that I have?

23 A. It should be somewhere in here, in the  
24 nurse's records of the operating room, I think.

1 Q. Can you see if you can find that for  
2 me?

3 MS. CLOUSE: Off the record.

4 A. I can't find the referral to that.

5 Q. Is that something that is available  
6 somewhere and you could get to me?

7 A. I don't know.

8 Q. Who makes the decision whether or not to  
9 add those either/or chemicals. Is that the  
10 surgeon's decision or the hospital?

11 A. Yes.

12 Q. So is it sort of like the suite knows  
13 when they are going to do a vertebroplasty with  
14 you what you want mixed into your -- as kind of  
15 like a standing order of how you want your  
16 cement mixed so to speak?

17 A. I think the representatives are there  
18 with the company and they assist with those kind  
19 of decisions, too. I just usually add it in.

20 Q. Was one of the assistants from the  
21 company likely there for Ms. Dodd?

22 A. Yes.

23 Q. Do you remember that there was?

24 A. Yes.

1 Q. So we could talk to that representative  
2 and that person would likely know?

3 A. Yes, they may know.

4 Q. They are the ones that are sort of  
5 mixing it for you?

6 A. No, they cannot touch anything in the  
7 operating room.

8 Q. Do you mix it?

9 A. The nurse or the surgical assistant  
10 mixes it.

11 Q. Do you always sort of ask for the same  
12 mixture or does it vary patient to patient?

13 A. I think it's about the same.

14 Q. Which do you prefer, the tantalum or the  
15 tungsten, if that's what you use?

16 A. I don't remember if that's what I used  
17 and I don't have any preference. I don't know  
18 which one it was.

19 Q. But it's your testimony that during  
20 Ms. Dodd's vertebroplasty you did mix either  
21 tantalum or tungsten or something to --

22 A. I believe I did, yes.

23 Q. We'll see if we can get to the bottom of  
24 that. What size needles did you use for

1 Ms. Dodd's vertebroplasty?

2 A. I don't recall.

3 Q. What size do you generally use for your  
4 vertebroplasties?

5 A. I don't recall. I think they just come  
6 in a kit.

7 Q. So you have like a vertebroplasty kit?

8 A. Yes.

9 Q. Do they make it up special according to  
10 what you like or is that something that they get

11 --

12 A. No, it's standard.

13 Q. From a vertebroplasty company?

14 A. Yes.

15 Q. Where do the needles need to be placed  
16 in relation to the vertebral body?

17 A. Within the vertebral body.

18 Q. Anywhere specifically or just within the  
19 vertebral body?

20 A. I think -- I usually like to place them  
21 in the anterior portion of the vertebral body.

22 Q. Let's talk about this correct -- after  
23 adjustment and correct positioning of the needle  
24 on both views in the first operative report.



1 Tell me -- just tell me what you were seeing  
2 that caused you to feel that you needed to  
3 adjust and correct the positioning.

4 A. I don't recall specifically but I think  
5 on one view I was -- I thought the needle was  
6 going too medially so -- on the AP views so I  
7 came out and went a little more laterally.

8 Q. Did you literally remove -- would this  
9 be a trocar now or needle?

10 A. The needle is inside the trocar.

11 Q. Did you pull out the needle and then  
12 reinsert it the way you wanted it to be?

13 A. Yes. I don't know if I completely  
14 pulled it out.

15 Q. Do you generally pull it out or do you  
16 just sort of wiggle it?

17 A. I generally pull it back to where I can  
18 get some give in the needle and I can direct it  
19 to the direction I want.

20 Q. In this case do you remember if you  
21 pulled it out so you could get direction or if  
22 you pulled the needle out and started again?

23 A. I don't remember.

24 Q. It seems to me that it would make

1 significant difference. If you pulled the  
2 needle all the way out and put it back in, you  
3 would probably have to use the same hole;  
4 correct?

5 A. Not the same hole, the same entry point.

6 Q. So the trocar remains in, you pull the  
7 needle through it and either pull it in or out  
8 of the skin, re-adjust it and put it back in?

9 A. Right.

10 Q. How did you know after that adjustment  
11 that you had correct positioning?

12 A. I like to watch the needle go in under  
13 lateral view so I make sure I have a good  
14 position of the pedicle as the needle goes  
15 through the pedicle. Then once I get it into  
16 good position laterally I resume fluoro and look  
17 at it AP and check to make sure the AP view  
18 looks okay.

19 Q. When you are inserting the needle are  
20 you seeing real time visualization or is  
21 somebody hitting a foot pedal and getting block  
22 views?

23 A. Combination of both. Sometimes I do  
24 continuous fluoro, sometimes I see a picture and

1 then off and then picture off.

2 Q. Do you remember in this case which it  
3 was?

4 A. No, I don't.

5 Q. This operative record says that the  
6 fluoro time was 12 minutes, 20 seconds, I'm  
7 assuming. Does that give you any idea if it was  
8 on continuously or not?

9 A. It must have been some continuously  
10 because that's a long time.

11 Q. So you are watching real time inserting  
12 the needle?

13 A. Yes.

14 Q. Just tell me about that. You describe  
15 it to me.

16 A. I stand on the right side of the  
17 patient. The fluoro machine is set just in  
18 front of me. One part of it is here, one part  
19 is on the other side of the patient. My  
20 assistant stands there, then the fluoro machine  
21 is directly in front of me and I have the needle  
22 in and I'm watching the needle there as well as  
23 aiming to where I want it to go and using feel.  
24 So if I have the needle in my hand, I say

1 continuous and I watch on the fluoro and then I  
2 advance and I watch on the fluoro.

3 Q. So you were watching and you didn't like  
4 the position so you pulled the needle back?

5 A. Maybe I liked the position on the  
6 lateral but when I saw it on AP I didn't like it  
7 so I pulled it back.

8 Q. In the vertebroplasties that you've  
9 done, how often does it happen that you have to  
10 adjust and correct the positioning?

11 A. I don't have a big number. I talked  
12 about 15 or 20 cases, but I think adjusting  
13 because I don't like the view on the AP maybe  
14 once or twice. Adjusting because the contrasts  
15 seems to go into blood vessels, I've done that  
16 numerous times. I've even taken needles out and  
17 put them back in different angle.

18 Q. How many times has that happened?

19 A. Maybe more than 30 times, maybe less  
20 than 10. I don't know. I can't tell you.

21 Q. Is this a biplanar fluoroscopy or how  
22 would you describe the --

23 A. It's a single plane fluoroscopy but you  
24 have to swing it around if you want to get an

1 AP.

2 Q. Do you have at your disposal a  
3 continuous CAT scan fluoroscopy -- I guess is  
4 one technique?

5 A. No.

6 Q. But you have heard of it?

7 A. I have heard of a CAT scan and I've  
8 heard of a fluoroscopy, but I've never heard of  
9 a CAT scan fluoroscopy.

10 Q. Tell me what caused the leakage of the  
11 cement on the left side that you are seeing  
12 there. However, on the left side there was  
13 leakage of cement. Do you know what caused  
14 that?

15 A. You're looking at the L-2 level?

16 Q. Yes.

17 A. You are asking my opinion?

18 Q. Yes.

19 A. I think when I probably adjusted the  
20 needle there was some tracks and I think the  
21 cement may have came up along the side of the  
22 needle that leaked through a tract that was  
23 created by the needle.

24 Q. Sort of like as the needle was going up,

1 it was like ripping? Explain that to me,  
2 creating a tract.

3 A. Let's say I put the trocar in with a  
4 needle and I don't like the position and I pull  
5 it out.

6 Q. The needle?

7 A. Both.

8 Q. The trocar and the needle?

9 A. Yes, and then I replace it. Now, if I  
10 put cement into that second position, the tract  
11 from the first path could -- potentially cement  
12 could leak back up that tract. That's really  
13 the only thing I can put together with this when  
14 I think about the case is that maybe the cement  
15 leaked through the previous tract.

16 Q. Is that -- I'm going to guess it's not  
17 common since you've only done 20 or 30  
18 vertebroplasties. It's never happened to you  
19 before?

20 A. The cement leaking?

21 Q. Into the tract?

22 A. I can't tell if it's in the tract.  
23 That's my theory, but yes, I've had cement leak  
24 before. I recall one case where cement has

1 leaked before.

2 Q. Before we get to that, is there any  
3 literature that talks about the tract and the  
4 effect of pulling out and putting it back in?

5 A. Not specifically about that. I haven't  
6 read anything specifically about that.

7 Q. So knowing that, do you still do that?  
8 I mean, would you -- having had the experience  
9 of Ms. Dodd, would you still pull the trocar and  
10 the needle out, reposition and insert it with  
11 the thought that there may have been a tract  
12 that the cement can leak through or into?

13 A. I still do them.

14 Q. That same way?

15 A. Yes. If I have to readjust the needle I  
16 go ahead and if I have a good positioning of the  
17 needle and inject cement in, yes.

18 Q. Is it reasonable and prudent to inject  
19 cement or continue with a procedure when you  
20 know that you have cement leakage?

21 A. I think if you are getting leakage of  
22 cement in the canal you need to stop.

23 Q. Looking at the CAT scan of the 25th, did  
24 we have leakage into the canal?

1 A. At the L-2 level?

2 Q. Anywhere.

3 A. Yes.

4 Q. Did we have it at the L-2?

5 A. Yes.

6 Q. So looking at the CAT scan, there was  
7 leakage of cement in the canal so then it was  
8 contraindicated to continue to inject cement.  
9 Is that what you are saying?

10 MS. CLOUSE: Objection.

11 A. No.

12 Q. Explain it to me then.

13 A. I don't exactly know which part you want  
14 me to explain.

15 Q. I said should you continue to inject  
16 cement based on what you just said and you said,  
17 not if it's leaked into the canal, then I assume  
18 you stop the procedure?

19 A. No, not necessarily.

20 Q. You tell me.

21 A. If you have the needle in and you are  
22 injecting cement and you see it, you visualize  
23 that it's going into the canal you need to stop  
24 that injection. You need to readjust the needle



1 or not put it through that area. In this case,  
2 I have two needles in at the same time. One on  
3 the right and -- they were both in position,  
4 they pass me the cement. I inject one side then  
5 I inject the other side and then I inject the  
6 other side. So I inject in the right and then I  
7 inject to the left and I think there's some  
8 leakage, then I don't inject to the left  
9 anymore.

10 Q. But you continue injecting?

11 A. If I inject through the right and it  
12 doesn't leak, I may continue to inject.

13 Q. Do you have in the back of your mind  
14 that it may have leaked into the canal?

15 A. No, I had no idea it leaked into the  
16 canal on this case.

17 Q. But if you had an idea that it leaked  
18 into the canal, then you would not inject  
19 anymore cement. Am I understanding that  
20 correctly?

21 A. If I thought that the cement had leaked  
22 into the canal, I probably would not inject  
23 anymore.

24 Q. Did you think about maybe getting a CAT

1 scan before you proceeded injecting more cement?

2 A. No, I didn't think about getting a CAT  
3 scan.

4 Q. Do you know when the dura was torn? Was  
5 it during the first surgery or the second?

6 A. Probably the first.

7 Q. Explain that to me.

8 A. I think the needle must have just gone  
9 medially along the pedicle and hit the dura.  
10 That's the best I can think of it. That's  
11 how -- when I tried to think what happened, what  
12 went wrong, that's what I thought.

13 Q. That would be when you were adjusting  
14 and correcting?

15 A. Yes.

16 Q. So -- and then was the tract -- so where  
17 was the tract in relation to the tear in the  
18 dura?

19 A. I think the needle probably went into  
20 the dura and then came out the other side of the  
21 dura and then went into the vertebral body. Do  
22 you need me to get a model?

23 Q. I was actually going to ask you to draw  
24 me a picture but a model would be great.

1           A. I don't know if I have a model with the  
2 dura or not.

3           Q. Give us something that we can  
4 memorialize.

5           A. Let's say that this is the body and this  
6 is where you want the cement to be. This is the  
7 pedicle. This is the sac, the dura sac. The  
8 nerves or the spinal cord are in here and these  
9 are the laminas of the bone. The needle comes  
10 in through here and goes into the body. So the  
11 two needles you put in from this side go into  
12 the body and you inject the cement in the  
13 anterior portion of the body. What I think  
14 happened in Ms. Dodd's case is that I had the  
15 needle pointed too medially in one of the passes  
16 and the needle would have probably gone through  
17 the dura and was here like this (indicating) and  
18 when I took it out and adjusted it and got it  
19 back to where I liked it, it was in the pedicle  
20 and potentially when I injected through here,  
21 maybe the cement leaked out through this tract  
22 into the sac.

23           Q. So there were actually then two holes in  
24 the dura?

1           A.   There has to be by definition.

2           Q.   When you pulled it back, is it possible  
3           that there were even two more holes or do you  
4           assume that it went and out through the same  
5           holes or it pulled back through the same holes?

6           A.   I only saw one hole when I went in for  
7           the second surgery and that would be the entry  
8           hole.

9           Q.   What did you see when you saw the hole?  
10          I mean, literally a hole?

11          A.   I think I just saw spinal fluid leaking  
12          through an area. The dura is a tough material  
13          to kind of -- like a ligament almost and I saw  
14          the spinal fluid through that hole so I just put  
15          a stitch through it.

16          Q.   Where is the thecal sac in relation to  
17          that?

18          A.   It sits like this.

19          Q.   So the cement was actually --

20          A.   This is the sac. This is the clonus.

21          Q.   And the thecal sac is --

22          A.   The dura is the thecal sac.

23          Q.   That's the same thing?

24          A.   Yes.

1 Q. When you saw cement butting up against  
2 the clonus, the cement was actually in --

3 A. I didn't see cement butting up  
4 against --

5 Q. Let me get the exact language. The  
6 butting to the conus and the --

7 A. Conus, C-O-N-U-S.

8 Q. And the butting cauda equina. So is --  
9 I think that's the second operative note.

10 A. In the second operating note there was  
11 cement here because I did open this sac up and  
12 look inside.

13 Q. The cement was inside here or butting  
14 against this?

15 A. It was in the intrathecal sac touching  
16 the conus.

17 Q. But not inside the conus?

18 A. I don't think it was inside the conus,  
19 but I didn't open the conus to look. That's the  
20 spinal cord and you don't want to touch that.

21 Q. Thank you. Can we mark that as an  
22 exhibit and have it for --

23 (Deposition Exhibit No. 2  
24 marked.)

1 BY MS. TRESL:

2 Q. I'm assuming that this is an H&P, 1/24.

3 A. Yes.

4 Q. If I can refer you to the second page,  
5 it says here that, I have also discussed the  
6 potential possibility that the procedure cannot  
7 be done because of cement leakage.

8 A. Yes.

9 Q. Now, when a lay person like me reads  
10 that, that sounds like, gee, I see cement  
11 leakage in the first surgery so I'm going to  
12 stop and I can't proceed but you are telling  
13 me --

14 A. I am referring to cement going to the  
15 veins primarily.

16 Q. And you can visualize that under fluoro?

17 A. Yes.

18 Q. But you can't visualize it going into  
19 the dura?

20 A. I think potentially you can. If you see  
21 the cement leaking into the canal, you can see  
22 that.

23 Q. But in this case you didn't?

24 A. I didn't see it leak into the canal. I

1 saw some leakage and I thought it was leaking  
2 into the psoas.

3 Q. But did that concern you?

4 A. That's what I was referring to when I  
5 said I saw leakage.

6 Q. Did it concern you that it was leaking  
7 into the psoas muscle?

8 A. Yes.

9 Q. But not enough to stop the procedure?

10 A. I didn't inject through that side  
11 anymore.

12 Q. But it seems like if you were injecting  
13 on the other side then --

14 A. Can I get that back a second. The psoas  
15 muscle sits right here. Just like the needle  
16 can go this way, it can go this way and it can  
17 break the bone here and here and cement can leak  
18 into this the psoas muscle.

19 Q. Why did you choose in your mind that it  
20 was leaking in the psoas muscle?

21 A. Because I switched fluoro to AP and I  
22 looked and the leakage looked to the side of the  
23 vertebral body.

24 Q. Did you switch fluoro in a way that

1 would have allowed you to see if it was also in  
2 the dura?

3 A. On the lateral you can't tell because  
4 you say, well, if the cement is sitting here or  
5 here there's no difference, but if you switch to  
6 AP, then you can tell if the cement is here or  
7 here. I switched to AP and saw that cement had  
8 leaked here. I thought everything was in the  
9 psoas. I didn't think there was anything in the  
10 canal.

11 Q. Did you look to see if there was  
12 anything in the canal?

13 A. To the best of my ability I looked, yes.

14 Q. But we can agree there was cement in  
15 there later on CT scan?

16 A. Yes, and I went back in and I saw the  
17 cement with my visual eyes on the second  
18 surgery. I visualized the cement in the canal,  
19 yes.

20 Q. So I guess I don't understand why when  
21 you were switching the fluoro looking from  
22 different directions you saw it in the psoas  
23 muscle but you didn't see it in the dura and yet  
24 you should have been able to see it both, I



1 believe is what you said.

2 MS. CLOUSE: I'm going to  
3 object just because I think he's  
4 explained to you a couple of times now  
5 what he thought he saw and --

6 MS. TRESL: Go ahead and  
7 answer.

8 A. I didn't think I could see any cement in  
9 the canal.

10 Q. Did you have the ability, did you say,  
11 gee, I'm going to switch it and I'm going to  
12 look and see if it's in the canal?

13 A. Yes.

14 Q. And you looked?

15 A. And I didn't think it was in the canal.

16 Q. Because you didn't see any in the canal?

17 A. I thought the leakage was in the psoas.  
18 I thought I had seen some leakage and I looked  
19 on the AP and there was a lot of cement or --  
20 I'd like to just clear something up, though,  
21 because the cement you can see on the fluoro but  
22 the dye, you can also see on the fluoro.  
23 Sometimes you can think that's cement but it's  
24 actually dye because we do test inject dye and

1 that's one of the notes you'll see on one of my  
2 dictations. I say, I am not sure if this is  
3 cement in the canal or not. The reason I'm sure  
4 it's cement in the canal because I went back and  
5 looked and there was cement in the canal. I  
6 thought maybe it could be dye in the spinal  
7 canal. Could it be dye around the conus? That  
8 could have easily been dye rather than cement,  
9 but I took a good look and I did not think that  
10 there was any cement in the canal.

11 Q. But just let me be clear because, you  
12 know, sometimes if you think if you've solved a  
13 problem and you see where the problem is coming  
14 from, sometimes your mind just thinks and that's  
15 kind of in a different direction. So logically  
16 I would think if I were in there and I saw that  
17 there was dye on this side -- I mean, cement on  
18 this side, I wouldn't necessarily think to look  
19 that there was also cement on the other side,  
20 but your testimony is that you looked and you  
21 knew that there was no cement in the canal?

22 A. In my mind I didn't think there was any  
23 cement in the canal.

24 Q. Do you know if all these images are

1 available because I have not been able to get  
2 them? Is there records saved of what you were  
3 seeing?

4 A. I think you'll have to check with the  
5 hospital, what they say.

6 Q. I did.

7 A. Usually I specifically ask for some  
8 pictures saved. I say, save that picture for me  
9 and then at the end I say, print all the  
10 pictures for me. Usually if I ask them to save  
11 something, they print it for me or put it in the  
12 records but I don't know if they keep a tape, a  
13 running tape or anything like that. I don't  
14 believe there is anything, but you'll have to  
15 check with the hospital.

16 Q. What they've told me is that there are  
17 three films that you requested of -- I believe  
18 it's the vertebroplasty at each level. That's  
19 what we have or that's what they say they have.  
20 So routinely you don't keep -- when there's  
21 questions like this, you don't ask them to save  
22 the continuous real time as you are taking it?

23 A. I don't know if they have a capability  
24 of doing that or not.

1           Q.   Thank you.  I believe I understand now.  
2   So you finished the surgery and tell me what you  
3   thought about the vertebroplasty when you  
4   completed it?

5           A.   I was very satisfied with it.

6           Q.   Why?

7           A.   Because I had got a good amount of  
8   cement at all three levels.  I had basically  
9   achieved the goal that I had set out to do in  
10   the surgery, to get an adequate amount of cement  
11   in each level to bolster it up.

12          Q.   When you were doing the other levels did  
13   you have any idea that there was any cement  
14   leakage or any difficulties?

15          A.   No, in fact, the other two levels went  
16   very smoothly.

17          Q.   So presumably Ms. Dodd goes to the  
18   recovery room?

19          A.   Yes.

20          Q.   And if she begins to be recovered  
21   there's some difference in the weakness in her  
22   ability to push against the nurse's hands;  
23   correct?

24          A.   Pull up.

1           Q.   At that point did you begin thinking  
2 maybe there was some neurological deficit or  
3 what went through your mind when the nurses told  
4 you that?

5           A.   Well, you immediately think about what  
6 could potentially be wrong and the most common  
7 reason is the needle hit a nerve when you put it  
8 in and the nerve is stunned or inflamed. You  
9 think about bleeding potentially but usually  
10 that doesn't effect just one nerve root. She  
11 seemed to have mainly one nerve root that was a  
12 problem.

13          Q.   So does that make the reasonable  
14 neurosurgeon suspect that you stunned a nerve as  
15 opposed to bleeding?

16          A.   I thought that's probably what had  
17 happened. I thought maybe the needle had hit  
18 the nerve that was going down her leg or hit the  
19 last of the conus and that was what was causing  
20 the weakness in the leg.

21          Q.   Did you think at that time that perhaps  
22 it was because of leaking cement?

23          A.   I may have. I'm sure it must have gone  
24 through my mind because you go through a

1 differential of what -- everything that could  
2 potentially have happened wrong. So I'm sure I  
3 thought about it, that it could have been  
4 cement, too, yes.

5 Q. If you think about it and you are not  
6 sure, is there a way to determine if it's cement  
7 versus that a nerve is stunned?

8 A. I think you can get some x-rays and you  
9 can get the CT scan and the MRI.

10 Q. Did you consider getting a CT scan or  
11 MRI?

12 A. I put her on some steroids and I thought  
13 if she's certainly not improved with the  
14 steroids I would get a study.

15 Q. Was there any reason not to get a study  
16 and put her steroids at the same time?

17 A. I can't think of any reason other than,  
18 you know, I can order thousands of studies on  
19 one patient in the hospital. I can order a CT  
20 on them everyday when they have brain surgery  
21 but I don't because you just go by your clinical  
22 judgment and try -- what's the ideal test for a  
23 brain study, it's an MRI. Do you want a MRI on  
24 a patient every day that they are in the

1 hospital just because they had surgery, maybe  
2 you missed a little bleeding, do you get MRI's  
3 on them every day or do you examine them and if  
4 something goes wrong, something changes maybe  
5 you order a test and then what test do you  
6 order? Do you order the CT scan which maybe not  
7 as accurate but it's quicker and less costly and  
8 you got to use your resources appropriately. I  
9 think what I thought is that, give her a little  
10 bit of time, give the anesthesia to wear off.  
11 Sometimes anesthesia can lead to some  
12 exaggeration of weakness, give her some  
13 steroids. I thought if she got better then we  
14 could continue to follow her, but if she didn't  
15 get any better I would get some studies.

16 Q. But we can agree that you knew that  
17 cement had leaked?

18 A. Had leaked into the psoas muscle.

19 Q. There had been some cement leakage?

20 A. Yes.

21 Q. And we can agree that she had some  
22 neurological deficits as she was being  
23 recovered?

24 A. Yes.

1 Q. So can we agree that it would be  
2 reasonable to get a CAT scan to see if the  
3 cement was causing the neurological deficit?

4 MS. CLOUSE: Objection. You  
5 can answer.

6 A. No, I don't think it would be  
7 reasonable.

8 Q. If you find that -- let's say that you  
9 know that cement has leaked and that it's  
10 causing a neurologic deficit. What would the  
11 reasonable neurosurgeon do knowing that?

12 A. I think treat her with steroids, give  
13 her some time, see if she would get better. The  
14 study that I have seen regarding this is that as  
15 many as 30 percent can leak some cement into the  
16 canal. The majority are not symptomatic.

17 Q. But for those that are symptomatic, what  
18 does the reasonable neurosurgeon do?

19 A. I think you try to figure out what's  
20 going on.

21 Q. How do you try and figure out what's  
22 going on?

23 A. With the examination and appropriate  
24 imaging.



1 Q. But in this case you didn't get the  
2 appropriate imaging until the next day;  
3 correct?

4 MS. CLOUSE: Objection.

5 A. I did get x-rays in the recovery room.

6 Q. Would they have shown where the cement  
7 was?

8 A. Yes.

9 Q. So the x-rays that you got on the 24th,  
10 did they show what the CAT scan confirmed on the  
11 25th?

12 A. I don't remember exactly. I don't have  
13 a note written up for that day.

14 MS. CLOUSE: You can look at  
15 the x-rays if you want.

16 MS. TRESL: I'm looking at  
17 this. The only one I know from that  
18 date but I don't know if that's correct  
19 or not.

20 MS. CLOUSE: I don't see one  
21 either. I'm sorry.

22 THE WITNESS: Do we have those  
23 films?

24 MS. TRESL: I do have those

1 films, actually not in my possession,  
2 but I have copies of those films.

3 THE WITNESS: It says, spine,  
4 lumbar, two or three views.

5 MS. TRESL: For the record,  
6 we're looking at Exam 331967 spine,  
7 lumbar, two or three views,  
8 vertebroplasty at OR, date of exam,  
9 1/24/01. I think that would actually be  
10 1/02, wouldn't it?

11 BY MS. TRESL:

12 Q. Is it your testimony then that this  
13 series of films would have showed you whether or  
14 not there was leakage into the canal?

15 A. Potentially they can show you.

16 Q. We know on the 25th there was cement in  
17 the canal, so why then would this one not have  
18 showed us what the CAT scan did?

19 A. Because the x-ray is not as good as the  
20 CAT scan.

21 Q. But you relied on this to tell you  
22 whether or not there was cement in the canal?

23 A. I don't know if I relied on that for  
24 that. I can't say I relied on that to tell me

1   there was no cement in the canal. I thought the  
2   appropriate treatment was give her steroids,  
3   give her some time to see if she would have any  
4   recovery.

5       Q. What if you knew these films came back  
6   on the 24th, an hour post-op and you saw there  
7   was cement in the canal coupled with her  
8   neurological deficits. What would the  
9   reasonable and prudent treatment be then?

10      A. I think there are different potential  
11   treatment options. I think one is if you get  
12   the study and you see something, you can go in  
13   and explore it, one is you can leave it alone  
14   and see if it gets better, treat her with  
15   steroids and time, which is the course we  
16   followed after we had the CT. We discussed it  
17   with Ms. Dodd. We spent a lot of time talking  
18   about what we could do. I didn't know if the  
19   compression would make it any better --  
20   decompression would make it any better. I  
21   really wasn't sure and I was worried that she  
22   would be worse.

23      Q. Can we agree that Ms. Dodd was taking a  
24   lot of pain medication post vertebroplasty until

1 the time you went in to do the decompression?

2 A. Can we agree that she was taking pain  
3 medication? Yes.

4 Q. Did you feel that she could really  
5 understand and give informed consent?

6 A. Absolutely.

7 Q. Based on what?

8 A. Based on my conversations with her. She  
9 was very elusive. She weighed all the options.  
10 She asked all the appropriate questions.

11 Q. So is it your testimony then on the 24th  
12 when you explained to her and the family that  
13 there was cement leakage, you gave them the  
14 option of going --

15 A. I don't know if I explained that to them  
16 on the 24th.

17 Q. Talk to me about the discussion you had  
18 with Ms. Dodd on the 25th, on the bottom  
19 paragraph where you talk about -- I have  
20 discussed with her potential option for surgical  
21 exploration and removal, et cetera. Talk to me  
22 about that.

23 A. I think -- I thought that there may be  
24 some cement in the canal. The cement looked

1 very suspicious for being inside the dura.  
2 Usually the leakage would be outside the dura.  
3 I thought that she had some deficits and there  
4 was flexion and the bladder. I wasn't sure if  
5 the bladder was related to the procedure or not  
6 but maybe. Sometimes narcotics right after the  
7 operation can cause the bladder to be weak. So  
8 I had a lot of mixed feeling. One option was to  
9 go in and try to get the cement off. As  
10 neurosurgeons we're trained to rush in there and  
11 get the pressure off, but I knew it was right  
12 around the conus. I knew there would be  
13 significant amount of risk trying to get that  
14 cement out of there because I knew it was hard.  
15 Cement gets hard almost immediately and she had  
16 a very bad complication. She had a foot drop  
17 and possibly bladder dysfunction but she wasn't  
18 paralyzed and I just didn't want to make  
19 anything worse. It was a difficult decision and  
20 that's why I discussed it with different people,  
21 what to do, what's best to do and I think I gave  
22 her the option. I said, can you live with the  
23 weakness in the foot and she said, yes, I can  
24 live with it. I said, can you live with the

1 bladder, no, I can't live with that. I said,  
2 then it may be worth going in and trying to see  
3 if we can make things better.

4 Q. Did you have the discussion with her on  
5 the 25th or the 26th?

6 A. Both.

7 Q. Is it more advantageous to do the  
8 decompression and/or laminectomy closer to the  
9 vertebroplasty or --

10 A. I don't think there is any convinced  
11 literature to say how quickly you do it. As --  
12 intuitively to me, the quicker the better, but  
13 generally in spine surgery, if somebody comes in  
14 with a bad fracture and they have a spinal cord  
15 injury and they are getting better, there is  
16 absolutely no indication going in right away.  
17 If they are staying the same, there's no  
18 indication in rushing in. If they are getting  
19 worse, you need to go in there right away and  
20 decompress. So this is what the literature  
21 tells us over the years, that if things are  
22 getting worse, decompress, if things are staying  
23 the same or improving, you can take your time  
24 and do the operation at a time that's good.

1           Q.    So it's your testimony then that you are  
2 not familiar with the literature that says when  
3 a patient comes back from vertebroplasty with  
4 suspicion of cement leakage, that the reasonable  
5 and prudent neurosurgeon gets a CAT scan,  
6 determines if there's cement.  If there is,  
7 takes the patient immediately for emergency  
8 decompression surgery?

9                       MS. CLOUSE:  Objection, you can  
10                    answer.

11           A.    You'll need to repeat that.  Are you  
12 saying there's literature that says that?

13           Q.    Would you disagree with literature that  
14 says that?

15                       MS. CLOUSE:  Objection, you can  
16                    answer.

17           A.    I would have to see that literature.

18           Q.    Have you read any studies that say when  
19 a patient has a vertebroplasty and the  
20 neurosurgeon or the spine surgeon or  
21 interventional radiologist has suspicion that  
22 there's cement somewhere in there, that the  
23 standard of care is to get an immediate CAT  
24 scan?

1 A. I have not heard that study.

2 Q. So it's your testimony you are not  
3 familiar with those guidelines?

4 MS. CLOUSE: Objection.

5 A. I don't think there is such a  
6 guideline.

7 Q. So it's your understanding if a patient  
8 has a suspicion for cement in the canal or  
9 somewhere in there, if there's a problem with  
10 leaking cement, that the standard of care --  
11 although you've said there's different school of  
12 thoughts -- but the standard of care, one option  
13 that's standard, reasonable and prudent is to  
14 treat with steroids and watch the patient?

15 A. Yes.

16 Q. Can you point to me any literature that  
17 cites that as the reasonable and prudent  
18 treatment?

19 A. No.

20 Q. Can you sight me to any research that  
21 says IV Decadron can reverse the effect of  
22 cement in the canal causing a neurological  
23 deficit?

24 A. I think Decadron is to help in



1 inflammation.

2 Q. Can you sight me any literature that  
3 says that that is a reasonable and standard  
4 treatment when the neurosurgeon suspects there  
5 may be cement in the canal or the thecal sac or  
6 wherever?

7 A. Are you talking generally?

8 Q. Any -- specifically or generally, if you  
9 know an article, if you know a global study,  
10 I'll be happy to reference it and find it.

11 A. I don't know.

12 Q. So on what do you base -- because you  
13 said intuitively you want to rush in as a  
14 neurosurgeon. What is it that you've relied on  
15 to say, I'm going to ignore my intuition and I'm  
16 just going to just watch?

17 MS. CLOUSE: Objection.

18 A. The degree of compression is very  
19 important. If the conus is just severely  
20 pressed, it's different than if there's cement  
21 around the conus. You have to decide what  
22 caused the injury. Is it pressure on the nerve  
23 that's making that foot or is it possibly maybe  
24 the needle hitting it that could have caused it

1 or is it the heat from the cement that caused  
2 the injury. I don't think I can sit here and  
3 say that -- what caused it, but I can think of  
4 what caused it. I mean, my opinion is the heat  
5 caused the injury and the amount of compression  
6 was not severe. The patient had one deficit and  
7 one nerve root and I think a better option was  
8 to discuss all the options with her and give her  
9 part of that decision-making process and give  
10 her family part of that decision-making process  
11 because if I had rushed her emergency to the  
12 operating room and she had become paralyzed, I  
13 couldn't have lived with myself without having  
14 discussed it with her.

15 Q. When you say that you thought it was  
16 heat that had caused it, is that the same as a  
17 stunned nerve? Is that the same thing that you  
18 are thinking because you told me before you  
19 thought the nerve was stunned?

20 A. No, I said that was one of the things it  
21 could have been.

22 Q. I think you said that was sort of what  
23 you were favoring at that point. Now you just  
24 told me heat, is that the same thing?

1       A.   No.   It could be a needle that hit a  
2   nerve.

3       Q.   Right, and part of her differential  
4   diagnosis was also that it might be the heat  
5   from the cement that was causing her deficit?

6       A.   If the cement leaks into the canal and  
7   leaks next to the conus and it heats up, that  
8   assumes that it could cause a deficit but I had  
9   no idea that cement was next to the conus at  
10  that point.

11      Q.   But can we agree that nothing was  
12  stopping you from getting a CAT scan on the  
13  24th?

14      A.   No, I could have ordered a CAT scan.

15      Q.   Can we agree that there was an operating  
16  room available to do an emergency decompression  
17  or laminectomy had you deemed it necessarily?

18      A.   I'm sure they could have. I can't tell  
19  you for sure, but I'm sure they could have made  
20  accommodation.

21      Q.   When you do vertebroplasties here,  
22  I assume -- and correct me if I'm wrong -- that  
23  there is sort of a standby OR suite, OR crew  
24  ready to do an emergency decompression as

1 necessary?

2 A. I wouldn't say that's always the case,  
3 but certainly I think they can scramble and put  
4 something together.

5 Q. Have you ever had a patient who after  
6 vertebroplasty where you have suspected that  
7 there is cement leakage -- have you ever have  
8 such a patient upon recovering have a  
9 neurological deficit like Ms. Dodd's?

10 A. No.

11 Q. Have you not since and not before  
12 Ms. Dodd?

13 A. No.

14 Q. So this was the first time?

15 A. Yes.

16 Q. When Ms. Dodd was recovering and you  
17 noticed this neurological deficit since it was  
18 the first time for you, did you discuss it with  
19 your colleagues?

20 A. Yes.

21 Q. Who did you discuss it with?

22 A. I talked to Dr. Hitchon.

23 Q. He would be?

24 A. He's my professor at the University of

1 Iowa.

2 Q. So you telephoned your professor?

3 A. Yes.

4 Q. About what time on the 24th did you do  
5 that, or the 25th?

6 A. Probably in the evening of the 24th.

7 Q. What did you say to him?

8 A. I don't recall specifically but I  
9 discussed that I had a patient who was weak  
10 after vertebroplasty and there may be some -- I  
11 don't remember if it was the 24th or 25th that I  
12 talked to him, but when I discussed it with him  
13 I said I thought there was cement maybe in the  
14 canal and there was even cement in the dura sac  
15 and I had asked him what he thought about that,  
16 has that ever happened, what to do, what's the  
17 best thing, is decompression the best thing, is  
18 waiting the best thing and his thought was the  
19 injury had been caused by the exothermic  
20 reaction, the heat, and he didn't think that  
21 taking the cement off would make things better.

22 Q. So you called him and his advice was  
23 just kind of watch and wait?

24 A. No, his advice was to give the patient

1 the option.

2 Q. But certainly he didn't recommend  
3 emergent decompression?

4 A. No.

5 Q. Did he ask you if you had gotten a CAT  
6 scan at that point?

7 A. I think this is after the CAT scan and  
8 the MRI.

9 Q. So that was the 25th and also after the  
10 MRI?

11 A. Yes, it may have been after the MRI. It  
12 may have been after the CAT scan. I can't tell.

13 Q. When you told him the results of the CAT  
14 scan, he continued to think that it may have  
15 been heat?

16 A. This is all one conversation. He  
17 thought the heat caused the damage.

18 Q. He wasn't concerned -- did you know at  
19 that point that the cement was butting up  
20 against the conus and cauda equina?

21 A. I'm not sure. I think I did.

22 Q. And he still thought that it was the  
23 heat that was causing neurological deficit as  
24 opposed to the cement?

1 A. He thought the damage was done.

2 Q. And that going in wouldn't have changed  
3 the results?

4 A. No, he didn't think it would.

5 Q. Did he think that had you gone in sooner  
6 that it would have changed the results?

7 A. No.

8 Q. Did he say he didn't think so or did you  
9 just not discuss it?

10 A. I think he told me he didn't think -- he  
11 didn't think that whatever I would do would make  
12 a difference at that point. He said the injury  
13 happened and subsequent to that it probably  
14 wouldn't change anything, wouldn't change her  
15 outcome.

16 Q. Was there any point in time on the 24th  
17 from the time you did the vertebroplasty to,  
18 let's say, 10:00 or 11:00 that night where any  
19 intervention would have changed her outcome?

20 A. In my opinion?

21 Q. In your opinion.

22 A. I don't think so.

23 Q. So once you re-adjusted that needle and  
24 make that tract -- presumably that's your theory

1 -- and re-inserted or inserted the cement, the  
2 damage was done?

3 A. I think the damage was done because of  
4 the heat, the heat of the cement on the conus.

5 Q. But not because of the leakage of the  
6 cement per se and that 2.2 --

7 A. The leakage of cement caused the heat to  
8 be there.

9 Q. I understand, but your testimony is that  
10 the 2.2 centimeters of cement butting against  
11 those two things, the conus and cauda equina  
12 didn't cause a neurological deficit or had very  
13 minimal impact on the neurological deficit, it  
14 was the heat that did it?

15 A. I didn't think that the compression was  
16 severe.

17 Q. Did you believe that after you went in  
18 for the second surgery on the 27th?

19 A. No, I don't even think there was 2.2  
20 centimeters of cement there. I know that's what  
21 the radiologist said.

22 Q. So you would disagree with the radiology  
23 report?

24 A. Yes, I do.



1 Q. Before we move on to the second surgery,  
2 who else did you consult among your colleagues  
3 since you have never experienced this before?

4 A. I talked to Dr. Jeff Carpenter in  
5 Morgantown. He's a radiologist and he thought  
6 he had heard of one case where an anesteologist  
7 had some leakage of cement and the patient had  
8 become paralyzed. They had decompressed there  
9 but it didn't do any good. He said he didn't  
10 have extensive experience in that matter.

11 Q. Was there anyone that you consulted on  
12 the 24th or were all of your consultations after  
13 the CT scan on the 25th. We'll come back to  
14 those but I'd like to know if you spoke with  
15 anyone on the evening of the 24th or the  
16 afternoon when the nurses were telling you that  
17 Ms. Dodd had some neurological deficits?

18 A. I knew there was neurological deficits  
19 myself. I'm not sure. I don't think I  
20 discussed it with anybody on the 24th. I may  
21 have.

22 Q. But you don't remember?

23 A. No.

24 Q. Was there anesthesia floating around

1 that you might have discussed it with or a  
2 radiologist or did you go down and talk to  
3 Dr. Grocell and say, is there anything -- since  
4 you discussed the CAT scan with him on the  
5 25th --

6 A. Yes.

7 Q. Did you consult -- so your testimony  
8 is --

9 A. I don't remember consulting with  
10 Dr. Grocell.

11 Q. So this was an independent judgment you  
12 made on yourself then, that you thought if you  
13 watched and waited and treated her with --

14 A. I said I don't remember talking to  
15 anybody about it. I may have.

16 Q. It seems like -- okay, you don't  
17 remember. Second surgery, tell me why Dr. Gold  
18 assisted you with the second surgery or was in  
19 the room for the surgery because I think you  
20 said maybe --

21 A. I asked him to come take a look.

22 Q. Why did you ask him to come take a look?

23 A. Because I was debating whether to take  
24 the cement off the conus or not.

1 Q. What was it about Dr. Gold that would  
2 help you in making that decision?

3 A. I think I just wanted his opinion.

4 Q. Because?

5 A. His opinion would go into my making a  
6 decision.

7 Q. So he was actually scrubbed and standing  
8 there and viewing what you were viewing and then  
9 did you discuss sort of back and forth what you  
10 should do as you were freeing up the ends and  
11 tacking it up and what not?

12 A. Yes.

13 Q. Did you actually see a little glob of  
14 cement?

15 A. Yes.

16 Q. That was in that area by the conus?

17 A. Yes.

18 Q. If Ms. Dodd would say that it was at her  
19 request that Dr. Gold came in because she was  
20 uncomfortable for the second procedure, do you  
21 have any recollection of her asking you to have  
22 Dr. Gold do the second surgery with you?

23 A. No, I told her that I would be  
24 discussing the case with Dr. Gold.

1 Q. When did you tell her that?

2 A. On the 25th.

3 Q. So she never said to you, I will agree  
4 to the surgery if you have Dr. Gold assist you?

5 A. I don't think so. I don't remember that  
6 at all.

7 Q. Do you recall the daughter saying that  
8 to you?

9 A. No.

10 Q. So it's your testimony that on your own  
11 decision -- it was your own decision to have  
12 Dr. Gold in and look at the cement with you or  
13 look at the spine with you?

14 A. It was definitely my decision.

15 Q. You discussed about the dura being  
16 torn. You didn't see it in the first surgery  
17 but it's the second surgery you realized it or  
18 from CAT scan you realized it?

19 A. I think I suspected the dura had to have  
20 a tear in it for the cement to be in there.

21 Q. So on this -- I'm not sure you have a  
22 copy of it. I just dug it out. If not, we'll  
23 mark it as an exhibit. You probably do. I  
24 think it's probably on the front plate where

1 they do the billing or whatever and there may be  
2 one that proceeds this. I don't know. We're  
3 going to find out from --

4 MS. TRESL: Is that the only  
5 one you have?

6 MS. CLOUSE: Yes.

7 MS. TRESL: Okay. Then maybe  
8 that's the only copy. The reason I'm  
9 confused is because it starts with the  
10 dates of the 27th, although, it admits  
11 the 25th and I would sort of think that  
12 pathological fracture, I don't know,  
13 there should be vertebroplasty or  
14 something in there, but when they are  
15 talking about here, coding, this  
16 complications of procedure, accidental  
17 puncture and accidental puncture  
18 perforation, are they referring then to  
19 the dura tear or the cement?

20 A. I have no idea you would have to ask  
21 them. These are a list of the diagnosis that  
22 they do for billing purposes.

23 Q. I understand. Do you have any reason to  
24 believe that the first surgery would be

1 classified as other specified complications of  
2 procedure?

3 MS. CLOUSE: Do you get  
4 involved with the billing?

5 THE WITNESS: I have no  
6 involvement with this at all.

7 BY MS. TRESL:

8 Q. If the billing person from Marietta  
9 Memorial said to you, the first surgery we  
10 classified as a secondary diagnosis, other  
11 specified complications of procedure, would you  
12 agree or disagree with that coding?

13 A. I have no knowledge about coding.

14 Q. Would you agree that during the first  
15 surgery on the 24th there were other specified  
16 complications of procedure not elsewhere  
17 classified apart from billing?

18 A. You are reading something that doesn't  
19 make sense to me. What does that mean?

20 Q. I don't know. What is the definition  
21 of, other specified complications of procedures?

22 A. Other specified?

23 Q. Cement leakage. I would say maybe other  
24 specified complications would be cement

1 leakage. I don't know. I'm just trying to  
2 determine.

3 MS. CLOUSE: I'm going to  
4 object because he doesn't know what the  
5 specified complications of the  
6 procedures are?

7 A. I don't know what that person is  
8 thinking when they write, specified  
9 complications.

10 Q. Apart from what that person is thinking,  
11 if I said to you, Dr. Ghodsi, on the first  
12 surgery there were other specified complications  
13 of the procedure not elsewhere classified and  
14 I said, can you agree with that statement, what  
15 would you say?

16 MS. CLOUSE: I'm going to have  
17 to object because he has to know what --  
18 I mean, he doesn't know what the  
19 classification is to know what --

20 MS. TRESL: I'm not asking him  
21 -- I'm not asking him about the  
22 classification.

23 BY MS. TRESL:

24 Q. If I said to you, Dr. Ghodsi, would you

1 agree that on the 24th during that procedure  
2 there were other specified complications, would  
3 you agree with that or not agree with that?

4 MS. CLOUSE: I'm going to  
5 object because I don't know how he can  
6 answer that.

7 A. If you put that away and ask me a  
8 specific question about the surgery, I can  
9 answer that.

10 Q. Would you say, Dr. Ghodsi, on the 24th  
11 you went in to do the vertebroplasty, were there  
12 other complications from the procedure that are  
13 not classified, that you had not anticipated?

14 MS. CLOUSE: Objection.

15 A. I think a complication happened.

16 Q. I think we've established then the  
17 vertebroplasty needle in your opinion is what  
18 caused the dura tear. I think you said that in  
19 answer to our complaint; correct?

20 A. I think that's probably what caused the  
21 dura tear.

22 Q. If you would have done a CAT scan  
23 immediately on the 24th, would the dura tear  
24 have been picked up?



1 A. No.

2 Q. Because?

3 A. A CAT scan can't see a dura tear.

4 Q. Would an MRI?

5 A. No.

6 Q. So you have to see that with the leaking  
7 spinal fluid?

8 A. Yes.

9 Q. So there's no imaging that can tell you  
10 that the dura was torn?

11 A. I wouldn't say there's no imaging, maybe  
12 you can put radioisotopes into the spinal fluid  
13 and watch it in time under nuclear study, see if  
14 the water is going out of the sac.

15 Q. Back to the second surgery, page 2 of 2  
16 and I think we've already discussed this, but  
17 let me just make sure. The edges of the cement  
18 were freed up, it was impossible to move the  
19 cement with any type of force because of risk of  
20 injury to the conus. That's from the op  
21 report.

22 A. Okay.

23 Q. Top of page 2, when you went in with the  
24 idea of looking at the cement and seeing about

1     freeing it up, were you literally going to just  
2     try to yank it out of there or were you going to  
3     try to chip away or what was your plan if you --  
4     you know, when you talked to Dr. Gold and you  
5     said, presumably, there's 2.2 centimeters of  
6     cement or less, whatever, you go in there and  
7     you see it, you tell me.

8           A.    I don't know if I specifically discussed  
9     that, but I thought that if there was a loose  
10    piece of cement and I could remove it easily, I  
11    would remove it.

12          Q.    So it could have just been sort of like  
13    bouncing around in there sort of?

14          A.    Not bouncing around, but loose.

15          Q.    Kind of like a pebble that wasn't  
16    adhered?

17          A.    Yes.

18          Q.    But when you went in, was it squished  
19    that you couldn't get it out or was it --

20          A.    I think it was adhered to the back of  
21    the dura and possibly even outside of the dura.

22          Q.    So almost kind of like entwined into the  
23    tissue?

24          A.    Yes.

1 Q. That's correct?

2 A. Well, it may have been. I can't  
3 visualize that because the conus is in the way.  
4 You can't see the back of the conus.

5 Q. So when you saw that there was plenty of  
6 room for the nerve roots, where were you seeing  
7 that?

8 A. Inside the sac.

9 Q. Inside the conus?

10 A. No, inside the sac.

11 Q. Inside the dura sac?

12 A. Yes.

13 Q. Did Dr. Gold agree with you to leave the  
14 cement alone?

15 A. Yes.

16 Q. Did you consult with anyone else about  
17 the cement? Did you have did anesthesia or  
18 interventional radiologist come by or did you  
19 discuss it with anybody beside Dr. Gold?

20 A. Not anyone there. I may have called  
21 Dr. Hitchon during the case. I'm not sure. I  
22 talked to Dr. Hitchon on a couple of occasions.  
23 I don't think it would have been in the  
24 operating room.

1 Q. Would it have been before or after?

2 A. It may have been before.

3 Q. Did you tell Dr. Hitchon what you had  
4 seen?

5 A. I don't remember that. I may have  
6 talked to him before that case but not after.

7 Q. Is it your testimony you talked to him  
8 more than one time?

9 A. Yes.

10 Q. Can you characterize the other  
11 conversations you had with him at all for me?

12 A. It was basically along the same line,  
13 the complication had happened, the cement had  
14 leaked and what was the best course of action at  
15 that point.

16 Q. Then did you at some point describe to  
17 him what you and Dr. Gold had seen in the second  
18 surgery?

19 A. I don't think I did.

20 Q. It looks like -- let's talk about two  
21 issues. First, it looked like the dura tear was  
22 at L-2 and the cement was at L-2. How is it  
23 that the cement -- or let's get the CAT scan  
24 because I don't want to mischaracterize it. How

1 is it that T-12 and L-1 also got involved and  
2 the psoas muscle? How is it that from that one  
3 -- what we presume is the tract leaking, you had  
4 so much involvement at T-12 and L-1 or some  
5 involvement at T-12 and L-1?

6 A. I'm sorry. What's your question?

7 Q. It sounds like the cement leaked from a  
8 tract that you made in your opinion when you  
9 adjusted the needle; correct?

10 A. The cement at the conus, yes.

11 Q. How is it that the T-12 got involved,  
12 L-1 got involved and the psoas muscle got  
13 involved?

14 A. I think there was some leakage into the  
15 psoas muscle also. There appeared to be some  
16 enhancement also at T-12 and L-1, but that could  
17 have come from the injection at those levels.

18 Q. Can we agree it also could have come  
19 from cement?

20 A. Cement, injections, those are cement,  
21 too.

22 Q. So you are saying it could have come  
23 from leakage of cement at those levels?

24 A. Yes.

1 Q. And you would not have seen those on  
2 fluoroscopy?

3 A. I did not see it.

4 Q. So theoretically there was leakage at  
5 L-1, L-2 and T-12?

6 A. I don't think there was any -- much  
7 leakage at L-1. I think there was some leakage  
8 at T-12 and L-2.

9 Q. So there was leakage on T-12 but you did  
10 not see it on fluoroscopy?

11 A. No.

12 Q. Can you explain why you didn't see it on  
13 fluoroscopy?

14 A. It was very small.

15 Q. How did the cement at T-12 and L-1  
16 affect Ms. Dodd's neurological deficit or how has  
17 it affected it?

18 A. I don't think it affected it.

19 Q. So it's your testimony then that the  
20 neurological deficit is almost exclusively  
21 happening by what happened at L-2?

22 A. She only has one nerve root deficit.

23 Q. So that same nerve root enervates the  
24 bladder?

1 A. No, that's a different nerve root.

2 Q. What is T-12 or L-1 -- does that affect  
3 the bladder?

4 A. No.

5 Q. Which one affects the bladder?

6 A. The sacral one.

7 Q. What would the T-12 -- she has no  
8 neurological deficits relative to T-12 or L-1?

9 A. The only thing I have noticed is L-5,  
10 which is the dorsal flexion on the foot.

11 Q. Okay.

12 A. And the bladder dysfunction which would  
13 be the sacral or the conus. The conus itself  
14 can cause a bladder dysfunction.

15 Q. So the cement that's butting up against  
16 the conus at L-2 could be causing the bladder  
17 dysfunction?

18 A. I don't think it's the cement butting up  
19 against it necessarily.

20 Q. Well, whatever is going on at L-2  
21 against the conus, that could explain the  
22 bladder as well as the dorsal flexion?

23 A. Yes.

24 Q. If I can refer you to the CAT scan of

1 the 25th, page 1, the discussion about T-12.  
2 How am I to understand the language, does cause  
3 mass effect upon the thecal sac?

4 A. I'm sorry. What paragraph are you  
5 referring to?

6 Q. It would be the third one down, although  
7 the second one is more like a sentence, three,  
8 there and at.

9 A. Well, anything that kind of pushes the  
10 sac over can cause mass effect. That's what I  
11 mean by that. Remember she had got some dye but  
12 dye can look like cement, but it doesn't  
13 necessarily have to be cement.

14 Q. So on page 2 where it says, abnormal  
15 areas down at the bottom under the impression  
16 no. 2. At T-12 there is moderate mass effect,  
17 abnormal areas of decreasing low intensity seen  
18 anterior to the thecal sac, posterior to T-12  
19 and L-1.

20 A. Are you on page 1?

21 Q. Page 2, second paragraph from the  
22 bottom.

23 A. Is that the MRI you are reading or the  
24 CT?



1 Q. MRI.

2 A. Go ahead.

3 Q. So these abnormal areas of decreased  
4 signal intensity may be cement, may be dye or  
5 may just be the mass effect of the cement --  
6 however you want to characterize it -- pressing  
7 against the conus?

8 A. Well, those are two different things.  
9 The abnormal area of enhancement may be cement  
10 or dye.

11 Q. So it is possible that there is cement  
12 at T-12 also?

13 A. Sure.

14 Q. So it's possible based on CT and  
15 MRI that there was leakage of cement at L-1, L-2  
16 and T-12?

17 A. I didn't think it was at L-1, but I  
18 thought there was -- there may be some at T-12.

19 Q. Well, the MRI includes the same thing  
20 for T-12 and L-1, so that's why I'm suggesting  
21 that, but I don't want to put words into your  
22 mouth on the MRI.

23 A. You want me to comment on the  
24 radiologist or do you want me to say what my

1 opinion is? I thought that there was some  
2 enhancement, this increased density at T-12.

3 Q. How about at L-1?

4 A. I thought there was very minimal.

5 Q. Can we agree that following Ms. Dodd's  
6 surgery, she had neurological deficits?

7 A. Yes.

8 Q. Can we agree that you did not do a CAT  
9 scan on January 24?

10 A. Yes.

11 Q. You are not able to sight me to any  
12 articles to say it's okay in terms of cement  
13 leakage to wait; is that correct?

14 MS. CLOUSE: Objection, asked  
15 and answered.

16 A. I think the one article from South  
17 Korea, they wait.

18 Q. Do you rely on --

19 A. They cited a 30 percent cement leakage  
20 and I didn't see them rushing in all 30 percent  
21 of the cases and operating on them.

22 Q. Did you see them rushing in on the cases  
23 where there was cement leakage and neurological  
24 deficits?

1           A.    I don't recall that.

2           Q.    So they may have about, but you don't  
3 recall?

4           A.    Yes.

5           Q.    Would you be kind enough to give your  
6 counsel the name of that article and have her  
7 give it to me?

8           A.    I'll have to find it, yes.

9           Q.    When you reviewed Greenberg for -- I  
10 think you reviewed it for today or you have  
11 reviewed it recently -- did he discuss -- or  
12 she -- discuss neurological deficits when you  
13 know there's cement leakage in terms of what to  
14 do?

15          A.    No.

16          Q.    There's no discussion of that?

17          A.    There's discussion of complications, but  
18 they don't discuss what to do.

19          Q.    The extensive discussion you had with  
20 Ms. Dodd, I believe this was -- that would be  
21 progress note 1/26 -- actually, you know, before  
22 we do that one at 22:30, let's do the progress  
23 note at -- and I'm sure the times aren't  
24 correct, but they are both dated 1/26, one is

1 dictated -- transcribed at 17:53. The other one  
2 is transcribed at 22:30, so I -- presumably  
3 these notes were made like an hour or two  
4 before. I'm going to assume. You dictated them  
5 sooner than 17:53?

6 A. That may have been the right time. I'm  
7 not sure.

8 Q. Either way. What happened between the  
9 two -- I mean, it's a relatively short period of  
10 time and you say --

11 A. I think I may have gone around it and  
12 finished my other day's work and then gone back  
13 it seems.

14 Q. But it sounds in this 17:53 note as if  
15 you've given her options and you are kind of  
16 weighing and balancing what to do and so is she,  
17 then you come back -- let's just say four hours  
18 later for lack of a -- just to pin it down  
19 somewhat. What changed your mind then that you  
20 say after extensive discussion, the patient  
21 wishes to proceed with operative intervention.  
22 What caused you to come back four hours later  
23 and the decision was made between and you  
24 Ms. Dodd?

1           A. I think she was really not sure at all  
2 that she wanted to do anything at this point.

3           Q. This point being the 17:53?

4           A. Yes. I think she was leaning -- I  
5 guess I can't even say that for sure, but I  
6 thought she wanted -- she was considering the  
7 surgery and then later that night she made up  
8 her mind that she wanted to go ahead.

9           Q. It's your testimony that she discussed  
10 it with her family or not because it says here  
11 that --

12          A. I don't know if it was her husband or  
13 not. I thought it was her husband but later on  
14 it may not have been her husband.

15          Q. Do you remember that discussion when you  
16 came back and everyone in the room said, yes,  
17 we've agreed to have the surgery?

18          A. Is that this night?

19          Q. Right. Do you remember that discussion?

20          A. Not specifically.

21          Q. Were you surprised that four hours later  
22 she had made that decision?

23          A. It was kind of on a see-saw. At one  
24 time she was leaning towards not having it and

1 one time she was leaning toward it. I wouldn't  
2 say I was completely surprised.

3 Q. So it was really Ms. Dodd's decision to  
4 proceed with the second surgery at 22:30? You  
5 left her the options, told --

6 A. Yeah, we discussed the options. I told  
7 her that I was concerned that we could go back  
8 in and she could be worse, but I outlined all  
9 the benefits and risks and yes, it was her  
10 decision, but I assume she made it with her  
11 family.

12 Q. When you say in the second paragraph,  
13 one option -- at the 17:53 -- one option would  
14 be to proceed with surgical intervention for  
15 decompression of the canal and removal of the  
16 cement. Did you believe at that time since you  
17 told me that you had discussed with Professor  
18 Hitchon?

19 A. Yes.

20 Q. Did you still believe at that time that  
21 surgical intervention may have been helpful?

22 A. I thought I had a good chance -- I  
23 thought I had a chance of helping her, yes.

24 Q. Did you urge her to do that?

1 A. No, I didn't urge her either way.

2 Q. It was her decision?

3 A. Yes.

4 Q. I may be wrong but did Professor Hitchon  
5 not tell you that he thought the damage was  
6 already done and if you went back in for  
7 decompression it likely wouldn't have changed  
8 her outcome?

9 A. He didn't think it would, but he told me  
10 to present the options to the patient.

11 Q. But yet it says here that one option  
12 would be to proceed with surgical intervention  
13 for decompression of the canal. So you told her  
14 that and did you tell her that you thought it  
15 would likely help or not help?

16 A. I said I didn't know if it would help.

17 Q. It was just a shot, just to try it.

18 A. Uh-huh.

19 Q. That's a yes?

20 A. I thought it was an option.

21 Q. Even though Professor Hitchon didn't  
22 think it would make a difference?

23 A. He told me to discuss the different  
24 options and see what the patient --

1           Q.    So he just told you that you should  
2 present an option to her that he thought really  
3 had no success of changing her outcome?

4           A.    He thought the injury was done at the  
5 time of the heat, so he didn't think that the  
6 decompression would necessarily help but people  
7 come in with blood clots of the brain and we  
8 don't necessarily think it's going to make them  
9 any better but the family wants us to try  
10 everything we could.  There are a lot of  
11 different situations where we abide by the  
12 families wishes.  I really myself didn't know  
13 whether it would help or make her any better.

14          Q.    Did it help her any?  Did it make her  
15 any better?

16          A.    I think it may have helped some of her  
17 pain, but I don't think neurologically it made a  
18 difference.  But how can you tell, I mean, she's  
19 recovered some of her function and you can  
20 always guess if I hadn't done anything she would  
21 be the same now as if I had done the surgery.  
22 If I had left things alone and not gone in, she  
23 may be the same as if I had gone in.  My gut  
24 feeling is that she probably would have been the



1 same with or without surgery, the second  
2 surgery. That's my gut feeling.

3 Q. And you told her that, but you told her  
4 it was her choice?

5 A. My gut feeling right now is -- back then  
6 I wanted to decompress, I think. If I remember,  
7 I wanted to go back in because I wanted to  
8 intuitively see if I can -- if the cement is  
9 against the conus or if there's not enough room  
10 for the conus, give it more room to see if that  
11 would help, but I was scared of potential  
12 complications with the second surgery, obviously  
13 much more than the first surgery.

14 Q. And you did not end up having to fuse  
15 her the second surgery; correct?

16 A. No.

17 Q. Why did you not fuse her?

18 A. I think it would have added a sufficient  
19 amount of time of surgery. I think I checked  
20 her during the operation, did not feel she was  
21 unstable. I thought we could get her by with a  
22 brace and if necessarily -- if we needed to fuse  
23 we could do it at a later time, a more elective  
24 time where there was less chance of something

1 going wrong.

2 Q. Is fusion an option to her even now?

3 A. Yes.

4 Q. Would that take away her --

5 A. I think it would help some of her pain.

6 I believe it may help some of her pain.

7 Q. Would it help her foot drop?

8 A. No, I don't think it would help her foot  
9 drop. I think her bladder -- all I can tell is  
10 from the May 24 note she was doing very well as  
11 far as the bladder.

12 Q. She has to catheterize herself every day  
13 and has since January --

14 A. I don't know that. The last note I have  
15 is from Dr. Steiger, which is in August 2002 and  
16 then the note from me seeing her in May it says  
17 that she's hardly ever catheterizing. Why did  
18 she get worse? That needs to be figured out.  
19 Here she is telling me in May that she is doing  
20 very well and she's hardly catheterizing.

21 Q. May 2002?

22 A. In the last clinic note.

23 Q. I thought the Interrogatory said the  
24 last time you saw her was April 24?

1           A.   That's what I mean, April.   April 24,  
2   2002, I saw her in clinic and -- but that  
3   bladder function was absent following surgery  
4   has returned.   She is now able to urinate and  
5   have bowel movements with good control.  
6   However, she does have some difficulty at night  
7   when she has some dribbling especially between  
8   the hours of 1:00 and 4:00.   This is -- I'm  
9   going from what she is telling me, that she is  
10   doing well with the bladder and she's got good  
11   control of it.   If she's catheterizing now, did  
12   something get worse for some reason and I think  
13   that's something that -- a urologist needs to  
14   see her for that.   I don't have any note from  
15   Dr. Steiger after April 24 so has she seen a  
16   urologist, that's the question.

17           Q.   I think your counsel has something but  
18   it took me a long time to get everything to  
19   them.

20                               (Break.)

21   BY MS. TRESL:

22           Q.   Can we agree that the outcome in this  
23   case is not what you had planned?

24           A.   I think we have achieved the

1 vertebroplasty. I think we have bolstered the  
2 bone out. I don't think she developed any  
3 kyphosis up to the time I saw her in April, so  
4 we have reached some of the goals, but we had a  
5 complication.

6 Q. Can we agree that the outcome of the  
7 case is not what you had planned?

8 A. Yes.

9 Q. Can we agree that Ms. Dodd experienced  
10 complications secondary to the vertebroplasty?

11 A. Yes.

12 Q. My understanding is -- just let me be  
13 sure -- you've never had this sort of  
14 neurological deficit associated with the  
15 vertebroplasty that you've done; correct?

16 A. Yes.

17 Q. So can we agree or not agree that  
18 Ms. Dodd's urinary retention is due in part from  
19 the complications from the vertebroplasty?

20 A. The urinary retention she had right  
21 after the procedure.

22 Q. And/or continuing?

23 A. Again, my last impression is that her  
24 urinary retention was resolved.

1 Q. If you take my word for the fact that  
2 the urinary retention was not resolved and she's  
3 self-cathing every day -- and I did give your  
4 counsel --

5 A. I would need to know why she has  
6 relapsed.

7 Q. So you can't agree or disagree that  
8 it's --

9 A. I can agree that the urinary retention  
10 right after the surgery was probably related to  
11 the --

12 Q. And the fact that she needed a Foley put  
13 in and remained in for quite some time -- my  
14 memory says about the middle of March -- was  
15 that a complication of the surgery or more  
16 related to the narcotics?

17 A. Again, I can't comment on the Foley. I  
18 usually like to get the Foley out right away and  
19 do straight cathing, but the urinary retention I  
20 think was due to complications from the surgery.

21 Q. If you take my word for the fact that  
22 she has been treated with a lot of urinary tract  
23 infections from January to current -- just take  
24 my word for that, please -- is that a

1 complication of the surgery?

2 A. Urinary tract infections can be avoided  
3 with a technique.

4 Q. So your testimony is that it is not a  
5 complication of the surgery?

6 A. Urinary tract infections, no.

7 Q. Can we agree that the foot drop is a  
8 complication from the surgery?

9 A. Yes.

10 Q. Can we agree that the lower leg  
11 extremity weakness is a complication from the  
12 surgery?

13 A. The left lower extremity weakness? Are  
14 you talking about the foot drop?

15 Q. The whole lower leg is weak and she --  
16 can we agree that when you first saw her before  
17 her surgery she did not need a walker to walk?

18 A. Yes, she didn't need a walker, not in my  
19 office anyway when I saw her.

20 Q. Can we agree that after the surgery she  
21 did need a walker to walk?

22 A. What period of time are you talking  
23 about?

24 Q. From January 24 when she was stable

1 enough to get up.

2 A. Again, I can't comment about that  
3 because I haven't seen her since April and I  
4 only remember in April if she had a walker when  
5 she came into my office or not.

6 Q. If she did have a walker when she came  
7 in your office in April, can we agree that that  
8 was a change from the vertebroplasty?

9 A. No, I can't agree to that.

10 Q. Can we agree that if the cement leakage  
11 had not occurred that she would not have  
12 developed foot drop?

13 A. I can't 100 percent agree with that  
14 because potentially a needle can cause the same  
15 thing. Remember I said the needle tract had  
16 gone medially, could the needle have touched the  
17 conus and caused that injury, yes, it's  
18 possible. It may be that the injury wasn't from  
19 the cement, it was from the needle.

20 Q. Do you have an opinion as to which is  
21 more likely?

22 A. I think the cement is more likely.

23 Q. Was there anything available to you when  
24 you were doing the procedure to have minimized

1 that risk of that needle re-positioning in the  
2 tract and is there something you could have done  
3 differently so that it would not have happened?

4 A. I have been thinking about this a lot.  
5 I don't know what I could have done  
6 differently. I can't think of anything I could  
7 have done differently.

8 Q. Are you familiar with fenestration,  
9 prophylactic fenestration?

10 A. Of what?

11 Q. When you do the vertebroplasty?

12 A. Fenestration what?

13 Q. Almost doing an open laminectomy when  
14 you do the vertebroplasty so you can see more  
15 what you are doing.

16 A. I'm not familiar with fenestration. I'm  
17 familiar with doing a laminectomy. I'm familiar  
18 with opening up. I've done open  
19 vertebroplasties.

20 Q. When do you do open vertebroplasties?

21 A. When I have other goals in mind, too,  
22 with the surgery.

23 Q. Have you not read any of the literature  
24 then that discusses because of the risk of



1 cement leakage, the recommendation of open  
2 fenestration?

3 A. I'm not familiar with that literature.

4 Q. Do you remember discussing these options  
5 prior to Ms. Dodd's having the vertebroplasty  
6 with anyone but Ms. Dodd, the complications of  
7 the vertebroplasty?

8 A. Sorry. Do I remember discussing the  
9 complications?

10 Q. The surgery and the complications, the  
11 risks, the thing that you say to patients before  
12 vertebroplasty. I believe you said there was  
13 no one else in the room on the September 19 and  
14 December 19.

15 A. I don't think there was anybody else in  
16 the room, no. That doesn't answer your  
17 question. Your question was something else,  
18 though?

19 Q. You told me that you explained the risks  
20 of the vertebroplasty to Ms. Dodd on the  
21 September 19 and December 19, and I want to make  
22 sure that it's your testimony that no one else  
23 was in there when you were discussing the  
24 vertebroplasty and the risks.

1           A.    I don't believe there was anybody in  
2   there.

3           Q.    Are you critical of anyone else that has  
4   managed Ms. Dodd's care?

5           A.    No.

6           Q.    Have you discussed this case with  
7   Dr. Krupedev?

8           A.    Dr. Krupedev consulted me on Ms. Dodd  
9   when she was hospitalized for another reason and  
10   I went and saw her and we may have talked.

11          Q.    Was he not the referral, though, that  
12   sent Ms. Dodd to you initially?

13          A.    I believe he was the referring  
14   physician. I think his name is on the first  
15   letter. Yes, his name is on the letter so he  
16   referred her to me.

17          Q.    Do you remember any discussions you had  
18   with Dr. Krupedev about the cement and the foot  
19   drop and what happened during the surgery?

20          A.    I don't think I ever discussed that with  
21   him.

22          Q.    Did you discuss this case with  
23   Dr. Krupedev, Ms. Dodd and her care?

24          A.    Some aspects of her care. I think he

1 was consulted on her afterward to take care of  
2 some of the medical problems.

3 Q. But in terms of the foot drop and the  
4 cement leakage, you never discussed that with  
5 him?

6 A. I never did.

7 Q. Did you explain to Ms. Dodd what you  
8 thought had happened? I mean, after the  
9 surgery, after she was stabilized, let's say  
10 when you saw her the last time in the office in  
11 April. At any point did you explain all of this  
12 to Ms. Dodd?

13 A. On numerous occasions I explained to her  
14 after the surgery what had gone wrong.

15 Q. What did she say?

16 A. I think she appeared to be very  
17 understanding, almost consoling at times. I  
18 mean, she understood what had gone wrong. I  
19 felt that she understood that. I felt that she  
20 made a good decision in terms of she weighed all  
21 the options and other than that, I can't comment  
22 on -- she didn't come out and say that I'm happy  
23 or sad or mad. She didn't say anything like  
24 that. I mean, obviously she was upset and she

1 was -- but I think she understood what had  
2 happened and I explained that to her several  
3 times.

4 Q. Do you recall what she said at all that  
5 you know that she understood what had happened?

6 A. No, but just some of the questions she  
7 would ask in terms of, what are the options,  
8 what would you do next about the fusion, whether  
9 we need to do a fusion or not, whether I should  
10 try to take the cement out or not. Those are  
11 things that we did discuss and she would ask  
12 appropriate questions.

13 Q. Do you have any opinion why you haven't  
14 seen her since April 24?

15 A. No. I thought we had a very good  
16 repoire. I thought we had a very good  
17 relationship even after the complications. We  
18 spent a lot of time together and we talked about  
19 it. I set her up an appointment in July, on  
20 July 24 and she cancelled so that means she must  
21 have called to cancel. I don't know, sometimes  
22 patients cancel for reasons and they  
23 reschedule. So no, I guess that's the last time  
24 we heard from her.

1 Q. No one from your office has called to  
2 follow up with her at that time?

3 A. Usually if a patient doesn't show up for  
4 a clinic we call or we send a letter out, please  
5 reschedule your appointment.

6 Q. Do you know if a letter was sent or if  
7 someone called?

8 A. No, I'm not sure.

9 Q. If you had another patient today that  
10 you did a vertebroplasty on and you suspected  
11 that there was cement leakage, a very similar  
12 scenario to Ms. Dodd and they recovered and they  
13 had foot drop or neurological deficit, would you  
14 change at all the approach in the first 24 hours  
15 post-op that you did with Ms. Dodd?

16 MS. CLOUSE: Objection.

17 A. I think my gut feeling tells me that it  
18 doesn't matter once the injury happened, it  
19 happened. Nothing I could have done after that  
20 that could have changed things.

21 Q. So even to this day if a patient  
22 presented with neurologic deficit following a  
23 vertebroplasty with a suspicion that cement  
24 leaked, you still would not order a CAT scan or

1 MRI emergently?

2 MS. CLOUSE: Objection. You  
3 can answer.

4 A. The question of getting a study is what  
5 you are going to do with it. A study doesn't do  
6 anything by itself. A CT scan is not going to  
7 cure anything. It's what you are going to do  
8 with that information. If I get that CAT scan  
9 and it shows the same thing as Ms. Dodd I would  
10 again present the options to the patient as far  
11 as what to do. Now, if there was so much cement  
12 in there that I thought the conus was just so  
13 compressed that you had no room or if the  
14 patient was getting worse neurologically, then I  
15 would urge the patient to go ahead with the  
16 surgery. I would strongly urge the patient.

17 Q. So is it your testimony then that you  
18 still would not do a CAT scan if you had a  
19 patient similar to Ms. Dodd tomorrow? I  
20 understand it depends on what you are going to  
21 do with the imaging.

22 A. If I did a vertebroplasty tomorrow and I  
23 thought cement had leaked --

24 Q. Correct, and the patient woke up with

1 neurological deficit, would you --

2 A. I would do a CAT scan.

3 Q. So your approach has changed in that now  
4 you would more likely --

5 A. No. No. the fact was different. Back  
6 then I didn't know there was cement leakage in  
7 the canal. You are saying if you know there was  
8 cement leakage in the canal.

9 Q. I didn't say cement leakage in the  
10 canal. I said cement leakage, at least that's  
11 what I intended to say. If you had a patient  
12 that you suspected had cement leakage because in  
13 your first operative note --

14 A. It makes a difference. If the cement  
15 leakage is in the canal, then I would get a CAT  
16 scan.

17 Q. But if it was cement leakage and you  
18 weren't sure where it went but you thought it  
19 went to the psoas muscle --

20 A. If I thought there was leakage in the  
21 psoas, no. Psoas leakage can be very painful  
22 and cause weakness and stuff, but it's pain  
23 related. See, you have to kind of determine  
24 whether it's a real weakness or it's a pain-

1 related weakness.

2 Q. So you would get a CAT scan emergently  
3 following surgery if you suspected that cement  
4 was in the canal, but if you thought it was in  
5 the psoas muscle or weren't sure that it had any  
6 suspicion of it in the canal --

7 A. I think we're speculating, but I think  
8 the exam would be very important. The exam of  
9 the patient would be very important.

10 Q. We are speculating, but I'm trying to  
11 see if your approach would be any different now  
12 than it was with Ms. Dodd.

13 A. I can't tell you. If it happens I'll  
14 have to evaluate that and see what her exam is,  
15 you know. If it's a conus -- if it's a cauda  
16 equina syndrome, if they are having complete  
17 paralysis in the legs, I would emergently get a  
18 CAT scan, yes.

19 Q. If it was the symptoms that Ms. Dodd was  
20 having and --

21 A. If it was just one nerve root involved  
22 and I wasn't sure if it was the needle that  
23 caused it or if it was just inflammation or  
24 something like that --



1 Q. Or if it was cement?

2 A. Or it was cement, I think if I suspected  
3 there may be cement in the canal, I may get a  
4 CT.

5 Q. So maybe it has changed a little bit  
6 then since Ms. Dodd?

7 A. Again, on Ms. Dodd I didn't know there  
8 was cement in the canal. The CT surprised me  
9 somewhat.

10 Q. Because?

11 A. Because there was cement in the conus --  
12 by the conus. It really did surprise me.

13 MS. TRESL: I think we're done  
14 unless you have anything.

15 MR. KISH: Just real quickly,  
16 just so I make sure I'm clear on it.

17 EXAMINATION

18 BY MR. KISH:

19 Q. Dr. Ghodsi, I'm Bob Kish. We were  
20 introduced earlier. I'm here on behalf of  
21 Marietta Memorial Hospital. You were asked not  
22 too long ago if you were critical of anyone  
23 else's care of Ms. Dodd and you said, no. Am I  
24 correct to assume that you are not critical of

1 any of the care of any employees at Marietta  
2 Memorial Hospital?

3 A. I'm not critical.

4 MR. KISH: That's all I wanted  
5 to clarify. Thank you.

6 MS. CLOUSE: Dr. Ghodsi, you  
7 have the right to read this transcript.

8 THE WITNESS: Yes.

9 (The deposition of ABDI GHODSI,  
10 M.D., concluded at 12:43 p.m.)  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24

1 STATE OF WEST VIRGINIA, To-wit:

2 I, Annette R. Lovejoy, a Notary Public and  
3 Certified Court Reporter within and for the  
4 State aforesaid, duly commissioned and  
5 qualified, do hereby certify that the deposition  
6 of ABDI GHODSI, M.D., was duly taken by me and  
7 before me at the time and place specified in the  
8 caption hereof.

9 I do further certify that said proceedings  
10 were correctly taken by me in stenotype notes,  
11 that the same were accurately transcribed out in  
12 full and true record of the testimony given by  
13 said witness. I further certify that I am  
14 neither attorney or counsel for nor related to  
15 or employed by, any of the parties to the action  
16 in which these proceedings were had, and further  
17 I am not a relative or employee of any attorney  
18 or counsel employed by the parties hereto or  
19 financially interested in the action.

20 My commission expires the 23rd day of  
21 September 2012. Given under my hand and seal  
22 this 12th day of August 2003.

23 -----  
24 Annette R. Lovejoy  
Notary Public  
Certified Court Reporter

<p style="text-align: center;"><b>1</b></p> <p>1 3:8 35:15 95:1,20  1/02 65:10  1/24 53:2  1/24/01 65:9  1/26 98:21,24  10 23:14 25:7,7 26:3 43:20  100 110:13  10:00 78:18  11:00 78:18  11th 5:5  12 42:6  12/27/01 21:11,12,16  120 3:4  12:43 121:10  12th 122:22  15 23:14 26:3 43:12  17:53 99:1,5,14 100:3 101:13  18th 1:19  19 17:12 28:15,16,24 29:2,2,10 31:22 112:13,14,21,21  1988 9:9  1989 9:11  1994 9:12  1:00 106:8</p>	<p>614 2:10,15</p> <p style="text-align: center;"><b>7</b></p> <p>785-4229 2:10</p> <p style="text-align: center;"><b>9</b></p> <p>933 2:9</p> <p style="text-align: center;"><b>A</b></p> <p>ABDI 1:15,18 2:7 4:1 5:2 121:9 122:6  abide 103:11  ability 55:13 56:10 59:22  able 18:4 55:24 58:1 97:11 106:4  abnormal 95:14,17 96:3,9  About 6:12,14 7:10 8:3 9:6 10:8 12:7,9 13:17,19,20,23  15:22,23 18:20 19:8 24:13 25:1 26:6,18,20 28:8,9,17 29:  4,13,20,21 30:3,22 31:17,18 32:11 33:5,7,9,11,14,19,23  34:5,8 35:18 38:13 39:22 42:14 43:12 45:14 46:3,5,6  48:24 49:2 59:3 60:5,9 61:3,5 66:18 67:17,19,22 76:4,15  81:15 82:1 83:15 84:15 85:13 86:21 87:8 88:24 90:16  91:20 95:1 97:3 98:2 108:14 109:14,23 110:2 111:4  113:18 115:8,18  abscess 33:7  absent 106:3  Absolutely 67:6 69:16  abuse 11:13  accidental 84:16,17  accommodation 74:20  according 39:9  accurate 62:7  accurately 122:11  achieved 59:9 106:24  ACLS 11:4  ACTION 1:7 91:14 122:15,19  active 11:1  actually 25:16 49:23 50:23 51:19 52:2 56:24 65:1,9 82:  7,13 98:21  add 36:17,18 37:9,19  added 36:10,12,14 104:18  address 5:1,3  adequate 59:10  adhered 89:16,20  adjust 40:3 43:10  adjusted 44:19 50:18 92:9  adjusting 43:12,14 49:13  adjustment 39:23 41:10  admits 84:10  advance 43:2  advantageous 69:7  advice 76:22,24  affect 93:16 94:2  affected 93:17,18  affects 94:5  aforesaid 122:4  after 16:1 29:12 39:22 41:10 66:16 68:6 75:5 76:10 77:  7,9,11,12 79:17 80:12 91:1,6 99:20 106:15 107:21 108:  10 109:20 114:8,9,14 115:17 116:19  afternoon 80:16  afterward 114:1  afterwards 31:5  Again 7:12 30:22 31:21 40:22 107:23 108:17 110:2 117:  10 120:7  against 52:1,4,14 59:22 77:20 79:10 94:15,19,21 96:7  104:9  ago 15:22,24 16:13,24 120:22  agree 31:18 32:5 55:14 62:16,21 63:1 66:23 67:2 74:11,  15 83:3 85:12,14 86:14 87:1,3,3 90:13 92:18 97:5,8 106:  22 107:6,9,17,17 108:7,9 109:7,10,16,20 110:7,9,10,13  agreed 100:17  ahead 27:19 46:16 56:6 96:2 100:8 117:15  aiming 42:23  alcohol 11:13  allow 18:17  allowed 55:1</p>
<p style="text-align: center;"><b>2</b></p> <p>2 3:9 10:24 17:12 52:23 88:15,15,23 95:14,16,21  2.2 79:6,10,19 89:5  20 42:6 43:12 45:17  2000 9:14 17:19,21 20:14 21:3,13 26:13  2001 17:12  2002 17:5 23:13 34:7 105:15,21 106:2  2003 1:18 122:22  2012 122:21  212 2:9  22 20:14 21:3  22:30 98:22 99:2 101:4  23 29:1  23rd 122:20  24 1:18 16:13,20,22,23 17:5 23:13 29:1 34:7 97:9 105:  10,24 106:1,15 109:24 115:14,20 116:14  24th 21:24 64:9 66:6 67:11,16 74:13 76:4,6,11 78:16  80:12,15,20 85:15 87:1,10,23  25301 1:23  25th 16:3,4,9 46:23 64:11 65:16 67:18 69:5 76:5,11 77:  9 80:13 81:5 83:2 84:11 95:1  26105 5:6  26th 21:10 69:5  27 17:19,21  27th 79:18 84:10</p>	
<p style="text-align: center;"><b>3</b></p> <p>3 31:22  30 43:19 45:17 63:15 97:19,20  304 1:24  331967 65:6  346-8340 1:24  35 3:8</p>	
<p style="text-align: center;"><b>4</b></p> <p>4 3:3  44113 2:5  461-1311 2:15  4:00 106:8</p>	
<p style="text-align: center;"><b>5</b></p> <p>505 2:14  52 3:9  5509 5:5</p>	
<p style="text-align: center;"><b>6</b></p> <p>600 1:19  606 1:19</p>	

**almost** 51:13 68:15 89:22 93:20 111:13 114:17  
**alone** 66:13 90:14 103:22  
**along** 44:21 49:9 91:12  
**already** 4:4 11:8 88:16 102:6  
**although** 71:11 84:10 95:6  
**always** 25:19 38:11 75:2 103:20  
**American** 11:1,2  
**among** 80:2  
**amount** 30:12 33:8 59:7,10 68:13 73:5 104:19  
**anatomical** 18:5  
**and-a-half** 26:9  
**and/or** 69:8 107:22  
**anecdotes** 13:16  
**anesteologist** 80:6  
**anesthesia** 23:24 30:13 33:24 62:10,11 80:24 90:17  
**anesthetic** 24:4  
**angle** 43:17  
**Annette** 122:2  
**another** 35:14 113:9 116:9  
**answer** 4:12,17,22 7:2,13 11:7,12 12:3 13:12 20:15 34:23 56:7 63:5 70:10,16 87:6,9,19 112:16 117:3  
**answered** 11:8 28:3 97:15  
**anterior** 39:21 50:13 95:18  
**antibiotics** 33:6  
**anticipated** 87:13  
**anybody** 17:8 27:16 80:20 81:15 90:19 112:15 113:1  
**anymore** 48:9,19,23 54:11  
**anyone** 17:4 80:11,15 90:16,20 112:6 113:3 120:22  
**anything** 6:19 7:23 8:1,4 10:5,19 14:9,23 15:9 16:17 19:16 28:5,13 30:15 32:11 38:6 46:6 55:9,12 58:13,14 68:19 78:14 81:3 95:9 100:2 103:20 110:23 111:6 114:23 117:6,7 120:14  
**anyway** 21:18 109:19  
**Anywhere** 39:18 47:2  
**AP** 40:6 41:17,17 43:6,13 44:1 54:21 55:6,7 56:19  
**apart** 28:20 36:22 85:17 86:10  
**APPEARANCES** 2:1  
**appeared** 92:15 114:16  
**appears** 22:4 27:10  
**appointment** 30:17 115:19 116:5  
**approach** 23:6 116:14 118:3 119:11  
**appropriate** 63:23 64:2 66:2 67:10 115:12  
**appropriately** 62:8  
**approximately** 23:14  
**April** 16:13,20,22,23 17:4 105:24 106:1,1,15 107:3 110:3,4,7 114:11 115:14  
**area** 6:16 33:21 34:12 48:1 51:12 82:16 96:9  
**areas** 95:15,17 96:3  
**aren't** 5:15 98:23  
**around** 33:21 43:24 57:7 68:12 72:21 80:24 89:13,14 99:11  
**article** 12:11 14:12 72:9 97:16 98:6  
**articles** 11:18 97:12  
**ask** 4:17,19 11:8 35:4 38:11 49:23 58:7,10,21 77:5 81:22 84:20 87:7 115:7,11  
**asked** 8:24 30:17 35:2 67:10 76:15 81:21 97:14 120:21  
**asking** 44:17 82:21 86:20,21  
**aspect** 9:18  
**aspects** 113:24  
**assigned** 14:2 25:10  
**assist** 25:13 37:18 83:4  
**assistant** 25:19,23 38:9 42:20  
**assistants** 37:20  
**assisted** 27:21 81:18  
**assisting** 25:18 27:22  
**associated** 5:7,9,12 32:21 33:3 107:14  
**ASSOCIATES** 1:22  
**assume** 4:16,23 10:22 15:6 16:21 21:14 47:17 51:4 74:22 99:4 101:10 120:24  
**assumes** 74:8  
**assuming** 21:9,11 23:20 25:8 27:20 42:7 53:2  
**atlas** 13:7  
**attack** 33:24  
**attending** 6:9

**attention** 21:23  
**attorney** 4:5 122:14,17  
**attributed** 28:6  
**August** 105:15 122:22  
**available** 37:5 58:1 74:16 110:23  
**Avenue** 5:5  
**avoided** 109:2  
**away** 69:16,19 87:7 89:3 105:4 108:18

## B

**bachelor's** 9:9  
**back** 14:10 16:23 30:20 40:17 41:2,8 43:4,7,17 45:12 46:4 48:13 50:19 51:2,5 54:14 55:16 57:4 66:5 70:3 80:13 82:9 88:15 89:20 90:4 99:12,17,22 100:16 101:7 102:6 104:5,7 118:5  
**background** 9:6  
**bad** 68:16 69:14  
**balancing** 99:16  
**balloon** 22:14 26:20  
**balloons** 22:9,11  
**barium** 35:19,24 36:2,4,5,7  
**base** 72:12  
**based** 47:16 67:7,8 96:14  
**basic** 4:10  
**basically** 25:21 59:8 91:12  
**because** 16:21 17:10,15 22:8,21 25:8 30:12 34:12 35:23 42:10 43:13,14 52:11 53:7 54:21 55:3 56:3,16,21,24 57:4,11 58:1 59:7 60:22,24 61:21 62:1 65:19 68:14 72:12 73:11,18 79:3,5 81:19,23 82:4,19 84:9 86:4,17 87:5 88:2,19 90:3 91:24 100:10 104:7 110:3,14 111:24 118:12 120:10,11  
**BECKER** 2:3  
**become** 73:12 80:8  
**before** 4:6 5:24 19:2 25:17 35:13 36:13,14 45:19,24 46:1,2 49:1 73:18 75:11 80:1,3 91:1,2,6 98:21 99:4 109:16 112:11 122:7  
**begin** 60:1  
**begins** 59:20  
**behalf** 2:2,7 120:20  
**behind** 35:3  
**believe** 11:7 16:12 19:9 28:3,5 30:21 38:22 56:1 58:14,17 59:1 79:17 84:24 98:20 101:16,20 105:6 112:12 113:1,13  
**benefit** 24:6  
**benefits** 101:9  
**beside** 90:19  
**best** 10:9 49:10 55:13 68:21 76:17,17,18 91:14  
**better** 30:11 62:13,15 63:13 66:14,19,20 69:3,12,15 73:7 76:21 103:9,13,15  
**between** 16:17 29:1 99:8,23 106:7  
**bibliography** 10:10  
**big** 22:16 43:11  
**bigger** 34:13  
**billing** 84:1,22 85:4,8,17  
**biplanar** 43:21  
**bit** 27:19 62:10 120:5  
**bits** 35:10  
**bladder** 33:18 68:4,5,7,17 69:1 93:24 94:3,5,12,14,16,22 105:9,11 106:3,10  
**bleeding** 33:21 60:9,15 62:2  
**block** 41:21  
**blocks** 30:2  
**blood** 33:8,8,12,24 34:1 43:15 103:7  
**BLS** 11:4  
**board** 9:15,23  
**Bob** 120:19  
**body** 39:16,17,19,21 49:21 50:5,10,12,13 54:23  
**bolster** 59:11  
**bolstered** 107:1  
**bone** 22:9,15,24,24 50:9 54:17 107:2  
**book** 13:3 14:12 15:11  
**books** 12:14 13:16  
**both** 39:24 41:23 45:7 48:3 55:24 69:6 98:24  
**bottom** 17:12 38:23 67:18 95:15,22

bouncing 89:13,14  
 bowel 33:18 106:5  
 brace 104:22  
 bracing 30:2 32:2  
 brain 61:20,23 103:7  
 Break 10:16 54:17 106:20  
 briefly 7:10 9:6 15:21 17:1  
 brought 14:15  
 Building 1:19  
 butting 52:1,3,6,8,13 77:19 79:10 94:15,18

# C

C-O-N-U-S 52:7  
 call 5:13 22:17 25:6 30:18 116:4  
 called 23:9 76:22 90:20 115:21 116:1,7  
 came 26:13 30:20 40:7 44:21 49:20 66:5 82:19 100:16 110:5,6  
 Can 5:24 7:2,3,10,13 8:7,12 10:8,12,22 11:21 12:3,3,19, 22 13:12 14:9 17:6,15 18:22 20:12,18 21:1,22 22:16 28: 18,21,22 30:2 31:2,18 32:5,20 33:12,13,21 36:6 37:1,1 38:23 40:17,18 45:13 46:12 49:10 50:3 52:21 53:4,16, 20,21 54:14,16,16,16,17 55:6,14 56:21,22,23 61:8,9,18, 19 62:11,16,21 63:1,5,15 64:14 65:15 66:12,13,23 67:2 68:7,22,23,24 69:3,23 70:9,15 71:16,20,21 72:2 73:2,3 74:11,15 75:3 86:14 87:5,8 88:9,12 91:10 92:18 93:12 94:14,24 95:10,12 97:5,8 103:18,19 104:8 105:9 106:22 107:6,9,17 108:9 109:2,7,10,16,20 110:7,10,14 111:14 117:3 118:21  
 can't 12:4 37:4 43:20 45:22 53:12,18 55:3 61:17 65:24 69:1 74:18 77:12 88:3 90:2,4 100:5 108:7,17 110:2,9,13 111:6 114:21 119:13  
 canal 33:15 46:22,24 47:7,17 23 48:14,16,18,22 53:21, 24 55:10,12,18 56:9,12,15,16 57:3,4,5,7,10,21,23 63:16 65:14,17,22 66:1,7 67:24 71:8,22 72:5 74:6 76:14 101: 15 102:13 118:7,8,10,15 119:4,6 120:3,8  
 cancel 115:21,22  
 cancelled 115:20  
 candidate 27:5,11  
 cannot 17:6 18:23 20:15 36:16 38:6 53:6  
 capability 58:23  
 caption 8:7 122:8  
 care 14:11,13 17:3 70:23 71:10,12 113:4,23,24 114:1 120:23 121:1  
 Carpenter 80:4  
 case 6:20,22 7:3,15,17,19 8:18 25:12,13,15 26:10 31:4 36:19 40:20 42:2 45:14,24 48:1,16 50:14 53:23 64:1 75: 2 80:6 82:24 90:21 91:6 106:23 107:7 113:6,22  
 cases 6:4,24 7:7 8:23 12:9 43:12 97:21,22  
 CAT 17:1 44:3,7,9 46:23 47:6 48:24 49:2 63:2 64:10 65: 18,20 70:5,23 74:12,14 77:5,7,12,13 81:4 83:18 87:22 88:3 91:23 94:24 97:8 116:24 117:8,18 118:2,15 119:2, 18  
 CAT's 15:17  
 catheterize 105:12  
 catheterizing 105:17,20 106:11  
 cathing 108:19  
 cauda 52:8 77:20 79:11 119:15  
 cause 33:12,15,19,21 68:7 74:8 79:12 94:14 95:2,10 110:14 118:22  
 caused 40:2 44:10,13 72:22,24 73:1,3,4,5,16 76:19 77: 17 79:7 87:18,20 99:22 110:17 119:23  
 causing 29:23 30:4 60:19 63:3,10 71:22 74:5 77:23 94: 16  
 cement 18:7,17 22:10,23 27:13 32:21 33:12,14 35:23 36:10,13,15 37:16 44:11,13,21 45:10,11,14,20,23,24 46: 12,17,19,20,22 47:7,8,16,22 48:4,19,21 49:1 50:6,12,21 51:19 52:1,2,3,11,13 53:7,10,14,21 54:17 55:4,6,7,14, 17,18 56:8,19,21,23 57:3,4,5,8,10,17,19,21,23 59:8,10, 13 60:22 61:4,6 62:17,19 63:3,9,15 64:6 65:16,22 66:1,7 67:13,24 68:9,14,15 70:4,6,22 71:8,10,22 72:5,20 73: 1 74:5,6,9 75:7 76:13,14,21 77:19,24 79:1,4,6,7,10,20 80:7 81:24 82:14 83:12,20 84:19 85:23,24 88:17,19,24 89:6,10 90:14,17 91:13,22,23 92:7,10,19,20,20,23 93:15 94:15,18 95:12,13 96:4,5,9,11,15 97:12,19,23 98:13

101:16 104:8 110:10,19,22 112:1 113:18 114:4 115:10 116:11,23 117:11,23 118:6,8,9,10,12,14,17 119:3 120:1, 2,3,8,11  
 centimeters 79:10,20 89:5  
 certain 25:10  
 certainly 13:13,14,17 14:5 16:22 21:6 27:17 61:13 75:3 77:2  
 certification 9:17,24  
 certified 9:15 11:4,5 122:3  
 certify 122:5,9,13  
 cetera 67:21  
 chance 101:22,23 104:24  
 change 78:14,14 110:8 116:14  
 changed 10:19 20:8 78:2,6,19 99:19 102:7 116:20 118: 3 120:5  
 changes 18:12 19:5 62:4  
 changing 103:3  
 characterize 91:10 96:6  
 Charleston 1:23  
 chart 14:19 15:7  
 check 16:4 41:17 58:4,15  
 checked 104:19  
 Chemical 11:2  
 chemicals 37:9  
 chemistry 9:10  
 chip 89:3  
 choice 104:4  
 choose 54:19  
 cited 97:19  
 cites 71:17  
 cities 34:13  
 CIVIL 1:7,16  
 clarify 121:5  
 classification 86:19,22  
 classified 85:1,10,17 86:13 87:13  
 clear 56:20 57:11 120:16  
 Cleveland 2:5  
 clinic 105:22 106:2 116:4  
 clinical 14:19 61:21  
 clinics 9:13  
 clonus 51:20 52:2  
 closer 69:8  
 clot 33:24  
 clots 103:7  
 CLOUSE 7:1,12 10:14 12:2 13:11 20:5 21:17 29:6 35:7, 12 37:3 47:10 56:2 63:4 64:4,14,20 70:9,15 71:4 72:17 84:6 85:3 86:3,16 87:4,14 97:14 116:16 117:2 121:6  
 CO 2:3,8  
 coding 84:15 85:12,13  
 colleagues 75:19 80:2  
 COLOMBO 2:8  
 Combination 41:23  
 come 35:6 39:5 80:13 81:21,22 90:18 92:17,18,22 99: 17,22 103:7 114:22  
 comes 50:9 69:13 70:3  
 coming 23:18 57:13  
 comment 96:23 108:17 110:2 114:21  
 commenting 6:9  
 commission 122:20  
 commissioned 122:4  
 COMMON 1:1 34:9 45:17 60:6  
 company 37:18,21 39:13  
 compare 13:18 24:13  
 comparing 27:8  
 comparison 21:11  
 compatible 20:13,16 21:3  
 Compensation 6:3,13  
 complaint 7:5,8 16:14 87:19  
 complete 119:16  
 completed 9:11,14,18 59:4  
 completely 40:13 101:2  
 completing 9:20  
 complex 35:22  
 complication 68:16 87:15 91:13 107:5 108:15 109:1,5,

8,11  
 complications 24:2 28:10,14 33:3 84:16 85:1,11,16,21,  
 24 86:5,9,12 87:2,12 98:17 104:12 107:10,19 108:20  
 112:6,9,10 115:17  
 compressed 117:13  
 compression 66:19 72:18 73:5 79:15  
 concern 54:3,6  
 concerned 30:10 77:18 101:7  
 concluded 121:10  
 confirmed 64:10  
 confused 84:9  
 Consent 3:8 35:1,8 67:5  
 conservative 34:17,18  
 consider 13:9 26:15 61:10  
 considering 100:6  
 Consistent 20:17  
 consoling 114:17  
 consult 80:2 81:7 90:16  
 consultations 80:12  
 consulted 80:11 113:8 114:1  
 consulting 81:9  
 contacted 8:20  
 context 14:4  
 continue 11:24 46:19 47:8,15 48:10,12 62:14  
 continued 16:16 77:14  
 continues 32:8  
 continuing 107:22  
 continuous 41:24 43:1 44:3 58:22  
 continuously 42:8,9  
 contradict 20:20  
 contraindicated 47:8  
 contrast 35:20 36:2  
 contrasts 43:14  
 control 106:5,11  
 conus 52:6,7,16,17,18,19 57:7 60:19 68:12 72:19,21  
 74:7,9 77:20 79:4,11 81:24 82:16 88:20 90:3,4,9 92:10  
 94:13,13,16,21 96:7 104:9,10 110:17 117:12 119:15  
 120:11,12  
 conversation 77:16  
 conversations 28:19 29:5 67:8 91:11  
 convinced 69:10  
 copies 65:2  
 copy 35:4,14 83:22 84:8  
 cord 19:14 33:20,22 50:8 52:20 69:14  
 Cordical 18:16  
 correct 14:16 18:2 20:14,23 22:22 26:22 28:23 36:14  
 39:22,23 40:3 41:4,11 43:10 59:23 64:3,18 74:22 87:19  
 90:1 92:9 97:13 98:24 104:15 107:15 117:24 120:24  
 correcting 49:14  
 correctly 48:20 122:10  
 correspond 20:10  
 costly 62:7  
 couldn't 73:13 89:19  
 coumadin 31:4  
 counsel 16:7 17:4 98:6 106:17 108:4 122:14,18  
 count 13:10  
 County 8:16  
 couple 56:4 90:22  
 coupled 66:7  
 course 66:15 91:14  
 COURT 1:1 122:3  
 created 44:23  
 creating 45:2  
 crew 74:23  
 critical 113:3 120:22,24 121:3  
 CT 16:1 55:15 61:9,10,19 62:6 66:16 80:13 95:24 96:14  
 117:6 120:4,8  
 cure 117:7  
 current 10:18,19,21 108:23  
 currently 5:7 10:23 11:4  
 CV 9:4 10:18,20

## D

damage 33:16,17 77:17 78:1 79:2,3 102:5

date 16:4 64:18 65:8  
 dated 98:24  
 dates 84:10  
 daughter 83:7  
 day 61:24 62:3 64:2,13 105:12 108:3 116:21 122:20,22  
 day's 99:12  
 death 34:2  
 debating 81:23  
 Decadron 71:21,24  
 December 17:19,21 20:14 21:3 28:16,16,24 29:2 30:20  
 112:14,21  
 decide 72:21  
 decided 30:23  
 decision 19:2 37:8,10 68:19 82:2,6 83:11,11,14 99:23  
 100:22 101:3,10 102:2 114:20  
 decision-making 73:9,10  
 decisions 37:19  
 decline 8:24  
 decompress 69:20,22 104:6  
 decompressed 80:8  
 decompression 66:20 67:1 69:8 70:8 74:16,24 76:17  
 77:3 101:15 102:7,13 103:6  
 decrease 30:12  
 decreased 96:3  
 decreasing 95:17  
 deemed 74:17  
 defects 18:6,16  
 defendant 6:22 7:3  
 Defendants 1:10  
 deficit 19:12 60:2 63:3,10 71:23 73:6 74:5,8 75:9,17 77:  
 23 79:12,13 93:16,20,22 107:14 116:13,22 118:1  
 deficits 19:11 62:22 66:8 68:3 80:17,18 94:8 97:6,24  
 98:12  
 definitely 83:14  
 definition 22:6,7 51:1 85:20  
 degree 72:18  
 demeanor 32:17  
 density 97:2  
 depends 22:6 117:20  
 deposition 1:15 4:6 5:23 6:7 7:17 12:12 15:6 35:15 52:  
 23 121:9 122:5  
 depositions 6:3,12  
 describe 42:14 43:22 91:16  
 description 13:7  
 details 31:9  
 determine 61:6 86:2 118:23  
 determines 70:6  
 developed 107:2 110:12  
 developing 29:15  
 diagnosis 74:4 84:21 85:10  
 dictate 25:21  
 dictated 23:3 99:1,4  
 dictations 57:2  
 didn't 8:1 19:9,22,24 20:21 21:4 28:6 43:3,6 49:2 52:3,  
 19 53:23,24 54:10 55:9,23 56:8,15,16 57:22 62:14 64:1  
 66:18 68:18 76:20 77:2 78:4,8,10,11 79:12,15 80:9,9 83:  
 16 93:12 96:17 97:20 102:1,9,16,21 103:5,12 109:18  
 114:22,23 118:6,9 120:7  
 difference 41:1 55:5 59:21 78:12 102:22 103:18 118:14  
 different 29:21 30:22 43:17 55:22 57:15 66:10 68:20  
 71:11 72:20 94:1 96:8 102:23 103:11 118:5 119:11  
 differential 61:1 74:3  
 differently 111:3,6,7  
 difficult 68:19  
 difficulties 59:14  
 difficulty 106:6  
 direct 40:18  
 direction 40:19,21 57:15  
 directions 55:22  
 directly 42:21  
 disagree 70:13 79:22 85:12 108:7  
 discontinued 31:5  
 discovery 35:3  
 discuss 17:2 30:19 31:1,8,19 33:2 34:3 73:8 75:18,21

78:9 82:9 90:19 98:11,12,18 102:23 113:22 115:11  
**discussed** 17:8 31:24 32:2,3,6,10 33:1 53:5 66:16 67:  
 20 68:20 73:14 76:9,12 80:20 81:1,4 83:15 88:16 89:8  
 100:9 101:6,17 113:6,20 114:4  
**discusses** 111:24  
**discussing** 32:20 82:24 112:4,8,23  
**discussion** 67:17 69:4 95:1 98:16,17,19 99:20 100:15,  
 19  
**discussions** 28:17 113:17  
**diskectomy** 8:6  
**dismissed** 7:16 8:4  
**disposal** 44:2  
**DODD** 1:5 4:5 8:10 14:11,21 16:12,15 17:2 18:6 21:2  
 23:16 26:4 27:5 28:5,17 37:21 46:9 59:17 66:17,23 67:  
 18 75:12,16 80:17 82:18 98:20 99:24 107:9 112:6,20  
 113:8,12,23 114:7,12 116:12,15 117:9,19 119:12,19  
 120:6,7,23  
**Dodd's** 20:12 26:10 36:12,19 38:20 39:1 50:14 75:9 93:  
 16 97:5 101:3 107:18 112:5 113:4  
**does** 24:11,13 27:20,23 28:1,2 38:12 42:7 43:9 60:13  
 63:18 85:19 94:2 95:2 106:6  
**doesn't** 19:6 20:10 24:2 35:22 48:12 60:10 85:18 86:4,  
 18 95:12 112:16 116:3,18 117:5  
**doing** 22:12,18 23:15 24:7,22 25:16,18 26:11 27:3 34:  
 13,15 58:24 59:12 105:10,19 106:10 110:24 111:13,15,  
 17  
**done** 6:2 9:20 13:19,22 17:18 21:15 23:12 24:3,7 26:4,  
 23 27:1 34:6 35:13 43:9,15 45:17 53:7 78:1 79:2,3 87:  
 22 102:6 103:4,20,21 107:15 111:2,5,7,18 116:19 120:  
 13  
**dorsal** 94:10,22  
**down** 60:18 81:2 95:6,15 99:18  
**drain** 33:10  
**draw** 49:23  
**Drawing** 3:9  
**dribbling** 106:7  
**drop** 68:16 105:7,9 109:7,14 110:12 113:19 114:3 116:  
 13  
**drug** 11:13  
**due** 107:18 108:20  
**dug** 83:22  
**duly** 122:4,6  
**dura** 49:4,9,18,20,21 50:2,7,17,24 51:12,22 53:19 55:2,  
 23 68:1,2 76:14 83:15,19 84:19 87:18,21,23 88:3,10 89:  
 21,21 90:11 91:21  
**duration** 24:11  
**during** 25:11,16 32:17 38:19 49:5 85:14 87:1 90:21  
 104:20 113:19  
**dye** 56:22,24,24 57:6,7,8,17 95:11,12 96:4,10  
**dysfunction** 33:19 68:17 94:12,14,17

**E**

**each** 58:18 59:11  
**earlier** 22:3 120:20  
**easily** 57:8 89:10  
**easy** 17:10  
**edges** 88:17  
**editor** 13:4  
**educational** 13:24  
**effect** 28:13 46:4 60:10 71:21 95:3,10,16 96:5  
**either** 22:13 31:11 32:18 36:12 38:20 41:7 64:21 99:8  
 102:1  
**either/or** 37:9  
**elective** 104:23  
**else** 7:24 14:23 15:9 31:19 32:11 80:2 90:16 112:13,15,  
 17,22 113:3  
**else's** 120:23  
**elsewhere** 85:16 86:13  
**elusive** 67:9  
**embolus** 33:13  
**emergency** 70:7 73:11 74:16,24  
**emergent** 77:3  
**emergently** 117:1 119:2,17  
**employed** 122:15,18

**employee** 122:17  
**employees** 5:11 121:1  
**end** 58:9 104:14  
**ends** 82:10  
**enervates** 93:23  
**enhancement** 92:16 96:9 97:2  
**enough** 34:20 36:2 54:9 98:5 104:9 110:1  
**entry** 41:5 51:7  
**entwined** 89:22  
**equina** 52:8 77:20 79:11 119:16  
**especially** 12:24 106:7  
**Esquire** 2:13  
**established** 87:16  
**et** 67:21  
**evaluate** 119:14  
**evasiveness** 24:14  
**even** 12:21 14:1,7 23:9 25:20 33:6 43:16 51:3 76:14 79:  
 19 89:21 100:5 102:21 105:2 115:17 116:21  
**evening** 76:6 80:15  
**ever** 6:22 8:17,20 11:13 25:24 27:3 75:5,7 76:16 105:17  
 113:20  
**every** 61:24 62:3 105:12 108:3  
**everyday** 61:20  
**everyone** 100:16  
**everything** 13:15 55:8 61:1 103:10 106:18  
**exact** 52:5  
**exactly** 47:13 64:12  
**exaggeration** 62:12  
**exam** 19:5,5,8,20 20:10 65:6,8 119:8,8,14  
**EXAMINATION** 3:2 4:2 63:23 120:17  
**examine** 62:3  
**except** 16:24  
**exclusively** 93:20  
**EXHIBIT** 3:6 35:5,15 52:22,23 83:23  
**exists** 35:2  
**exothermic** 76:19  
**expect** 21:20  
**expenses** 5:11,14  
**expensive** 24:9  
**experience** 46:8 80:10  
**experienced** 28:11 80:3 107:9  
**expert** 6:5 8:17,21  
**expires** 122:20  
**explain** 21:7 45:1 47:12,14 49:7 93:12 94:21 114:7,11  
**explained** 56:4 67:12,15 112:19 114:13 115:2  
**exploration** 67:21  
**explore** 66:13  
**extensive** 23:23 80:10 98:19 99:20  
**extremity** 109:11,13  
**eyes** 55:17

**F**

**fact** 28:10 59:15 108:1,12,21 118:5  
**familiar** 70:2 71:3 111:8,16,17,17 112:3  
**families** 103:12  
**family** 31:10 67:12 73:10 100:10 101:11 103:9  
**far** 30:9 105:11 117:10  
**favoring** 73:23  
**feel** 20:3,22 21:4 40:2 42:23 67:4 104:20  
**feeling** 68:8 103:24 104:2,5 116:17  
**felt** 19:22 23:20 114:19,19  
**fenestration** 111:8,9,12,16 112:2  
**few** 4:10  
**figure** 63:19,21  
**figured** 105:18  
**filed** 7:14 8:14 16:19 17:7  
**fill** 35:22  
**fills** 35:24  
**films** 15:17 32:14 58:17 64:23 65:1,2,13 66:5  
**financially** 122:19  
**find** 11:18 12:23 13:15 20:9 37:1,4 63:8 72:10 84:3 98:8  
**findings** 19:12,20 21:7  
**finished** 25:17 59:2 99:12  
**First** 4:11 9:23 16:15 17:15 21:23 22:22 31:15,16 32:14



34:5,24 35:19 39:24 45:11 49:5,6 53:11 75:14,18 83:16  
84:24 85:9,14 86:11 91:21 104:13 109:16 113:14 116:  
14 118:13  
**five** 6:14 9:20 26:8,11 34:8  
**flexion** 68:4 94:10,22  
**floating** 80:24  
**fluid** 33:9 51:11,14 88:7,12  
**fluoro** 41:16,24 42:6,17,20 43:1,2 53:16 54:21,24 55:21  
56:21,22  
**fluoroscopy** 43:21,23 44:3,8,9 93:2,10,13  
**folder** 14:18  
**Foley** 108:12,17,18  
**follow** 17:10 62:14 116:2  
**follow-up** 30:16 31:7  
**followed** 66:16  
**following** 7:22 97:5 106:3 116:22 119:3  
**follows** 19:17  
**foot** 41:21 68:16,23 72:23 94:10 105:7,8 109:7,14 110:  
12 113:18 114:3 116:13  
**force** 88:19  
**form** 35:8  
**formal** 13:23 14:7  
**forth** 82:9  
**four** 6:14 99:17,22 100:21  
**fracture** 13:20 69:14 84:12  
**fractures** 30:5,5  
**freed** 88:18  
**freeing** 82:10 89:1  
**frequently** 13:3,6  
**fresh** 19:15  
**front** 15:6 42:18,21 83:24  
**full** 122:12  
**function** 103:19 106:3  
**further** 23:1 122:9,13,16  
**fuse** 104:14,17,22  
**Fusion** 7:21 22:18,21 23:19,22,23 24:14 30:8 31:18,19  
32:1,3,6,9,10 105:2 115:8,9

**G**

**G-H-O-D-S-I** 5:2  
**gave** 67:13 68:21  
**gee** 53:10 56:11  
**gene** 10:24 11:2  
**general** 23:24 24:8  
**generally** 18:18 39:3 40:15,17 69:13 72:7,8  
**generic** 9:2  
**gets** 66:14 68:15 70:5  
**getting** 41:21 46:21 48:24 49:2 61:10 69:15,18,22 74:12  
117:4,14  
**GHODSI** 1:15,18 2:7 4:1,4 5:2 86:11,24 87:10 120:19  
121:6,9 122:6  
**give** 9:5 12:4 30:16 34:20 40:18 42:7 50:3 62:9,10,12  
63:12 66:2,3 67:5 73:8,9 76:24 98:5,7 104:10 108:3  
**given** 6:13 99:15 122:12,21  
**gives** 13:17  
**glob** 82:13  
**global** 72:9  
**goal** 59:9  
**goals** 107:4 111:21  
**gobs** 34:13  
**goes** 35:21 41:14 50:10 59:17 62:4  
**Gold** 5:12,21 27:20 81:17 82:1,19,22,24 83:4,12 89:4  
90:13,19 91:17  
**good** 27:16,17 41:13,16 46:16 57:9 59:7 65:19 69:24  
80:9 101:22 106:5,10 114:20 115:15,16  
**got** 50:18 59:7 62:8,13 64:9 92:1,11,12,12 95:11 106:10  
**gotten** 77:5  
**graduated** 9:9  
**great** 49:24  
**Greenberg** 13:5 15:10,13 98:9  
**Grocell** 81:3,10  
**guess** 44:3 45:16 55:20 100:5 103:20 115:23  
**guideline** 71:6  
**guidelines** 71:3

gut 103:23 104:2,5 116:17

**H**

**H&P** 53:2  
**hadn't** 103:20  
**half** 26:6  
**hand** 42:24 122:21  
**handbook** 13:5  
**hands** 59:22  
**happen** 31:2 43:9  
**happened** 43:18 45:18 49:11 50:14 60:17 61:2 76:16  
78:13 87:15 91:13 93:21 99:8 111:3 113:19 114:8 115:  
2,5 116:18,19  
**happening** 93:21  
**happens** 119:13  
**happy** 72:10 114:22  
**hard** 68:14,15  
**hardly** 105:17,20  
**having** 11:23 16:16 21:2 29:17 30:21 46:8 73:13 100:24  
104:14 112:5 119:16,20  
**head** 12:6,21  
**headaches** 33:10  
**heard** 27:17 44:6,7,8,8 71:1 80:6 115:24  
**heart** 33:24  
**heat** 73:1,4,16,24 74:4 76:20 77:15,17,23 79:4,4,7,14  
103:5  
**heats** 74:7  
**help** 71:24 82:2 102:15,15,16 103:6,13,14 104:11 105:  
5,6,7,8  
**helped** 103:16  
**helpful** 11:23 101:21  
**helping** 101:23  
**here** 5:10 6:15 17:11 26:5,13 29:12 32:2 34:16 36:23  
42:18 50:8,10,17,20 52:11,13 53:5 54:15,17,17 55:4,5,6,  
7,8 73:2 74:21 84:15 100:10 102:11 105:19 120:20  
**hereby** 122:5  
**hereof** 122:8  
**hereto** 122:18  
**herself** 105:12  
**Hi** 4:4  
**High** 2:9,14 26:16  
**higher** 27:12  
**hit** 49:9 60:7,17,18 74:1  
**Hitchon** 75:22 90:21,22 91:3 101:18 102:4,21  
**hitting** 41:21 72:24  
**hole** 41:3,5 51:6,8,9,10,14  
**holes** 50:23 51:3,5,5  
**home** 5:3  
**hopefully** 14:21  
**HOSPITAL** 1:9 14:17 17:20 31:3 37:10 58:5,15 61:19  
62:1 120:21 121:2  
**hospitalization** 31:6  
**hospitalized** 113:9  
**hospitals** 9:13  
**hour** 66:6 99:3  
**hours** 99:17,22 100:21 106:8 116:14  
**How** 5:18 6:12,24 7:7 9:22 20:1 23:8,12 24:13,13,19 25:  
7 26:18 31:5 34:6 37:15 41:10 43:9,18,21 49:11 63:21  
69:11 87:5 91:22,24 92:2,11 93:15,16 95:2 97:3 103:18  
**However** 44:12 96:6 106:6  
**huh-uh** 4:14  
**husband** 100:12,13,14

**I**

**I'd** 56:20 80:14  
**idea** 42:7 48:15,17 59:13 74:9 84:20 88:24  
**ideal** 61:22  
**ignore** 72:15  
**image** 16:5  
**images** 15:22 16:9,11,24 57:24  
**imaging** 15:16 19:7,10 20:3,6,9,11,11 63:24 64:2 88:9,  
11 117:21  
**immediate** 70:23  
**immediately** 60:5 68:15 70:7 87:23

impact 79:13  
 important 72:19 119:8,9  
 impossible 88:18  
 impression 19:13 95:15 107:23  
 improved 61:13  
 improving 69:23  
 INC 1:22  
 incision 24:17  
 includes 96:19  
 Including 7:9  
 incorporated 5:15  
 increased 97:2  
 independent 7:23 81:11  
 INDEX 3:1,6  
 indicating 50:17  
 indication 69:16,18  
 infection 33:5 34:2  
 infections 108:23 109:2,6  
 inflamed 60:8  
 inflammation 72:1 119:23  
 informal 14:8  
 Informally 8:22 9:1 14:1  
 information 13:10 117:8  
 informed 35:1,8 67:5  
 initially 35:19 113:12  
 inject 35:18 46:17,18 47:8,15 48:4,5,5,6,7,8,11,12,18,  
 22 50:12 54:10 56:24  
 injected 36:13,14 50:20  
 injecting 47:22 48:10 49:1 54:12  
 injection 47:24 92:17  
 injections 30:1 92:20  
 injury 33:20 34:21 69:15 72:22 73:2,5 76:19 78:12 88:  
 20 103:4 110:17,18 116:18  
 insert 46:10  
 inserted 79:1  
 inserting 41:19 42:11  
 inside 40:10 52:12,13,17,18 68:1 90:8,9,10,11  
 instrumentation 7:18,20  
 intended 118:11  
 intensity 95:17 96:4  
 interested 122:19  
 interrogatories 28:4  
 Interrogatory 11:7 105:23  
 intervention 78:19 99:21 101:14,21 102:12  
 interventional 18:10 70:21 90:18  
 intrathecal 52:15  
 introduced 120:20  
 intuition 72:15  
 intuitively 69:12 72:13 104:8  
 invasive 24:13,15  
 invasiveness 30:13  
 involved 5:18 30:6 85:4 92:1,11,12,13 119:21  
 involvement 85:6 92:4,5  
 involves 29:24  
 Iowa 9:9,11,13 24:21,23 76:1  
 isovue 36:5  
 issues 91:21  
 itself 22:14 94:13 117:6  
 IV 71:21

## J

JACKSON 1:22  
 Jacqueline 4:5  
 January 23:12 29:1 34:7 97:9 105:13 108:23 109:24  
 Jeff 80:4  
 JOHNNY 1:22  
 Journal 11:16,17  
 journals 11:15 27:10  
 jtresl@beckermishkind.com 2:6  
 judgment 61:22 81:11  
 July 9:14 26:13 115:19,20  
 Jumping 27:19

## K

K-E-M-P 13:7  
 keep 58:12,20  
 Kemp 13:7  
 Khosrovi 5:9,19  
 kind 7:20 9:2 34:22 35:3 37:14,18 51:13 57:15 76:23  
 89:15,22 95:9 98:5 99:15 100:23 118:23  
 Kish 2:13 3:4 120:15,18,19 121:4  
 kit 39:6,7  
 knew 57:21 62:16 66:5 68:11,12,14 80:18  
 know 7:11 12:16 25:3 27:12,16 28:1 36:4,9 37:7 38:2,3,  
 17 40:13 41:10 43:20 44:13 46:20 47:13 49:4 50:1 57:  
 12,24 58:12,23 61:18 63:9 64:17,18 65:16,23 66:18 67:  
 15 72:9,9,11 77:18 79:20 80:14 84:2,12 85:20 86:1,4,7,  
 17,18,19 87:5 89:4,8 98:13,21 100:12 102:16 103:12  
 105:14 108:5 111:5 115:5,21 116:6 118:6,7 119:15 120:  
 7  
 knowing 46:7 63:11  
 knowledge 21:17,19 85:13  
 knows 37:12  
 Korea 12:8 97:17  
 Krupedev 113:7,8,18,23  
 kyphoplasties 23:10  
 kyphoplasty 7:23 8:5 11:19 13:21 22:2,4,7,8,14,15,17,  
 19 23:3 25:14 26:18,19,24 27:5,8,11,13,14 28:1  
 kyphosis 22:11,13 23:1 29:15 32:8 107:3  
 kyphotic 22:17

## L

L-1 92:1,4,5,12,16 93:5,7,15 94:2,8 95:19 96:15,17,20  
 97:3  
 L-2 44:15 47:1,4 91:22,22 93:5,8,21 94:16,20 96:15  
 L-5 94:9  
 lack 99:18  
 laminas 50:9  
 laminectomy 69:8 74:17 111:13,17  
 language 52:5 95:2  
 last 7:14 8:15 15:19 16:17,20 32:7 60:19 105:14,22,24  
 107:23 114:10 115:23  
 later 23:1 55:15 99:18,22 100:7,13,21 104:23  
 lateral 41:13 43:6 55:3  
 laterally 40:7 41:16  
 lawsuit 17:2,7  
 lay 53:9  
 lead 33:17 62:11  
 leak 18:17 33:12,14 45:12,23 46:12 48:12 53:24 54:17  
 63:15  
 leakage 18:7 27:13 32:21 33:9 44:10,13 46:20,21,24  
 47:7 48:8 53:7,11 54:1,5,22 56:17,18 59:14 62:19 65:14  
 67:13 68:2 70:4 75:7 79:5,7 80:7 85:23 86:1 92:14,23  
 93:4,7,7,9 96:15 97:13,19,23 98:13 110:10 112:1 114:4  
 116:11 118:6,8,9,10,12,15,17,20,21  
 leaked 44:22 45:15 46:1 47:17 48:14,15,17,21 50:21  
 55:8 62:17,18 63:9 91:14 92:7 116:24 117:23  
 leaking 45:20 51:11 53:21 54:1,6,20 60:22 71:10 88:6  
 92:3  
 leaks 74:6,7  
 leaning 100:4,24 101:1  
 learn 24:19  
 least 118:10  
 leave 66:13 90:13  
 led 19:8  
 left 44:11,12 48:7,8 101:5 103:22 109:13  
 leg 60:18,20 109:10,15  
 legs 19:12 34:1 119:17  
 less 15:20 24:15 34:7 43:19 62:7 89:6 104:24  
 Let 4:10 11:8 16:4,18 52:5 57:11 88:17 107:12  
 Let's 17:11 20:21 23:9,10 25:24 34:5,22 39:22 45:3 50:  
 5 63:8 78:18 91:20,23 98:22 99:17 114:9  
 letter 113:15,15 116:4,6  
 level 26:16 44:15 47:1 58:18 59:11  
 levels 59:8,12,15 92:17,23  
 license 11:9

ligament 51:13  
 like 9:3 12:22 23:16 25:4 30:23 31:7 33:24 37:12,15 39:7,10,20 41:12 43:3,6,13 44:24 45:1,4 50:17 51:13,18 53:9,10 54:12,15 56:20 58:13,21 75:9 80:14 81:16 89:12,15,22 91:20,21 92:7 95:7,12 99:3 108:18 114:23 119:24  
 liked 43:5 50:19  
 likely 37:21 38:2 102:7,15 110:21,22 118:4  
 line 91:12  
 list 12:19,22 84:21  
 listed 25:20  
 literally 40:8 51:10 89:1  
 literature 10:6 27:7 46:3 69:11,20 70:2,12,13,17 71:16 72:2 111:23 112:3  
 little 27:19 35:9 40:7 62:2,9 82:13 120:5  
 live 68:22,24,24 69:1  
 lived 73:13  
 local 24:4,8  
 logically 57:15  
 long 5:18 20:19 24:12 31:5 42:10 106:18 120:22  
 look 10:9 12:1 15:16,23 35:4,20,21 36:18 41:16 52:12,19 55:11 56:12 57:9,18 64:14 81:21,22 83:12,13 95:12  
 looked 15:21 17:1 27:7 54:22,22 55:13 56:14,18 57:5,20 67:24 91:21  
 looking 31:22 35:19 44:15 46:23 47:6 55:21 64:16 65:6 88:24  
 looks 41:18 91:20  
 loose 89:9,14  
 losing 33:7  
 lot 13:15 17:9 29:11 34:15 56:19 66:17,24 68:8 103:10 108:22 111:4 115:18  
 Lovejoy 122:2  
 low 26:17 95:17  
 lower 27:12,15 109:10,13,15  
 LPA 2:3,8  
 lumbar 7:15,18 17:13,16,18 18:1 65:4,7  
 lump 23:9  
 lung 33:13  
 lungs 34:1

## M

M.D 1:15,18 2:7 4:1 121:10 122:6  
 machine 42:17,20  
 mad 114:23  
 made 18:6 74:19 81:12 92:8 99:3,23 100:7,22 101:10 103:17 114:20  
 main 13:8 35:21  
 mainly 60:11  
 majority 63:16  
 make 19:2,6 24:17 35:14 36:11 39:9 40:24 41:13,17 60:13 66:19,20 68:18 69:3 76:21 78:11,24 85:19 88:17 102:22 103:8,13,14 112:21 120:16  
 makes 37:8 118:14  
 making 22:24 72:23 82:2,5  
 malpractice 7:14 8:3  
 managed 113:4  
 many 6:12,24 7:7 9:22 22:3 23:8,12 34:6 43:18 63:15  
 March 108:14  
 MARIETTA 1:9 6:16 14:17 17:19,21 85:8 120:21 121:1  
 MARILYN 1:5  
 mark 35:13 52:21 83:23  
 MARKED 3:7 35:16 52:24  
 mass 95:3,10,16 96:5  
 material 51:12  
 matter 4:7 80:10 116:18  
 may 10:9 12:18 19:6 23:1 32:8,14 33:14,19 35:23 38:3 44:21 46:11 48:12,14 60:23 67:23 69:2 72:5 76:10 77:11,12,14 80:20 81:15 84:1 90:2,20 91:2,5 96:4,4,5,9,18 98:2 99:6,11 100:14 101:21 102:4 103:16,23 105:6,10,16,19,21 110:18 113:10 120:3,3  
 maybe 23:2 28:16,22 30:1,3 35:5 43:5,13,19,19 45:14 48:24 50:21 57:6 60:2,17 62:1,4,6 68:6 72:23 76:13 81:20 84:7 85:23 88:11 120:5  
 mean 18:15 34:12 35:7 46:8 51:10 57:17 73:4 85:19 86:18 95:11 99:9 103:18 106:1 114:8,18,24

means 34:11 115:20  
 measures 34:18  
 medially 40:6 49:9 50:15 110:16  
 medical 9:6,10 10:5 14:15,24 114:2  
 medication 66:24 67:3  
 medications 29:24 34:19  
 medium 36:3  
 meetings 32:24  
 member 10:23 11:1  
 MEMORIAL 1:9 14:17 17:19,22 85:9 120:21 121:2  
 memorialize 50:4  
 memory 108:14  
 Menezes 13:4  
 mentoring 14:3  
 met 4:4  
 middle 108:14  
 might 18:6 31:23 74:4 81:1  
 mind 11:22,23 14:7 48:13 54:19 57:14,22 60:3,24 99:19 100:8 111:21  
 minimal 79:13 97:4  
 minimized 110:24  
 minute 10:13  
 minutes 42:6  
 mischaracterize 91:24  
 MISHKIND 2:3  
 missed 62:2  
 missing 35:9  
 mix 38:8,20  
 mixed 37:14,16 68:8  
 mixes 38:10  
 mixing 38:5  
 mixture 38:12  
 model 49:22,24 50:1  
 moderate 95:16  
 months 18:2,5 30:17  
 more 15:20 19:6,9 30:19 34:7,16 36:11 40:7 43:19 49:1 51:3 69:7 91:8 95:7 104:10,13,23 108:15 110:21,22 111:14 118:4  
 Morgantown 80:5  
 most 34:17 60:6  
 mouth 96:22  
 move 80:1 88:18  
 movements 106:5  
 MRI 15:17 17:13,16,18 18:2,5,9,14,20 19:1,15 20:13,21 21:3,7,10,12 61:9,11,23,23 77:8,10,11 88:4 95:23 96:1,15,19,22 117:1  
 MRI's 62:2  
 Ms 3:3 4:3,5 7:1,12 10:12,14,17 12:2 13:11 14:11,21 16:12,15 17:2 18:6 20:5,12 21:2,17 23:16 26:4,10 27:5 28:5,17 29:6,9 35:7,9,12,17 36:12,19 37:3,21 38:20 39:1 46:9 47:10 50:14 53:1 56:2,6 59:17 63:4 64:4,14,16,20,24 65:5,11 66:17,23 67:18 70:9,15 71:4 72:17 75:9,12,16 80:17 82:18 84:4,6,7 85:3,7 86:3,16,20,23 87:4,14 93:16 97:5,14 98:20 99:24 101:3 106:21 107:9,18 112:5,6,20 113:4,8,12,23 114:7,12 116:12,15,16 117:2,9,19 119:12,19 120:6,7,13,23 121:6  
 much 92:4 93:6 104:13 117:11  
 muscle 54:7,15,18,20 55:23 62:18 92:2,12,15 118:19 119:5  
 must 42:9 49:8 60:23 115:20  
 myself 73:13 80:19 103:12

## N

name 5:1 98:6 113:14,15  
 named 7:5,7  
 narcotics 68:6 108:16  
 necessarily 18:13 19:17 47:19 57:18 74:17 94:19 95:13 103:6,8 104:22  
 necessary 75:1  
 need 19:6,9,20,22 20:3 21:4,4 32:8 35:23 39:15 46:22 47:23,24 49:22 69:19 70:11 108:5 109:17,18,21 115:9  
 needed 20:22 40:2 104:22 108:12  
 needing 33:6,8,10 34:18  
 needle 33:19 39:23 40:5,9,10,11,18,22 41:2,7,12,14,19

42:12,21,22,24 43:4 44:20,22,23,24 45:4,6,8 46:10,15,  
17 47:21,24 49:8,19 50:9,15,16 54:15 60:7,17 72:24 74:  
1 78:23 87:17 92:9 110:14,15,16,19 111:1 119:22  
**needles** 25:24 38:24 39:15 43:16 48:2 50:11  
**needs** 9:19 105:18 106:13  
**neither** 122:14  
**nerve** 19:13 33:16,17 60:7,8,10,11,14,18 61:7 72:22 73:  
7,17,19 74:2 90:6 93:22,23 94:1 119:21  
**nerves** 33:20,23 50:8  
**neurologic** 63:10 116:22  
**Neurological** 11:17 19:12 60:2 62:22 63:3 66:8 71:22  
75:9,17 77:23 79:12,13 80:17,18 93:16,20 94:8 97:6,23  
98:12 107:14 116:13 118:1  
**neurologically** 103:17 117:14  
**Neuroscience** 11:3  
**neurosurgeon** 5:10 60:14 63:11,18 70:5,20 72:4,14  
**neurosurgeons** 10:24 68:10  
**neurosurgery** 9:13 11:16  
**neurosurgical** 13:1  
**never** 11:9 34:24 44:8 45:18 80:3 83:3 107:13 114:4,6  
**new** 19:16,18,20,23 20:3,7,9,11  
**newer** 20:1,22 21:5  
**next** 64:2 74:7,9 115:8  
**night** 78:18 100:7,18 106:6  
**nine** 18:2,5  
**nodding** 4:14  
**none** 16:6  
**nor** 122:14  
**Notary** 1:17 122:2,24  
**Note** 7:1,12 18:1 28:15,16 52:9,10 64:13 98:21,23 99:  
14 105:10,14,16,22 106:14 118:13  
**notes** 15:2 22:3 29:11 57:1 99:3 122:10  
**nothing** 14:6 27:22 32:19 74:11 116:19  
**noticed** 75:17 94:9  
**Now** 21:22 22:7,8 40:9 45:9 53:9 56:4 59:1 73:23 103:  
21 104:5 105:2 106:4,11 117:11 118:3 119:11  
**nuclear** 88:13  
**number** 6:2 43:11  
**numbness** 33:18  
**numerous** 12:20 43:16 114:13  
**nurse** 38:9  
**nurse's** 36:24 59:22  
**nurses** 60:3 80:16

## O

**object** 56:3 86:4,17 87:5  
**objection** 7:1,13 12:2 13:11 20:5 47:10 63:4 64:4 70:9,  
15 71:4 72:17 87:14 97:14 116:16 117:2  
**obviously** 104:12 114:24  
**occasions** 90:22 114:13  
**occurred** 110:11  
**Off** 10:14 12:5,21 37:3 42:1,1 62:10 68:9,11 76:21 81:  
24  
**office** 1:18 2:4 5:10,13 14:20 17:11 28:20 109:19 110:5,  
7 114:10 116:1  
**often** 20:1 43:9  
**Ohio** 1:16 2:5  
**Okay** 4:15,21 22:1 41:18 81:16 84:7 88:22 94:11 97:12  
**old** 18:2,5 19:2  
**older** 20:21  
**once** 13:8 41:15 43:14 78:23 116:18  
**one** 7:9,17 8:9 11:21 12:4,6,23 15:21 16:9,24 22:15 27:  
1,3 29:22,22 30:7,7 37:20 38:18 40:5 42:18,18 44:4 45:  
24 48:2,4 50:15 51:6 57:1,1 60:10,11 61:19 64:17,20 65:  
17 66:11,13 68:8 71:12 73:6,7,20 77:16 80:6 84:2,5 91:8  
92:2 93:22 94:5,6 95:6,7 97:16 98:22,24 99:1 100:23  
101:1,13,13 102:11 112:13,22 116:1 119:21  
**ones** 38:4  
**only** 12:6 24:6 45:13,17 51:6 64:17 84:4,8 93:22 94:9  
110:4  
**op** 88:20  
**open** 52:11,19 111:13,18,20 112:1  
**opening** 111:18  
**operate** 3:8

**operating** 24:12 36:20,24 38:7 52:10 73:12 74:15 90:24  
97:21  
**operation** 16:2 22:16 68:7 69:24 104:20  
**operative** 13:6 21:23 22:2 39:24 42:5 52:9 99:21 118:  
13  
**opinion** 44:17 73:4 78:20,21 82:3,5 87:17 92:8 97:1  
110:20 115:13  
**opinions** 13:16  
**opposed** 24:8 60:15 77:24  
**option** 30:11 67:14,20 68:8,22 71:12 73:7 77:1 101:13,  
13 102:11,20 103:2 105:2  
**options** 28:18 29:13,14,22 30:22 66:11 67:9 73:8 99:15  
101:5,6 102:10,24 112:4 114:21 115:7 117:10  
**oral** 9:19  
**orals** 10:2,3  
**order** 19:20,23 20:1,3,7,9,11,22 21:5 37:15 61:18,19  
62:5,6,6 116:24  
**ordered** 74:14  
**organization** 5:16  
**osteoporosis** 6:19 13:20  
**other** 5:8 14:6,23 16:8,14 17:4 42:19 48:5,6 49:20 54:  
13 57:19 59:12,15 61:17 85:1,10,15,21,22,23 86:12 87:  
2,12 91:10 99:1,12 111:21 114:21  
**others** 16:6  
**otherwise** 32:9  
**out** 11:21,22 12:8 14:6 18:17 32:19 40:7,11,14,15,21,22  
41:2,7 43:16 45:5 46:4,10 49:20 50:18,21 51:4 59:9 63:  
19,21 68:14 83:22 84:3 88:14 89:2,19 105:18 107:2  
108:18 114:22 115:10 116:4 122:11  
**outcome** 28:6,8 78:15,19 102:8 103:3 106:22 107:6  
**outline** 33:16  
**outlined** 101:8  
**outside** 68:2 89:21  
**over** 17:9 28:4 69:21 95:10  
**oversee** 25:7  
**own** 12:14 26:5,9,12 83:10,11

## P

**p.m** 121:10  
**PAGE** 3:2 10:11,24 17:12 31:22 53:4 88:15,23 95:1,14,  
20,21  
**pain** 23:18 29:17,22,24,24 30:1,1,2,4,21 66:24 67:2  
103:17 105:5,6 118:22,24  
**painful** 118:21  
**paragraph** 17:13 67:19 95:4,21 101:12  
**paralysis** 33:18 119:17  
**paralyzed** 68:18 73:12 80:8  
**Parkersburg** 6:15  
**part** 9:19 15:13 33:4 42:18,18 47:13 73:9,10 74:3 107:  
18  
**particular** 16:5 32:19  
**particulars** 17:3  
**parties** 122:15,18  
**party** 8:8  
**pass** 9:22 48:4  
**passed** 10:2  
**passes** 50:15  
**past** 11:23  
**path** 45:11  
**pathological** 18:12,15 84:12  
**patient** 6:6,8 22:11 24:5,11 25:22 38:12,12 42:17,19 61:  
19,24 70:3,7,19 71:7,14 73:6 75:5,8 76:9,24 80:7 99:20  
102:10,24 116:3,9,21 117:10,14,15,16,19,24 118:11  
119:9  
**patient's** 19:19 20:7  
**Patients** 14:5 20:2 23:16 112:11 115:22  
**pebble** 89:15  
**pedal** 41:21  
**pedicle** 41:14,15 49:9 50:7,19  
**pending** 7:18 8:9 9:19  
**people** 22:9 27:14 34:15,17 68:20 103:6  
**people's** 13:16  
**per** 79:6  
**percent** 63:15 97:19,20 110:13

percutaneous 24:18  
 perforation 84:18  
 perhaps 26:8 60:21  
 period 25:5,9 99:9 109:22  
 person 38:2 53:9 85:8 86:7,10  
 personally 5:15  
 physical 30:1  
 physician 6:10 113:14  
 physicians 5:8  
 picked 87:24  
 picture 41:24 42:1 49:24 58:8  
 pictures 58:8,10  
 piece 89:10  
 pieces 35:10  
 pin 99:18  
 place 25:24 39:20 122:7  
 placed 39:15  
 plain 17:14  
 Plaintiff 1:6 2:2 8:8  
 plan 89:3  
 plane 43:23  
 planned 106:23 107:7  
 plate 83:24  
 PLEAS 1:1  
 Please 5:4 12:18 29:5 108:24 116:4  
 plenty 90:5  
 PMMA 36:10,13  
 pneumonia 34:1  
 point 7:2 14:9 30:23 41:5 60:1 71:16 73:23 74:10 77:6,  
 19 78:12,16 91:15,16 100:2,3 114:11  
 pointed 50:15  
 poor 20:7  
 portion 39:21 50:13  
 position 41:14,16 43:4,5 45:4,10 48:3  
 positioning 39:23 40:3 41:11 43:10 46:16  
 possession 65:1  
 possibility 32:21 33:5 53:6  
 possible 30:6 51:2 96:11,14 110:18  
 possibly 22:18 33:7,10 68:17 72:23 89:21  
 post 66:24  
 post-op 66:6 116:15  
 posterior 95:18  
 potential 31:2 53:6 66:10 67:20 104:11  
 potentially 45:11 50:20 53:20 60:6,9 61:2 65:15 110:14  
 prefer 38:14  
 preference 38:17  
 prepare 15:2  
 present 102:10 103:2 117:10  
 presentations 23:17  
 presented 116:22  
 pressed 72:20  
 pressing 96:6  
 pressure 33:22 68:11 72:22  
 presumably 59:17 78:24 89:5 99:2  
 presume 92:3  
 preventing 23:1  
 previous 7:11 20:8 23:10 26:4 45:15  
 primarily 53:15  
 print 58:9,11  
 prior 23:12,15 26:10 112:5  
 privileges 11:10  
 Probably 6:14 19:16 21:13 29:15 32:3,9 34:8 41:3 44:  
 19 48:22 49:6,19 50:16 60:16 76:6 78:13 83:23,24 87:  
 20 103:24 108:10  
 problem 29:23 30:4 33:15 57:13,13 60:12 71:9  
 problems 12:10 31:2 114:2  
 Procedure 1:16 23:24 24:1,9 30:14 33:11 34:9 46:19  
 47:18 53:6 54:9 68:5 82:20 84:16 85:2,11,16 86:13 87:  
 1,12 107:21 110:24  
 procedures 30:10 85:21 86:6  
 proceed 30:24 53:12 99:21 101:4,14 102:12  
 proceeded 49:1  
 proceedings 122:9,16  
 proceeds 84:2

process 73:9,10  
 professor 75:24 76:2 101:17 102:4,21  
 progress 32:8 98:21,22  
 prone 18:6  
 prophylactic 111:9  
 provide 12:19,22  
 prudent 46:18 66:9 70:5 71:13,17  
 psoas 54:2,7,14,18,20 55:9,22 56:17 62:18 92:2,12,15  
 118:19,21,21 119:5  
 Public 1:17 122:2,24  
 pull 40:11,15,17 41:6,7 45:4 46:9 59:24  
 pulled 40:14,21,22 41:1 43:4,7 51:2,5  
 pulling 46:4  
 puncture 84:17,17  
 purposes 84:22  
 pursuant 1:16  
 pursuing 29:19  
 push 59:22  
 pushes 95:9  
 put 22:14 25:13 35:5 41:2,8 43:17 45:3,10,13 48:1 50:  
 11 51:14 58:11 60:7 61:12,16 75:3 87:7 88:12 96:21  
 108:12  
 putting 22:9,10,23 46:4

## Q

qualified 122:5  
 quality 20:6  
 question 4:12,17,23 20:18 87:8 92:6 106:16 112:17,17  
 117:4  
 questions 4:11 13:1 34:23 58:21 67:10 115:6,12  
 quicker 62:7 69:12  
 quickly 69:11 120:15  
 quite 108:13

## R

R-O-U-S-C-H 8:13  
 radioisotopes 88:12  
 radiologist 18:10 70:21 79:21 80:5 81:2 90:18 96:24  
 radiology 79:22  
 radiopaque 36:11  
 rare 20:2  
 rather 4:13 23:22 34:18 57:8  
 re-adjust 41:8  
 re-adjusted 78:23  
 re-inserted 79:1  
 re-positioning 111:1  
 reached 107:4  
 reaction 76:20  
 read 13:10 17:14,15 46:6 70:18 111:23 121:7  
 reading 27:9 34:24 85:18 95:23  
 readjust 46:15 47:24  
 reads 53:9  
 ready 74:24  
 real 34:9 35:22 41:20 42:11 58:22 118:24 120:15  
 realized 83:17,18  
 really 12:6,20 14:4 15:15 24:6 27:8 45:12 66:21 67:4  
 100:1 101:3 103:2,12 120:12  
 reason 57:3 60:7 61:15,17 84:8,23 106:12 113:9  
 reasonable 46:18 60:13 63:2,7,11,18 66:9 70:4 71:13,  
 17 72:3  
 reasons 115:22  
 recall 12:5,6,7 27:3 28:19,21 29:11 32:20,23 36:16 39:  
 2,5 40:4 45:24 76:8 83:7 98:1,3 115:4  
 received 16:14  
 recent 19:7,9  
 recently 15:18 98:11  
 recollection 82:21  
 recommend 30:9 77:2  
 recommendation 112:1  
 record 10:14 11:9 17:11 21:16,23 22:2 37:3 42:5 65:5  
 122:12  
 records 14:16,20,24 15:8 17:10 28:20 31:19 32:5 36:  
 21,22,24 58:2,12  
 recovered 59:20 62:23 103:19 116:12

recovering 75:8,16  
 recovery 31:6 59:18 64:5 66:4  
 reduces 24:4  
 refer 22:9 35:2 53:4 94:24  
 referable 19:13  
 reference 11:24 12:5 14:12 72:10  
 referenced 14:13  
 referral 37:4 113:11  
 referred 113:16  
 referring 23:11 53:14 54:4 84:18 95:5 113:13  
 reflect 32:6  
 regarding 6:7 7:15 63:14  
 reinsert 40:12  
 relapsed 108:6  
 related 5:16 6:18,20 68:5 108:10,16 118:23 119:1 122:14  
 relation 28:17 39:16 49:17 51:16  
 relationship 115:17  
 relative 11:19 94:8 122:17  
 relatively 99:9  
 relaying 29:16  
 reliable 12:24 13:9 19:1  
 relied 14:10 65:21,23,24 72:14  
 rely 19:1 97:18  
 remained 108:13  
 remains 41:6  
 remember 12:21 17:6 29:4 31:8,10,13 32:11,17 37:23 38:16 40:20,23 42:2 64:12 76:11 80:22 81:9,14,17 83:5 91:5 95:11 100:15,19 104:6 110:4,15 112:4,8 113:17  
 remembers 29:10  
 removal 67:21 101:15  
 remove 40:8 89:10,11  
 repair 22:13,17 33:11  
 repeat 4:19 70:11  
 rephrase 4:19 20:18  
 replace 45:9  
 repoire 115:16  
 report 39:24 79:23 88:21  
 Reporter 1:17 122:3  
 reposition 46:10  
 representative 38:1  
 representatives 37:17  
 request 82:19  
 requested 58:17  
 requires 23:24  
 reschedule 115:23 116:5  
 research 71:20  
 residency 9:12,21 25:11,19,22 26:5,7,9 27:2,4  
 resident 25:12 26:1  
 residents 14:2  
 resolved 107:24 108:2  
 resources 62:8  
 respond 34:17,20  
 responding 29:18  
 responsible 25:22  
 rest 17:14  
 result 28:11  
 results 77:13 78:3,6  
 resume 41:16  
 retention 107:18,20,24 108:2,9,19  
 returned 106:4  
 reverse 71:21  
 review 4:10 12:11 15:13 16:5,8,11,16 18:1,9  
 reviewed 8:23 12:9 15:5,7,9,10,15,19 16:22,24 29:12 32:13,15 98:9,10,11  
 reviewing 16:7  
 revoked 11:9,10  
 Right 41:9 42:16 48:3,6,11 54:15 68:6,11 69:16,19 74:3 99:6 100:19 104:5 107:20 108:10,18 121:7  
 ripping 45:1  
 risk 24:5 26:16 27:13,15 33:23 68:13 88:19 111:1,24  
 risks 24:3 26:17 30:14 101:9 112:11,19,24  
 Robert 2:13  
 room 24:12 36:20,24 38:7 59:18 64:5 73:12 74:16 81:

19 90:6,24 100:16 104:9,10 112:13,16 117:13  
 root 19:13 60:10,11 73:7 93:22,23 94:1 119:21  
 roots 90:6  
 Rousch 8:11  
 routine 33:4  
 Routinely 20:1 58:20  
 Rules 1:16  
 running 58:13  
 rush 68:10 72:13  
 rushed 73:11  
 rushing 69:18 97:20,22

## S

sac 50:7,7,22 51:16,20,21,22 52:11,15 72:5 76:14 88:14 90:8,10,11 95:3,10,18  
 sacral 94:6,13  
 sad 114:23  
 said 5:23 9:3 16:13 17:22 20:19 22:20 47:15,16,16 54:5 56:1 68:22,23,24 69:1 71:11 72:13 73:20,22 76:13 78:12 79:21 80:9 81:14,20 83:3 85:9 86:11,14,24 87:18 89:5 100:16 102:16 105:23 110:15 112:12 115:4 118:10 120:23 122:9,13  
 same 38:11,13 41:3,5,5 46:14 48:2 51:4,5,23 61:16 69:17,23 73:16,17,24 91:12 93:23 96:19 103:21,23 104:1 110:14 117:9 122:11  
 sat 9:17  
 satisfied 59:5  
 save 58:8,10,21  
 saved 58:2,8  
 saw 16:21 28:24 43:6 51:6,9,11,13 52:1 54:1,5 55:7,16,22 56:5 57:16 66:6 90:5 105:24 106:2 107:3 109:16,19 113:10 114:10  
 say 4:13 7:3 20:12,15,21 21:1,13 23:10,14 26:1 31:21 42:24 45:3 50:5 55:4 56:10 57:2 58:5,8,9,19 63:8 65:24 69:11 70:18 72:15 73:3,15 75:2 76:7 78:8,18 81:3 82:18 85:23 86:15 87:10 88:11 96:24 97:12 99:10,17,20 100:5 101:2,12 112:11 114:9,15,22,23 118:9,11  
 saying 4:14 47:9 70:12 83:7 92:22 118:7  
 says 13:15 22:2 32:7 36:5 42:5 53:5 65:3 70:2,12,14 71:21 72:3 95:14 100:10 102:11 105:16 108:14  
 scan 16:1 17:1 44:3,7,9 46:23 47:6 49:1,3 55:15 61:9,10 62:6 63:2 64:10 65:18,20 70:5,24 74:12,14 77:6,7,12,14 80:13 81:4 83:18 87:22 88:3 91:23 94:24 97:9 116:24 117:6,8,18 118:2,16 119:2,18  
 scared 104:11  
 scenario 116:12  
 scheduled 25:6  
 Schmidek 13:2  
 school 9:10 71:11  
 schooling 9:7  
 scramble 75:3  
 scrubbed 82:7  
 se 79:6  
 seal 122:21  
 second 10:11,15 27:21,23 32:15 45:10 49:5 51:7 52:9,10 53:4 54:14 55:17 79:18 80:1 81:17,18 82:20,22 83:17 88:15 91:17 95:7,21 101:4,12 104:1,12,15  
 secondary 85:10 107:10  
 seconds 42:6  
 section 15:14  
 see 18:4,22 29:1 30:18 31:20 32:1 35:20 36:6,18 37:1 38:23 41:24 47:22 51:9 52:3 53:10,20,21,24 55:1,11,23,24 56:8,12,16,21,22 57:1,13 63:2,13 64:20 66:3,12,14 69:2 70:17 82:13 83:16 88:3,6,13 89:7 90:4 93:3,10,12 97:20,22 102:24 104:8,10 106:14 111:14 118:23 119:11,14  
 see-saw 100:23  
 seeing 20:20 40:1 41:20 44:11 58:3 88:24 90:6 105:16  
 seem 27:15  
 seemed 60:11  
 seems 40:24 43:15 54:12 81:16 99:13  
 seen 6:6 18:14 21:21 31:23 35:1 56:18 63:14 91:4,17 93:1 95:17 106:15 110:3 115:14  
 self-cathing 108:3

send 116:4	South 2:14 12:8 97:16
sense 19:6 85:19	speak 37:16
sent 113:12 116:6	speaking 18:18
sentence 32:7 95:7	special 39:9
September 17:12 28:15,23,24 29:2,7,10 31:16,22 112:13,21 122:21	specific 34:3 87:8
series 65:13	specifically 14:13 25:3 31:1,8,13 32:13,23 33:4,16 36:16 39:18 40:4 46:5,6 58:7 72:8 76:8 89:8 100:20
serious 33:13	specified 85:1,11,15,21,22,24 86:5,8,12 87:2 122:7
serve 8:20	speculating 119:7,10
served 8:17	spell 8:12
serving 6:4	spend 17:9
session 14:7,8	spent 66:17 115:18
set 42:17 59:9 115:19	spinal 19:14 33:9,20,22 50:8 51:11,14 52:20 57:6 69:14 88:7,12
seven 26:8	spine 7:15,18 13:3 17:13,16,18 18:2 22:12 65:3,6 69:13 70:20 83:13
seven-and-a-half 26:12	spoke 80:14
several 11:22 115:2	squished 89:18
severe 29:17 73:6 79:16	stabilized 114:9
severely 72:19	stable 109:24
Seyed 2:7	staff 25:10,11,15,21
shadowing 14:2	stand 42:16
shaking 4:14	standard 32:24 39:12 70:23 71:10,12,13 72:3
share 5:10,13,13 28:22	standby 74:23
short 99:9	standing 37:15 82:7
shorter 24:11	stands 32:19 42:20
shot 102:17	start 4:11 17:11 29:7
should 22:19 36:20,23 47:15 55:24 82:10 84:13 103:1 115:9	started 9:11 40:22
show 64:10 65:15 116:3	starting 28:23
showed 65:13,18	starts 84:9
shown 19:16,18 27:16 64:6	State 1:17 5:1 122:1,4
shows 27:18 117:9	statement 86:14
side 42:16,19 44:11,12,21 48:4,5,6 49:20 50:11 54:10,13,22 57:17,18,19	stay 31:3
sight 71:20 72:2 97:11	staying 69:17,22
signal 96:4	Steiger 105:15 106:15
significant 33:8,15,22 41:1 68:13	stenotype 122:10
similar 116:11 117:19	steroids 61:12,14,16 62:13 63:12 66:2,15 71:14
since 7:16 16:11,23 17:4,7 20:8 26:9 27:21 34:7 45:17 75:11,17 80:3 81:3 101:16 105:13 110:3 115:14 120:6	stick 11:22
single 43:23	sticks 11:21 14:6
sit 73:2	still 9:19 10:22 46:7,9,13 77:22 101:20 116:24 117:18
site 23:19	stitch 51:15
sits 51:18 54:15	stop 46:22 47:18,23 53:12 54:9
sitting 55:4	stopping 74:12
situations 6:1 103:11	straight 108:19
size 38:24 39:3	Street 1:19 2:9,14
skin 41:8	stroke 34:2
Skylight 2:4	strong 36:2
small 93:14	stronger 22:24
smoothly 59:16	strongly 35:24 117:16
societies 10:23	studies 15:17 20:2,4,7 29:13 61:18 62:15 70:18
Society 11:2,3,3	study 19:21,23 20:9,21,22 21:5,15 27:17,18 61:14,15,23 63:14 66:12 71:1 72:9 88:13 117:4,5
solved 57:12	stuff 13:13 25:21 27:10 118:22
some 5:13 6:10 13:13 15:7 21:6 24:2 28:21 31:1 34:18,19 36:20 40:18 42:9 44:20 48:7 54:1 56:18 58:7 59:21 60:2 61:8,12 62:11,12,15,19,21 63:13,15 66:3 67:24 68:3 76:10 80:7,17 91:16 92:4,14,15 93:7 95:11 96:18 97:1 103:16,19 105:5,6 106:6,7,12 107:4 108:13 113:24 114:2 115:6	STUHR 2:8
somebody 41:21 69:13	stunned 60:8,14 61:7 73:17,19
someone 14:2,3 116:7	subscribe 11:15
something 8:8 19:18 20:9 22:12 37:5 38:21 39:10 50:3 56:20 58:11 62:4,4 66:12 75:4 84:14 85:18 104:24 106:12,13,17 111:2 112:17 119:24	subsequent 78:13
sometimes 18:22,23 24:1 36:5 41:23,24 56:23 57:12,14 62:11 68:6 115:21	success 103:3
somewhat 99:19 120:9	successes 12:10
somewhere 31:23 36:23 37:6 70:22 71:9	such 71:5 75:8
Sonntag 13:5	sufficient 104:18
sooner 30:18 78:5 99:5	suggesting 96:20
sorry 8:10 31:21 64:21 92:6 95:4 112:8	suit 7:14,17 16:19
sort 9:7 25:7 29:7 37:12 38:4,11 40:16 44:24 73:22 74:23 82:9 84:11 89:12,13 107:13	Suite 1:19 2:9 37:12 74:23
sounds 53:10 92:7 99:14	sulphate 36:6
	suppose 14:1,3
	supposed 21:13
	sure 8:15 11:12 19:4 20:19 30:14 31:1 32:16 35:1,10 41:13,17 57:2,3 60:23 61:2,6 66:21 68:4 74:18,19,19 77:21 80:19 83:21 88:17 90:21 96:13 98:23 99:7 100:1,5 107:13 112:22 116:8 118:18 119:5,22 120:16
	Surgeon 11:17 70:20
	surgeon's 37:10
	surgery 21:24 22:22 27:21,23 33:6 34:5,19 35:8,19 49:

5 51:7 53:11 55:18 59:2,10 61:20 62:1 69:13 70:8 79:18  
80:1 81:17,18,19 82:22 83:4,16,17 84:24 85:9,15 86:12  
87:8 88:15 91:18 97:6 100:7,17 101:4 103:21 104:1,2,  
12,13,15,19 106:3 108:10,15,20 109:1,5,8,12,17,20 111:  
22 112:10 113:19 114:9,14 117:16 119:3  
**surgical** 7:15 13:2 24:1 30:6,10 38:9 67:20 101:14,21  
102:12  
**surgically** 30:16  
**surprise** 120:12  
**surprised** 100:21 101:2 120:8  
**suspect** 32:7 60:14  
**suspected** 75:6 83:19 116:10 118:12 119:3 120:2  
**suspects** 72:4  
**suspicion** 70:4,21 71:8 116:23 119:6  
**suspicious** 68:1  
**Sweet** 13:2  
**swing** 43:24  
**switch** 54:24 55:5 56:11  
**switched** 54:21 55:7  
**switching** 55:21  
**SWORN** 4:1  
**symptomatic** 63:16,17  
**symptoms** 19:19 20:8,13,20 21:2,8 119:19  
**syndrome** 119:16

## T

**T-12** 92:1,4,5,11,16 93:5,8,9,15 94:2,7,8 95:1,16,18 96:  
12,16,18,20 97:2  
**tacking** 82:11  
**take** 35:4 69:23 81:21,22,23 105:4 108:1,21,23 114:1  
115:10  
**taken** 1:15 4:6 5:24 10:2,3,4 15:2 17:16 43:16 122:6,10  
**takes** 70:7  
**taking** 58:22 66:23 67:2 76:21  
**talk** 28:16 29:21 33:5,7,9,11,14,19,23 34:5 35:18 38:1  
39:22 67:17,19,21 81:2 91:20  
**talked** 12:9 29:13,20 30:3,22 31:16,17 43:11 75:22 76:  
12 80:4 89:4 90:22 91:6,7 113:10 115:18  
**talking** 13:23 26:20 28:8,9 66:17 72:7 81:14 84:15 109:  
14,22  
**talks** 46:3  
**tamping** 23:12 26:18  
**tantalum** 36:9,15 38:14,21  
**tape** 58:12,13  
**teaching** 13:19,20,22 14:5  
**tear** 49:17 83:20 84:19 87:18,21,23 88:3 91:21  
**technicalities** 23:5  
**technically** 22:20 23:3 25:20  
**technique** 24:18 26:21 44:4 109:3  
**telephoned** 76:2  
**tell** 4:18 5:24 7:10 8:7 9:5 10:8 12:18 17:6 19:8 23:8,21  
25:1 29:4 34:22 40:1,1 42:14 43:20 44:10 45:22 47:20  
55:3,6 59:2 65:21,24 74:18 77:12 81:17 83:1 88:9 89:7  
91:3 102:5,14 103:18 105:9 119:13  
**telling** 53:12 80:16 105:19 106:9  
**tells** 69:21 116:17  
**ten** 34:8  
**tend** 34:16,19  
**tends** 22:8  
**terms** 31:6 33:2 97:12 98:13 114:3,20 115:7  
**test** 56:24 61:22 62:5,5  
**testimony** 38:19 57:20 65:12 67:11 70:1 71:2 79:9 81:7  
83:10 91:7 93:19 100:9 109:4 112:22 117:17 122:12  
**text** 12:14 13:2,9,16 14:12 15:11  
**Thank** 35:11 52:21 59:1 121:5  
**that's** 7:18 8:9 15:14 21:12 22:15 23:2 29:12 30:4 38:  
15,16 42:10 45:12,23 49:10,10,12 51:23 52:9,19 54:4  
56:23 57:1,14 58:18,19 60:16 64:18 68:20 69:24 71:13  
72:23 75:2 78:24 79:20 84:8 87:20 88:20 90:1 94:1,15  
95:10 96:20 102:19 104:2 106:1,13,16 115:23 118:10  
121:4  
**thecal** 51:16,21,22 72:5 95:3,18  
**theoretically** 93:4  
**theory** 45:23 78:24

**therapy** 10:24 11:2 30:1 34:19  
**There's** 10:10 12:4 13:5 19:5 27:12 48:7 55:5 58:20 59:  
21 69:17 70:6,12,22 71:9,11 72:20 88:9,11 89:5 98:13,  
16,17 104:9  
**therefore** 19:15 21:1  
**they've** 58:16  
**thing** 9:7 20:2 35:21 45:13 51:23 73:17,24 76:17,17,18  
94:9 96:19 110:15 112:11 117:9  
**things** 4:10 13:8,17 16:23 30:2,19 31:7 34:3 69:3,21,22  
73:20 76:21 79:11 96:8 103:22 115:11 116:20  
**think** 9:4 10:9 12:3,19 13:13,17 15:21 16:1 18:22 19:4,  
17 20:6 21:20 22:6,7,19 23:2,23 24:3 26:6,17 27:14,15  
28:2,13 29:12,20 30:14,21,23 32:13 34:15,17 36:6,24  
37:17 38:13 39:5,20 40:4 43:12 44:19,20 45:14 46:21  
48:7,24 49:2,8,10,11,19 50:13 51:11 52:9,18 53:20 55:9  
56:3,8,15,23 57:9,12,16,18,22 58:4 60:5,9,21 61:5,8,17  
62:9 63:6,12,19 65:9 66:10,11 67:23 68:21 69:10 71:5,  
24 73:2,3,7,22 75:3 76:20 77:7,14,21 78:4,5,8,10,10,11,  
22 79:3,15,19 80:19 81:19 82:3 83:5,19,24 84:11 87:15,  
16,18,20 88:16 89:20 90:23 91:19 92:14 93:6,7,18 94:18  
96:17 97:16 98:10 99:11 100:1,4 102:9,22 103:5,8,16,17  
104:6,18,19 105:5,8,9 106:12,17,24 107:1,2 108:20 110:  
22 111:6 112:15 113:14,20,24 114:16 115:1 116:17  
119:7,7 120:2,13  
**thinking** 23:2 60:1 73:18 86:8,10 111:4  
**thinks** 57:14  
**third** 95:6  
**those** 6:4 12:16 13:8,9 15:23 23:16 26:11,23 28:19,22  
29:4 32:12,23 34:2 36:12 37:9,18 63:17 64:22,24 65:2  
71:3 79:11 80:14 92:17,20,23 93:1 96:8 115:10  
**though** 25:20 56:20 102:21 112:18 113:11  
**thought** 21:6 23:18 29:14 30:5,11 40:5 46:11 48:21 49:  
12 54:1 55:8 56:5,17,18 57:6 59:3 60:16,17 61:3,12 62:  
9,13 66:1 67:23 68:3 73:15,19 76:13,15,18 77:17,22 78:  
1 80:5 81:12 89:9 96:18 97:1,4 100:6,13 101:22,23 102:  
5,14,20 103:2,4 104:21 105:23 114:8 115:15,16 117:12,  
23 118:18,20 119:4  
**thoughts** 71:12  
**thousands** 61:18  
**Three** 5:20,22 7:9 30:17 58:17 59:8 65:4,7 95:7  
**three-month** 25:4  
**time** 4:8 9:23 15:19 16:12,18,20 17:9,24 25:16 29:16  
30:13,15 34:20,21 41:20 42:6,10,11 48:2 58:22 60:21  
61:16 62:10 63:13 66:3,15,17 67:1 69:23,24 75:14,18  
76:4 78:16,17 88:13 91:8 99:6,10 100:24 101:1,16,20  
103:5 104:19,23,24 105:24 106:18 107:3 108:13 109:22  
114:10 115:18,23 116:2 122:7  
**times** 9:22 22:3 43:16,18,19 56:4 98:23 114:17 115:3  
**tissue** 89:23  
**titles** 12:16  
**To-wit** 122:1  
**today** 10:19 11:24 14:15 15:3 16:19 26:14 98:10 116:9  
**today's** 12:11 15:5  
**together** 5:14 45:13 75:4 115:18  
**told** 31:24 58:16 60:3 73:18,24 77:13 78:10 82:23 101:  
5,6,17 102:9,13,23 103:1 104:3,3 112:19  
**tomorrow** 117:19,22  
**took** 17:17 50:18 57:9 106:18  
**top** 12:5,21 88:23  
**torn** 49:4 83:16 88:10  
**touch** 38:6 52:20  
**touched** 110:16  
**touching** 52:15  
**tough** 51:12  
**toward** 101:1  
**towards** 100:24  
**Tower** 2:4  
**town** 5:10  
**tracks** 44:20  
**tract** 44:22 45:2,10,12,15,21,22 46:3,11 49:16,17 50:21  
78:24 92:3,8 108:22 109:2,6 110:15 111:2  
**trained** 24:20,21 68:10  
**training** 25:1,8  
**transcribed** 99:1,2 122:11



transcript 35:6 121:7  
 transfusion 33:9  
 transpedicular 23:6  
 treat 29:22,23 30:2 63:12 66:14 71:14  
 treated 11:13 16:12 81:13 108:22  
 Treating 29:24 30:3  
 treatment 6:7 14:11 17:3 28:18 29:14 66:2,9,11 71:18 72:4  
 treatments 29:18 30:6  
 Tresl 3:3 4:3,5 10:12,17 29:9 35:9,17 53:1 56:6 64:16, 24 65:5,11 84:4,7 85:7 86:20,23 106:21 120:13  
 tried 49:11  
 trocar 40:9,10 41:6 45:3,8 46:9  
 troubles 16:16  
 true 122:12  
 trust 13:14  
 try 61:22 63:19,21 68:9 89:2,3 102:17 103:9 115:10  
 trying 68:13 69:2 86:1 119:10  
 tungsten 36:9 38:15,21  
 turn 12:24 21:22  
 twice 43:14  
 two 7:11 12:23 29:21 30:6 32:12,23 48:2 50:11,23 51:3 59:15 65:4,7 79:11 91:20 96:8 99:3,9  
 type 22:15 88:19  
 typo 21:9,12

## U

uh-huh 4:14 102:18  
 uncomfortable 82:20  
 under 24:4,7 41:12 53:16 88:13 95:15 122:21  
 undergraduate 9:8  
 underlying 29:23 30:3  
 understand 4:16,18,19,22 16:18 55:20 59:1 67:5 79:9 84:23 95:2 117:20  
 understanding 48:19 71:7 107:12 114:17  
 understood 4:23 16:15 114:18,19 115:1,5  
 University 9:8,11,12 24:21,23 75:24  
 unless 120:14  
 unstable 104:21  
 until 16:13,19 26:13 64:2 66:24  
 up 16:13 35:22,24 39:9 44:21,24 45:12 52:1,3,11 56:20 59:11,24 64:13 74:7 77:19 82:10,11 87:24 88:18 89:1 94:15,18 100:7 104:14 107:3 110:1 111:18 115:19 116:2,3 117:24  
 upon 75:8 95:3  
 upset 114:24  
 urge 101:24 102:1 117:15,16  
 urinary 107:18,20,24 108:2,9,19,22 109:2,6  
 urinate 106:4  
 urologist 106:13,16  
 us 50:3 65:18 69:21 103:9  
 use 13:2,3,6,8 36:5 38:15,24 39:3 41:3 62:8  
 used 38:16  
 using 36:4 42:23  
 usually 29:21 31:1,7 36:6,17 37:19 39:20 58:7,10 60:9 68:2 108:18 116:3

## V

valid 13:14  
 vary 38:12  
 vein 35:24  
 veins 36:1 53:15  
 venous 35:22  
 versus 61:7  
 vertebra 13:21  
 vertebral 39:16,17,19,21 49:21 54:23  
 vertebroplasties 23:8,11 25:2,5 26:3 34:6 39:4 43:8 45:18 74:21 111:19,20  
 vertebroplasty 6:19 7:22 8:2,5 11:19 15:14 19:3 22:5,8, 20 23:4,21,22 24:3,7,20 25:14 26:15 27:6,9,20,24 28:7, 12,18 30:7,11,24 31:18 32:1,2,22 33:3 34:4 37:13 38:20 39:1,7,13 58:18 59:3 65:8 66:24 69:9 70:3,19 75:6 76:10 78:17 84:13 87:11,17 107:1,10,15,19 110:8 111:11, 14 112:5,7,12,20,24 116:10,23 117:22

very 11:23 17:10 20:2 33:13 34:16 59:5,16 67:9 68:1,16 72:18 79:12 93:14 97:4 105:10,20 114:16 115:15,16 116:11 118:21 119:8,9  
 vessels 33:12 43:15  
 Vienna 5:5  
 view 40:5 41:13,17 43:13  
 viewing 82:8,8  
 views 39:24 40:6 41:22 65:4,7  
 Virginia 5:5 122:1  
 visit 29:10 31:11,16 32:14,15,18  
 visits 31:7 32:12  
 visual 55:17  
 visualization 41:20  
 visualize 47:22 53:16,18 90:3  
 visualized 55:18  
 vs 1:7

## W

wait 76:23 97:13,17  
 waited 81:13  
 waiting 76:18  
 walk 109:17,21  
 walker 109:17,18,21 110:4,6  
 want 5:2 9:5 17:9 25:3 29:6 35:12,21 37:14,15 40:19 42:23 43:24 47:13 50:6 52:20 61:23 64:15 68:18 72:13 91:24 96:6,21,23,24 112:21  
 wanted 6:7 30:15,18 40:12 82:3 100:2,6,8 104:6,7,7 121:4  
 wants 103:9  
 wasn't 66:21 68:4,17 77:18 89:15 110:18 119:22  
 watch 41:12 43:1,2 71:14 72:16 76:23 88:13  
 watched 81:13  
 watching 42:11,22 43:3  
 water 88:14  
 way 24:10,16 29:8 40:12 41:2 46:14 54:16,16,24 61:6 90:3 99:8 102:1  
 ways 14:11  
 we'll 4:11 35:13 38:23 80:13 83:22  
 we're 21:10 23:11 34:23 35:3,13 65:6 68:10 84:2 119:7 120:13  
 weak 68:7 76:9 109:15  
 weakness 33:17 59:21 60:20 62:12 68:23 109:11,13 118:22,24 119:1  
 weaknesses 18:16  
 wear 62:10  
 week 15:22,24 16:13,17,24  
 weighed 67:9 114:20  
 weighing 99:16  
 well 17:13 27:6,8 35:23 42:22 55:4 60:5 90:2 94:20,22 95:9 96:8,19 105:10,20 106:10  
 West 5:5 122:1  
 what's 61:22 63:19,21 68:21 76:16 92:6  
 whatever 78:11 84:1 89:6 94:20  
 wherever 72:6  
 whether 19:20 30:15 37:8 65:13,22 81:23 103:13 115:8, 9 118:24  
 who's 5:9  
 whole 109:15  
 wiggle 40:16  
 will 4:16,18,23 20:11 23:21 83:3  
 wished 30:19  
 wishes 99:21 103:12  
 within 9:20 39:17,18 122:3  
 without 73:13 104:1  
 WITNESS 4:1 8:18,21 64:22 65:3 85:5 121:8 122:13  
 woke 117:24  
 Wood 8:16  
 word 108:1,21,24  
 words 16:14 96:21  
 work 24:2 25:7 29:7 99:12  
 Workers' 6:2,13  
 worried 66:21  
 worse 29:16 66:22 68:19 69:19,22 101:8 105:18 106:12 117:14

worsening 30:21  
worth 69:2  
wouldn't 57:18 65:10 75:2 78:2,14,14 88:11 101:1 102:  
7  
write 22:4 86:8  
writing 17:24  
written 9:18,23 10:5 64:13  
wrong 49:12 60:6 61:2 62:4 74:22 102:4 105:1 114:14,  
18  
WV 1:23

---

**X**

---

x-ray 65:19  
x-rays 17:14 61:8 64:5,9,15

---

**Y**

---

yank 89:2  
year 7:14 8:15  
years 5:20,22 9:20 26:11 69:21  
yet 22:4 27:1 55:23 102:11  
yourself 81:12